

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD

4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
MASTERCARD INTERNATIONAL INCORPORATED

Petitioner

v.

LEON STAMBLER

Patent Owner

Case No. CBM2015-00044

Patent 5,793,302

DEPOSITION OF SETH NIELSON, Ph.D.

Baltimore, Maryland

Tuesday, December 8, 2015

Reported by: John L. Harmonson, RPR

Job No. 99776

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

December 8, 2015

9:04 a.m.

Deposition of SETH NIELSON, Ph.D., held at
the offices of Regus, 100 International Drive,
Baltimore, Maryland, pursuant to Notice, before
John L. Harmonson, a Registered Professional
Reporter and Notary Public of the State of
Maryland, who officiated in administering the
oath to the witness.

A P P E A R A N C E S

On Behalf of the Petitioner:

BAKER BOTTS

1001 Page Mill Road

Palo Alto, CA 94304

BY: ELIOT WILLIAMS, ESQ.

and

BAKER BOTTS

30 Rockefeller Plaza

New York, NY 10112

BY: CHRISTOPHER PATRICK, ESQ.

On Behalf of the Patent Owner:

FLACHSBART & GREENSPOON

333 North Michigan Avenue

Chicago, IL 60601

BY: ROBERT GREENSPOON, ESQ.

1 S. NIELSON

2 -----
3 P R O C E E D I N G S

4 9:04 a.m.
5 -----

6 Whereupon,

7 SETH NIELSON, Ph.D.,
8 after having been first duly sworn or affirmed,
9 was examined and did testify under oath as
10 follows:

11 EXAMINATION

12 BY MR. WILLIAMS:

13 Q. Good morning, sir.

14 A. Good morning.

15 Q. Have you been deposed before?

16 A. I have.

17 Q. Okay. Any reason you can't testify
18 accurately or truthfully today?

19 A. No.

20 (Exhibit 2004 and MasterCard Exhibit
21 1001 marked for identification and attached
22 hereto.)

23 BY MR. WILLIAMS:

24 Q. I've put in front of you a couple of
25 exhibits. Let's start with the one on top which

1 S. NIELSON

2 is Exhibit 2004.

3 Q. Do you recognize that?

4 A. It appears to be my declaration for
5 this IPR.

6 Q. Okay. If you turn to page 40, there
7 is a facsimile signature. Do you see that?

8 A. I do.

9 Q. Is that your signature?

10 A. It is.

11 Q. And did you sign this document?

12 A. I did.

13 Q. And have you had an opportunity to
14 read the document?

15 A. Yes.

16 Q. And to the best of your knowledge, is
17 it accurate?

18 A. I'm sorry. May I clarify? When you
19 say I've read the document, do you mean the one
20 in front of me or that I've read this exhibit
21 before?

22 Q. The exhibit.

23 A. Yes, I've read it before.

24 Q. Do you have any reason to think that
25 the copy in front of you is not an accurate copy?

1 S. NIELSON

2 A. I don't see any reason to believe
3 that, no.

4 Q. How did you go about preparing this
5 document?

6 A. I spoke with counsel about what
7 questions I needed to provide opinion -- about
8 which I needed to provide an opinion, and then
9 based on that discussion I started drafting the
10 document. After preparing a draft, I reviewed
11 it, received some feedback, made some adjustments
12 before submitting it for submission to the PTAB.

13 Q. Have you had an opportunity to read
14 the document since you -- Well, let me back up.

15 The document is dated October 20,
16 2015. Is that around the time that you signed
17 it?

18 A. Yes, I believe so.

19 Q. And have you had an opportunity to
20 read it since that date?

21 A. I have.

22 Q. And anything in there that you would
23 like to change?

24 A. I did come across a paragraph that --
25 it doesn't change any opinions, but I think it

1 S. NIELSON

2 has -- at least I would have reworded it.

3 Q. Which paragraph is that?

4 A. Can you give me a minute to find it?

5 Q. Yeah, no problem.

6 A. Paragraph 91.

7 Q. 91. Let me just get there. That's on
8 page 31?

9 A. Correct.

10 Q. And what about that paragraph would
11 you like to clarify?

12 A. The very last sentence. It currently
13 reads: "The bank signs the public key and the
14 electronic check but does not issue a
15 certificate."

16 I based that sentence off of something
17 I was reading in the Diffie declaration. But
18 when I went back and looked at the Davies book,
19 it assigns the public key a name and an
20 expiration date.

21 Q. Okay. You're referring there to the
22 disclosure in Davies of the portion of what
23 Davies calls the check?

24 A. There is a disclosure. I would have
25 to look up the exact page, but there is a

1 S. NIELSON

2 disclosure of Davies that is used in creating an
3 electronic check, and that's what I'm referring
4 to, yes.

5 Q. So how does that -- so how does that
6 teaching of Davies then alter your views about
7 whether the bank signs the public key and does
8 not issue a certificate?

9 A. I'm not entirely sure. Davies uses
10 the term "certificate" only for the thing given
11 to the registry. So I'm still not sure if there
12 is a certificate or not that's issued to the
13 bank. But either way, it's still not a
14 credential under the -- for purposes of the
15 patent. So that's why I said it didn't change my
16 opinion.

17 Q. Why do you say it's not a credential?

18 A. For the reasons that I identify in my
19 report. Like I say in Paragraph 89, I think is
20 where I set it forth that the check is not
21 presented for purposes of establishing or
22 determining the identity of the party.

23 Q. And so for that reason, the check is
24 not a credential, in your view?

25 A. Yes.

1 S. NIELSON

2 Q. What about the data on the check? Is
3 that a credential?

4 A. Which data?

5 Q. The data that you're referring to, the
6 bank signature on the customer identity,
7 expiration date and public key.

8 A. Well, that data is also not presented
9 for purposes of establishing or determining the
10 identity of the party.

11 Q. Why not? Why do you say that?

12 A. Because that data is not used in
13 establishing the identity of the party. That
14 data is used for determining, in this case, the
15 originator of the bank's account to figure out
16 where the funds are coming from.

17 Q. When you say "originator of the bank's
18 account," what are you talking about?

19 A. I'm sorry. May I use the reference?

20 Q. The Davies reference?

21 A. Yes.

22 Q. Sure, of course. You brought a copy?

23 A. I did.

24 Q. Do you want to use that one?

25 A. It's just easier to find some of the

1 S. NIELSON

2 pages.

3 Q. I have a copy of the one filed in the
4 IPR. If you would like to look at it, just let
5 me know.

6 A. Sure.

7 So the data that we've been referring
8 to is found on page 328, figure 1022.

9 Q. Uh-huh.

10 A. And the data here that I believe we're
11 referring to in the context of Paragraph 91 would
12 be what is identified by Davies as fields 5,
13 6 and 7.

14 Q. Sure.

15 A. So the customer identity, the customer
16 public key and the expiratory date, in this case
17 the information about where the funds are coming
18 from for purposes of the check.

19 Q. So that field 5, customer identity,
20 you're saying that's not presented in order to
21 verify the identity of the customer?

22 A. Correct.

23 Q. So what's the purpose of putting the
24 customer identity on the check?

25 A. So Davies in here compares it to a

1 S. NIELSON

2 paper check. It's just like with a paper check,
3 you wouldn't have an account to draw money from
4 without that information.

5 Q. So that information, customer
6 identity, identifies the account that the funds
7 are to be drawn from?

8 A. Well, it identifies the customer, and
9 then you would have to have the bank be able to
10 figure out what account that's coming from. But
11 my point is that the purpose of it is to figure
12 out where to draw funds from. It's like with a
13 paper check. I don't present it to establish my
14 identity to somebody.

15 Q. If you're going to accept a paper
16 check from somebody, wouldn't you want to know
17 their identity?

18 A. Well, when I worked food service, if
19 we had concerns about their identity, we had to
20 ask for something. So the check was not
21 presented to us for identity. If we were
22 concerned about it, we would ask for some other
23 piece of information to establish their identity.

24 Q. Now, in this particular case what is
25 the point of field date?

1 S. NIELSON

2 A. So the point of field date is to
3 ensure that the public key has not been altered
4 or modified.

5 Q. Is that the only purpose of section 8?

6 A. Well, if you're getting a check, you
7 want to make sure -- especially an electronic
8 check, you want to make sure that the information
9 in it is accurate, that the data you're getting
10 about where those funds are coming from is going
11 to be correct and has not been forged.

12 Q. So how does field 8 do that?

13 A. Well, so field 8 is a signature by the
14 bank, and that signature by the bank is the
15 bank's -- the bank's -- It's a mechanism that you
16 can use so that -- that the bank is saying that
17 this information is correct.

18 Q. And one of the fields in that
19 information is the customer identity, right?

20 A. The customer identity, customer public
21 key and expiratory date are correct.

22 Q. Putting aside the exact disclosure of
23 Davies -- we can keep it open if you want -- but
24 I want to ask you a question more generally.

25 You've familiar with the term

1 S. NIELSON

2 "certificate" in the area of security?

3 A. I am.

4 Q. What does it mean typically in the
5 field?

6 A. So it depends on context. But the
7 most common one, usually like if you would just
8 throw it out in a room full of computer
9 scientists, I would assume that most of them
10 would think of the certificates that we use with
11 secure socket layer for web communication. So
12 usually it's a certificate where data has been
13 validated by a third party such as Verisign, and
14 then it ties together a public key and some other
15 piece of information.

16 Q. Would it tie together a public key and
17 an identity?

18 A. It usually has identifying fields.

19 Q. And does it tie that information
20 together by doing a digital signature over that
21 information?

22 A. Yes, I believe that's correct.

23 Q. So can it be said, then, that in
24 Davies sections 5 through 8 are a certificate?

25 A. Well, I don't know about calling it a

1 S. NIELSON

2 certificate in general. But comparing it to the
3 certificate that we were just talking about, the
4 use case is certainly different. When you have a
5 certificate, for example, a certificate like an
6 SSL certificate, it's not enough to just have a
7 name because that's insufficient for its
8 purposes.

9 And so one of the identifying pieces
10 of information that has to be included for it to
11 work correctly is a web address so that when you
12 go to that web address and you are having, for
13 lack of a better description, a conversation,
14 when you're having the connection with that
15 website, that you know that the website you've
16 gone to is the website you think you've gone to.

17 Q. What makes you think that customer
18 identity in Davies is a name and not something
19 else?

20 A. It doesn't say very clearly. It just
21 says customer identity. I'm thinking that
22 because Davies compared it to a paper check, that
23 it would be a name.

24 Q. Why wouldn't it be the customer's
25 account number?

1 S. NIELSON

2 A. For the originator, that's possible, I
3 guess. I'm thinking with a paper check you
4 usually have a name and you have a payee name.

5 Q. So payee name is field 13 in the
6 Davies check, correct?

7 A. Correct.

8 Q. And there is no other field that has
9 the name of the customer or the originator,
10 right?

11 A. I don't -- I don't see one, no.

12 Q. Only field 5 would include that
13 information?

14 A. Yeah, that appears to be correct.

15 Q. And you agree with me that the
16 information in this check has to include
17 information for the originator's bank to identify
18 the account of the originator?

19 A. So while it's not identified,
20 obviously for it to work as a check there would
21 have to be some way of identifying the account of
22 the person paying.

23 Q. So Davies uses the name "drawer." Do
24 you know what that is?

25 A. When I have read that word in context,

1 S. NIELSON

2 I have understood what it means. I'm trying to
3 think about the definition off the top of my
4 head.

5 Q. In the case of the Davies context,
6 would the drawer be the same as the customer in
7 field 5?

8 A. Is there a sentence that --

9 Q. That I'm thinking of?

10 A. Yes.

11 Q. I'm looking at page 330 of Davies
12 under the heading "Point-of-Sale Payments by
13 Electronic Check," on the second paragraph of
14 that section, the first sentence there.

15 A. Okay. So for a point-of-sales
16 payment, which is one of the -- Okay. Hold on a
17 second. Let me just review the background here.

18 Right. So this one is referring to
19 offline payments at a merchant terminal. So the
20 drawer in this sentence would be the one issuing
21 the check.

22 Q. Okay. So that would be in the case of
23 the field data, field 5, the customer identity,
24 would be the drawer?

25 A. Yes, I believe that is correct.

1 S. NIELSON

2 Q. And clearly Davies there is describing
3 that the issuer bank is able to check the
4 drawer's account when processing the check?

5 A. Yes. But he doesn't describe how
6 that's done.

7 Q. Is there any other way it could be
8 done besides using the data in field 5?

9 A. Well, the reason I'm hesitant on that
10 is only because Davies on page 328 describes this
11 as kind of a minimal set of information. And
12 when it describes it as a minimal set of
13 information, it's referring to the cryptographic
14 verification of this transaction. And he doesn't
15 go into detail on a lot of how the actual
16 financial transactions are resolved.

17 So for example, like when you have,
18 you know, customer identity and payee identity,
19 it doesn't describe all the different processes
20 that are used with paper checks, which it kind of
21 compares it to, for resolving those issues which
22 sometimes come up in real life.

23 Q. But you agree with me that he does
24 disclose that the issuer checks the drawer's
25 account when processing one of these electronic

1 S. NIELSON

2 checks?

3 A. Yes, but not how it's done.

4 Q. One way that it could be done is to
5 use the information in field 5?

6 A. If the information in field 5 were
7 sufficient.

8 Q. Okay. Was there any other disclosure
9 in Davies that showed you there was some other
10 way that the issuer could identify the drawer's
11 account when processing the electronic check?

12 A. Let me take just a quick minute to
13 review it.

14 Q. Yeah, please.

15 A. So I don't see any other descriptions.
16 But the thing that I notice is that the identity
17 that is described here is suggested it would be
18 like a name. For example, when it refers to the
19 black list also under the point-of-sales payment,
20 again it's not described in detail, but comparing
21 it to paper checks you would have a black list of
22 names that you would refer to when not accepting
23 a check.

24 And so, you know, it suggests to me
25 that it very well might just be a name.

1 S. NIELSON

2 Q. You said black list is a list of
3 names. Do you think that's what Davies is
4 talking about when he uses black list?

5 A. Well, he doesn't go into a lot of
6 detail. Again I'm interpolating a little bit
7 based on the description of it being similar to
8 paper checks.

9 Q. Other than Paragraph 91, are there any
10 other paragraphs of your declaration that you
11 think need to be clarified or revised?

12 A. No, not that I know of.

13 Q. Do you know who Whit Diffie is?

14 A. Whitfield Diffie? Yes, I am aware of
15 him; I have never met him in person.

16 Q. You know he gave a declaration in this
17 proceeding, right?

18 A. Yes.

19 Q. And you've read it?

20 A. Yes.

21 Q. Before that declaration, had you ever
22 heard of Whitfield Diffie?

23 A. Yes.

24 Q. In what context?

25 A. I am familiar with Whitfield Diffie in

1 S. NIELSON

2 his work on what is commonly referred to as the
3 Diffie-Hellman key exchange protocol.

4 Q. Is that a protocol that you teach in
5 any of your classes?

6 A. Yes. Or -- Yes.

7 Q. What level of students do you teach
8 the Diffie-Hellman protocol to?

9 A. My class is graduate level network
10 security.

11 Q. And is that a class taught in a
12 particular discipline? Is it an engineering
13 class or a computer science class?

14 A. I'm not really sure. Johns Hopkins
15 has both departments and institutes, and my work
16 is sometimes under the umbrella of the computer
17 science department and sometimes under the
18 master's -- or the ISSI, the Institute for
19 Security Systems Infomatics. So sometimes it's a
20 special security institute and sometimes it's a
21 department of computer science.

22 Q. Are students in that class generally
23 seeking a degree?

24 A. Yes.

25 Q. And what degree are they seeking?

1 S. NIELSON

2 A. Well, so that depends. Most of them
3 have been in that ISSI, and then they're seeking
4 a master's degree in security infomatics.

5 Q. Okay.

6 A. And then I have a lot more students
7 from undergraduate this year who would be seeking
8 a bachelor's degree in computer science.

9 Q. Are you familiar with -- I apologize.
10 I have a cold so some of my questions may be hard
11 to understand, so just ask me to rephrase them.

12 Are you familiar with the term "public
13 key cryptography"?

14 A. I am.

15 Q. What is it?

16 A. So public key cryptography is a very
17 broad term. And then, of course, there is kind
18 of common implementations of it. The broad term
19 is just basically any type of cryptography where
20 you have asymmetric keys. What that means is
21 typically you will have one key that reverses the
22 other key. So if you encrypt with the first key,
23 you decrypt with the second.

24 Q. And so then is there symmetric
25 cryptography in contrast to that?

1 S. NIELSON

2 A. Yes. I'm trying to think if I've ever
3 heard of them using the term "public key
4 cryptography" with symmetric keys. I can't think
5 of any examples and it certainly wouldn't be
6 common. But symmetric key is, in contrast, you
7 have a single key that both encrypts and
8 decrypts.

9 Q. So what is the "public" in public key
10 cryptography supposed to indicate?

11 A. So in general -- again, it's kind of a
12 broad concept. But the idea is that you have one
13 key that you won't reveal to anybody, and then
14 one key that you distribute. And that key that
15 you distribute would typically be called a public
16 key.

17 Q. Do you know when the idea of public
18 key cryptography was first published?

19 A. So I am aware that Diffie and
20 Hellman -- and Diffie is on record as there being
21 one other person; Merkle I think was their
22 name -- had one of the first public papers about
23 public key cryptography. There was also some
24 British work that was a year before but it was
25 secret and not released until the '90s.

1 S. NIELSON

2 Q. In your declaration in Paragraph 24
3 you say you've reviewed materials related to the
4 IPR. My question is: What materials are you
5 referring to there?

6 A. The materials that I've reviewed are
7 the petition; the response. Diffie's
8 declaration. I've reviewed a transcript of
9 Diffie's deposition. And I've reviewed the
10 Davies reference, the Meyer reference, the
11 references that are identified in this IPR as
12 potentially being prior art.

13 I think that's everything.

14 Q. So I don't think you mentioned the
15 '302 patent, but I assume you've read that?

16 A. I have read the '302 patent.

17 Q. Have you read the file history of the
18 '302 patent?

19 A. I've only read parts of it.

20 Q. You know what I mean by file history,
21 I assume?

22 A. I do.

23 Q. What about any district court opinions
24 where the '302 patent has been at issue? Have
25 you read any of those?

1 S. NIELSON

2 A. I haven't. Wait. Let me think a
3 minute. I can't remember. I may have reviewed
4 some related claim construction, but I don't
5 think so.

6 Q. Okay. How are you being compensated
7 in this matter?

8 A. So initially I was -- up until the
9 first of this month I was an employee of Harbor
10 Labs, a consulting company, and Harbor Labs would
11 bill for my work on an hourly basis. And I'm now
12 at a new consulting company called Ironwood
13 Experts, and now Ironwood is billing for my time
14 on an hourly basis.

15 Q. And what hourly rates has this work
16 been billed at?

17 A. In both cases it's \$425 an hour.

18 Q. And do you have an estimate of how
19 many hours you've worked to date on this matter?

20 A. No.

21 Q. Has either Harbor Labs or Ironwood
22 Experts prepared invoices for your time?

23 A. Harbor Labs has, yes.

24 Q. Have you seen them?

25 A. I haven't.

1 S. NIELSON

2 Q. So the '302 patent claims priority to
3 an application that was filed in November of
4 1992; is that right?

5 A. That is my understanding, yes.

6 Q. Okay. And you were obviously not yet
7 a person of ordinary skill in the art in November
8 1992, right?

9 A. I was not a person of ordinary skill
10 in the art as of November 1992.

11 Q. Were you in school at the time?

12 A. I was in junior high.

13 Q. What's your experience in the banking
14 or financial industry? Do you have any
15 experience in that industry?

16 A. I have done some consulting work for
17 some high-frequency trading. In my course on
18 network security we do cover some issues of
19 banking security. And I have mentored a research
20 project, I guess it's only tangentially related,
21 but on the topic of Bitcoin.

22 Q. Do you know what the -- do you know
23 what an interchange system is?

24 A. I don't know off the top of my head.

25 Q. When were you first engaged for this

1 S. NIELSON

2 matter?

3 A. I'm trying to think of the date.

4 Q. Approximately is all I'm looking for
5 now.

6 A. I would approximate maybe it's been
7 about a year.

8 Q. Okay. And so how were you first
9 contacted about the matter?

10 A. I was contacted by Robert Greenspoon.

11 Q. Okay. At the time you were contacted,
12 had you heard of Leon Stambler?

13 A. Yes.

14 Q. How had you heard of him?

15 A. I had been previously contacted about
16 being a consultant in another case.

17 Q. Another litigation matter?

18 A. Yes.

19 Q. And you said previously. How far
20 previously from when you were contacted about
21 this matter had you been contacted about the
22 other matter?

23 A. I don't remember how far apart they
24 were. Maybe within a year, around that time.

25 Q. Was that, then, the first time you had

1 S. NIELSON

2 heard of Leon Stambler, when you were contacted
3 the first time?

4 A. Yes.

5 Q. So you've had an opportunity, then, to
6 read the '302 patent, correct?

7 A. Yes.

8 Q. And what would you say is the
9 invention that's being claimed, at least in
10 Claim 51, as it relates to the '302 patent?

11 MR. GREENSPOON: Objection to form.

12 Best evidence.

13 THE WITNESS: So you want me to give
14 kind of a high-level description of
15 Claim 51?

16 BY MR. WILLIAMS:

17 Q. I'm looking for your understanding of
18 what is being disclosed here as the invention.

19 MR. GREENSPOON: Same objection.

20 THE WITNESS: I would -- I would
21 accept the way the claim describes itself, a
22 method for authenticating the transfer of
23 funds.

24 BY MR. WILLIAMS:

25 Q. Okay. So any method for transferring

1 S. NIELSON

2 funds, then, would be what's invented here?

3 MR. GREENSPOON: Same objection.

4 Would you accept a continuing objection?

5 MR. WILLIAMS: Sure, that's fine.

6 I'll stipulate to that.

7 THE WITNESS: My understanding is that
8 it's a transfer of electronic funds.

9 BY MR. WILLIAMS:

10 Q. And is that done via paper check?

11 A. So the embodiment that is described in
12 the specification is certainly not a paper check.

13 Q. So show me where in the specification
14 you're seeing something that's not a paper check.

15 A. Okay. That is incorrect. So it
16 describes as using a paper check. Okay. So
17 there is paper check that is generated. And
18 then -- Well, let me just read what it says here.

19 Q. Where are you reading from?

20 A. I was just about to say that.
21 Column 4, line 52. So it says: "Figure 7
22 illustrates how the originator generates a check
23 in accordance with the present invention. This
24 process preferably takes place at the
25 originator's terminal or computer. It is assumed

1 S. NIELSON

2 that the originator would be generating a check
3 in the usual manner and would include on it all
4 of the information usually found on a check, as
5 well as the information normally recorded or
6 imprinted on check forms such as the recipient's
7 name and TIN," which is the taxpayer
8 identification number, "and the originator's
9 personal account number and bank identification
10 number. Preferably, the terminal includes a
11 check reader and a printer which can read
12 necessary information from the originator's check
13 form and print information on the form."

14 Q. So that suggests to you that what is
15 being described here as the invention is a paper
16 check?

17 MR. GREENSPOON: Object to the form.

18 THE WITNESS: Well, no. Because
19 Claim 51 is about the funds transfer. And
20 in the embodiment, that happens when the
21 paper check is to be cashed, and then it
22 uses an electronic process for doing that
23 transfer.

24 BY MR. WILLIAMS:

25 Q. So then what's being described here is

1 S. NIELSON

2 using a paper check and then processing that
3 paper check electronically?

4 MR. GREENSPOON: Object to the form.

5 THE WITNESS: I don't know that this
6 excludes an electronic check. I just think
7 that it is also usable on a paper check, and
8 then when you're cashing it, it does the
9 electronic funds transfer described in
10 Claim 51.

11 BY MR. WILLIAMS:

12 Q. So was the idea of doing electronic
13 funds transfer new as of November of 1992?

14 MR. GREENSPOON: Object to form. Also
15 the relevancy.

16 THE WITNESS: I am unaware of any
17 system that had all the elements of
18 Claim 51. There were other systems that
19 discuss electronic funds transfer.

20 BY MR. WILLIAMS:

21 Q. The Davies book talks about electronic
22 funds transfer, correct?

23 A. The Davies book does describe
24 transferring funds electronically, uh-huh.

25 Q. Okay. You brought a copy of the

1 S. NIELSON

2 Davies book to the deposition today. Is that one
3 that you had before this case?

4 A. No.

5 Q. So did you acquire that for the
6 purpose of this matter?

7 A. Yes.

8 Q. Where did you get it?

9 A. I -- I believe I received it from
10 counsel.

11 Q. Now, you talked about the TIN earlier
12 in your answer about the '302 patent. What was
13 the TIN?

14 A. The TIN is -- I believe it is an
15 acronym for taxpayer identification number.

16 Q. And is that data that's used in the
17 '302 embodiment?

18 A. Yes.

19 Q. What's it used for?

20 A. It's used for identifying the account.
21 So there are two. There is a TIN for the
22 originator and there is a TIN for the recipient,
23 and those are used for identifying the accounts
24 of the person paying and the person being paid.

25 Q. So does the -- Okay. The person

1 S. NIELSON

2 paying, that's the originator?

3 A. Yes.

4 Q. Does the originator have more than one
5 TIN?

6 A. I'm under the impression that a person
7 can only have one TIN. In the embodiment
8 described in the patent specification there is a
9 one-to-one correspondence between the TIN and the
10 account.

11 Q. Okay. So the invention that's
12 described in the '302 patent only works if the
13 originator has only one account?

14 MR. GREENSPOON: Object to the form.

15 Mischaracterizes the testimony.

16 BY MR. WILLIAMS:

17 Q. Is that right?

18 A. I don't think that's the only way it
19 works. I'm saying the way it's described there,
20 it associates an account with a TIN.

21 Q. Does the '302 patent describe how this
22 invention could work if the originator had more
23 than one account?

24 MR. GREENSPOON: Object to the form.

25 Relevancy.

1 S. NIELSON

2 THE WITNESS: So -- so it doesn't give
3 an explicit description. But in Column 4,
4 starting at line 39, it says: "Various
5 additional information is saved in the
6 user's file, such as his personal account
7 number (PAN), name, phone number (PHN),
8 account balance, and other relevant
9 information. The user's TIN, PAN or PHN can
10 be used as an index to access the user's
11 file."

12 So the examples that it gives here is
13 with the TIN being the index, and that would
14 have a one-to-one correspondence where you
15 would only have one account per TIN. But if
16 you were using the PAN, for example, then
17 you would have the invention operating the
18 same way but with the PAN instead of the
19 TIN.

20 Let me qualify that really fast. For
21 this claim, the PAN would also have to be
22 credential information in the previously
23 issued credential.

24 BY MR. WILLIAMS:

25 Q. What's the credential that's discussed

1 S. NIELSON

2 in connection with this embodiment of the '302
3 patent?

4 A. So the Claim 51 focuses mostly on the
5 credential information. It doesn't describe a
6 lot about the credential here. So the other
7 sections of the specification that describe
8 credential issuing would be how those credentials
9 would be issued. And the non-secret information,
10 the credential information that it's talking
11 about would be, for example, the TIN.

12 Q. What's the difference between a
13 credential and credential information?

14 A. Give me just one quick minute to
15 review.

16 So the credential would be information
17 that is presented for -- a document or
18 information that is obtained from a trusted
19 source that is transferred or presented for
20 purposes of determining the identity of the
21 party. The credential can also contain
22 information that is non-secret information, and
23 that is described as being used in Claim 51.

24 Q. So your definition of credential used
25 the word "information" in it. I'm trying to

1 S. NIELSON

2 figure out is there a difference between
3 credential information and credential.

4 A. Sure. So the key point is that the
5 credential has to be the information that is
6 presented for the purposes of determining the
7 identity of a party. But there is nothing that
8 prevents that information from having information
9 within it that, while not identifying -- you
10 know, while not being presented for purposes of
11 determining the identity of a party, or at least
12 it's not sufficient, is not credential
13 information.

14 Q. So when you say "presented for
15 purposes of identifying a party" --

16 A. Yes.

17 Q. -- what do you mean?

18 MR. GREENSPOON: Object to the form.
19 Misstates the testimony.

20 THE WITNESS: So a credential, the
21 examples that were given in the
22 specification include things like identity
23 cards or some way where you present it for
24 purposes of establishing that you are who
25 you say you are.

1 S. NIELSON

2 BY MR. WILLIAMS:

3 Q. So for example my birth certificate,
4 would that qualify as a credential?

5 A. I believe that you could use a birth
6 certificate in the same way that you would use an
7 identity card.

8 Q. Mr. Greenspoon sitting next to you, if
9 he handed you my birth certificate with my name
10 on it and you had never met him before, would you
11 know that he was falsely presenting that to you?

12 A. So when we have --

13 MR. GREENSPOON: I resent the
14 accusation.

15 BY MR. WILLIAMS:

16 Q. Go ahead. I didn't mean to accuse him
17 of any wrongdoing. It's a hypothetical.

18 A. So we have credentials that we use
19 in -- you know, outside of the digital world
20 where, based on the type of credential, we may
21 want more than one. With a birth certificate,
22 that's an example where often you would want more
23 than one credential to establish the identity.
24 But it doesn't mean that the birth certificate
25 isn't being used to establish the identity.

1 S. NIELSON

2 Q. But you agree with me that by itself
3 the birth certificate is not dispositive as to
4 whether the bearer is actually the person he's
5 purporting to be?

6 A. Right. But when I said that the
7 credential is presented for purposes of
8 determining that, it does not mean that a single
9 credential absolutely establishes the identity of
10 somebody.

11 Q. I'm looking at Paragraph 31 of your
12 declaration. And this is the section where
13 you're talking about the '302 patent.

14 A. Yes.

15 Q. Just for background.

16 So you're introducing here the idea
17 that the '302 patent discloses more than one
18 embodiment that relates to validating funds
19 transfers associated with a check. Because you
20 say, "How the originating bank validates funds
21 transfer depends on the embodiment." Do you see
22 that?

23 A. Yes.

24 Q. So I take that to mean there is more
25 than one embodiment discussed in the '302 patent

1 S. NIELSON

2 that you think are relevant to the validation of
3 funds transfers.

4 A. So only one of these two is what is
5 described in Claim 51.

6 Q. Okay. That's what I wanted to find
7 out.

8 So what are the two that you are
9 thinking of, the two embodiments?

10 A. So as I describe them here, there is a
11 version where the VAN is decrypted, and then
12 there is another version where the VAN is not
13 decrypted but you reproduce the VAN and compare
14 the two, which is matching the steps of Claim 51.

15 Q. Okay. So which is matching the steps
16 of Claim 51?

17 A. The second embodiment that I describe
18 there.

19 Q. The words you used in Paragraph 32
20 were "regenerate the VAN," right?

21 A. Sure, that would be correct.

22 Q. So one embodiment does a regeneration
23 of the VAN, correct?

24 A. Yes.

25 Q. So in that case, regeneration means

1 S. NIELSON

2 that it was generated -- that that's at least the
3 second time the VAN is being generated?

4 A. Yes.

5 Q. And so when is the first time the VAN
6 is generated in that embodiment?

7 A. So the VAN is generated the first time
8 with the -- before it is sent to the originating
9 bank.

10 Q. So let me talk about the other
11 embodiment. The one you talked about is
12 decryption I think; is that right?

13 A. Yes. Well, the word is "decode," but
14 it's similar to decryption.

15 Q. The way the patent talks about it is
16 decoding?

17 A. Correct.

18 Q. Can you explain that embodiment to me?
19 How does it work? And you can refer to the
20 figures of the patent if you need to.

21 A. So as I describe here in my report,
22 what happens is that you have the receiving bank
23 that receives the VAN decoding it. And so that
24 would be similar to decrypting. And then that
25 information can be validated once it's decrypted

1 S. NIELSON

2 with the other information that was sent.

3 Q. And how would that work?

4 A. Well, so the VAN would be some
5 encoding of the other information that's being
6 sent.

7 Q. So then in that embodiment -- Where is
8 this taking place, by the way?

9 A. This is the originating bank
10 validating the funds transfer.

11 Q. So in that embodiment, the originating
12 bank receives the VAN and the information that's
13 encoded in the VAN, correct?

14 A. For example, that would be like what's
15 in the check or the TIN.

16 Q. So the check data?

17 A. Something like that, yes.

18 Q. So that's received separate from the
19 VAN?

20 A. Or together with it, but not -- In
21 other words, you receive the VAN, which is some
22 amount of encoded information, that when you
23 decode it you can verify it against the other
24 transmitted information.

25 Q. So when you decode the VAN in that

1 S. NIELSON

2 case, what comes out of that process?

3 A. Let me check the figures here.

4 So Column 5 -- Let me make sure I'm
5 looking at the right -- So Column 5, which is
6 referring to Figure 7, describes the creation of
7 the VAN starting about line 19. "The data output
8 of the coder" -- that's where they use the term
9 "encoding" and "decoding." They have a coder and
10 an uncoder, which in the figures you'll see is a
11 C for coder and a U for unencoder.

12 "The data output of the coder 30 is a
13 variable authentication number (VAN), which codes
14 the information to be authenticated, based upon
15 information related to the recipient and
16 information related to the originator. Note that
17 the VAN is alternatively generated directly from
18 INFO and information associated with at least one
19 of the parties, without the intermediate step of
20 generating the JK," which is a joint key. "The
21 VAN and at least a portion of the information
22 relevant to the transaction are written or
23 imprinted upon the check 32, thereby becoming a
24 permanent part of it. Alternatively, the check
25 may represent an electronic transfer of funds or

1 S. NIELSON

2 some other type of electronic transaction. In
3 this case, the VAN and at least a portion of the
4 information relevant to the transaction are
5 included with the electrical signals associated
6 with the electronic transaction."

7 So in this case the coding takes its
8 input, some of the financial information and some
9 of the information relative to one or both of the
10 parties.

11 So you want me to describe how that's
12 decoded?

13 Q. Uncoded.

14 A. Uncoded? Sure.

15 Q. Well, I'm really interested in knowing
16 what is the output of the uncoding operation,
17 then.

18 A. Sure. Let's jump down in Column 5 to
19 line 55 where it starts describing Figures 8A and
20 8B. "Figures 8A and 8B illustrate the
21 authentication process at a terminal when the
22 recipient presents the originator's check to be
23 cashed. The terminal communicates via a network
24 of the banks involved in the transaction.
25 Preferably, the terminal includes a device which

1 S. NIELSON

2 can read information directly from check 32."

3 Now, as I said earlier, that can be an
4 electronic check or, if it's the paper check, it
5 would be reading the VAN off the -- the printed
6 VAN off the check.

7 "Alternatively, the terminal operator
8 can manually key information into the terminal,
9 based upon information appearing on the face of
10 the check..."

11 I'm going to skip ahead just a little
12 bit.

13 Okay. We need to move over to
14 Column 6, starting at about line -- I guess
15 that's 66. "In the same manner as coder 28 of
16 Figure 7, coder 56 therefore produces the joint
17 key JK. JK is applied as the key input to an
18 uncoder 58, which receives the VAN from check 32
19 as its data input. Uncoder 58 therefore reverses
20 the coding operation performed by coder 30 of
21 Figure 7. If the information on the check has
22 been unmodified, the coder 58 should therefore
23 reproduce the information INFO from the check
24 which was sought to be authenticated."

25 Q. So is that then explaining that the

1 S. NIELSON

2 uncoder -- if the process worked, then the output
3 of the uncoder is the information which is the
4 same as the information that came from the check?

5 A. So for this embodiment, it would be
6 some subset of that information. I don't think
7 it has to be the complete information.

8 Q. But some subset would need to match in
9 order to verify that the check is authentic?

10 A. That's what I believe it is saying
11 there, yes.

12 Q. So that embodiment is the one that is
13 actually shown in Figure 8B, right? So box 58 of
14 8B is the uncoder that you were just referring
15 to, right?

16 A. I'm sorry, I was looking at 8A.

17 Q. 8B. Box 58 is the uncoder you were
18 just referring to?

19 A. Yes, that appears to be correct.

20 Q. And that's showing that the output of
21 the uncoder (U) is INFO, and that's the same as
22 the INFO that's coming into the comparator box 60
23 from the check?

24 A. Yes.

25 Q. And this is the embodiment that you're

1 S. NIELSON

2 saying is not covered by the claims?

3 A. Yes.

4 Q. Okay. So where is the embodiment that
5 is actually covered by the claims?

6 A. So in my report I describe the lines
7 here. Let me just double-check what they are.
8 It looks like we're back to Column 7.

9 Right. Yes. So starting on line 12,
10 just a little bit farther from where we were
11 reading: "Alternatively, the joint key JK from
12 the coder 56 can be used to code the information
13 (INFO) from the check to generate a new VAN,
14 which can be compared with the VAN from the check
15 to authenticate the check."

16 Q. That's the embodiment that you believe
17 is covered by the claim?

18 A. Yes.

19 Q. Okay. And why is the first one not
20 covered by the claims? What is it in the claims
21 that leads you to believe the claims don't cover
22 that embodiment?

23 A. Because Claim 51 is describing what's
24 happening at the originator's bank when they're
25 verifying the information. And so when it is

1 S. NIELSON

2 generating a variable authentication number,
3 that's that second embodiment.

4 Q. How do you know that that claim is
5 talking only about what is happening at the
6 originator's bank?

7 A. Well, I think I've described that at
8 some length in my report. Do you want me to --

9 Q. So you can't, just looking at the
10 claim by itself, figure out how you concluded
11 that it's only describing activities at the
12 originator bank?

13 MR. GREENSPOON: Object to the form.

14 THE WITNESS: Well, I know the basics
15 of my report. If you want me to go into
16 details --

17 BY MR. WILLIAMS:

18 Q. Without looking at your report, can
19 you tell me what it is about this claim
20 that leads you to believe it's only describing
21 activity at the originator's bank?

22 MR. GREENSPOON: Object to the form.

23 THE WITNESS: Sure. So these four
24 steps that are described here for Claim 51 I
25 believe need to be performed by a single

1 S. NIELSON

2 entity. First of all, just because that's
3 how I interpret the claim language as I read
4 it, but also because that's the only --
5 that's all I see described in the
6 specification. I don't see a description in
7 the specification for having those steps
8 done by different parties.

9 BY MR. WILLIAMS:

10 Q. What about the embodiment that we just
11 read that you said is not covered by the claims?
12 Doesn't that describe exactly that?

13 A. Well, no. Because we don't have -- we
14 don't have descriptions for how we would do other
15 necessary elements of these steps.

16 Q. Like what?

17 A. Well, so for example, take these two
18 steps at the beginning. Right? We receive funds
19 transfer information and then we generate a
20 variable authentication number.

21 Q. Uh-huh.

22 A. So my understanding of -- and it's a
23 hypothetical so hopefully I'm stating this
24 correctly. But my understanding of what you're
25 suggesting is that those two would be done --

1 S. NIELSON

2 Well, maybe I should just make sure.

3 So what way are you breaking up
4 these -- where are you putting the break here in
5 your hypothetical? Like which entity do you see
6 doing which steps?

7 Q. Well, let's say, for instance, the
8 entity that generates the check does the first
9 two steps, the receiving and generating.

10 A. Okay. So in the boxes we would have
11 the -- we would have -- that would refer, then,
12 to Figure 7 where it says we're going to create a
13 VAN on INFO.

14 So if I understand you correctly,
15 you're saying that the receiving funds transfer
16 information would be this arrow of INFO going
17 into Figure 7?

18 Q. Yeah.

19 A. And then the output of that VAN is the
20 generating?

21 Q. Correct.

22 A. So then we have these other two steps
23 where we say "determining whether at least a
24 portion of the received funds transfer
25 information is authentic by using the VAN and the

1 S. NIELSON

2 credential information."

3 So the reason why I think that doesn't
4 work is because we've got the received funds
5 transfer information which -- So okay. If we
6 look in the first limitation, we have the
7 receiving the funds transfer information. And
8 then in steps 2 and 3 where we have generating
9 and determining, it says that we're generating
10 using the received funds transfer information and
11 we're determining on the received funds transfer
12 information.

13 So in my mind, those two steps both
14 depend from the receiving step. It depends --
15 I'm not trying to use a legal word. What I'm
16 saying is that the generating has to be on the
17 received funds transfer information, which refers
18 to the first step, and the determining the
19 received funds transfer information also refers
20 to the first step.

21 So we would have to have the same
22 entity doing the generating and the determining
23 because they're both doing it on the same piece
24 of information.

25 Q. Okay. That's helpful.

1 S. NIELSON

2 MR. GREENSPOON: It's been probably an
3 hour.

4 MR. WILLIAMS: I think it has been an
5 hour. Do you want to take a break?

6 MR. GREENSPOON: Sure.

7 MR. WILLIAMS: Why don't we take five
8 minutes.

9 (Recess taken.)

10 BY MR. WILLIAMS:

11 Q. So I understand now how you're getting
12 to this conclusion. Let me just ask you a couple
13 more questions about it.

14 If MasterCard were to perform the
15 algorithm that's shown in Figure 8B, as disclosed
16 here in Figure 8B, they wouldn't practice the
17 claim, right?

18 A. I --

19 MR. GREENSPOON: Hold on. Object to
20 the form. Relevancy.

21 Go ahead.

22 THE WITNESS: I would -- I don't know
23 how to answer the hypothetical without
24 actually seeing the system to evaluate it.

25 MR. GREENSPOON: Also improper

1 S. NIELSON

2 hypothetical. Let me add that objection.

3 Incomplete.

4 BY MR. WILLIAMS:

5 Q. So you don't know what system actually
6 implemented Figure 8B from reading the patent?

7 MR. GREENSPOON: Object to the form.

8 THE WITNESS: Well, I think what I'm
9 saying is that when I look at Claim 51, I
10 think I've described which written
11 description I think that is. So obviously
12 if there is something that doesn't practice
13 the steps of Claim 51, then it doesn't
14 practice the steps of Claim 51. But it's
15 hard for me to give a hypothetical answer
16 without seeing more details.

17 BY MR. WILLIAMS:

18 Q. Is there any figure in the patent that
19 discloses the algorithm that you say is what
20 practices Claim 51?

21 A. Well, so I think the way that the text
22 in Column 7 lays it out is that Figure 8B is
23 still more or less correct. The only difference
24 is that, you know, it's a fairly common computer
25 science approach to compare a hash or something

1 S. NIELSON

2 of -- you know, some coding. And so Figure 8B is
3 still a very accurate read, it just has got a
4 slight change to how it does the verification of
5 the VAN.

6 Q. So step 58 and step 60 would not be
7 performed the way that they're shown in the
8 figure, in accordance with the embodiment that
9 you believe is disclosed in Claim 51?

10 A. Yes, that is my understanding.

11 Q. Okay. And there is no other figure
12 that shows the algorithm that you believe
13 practices Claim 51?

14 MR. GREENSPOON: Object to the form,
15 algorithm.

16 THE WITNESS: I don't -- I don't
17 believe there's another one that has it in
18 total, but I believe there are other places
19 in here where there is comparison of two
20 VANs. I would have to look. Do you want me
21 to go ahead and look?

22 BY MR. WILLIAMS:

23 Q. Sure, why don't you go ahead and look
24 and tell me where you see that.

25 A. No, I guess they do not have any

1 S. NIELSON

2 examples of comparing two VANs in the figures
3 that I can find.

4 Q. So earlier you said you might have
5 looked at district court opinions interpreting
6 the claims, the language of the claims.

7 A. Yes. I was trying to think if I've
8 ever seen any.

9 Q. Do you recall now either way if you
10 did?

11 A. I don't know. I don't think I have.

12 Q. Do you recall seeing any district
13 court opinions that interpret the claims such
14 that all four steps of Claim 51 must be performed
15 by the same entity?

16 A. Well, like I said, I don't think I've
17 seen any, so that would exclude that.

18 Q. Let me ask you about the claim
19 construction standard you applied. You refer to
20 this starting around Paragraph 41 of your
21 declaration.

22 A. Yes.

23 Q. In Paragraph 43 you say that the BRI
24 does not apply to the terms of the '302 patent.
25 And BRI there means broadest reasonable

1 S. NIELSON

2 interpretation. Do you see that?

3 A. I do.

4 Q. And you go on to say that the terms
5 must therefore be interpreted according to
6 traditional construction.

7 What does that mean?

8 A. So I'm not a lawyer. I'm basing this
9 on information that I understand --

10 Q. Let me rephrase the question.

11 What is your understanding of what the
12 distinction is between BRI and traditional
13 construction?

14 A. So as I say in here, I've worked in
15 other IPR proceedings where it has been the BRI.
16 And the understanding that I have had is that you
17 try to interpret the claims as broadly as you
18 think they could be conceivably interpreted,
19 whereas my understanding is in this IPR, because
20 the '302 patent has expired, that you do not
21 apply a BRI to the claims.

22 Q. Okay. Is there a difference between
23 BRI and traditional construction?

24 MR. GREENSPOON: Object to the form.

25 BY MR. WILLIAMS:

1 S. NIELSON

2 Q. In your view?

3 A. Well, I don't know the legal -- how I
4 would describe that legally. Certainly when I've
5 been working with IPRs where I've used a BRI, I
6 will just say generically that it's been a very
7 broad interpretation of claims, whereas when I've
8 been working in cases that do not use BRI, it's
9 usually more narrowly focused in some way or
10 another.

11 Q. Have you worked on cases that are in
12 district court rather than in the Patent Office?

13 A. Yes.

14 Q. And what's the standard that you've
15 applied in the district court cases that you've
16 worked on?

17 A. Well, again, based on what I'm told by
18 counsel, once the court has issued a Markman --
19 I've actually worked on cases where they don't
20 issue a Markman before the report is due, which
21 is difficult. But generally speaking, when there
22 is a court's claim construction, then the way the
23 court construes the terms is how I use those
24 terms in coming to my opinions.

25 Q. And in the cases where there hasn't

1 S. NIELSON

2 been a construction before you've had to write a
3 report, then what's the methodology you use in
4 those cases to interpret the claims?

5 A. In those cases what we did is we
6 actually analyzed it using both the -- you know,
7 the counsel that I was working with, their
8 proposed constructions as well as the opposing
9 counsel's proposed constructions. And so we
10 would actually do an analysis based on both
11 views.

12 Q. So do you have any understanding of
13 how a district court case is supposed to
14 interpret claims, what the methodology they're
15 supposed to use is?

16 MR. GREENSPOON: Are you going to
17 spend a lot of time on this line?

18 MR. WILLIAMS: No. But I think it's
19 important that we have an understanding of
20 the witness's understanding since he relies
21 on it.

22 MR. GREENSPOON: Well, I will repeat
23 the objection --

24 MR. WILLIAMS: That's fine.

25 MR. GREENSPOON: -- to the form. The

1 S. NIELSON

2 form objection is based on calling for legal
3 conclusions by someone who is not a lawyer.

4 THE WITNESS: No, I don't know how a
5 district court uses their methodology. I
6 mean, I don't know what their methodology
7 is.

8 BY MR. WILLIAMS:

9 Q. You don't know how a district court is
10 supposed to interpret claims?

11 A. I have no legal training in that, no.

12 Q. Right. Have you ever been instructed
13 on what the methodology is that the district
14 court is supposed to use in interpreting claims?

15 A. I may have had discussions about it,
16 but I certainly have no knowledge that I can rely
17 on.

18 Q. Okay. What is a digital signature?

19 A. Similar to what I said about public
20 key cryptography. It's a broad term. When
21 people think about digital signatures in the
22 computer science world, most typically what pops
23 up is doing something like an RSA signature or at
24 least, you know, contemporary.

25 Q. Contemporary? What do you mean,

1 S. NIELSON

2 "contemporary"?

3 A. Well, the idea of digital signatures
4 has undergone some evolution in how it's used
5 over the last couple of decades.

6 Q. All right. Let me just turn your
7 attention back to November 1992. What was a
8 digital signature at that time?

9 A. So there was a lot less of a standard
10 for how it was done, especially because it was
11 used so much more rarely. Nowadays just about
12 everybody is using digital signatures all the
13 time and so it's been standardized.

14 But the basic idea is that you attach
15 some kind of cryptographic authentication code
16 that in one form or another allows you to
17 determine -- usually it's going to determine that
18 the message has not been altered, and then it
19 sometimes also means that you know more or less
20 who is the author of the message.

21 Q. In 1992, were digital signatures
22 known?

23 A. Like I said, it's a broad term.
24 Digital signatures were definitely used in 1992.

25 Q. And at that time, if someone of

1 S. NIELSON

2 ordinary skill in the art were to hear the term
3 "digital signature," would they understand that
4 that's the result of a cryptographic operation?

5 A. Yes. Almost always you would have to
6 have some kind of cryptography to have it be a
7 real signature. Otherwise you would usually just
8 call it an authentication code. Even that might
9 be cryptographic. But digital signature, the
10 connotation is almost always cryptographic.

11 Q. Would a digital signature, then, in
12 1992, typically have been performed using some
13 sort of computing device?

14 A. Yes.

15 Q. What's an error detection code? And
16 then again I'm going to turn your attention to
17 November 1992.

18 A. Sure. Error detection codes are even
19 older. They've been around for a very, very,
20 very long time. Again, it's very broad. It
21 really refers to any type of system where you can
22 detect that an error has occurred. Usually it's
23 some data that's sent along, extra maybe. Even a
24 parity bit some people might have considered a
25 very primitive form of an error detection code.

1 S. NIELSON

2 Q. Would a digital signature in 1992 have
3 performed the function of an error detection
4 code?

5 A. Well, it depends.

6 Q. Are you finished with that answer?

7 A. I'm thinking. I'm thinking.

8 Let me -- I want to double-check my
9 report. I think error detection code has a...

10 Q. Sure. I will let you know I think
11 Paragraph 56 is where I see it used. It may be
12 other places, however.

13 A. Right. So are we talking about error
14 detection code in the context of this patent?

15 Q. Sure. Do you think the patent uses
16 the term "error detection code" in a specialized
17 way?

18 A. No. I would say that this definition
19 is very natural for error detection code. But
20 like I said, because it's so broad, I'm sure that
21 you can find somewhere some computer science
22 reference that would use something slightly
23 different. But I'm saying that this is a very,
24 very good definition of error detection code.

25 Q. Would this definition cover a digital

1 S. NIELSON

2 signature?

3 A. Yes.

4 Q. And why do you say that?

5 A. Well, the definition here, it says
6 "the result of applying an algorithm for coding
7 information that when applied to original
8 information creates coded information." Let's go
9 that far.

10 So most of the time when you have a
11 digital signature, it operates on the original
12 information and produces some kind of code or
13 extra information. "Wherein changes to the
14 original information can be detected without
15 complete recovery of the original information."

16 If somebody changes a message that has
17 a digital signature, then you will be able to
18 tell that it's changed but you can't necessarily
19 recover what the original message was.

20 Q. That's fine.

21 Let me ask you to turn to
22 Paragraph 61.

23 A. Okay.

24 Q. The very last clause of that paragraph
25 reads: "Counsel informs me that claims cannot be

1 S. NIELSON

2 of a broader scope than the invention described
3 by the specification."

4 Do you see that?

5 A. I do.

6 Q. What did you understand that to mean?
7 Is that a way that you can interpret what the
8 claims mean?

9 MR. GREENSPOON: Object to the form.

10 BY MR. WILLIAMS:

11 Q. Well, let me ask you this a different
12 way.

13 Did you use that principle in
14 determining what the claims mean in this case?

15 A. I'm not entirely sure I understand,
16 only because -- I'm just trying to make sure I
17 understand. In this paragraph I'm talking about
18 enablement. I don't -- I mean, I definitely use
19 the specification to interpret the claims, but I
20 don't -- I don't think that I was using this
21 information from counsel to interpret the claims.

22 Q. So what was the purpose of putting
23 this paragraph here? How did it influence your
24 opinion?

25 A. Because my understanding is that there

1 S. NIELSON

2 is a difference between enablement and
3 interpreting what the language in claims mean.

4 Q. Did you provide an opinion about
5 enablement in this proceeding?

6 A. So as I explain in the next paragraph,
7 I say that there is not sufficient written
8 description to enable Claim 51 where multiple
9 entities divide up the performance. So whatever
10 that means legally, that's what I did.

11 Q. Okay. So it was based on the fact
12 that the specification doesn't enable multiple
13 entities to perform the claims, you determined
14 that the claims therefore were limited to a
15 single entity? Have I said that correctly?

16 A. I don't think that there is enough
17 written description to enable multiple entities
18 performing those steps.

19 Q. Okay. I tell you what, let's table
20 that for one second. Let me just go back to the
21 error detection code very quickly.

22 A. Okay.

23 Q. The idea that a digital signature can
24 function as an error detection code, do you think
25 that was well known in the art by November of

1 S. NIELSON

2 1992?

3 A. The only reason I'm hesitating is
4 because even though you can use a digital
5 signature as an error detecting code, that's not
6 how it's normally used. So normally an error
7 detection code is for -- I'm just saying -- Let
8 me rephrase.

9 Let me say it this way. Digital
10 signatures were known in the art in 1992, and I'm
11 sure that if you asked somebody if a digital
12 signature would catch errors in a message, they
13 would say yes.

14 Q. Is a message authentication code an
15 error detection code?

16 A. Yes.

17 Q. Let me ask you to turn in Davies to
18 page 261.

19 A. Sure.

20 Q. In this section of Davies he's talking
21 about digital signatures; is that right? This is
22 in Chapter 9 which is entitled "Digital
23 Signatures."

24 A. Yes.

25 Q. So on page 261 in the middle of the

1 S. NIELSON

2 page there is a paragraph, and the second
3 sentence reads: "In principle, the signature
4 provides error checking."

5 Do you see that?

6 A. Yes.

7 Q. Does that indicate to you that Davies
8 at least understood, by the time he wrote this,
9 that a digital signature could be considered an
10 error detection code?

11 A. Yes. The reason why I'm being
12 hesitant is because we often distinguish between
13 errors, like line errors, like random errors,
14 versus forgery or malicious alteration. There
15 are a lot of error detection codes that detect
16 when things have gone wrong accidentally but
17 won't deal with malice. Digital signatures were
18 designed specifically to deal with malice. And
19 so that's the reason why I've been kind of
20 differentiating between the two.

21 Here where he's talking about errors,
22 he is talking about forgeries. It even says that
23 in a previous paragraph. That's the only reason
24 that I was being a little hesitant on the
25 distinction.

1 S. NIELSON

2 Q. Okay. But it would also capture
3 accidental errors, correct?

4 A. It would. The thing is that most of
5 the time by the time you've gotten to where
6 you're checking the digital signature, there are
7 other error detection codes that have already
8 caught accidental errors.

9 Q. I see. Let's go back to Paragraph 62.

10 A. Sure.

11 Q. Okay. You say: "A person of ordinary
12 skill in the art would not have sufficient
13 written description in the specification to
14 enable implementing Claim 51 such that multiple
15 entities divide up the performance of the
16 comprising steps."

17 Do you see that?

18 A. Yes.

19 Q. And you stand by that today?

20 A. Yes.

21 Q. Now, we've already looked at the
22 disclosure in the specification of the generation
23 of the VAN and the subsequent authentication of
24 the VAN by two different entities, didn't we?

25 MR. GREENSPOON: Object to the form.

1 S. NIELSON

2 BY MR. WILLIAMS:

3 Q. Do you remember our discussion about
4 that?

5 A. I do. I do. I don't know that I
6 think that that reads on the elements of
7 Claim 51.

8 Q. Right. And I think you explained why
9 not already; is that right?

10 A. Yes.

11 Q. Because of the receiving step being
12 necessary to the determining step?

13 A. Yes.

14 Q. And that's the reason that you
15 conclude that there's not sufficient written
16 description to allow multiple entities to divide
17 up the performance of authentication test?

18 A. No. Because I think there are ways
19 that the language of the claim doesn't
20 necessarily prohibit dividing it up, but that it
21 would require -- that there's not enough written
22 description to know how to do that.

23 Q. You say in your last sentence that
24 there would be undue experimentation required.

25 A. Right.

1 S. NIELSON

2 Q. What do you mean? What is the gap
3 that would need to be filled in?

4 A. Sure. So in situations where you're
5 going to have security -- So when I talk to my
6 students about security, I always tell them it's
7 all about the context. Once the context changes,
8 you have to almost start over because everything
9 changes.

10 And so if you've got secure
11 information that was originally all being handled
12 by one entity and then you split it up and start
13 sending it off to other entities, then that's --
14 that's a very, very, very challenging problem.

15 Q. Sure. But that's not what's being
16 described in the '302 patent, is it?

17 A. Well, I think that if you look at the
18 steps of Claim 51 and their description as being
19 performed by a single entity, when you break that
20 up and you have to transmit sensitive data
21 around, I think that that requires undue
22 experimentation.

23 Q. What's the sensitive data that you're
24 talking about?

25 A. So let's look at maybe steps 3 and

1 S. NIELSON

2 4 for example.

3 Q. I don't have the numbers down.

4 A. Oh, I'm sorry. So the determining
5 step.

6 Q. Is that step 3?

7 A. Sure. I've listed it just because of
8 the way it's visually depicted.

9 Q. That's fine. I just wanted to make
10 sure I know what you mean.

11 A. Sure. So I was referring to receiving
12 a step 1, generating a step 2, determining a step
13 3 and transferring a step 4.

14 Q. Okay. So go ahead.

15 A. So if you were to take the determining
16 step and the transferring step and split those
17 off into two separate entities, that is not at
18 all clear how that would be done correctly for
19 one of ordinary skill in the art.

20 Q. Okay. Well, let me just change the
21 hypothetical to make sure we're not talking about
22 different things. So I want you to consider the
23 hypothetical where steps 1 and 2 are performed by
24 one entity and steps 3 and 4 are performed by a
25 second entity.

1 S. NIELSON

2 So in that hypothetical, do you still
3 think that there's a lack of written description
4 in the specification to enable that?

5 MR. GREENSPOON: Object to the form.

6 THE WITNESS: So if we have steps 1
7 and 2 done by a different entity than steps
8 3 and 4, I think I've already identified one
9 of my concerns with it, which is that I
10 don't think that reads correctly.

11 But if you're going to have -- so
12 where you have the -- So I don't think there
13 is written description to describe how you
14 split that up between those two entities.

15 BY MR. WILLIAMS:

16 Q. All right. Do you have the patent?

17 A. Yes, I've got it.

18 Q. Let's just start with the basics. So
19 how is the check being presented in this
20 embodiment? Like physically where is the check
21 showing up to begin this process?

22 A. So the word "check" isn't in Claim 51,
23 but you're just referring to the embodiment that
24 I --

25 Q. So the embodiment that's described

1 S. NIELSON

2 that you say corresponds to Claim 51 involves a
3 check, doesn't it?

4 A. Yes.

5 Q. Okay. So that's the embodiment that I
6 want to talk about it.

7 A. So it says that it's a check for -- it
8 says it can be an other electronics funds
9 transfer.

10 Q. Sure. That data, where is it first
11 presented in this process?

12 MR. GREENSPOON: Object to the form.

13 And to clarify, do you mean the completed
14 check that has a VAN with it?

15 MR. WILLIAMS: That's what I mean.

16 BY MR. WILLIAMS:

17 Q. Is that what you understood?

18 A. I was just finding my place here
19 first. Give me a second.

20 Q. Sure.

21 A. I just want to make sure I'm in the
22 right place.

23 Q. I'm looking at Column 5, line 55,
24 where the idea of Figures 8A and 8B are kind of
25 introduced. It says they "illustrate the

1 S. NIELSON

2 authentication process at a terminal when the
3 recipient presents the originator's check to be
4 cashed."

5 Do you see that?

6 A. I do.

7 Q. So that's kind of what I was thinking
8 of here. Is that what you're thinking of?

9 A. Right. So this would begin the
10 electronic funds transfer -- Let me think about
11 that for a minute.

12 Q. Okay.

13 A. Yes. So this would begin the
14 electronic funds transfer process starting with
15 the check being presented to be cashed.

16 Q. And there is a reference to a terminal
17 here, right? Do you see that?

18 A. Yes.

19 Q. What is a terminal? Does that have a
20 meaning in connection with this patent?

21 A. So I think earlier they described it,
22 for example, as something that you would have
23 with, like, a check reader if you've got a paper
24 check. But in the case where it's just an
25 electronic transaction, it would just be able to

1 S. NIELSON

2 receive the electrical signals.

3 Q. Okay, fair enough.

4 Is that at the bank that's going to be
5 doing the steps of Claim 51?

6 A. No. No, it's not.

7 Q. Where is the terminal in this
8 embodiment?

9 A. The terminal is at the -- so I would
10 guess -- So it says here that the terminal
11 communicates via a network of banks involved in
12 the transaction. That makes it sound like its
13 location is not necessarily fixed.

14 Q. So just looking at Figure 8A there is
15 something called a recipient's terminal, right?
16 If it helps you to unstaple that exhibit, you're
17 welcome to.

18 A. 8A, recipient's terminal. Okay.

19 Q. So is that the terminal where the
20 check data is received?

21 A. Well, for purposes of Claim 51 where
22 we're talking about receiving funds transfer
23 information, it's not there.

24 Q. Okay. So where in Figure 8A and
25 Figure 8B does the step of receiving funds

1 S. NIELSON

2 transfer information take place?

3 A. So let me just line this up with the
4 text here. But I think I've got it. Give me
5 just a second here.

6 Okay. So there is -- But that's not
7 the funds transfer. That's just the identity.
8 They don't put a number on the little CPU there.

9 Okay. So yes, block 71. Okay. So in
10 the text of the bank -- in the text of the bank,
11 I apologize. In the text of the patent there is
12 first an initial check on the originator. So at
13 the originator's bank there is a check on the
14 originator's identity. And then after that, it
15 transmits the funds information.

16 Q. I'm sorry, at the originator's bank
17 there is a check of the identity, you said?

18 A. Yes. So the recipient -- In the
19 Figure 8A here there is a transmission from box
20 48 to box 62.

21 Q. Uh-huh.

22 A. That's from the recipient's terminal
23 through his bank. You know, there is some check
24 of his bank. But then there is a transmission to
25 the check writer's bank, which is the originator.

1 S. NIELSON

2 Q. I see. So that step you refer to,
3 step 71, request authorization to pay, that step
4 is performed at the recipient's bank, correct?

5 A. No. It's performed at the
6 originator's bank.

7 Q. Step 71 in Figure 8A, you're saying
8 that's happening at the originator's bank?

9 A. Yes.

10 Q. You're sure? Do you want to take some
11 time to read the embodiment before you commit to
12 that?

13 A. Oh, I see. Okay. Right.

14 So the transmission there is from the
15 terminal to his bank, and then the transmission
16 from the bank to the originator's bank.

17 So Figure 8B is the originator's bank.

18 Q. Okay. So you agree with me step 71,
19 that's happening at the recipient's bank?

20 A. Recipient's bank then communicates
21 with the originator's bank conveying all the
22 information about the transaction and requesting
23 an authorization to pay. That is Column 6,
24 lines 43 through 45. Yes. And that says it's
25 block 71.

1 S. NIELSON

2 Q. So doesn't that disclosure show you
3 that in this embodiment there are communications
4 between multiple entities to carry out this
5 transaction?

6 A. But there was no VAN generated on this
7 received funds transfer information.

8 Q. Right. That happens at the
9 originator's bank?

10 A. Yes.

11 Q. Okay. But clearly what Mr. Stambler
12 in his patent has disclosed here is a system
13 where all of the data is communicated from the
14 recipient's bank to the originator's bank.

15 A. Well, yes. But I'm not saying that
16 that meets the first limitation of receiving the
17 funds transfer information.

18 Q. No. But you said earlier that he
19 didn't provide a written description that would
20 allow multiple entities to send data around
21 because it's so sensitive. And in fact he does
22 disclose that, doesn't he?

23 MR. GREENSPOON: Object to the form.

24 Mischaracterizes the testimony.

25 THE WITNESS: What I'm saying is the

1 S. NIELSON

2 steps of Claim 51 are not disclosed being
3 done by separate entities.

4 BY MR. WILLIAMS:

5 Q. Right. And you say that because where
6 he actually does disclose the steps of Claim 51
7 being done by separate entities, that's actually
8 not covered by the claims, right?

9 MR. GREENSPOON: Object to the form.
10 Mischaracterizes the testimony.

11 THE WITNESS: I didn't say that he
12 disclosed those steps being done by separate
13 entities.

14 BY MR. WILLIAMS:

15 Q. But he does disclose an embodiment,
16 and in fact it's the embodiment that's shown in
17 Figures 8A and 8B that you say is not covered by
18 the claims?

19 A. Well, he shows an -- the embodiment
20 where it's being -- It's not even still being
21 done by separate entities there. It's still all
22 being done at one entity. You still have the
23 check arriving there, the VAN being decoded.
24 It's not covered by the claims, but I don't see
25 it being done by separate entities.

1 S. NIELSON

2 Q. Let's just assume that the way the
3 claim reads is that the receiving funds transfer
4 information and generating step are done when the
5 check is created and then steps 3 and 4 are done
6 at the originator's bank. I know that you
7 disagree that that's a proper way to read the
8 claim, but my question to you is: Is there a
9 written description for that reading?

10 A. Well, no. Because you have to have
11 the generating of the VAN before you transmit.

12 Q. Uh-huh. Figure 8B shows exactly that,
13 does it not?

14 A. No. Figure 8B shows that you have a
15 VAN that has been received.

16 Q. Figure 8B shows a VAN being
17 transmitted into this box U, does it not?

18 A. Yes. But that VAN has been received.
19 It wasn't generated.

20 Q. So you're saying that even though the
21 VAN somehow magically appears on the figure, it
22 actually hasn't been generated yet?

23 A. I'm saying it wasn't generated at the
24 recipient's bank.

25 Q. Okay. But you agree with me that the

1 S. NIELSON

2 VAN shown in Figure 8B was generated before it
3 gets transmitted to box 58?

4 A. Yes.

5 Q. Okay. So now the question to you is:
6 If you were to assume, putting aside -- I realize
7 you disagree that this is a correct reading of
8 the claims. But if you assume the claims could
9 read on steps 1 and 2 being performed by one
10 entity and steps 3 and 4 being performed by a
11 different entity, that that has written
12 description in the specification?

13 MR. GREENSPOON: Object to the form.

14 Improper hypothetical.

15 THE WITNESS: No, I still think that
16 there is not enough written description, and
17 I'll show you why. If you look at 51 and
18 you look for --

19 BY MR. WILLIAMS:

20 Q. I'm sorry, 51 --

21 A. Claim 51. I apologize. Claim 51,
22 step 4, where it says that we transfer the funds,
23 it says "if the funds transfer information and
24 the VAN are determined to be authentic." What is
25 described here would not authenticate the VAN.

1 S. NIELSON

2 Q. Why do you say that?

3 A. Because the VAN isn't determined to be
4 authentic in this description, only that the
5 check information matches it.

6 Q. How could the check information match
7 the VAN if it wasn't authentic?

8 A. Because a forger could -- if you have
9 an unsecured line, a forger can transmit a fake
10 check but with a legitimate VAN for that fake
11 check. For example, maybe with a replay attack
12 or something like that.

13 Q. But in that case the VAN would still
14 be authentic. You're replaying an authentic VAN.

15 A. But you don't check the VAN. There's
16 no check on the VAN to determine if it's
17 authentic. Just because it uncodes is not
18 authentication.

19 Q. What's the difference?

20 A. So what I see described here in
21 Figure 8B is that you do a check on the INFO but
22 not a check on the VAN. Those are separate
23 checks. There is only a check here for the check
24 on the INFO.

25 On the other hand, where you have a

1 S. NIELSON

2 situation where you compare the VAN to a
3 regenerated VAN, you're checking both the VAN and
4 the data.

5 Q. So can you think of any other
6 situations where the VAN, the decoded VAN, would
7 match the information on the check other than a
8 replay attack?

9 A. Not off the top of my head.

10 Q. Let me do this. Let's turn to
11 Paragraph 66.

12 A. Sure. Of my report?

13 Q. Yeah, your report.

14 A. Yes.

15 Q. You say a couple of things in this
16 paragraph, and I want to see if you're going to
17 stand by them.

18 In the second sentence you say: "One
19 entity receives the funds transfer information
20 and another entity then determines the VAN."

21 Let me back up. First of all, what
22 does "determine the VAN" mean here?

23 A. Generate the VAN.

24 Q. I see. Then "The claims and
25 specification do not describe how the funds

1 S. NIELSON

2 transfer information gets from the first entity
3 to the second."

4 Do you still say that that's true?

5 A. Yes, that is correct.

6 Q. So there is no description in the
7 patent of how funds transfer information gets
8 from the entity that generated the check to the
9 originator's bank?

10 MR. GREENSPOON: Object to the form.
11 Mischaracterizes the testimony.

12 THE WITNESS: What I said is, if one
13 entity receives the funds and another entity
14 determines the VAN, generates the VAN, it
15 doesn't describe how the funds transfer
16 information gets from the first entity to
17 the second. That is correct.

18 BY MR. WILLIAMS:

19 Q. Does the patent describe how the
20 information gets from the entity that generated
21 the check to the originating bank?

22 A. Can you ask that question again just
23 to make sure I understand it.

24 MR. WILLIAMS: Can you read it back?

25 (Whereupon, the requested portion was

1 S. NIELSON

2 read back by the Reporter.)

3 THE WITNESS: So to be clear, you're
4 talking about when the check is generated,
5 like when it's created, when it's written?
6 Like with Figure 7?

7 BY MR. WILLIAMS:

8 Q. Yes.

9 A. Okay. And you're asking if there is
10 anything in the specification about how the check
11 gets back to the originating bank?

12 Q. How the information from the check
13 gets to the originating bank.

14 A. Yes.

15 Q. There is a description of that, right?

16 A. Yes.

17 Q. The funds transfer information is on
18 the check, right?

19 A. Yes.

20 Q. And the VAN is on the check, correct?

21 A. Yes.

22 Q. Let me ask you about another sentence
23 here in Paragraph 66.

24 A. Yes.

25 Q. It might be the last sentence. It

1 S. NIELSON

2 says: "Because the VAN is an authentication
3 number, it would have to be transmitted
4 securely."

5 Do you see that?

6 A. I do.

7 Q. How did you reach that conclusion?

8 A. Okay. So if the VAN is being
9 determined if it's authentic -- So if I'm
10 transmitting a message along with a signature and
11 I can intercept that, then there are ways that I
12 can take advantage of that. Well, I've given an
13 example already. For example, a replay attack.

14 Q. And so because of the possibility of a
15 replay attack, you've concluded that the VAN has
16 to be transmitted securely?

17 A. I'm saying that somebody who is doing
18 a security design has to make sure they've taken
19 all of those factors into consideration, none of
20 which are described in the specification.

21 Q. Right. The specification doesn't
22 describe transmitting the VAN securely, right?

23 A. The specification doesn't describe
24 breaking these steps up and having them done by
25 multiple people.

1 S. NIELSON

2 Q. Listen to the question I'm asking.

3 Does the specification describe
4 transmitting the VAN securely? I can save you
5 some time. The specification describes the
6 opposite, doesn't it?

7 Look at Column 6, line 12, that
8 paragraph.

9 A. Oh, I see what you're asking. You're
10 asking if it describes sending it insecurely?

11 Q. Yes.

12 A. I see. Okay. So the difference here
13 is that this is describing -- In other words,
14 what's described here by the patent does not
15 necessarily mean that you could translate this
16 unsecured line at this phase into any other place
17 where you broke it up just because it was done
18 somewhere else.

19 So what I'm getting at is that for
20 somebody who is going to implement the patent,
21 the person implementing the patent doesn't know
22 from how it's being transmitted in one block, if
23 it's appropriate to transmit it that same way in
24 another block. Because when you're doing a
25 secure design, one of ordinary skill in the art

1 S. NIELSON

2 is not going to have the background for designing
3 a cryptographic protocol. So if the way it's
4 written in the specification tells them to do it
5 a certain way, that's fine, but they're not going
6 to go experimenting with it to figure out a way
7 to expand beyond it.

8 Q. So the description that is at
9 Column 6, line 12 to 16, is describing
10 transmission of the information that's read from
11 the check to the recipient's bank, correct?

12 A. That is correct.

13 Q. And that would include the VAN?

14 A. Yes.

15 Q. And it says that that's preferably
16 done over an unsecured line?

17 A. Yes.

18 Q. So at least in this section of the
19 patent, the way the VAN is treated is that it's
20 perfectly fine to send the VAN over an unsecured
21 line?

22 A. Well, the VAN is not being sent by
23 itself.

24 Q. Okay. But it is being sent, correct?

25 A. That is correct.

1 S. NIELSON

2 Q. And that's the only place that the VAN
3 is sent to the -- that's the only way that the
4 VAN is sent to the recipient's bank, correct?

5 A. I believe that's the only thing
6 described.

7 Q. And then subsequently the recipient's
8 bank transmits all that information to the
9 originator's bank?

10 A. Yes.

11 Q. Okay. Let me move to some questions
12 about Davies, I think.

13 A. All right.

14 Q. So in Paragraph 71 of your declaration
15 there is your discussion of the Davies reference.

16 A. Sure.

17 Q. You said: "Although Davies does not
18 say it explicitly, the recipient of the check can
19 only trust the funds transferred encoded therein
20 if he or she also trusts the registry and
21 verifies the chain of signatures."

22 Do you see that?

23 A. I do.

24 Q. How do you know that? Is that just
25 from your knowledge of digital signatures? If

1 S. NIELSON

2 it's not explicit in Davies, how did you reach
3 this conclusion, I guess is my question.

4 A. Yes, that's my knowledge of public key
5 cryptography.

6 Q. So by November of 1992, was the idea
7 of a -- Well, let me back up.

8 So you're referring here to page 328
9 of Davies. It might help to have that in front
10 of you.

11 So the first two sections of the check
12 shown at the bottom of 328, fields 1 through 8,
13 is that a signature chain that you're referring
14 to in that sentence of Paragraph 71?

15 A. So in computer science we would
16 commonly refer to this as a signature chain.

17 Q. And why is it called a signature
18 chain?

19 A. Well, public key cryptography is great
20 but it still has kind of a point of failure, and
21 that is how do you know to trust the key that
22 signed it. Right? You've got this public key,
23 but that doesn't mean that it came from the right
24 person.

25 And so typically the way that -- Well,

1 S. NIELSON

2 so the way that Davies proposed that this be
3 solved is that you have some kind of registry
4 that -- you know, he gives a couple of different
5 examples for what a registry might be. In this
6 particular case, he suggests that it could be
7 like the central bank of a country and they would
8 be some kind of trusted party.

9 So when you receive something signed
10 by a person, then you see who signed their key
11 all the way back up to somebody you trust.

12 Q. All right. So Davies does say that
13 this is a hierarchy of keys, right?

14 A. I don't know if he uses the word
15 "hierarchy," but that's the basic idea.

16 Q. The middle of 328 it says: "The
17 implementation of an electronic check requires
18 technically little more than a digital signature
19 facility with a key registry to authenticate
20 public keys. A hierarchy of keys is proposed."

21 A. Yes.

22 Q. So he does say hierarchy?

23 A. He does.

24 Q. The question is: In your sentence in
25 Paragraph 71, you say Davies doesn't say it

1 S. NIELSON

2 explicitly. What is it that's not explicit in
3 Davies about this?

4 A. So the sentence Davies gives is that
5 anyone can verify the bank's public key using the
6 signature and public key of the key registry.
7 Also, in the same paragraph, that the bank
8 authenticates the customer's public key.

9 So what I was saying is that it
10 doesn't explicitly say that when you receive a
11 check, if you want to trust it, you first
12 authenticate the check, then you authenticate the
13 signer of the check, then you authenticate the
14 signer of the signer of the check.

15 Q. Do you think that a person of ordinary
16 skill in the art in 1992 would have understood
17 that idea from the disclosure of Davies?

18 A. I think so.

19 Q. So he does talk about the central bank
20 being a key registry. But a few pages later, on
21 page 331, in the middle of the page just before
22 the heading "Development of the Intelligent
23 Token," he says: "If public key signatures come
24 into use first in payment systems that financial
25 institutions will establish key registries."

1 S. NIELSON

2 Do you see that?

3 A. I do.

4 Q. So that would be a situation where the
5 banks would set up some sort of key registry
6 system. Is that how you interpret that?

7 A. Well, I don't see that as really being
8 any different where he's describing it as the key
9 registry being the central bank of a country. I
10 mean, it's a hypothetical, like if this happens,
11 it will look like this.

12 Q. Okay. So then let me turn to the next
13 page, Paragraph 72 in your declaration.

14 A. Yes.

15 Q. You say: "It should be noted what is
16 authenticated by this hierarchy of signatures is
17 funds transfer information, not the individual
18 presenting the check."

19 What do you mean by that?

20 A. So like I was describing earlier, the
21 check is not being presented to establish the
22 identity of anybody.

23 Q. Well, isn't it not being presented to
24 establish the identity of the person who wrote
25 the check?

1 S. NIELSON

2 A. I wouldn't say so, no.

3 Q. So you don't need to know anything
4 about the person who wrote the check in order to
5 process the check, you're saying?

6 A. You don't need to know anything more
7 than whether or not it's a legitimate bank
8 account.

9 Q. Okay. But in fact, the bank has
10 signed the customer's public key and tied that to
11 their identity on the check, right?

12 A. But that's not even necessarily the
13 identity of the person writing the check. For a
14 personal check, you would often have that kind
15 of -- but even then -- So, for example, if I have
16 a checking account in my name and my wife's name,
17 either one of us may be able to sign for that
18 account. But that wouldn't establish our
19 identity when we present it.

20 Q. You would agree with me that the way
21 Davies describes this is that the digital
22 signatures in that, for instance, field 8 is to
23 authenticate the public key of the customer?

24 A. That it is a -- Yes, it is a signed
25 public key.

1 S. NIELSON

2 Q. And the purpose of it, according to
3 Davies, is to authenticate that key?

4 A. Well, again, it's to make -- Yes, you
5 are authenticating that the funds transfer
6 information is accurate. But it's not being
7 presented to --

8 Q. Let me ask you about paragraph --
9 sorry, field 8.

10 A. Sure.

11 Q. Field 8 is the signature of the bank?

12 A. Yes.

13 Q. That signature is generated before
14 there is any funds transfer information?

15 A. That's correct.

16 MR. GREENSPOON: I would just ask that
17 you let him finish his answers when he's
18 giving an answer.

19 BY MR. WILLIAMS:

20 Q. Was there a part of your last answer
21 that you need to finish?

22 MR. GREENSPOON: Three or four answers
23 ago. I was waiting for a time to tell you.

24 THE WITNESS: Go ahead.

25 BY MR. WILLIAMS:

1 S. NIELSON

2 Q. So it can't be that that signature is
3 authenticating funds transfer information because
4 there's none that exists when the signature is
5 created?

6 A. Well, that's not accurate, because the
7 purpose of that customer public key there isn't
8 to identify the customer. It's to authenticate
9 the funds transfer information in the next
10 sequence.

11 Q. What do you mean?

12 A. Well, the parts 9 through 15 are
13 signed by that customer. The only way that you
14 would be able to trust that funds transfer
15 information is if the bank has also authenticated
16 it, and you only trust the bank if it's signed by
17 this trusted key registry.

18 Q. Now, you agree with me, though, that
19 the way Davies describes the processing of this
20 check, those signatures are checked during the
21 processing?

22 A. That would make sense.

23 Q. And if those signatures don't check,
24 then the funds aren't transferred?

25 A. Yes.

1 S. NIELSON

2 Q. In Paragraph 74, the last sentence
3 reads: "From the perspective of the recipient it
4 makes no difference for verification purposes if
5 the check was signed by a token or not."

6 What do you mean there? I mean, if
7 the check is not signed, it's not going to get
8 processed correctly, right?

9 A. I don't think I meant whether it's
10 signed or not. I'm pretty sure that what this
11 sentence is saying is that it doesn't matter if
12 it was signed by a token or by something else.

13 Q. Okay. You agree, though, that the
14 electronic check being described in Davies, the
15 recipient only gets their money if the check was
16 properly signed?

17 A. Yes.

18 Q. If you could turn to Paragraph 76.

19 A. Sure.

20 Q. So Davies talks about this thing, this
21 intelligent token. And that's the token you're
22 referring to back in Paragraph 74, right?

23 A. Yes.

24 Q. And that's the thing that Davies says
25 is going to actually be involved in putting this

1 S. NIELSON

2 customer signature onto the check?

3 A. Well, it said that was one way you
4 could do it.

5 Q. Do you have an understanding of what
6 this token is that he's talking about?

7 A. Well, he just describes it as an
8 intelligent token or a smartcard. So these were
9 seen as a bigger deal in 1992, kind of before
10 everybody had smartphones. And the idea was that
11 you would have some little device with some
12 processing power. Depending on the type,
13 sometimes it would have a keypad, sometimes it
14 wouldn't.

15 In the security community, the idea
16 was that you could keep some secrets with you.
17 Or this one is described as not having -- Well,
18 right. So yeah, private customers of the bank
19 can carry their keys more conveniently, for
20 example, in an intelligent token or smartcard,
21 and then suggests a PIN as a way of protecting
22 secrets on a device.

23 Q. So the smartcard meaning that it would
24 have some computing capability?

25 A. Some computing capability.

1 S. NIELSON

2 Q. And memory for storing like the secret
3 key, for instance?

4 A. There would have to be some memory in
5 it.

6 Q. Okay. And that's the thing that, in
7 Davies, issues these checks that are being
8 discussed, right?

9 A. Well, I wasn't always sure that that
10 was clear, because -- well, so it says it's
11 functioning as an electronic checkbook. I felt
12 like there were a lot of details in it that were
13 just left unclear.

14 Q. Your interpretation, at least as
15 reflected in Paragraph 74, is that the
16 intelligent token is used in issuing checks?

17 A. Well, certainly you would have to sign
18 it, like you and I both agreed that you would
19 have to sign it. From that perspective, that, in
20 my mind, is when the check is issued.

21 Q. Fair enough.

22 You say there are some similarities
23 between the two examples. And I take the two
24 examples to mean an example where you're doing an
25 ATM transaction and an example where you're doing

1 S. NIELSON

2 a transaction at a point-of-sale terminal?

3 A. That's what I say at the beginning of
4 76.

5 Q. Okay. And you say those two examples
6 are similar but are separate and distinct?

7 A. Yes.

8 Q. All right.

9 A. And just to be clear, my exact
10 language was there are some similarities.

11 Q. All right. Fair enough.

12 Now, the ATM example, do you agree
13 that it would use the same structure as the
14 electronic check, the same data format as the
15 electronic check shown in Figure 10.22?

16 A. Well, that is something that I felt it
17 left fairly vague. So, you know, it references
18 Figure 10.22. I'm over on page 331. This is
19 starting about a third of the way into the page.
20 "All the messages carry similar information but
21 their purposes are different. To differentiate
22 these messages and provide for even wider use,
23 the format of Figure 10.22 includes the
24 transaction type." And then it lists some
25 possible transaction types.

1 S. NIELSON

2 It's not entirely clear how changing
3 the transaction type would adjust your format for
4 10.22.

5 Q. Well, 10.22, field 10, that's
6 transaction type?

7 A. Right.

8 Q. So isn't that the field that's being
9 discussed there?

10 A. Right. What I'm saying is, you don't
11 need, say, Item 13 or 14 in 10.22, or at least
12 it's not necessarily going to be the same set.

13 Q. So you say at the bottom of 76 that if
14 the transaction type is an ATM, there would be no
15 need to write payee identity into the check. And
16 that's field 13, right?

17 A. Field 13, yes.

18 Q. So I don't understand, though, why you
19 say that. Someone is going to need to be paid,
20 right?

21 A. Well, if you're doing an ATM
22 withdrawal, then the customer's identity would be
23 the same identity as the payee.

24 Q. Why do you say that?

25 A. Because the money is being withdrawn

1 S. NIELSON

2 from the customer's account to be given out in
3 cash.

4 Q. Right. But the assumption that he's
5 making here is that the customer is at an ATM
6 that is not his bank's ATM, right?

7 Well, let's make it more concrete.
8 Look at Figure 10.23.

9 A. Okay.

10 Q. Doesn't that show you that the ATM is
11 somewhere besides the card issuer bank?

12 A. Okay.

13 Q. So wouldn't in fact you need to make a
14 payment to the payer bank in this case in order
15 to complete the exchange?

16 A. But I don't think that the payment
17 from B to A is what goes into the check payee
18 field.

19 Q. So how in the world does the issuer
20 bank know who to pay?

21 A. Well, I don't know -- I'm not saying
22 that there wouldn't be a message between the two.
23 I'm just saying that I don't know that it's the
24 check.

25 Q. Right. You agree with me that the

1 S. NIELSON

2 thing that's shown in Figure 10.23 is there is a
3 payer Bank A and a card issuer Bank B. That's
4 the customer's bank?

5 A. Yes.

6 Q. And those are distinct banks?

7 A. Uh-huh.

8 Q. And that Bank A needs to get paid
9 before any money is going to go out of that ATM,
10 right?

11 A. Yes.

12 Q. So there has to be some way for the
13 card issuer bank to know who to pay?

14 A. Yes.

15 Q. Wouldn't it be logical to put that
16 identity into the check field for payee identity?

17 A. Well, I don't know that that's
18 necessarily true. I guess I'm still thinking
19 about my paper check example. We don't use paper
20 checks at an ATM, but when you write a check to
21 cash or something, that the payee identity is --
22 I'm not sure. I don't know.

23 Q. It would be one way to do it, though,
24 right, would be to identify Bank A in that field
25 13?

1 S. NIELSON

2 A. Well, the reason why that seems odd to
3 me is that this has to work for when you're at
4 your own ATMs as well. So what goes into the
5 payee field then? I don't see that that makes
6 sense.

7 Q. But in the case where you're not at
8 your ATM, what I just said would work, correct?

9 A. I'm not sure. I don't know.

10 MR. WILLIAMS: So we can take a break
11 if we need one, otherwise we can keep
12 pushing through here.

13 (Off the record.)

14 THE WITNESS: Let me just add on to
15 that last answer. The reason why I'm not
16 sure is because Davies doesn't describe it.
17 You know, there are a lot of different ways
18 we can conjecture about it, but my point was
19 it's not described.

20 BY MR. WILLIAMS:

21 Q. He describes the ATM example as
22 involving making a payment to Bank A, though?

23 A. Yes, he does.

24 Q. And that's the bank where -- that's
25 the payer bank?

1 S. NIELSON

2 A. Yes.

3 Q. Let me turn to page 83 of your dec.

4 A. Okay.

5 Q. Here you're talking about the
6 petition, so maybe I should try to get that in
7 front of you.

8 (Petition for Covered Business Method
9 Review incorporated into the deposition
10 record and attached hereto but not marked as
11 an exhibit.)

12 BY MR. WILLIAMS:

13 Q. So I've put in front of you the
14 petition which is --

15 MR. GREENSPOON: This is Petition
16 Number 1. Is that what you intended?

17 MR. WILLIAMS: Yes.

18 MR. GREENSPOON: Because there was a
19 corrected petition.

20 MR. WILLIAMS: There was, but I don't
21 think it's going to matter for the purpose
22 of this question, though.

23 MR. GREENSPOON: We'll take it as far
24 as we can take it.

25 MR. WILLIAMS: Yeah.

1 S. NIELSON

2 MR. GREENSPOON: We'll just have to
3 sort of object to the document. I don't
4 know if there will be any problems with your
5 question.

6 MR. WILLIAMS: That's fair enough.

7 BY MR. WILLIAMS:

8 Q. I recognize you may not have seen this
9 in this particular format before. But let me
10 just ask you about your Paragraph 83 where you
11 say under the heading "Example 1," the petition
12 cites to a section?

13 A. Yes.

14 Q. What were you referring to there? I
15 do have a copy of Paper 7, but it's been marked
16 up. So if you need it, I can show it to you, but
17 otherwise it's easier to work from that.

18 A. That's fine.

19 Q. So in this paragraph you're talking
20 about the petition's analysis of the preamble,
21 Claim 51 I believe; is that right?

22 A. Yes.

23 MR. WILLIAMS: I think our Paper 7
24 actually says "Paper 1" on it. At least my
25 copy does. Can I just see this real quick?

1 S. NIELSON

2 This one is actually correct, it's
3 just got the wrong caption on it.

4 MR. GREENSPOON: Okay, that's fine.
5 I'll withdraw the objection. You didn't
6 print in color, though.

7 MR. WILLIAMS: No. Sorry.

8 THE WITNESS: So can you be more clear
9 in your question? Which part of my --

10 BY MR. WILLIAMS:

11 Q. So I guess the question that I'm
12 really trying to get an answer to -- and now I've
13 misplaced your declaration. Give me one second.

14 So I'm just trying to figure out, when
15 you say the petition cites to a section of Davies
16 that has nothing to do with electronic funds
17 transfer, what are you referring to? I just
18 can't tell.

19 A. Example one here says --

20 Q. "Here" meaning --

21 A. I'm sorry. This is page 24 of the
22 document in front of me, "Petition for Covered
23 Business Method Review." There is a chart here,
24 and on the left-hand side of the chart it says
25 Claim 51, 51(3)(a). On the right-hand side of

1 S. NIELSON

2 the chart it says: "Example 1. Davies describes
3 that Bill presents the check for payment to his
4 own bank which sends the check to payer's bank.
5 The payer's bank debits Ann's account and the
6 payee's bank credits Bill's." And it says Davies
7 at 10.2, page 285.

8 Q. Okay.

9 A. So that section here in 285 is talking
10 about a paper check clearing scheme.

11 Q. Okay. So 285 of Davies?

12 A. Of Davies, yes.

13 Q. So this is in Chapter 10 which is
14 entitled "Electronic Funds Transfer," right?

15 A. Yes.

16 Q. So your point, I guess, is just that
17 this example seems to be talking about the
18 pre-existing paper system rather than the
19 electronic system?

20 A. Well, the subsection 10.2 says
21 established payment mechanisms.

22 Q. Okay.

23 A. The first line of which is the three
24 main payment systems in operation today are cash,
25 checks and credit cards.

1 S. NIELSON

2 Q. Okay. So the existing payment systems
3 at the time of Davies includes electronic funds
4 transfers, right?

5 A. Well, it says that the three main are
6 the cash, checks and credit cards. Then what it
7 describes over here, the bank check, and it goes
8 from pages 285 to 286, all describe paper check
9 handling.

10 Q. Okay. So now the bank check is
11 introduced at page 285, right?

12 A. Yes.

13 Q. And then on the next page, 286, at the
14 end of that paragraph he says, the very last
15 sentence of the paragraph, or the last two
16 sentences of that section: "are changed into a
17 modern form as a message with a digital signature
18 that is going to be regarded as an electronic
19 check. At the end of this chapter we show that
20 this kind of message can be a basis of many
21 different payment systems." Correct?

22 A. That's what it says.

23 Q. Okay. And you're saying that this
24 section, then, has nothing to do with electronic
25 funds transfer?

1 S. NIELSON

2 A. Well, the chart that is shown, the
3 Figure 10.1, and the description that is given,
4 was describing the previous system. And even
5 though it's saying it's adapted into a modern
6 form, I felt like by itself without any further
7 information, that it was not describing
8 electronic funds transfer.

9 Q. And the next paragraph, 84, the second
10 sentence. Here you're talking about Example 2 of
11 the petition.

12 A. Yes.

13 Q. The second sentence reads: "While the
14 electronic check does deal with electronic
15 transfer of funds, it does not identify an
16 account associated with a second party."

17 What's your basis for saying that?

18 Actually, let me withdraw the question
19 and ask it a different way.

20 You agree with me that the electronic
21 check in Davies includes data to identify the
22 second party?

23 A. Yeah, it includes a payee identity.

24 Q. I'm sorry. So is the second party
25 here the payee or is it the drawer?

1 S. NIELSON

2 A. It's the payee.

3 Q. Okay. You're basing that off of the
4 way the claim talks about the first party and the
5 second party, right?

6 A. Let me make sure. Yes, a transfer of
7 funds from an account associated with a first
8 party to an account associated with a second
9 party.

10 Q. Okay. So the Davies check doesn't
11 identify an account of the person being paid?
12 That's your point?

13 A. Yes.

14 Q. I got it. Okay.
15 The payee, however, is identified in
16 the Davies check?

17 A. It has an identity field for the
18 payee, yes.

19 Q. All right. In Paragraph 86, the last
20 sentence says: "Davies never ties together paper
21 checks and key registries."

22 So the electronic check in Davies
23 includes a signature of a key registry, right?

24 A. The electronic check includes a
25 signature by the key registry.

1 S. NIELSON

2 Q. And you agree with me that Davies does
3 tie together paper checks and electronic checks
4 when it says on page 286 that you could change
5 the paper check into modern form as a message
6 with a digital signature?

7 A. Well, I don't know that that goes in
8 reverse. I don't know that you apply digital
9 signatures to paper checks.

10 Q. Isn't that what Davies tells you to
11 do, convert a paper check into a modern check by
12 using digital signatures?

13 A. Well, no. I would say that he's
14 saying to convert it into an electronic check
15 with digital signatures.

16 Q. Right. I'm sorry, maybe I just didn't
17 understand your last answer.

18 So Davies teaches that you can take a
19 pre-existing paper check and convert that into a
20 more modern form by making it electronic and
21 using digital signatures?

22 A. Yes.

23 Q. Okay. In Paragraph 89 -- We've talked
24 about this already a little bit. It says: "The
25 check is not presented for purposes of

1 S. NIELSON

2 establishing or determining the identity of the
3 party."

4 A. Yes.

5 Q. And here I think we're talking about
6 the party who wrote the check, the drawer of the
7 check, right?

8 A. Correct.

9 Q. Okay. So again, when the check is
10 presented to the originator's bank, it's done so
11 that the originator can determine whether to
12 transfer funds, right?

13 A. Right. But it's not being presented
14 to establish the identity of the party presenting
15 it.

16 Q. Well, doesn't the originator need to
17 determine which account to draw the funds from?

18 A. Yes. But that's not necessarily the
19 person presenting it. Right? What I'm saying is
20 that anybody can present this check, and that's
21 not used to establish the identity of the person
22 presenting it.

23 Q. But it is used to establish the
24 identity of the person who wrote the check?

25 A. Certainly the identity of -- it would

1 S. NIELSON

2 need account information one way or another.

3 Q. Account of the person who wrote the
4 check?

5 A. Correct.

6 Q. And the last part of that paragraph
7 reads: "There is no evidence in Davies that a
8 check is a certificate."

9 So we talked about certificates a
10 little bit in connection with Paragraph 91, but I
11 guess I'm not sure what this means. Would you
12 agree with me that in Davies the check includes
13 the certificate in the check data?

14 A. So Davies definitely uses the word
15 "certificate." And in fact the way it reads, it
16 says these first basically four fields are in
17 effect a certificate by the key registry which
18 authenticates the bank's public key.

19 Q. So the check includes a certificate?

20 A. It includes a certificate on the
21 public key.

22 Q. All right. In Paragraph 91 -- this is
23 the one we already spoke about. You've explained
24 that last sentence previously, right?

25 A. Correct.

1 S. NIELSON

2 Q. So do you agree, then, that the bank,
3 in Davies, issues a certificate that is included
4 in the check data?

5 A. I'm not sure. I think -- I mean, the
6 reason I'm not sure is because I don't know why
7 but Davies chose to describe the first entries as
8 a certificate but didn't choose to describe the
9 next entries as a certificate. So I've been --
10 I'm not certain. It could be, it might not be.

11 Notice that even for the first one, it
12 doesn't say it's a certificate. It says it's in
13 effect a certificate. He's not giving a rigorous
14 description of a certificate there, so I'm not
15 sure.

16 Q. Looking around Paragraph 96 and 97
17 where you're talking about the token in Davies.

18 A. Yes.

19 Q. And you say -- for instance, 97, you
20 say the token would not need to have the customer
21 input things like customer identity.

22 So are you saying that in that case
23 the token would just store that information
24 already? It's prestored onto the token?

25 A. That's certainly a possibility.

1 S. NIELSON

2 Q. And that's the sort of thing that
3 could be done by the intelligent token that
4 Davies is describing?

5 A. Yes. Basically, kind of like they
6 described, you would have a drawer of checks
7 where it would have the preset information. It's
8 entirely possible for that to be stored in the
9 token, and then you only need to fill out the
10 fields that are changing.

11 Q. Well, one of the fields that changes
12 is the check number, right?

13 A. Yes.

14 Q. And Davies describes that that can be
15 controlled by the token, right?

16 A. Where is that line that you're
17 referring to?

18 Q. Let me find it for you.

19 A. I'm not saying I disagree. I would
20 just like to -- Because I felt like it left a lot
21 of details about what was in the token --

22 Q. Yeah. So I'm looking at page 330, the
23 bottom of that page. "If digital signatures have
24 a weakness," that paragraph.

25 A. "If digital signatures have a weakness

1 S. NIELSON

2 it is the fact that signed documents can be
3 copied, and this places on the issuer bank the
4 requirement to detect and eliminate duplicate
5 checks. The check sequence number is
6 administered by the intelligent token and is
7 therefore as secure as the customer's secret
8 key."

9 Q. So you agree, right, that Davies talks
10 about how that check number can be administered
11 by the token itself?

12 A. Yes.

13 Q. And that's the data that gets
14 populated into field 9?

15 A. Of Figure 10.22, yes.

16 Q. In Paragraph 98 you say -- I think
17 this is maybe the second sentence. "It does not
18 say what form that request or message takes."

19 A. Yes.

20 Q. What message are you talking about?

21 A. In the example that it gives on pages
22 330 to 331, it does not describe what information
23 is given to the ATM -- I'm sorry, is given to the
24 token and how the token processes that.

25 Q. Okay. I just wanted to make sure I

1 S. NIELSON

2 understood that this is talking about the data
3 going into the token. That's what you're
4 referring to here, right?

5 A. Yes.

6 Q. Okay.

7 A. Yes.

8 Q. You agree with me that the output of
9 this process would be this electronic check
10 format?

11 A. In some form. I'm not -- I'm still
12 not entirely clear on exactly how the fields
13 might change. But it describes it in Davies as
14 being something similar to 10.22.

15 Q. So the token -- What was the
16 technology as of 1992 that existed in terms of
17 tokens or smartcards?

18 A. Well, it's kind of interesting because
19 unrelated to this case I've done reports and
20 studies on tokens, and there was actually a lot
21 of discussion and debate about exactly what form
22 they should take. There were issues with, you
23 know, you could have some that were more powerful
24 but then they would require more battery, they
25 would be heavier, should they have a screen that

1 S. NIELSON

2 displays some sensitive information so you're not
3 trusting it going back to the terminal. It was
4 never really standardized or it never really
5 became popular enough to kind of say this is what
6 the smartcard technology was. There were a lot
7 of prototypes, a lot of things that were tried.

8 Q. Do they exist in the banking industry?

9 A. I'm not sure. I'm not sure if some of
10 the -- Well, because a lot of the papers that
11 were written were about using it for financial
12 transactions. But like I said, you know, there
13 were different -- not standards but different
14 designs and different feature sets that were
15 proposed.

16 Q. Okay. Let me ask you --

17 By the way, I think we're going to get
18 done before lunch, so unless you need to break,
19 I'm comfortable just trying to push through.

20 A. I would prefer to push through.

21 Q. Sure. Let's do that.

22 In Paragraph 110 you make reference to
23 Meyer.

24 A. Yes.

25 Q. So as I understand it, you didn't do

1 S. NIELSON

2 any analysis as to whether Meyer discloses the
3 elements of Claim 51?

4 A. So in the petition, the only thing I
5 saw where Meyer was cited for 51 was -- I think
6 it was 51B, and it wasn't -- it didn't address
7 any of the gaps that I identified for Davies, so
8 I didn't address it individually.

9 Q. Okay. But you did look at, I guess,
10 Claim 56 for Meyer?

11 A. Yes.

12 Q. So in Paragraph 113 you say -- So
13 you're referring to Meyer's use of a PIN being
14 XOR'd with a private key?

15 A. Yes.

16 Q. You say: "This, however, ignores that
17 the claim requires credential information to be
18 protected and credential information must be
19 non-secret."

20 What did you mean, that the claim
21 requires credential information to be protected?

22 A. So the claim language actually reads
23 from Claim 56 -- I'm starting -- Let me just read
24 the whole thing.

25 "The method of Claim 51 for further

1 S. NIELSON

2 securing the transfer of funds, at least one
3 party being previously issued a credential by a
4 trusted party, the credential information
5 including information associated with the at
6 least one party, and a second variable
7 authentication number (VAN1), the VAN1 being used
8 to secure at least a portion of the credential
9 information to the at least one party..."

10 So the language is that it had to be
11 secured. I described that as being protected.

12 Q. Okay. And you say that the private
13 key protected by Meyer is secret?

14 A. Yes.

15 Q. That private key that's protected, it
16 has a corresponding public key, right?

17 A. Yes.

18 Q. And that public key is not secret in
19 Meyer?

20 A. The public key is not secret.

21 Q. Is there a relationship between the
22 public key and the private key in Meyer?

23 A. What do you mean, "relationship"?

24 Q. I mean the way Meyer discloses these
25 keys, is there a mathematical relationship

1 S. NIELSON

2 between the public key and the private key?

3 A. Sure. A public key is connected to a
4 private key. A public key is the inverse.

5 Q. Of what?

6 A. Of the private key.

7 Q. Let me ask you about Paragraph 121.

8 A. Sure.

9 Q. So here we're talking about -- it
10 looks like you're talking about a combination of
11 Davies with another reference called Fischer.

12 A. Yes.

13 Q. Do you recall what the Fischer
14 reference discloses as relevant to the
15 combination being discussed here?

16 A. I mean, I see what's in my report. I
17 refer to two parts of what Fischer combines.
18 Beyond that, I would have to see Fischer.

19 Q. In Paragraph 121 you give a quote from
20 Davies basically, right? "The second section of
21 the check contains the customer identity and his
22 public key, signed by the bank."

23 Do you see that?

24 A. Yes.

25 Q. So based on that, you concluded: "I

1 S. NIELSON

2 do not see that one of ordinary skill in the art
3 would have thought another reference is
4 necessary."

5 A. Yes.

6 Q. Necessary to do what? What do you
7 mean?

8 A. Well, so like I say in my first
9 sentence here, in Mr. Diffie's declaration he
10 says that Davies does not explicitly disclose
11 that the recipient may authenticate the sender's
12 public key before using it to verify the message
13 signature. But Davies does say the second
14 section of the check contains the customer
15 identity and his public key signed by the bank
16 and therefore verifiable.

17 So I don't see that one of ordinary
18 skill in the art would have thought another
19 reference was necessary to add in to combine with
20 that.

21 Q. And that's because you think that the
22 disclosure in Davies would already have suggested
23 to someone of ordinary skill in the art that you
24 could actually verify the hierarchy of keys in
25 doing the authorization process?

1 S. NIELSON

2 A. Yes.

3 MR. WILLIAMS: Why don't we just take
4 a break so I can chat with co-counsel here,
5 and then I'll be ready to wrap it up.

6 (Recess taken.)

7 MR. WILLIAMS: I have no further
8 questions.

9 MR. GREENSPOON: Thank you, Eliot.

10 EXAMINATION

11 BY MR. GREENSPOON:

12 Q. Dr. Nielson, I just have a few
13 follow-up questions.

14 Could you refer to Paragraph 59 in
15 your report.

16 A. Yes.

17 Q. Take a moment to see what it says.

18 Counsel asked you earlier whether you
19 studied claim construction opinions. Does
20 reading -- and you thought probably not. Does
21 reading Paragraph 59 refresh your memory in any
22 way about whether you studied claim construction
23 opinions in preparing your declaration?

24 A. So I remembered reading claim
25 constructions, I just couldn't remember the

1 S. NIELSON

2 source of them.

3 Q. You can put that aside.

4 Counsel also directed your attention
5 during his questioning to the customer identity
6 field in Davies; I think it was on page 329.

7 A. 328, yes.

8 Q. 328, thank you. And you've got the
9 page open?

10 A. Yes.

11 Q. Can the originator bank in the Davies
12 disclosures take the customer identity field in
13 combination with any other customer-specific
14 information to uniquely identify a customer
15 account?

16 A. So the customer public key would be
17 unique to a customer. So certainly almost by
18 itself you could identify the customer just from
19 the public key.

20 Q. And still looking at that general part
21 of Davies, you were asked some questions about I
22 believe it was field 8. Do you recall that?

23 A. Yes.

24 Q. And field 8 is the signature by the
25 bank of fields 5, 6 and 7, right?

1 S. NIELSON

2 A. Yes.

3 Q. And just for a recap, would you please
4 state for the record what fields 5, 6 and 7 read
5 to be?

6 A. Customer identity is field 5.
7 Customer public key is field 6. And expiratory
8 date is field 7.

9 Q. So my question is this: Does the
10 signature by the bank of those fields meet a
11 purpose of associating a public key of the
12 customer with the customer identity?

13 A. So all three of those fields, the
14 customer identity, the customer public key and
15 the expiratory date, are all signed together by
16 the bank. So you certainly can't -- you couldn't
17 swap in one piece of information here for
18 another.

19 Q. So then all three of those items are
20 associated by that field 8?

21 A. Well, they're signed together. I
22 mean, you couldn't change one without altering
23 the signature.

24 Q. And so that role that you've just
25 acknowledged that field 8 plays, is that role

1 S. NIELSON

2 significant to the funds transfer in the Davies
3 context, that is the final act of actually
4 transferring the funds?

5 A. Well, so there's a couple of things
6 that are important about those fields. You want
7 to make sure that the customer public key is tied
8 to the customer identity, and you want to make
9 sure that the expiratory date hasn't passed.

10 Q. And then the final word on this topic.
11 Are those considerations significant to the final
12 act of funds transfer in the Davies context?

13 A. Would you repeat the question?

14 Q. That's okay. I'll withdraw the
15 question.

16 MR. GREENSPOON: No further questions.

17 MR. WILLIAMS: Just give me one
18 second.

19 I have nothing further.

20 (Deposition adjourned at 12:19 p.m.)
21
22
23
24
25

ACKNOWLEDGMENT OF DEPONENT

I, SETH NIELSON, Ph.D., have read or have had the foregoing testimony read to me and hereby certify that it is a true and correct transcription of my testimony with the exception of any attached corrections or changes.

SETH NIELSON, Ph.D.

☐ No corrections

☐ Correction sheet(s) enclosed

SUBSCRIBED AND SWORN TO BEFORE ME, the undersigned authority, by the witness, SETH NIELSON, Ph.D., on this the _____ day of

_____, _____.

C E R T I F I C A T E

STATE OF MARYLAND

I, JOHN L. HARMONSON, a Notary Public
within and for the State of Maryland, do hereby
certify:

That SETH NIELSON, Ph.D., the witness
whose deposition is hereinbefore set forth,
was duly sworn by me and that such deposition is
a true record of the testimony given by such
witness.

I further certify that I am not related
to any of the parties to this action by blood or
marriage; and that I am in no way interested in
the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set
my hand this 18th day of December, 2015.

JOHN L. HARMONSON, RPR

My commission expires: 08/02/17

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

----- I N D E X -----

WITNESS PAGE

SETH NIELSON

Examination by Mr. Williams 4

Examination by Mr. Greenspoon 122

----- EXHIBITS -----

PAGE

MasterCard Exhibit 1001 4

U.S. Patent 5,793,302

Exhibit 2004 4

Declaration of Seth Nielson, Ph.D.

* * *

DOCUMENTS REFERENCED AND ATTACHED

BUT NOT MARKED AS EXHIBITS

Petition for Covered Business Method Review 103

ERRATA SHEET

CASE: MasterCard International v. Stambler

DATE: December 8, 2015

WITNESS: SETH NIELSON, Ph.D.

Reason Codes:

1. To clarify the record.

2. To conform to the facts.

3. To correct transcription errors.

Pg.	Ln.	Now Reads	Should Read	Reason
9				
10				
11				
12				
13				
14				
15				
16				
17				
18				
19				

Signature of Deponent

SUBSCRIBED AND SWORN BEFORE ME

THIS ____ DAY OF _____, _____