

IN THE UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

MASTERCARD INTERNATIONAL
INCORPORATED,

Petitioner,

-vs-

Case CBM2015-00044

Patent No. 5,793,302

LEON STAMBLER,

Patent Owner.

_____/

DEPOSITION OF WHITFIELD DIFFIE

PALO ALTO, CALIFORNIA

FRIDAY, OCTOBER 2, 2015

Reported by: LOUISE MARIE SOUSOURES, CSR NO. 3575

Certified LiveNote Reporter

Job No. 97941

FRIDAY, OCTOBER 2, 2015

9:32 A.M.

Deposition of WHITFIELD DIFFIE,
held at the offices of Baker Botts, 1001 Page Mill
Road, Palo Alto, California, before Louise Marie
Sousoures, a Certified Shorthand Reporter and a
Certified LiveNote Reporter

A P P E A R A N C E S

FOR THE PETITIONER:

BAKER BOTTS

1001 Page Mill Road

Palo Alto, CA 94304

BY: ELIOT WILLIAMS, ESQ.

CHRISTOPHER PATRICE, ESQ.

(VIA TELEPHONE)

FOR THE PATENT OWNER:

FLACHSBART & GREENSPOON

333 North Michigan Avenue

Chicago, IL 60601

BY: ROBERT GREENSPOON, ESQ.

1
2
3 IT IS HEREBY STIPULATED AND AGREED
4 by and between the attorneys for the
5 respective parties herein, that filing and
6 sealing be and the same are hereby waived.

7 IT IS FURTHER STIPULATED AND AGREED
8 that all objections, except as to the form
9 of the question, shall be reserved to the
10 time of the trial.

11 IT IS FURTHER STIPULATED AND AGREED
12 that the within deposition may be sworn to
13 and signed before any officer authorized
14 to administer an oath, with the same
15 force and effect as if signed and sworn
16 to before the Court.

17
18
19
20 - oOo -
21
22
23
24
25

--oOo--

WHITFIELD DIFFIE,

having been first duly sworn by the
Certified Shorthand Reporter to tell
the truth, the whole truth, and nothing
but the truth, testified as follows:

EXAMINATION

BY MR. GREENSPOON:

Q. Good morning.

A. Good morning.

Q. Mr. Diffie, would you please state your full
name for the record?

A. I am Whitfield Diffie.

Q. Is that your full name?

A. No, I have a first name I never use. It's
Bailey Whitfield Diffie.

Q. I've seen that on an issued patent. If we
see Bailey W. Diffie, that's you?

A. Yes, that is I. There's another Bailey W.
Diffie is my father. He's not Bailey Whitfield, but
he's Bailey W. On the other hand, he was an
historian, so I don't think you'll find him in our
work.

Q. I'll use Mr. Diffie today in the deposition.

A. That's fine.

1 Q. Mr. Diffie, you understand the '302 patent?

2 A. I understand aspects of the '302 patent.

3 Q. You don't completely understand the '302
4 patent?

5 A. I have not -- it's been -- we've been at this
6 case for two and a half years. I have not read
7 through the '302 patent, I think, at least in some
8 time.

9 So I do not -- I cannot assert I understand
10 every aspect of the '302 patent.

11 Q. When you signed your declaration in this
12 matter did you understand the '302 patent?

13 A. When I signed it the first time I'm sure I
14 did because that was, at the time, the first version
15 of the declaration I presume I did.

16 Insofar as I understand what it means to
17 understand a patent, as it's a long document, I'm sure
18 you could find an aspect of it I would have to study
19 for a little while.

20 Q. When you signed your declaration on behalf of
21 MasterCard in this PTAB matter, did you understand the
22 '302 patent?

23 A. Explicitly, I understood the claims at issue.

24 Q. That's very good.

25 So are you familiar, then, with its check

1 presentment disclosures?

2 A. I am familiar -- may we look at the claims
3 because that --

4 Q. The patent is in front of you. You may look
5 at any item that you need to to answer my question.

6 A. Okay. Thank you.

7 So I am familiar with claim 51 and the notion
8 of authenticating the transfer of funds from an
9 account associated with the first party or associated
10 with the second party, I don't -- I don't remember the
11 phrase "check presentment."

12 Q. All right. Are you familiar with the fact
13 that within the disclosures of the '302 patent, there
14 is description of the creation of a check?

15 A. You mean in the embodiment of the '302
16 patent?

17 Q. Correct.

18 A. I don't doubt you, but I didn't remember it.

19 Q. Do you recall that within the embodiment of
20 the '302 patent, there are electronic fund transfer
21 disclosures?

22 A. I perceive that as the general subject matter
23 of the patent.

24 Q. Are you an expert in checking system
25 clearinghouses?

1 A. Not particularly. I am -- I am familiar with
2 all of the notions I have encountered in studying this
3 case.

4 I am not familiar with how people do things
5 in the real world, which is, I believe, a lot more
6 complicated.

7 Q. More or less the same question, you're not an
8 expert in electronic fund transfer clearinghouses?

9 A. I don't believe so.

10 Q. You're not an expert in the backend systems
11 used in the real world to effectuate electronic fund
12 transfers?

13 A. No.

14 MR. WILLIAMS: Objection to form.

15 BY MR. GREENSPOON:

16 Q. Turn, if you will, please, to figure 8B,
17 which is sheet 3 of 15.

18 Would you confirm for me, please, figure 8B
19 is a depiction of things that happen at the
20 originator's bank according to the teachings of the
21 '302 patent?

22 Point of information, columns 6 and 7 roughly
23 will be the text description that goes along with
24 this.

25 A. It will take me a moment to study this.

1 I remember this diagram, but I have not
2 looked at it with any care in quite some time.

3 Q. Turn the page, so I'll give you a moment to
4 refamiliarize yourself with this subject matter.

5 A. You said columns 6 and 7?

6 Q. That's right.

7 A. Okay.

8 I'm going to start with 40, which is the
9 piece.

10 Q. Perfect. Just speak up when you feel that
11 you've refamiliarized yourself.

12 A. Okay, I've read columns 6 and 7.

13 Q. Do you understand columns 6 and 7 as they
14 relate to figure 8B?

15 A. I'm not at all sure that I do.

16 Q. All right. Let's give it a shot.

17 So let's see if we can confirm what's
18 happened so far in the workflow described in this
19 embodiment by the time we get up to figures 8A and
20 figure 8B, okay?

21 So here's the question: By this point in the
22 workflow, would you agree someone called an originator
23 has created a check?

24 A. That appeared to me to have happened at the
25 bottom of column 5, where I began reading.

1 Q. And on this check there's something printed
2 in the embodiment, something called a variable
3 authentication number or a VAN, correct?

4 MR. WILLIAMS: Objection to form.

5 THE WITNESS: Almost didn't see VAN until the
6 top of column 7 or so. Let me go back and see if I
7 can find out where that first occurs.

8 I was looking for that. I found the joint
9 code, but I didn't see the VAN.

10 BY MR. GREENSPOON:

11 Q. While you review that, I'll tell you the
12 pending question is: Can you please confirm that on
13 this check there's imprinted a VAN in the embodiment?
14 That's the question.

15 MR. WILLIAMS: Is there a particular part of
16 the specification that you think discloses that? It
17 might speed it up if you point to the witness where
18 that is.

19 MR. GREENSPOON: I'm happy to. I myself am
20 looking at columns 5, lines 25 through 28.

21 THE WITNESS: I was further down, I was
22 looking at 45 to 50. Let me start again at the top of
23 column 5.

24 MR. GREENSPOON: Sure.

25 THE WITNESS: Okay. You're right, it's line

1 25, the VAN and at least a portion of the information
2 relevant to the transaction, are written or imprinted
3 upon the check.

4 BY MR. GREENSPOON:

5 Q. I just wanted to establish that at this point
6 in the workflow by the time we get to figures 8A and
7 8B, someone called an originator has created a check
8 and the check has a VAN on it, correct?

9 MR. WILLIAMS: Objection to form.

10 BY MR. GREENSPOON:

11 Q. I didn't hear an answer.

12 A. I'm sorry, repeat the question, then, please.

13 Q. All right. So by the time we get to figure
14 8A and figure 8B in the workflow of the embodiment, an
15 entity called the originator has created a check and
16 the check has something on it called the VAN, right?

17 A. Yes.

18 Q. Okay. So where figure 8A and figure 8B start
19 in the workflow is where a recipient, someone the
20 patent calls a recipient goes to a terminal for the
21 purpose of presenting the check, correct?

22 A. I -- we have to wave our hands around or
23 something.

24 So I accept that's a -- I didn't think I
25 started reading far enough back to see that was the

1 overall objective, but I can accept from this diagram
2 that the objective is the check is somehow created and
3 the recipient presents it to the terminal.

4 Q. You have no problem with the proposition of
5 my question?

6 A. No, I have no problem with the proposition of
7 your question.

8 Q. And figure 8B in particular is what happens
9 with the information on the check at the originator's
10 bank, correct?

11 A. It is not clear to me where. It appears to
12 me that figure 8B shows the mechanism by which the
13 check is validated presumptively by somebody who is
14 going to pay on the check.

15 I was -- I'm not clear whether the terminal
16 belongs to the originator's bank or the recipient's
17 bank.

18 Q. To answer your question perhaps, may I turn
19 your attention to column 5.

20 A. Yes.

21 Do you mind if I tear this apart?

22 Q. Go ahead. For the record, you mean separate
23 the pages from the staple?

24 A. For the record, I mean may I separate the
25 pages rather than divide each page into small pieces.

1 Q. It's my job to make sure you don't tear the
2 patent apart, figuratively.

3 A. Okay. You're calling my attention to column
4 5?

5 Q. Yes. So starting at line 55 I'll read into
6 the record "Figures 8A and 8B illustrate the
7 authentication process at a terminal when the
8 recipient presents the originator's check to be
9 cashed. The terminal communicates via a network of
10 the banks involved in the transaction."

11 Do you see those words in column 5?

12 A. Yes.

13 Q. Can you accept from that disclosure that the
14 terminal could be a terminal at the recipient's bank
15 but it need not be, in any case, it communicates with
16 the recipient's bank and the originator's bank?

17 A. Yes, I accept that.

18 Q. Figure 8B, going back to that, can you
19 confirm now that 8B is the set of activities that
20 happen at the originator's bank in connection with the
21 possible payment of the check?

22 A. Yes, I can.

23 Q. Okay. In fact, do you observe column 6, line
24 46 where it says "As shown in figure 8B, at the
25 originator's bank," and there's more.

1 Do you accept that as a tying of figure 8B to
2 things that have to happen --

3 A. At the originator's bank.

4 Q. Okay. In your understanding of what's going
5 on in figure 8B in this embodiment and Mr. Stambler's
6 '302 patent, would you agree that blocks 58 and 60 are
7 where the authentication decision happens at the
8 originator's bank?

9 A. Yes, that was the conclusion I reached
10 looking at this diagram.

11 Q. And that authentication decision is made
12 using the VAN from the check and then something else
13 called a joint key, right?

14 A. Yes.

15 Q. That joint key where it appears at the
16 originator's bank, am I correct that was originally
17 constructed from, among other things, the originator's
18 taxpayer identification number or TIN?

19 A. That's in -- I remember that from the text.
20 I don't see the TIN here in the diagram.

21 Q. Do you see between the words terminal and
22 remote CPU we see originator --

23 A. Originator's ID, yes.

24 Q. There's the letter O, TIN, in parentheses.

25 Do you see that?

1 A. Very good, yes.

2 Q. Joint key originally -- starting over.

3 Joint key, if you trace it back far enough,
4 part of what goes into its construction at the
5 originator's bank is the originator's TIN, correct?

6 A. Yes.

7 Q. And do you also accept in the disclosures of
8 the Stambler patent one way the '302 patent describes
9 the authentication process happening is for the
10 originator's bank at that stage, namely blocks 58 and
11 60, to recreate or regenerate the VAN from the check
12 information?

13 MR. WILLIAMS: Objection to form.

14 THE WITNESS: That was not my conclusion
15 about what was happening here.

16 BY MR. GREENSPOON:

17 Q. Let me please direct you to column 7, which
18 you've just had a chance to reread, line 12, I'll read
19 into the record "Alternatively, the joint key from the
20 coder 56 can be used to code the information (INFO)
21 from the check to generate a new VAN, which can then
22 be compared with the VAN from the check to
23 authenticate the check."

24 A. Yes, that seems clear.

25 Q. Okay. Terrific.

1 Let me go back to my question, then.

2 Would you agree now, then, one way

3 Mr. Stambler's '302 patent describes for making the
4 authentication decision is for the originator's bank
5 at this stage to recreate or to generate the VAN from
6 the check info?

7 A. Well, that may be. I don't see that clearly
8 in the diagram.

9 Q. You do accept, of course, that we read the
10 diagram in light of the --

11 A. Of course, I understand -- I presume the
12 diagram exists only to illustrate the text.

13 However -- so yes, if you're not asking me if
14 I see it in the diagram, it was described in what you
15 just read to me from the column 7.

16 Q. Okay. Thank you.

17 Then, do you recall from your refresh just
18 now that it's at block 61, if you see in the diagram,
19 it says check originator's account?

20 A. Yes.

21 Q. Check is in the sense of a verb but we will
22 see in a moment.

23 In fact, I'll start the whole question over
24 because --

25 A. I apologize. That word "check" is not the

1 object, but the English word check, meaning to verify
2 or look into or examine or something like that.

3 Q. Right. Let me go back in baby steps.

4 Do you accept that the word "check" within
5 block 61 of figure 8B is the verb sense?

6 A. I take your word for it.

7 Q. Like inspect?

8 A. Yes, I understood, as opposed to being the
9 account of the check originator which would be another
10 plausible reading of that phrase, yes.

11 Q. Okay. Let me go back in the flow of where
12 we're looking at figure 8B.

13 At block 61, do you agree that the account
14 debit will occur from the originator's account if the
15 funds are there?

16 A. I presume that that is described in the text.
17 It appears from the diagram the check originator's
18 account 61 has two possibilities, one is NG, which I
19 assume means is no good, and the other is the workflow
20 continues to block 54.

21 Q. And so by the time we get to block 61, the
22 authentication has succeeded, now we're at the stage
23 where the originator bank simply sees there are funds
24 available, right?

25 A. That appears to me to be so, yes.

1 Q. And then let's go now to claim 51.

2 You mentioned earlier that you're well
3 familiar with claim 51, yes?

4 A. Yes. What column? It does not mean it would
5 not help me to have it in front of me.

6 Q. It's column 33, it would be laborious to read
7 the whole claim into the record.

8 So can I communicate with you today by
9 talking about four steps, receiving, generating,
10 determining and transferring?

11 A. Please.

12 Q. Okay. So in what we've just seen at figure
13 8B, would you agree that the originator bank in the
14 described embodiment performed all four of those
15 steps?

16 MR. WILLIAMS: Objection to form.

17 THE WITNESS: I need a moment.

18 MR. WILLIAMS: I'll also object as outside
19 the scope, but I'll permit the witness to answer.

20 THE WITNESS: It appears to me that all four
21 of those steps are performed in figure 8B that we've
22 just been looking at in Stambler's embodiment.

23 BY MR. GREENSPOON:

24 Q. So in the '302 patent, in the embodiment we
25 just walked through, all steps of the authentication

1 activity are performed by the originator bank, one
2 entity?

3 MR. WILLIAMS: Objection to form.

4 THE WITNESS: If that's his only embodiment,
5 it appears to me that in his embodiment, that is true.

6 BY MR. GREENSPOON:

7 Q. In your understanding and review of the '302
8 patent, did you identify any disclosure of any way
9 that the '302 patent describes dividing up those steps
10 so that different actors play that role or that
11 different actors perform the respective acts?

12 MR. WILLIAMS: Objection to form. Hang on a
13 second. Objection to form and outside the scope.

14 THE WITNESS: It appears to me that claim 51
15 could be satisfied that way, but that there is no
16 requirement in claim 51 that the steps all be
17 performed by the same entity.

18 BY MR. GREENSPOON:

19 Q. Okay. The question is a little bit
20 different.

21 Is there any disclosure in the detailed
22 description of the '302 patent of those four steps
23 being divided up among more than one entity?

24 MR. WILLIAMS: Same objections.

25 THE WITNESS: I have not seen -- it appears

1 to me from what I have read this morning and what has
2 been explained to me that in the portion of the
3 embodiment that we read, all four steps are done by
4 one entity.

5 BY MR. GREENSPOON:

6 Q. Okay. I appreciate that, but the question
7 goes one step further, which is: Have you detected
8 any place in the '302 patent where it discloses those
9 four steps being performed by respective different
10 entities?

11 MR. WILLIAMS: Same objections.

12 THE WITNESS: I have not detected it, but I
13 would need to read -- I think I probably need to read
14 through the whole embodiment to be confident that
15 there was no --

16 BY MR. GREENSPOON:

17 Q. By the way, the taxpayer ID number or TIN or
18 OTIN in the abbreviation in figure 8B, that's
19 nonsecret information in the context of these
20 embodiments, right?

21 MR. WILLIAMS: Objection to form.

22 THE WITNESS: I don't actually see that it
23 speaks to that issue.

24 BY MR. GREENSPOON:

25 Q. Well, turn, please, to column 11.

1 A. Yes.

2 Q. I'll just point you to line 48 to 49, I'll
3 read it into the record "The user's TIN may also be
4 recorded on the credential."

5 Do you see those words?

6 A. Yes.

7 Q. Let me direct you to another page, column 18,
8 I'll read the words from starting line 34 into the
9 record. "In figure 6, the file in which RN and ROC
10 are stored is generally nonsecret because it is
11 indexed (or addressed) by generally nonsecret user
12 information (such as the user's TIN)."

13 Do you see that?

14 A. I apologize, you were reading line 38,
15 however, in the embodiment shown in figure 15A?

16 Q. Above that, line 34.

17 A. Line 34.

18 Q. Through 37.

19 A. Okay.

20 "In figure 6, the file in which RN and ROC
21 are stored is generally nonsecret because it is
22 indexed (or addressed) by generally nonsecret user
23 information (such as the user's TIN)," all right.

24 I'm prepared to believe it is Stambler's
25 intention or belief the TIN is nonsecret despite what

1 I perceive as our current practices.

2 Q. Stambler was writing in 1992 when our notions
3 of how to protect Social Security numbers may have
4 been a little bit different, right?

5 MR. WILLIAMS: Objection to form, outside the
6 scope.

7 THE WITNESS: Yeah, I don't know -- I'm not
8 clear whether that's changed over that period or not.

9 BY MR. GREENSPOON:

10 Q. In any case, in the four corners of the '302
11 patent, the originator's TIN in the --

12 A. Is treated as nonsecret.

13 Q. Nonsecret information that was on the
14 credential?

15 A. (Witness moves head up and down.)

16 Q. You're nodding your head.

17 A. I apologize. I'm happy to say yes.

18 Q. I need to give you a reminder to vocalize
19 your answers.

20 A. Yes.

21 Q. So return your attention now to the verbiage
22 in claim 51 which says it's a method for
23 authenticating the transfer of funds.

24 A. I lost it in the process of finding column
25 18. What column? What column?

1 Q. We're going to go to 33 and probably go there
2 quite a bit.

3 A. Thank you.

4 Q. The words that begin the claim, in any case,
5 are "a method for authenticating the transfer of
6 funds."

7 Do you see those words?

8 A. I do.

9 Q. And then elsewhere in the claim in the
10 receiving step, the words begin "receiving funds
11 transfer information."

12 You see that too, right?

13 A. That's -- yes.

14 Q. So the funds transfer messages in an
15 electronic system would be relatively short messages?

16 MR. WILLIAMS: Objection to form and outside
17 the scope.

18 BY MR. GREENSPOON:

19 Q. Correct?

20 A. I believe so, yeah.

21 Q. Now, let's go to claim 55. This one is short
22 enough I will read it entirely for the record. "The
23 method of claim 51 wherein the VAN is generated by
24 using an error detection code derived by using at
25 least a portion of the funds transfer information."

1 Do you see those words?

2 A. I do.

3 Q. So the relatively short message of claim 51
4 would also be a relatively short message in claim 55,
5 right?

6 MR. WILLIAMS: Objection to form, outside the
7 scope.

8 THE WITNESS: If the message -- if the
9 messages are short, the messages are short.

10 BY MR. GREENSPOON:

11 Q. I apologize. Was there more?

12 A. No.

13 Q. This shouldn't be controversial in the sense
14 that claim 55 is a dependent claim --

15 A. I apologize. I'm merely trying to be very
16 careful.

17 The part of electronic funds transfer in
18 which I am not expert would include knowing whether
19 there are cases in which there might be long messages
20 authenticated.

21 That's all I was hesitating about. I can
22 easily believe that many funds transfer messages are
23 quite short.

24 Q. It's mostly my fault. I'm going to do my
25 very best not to talk when you're talking --

1 A. It was my error that time.

2 MR. WILLIAMS: You should let him finish his
3 question before you start talking.

4 BY MR. GREENSPOON:

5 Q. So you're aware of the principle, are you
6 not, that whatever the limitations are from the
7 independent claim is the same --

8 A. Yes.

9 Q. -- scope in the dependent claim?

10 A. Yes, I am.

11 Q. My wife always finishes my statements, so I
12 understand.

13 Back to the examination, so claim 55, that
14 means that the VAN is generated using an error
15 detection code, correct?

16 A. Yes, that's how I read it.

17 Q. And that claim covers using an error
18 detection code to generate a VAN to authenticate a
19 funds transfer message which you understand to be a
20 relatively short message?

21 MR. WILLIAMS: Objection to form.

22 THE WITNESS: Yes.

23 BY MR. GREENSPOON:

24 Q. Let me hand you your declaration in this
25 matter, Exhibit 1020.

1 A. Thank you.

2 Q. I did not detect within your declaration that
3 you were making any claim that the prior art
4 anticipates claim 55.

5 Would you please confirm you have no
6 declaration statements that the prior art anticipates
7 that claim?

8 MR. WILLIAMS: Objection to form.

9 THE WITNESS: I would have to review it to be
10 sure of that, but I will believe you if you tell me
11 that we have shown that claim 55 is obvious over the
12 prior art and in the declaration have not shown
13 anticipation.

14 BY MR. GREENSPOON:

15 Q. In any case, would you accept for me that the
16 current status of the proceedings are that the board
17 is allowing claim 55 to be challenged only on an
18 obviousness theory and not on an anticipation theory?

19 A. That is my recollection of reading the
20 board's decision.

21 Q. Okay. At the time of Mr. Stambler's
22 invention, error detection codes were known, right?

23 A. Yes.

24 Q. And an example that you use in your
25 declaration is Nechvatal, a document we're all calling

1 Nechvatal.

2 Do you recall that?

3 A. I do.

4 Q. N-E-C-H-V-A-T-A-L.

5 And in your declaration, you use the Davies
6 reference to show the cryptographic techniques for
7 fund transfer of claim 51 and then you combine that
8 with Nechvatal to find a combination that also has
9 error detection codes of claim 55?

10 A. Yes.

11 Q. I was having trouble in your declaration
12 finding the paragraphs that hold forth reasons for
13 combining error detection codes into the Davies
14 technology from Nechvatal.

15 Would you please make an effort to point to
16 me where that is? I'm asking you to look for any
17 testimony on reasons to combine the teachings of
18 Nechvatal error detection codes into the Davies
19 technology.

20 A. So it appears to me my discussion of
21 Nechvatal starts with paragraph 119 and that in
22 paragraph 133 begins a discussion of why it would have
23 been natural to combine Nechvatal with the other
24 references.

25 Q. And that goes through what paragraph?

1 A. 133, 134, 135 and there may be further
2 reference -- and there's a reference to combination in
3 137.

4 So I would say 133 on.

5 Q. Paragraphs 133 up through 137?

6 A. That's correct.

7 Q. All right. In these paragraphs, particularly
8 in paragraph 135, you make the observation that Davies
9 discloses that hash values or one-way functions on the
10 transaction information may be used as an intermediate
11 step in generating signatures on the messages.

12 You make that observation, right?

13 A. Yes.

14 Q. In your combination of claim 55 using Davies
15 and Nechvatal, you're using Nechvatal to import the
16 same concept, aren't you?

17 MR. WILLIAMS: Objection to form.

18 THE WITNESS: You'll have to clarify.

19 Which -- the concept of using hash function in the
20 process of creating a signature?

21 BY MR. GREENSPOON:

22 Q. Correct.

23 A. Yes.

24 Q. I'm confused. If Davies already had a
25 teaching of that nature, why would a person of skill

1 in the art have reached out to a reference like
2 Nechvatal to look for the exact same teaching?

3 A. I believe at the time I wrote this, I thought
4 that might do that because Nechvatal explicitly refers
5 to error detecting codes or error detection in this
6 context and at the time I had not seen that Davies
7 also refers to error correction at --

8 Q. I see. You've applied the error detection
9 code concept onto hashes and one-way functions,
10 though, correct?

11 A. Yes, I think anyone would and would have for
12 a long time before that.

13 Q. So at the level of that technological
14 description, there are hashes and one-way functions
15 operating on the signatures in Davies just as there
16 are hashes and one-way functions operating on
17 signatures in Nechvatal, correct?

18 A. Yes.

19 Q. And I take it from your prior answer you were
20 just looking for the right kind of string of words
21 that mapped onto the claim?

22 MR. WILLIAMS: Objection to form.

23 THE WITNESS: My notion has always been that
24 one would, of course, look at Nechvatal because it's
25 national standards guidance and, therefore, anybody

1 working on the subject would look at Nechvatal.

2 The -- the concept that these things were
3 error detection was well known at the time and it is,
4 I thought, jointly expressed by these two documents
5 very nicely.

6 BY MR. GREENSPOON:

7 Q. Do you believe -- is it your opinion that
8 anything of substance was added when Nechvatal gave a
9 label to that concept as an error detection code?

10 A. I don't know they gave a label to it as more
11 than applied the label that it occurred to him to
12 mention what would have been known to everyone.

13 Q. So if I understand correctly, if Davies had
14 used the label error detection code to describe the
15 one-way function hash on a signature, that would have
16 been technologically correct for Davies to have done
17 that?

18 A. Yes.

19 Q. I notice you didn't cite the page of Davies
20 where this disclosure occurs.

21 A. I didn't see it at the time I had written
22 this. It's on page 261.

23 Q. 261.

24 You gave me that page number without
25 referring to anything.

1 A. I'm sorry, it's page 261 of Davies' book,
2 whatever -- Davies and Price book, whatever it's
3 called.

4 Q. I'm curious why was it so easy to recall the
5 pages.

6 A. Because I discovered it in the process of
7 preparing for this deposition and restudying the
8 documents.

9 Q. Sure. Let me hand you the Davies reference.

10 It actually came to us in three different pdf
11 files and this is the middle of the three which has
12 the pages we're talking about so far.

13 This is all from --

14 A. Yeah, it's very hard on these copies to read
15 the page numbers.

16 Q. That's the best I can do. If counsel has a
17 hard copy of the book, I'd certainly let you use that,
18 but I don't personally have one to show you.

19 MR. WILLIAMS: We do have a hard copy of the
20 book which we could get if the witness needs it. I
21 doubt you'll be asking about the pages that are faded.

22 THE WITNESS: It's finding any page, it's --
23 BY MR. GREENSPOON:

24 Q. Let me propose this.

25 A. Okay. The visible page numbers are 19

1 numbers ahead of the page numbers from the book. That
2 is --

3 Q. Let's go ahead to our first break.

4 I'm going to ask you some questions about
5 this section of Davies.

6 So if you need to, why don't we get the hard
7 copy.

8 MR. WILLIAMS: I'll appreciate a break.

9 THE WITNESS: Which section of Davies?

10 BY MR. GREENSPOON:

11 Q. Basically pages 261 through 264.

12 MR. GREENSPOON: Off the record.

13 (Recess taken 10:33 to 10:54.)

14 MR. GREENSPOON: Back on the record.

15 BY MR. GREENSPOON:

16 Q. Page 264 is a page that I just asked you to
17 refresh yourself with.

18 Have you had a chance to do that?

19 A. Yes.

20 Q. And on page 264 of Davies, under the heading
21 in the middle of the page, let me read some words,
22 actually three sentences I'll read into the record.

23 "The function H(M) reduces a message of
24 arbitrary length to a number of a convenient and
25 standard length for the purpose of signature. It

1 could equally well be used as a preparation for
2 calculating an authenticator. It can even be used by
3 itself to authenticate a large message."

4 Do you see those words in Davies?

5 A. I do.

6 Q. Is that the Davies disclosure you were
7 referring to in your declaration page -- paragraph 135
8 where you said that Davies discloses that hash values
9 are one-way functions on the transaction information
10 may be used as an intermediate step in generating
11 signatures on messages?

12 A. I think it was more broadly through the text
13 than that.

14 Q. Would you agree that is an example that shows
15 the proposition you state in paragraph 135?

16 A. Yes, that's --

17 Q. Okay. Now, the words of the excerpt that I
18 just read into the record and pointed you to in that
19 Davies suggests a one-way hash to reduce a message
20 length, right?

21 A. Yes. Not to -- it does reduce a message
22 length.

23 The important point is that the size of the
24 range is fixed, not -- not so much whether it's
25 smaller.

1 Q. The words that Davies uses is that the
2 function reduces a message in terms of length, uses
3 that word "reducing," right?

4 A. Convenient and standard length. He does use
5 the term "reducing," yes.

6 Q. Right. Davies is not saying that a one-way
7 hash has utility to increase a message length?

8 A. No, he doesn't say that there.

9 Q. So in Davies, he doesn't suggest that a
10 one-way hash ought to be used to authenticate a small
11 message?

12 A. I don't think the size of the -- I don't
13 think -- and I don't think he would have thought that
14 the size of the message is essential beyond the
15 question of whether you could search through the
16 messages.

17 Q. One of the sentences I just read was it can
18 even be used by itself, meaning the one-way hash, to
19 authenticate a large message.

20 You remember those words, right?

21 A. Yes.

22 Q. So in those words, Davies is saying or
23 suggesting that a one-way hash can be used to
24 authenticate a large message?

25 A. Yes.

1 Q. Those words don't suggest that a one-way hash
2 ought to be used to authenticate a small message?

3 A. I don't -- I think he is taking note of the
4 utility of this for large messages and not speaking to
5 the issue of whether it would apply to small messages.

6 Q. So the Davies section on one-way hashes and
7 signatures that you just reviewed, none of it contains
8 any rationale or reason for using a one-way hash to
9 authenticate small messages?

10 A. May I ask you a question, which is we have
11 been using the term "small" without careful
12 definition.

13 So he describes there a -- something that
14 produces 64 bits.

15 Is that small? Is 128 bits small? Is 512
16 small? Relative to gigabyte images, of course,
17 they're small.

18 So I think -- I should have asked about this
19 before because when we first discussed small message,
20 I thought what's small.

21 Q. I'll ask the question and if you need to
22 qualify the answer, you have the freedom to do that.

23 All you've read in Davies about utilizing
24 one-way hashes, is it correct that Davies does not
25 suggest using them to authenticate small messages?

1 A. Without another read, I wouldn't know for
2 certain, but I don't remember any reference -- any
3 suggestion that small messages were to be favored with
4 this treatment.

5 I didn't read his comments about large
6 messages as anything other than informative.

7 I never thought of them as limiting on the
8 messages you were authenticating.

9 Q. To someone of skill in the art, let's say the
10 1992 time frame, wouldn't the size of the message have
11 dictated the relative usefulness of utilizing a
12 one-way hash for authentication?

13 A. I don't think that consideration has changed
14 particularly.

15 He states at the beginning of the section
16 utility of using one-way hash has to do with the way
17 you manage the message.

18 So by using what I call in the declaration a
19 systematic signature, where you add an explicit
20 signature block to the message rather than
21 transforming the whole message as seen by the
22 receiver, you have the advantage that you can keep the
23 message in your files in readable form without having
24 to do the signature verification calculation, which
25 was expensive at that era, every time that you

1 consulted.

2 So what I read him as saying, and I think it
3 was, by the time certainly of the second edition,
4 received wisdom, is that it is good technique to hash
5 the message which is inexpensive, whether it's large
6 or small, doesn't matter if it's small, but it does
7 matter if it's large and then apply the primitive
8 signature mechanism to the hash.

9 Q. The words you just said, you said it doesn't
10 matter if it's small, but it does matter if it's
11 large, what do you mean by that?

12 A. If it is very large, it is much cheaper to do
13 it this way.

14 If it is small, it will be very close in cost
15 to having encrypted the whole message, having --
16 forgive me, having used the public key encryption,
17 applied that to the whole message.

18 Q. You've used the language in your declaration
19 about increasing signing efficiency.

20 Do you recall that?

21 A. No, I don't, but --

22 Q. Improving signing efficiency, paragraph 136.

23 A. Okay.

24 I thought you said improve the performance of
25 cryptographic operations, is that what you're

1 referring to?

2 Q. If you read through all the way to the end of
3 the paragraph 136 --

4 A. Yes, would improve the signing efficiency,
5 yes.

6 Q. The improvement in signing efficiency occurs
7 when you're dealing with a very large message, that's
8 when the one-way hash really improves signing
9 efficiency?

10 A. The efficiencies grow with the size of the
11 message, yes.

12 Q. So there will become a message so small the
13 efficiency doesn't improve and, in fact, it becomes
14 extra overhead for the process?

15 A. You'd have to work at it, but yes.

16 Q. So for this rationale of improving signing
17 efficiency, that's not a rationale for applying a
18 one-way hash that would apply to a short message like
19 fund transfer messages?

20 A. I think in that case, your thinking would not
21 be dominated by the improvement in efficiency, speed,
22 cost, yes.

23 Q. So Davies at least teaches no reason to
24 combine error detection codes into a funds transfer
25 cryptographic message because fund transfer messages

1 would be small, right?

2 MR. WILLIAMS: Objection to form.

3 THE WITNESS: Any authentication operation
4 inherently is an error detection code.

5 If there's a modification to the message,
6 i.e., an error, the authentication mechanism detects
7 that.

8 What Davies is recommending a systematic
9 signature when it was first proposed, and I don't know
10 who proposed, it was instantly recognized as being, so
11 to speak, the right way to do that. There may be
12 corner cases where you don't want to do that, but in
13 general, he's describing the conceptually, most
14 straightforward way of signing things.

15 BY MR. GREENSPOON:

16 Q. You said corner cases?

17 A. Programming term, corner cases.

18 If you had a -- if you had a bunch of very
19 small messages, you might -- and you had a high
20 efficiency system to design, you might decide rather
21 than using the standard techniques, you would use a
22 primitive signature directly.

23 Q. Because there will come a case where you have
24 computational overhead with no benefit by adding the
25 one-way function hashing, right?

1 A. Or you might merely want to have less code.

2 Q. Or both reasons could be true, correct? Less
3 code and computational overhead, right?

4 A. Yes, but as I say, these ...

5 Q. So you read claim 55 onto the references by
6 pointing to one-way functions and hashes as the
7 so-called error detection code, correct?

8 A. Yes.

9 Q. In your --

10 A. And signatures.

11 Q. In your declaration, you didn't apply the
12 references to claim 55 by pointing to a mere signature
13 as an error detection code, did you?

14 A. I don't recall.

15 Q. Can you show me anywhere in your declaration
16 where you used the mere signature to map onto the
17 error detection code --

18 A. Please forgive me.

19 MR. WILLIAMS: Let him finish the question.

20 BY MR. GREENSPOON:

21 Q. -- where you used a mere signature to apply
22 to the claim languages of the error detection code?

23 A. So I apologize, the phrasing bothers me
24 because I don't know what the term "a mere signature"
25 means.

1 Q. I can be more precise by saying a signature
2 without there having been a hash.

3 A. If you say I didn't say that, I don't, you
4 know -- I don't remember a point at which I said it.
5 It is certainly true the signatures, no matter how you
6 do them, are error detection codes.

7 Q. Please turn to page 81 of your declaration.

8 Actually, let me move forward --

9 A. That must be in the claim charts or
10 something. I don't have it here. Maybe it's -- maybe
11 because I tore it apart and it's somewhere else.

12 The one I have ends in 66. Let's see if I
13 cycled it. No, page 1 to page --

14 Q. Actually, I want to direct you to page 84.

15 A. Okay.

16 MR. WILLIAMS: Why don't you take this copy.

17 THE WITNESS: I found page 84.

18 BY MR. GREENSPOON:

19 Q. Between pages 84 and 85, that's your claim
20 application on Davies in combination with Nechvatal,
21 correct?

22 A. Or Meyer, yes.

23 Q. Or Meyer, we laboriously are taking Meyer out
24 of the equation.

25 Let's focus on Davies in view of Nechvatal,

1 okay.

2 For your application of Nechvatal, you
3 pointed to the one-way --

4 MR. WILLIAMS: Sorry, you're on what page?

5 MR. GREENSPOON: Page 85.

6 BY MR. GREENSPOON:

7 Q. You pointed to the one-way hash disclosure of
8 Nechvatal to read on the error detection code of claim
9 55, right?

10 A. Yes, I've read that paragraph.

11 What were you asking?

12 Q. You've utilized Nechvatal's disclosure of a
13 one-way hash to map onto the claim language in claim
14 55 of the error detection code, correct?

15 A. That appears to me to be true, yes.

16 Q. Let me move on to a different part of your
17 declaration.

18 You have an opinion in your declaration that
19 Davies, in combination with Meyer, renders claim 56
20 obvious.

21 Do you remember that?

22 A. That seems reasonable. Where is it?

23 Q. Let me point you to page 82.

24 You're free to look at where this is
25 discussed in the more narrative section, but this

1 should probably do the job for my questions.

2 So between pages 82 and 83, that is your
3 expression of the limitations of claim 56 with the
4 combination of Davies in view of Meyer, correct?

5 A. Yes. Can you repeat your question?

6 Q. Yes, so pages 82 to 83 of your declaration,
7 that's where you chart claim 56 on the combination of
8 Davies and Meyer?

9 A. Yes.

10 Q. Okay. You've cited from page 591 of Meyer,
11 what I've done is handed you Exhibit 1022 which is
12 that textbook or at least the excerpts used in the
13 exhibits.

14 A. I found 591.

15 Q. So page 591 contains the disclosure you're
16 citing there, 591 through 92, correct.

17 The pending question is just: Would you
18 confirm it's those two pages, 591 through 92?

19 A. Yeah, give me a moment.

20 Q. Sure.

21 A. Yes.

22 MR. WILLIAMS: I'm sorry. What was the
23 question? Can you read it back to me?

24 THE WITNESS: I was just saying yes, I have
25 finished reading pages 591 and looking at page 592.

1 BY MR. GREENSPOON:

2 Q. Okay. Let me go back to your charts, 82 and
3 83.

4 What I see in your charts is within Meyer;
5 you're citing to page 591, 592 and 597 of Meyer.

6 Would you confirm that's correct?

7 A. So I assume you read the whole page, but
8 you're actually talking about the discussion in
9 paragraph 2.

10 Q. Yeah, my question is really just to establish
11 what we're going to talk about in my next questions.

12 I've only asked if your chart refers to 591,
13 592 and 597 in Meyer for claim 56.

14 Would you confirm that's correct?

15 A. I see 591, 592. Does it refer to 597? Yes,
16 okay, that's correct, refers to all three pages.

17 Q. So in claim 56, the claim language itself
18 talks about a second variable authentication number,
19 VAN1, being used to secure at least a portion of the
20 credential information to the at least one party.

21 Do you recall that from claim 56?

22 A. I do.

23 Q. We're labeling that 56-A that I read from?

24 A. Yes.

25 Q. So for 56-A, what do you identify as the

1 credential information that is being secured?

2 A. The identity -- the public key -- the
3 identity of the user, TIN or whatever it is, the user
4 identifier and the user's public key.

5 Is that not right?

6 Q. Well, it's your testimony within this chart
7 so I'm just trying to find out what it is.

8 Where in the chart does it show that the
9 public key is the credential information?

10 MR. WILLIAMS: Objection to form.

11 THE WITNESS: So I see the statement Davies
12 discloses that at least one party is previously issued
13 a credential.

14 And Meyer discusses the public and private
15 keys of users, I assume, though I don't see it here,
16 Meyer discusses user IDs and the combination of the
17 public key and the user identifier are the credential
18 of the user, the customer.

19 BY MR. GREENSPOON:

20 Q. But the way you charted Davies in view of
21 Meyer on claim 56 you're not pointing to a public key
22 or user identifier as the credential information, are
23 you?

24 MR. WILLIAMS: Objection, form.

25 THE WITNESS: Well, we're saying the issue

1 will produce a public and private key pair for each
2 customer.

3 BY MR. GREENSPOON:

4 Q. I'm focused just --

5 A. You did not say that the public key was a
6 credential, I don't see a statement public key is a
7 credential or part of a credential.

8 Q. So let me go back and ask a prior question.

9 What is the credential information that
10 you're reading onto claim 56 from the Davies and Meyer
11 combination according to your chart?

12 A. The public key of the customer and perhaps an
13 identifier of the customer, but explicitly the public
14 key of the customer.

15 Q. And that's your testimony even though your
16 chart doesn't say anything about a public key?

17 MR. WILLIAMS: Objection to form.

18 THE WITNESS: It does --

19 MR. WILLIAMS: Mischaracterizes the chart.

20 THE WITNESS: Says PKc SKc. PKc is the
21 public key, public and private key pair, PKc SKc for
22 each customer.

23 BY MR. GREENSPOON:

24 Q. I understand now.

25 So it's your testimony that when you referred

1 to PKc on page 83, we're to understand that PKc is the
2 credential information being mapped onto claim 56?

3 A. It is or it is at least part of it. It would
4 suffice, as far as I can see, for it to be all of it.

5 Q. So we shouldn't read your chart to
6 communicate that a secret value is being applied to
7 the claim language of credential information?

8 A. I'm sorry, a secret value for what?

9 Q. You're saying that it's not a secret value
10 that you're reading on the claim language credential
11 information?

12 A. I would never have said a credential was a
13 secret value.

14 Q. Okay. So let's go to your reference to PKc,
15 you're referring to PKc?

16 A. Yes.

17 Q. And you're drawing that from the disclosure
18 of page 597, correct?

19 A. PKc must be referred to in quite a number of
20 places.

21 I think that suffices, if you look at 591 and
22 592 as well.

23 Q. So where, according to your opinion, is PKc
24 secured to the at least one party?

25 A. PKc is inseparable from SKc. There's a

1 unique correspondence between those two. SKc is
2 secured to the customer by the fact that the -- by the
3 VAN, which is SKc*, and the fact that the customer is
4 the only one who knows the PIN.

5 Q. So the exclusive OR operation of the PIN onto
6 SKc, in your opinion, is the operation you point to to
7 reflect securing of PKc to the one party?

8 A. Yes.

9 Q. Isn't it fair to evaluate what you just
10 pointed to as securing of a secret key to the party,
11 not securing of a public key to the party?

12 A. No. It does secure a secret key to the
13 party, but the point is to secure a public key to the
14 party.

15 Q. Even though there's -- in what you've pointed
16 to there's no cryptographic operation being performed
17 on PKc, that's still your opinion?

18 MR. WILLIAMS: Objection to form.

19 THE WITNESS: Yes.

20 BY MR. GREENSPOON:

21 Q. If the board were to construe the securing --
22 the so-called securing of a portion of credential
23 information to a party as requiring there to be a
24 cryptographic operation on that exact information,
25 would you agree that your reading of the claim would

1 no longer hold?

2 A. No. I think you would have to look a little
3 deeper.

4 The connection between SKc and PKc is
5 verifiable by the card which is in possession of both.

6 Q. By card, you're referring back to --

7 A. Token, whatever we're calling it that
8 contains SKc*.

9 Q. We haven't mentioned this in words yet, but
10 in the places in Meyer we're talking about there's
11 some discussion of an intelligence smart card, right?

12 A. Yes, the various sources use slightly
13 different terms for all the same thing called an
14 intelligent token, a smart card or what was Meyer, an
15 intelligent smart card?

16 Q. Intelligent secure card.

17 A. Intelligent secure card. It's my
18 understanding those all designate the same type of
19 device.

20 Q. A physical object --

21 A. A physical object with computing capacity.

22 Q. It's a physical object the holder would try
23 to protect, the owner of it would try to protect
24 physically?

25 A. Yes.

1 Q. Okay.

2 MR. WILLIAMS: Just remember to let him
3 finish talking before you answer.

4 BY MR. GREENSPOON:

5 Q. Okay. So your opinion is that even if the
6 board were to determine that the securing of claim 56
7 implicates some sort of cryptographic operation on the
8 so-called credential information, you believe that is
9 met by the Meyer disclosure you pointed to because the
10 cryptographic operation on the secret part of the key
11 links somehow to doing the same thing on the public
12 part of the key?

13 A. Nothing is done to the public part of the
14 key.

15 The public part of the key -- the public key
16 is inseparable from the secret key.

17 There is a precise one-to-one correspondence
18 between the two; for every public key there is exactly
19 one corresponding private key and vice versa and the
20 way in which you demonstrate that you know a public
21 key -- you know the secret key underlying the public
22 key is to use the secret key to generate a message
23 that can be verified by the public key.

24 Q. All right. You used the term "inseparable."

25 What was the sense --

1 A. For every --

2 Q. Please go ahead, I finished my question.

3 A. For every public key, one might have to say
4 in that case every well-formed public key, every
5 public key, there is a unique secret key and vice
6 versa.

7 That's what I meant by they're inseparable.

8 Q. I've shown you the Meyer textbook and you've
9 seen at least portions of the Davies textbook --
10 actually, you have the whole hard copy of Davies in
11 front of you.

12 You're aware that Davies actually cites to
13 that very Meyer reference?

14 A. I was not.

15 Q. You were not.

16 Let's turn to page 323, if you will.

17 MR. WILLIAMS: The book page or the exhibit
18 number?

19 BY MR. GREENSPOON:

20 Q. The book. I may change my mind about that.
21 Forget 323.

22 Let's turn to 339, please.

23 If you're at 339, let me know.

24 A. Yes, I am.

25 Q. Reference number 4, that's the very same

1 thing we're all calling the Meyer reference, right?

2 A. Yes.

3 Q. So Davies cites Meyer as reference?

4 A. I believe so. I don't know if there are
5 multiple editions of Meyer's book, but that's
6 certainly the right book.

7 Would you like me to read it to you?

8 Q. No, that's okay. You answered my question.

9 A. Since mine is legible.

10 Q. Sorry to do this, but please go back to page
11 323.

12 A. Yes.

13 Q. The last full sentence on 323 refers to a
14 particular concept and Davies says "registration
15 schemes based on the principles described by Matyas
16 can be used."

17 Do you see that, second line from the bottom?

18 A. Yeah, I do see it. I was reading.

19 Q. So Matyas was Meyer's co-author on reference
20 number 4 what we're calling the Meyer reference,
21 right?

22 A. Yes, Matyas was.

23 Q. So Davies was fully aware of the content of
24 Meyer, right?

25 MR. WILLIAMS: Objection to form and outside

1 the scope.

2 THE WITNESS: I don't know whether he was
3 fully aware of it, but he was clearly aware of the
4 book, yes.

5 BY MR. GREENSPOON:

6 Q. So Davies had every chance to incorporate
7 teachings from the Meyer reference where he thought it
8 appropriate?

9 A. I apologize, I feel uncomfortable answering
10 that question because I don't know exactly how
11 Davies -- he obviously was aware of Meyer's book, he
12 cites a piece of Meyer's work, although he does not
13 explicitly say that the thing prescribed by Matyas was
14 prescribed in that form in the book.

15 So --

16 Q. At the very least he was able to put the
17 Meyer and Matyas reference into his bibliography?

18 A. Yes.

19 Q. And that's his bibliography for a certain
20 chapter on signatures and uses of keys, right?

21 A. Yes.

22 Q. Do you have any understanding of why the
23 portion of Meyer you pointed to for claim 56 was not
24 itself discussed in the Davies reference?

25 MR. WILLIAMS: Objection to form, outside the

1 scope.

2 THE WITNESS: I have no -- I don't -- please
3 repeat that question.

4 BY MR. GREENSPOON:

5 Q. Do you know why the chunk of Meyer that you
6 cite for claim 56 wasn't explicitly part of Davies?

7 A. No.

8 Q. Let's go back to your chart. You can set
9 that aside.

10 I want to go to your very last chart on pages
11 86 through 89 of your declaration.

12 This is your chart of Davies in view of
13 Fischer and Piosenka, correct?

14 A. Yes.

15 Q. And this is, again, against claim 56,
16 correct?

17 A. Yes.

18 Q. So again, what you're attempting to
19 demonstrate is the concepts of claim 56 on that
20 combination including the concepts of VAN1 being used
21 to secure at least a portion of the credential
22 information to the at least one party, correct?

23 A. Yes.

24 Q. What is the purpose of bringing Fischer into
25 that combination according to chart? Put more simply,

1 what do you read onto the claim from Fischer in your
2 chart?

3 A. Okay, I've read through to the bottom of 87.
4 Would you repeat your question?

5 Q. In your charting of the combination, what
6 role does the citation to Fischer play?

7 A. Fischer is very explicit in specifying that
8 every signature in the chain is checked and that
9 the -- the signature is not verified unless every link
10 in the chain is verified.

11 Q. You say Fischer is explicit, but that's
12 already implicit in Davies, isn't it?

13 A. I'm sure it is.

14 Q. Turn in Davies to page 330, please.

15 In the very middle of the page, the first
16 paragraph under the heading has a final sentence that
17 reads "The merchant's terminal can verify the
18 signatures and the merchant is sure that the checks
19 will be accepted as genuine."

20 Do you see those words in Davies?

21 A. I do. I'm not sure over what signature is
22 pluralized. Is it the multiple signatures on one
23 check or signatures on various checks?

24 Q. I believe you'll find this is referring to
25 the offline operation using the 16-field check of

1 figure 10.22.

2 A. Yeah, now that I read the whole thing it
3 refers to the terminal collects the checks, plural,
4 and so I read the plurality of signatures in the last
5 sentence as referring back to the plurality of checks
6 in the second sentence.

7 Q. I see.

8 A. That's why he said signatures.

9 Q. So were you not satisfied that that
10 disclosure of Davies maps onto the checking of
11 signatures according to -- that would be -- that would
12 read on claim 56?

13 A. If I were concerned with the need to check
14 the sequence of signatures, I would not have taken
15 that part of Davies as establishing that.

16 Q. So can you summarize, then, what is it that
17 Fischer adds to the combination that you didn't
18 already have from, for example, this excerpt from
19 Davies?

20 A. Fischer adds an explicit statement that you
21 check a sequence of signatures and every signature
22 must verify correctly for the sequence to be correct.

23 Q. What language of claim 56 created a need to
24 find a sequence of signatures being checked?

25 MR. WILLIAMS: Objection to form.

1 THE WITNESS: At 56-B, authentication
2 transfers funds being denied to the at least one party
3 if the at least a portion of the credential
4 information cannot be secured to the at least one
5 party by using the VAN1.

6 BY MR. GREENSPOON:

7 Q. I see. That's only one checking of a
8 variable authentication number in that claim, right?

9 A. But that is checking the variable
10 authentication number that binds the user's
11 credentials to the bank.

12 Q. What do you mean by binds the user's
13 credentials to the bank?

14 A. Maybe that was a bad choice of terms.

15 The point of claim 56 appears to be to
16 specify what the authentication mechanism in what
17 Davies calls the bank section of the check in which
18 the bank places its signature on the user's
19 credentials.

20 The -- I found it less than explicit in
21 Davies that you would check the signature of the bank
22 on the customer credentials as well as check the
23 signature of the customer on the transaction.

24 I think it's obvious, but -- and implicit in
25 everything, but Fischer states it explicitly.

1 Q. Okay. And then you conclude your application
2 of the combination by bringing Piosenka in.

3 What's the purpose for that?

4 A. It's similar. Piosenka is very clear about
5 what happens if the verification fails, whereas
6 although I think everybody understands if the
7 verification fails, you go away mad and you don't pay,
8 the -- in Davies, it is taken for granted that if the
9 verification fails, the transaction does not go
10 through. In Piosenka, it is called out very
11 explicitly.

12 Q. So there's really no reason for a person of
13 skill in the art to have gone to Piosenka if that
14 person already had Davies plus Fischer to find claim
15 56?

16 MR. WILLIAMS: Objection to form.

17 THE WITNESS: It seemed to me that would have
18 been natural to combine the two.

19 BY MR. GREENSPOON:

20 Q. You mean the three?

21 A. The three, forgive me, the three.

22 Q. Okay. But putting yourself in the shoes of a
23 person of skill in the art in the beginning of 1992,
24 with knowledge of all of these references, wouldn't
25 your opinion be that a person of skill in the art

1 would only need to go to Davies plus Fischer to have
2 all of claim 56 and wouldn't need to go to Piosenka?

3 A. Well, as I said, Piosenka is explicit about a
4 point about which claim 56-B is explicit and that if
5 all of these things weren't obvious from the start to
6 somebody of skill in the art in 1992, this would have
7 educated him.

8 Q. Now, your opinion on claim 56 presupposes
9 that the bank's signing of the customer's public key
10 secures the public key to the customer?

11 A. Yes.

12 Q. And your opinion also presupposes that the
13 customer's signature on the bottom of this Davies
14 check is the original variable authentication number
15 of claim 51, right?

16 A. Yes.

17 Q. And in that opinion, what is the credential
18 information and what is the credential?

19 A. The credential -- now, it's important to
20 distinguish two things, the check and the filled-in
21 check or the blank check and the filled-in check.

22 You can interpret the credential in several
23 ways and the way we do it here -- I did it here, by
24 and large, is to say that the check is the credential.

25 You could, if you wished, instead say that

1 the -- either the combination of the bank section and
2 the customer section were the credential, instead,
3 describing that, that's what's on the check, so to
4 speak, when it's fresh.

5 Q. What's your opinion that you provide in your
6 declaration for identifying Davies? What is the
7 credential and what is the credential information?

8 A. I think I said that the credential is the
9 check and the credential information is the
10 information in the bank field and the customer field.

11 Q. And is that credential information nonsecret?

12 A. Yes, it is.

13 Q. So we will walk through that Davies check
14 embodiment shortly.

15 Let's take a break.

16 A. Okay.

17 (Whereupon, a lunch recess was taken 11:51 to
18 12:42.)

1 AFTERNOON PROCEEDINGS

2 MR. GREENSPOON: We are on the record.

3 BY MR. GREENSPOON:

4 Q. Welcome back from lunch, Mr. Davies -- I'm
5 sorry, Mr. Diffie.

6 A. You flatter me. Go on.

7 Q. I flatter him.

8 I'd like to ask you some questions about the
9 check discussed in Davies in section 10.6, which
10 starts on page 328.11 First, I'd like to just walk through what
12 Davies is disclosing there and I want to get your
13 understanding on the record, okay?

14 A. Please.

15 Q. So do you understand Davies to be saying that
16 there is a check that can be filled out by a customer
17 with all the fields shown in figure 2. -- 10.22?

18 A. Yes.

19 Q. And when the customer first gets ahold of it,
20 most of the fields are already filled out and the
21 customer only needs to put in a few of them, right?22 A. Well, I don't know if it's most, but
23 certainly a lot of fields are already filled in and
24 they are not subject to change. Doesn't have to fill
25 them in, couldn't fill them in.

1 Q. Right. So what are the fields in figure
2 10.22 that the customer would fill in at the point in
3 time when the customer starts the process?

4 A. The amount of payment, the payee identity,
5 the date and time, transaction type, if there is one,
6 the currency, perhaps a description of the payment and
7 the check sequence number is probably generated
8 automatically by the token.

9 Q. So let's talk about that.

10 So we've already had some discussion of the
11 token.

12 In the case of this section of Davies, are we
13 talking about a physical device?

14 A. Yes.

15 Q. So there comes a point in time where, through
16 the use of a terminal under this description, the
17 token will be plugged into the terminal in some way?

18 A. That's my understanding, yes.

19 Q. And then all of these fields that are either
20 prepopulated or already filled in -- that are either
21 prepopulated or filled in by the customer will
22 eventually go in through the token through the
23 terminal?

24 A. Yes, somebody in one of these references
25 talks about the possibility the token has a keyboard,

1 but --

2 Q. We will get to that.

3 A. Okay.

4 Q. So one of the things the token might do is
5 provide the maintenance and insertion of the check
6 sequence number, right?

7 A. Yes.

8 Q. And then for our purposes, one of the
9 important things that the token does is it actually
10 signs the fields using the customer's private key,
11 correct?

12 A. Yes.

13 Q. And what Davies is communicating is that the
14 physically secure token is the only thing in this
15 ecosystem that has the customer's private key in it,
16 right?

17 A. Yes.

18 Q. Because the customer wants to keep that
19 secret and secure, right?

20 A. Yes.

21 Q. On your point about the keyboard, do you
22 recall there was some disclosure that the token is a
23 physical object with a keyboard for the purpose of a
24 customer entering a PIN code?

25 A. The -- I think -- I didn't pay a lot of

1 attention to that aspect of these references.

2 They refer either to data including PIN code
3 being passed through a terminal or perhaps there being
4 a keyboard on the token that avoids exposing the PIN
5 in the terminal.

6 Q. I'll represent to you there is discussion of
7 the customer's private token being used for keying in
8 the PIN for the very purpose of not having someone
9 else's equipment intercept it.

10 Does that refresh your memory?

11 A. Yes, it doesn't refresh -- if you tell me
12 Davies says it, of course, I believe it, but that's a
13 perfectly sensible thing to do.

14 Q. So some of the prepopulated items in the
15 check embodiment are the customer identity and the
16 customer public key, right?

17 A. Yes.

18 Q. And those are in a set of fields that I think
19 in prior answers you've called the bank signature or
20 bank certificate?

21 A. I think the three sections are called the
22 bank section, the customer section and the transaction
23 section.

24 I don't remember where I remember that from.
25 If it isn't Davies, it's somewhere in Davies I am sure

1 or I think and a sensible enough term, so I took to
2 using them.

3 Q. So let's say a merchant get ahold of a check
4 such as it is in Davies -- let me finish my question.

5 A. Please.

6 Q. But it hasn't been populated with customer
7 fields yet, it's just the prepopulated fields, not the
8 ones that the customer has authorization to put in.

9 If someone provides that chunk of electronic
10 information to the merchant, does the merchant know
11 automatically with whom that person is dealing?

12 A. I'm not even sure that what you're describing
13 can happen in this architecture because the check, in
14 some sense before it's filled in, exists inside the
15 token and the token has no reason to hand it out until
16 it's filled in.

17 Q. Let's, then, take that instance where it's
18 been filled in, it is a check?

19 A. Yes.

20 Q. Do you understand the filled-in check or the,
21 let's say, the bucket of data reflecting the check is
22 a negotiable instrument?

23 A. I think it has limited negotiability by
24 comparison with a paper check because it has no
25 mechanism for being signed on to another party, but in

1 the first instance, it behaves very, very much like a
2 paper check, contains much the same information and
3 functions in the same way.

4 Q. On the backend, once it's entered whatever
5 processing it has to enter into as a filled, completed
6 check, it can be passed from entity to entity to
7 entity, right?

8 A. Yes, but not -- those passes are merely
9 passing the data. They don't -- they have, to my
10 mind, about the same significance as the teller takes
11 your check and hands it to another teller to put in a
12 file or something like that.

13 Q. And so --

14 A. Am I missing your point?

15 Q. No, I think you have got the point.

16 Let me try to make it more concrete.

17 Let's say a person receives -- the intended
18 payee receives the check.

19 A. Yes.

20 Q. And the intended payee now presents the check
21 to his own bank.

22 That's going to be payee bank, right, in my
23 vocabulary I'm presenting to you.

24 A. Let me see if I can square that -- I think
25 the terminology is the card issuer bank and the payer

1 bank.

2 Q. Let's go with that, okay.

3 You may be mixing embodiments, but with that
4 risk, let me try to present some questions to you.

5 If the recipient of the check presents it,
6 that recipient is going to be presenting it to a payer
7 bank in the Davies vocabulary?

8 A. I think so, but I think that's -- you're -- I
9 think it's misleading to anthropomorphize it quite
10 that much.

11 That is to say, all of this thread goes along
12 inside the electronics and so there really is no
13 instance in which somebody receives the check and
14 hands it on in exactly the way, of course, that you
15 would with a paper check.

16 Q. Well --

17 A. Something receives it and hands it off.

18 Q. As between the backend entities who might
19 pass the bucket of data which is the check, when one
20 entity passes it to the other, those respective
21 entities are not confirming their identities based on
22 the data within the check, are they?

23 A. We have to be very careful about that and I'd
24 have to think for a moment.

25 Are you counting the smart card as one of the

1 entities?

2 Q. You tell me.

3 A. Okay. I see several players here, yes, the
4 smart card is a very important one.

5 And it, of course, generates data as opposed
6 to merely copying and passing data or just acting on
7 data.

8 However, I think there are a number of places
9 here where the entity identities could be verified.
10 It is not clear whether people would decide that
11 needed to be done or not until a final verification
12 that actually initiates a transfer of payment.

13 Q. Well, my question was a little more precise.

14 A. All right.

15 Q. The fields 1 through 16 --

16 A. Yes, of -- yes.

17 Q. -- on figure 10.22 --

18 A. Yes.

19 Q. -- those fields cannot allow one backend bank
20 who received the check for payment identify itself to
21 another backend bank who is going to effect the
22 payment?

23 A. I'm sorry, I find that -- I think the answer
24 is no, and I find this all so odd, why would one think
25 that way.

1 Q. Let me put it in terms of the '302 patent.

2 In the '302 patent, we have a recipient's
3 bank and an originator's bank.

4 If we remember how to paint the picture of
5 '302 and its embodiments, the originator is the person
6 who wants to initially write the check, the initial
7 check maker and the recipient is the receiver of the
8 check.

9 The recipient presents to his bank, the
10 recipient's bank and payment is requested from the
11 originator's bank.

12 Do you remember that general vocabulary of
13 how things operate?

14 A. Yes.

15 Q. If we use that as a template to discuss the
16 terminology of Davies, the person who fills in 10.22
17 would be the so-called originator, right?

18 A. Yes.

19 Q. And then the recipient or the payee for that
20 check would be the so-called recipient?

21 A. Yes.

22 Q. So the payee will take the Davies check and
23 at some point have to present it to his own bank, the
24 recipient's bank, right?

25 A. Yes.

1 Q. At that moment where the payee presents that
2 electronic check, with fields 1 through 16 to his
3 recipient's bank, he's not using that check to
4 identify himself with fields 1 through 16, is he?

5 A. I don't believe so.

6 Q. And then when the recipient's bank goes and
7 presents to the originator bank to make sure that the
8 funds can be transferred, that recipient bank is not
9 using fields 1 through 16 to identify itself to the
10 originator bank, right?

11 A. No, because there is no identification,
12 whether reliable or not, on the check of the recipient
13 bank, only of the recipient.

14 Q. So let's go to the ATM example.

15 By the way, before we do that, field 13 is
16 one we've talked about payee identity.

17 Do you remember that?

18 A. Uh-huh.

19 Q. That's -- there's nothing in Davies that
20 shows that that reflects an account identifier for a
21 payee, right? That's the name of a payee?

22 A. You may see some discussion of how payees are
23 identified.

24 I don't remember it.

25 Q. So it could be that in section 10.6 there's

1 no discussion of field 13 being information that
2 identifies an account of a payee?

3 A. I believe as it is at least implicit, it is
4 whatever identifies the payee is the same kind of data
5 structure datum that identifies the payer.

6 So there's a customer identity 5 and a payee
7 identity 13.

8 Q. My question is: For either one or both of
9 those, is there any disclosure of an account number or
10 an account identifier in connection with those fields?

11 A. I don't remember. I thought there was a
12 discussion of account numbers in conjunction with the
13 customer identity.

14 Q. But not payee identity?

15 A. I don't remember.

16 Q. Let's go to the ATM embodiment.

17 This is an embodiment where the ultimate
18 result is going to be a cash out to --

19 A. Yes.

20 Q. And it's a cash out to the call it originator
21 or customer?

22 A. Yes.

23 Q. So there's no second party involved in this
24 situation, right?

25 A. No. I think there is a second party.

1 When I write a check -- I have certainly had
2 banks which told me when I was writing a check to them
3 for cash to specify the bank as the payee.

4 So I think the paying bank is the second
5 party. The check maker is the first party.

6 Q. Paying bank.

7 So if we look at figure 10.23, who is the
8 payee bank?

9 A. The one who owns the ATM.

10 Q. That would be the middle box of the three
11 boxes, right, that you're talking about?

12 A. Well, the money comes out of the ATM and I
13 think, yes, that would be the middle box.

14 Q. Okay. So you just hypothesize a way you've
15 had to write checks to get cash from a bank.

16 What's the --

17 A. It's not a hypothesis.

18 It's my understanding of what the commercial
19 practice is and how you would identify the second
20 entity there.

21 Q. Let's look at the four corners of the Davies
22 disclosure.

23 Isn't it the case that Davies doesn't utilize
24 a payee field when its token is going to be used to
25 effect this ATM process?

1 A. I do not remember.

2 Q. Some of the fields are already disclosed to
3 be optional, right, such as description of payment,
4 14?

5 A. I believe that one's optional. I don't know
6 if there's another optional one or not.

7 Transaction type, I don't know what a
8 transaction type is.

9 Q. You don't? On page 331, the end of the first
10 paragraph is actually not a full paragraph but the end
11 of the first paragraph has this sentence "To
12 differentiate these messages and provide for even
13 wider use, the format of figure 10.22 includes the
14 transaction type which denotes a customer check, ATM
15 request, interbank payment, payment advice and so
16 forth."

17 A. Thank you.

18 Q. Does that refresh your --

19 A. That refreshes my memory. It seems like it
20 would need a transaction type.

21 Q. So is it fair, then, to read that field 10,
22 the transaction type, is going to control whether the
23 customer check is to be utilized as a person-to-person
24 check versus an ATM request?

25 A. I think so.

1 Q. So an ATM request could be a code that goes
2 into field 10 that indicates that some of the other
3 fields might be optional for this usage, right?

4 A. Yes.

5 Q. Now, in the workflow of the ATM embodiment,
6 they have a picture, figure 10.23, right?

7 A. Yes.

8 Q. And the very origin of this collection of
9 arrows is this box under the first box which seems --

10 A. The keypad, that's a keypad. That appears to
11 me to be the request comes from a keypad, which is the
12 little box under the ATM.

13 Q. Yes, and the word "request" is next to those
14 as a symbol of some sort of keypad, right.

15 And then that first arrow goes to what looks
16 like a -- resembles a calculator of the era?

17 A. Yes.

18 Q. That's going to be a keypad possibly with the
19 display?

20 A. That's the token.

21 Q. So the words next to that symbology are
22 amount, PIN, pay.

23 So do you take from that that the workflow is
24 somebody somehow electronically connects the token to
25 the ATM machine, makes some sort of request on the ATM

1 machine and then the ATM machine sends a request down
2 to the token, whereupon, the customer inputs his PIN
3 into the token?

4 A. I don't know the exact time order. Might put
5 your PIN in earlier, but that is what I see as the
6 workflow.

7 The request is -- most of the request -- all
8 the request comes in on the terminal of the ATM and it
9 turns around to the token.

10 Q. Now, at this point, the magic happens and the
11 request -- the ATM request is signed at the token by
12 the customer private key, right?

13 A. In any request -- anything signed is signed
14 in the token.

15 Q. And then if we follow the arrows, we now go
16 straight up into the ATM machine with presumably
17 whatever parts of figure 10.22 will be used for this
18 purpose, right?

19 A. Whichever fields, yes.

20 Q. Okay. The ATM does a signature check,
21 correct?

22 A. I think he says it does, yes.

23 Q. In fact, the first line on page 331 includes
24 the words "the ATM checks the signature to avoid
25 passing ineffective messages into the system," right?

1 A. Yes.

2 Q. And that's represented by circle C, yes?

3 A. Yes.

4 Q. Then there's a long arrow that goes all the
5 way to the --

6 A. Card issuer bank.

7 Q. Right, and there's another circle C also
8 indicating check signature, correct?

9 A. Yes.

10 Q. And then if everything works out, the
11 workflow still at card issuer bank B says make payment
12 to A.

13 Do you see that?

14 A. Yes.

15 Q. And now there's a series of hop skips, that's
16 my terminology, but there's a signed message from card
17 issuer bank back to payer bank, correct?

18 A. Yes.

19 Q. And if that checks out, then we go make
20 authorization, right?

21 A. Yes.

22 Q. Make authorization happens at the payer bank
23 A?

24 A. Yes.

25 Q. And then payer bank A now issues a signed

1 communication back to the ATM machine itself?

2 A. Yes.

3 Q. And then -- now near the end. At the ATM
4 machine itself, circle C means that we check the
5 signature, right?

6 A. Yes.

7 Q. That's the interbank communication we're
8 checking, right?

9 A. Yes. It's not clear who signed that message.

10 Q. Well, payer bank?

11 A. That's what it would appear to me, yes.

12 Q. Good. Then, the symbology okay appears and
13 then an arrow down money paid?

14 A. Yes.

15 Q. That's cash out to the original customer,
16 right?

17 A. Yes.

18 Q. Okay. So what's different between the ATM
19 workflow and the conventional customer check workflow?

20 A. Well, not very much. The ATM workflow looks
21 most like a point-of-sale workflow and we have a
22 diagram in here somewhere of sending a check to
23 somebody else's account.

24 Q. I'll represent I did not locate a diagram of
25 the kind you see in 10.23 that was a customer check

1 person-to-person example.

2 So the population of usable fields in the
3 format of 10.22 can differ as between a customer check
4 transaction type and ATM transaction type, correct?

5 A. Well, since he specifies that the transaction
6 type field could include ATM request, I think the
7 answer is yes.

8 Q. Electronic checks can be easily copied,
9 right?

10 A. Yes.

11 Q. Okay. So let's go to your opinion on how
12 these two transaction types described in Davies
13 section 10.6 might read on claim 51.

14 A. All right.

15 Q. First of all, what is the so-called
16 credential?

17 A. So as I said to you earlier, the credential
18 is the unpopulated check that will be filled in inside
19 the -- by the actions of the customer and completed
20 inside the token.

21 Q. Who issues that unpopulated check?

22 A. The bank.

23 Q. So the claim language requires that the
24 credential is issued to at least -- well, the
25 credential is issued by a trusted party.

1 Is it your opinion that the bank is a trusted
2 party?

3 A. It is.

4 Q. Why?

5 A. Ordinary English, that is the business
6 relationship we have with our banks.

7 Q. Would you agree the bank is not a key
8 registry in this discussion?

9 A. No, actually I wouldn't. It's a key registry
10 for its customers.

11 Q. Do you --

12 A. It operates a key registry for its customers.

13 Q. Where do you see that disclosed?

14 A. I think that -- there is a statement, I
15 believe it is disclosed here that the bank issues --
16 is the origin of the customer field of the check which
17 is a component of the credential of the customer.

18 Q. And simply by virtue of that, your opinion is
19 that this makes the bank a key registry?

20 A. I don't see any other way to view it.

21 Q. So what place in the workflow does the step
22 of receiving funds transfer information occur?

23 A. When the token receives the funds transfer
24 information from the -- from the ATM.

25 Q. From the ATM?

1 A. Yes. That's one place. There are multiple
2 things that receive things that, but from the point of
3 view of claim 51, that is the essential one.

4 Q. So what about for other transaction types
5 other than ATM, do you have any opinion of --

6 A. They all involve the token. The token
7 receives the information wherever it gets it from.

8 Q. Okay. So your opinion, then, would be if
9 it's a customer check transaction type, then when the
10 customer steps up to the terminal, somehow couples the
11 token to the terminal and does that which is necessary
12 to have a true check with a payee, that's also an
13 instance where the token does the receiving?

14 A. Yes.

15 Q. Okay. Where in the workflow are you finding
16 generating the VAN?

17 A. In the -- in the signing of the transaction
18 information by the -- by the token.

19 Q. Where in the workflow are you finding the
20 determination of authenticity, the determining step?

21 A. In the -- in the checking of the signature by
22 the card issuer bank.

23 Q. And finally, where are you finding the
24 transferring step in the workflow?

25 A. So let me have the -- let me dig up the

1 claims again. What column are they?

2 Q. 33.

3 A. Of course, I have 38 here.

4 In the arrow between the two right-hand boxes
5 that's annotated make a payment to A.

6 Q. Okay. So in the lexicon of figure 10.23,
7 that's still the card issuer bank?

8 A. That's the card issuer bank making a payment
9 to the payer bank.

10 Q. If we map that scenario onto the way
11 vocabulary is used in the Stambler patent, that would
12 be the originator bank?

13 A. Well, let's see. Is that term used in the
14 claims anywhere?

15 Q. Not the claims, but in the detailed
16 description of the preferred embodiment?

17 A. And I apologize, he talks about the
18 originator bank and the receiver bank?

19 Q. Right.

20 A. Okay. I would think in this diagram that
21 corresponds to the card issuer bank is the originator
22 bank and the payer bank is the receiver bank.

23 Q. Fair enough. So your opinions never point to
24 any disclosure in Davies of a card issuer bank
25 generating a VAN using a portion of the received funds

1 transfer information, right?

2 A. I wouldn't think they would.

3 Q. Because it would be impossible in the Davies
4 workflow for the card issuer bank to create the same
5 VAN because the card issuer bank will never have the
6 customer's private key?

7 A. Yes, the token is the one thing that can
8 create the VAN.

9 Q. And as we just went over, you've got two
10 steps being performed in the token which is a physical
11 thing perhaps held in the pocket of a customer and
12 you've got two other steps being performed at a remote
13 bank, right?

14 A. Yes, I didn't count. What are the two steps
15 performed -- I mean data flow into the token and it
16 puts a signature onto the check and returns it.

17 Q. I think this is just summarizing things.

18 A. Is that one step or two, I was trying --

19 Q. Well, I'll start over.

20 So the receiving step and the generating
21 step --

22 A. These are steps as in the claims?

23 Q. Yes, I apologize.

24 A. The receiving and generating steps take place
25 in the token, that's right.

1 Q. And determining and transferring take place
2 at the remote bank, namely the one holding the
3 customer's money?

4 A. Yes.

5 Q. And how did you come to believe it was
6 appropriate to map claim 51 as an anticipation onto
7 the actions performed by two different technologies or
8 entities that are separate from one another?

9 A. Well, so I never followed your -- I think
10 you've discussed that before, I, frankly, didn't
11 follow you, just as I read claim 51, the workflow
12 we've been discussing seems to me to satisfy its
13 requirements.

14 Q. Some of the first words of claim 51 are a
15 method for authenticating, right?

16 A. Yes.

17 Q. And so all of the steps have to be understood
18 as a method for authenticating, right?

19 A. I believe you're telling me that's the way
20 patent language is read.

21 Yes, I believe you.

22 Q. Okay. You don't have a problem with that
23 proposition?

24 A. No, I don't have a problem with that.

25 Q. In the workflow of Davies only the card

1 issuer bank has the necessary capabilities to
2 determine authenticity, right?

3 MR. WILLIAMS: Objection to form.

4 THE WITNESS: I'm actually not sure of that.
5 Since the information in the credential is public,
6 potentially any party to the transaction could
7 determine the authenticity, it just may not feel it
8 needs to.

9 BY MR. GREENSPOON:

10 Q. Well, determine the authenticity and transfer
11 funds, there's only the customer's bank or the card
12 issuer bank who can do that?

13 A. It's the only one with an obligation to
14 transfer funds is, I think, the point.

15 Q. Within the four corners of the Davies
16 disclosure section 10.6 it's the only entity that does
17 transfer funds after performing the authentication?

18 A. Yes. That seems right.

19 Q. So I don't know if it's today or in your
20 declaration somewhere, but you've called the middle
21 section of the check, the part signed by the bank,
22 you've called that a certificate of the customer,
23 right?

24 A. No, I said the whole unfilled-in check was
25 the certificate of the customer, but I said you can --

1 there are other ways you could describe that.

2 Q. How is the whole unfilled check usable -- let
3 me back up.

4 Isn't it true that the unfilled-in check
5 cannot be used to determine or establish the identity
6 of a party?

7 A. The unfilled-in check is part of the
8 filled-in check and it is the part of the filled-in
9 check that is the credential.

10 Q. So maybe we're -- maybe we should be more
11 precise.

12 When you said the unfilled-in check, you
13 meant --

14 A. A field --

15 Q. A subset of fields that are fixed before the
16 customer starts doing some kind of data entry?

17 A. That's what I meant, yes.

18 Q. The fixed fields of the check embody the
19 certificate or the credential?

20 A. Yes.

21 Q. But you do assume that the whole check has
22 been filled out at the point in the workflow where
23 it's going to be used, right?

24 A. Yes.

25 Q. So isn't it correct that the fixed fields of

1 that check cannot be used for determining or
2 establishing the identity of a party?

3 A. Well, I think your terms are for the purpose
4 of determining or establishing the identity and yes,
5 they play a fundamental role in determining the
6 identity because they present the public keys that are
7 used to verify the signatures with the secret keys.

8 Q. However, you agreed that electronic checks
9 can be easily copied, right?

10 A. They can be perfectly copied.

11 Q. The person who does the presentment of such
12 an electronic check, that person's identity cannot be
13 determined or established by his or her presentment of
14 that check?

15 A. Well, once again, you are anthropomorphizing
16 this in a way that just isn't true to the technology
17 because the person who presents is the person who had
18 the token, who had the use of the token, the authority
19 of the token and the token, effectively as the agent
20 for that person, presents the check.

21 How it passes from clerk's hand to clerk's
22 hand after that is not really part of the financial
23 transaction, just part of the mechanism by which it's
24 processed.

25 Q. So is it your opinion that if you focus on

1 the person who has the token, then that person, at the
2 moment that the check leaves his or her possession, is
3 able to use the check for the purpose of identifying
4 himself or herself?

5 A. Identifying the maker -- the check identifies
6 the maker from the point of view of the transaction,
7 right.

8 Credentials are explicit to particular kinds
9 of things. Driver's license authenticates you to the
10 police for the right to drive.

11 In this case, the credential authenticates --
12 assisted in the authentication of the maker of the
13 check.

14 Q. What do you mean by anthropomorphizing?

15 A. You're talking about people presenting checks
16 as you're very much in the -- in the sense in which
17 physical paper possession plays a role at points in
18 human interaction that don't have a precise cognate in
19 this workflow.

20 Q. Isn't this an exact analog of the check
21 clearinghouse system that Mr. Davies is communicating,
22 really converting the paper check into a collection of
23 electronic data?

24 A. I don't know the details of the paper
25 clearinghouse system, part of my lack of expertise in

1 payment systems.

2 I think it is an approximate analog of the
3 normal business procedures involved in making payments
4 by check.

5 Q. So in the customer check example --

6 A. What page are you on? The one -- the page
7 with the diagram?

8 Q. The page with the diagram, 328.

9 A. Yes.

10 Q. In that case, neither the payer bank nor the
11 card issuer bank will receive the funds transfer
12 information before the VAN is generated, right?

13 A. No, they are not the ones who receive it
14 before the VAN is generated. The token receives it
15 before the VAN is generated.

16 Q. The same for the ATM example a few pages
17 later, neither the payer nor the card issuer bank
18 receives the funds transfer information before the VAN
19 is generated?

20 A. No, it's the token that receives the funds
21 transfer information before the VAN is generated.

22 Q. The token, as we've discussed, is something
23 that would be very closely physically held by a person
24 the way Davies describes it, right?

25 A. Yes.

1 Q. Something that that person would associate as
2 one of its most valuable pieces of property, perhaps?

3 A. Depends on how much money is in the account.

4 Q. And the way you've explained the token
5 receiving funds transfer information, I'm picturing
6 the customer sits at a terminal, types the necessary
7 information and perhaps it goes up into the terminal
8 and then into the token, right?

9 A. That's -- particularly that's exactly how it
10 looks in the diagram.

11 Q. Aren't you painting the picture of someone
12 giving some information to himself?

13 A. The token receives the information. I don't
14 see anything odd about that understanding. Is there
15 something missing here?

16 Q. Well, it's a human being supplying some
17 collection of data to a thing owned by the human
18 being, right?

19 A. Yes.

20 Q. So that's giving funds transfer information
21 to himself, right?

22 A. We're talking about electronic systems that
23 involve transferring information and information is
24 sent, in this case, by the human being, information is
25 received by the token.

1 Q. It's very simple. I'm confirming the way you
2 view the mapping of the claims.

3 You view it as the person receives fund
4 transfer information from himself?

5 A. No, the token receives the funds transfer
6 information.

7 Q. All right. Let me build that in.

8 So the token owned by the person receives
9 funds transfer information from the person?

10 A. Yes.

11 Q. And you see nothing odd about that?

12 A. No.

13 Q. If you take a ball from one hand and put it
14 into your other hand, are you receiving the ball from
15 yourself?

16 A. One of my hands is receiving the ball from
17 the other hand.

18 Q. But as a person with agency and an actor in
19 the world, are you receiving a ball from yourself with
20 that operation?

21 A. I don't -- I don't know. It doesn't strike
22 me as the same thing because the token -- the token
23 has a good deal of agency of its own. It can do
24 things you can't do.

25 Q. And it does those on behalf of the person who

1 owns it, right?

2 A. It does them on behalf of the person who
3 owns -- who owns it, yes, and just incidentally, as a
4 consequence, might be owned by the bank. The bank
5 would probably claim to own it.

6 Q. All right. You alluded to this earlier, but
7 this is -- this is not your first version of a
8 declaration on the Stambler patent ever, is it?

9 A. No.

10 Q. So you've submitted previous declarations
11 related to the Stambler patent for parties other than
12 MasterCard?

13 A. Yes.

14 Q. How many --

15 A. And maybe for patents other than this one.

16 Q. Perhaps. So how many other declarations
17 directed to the '302 patent have you presented?

18 A. I think, depending how you count, it's
19 probably three or four.

20 There were -- unless there was more than
21 one -- may have been more than one for the Federal
22 Reserve.

23 Then, the terminology in the Federal Reserve
24 case was taken over by First Third Bank, right, and I
25 think my declaration was submitted again on behalf of

1 First Third Bank. I don't remember any substantive
2 changes to it, I merely remember signing it again.

3 Then, it was -- the case went to Visa and
4 I -- the declaration must have changed at that point
5 because I remember several days working with Visa's
6 attorney discussing these issues and then it came to
7 MasterCard about a year ago and that produced the
8 current version.

9 Q. Okay. About how much time collectively have
10 you spent on all the work leading up to the
11 declaration we see before us today?

12 A. I assume it's tens of hours, but I would have
13 to consult my logs to find out.

14 Q. What do you bill for your work on this
15 matter?

16 A. I think it's 600 an hour. I say I think
17 because I do not remember -- for example, I do not
18 remember whether this moment comes under the backroom
19 work rate or the trial rate.

20 So we may have as many as three different
21 rates.

22 Q. Who wrote the initial draft of the
23 declaration?

24 A. I would say I wrote it, but I started with a
25 great deal of information from the attorneys for

1 Federal Reserve.

2 Q. Okay. If I walked you through the paragraphs
3 of your declaration, would you be able to pinpoint
4 what's changed since MasterCard retained you and asked
5 you for a declaration?

6 A. Maybe. I'm not very good at that. I might,
7 might not.

8 Q. Okay. We will get to some things in here in
9 just a moment.

10 Let me ask you how many other times have you
11 given testimony under oath in a patent matter, oral
12 testimony?

13 A. So I've worked on let me call it two and a
14 half cases of significance and one, there are two
15 other little tiny things.

16 The case in which I have spent the most work,
17 been deposed the most, only case in which I've ever
18 appeared in court was a TQP case on a patent by Jones.

19 So I do not remember how many times I was
20 deposed, but at least three and appeared in court
21 once.

22 I do not believe I've ever been deposed
23 before in this case or deposed in any other case I've
24 worked on, I've worked -- done what I call backroom
25 work, I have developed opinions for people.

1 Q. Other than TQP, this may be the second --

2 A. This is probably the second case I've been
3 deposed as an expert witness.

4 I've been deposed as a percipient witness in
5 cases of my own about public key two decades ago.

6 Q. You mentioned your own public key work.

7 Did you happen to notice that the Stambler
8 '302 patent cites to your earlier patent with
9 Mr. Hellman?

10 A. I did.

11 Q. And so you observe that the patent office
12 examiner found no problem issuing the '302 patent in
13 view of your prior inventive work on public key
14 cryptography?

15 A. That I understood.

16 Q. You're not bringing in your patent with
17 Mr. Hellman as any sort of invalidity theory of your
18 declaration?

19 A. No.

20 Q. Let's turn to your declaration, paragraph 18,
21 which is page 4.

22 A. That is my current declaration?

23 Q. Your current.

24 A. All right. Yes.

25 Q. Did you find that there's any sort of mistake

1 you might like to correct in paragraph 18?

2 A. No, I don't. If there's an error, you'll
3 have to point it out to me.

4 Q. Well, just based on your expertise in
5 cryptography, error detection code is something
6 different from the definition you gave it, right?

7 A. I think I used the definition that resulted
8 from one of the courts.

9 I don't see anything wrong with that
10 definition.

11 Q. Wouldn't it be better if the last instance of
12 the word "coded" was instead the word "original"?

13 A. No.

14 Q. You don't think so?

15 A. I don't think so.

16 Q. Have you been informed there was a prior
17 claim construction by the Court that was exactly as
18 you report it in paragraph 18, but a future court then
19 corrected it to replace the word I just pointed to
20 "coded" with the word "original"?

21 A. No, I wasn't.

22 Q. Let me show you page 25 of Exhibit 1016,
23 which is a court interpretation in Stambler versus ING
24 Bank.

25 A. Stambler versus whom?

1 Q. ING, I-N-G.

2 Actually starts at 24 but that's just the
3 header.

4 A. 24 of what, the document that's in my hand?

5 Q. Yes, error detection code.

6 I'll read to you from this court
7 construction.

8 "Plaintiff's proposed construction" meaning
9 Mr. Stambler's, "mirrors the Court's construction in
10 Amazon, but clarifies that an error detection code is
11 used to detect changes to the original information,
12 not to the resulting coded information. Plaintiff's
13 clarification is consistent with the specification,
14 which describes an error detection code as information
15 coded 'in such a manner as to permit detection of
16 changes (e.g., error detection coding) but without
17 complete recovery of the original information.'"

18 You see where I've just read?

19 A. Yes.

20 Q. Do you accept the Court's correction there as
21 a proper one to make?

22 A. I think either one will do.

23 I can live with either.

24 Q. Wouldn't you want to go and review your
25 opinions if it turns out that the ING court

1 construction is the one that's accepted by the board?

2 A. Well, I would want to figure out whether it
3 makes any difference, but as I say, as far as -- I'm
4 not aware of a difference. I understand you could
5 phrase it either way. I think my thinking was it is
6 the coded information that is transmitted and
7 received, therefore it's the coded information that
8 could be modified.

9 So I think the definition as written is
10 perfectly reasonable.

11 If you wish to regard it as trying to detect
12 whether you are recovering the original information, I
13 think the courts -- the latter court's way of putting
14 it is reasonable.

15 Q. If the purpose or the issue is data integrity
16 authentication, then the latter court's use of the
17 word "original" is the proper way to go?

18 A. I find the terms "proper" and "improper"
19 to -- there just is not enough difference here for me
20 to want to make any strong statement about it.

21 Q. Fair enough.

22 Can you turn to your declaration, another
23 place, paragraph 76.

24 A. As described in Davies.

25 Q. So paragraph 76 almost in its entirety is a

1 quotation of a Davies excerpt; is that correct?

2 A. I don't -- I don't doubt you.

3 Q. However, in the first line you have a bracket
4 alteration to the text.

5 Do you see that?

6 A. Is a point-of-sale payment by electronic
7 check.

8 Q. Let's go and find out what word or words you
9 were replacing with that bracket alteration?

10 A. Please.

11 Q. That was on page 331 of Davies.

12 Actually, it's not. It's on page 330 of
13 Davies.

14 A. All right.

15 Q. There you go, Mr. Diffie. I found an error
16 in your declaration.

17 A. Do I say it's on 331? I apologize.

18 Q. So we agree now it's 330 --

19 A. I see it at the bottom of 330.

20 Q. So the bottom of 330, the fifth word in that
21 sentence is the word "this."

22 So in other words, everything in the bracket
23 what you were doing is replacing the word this,
24 T-H-I-S, correct?

25 A. Yes.

1 Q. And what you put in the bracket is a
2 point-of-sale payment by electronic check, right?

3 A. Yes.

4 Q. But if you look in the context on the bottom
5 of page 330, that's not an accurate alteration of the
6 text, is it?

7 A. I believe this is describing a -- the use of
8 an ATM to get cash and I think my intent must have
9 been that the -- that that transaction functions like
10 a point-of-sale transaction in which the thing being
11 bought is a bundle of cash.

12 I apologize if you found it misleading.

13 Q. So would you like to clarify to make that
14 paragraph 76 in your declaration more accurate?

15 MR. WILLIAMS: Objection to form.

16 THE WITNESS: Well, I'd have to read for a
17 moment. The "this" in Davies is referring to a
18 previous paragraph.

19 BY MR. GREENSPOON:

20 Q. The words are --

21 A. The closest reference is certainly an ATM
22 with online verification and so looking no deeper, I
23 would incline to agree with you that it might better
24 have said an ATM -- an ATM withdrawal by means of
25 electronic check or something of that sort.

1 Q. Instead of point-of-sale?

2 A. But I want to emphasize that the two -- not
3 much difference in the two.

4 Q. I get it. You see ties between them?

5 A. Right.

6 Q. So going back to those two workflows that we
7 saw in section 10.6 of Davies, the customer check
8 workflow and the ATM cash out workflow, those were
9 each sort of self-contained systems, right? They were
10 fully described within their own terms, right?

11 A. If I understand you, I think so.

12 Q. In other words, there was no recitation by
13 Mr. Davies that much work needs to be done, here's a
14 catalog of things we can add or subtract or modify or
15 improve?

16 A. Well, self contained and not capable of being
17 improved, expanded, et cetera, seem slightly different
18 things, but I would not -- no, there's no reference
19 here that I know to a catalog of things you might add
20 to it.

21 Q. And as he wrote this up, Mr. Davies wrote
22 each of those workflows up as a system that
23 accomplishes this objective, right?

24 A. Yes.

25 Q. When you've taken -- when you've made your

1 obviousness combinations, we've gone over some of
2 those, sometimes it's with Meyer, sometimes it's
3 Davies with Fischer and Piosenka and Nechvatal, what
4 have you, you've made the point in your declaration
5 that the references are in similar subject areas?

6 A. Yes.

7 Q. So you've made the point that in each case
8 you have a reference that accomplishes similar
9 functions and, therefore, that gives some weight to a
10 reason for a person of skill in the art to combine
11 them?

12 A. No, they are in similar subject areas. I
13 might even have said in conversation the same subject
14 area, which is the use of cryptographic techniques to
15 secure various kinds of communication and transactions
16 on networks.

17 The two books are similar in that respect.

18 Q. And if you have a collection of references,
19 let's call them prior art references in front of you
20 as a person of skill in the art, and all the
21 references independently accomplished similar
22 functions, how could there be a reason to combine?

23 MR. WILLIAMS: Objection to form.

24 THE WITNESS: To be honest, I don't know how
25 you cannot know that.

1 That is to say that by reading several
2 references that addressed the same issues but express
3 things in slightly different ways, you come to a more
4 comprehensive view of the subject.

5 Is that not what it means to combine
6 materials?

7 BY MR. GREENSPOON:

8 Q. They become like separate pieces of a jigsaw
9 puzzle?

10 A. No, I think that's not probably -- jigsaw
11 puzzles fit at sharp edges. These are things that
12 overlap.

13 Q. All right.

14 MR. GREENSPOON: Let's take a break, might be
15 ready to adjourn.

16 (Recess taken 1:48 to 1:55.)

17 MR. GREENSPOON: Pass the witness.

18 EXAMINATION

19 BY MR. WILLIAMS:

20 Q. Let me just ask you a question about claim
21 55, which -- do you have the patent in front of you?

22 A. I have claim 55, about error detection code,
23 yes, I think I have the patent open to the claims,
24 yes.

25 Q. In your declaration, I think you were asked

1 earlier about whether you had provided an opinion as
2 to whether Davies teaches that element in your
3 declaration.

4 Do you recall that?

5 A. Yes.

6 Q. Do you recall whether you provided an opinion
7 as to whether Davies teaches that claim element in
8 your declaration?

9 A. I don't remember whether I provided it, but I
10 remember having the view that I thought in the end
11 that it did and I merely hadn't noticed it before.

12 Q. So if you have your declaration in front of
13 you, turn to page 59.

14 A. Yes.

15 Q. Does this refresh your recollection as to
16 whether in your declaration you provided an opinion as
17 to whether Davies teaches this element?

18 A. Yes.

19 Q. It does -- does that refresh your
20 recollection?

21 A. It refreshes my memory, yes.

22 Q. Did you, in your declaration, provide an
23 opinion as to whether Davies itself discloses what's
24 recited in claim 55?

25 A. No, I did not.

1 I did not say that Davies talked about error
2 detection.

3 Q. On this -- what was the intent of what's
4 shown on the bottom of page 59 and the top of page 60
5 in your declaration?

6 A. Example 1, Davies describes the generation of
7 a signature, et cetera.

8 Q. And you're comparing that to claim 55 on
9 these two pages, correct?

10 A. So it appears to me that I'm saying that
11 implicitly Davies understands that a signature is an
12 error detection code.

13 MR. WILLIAMS: No further questions.

14 MR. GREENSPOON: Just a quick follow-up.

15 FURTHER EXAMINATION

16 BY MR. GREENSPOON:

17 Q. You understand that the board, in its
18 institution decision, did not agree with you that
19 there is a basis for unpatentability of claim 5 as
20 anticipated by Davies?

21 A. Claim 5?

22 Q. 55.

23 A. Yes.

24 Q. So trial has been instituted on theories
25 other than claim 55 being anticipated by Davies?

1 A. Yes.

2 MR. GREENSPOON: Okay. No further questions.

3 MR. WILLIAMS: Off the record.

4 THE REPORTER: Can you both put your orders
5 on the record?

6 MR. GREENSPOON: For patentee, who is on the
7 other side of the caption, the defense.

8 MR. WILLIAMS: You're the patent owner.

9 MR. GREENSPOON: We would like rough ASCII
10 right away, otherwise a normal turnaround.

11 MR. WILLIAMS: And we will just take standard
12 delivery on the final, please.

13 (the deposition of was adjourned at 2:00 p.m.)

14
15 I, , do hereby certify under
16 penalty of perjury that I have read the foregoing
17 transcript of my deposition taken on ;
18 that I have made such corrections as appear noted
19 herein in ink, initialed by me; that my testimony as
20 contained herein, as corrected, is true and correct.

21
22
23 Signature: _____

24
25 Dated: _____

1 I, LOUISE MARIE SOUSOURES, duly
2 authorized to administer oaths pursuant to Section
3 2093(b) of the California Code of Civil Procedure, do
4 hereby certify: That the witness in the foregoing
5 deposition was by me duly sworn to testify the truth
6 in the within-entitled cause; that said deposition was
7 taken at the time and place therein cited; that the
8 testimony of the said witness was reported by me and
9 was hereafter transcribed under my direction into
10 typewriting; that the foregoing is a complete and
11 accurate record of said testimony; and that the
12 witness was given an opportunity to read and correct
13 said deposition and to subscribe the same.

14 Should the signature of the witness not be affixed
15 to the deposition, the witness shall not have availed
16 himself or herself of the opportunity to sign or the
17 signature has been waived.

18 I further certify that I am not of counsel, nor
19 attorney for any of the parties in the foregoing
20 deposition and caption named, nor in any way
21 interested in the outcome of the cause named in said
22 caption.

23 DATE: October 14, 2015
24

25 _____
LOUISE MARIE SOUSOURES, CSR. #3575

INDEX OF EXAMINATIONS

PAGE

BY

MR. GREENSPOON

5, 104

MR. WILLIAMS

102

INDEX OF EXHIBITS

(NONE MARKED)

ERRATA SHEET FOR THE TRANSCRIPT OF:

Case Name: Mastercard International Inc.

v. Leon Stambler

Dep. Date: 10/2/2015

Deponent: Whitfield Diffie

CORRECTIONS:

Pg.	Ln.	Now Reads	Should Read	Reason
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____

Signature of Deponent

SUBSCRIBED AND SWORN BEFORE ME

THIS ____ DAY OF _____, 2015.

(Notary Public) MY COMMISSION EXPIRES: _____