

UNITED STATES PATENT AND TRADEMARK OFFICE  
BEFORE THE PATENT TRIAL AND APPEAL BOARD

- - - - -x

APPLE INC., :  
Petitioner, : Case IPR2014-00237  
v. : Patent 8,504,697  
VIRNETX, INC. AND SCIENCE :  
APPLICATION INTERNATIONAL : Case IPR2014-00238  
CORPORATION, : Patent 8,504,697  
Patent Owner. :

- - - - -x

Deposition of FABIAN NEWMAN MONROSE, Ph.D.

Washington, DC

Thursday, October 23, 2014

9:00 a.m.

Job No.: 68382

Pages: 1 - 296

Reported By: Lee Bursten, RMR, CRR

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

2

1 Deposition of FABIAN NEWMAN MONROSE, Ph.D.,  
2 held at the offices of:

3

4

5 PAUL HASTINGS LLP

6 875 15th Street, NW

7 Washington, DC 20005

8 (202) 551-1700

9

10

11

12

13 Pursuant to agreement, before Lee Bursten,  
14 Registered Merit Reporter, Certified Realtime  
15 Reporter, and Notary Public in and for the District  
16 of Columbia, who officiated in administering the oath  
17 to the witness.

18

19

20

21

22

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

3

A P P E A R A N C E S

ON BEHALF OF PETITIONER:

JEFFREY P. KUSHAN, ESQUIRE

SCOTT M. BORDER, ESQUIRE

SIDLEY AUSTIN LLP

1501 K Street, NW

Washington, DC 20005

(202) 736-8000

ON BEHALF OF PATENT OWNER:

JOSEPH E. PALYS, ESQUIRE

PAUL HASTINGS LLP

875 15th Street, NW

Washington, DC 20005

(202) 551-1700

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 3

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 3

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

4

A P P E A R A N C E S C O N T I N U E D

ON BEHALF OF PATENT OWNER:

JASON E. STACH, ESQUIRE

FINNEGAN HENDERSON FARABOW GARRETT

& DUNNER LLP

3500 SunTrust Plaza

303 Peachtree Street, NE

Atlanta, Georgia 30308

(404) 653-6400

ON BEHALF OF PATENT OWNER:

JAMES STEIN, ESQUIRE

FINNEGAN HENDERSON FARABOW GARRETT

& DUNNER LLP

3500 SunTrust Plaza

303 Peachtree Street, NE

Atlanta, Georgia 30308

(404) 653-6400

(Present via telephone)

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 4

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 4

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

5

C O N T E N T S

EXAMINATION OF FABIAN NEWMAN MONROSE, Ph.D.	PAGE
By Mr. Kushan	7

E X H I B I T S

(Exhibits retained by counsel)

(Exhibit 1082 attached to transcript)

PETITIONER APPLE INC. DEPOSITION EXHIBITS	PAGE
Exhibit 1082 RFC 2535	98

PETITIONER APPLE INC. PREVIOUSLY

MARKED DEPOSITION EXHIBITS	PAGE
Exhibit 1001 US Patent 8,504,697 to Larson	9
et al.	
Exhibit 1008 US Patent 5,898,830 to	234
Wesinger, Jr. et al.	
Exhibit 1009 US Patent 6,496,867 to Beser et	160
al.	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 5

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 5

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

6

E X H I B I T S   C O N T I N U E D

VIRNETX DEPOSITION EXHIBITS	PAGE
Exhibit 2004      Glossary for the Linux	96
FreeS/WAN project	
Exhibit 2025      Declaration of Fabian Monroe,	10
Ph.D. in 237	
Exhibit 2025      Declaration of Fabian Monroe,	11
Ph.D. in 238	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 6

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 6

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

7

P R O C E E D I N G S

FABIAN NEWMAN MONROSE, Ph.D.

having been duly sworn/affirmed, testified as  
follows:

EXAMINATION BY COUNSEL FOR PETITIONER

BY MR. KUSHAN:

Q Good morning. Could you give us your full  
name.

A Fabian Newman Monroe.

Q And where do you reside?

A 800 Long Meadows Road, Chapel Hill, North  
Carolina.

Q And you understand that the testimony  
you're to give today is to be truthful, correct?

A I do understand.

Q And you're under oath?

A I understand.

Q Have you ever been deposed before?

A I have not.

Q You have not? Have you ever, outside of  
this proceeding, served as an expert witness in a  
legal proceeding?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 7

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 7

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

8

1           A       I've served as an expert witness on other  
2 matters related to these proceedings.

3           Q       Let me try to understand that. So is it  
4 that you've written reports or declarations about  
5 other patents?

6           A       A declaration, yes.

7           Q       Okay. Outside of the proceedings for  
8 VirnetX, have you been an expert witness for anybody?

9           A       I have not.

10          Q       Okay. And so you've never been deposed,  
11 right?

12          A       I have never been deposed.

13          Q       So I'll go through a few rules and then  
14 make sure we're on the same page.

15          A       Sure.

16          Q       In a deposition like this, I'll ask you  
17 questions. You're going to give me an answer. When  
18 you give me an answer, you have to give me a verbal  
19 answer. So if I ask you a question and you nod your  
20 head, you have to then add "yes" or "no" so I know  
21 what you're saying. Okay? Do you understand that?

22          A       Understood.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

9

1           Q       Okay. If you need a break, just let me  
2 know, and if I'm in the middle of a question, I'll  
3 try to wrap up and let you have a break. But just  
4 keep me informed about when you want a break.

5                   In the course of the deposition, Mr. Palys  
6 may be making objections. And when he's completed  
7 his objection, then continue to answer the question,  
8 unless he's directly asked you to not or instructed  
9 you to not answer the question.

10          A       Okay.

11          Q       So if you have a question about my  
12 question, just let me know, so I can try to improve  
13 it. So if there's any ambiguity or something that  
14 you hear in the question, just let me know and I'll  
15 try and give you a better question.

16          A       Sounds fair.

17          Q       Okay. So let me just get some of the  
18 paperwork out of the way. I'm going to hand you --  
19 these are exhibits which have already been marked.  
20 This is Exhibit 1001.

21                   (Petitioner Apple Inc. Exhibit 1001 was  
22 previously marked for identification and attached to

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

10

1 the deposition transcript.)

2 BY MR. KUSHAN:

3 Q This is an exhibit which is the same  
4 exhibit number in the two proceedings. You  
5 understand, there were two Patent Office proceedings  
6 that you provided declarations in?

7 A Yes, correct.

8 Q Okay. And they both relate to the same  
9 patent owned by VirnetX, right?

10 A Yes, the '697 patent.

11 Q And that's this patent, correct?

12 A That is correct.

13 Q I'm going to hand you Exhibit 2025 in IPR  
14 number 2014-00237.

15 (VirnetX Exhibit 2025 was previously marked  
16 for identification and attached to the deposition  
17 transcript.)

18 BY MR. KUSHAN:

19 Q And this is one of your two declarations.  
20 This is in the IPR proceeding I mentioned. I'm going  
21 to call that the 237 proceeding, just for simplicity.  
22 Can you just confirm that's your declaration?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

11

1 A This is.

2 Q Okay. And so this declaration, you're  
3 addressing a patent in the prior art that was issued  
4 to Beser; do you remember that?

5 A I do.

6 Q And this is just to get us oriented. I'm  
7 going to hand you your declaration, which is Exhibit  
8 2025 in IPR 2014-00238.

9 (VirnetX Exhibit 2025 was previously marked  
10 for identification and attached to the deposition  
11 transcript.)

12 BY MR. KUSHAN:

13 Q The same process, this is your same  
14 declaration, the second declaration you provided  
15 relating to the '697 patent in the 238 proceeding; is  
16 that correct?

17 A That's correct.

18 Q Okay. And in a similar way, if I say the  
19 238 proceeding, it's the second proceeding I just  
20 mentioned. I'll just call this your 238 declaration.

21 A That would be helpful.

22 Q Okay. So do you understand VirnetX has

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

12

1 sued Apple for patent infringement?

2 A I understand.

3 Q Have you had any role in the litigation  
4 involving Apple on behalf of VirnetX?

5 A I have not been part of the litigation.

6 Q Have you been given any materials from the  
7 litigation?

8 A I have not been given any materials from  
9 the litigation.

10 Q Okay. So you haven't seen any information  
11 that has originated from Apple describing any Apple  
12 systems? And -- I'll narrow it. Everybody's seen  
13 something from Apple, I'm sure. But let me say it  
14 slightly better.

15 I'm wondering if you have been given any  
16 materials from counsel that have been originally  
17 obtained from Apple in the litigation.

18 A So I'm not sure I fully understand your  
19 question, but so there are prior art that's  
20 identified by Apple, which I obviously have seen.

21 Q Okay. But outside of the information in  
22 these two proceedings, you haven't received any

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 12

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 12

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

13

1 information from, for example, the litigation that's  
2 underway between VirnetX and Apple?

3 A I have not.

4 Q Okay. Can you just give me a description  
5 of what you did to prepare for today's deposition?

6 A Sure. For the deposition itself, I went  
7 back to my declaration to refamiliarize myself with  
8 '697 in particular, I guess the specifications, the  
9 claims, looked at the prior art that was identified,  
10 which involved going back and looking at the entire  
11 intrinsic history.

12 So I went back, I looked at -- reviewed  
13 again the petition, the decision instituted by the  
14 PTAB, went back to my declaration, made sure  
15 everything was consistent. Those are the general  
16 processes. It was an iterative process, I had to go  
17 back, this was several months ago, verify, go  
18 through, and just refresh my memory on some of the  
19 specifications and the prior art.

20 Q Did you review the arguments that VirnetX  
21 has filed in the proceeding that quotes your  
22 declaration?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

14

1           A       I did. By that, you mean the patent  
2 owner's response and how it uses my declaration?

3           Q       Yes.

4           A       I did. Mm-hmm.

5           Q       Okay. Can you just give me a rough  
6 estimate of how much time you spent preparing for the  
7 deposition today?

8           A       For the deposition? Hard to say precisely.

9           Q       An estimate is fine.

10          A       Probably somewhere between 20 and 30 hours,  
11 in that ballpark, I would say.

12          Q       Did you meet with counsel to prepare for  
13 today's deposition?

14          A       I met with counsel about the deposition.

15          Q       How many times?

16          A       So since the -- let me think about that.  
17 Since the -- we met in early October. We're in  
18 October, sorry. A day and a half, sometime in early  
19 October, I think was -- the 6th or the 7th or the 7th  
20 or the 8th, sometime then. And then earlier this  
21 week.

22          Q       So you mentioned a number of documents that

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

15

1       you reviewed. Would you say you've reviewed every  
2       document that had been filed in each proceeding as  
3       part of your preparations for today's deposition?

4               A       Yes.

5                       MR. PALYS: Objection to form.

6               A       I reviewed -- so every document that is  
7       pertinent for these proceedings for 237 and 238, as  
8       cited in my declaration.

9       BY MR. KUSHAN:

10              Q       Okay. So there were a number of exhibits  
11       that the patent owner filed in its response to the  
12       proceedings, and those are numbered in the series  
13       2001 through two thousand I think four or five. Did  
14       you review all of those exhibits?

15              A       Two thousand -- I'm trying to remember the  
16       exact exhibit numbers, which ones these were.

17              Q       I'm just trying to understand, you just  
18       used the word "pertinent" to describe the category of  
19       documents that you had reviewed. And I'm just trying  
20       to make sure I understood that to be all the  
21       documents that were in the record of the proceeding,  
22       or a subset.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

16

1           A       I see what you're saying. So the exhibits  
2                   and other documentation that supported the petition  
3                   that were relevant to the decision and its instituted  
4                   grounds. That's what I looked at. I'm sorry. I'm  
5                   not a lawyer and there are all these legal terms.  
6                   I'm trying to figure that out.

7           Q       I appreciate that. This is just to  
8                   understand what you've been looking at so I know if I  
9                   have to give you more time to look at something, we  
10                  can do that later.

11          A       Mm-hmm.

12          Q       Did you look at any documents that you had  
13                  not looked at before you wrote your declaration in  
14                  your preparations today, for the deposition today?  
15                  So what I'm asking --

16          A       Yes.

17          Q       Okay. You did look at some additional  
18                  documents?

19          A       Yes.

20          Q       And what were they?

21          A       I believe a -- in legal terms, the Federal  
22                  Circuit decision came out after my declaration. I



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

17

1 skimmed that.

2 Q Was there anything else?

3 A No documents.

4 Q And did you notice anything of significance  
5 about the Federal Circuit decision when you reviewed  
6 it?

7 MR. PALYS: Objection to form.

8 A "Significance"? What does that mean?

9 BY MR. KUSHAN:

10 Q Well, you read the decision of the Federal  
11 Circuit, correct?

12 A Correct.

13 Q And did it have an effect on any of your  
14 opinions that you had previously expressed in your  
15 declaration?

16 A No. Nothing in that decision affected my  
17 opinions expressed in my declaration.

18 Q Okay. So let's just go back a little bit.  
19 Before you prepared -- when were you first retained  
20 to help in the proceeding?

21 A This particular one, or retained by counsel  
22 for VirnetX?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

18

1 Q Well, let's just start with the easier  
2 question. When were you first retained by VirnetX  
3 for any reason?

4 A So I believe that goes back to around July  
5 time frame of 2014.

6 Q Okay. And what were you asked to do at the  
7 time you were retained by VirnetX?

8 A What --

9 MR. PALYS: Hold on. I counsel the witness  
10 to not disclose any information that you may have  
11 discussed with counsel. If you can answer the  
12 question without doing that, go ahead. But if your  
13 answer requires you to divulge any information or  
14 communications between you and counsel, I instruct  
15 you not to answer.

16 A I guess on the advice of my counsel, I will  
17 choose not to answer the question.

18 BY MR. KUSHAN:

19 Q No, no. Let's try to make sure that's an  
20 accurate instruction to you. So I'm allowed to ask  
21 you what they asked you to do. That's in a general  
22 sense. I'm not asking for you to recount kind of the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

19

1 conversation you had with them, but I would like your  
2 understanding of what you were asked to do.

3 A Understood. So I was asked to take a look  
4 at the patent at that time, I can't recall off the  
5 top of my head which one that was, that was assigned  
6 to VirnetX. I was also asked to provide an  
7 understanding of what I believed was disclosed in  
8 that patent, and later provided with some identified  
9 prior art that I believed was used by petitioner with  
10 respect to anticipation claims for that patent.

11 Q So the patent that you -- the first patent  
12 that you mentioned, was it the '697 patent?

13 A No.

14 Q Do you remember the number of the patent or  
15 any part of it?

16 A I believe it was '135.

17 Q '135. Okay. And so you were asked to  
18 analyze the patent to see whether it had described  
19 something in it?

20 MR. PALYS: I'm going to instruct the  
21 witness not to answer that question. You can ask him  
22 what he did, but not in particular what instructions

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

20

1 he received from counsel or communication like that.

2 BY MR. KUSHAN:

3 Q So did you analyze the '135 patent to see  
4 what it was describing?

5 A I did.

6 Q And the '135 patent is not involved in this  
7 proceeding, right?

8 A That is correct.

9 Q And so I just would like to understand what  
10 you were looking for in the '135 patent.

11 MR. PALYS: I'm going to also object to  
12 this line of questioning under scope.

13 A So I think just like I would with any  
14 document, whether or not I understood what was being  
15 expressed in that document, for a patent,  
16 specifically whether I understood the specifications,  
17 the claims and the scope of the claims, and kept  
18 that -- and keeping in mind whether or not my  
19 understanding would have been similar to what one  
20 skilled in the art and how they would have  
21 interpreted that context at that time.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

21

1 Q So you weren't looking for any particular  
2 example of a system or anything in the patent?

3 MR. PALYS: Objection, form and outside the  
4 scope of direct.

5 A I'm not sure I understand what that means,  
6 by looking for a specific system in a patent.

7 BY MR. KUSHAN:

8 Q I'm just trying to understand, you did an  
9 analysis of the '135 patent, and I'm trying to see if  
10 you were looking for something in it or if there was  
11 some other -- you know, you were just reading it  
12 generally for some reason.

13 MR. PALYS: Objection to form and outside  
14 the scope of direct.

15 A So first and foremost, as I said, in  
16 reviewing any such material, I have to be comfortable  
17 that I understand what is being expressed in that  
18 material. So as an academic, I went through, looked  
19 at the -- what was in there, does this make sense to  
20 me, if it does, how should it be interpreted.

21 And then if any prior art, you know, is  
22 there any way that this prior art is anticipating any

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

22

1 of the claims that I'm looking at generally that's in  
2 the patent.

3 BY MR. KUSHAN:

4 Q So then one of the things you might have  
5 been looking at or the reasons that you might have  
6 been looking at the '135 patent was to see if the  
7 '135 patent claims were anticipated by any prior art?

8 MR. PALYS: Objection, form, and outside  
9 the scope. I'll just ask you to keep it within the  
10 scope of the direct. We're talking about a patent  
11 that's not at issue in these proceedings.

12 MR. KUSHAN: Joe, just make your  
13 objections, single word objections, and don't have  
14 talking objections, okay?

15 A Could you repeat your question?

16 BY MR. KUSHAN:

17 Q Yes. So you indicated in one of your  
18 answers you gave me that you were looking to see if  
19 the '135 patent claims are anticipated by prior art;  
20 is that right?

21 A At some point --

22 MR. PALYS: Same objections.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

23

1           A       -- I looked at that.

2       BY MR. KUSHAN:

3           Q       And do you remember what the prior art was?

4                   MR. PALYS: Same objections.

5           A       That was months ago. I'm sorry.

6       BY MR. KUSHAN:

7           Q       Was it the same prior art you considered  
8       for this proceeding?

9                   MR. PALYS: Same objections.

10          A       Honestly, I don't recall. That was months  
11       ago.

12       BY MR. KUSHAN:

13          Q       Okay. Now, do you remember, did you notice  
14       that the '135 patent had a very similar disclosure to  
15       the '697 patent?

16          A       Yes.

17          Q       Now, you've been granted patents, correct?

18          A       I have.

19          Q       Okay. So you've participated in the  
20       process where you would write the patent description?

21          A       I have.

22          Q       And then you know what a patent claim is?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 23

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 23

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

24

1           A       I do.

2           Q       Okay. And the patent claim defines the  
3 invention, right?

4           A       And the scope of the invention.

5           Q       And this is based on your own knowledge  
6 before you were hired to do work in this case, right?

7           A       Correct.

8           Q       Okay. And when you see a claim in a  
9 patent, that's defining the invention, as I just  
10 said, right? Let me get a cleaner question. So the  
11 claim in the patent defines the invention, correct?

12          A       So the claim defines the scope of the  
13 invention in light of the specifications, and I  
14 believe how one skilled in the art would have  
15 understood those.

16          Q       And --

17          A       Again, not a lawyer.

18          Q       I know, and I'm just asking for your  
19 understanding. It's just based on an observation  
20 that you've been granted patents.

21          A       Yes.

22          Q       So I'm just --

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 24

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 24



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

25

1           A       Working with lawyers at Lucent Technologies  
2       and elsewhere.

3           Q       Great. So when you see a patent claim,  
4       that may describe one example of something that's  
5       described in the patent, correct?

6           A       I think generally that is correct. Mm-hmm.

7           Q       And it's also correct that when you look at  
8       a patent claim, the Patent Office will not grant you  
9       that claim unless they believe there's a support for  
10      that claim in the description, correct?

11               MR. PALYS: Objection, form.

12          A       My understanding is that the process is,  
13      the PTO office applies -- from my experiences,  
14      looking to see whether that is patentable.

15      BY MR. KUSHAN:

16          Q       Again, so when you see a patent claim  
17      that's been issued in the patent, and that defines  
18      the invention, you will also see a description,  
19      typically in more detail, of what that invention is  
20      in the disclosure of the patent?

21          A       You will typically see that.

22          Q       Okay. So if the patent examiner were to

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

26

1 look at your claim that you presented in a patent  
2 application and they didn't see that invention in the  
3 description, they probably wouldn't give you that  
4 patent claim, right?

5 MR. PALYS: Objection, form, and outside  
6 scope of direct.

7 A So can you repeat your question a little  
8 bit more specifically for me, please?

9 BY MR. KUSHAN:

10 Q Sure. And we just walked through the  
11 description of a patent claim. It may cover a  
12 particular embodiment of the invention, right?

13 A Mm-hmm.

14 Q And so when you present a patent  
15 application with a claim in it, the claim gets  
16 examined for compliance with all of the requirements  
17 of patentability, right?

18 A Right.

19 Q So one of the questions the patent examiner  
20 may look at is whether the claim describes something  
21 which is described in the patent disclosure, correct?

22 MR. PALYS: Objection to form. Outside

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 26

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 26

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

27

1 scope of direct.

2 A So I think one of the standards that would  
3 have been applied at that time by the examiner is  
4 interpreting the scope of the claims in light of the  
5 broadest reasonable interpretation that should be  
6 assigned. So think more broadly about what is said  
7 here, and, you know, the broadest reasonable  
8 interpretation as one of ordinary skill would have  
9 viewed this. So...

10 BY MR. KUSHAN:

11 Q Sure. And so if the patent examiner  
12 doesn't see the invention that you've claimed that's  
13 described in your -- sorry -- that the patent  
14 examiner does not see in your disclosure a  
15 description of the invention as it's been defined in  
16 your patent claim, they won't grant you that patent  
17 claim, right?

18 MR. PALYS: Objection to form, and outside  
19 scope of direct testimony.

20 A I don't know.

21 BY MR. KUSHAN:

22 Q You don't know?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

28

1 A No. I mean...

2 Q But just generally, your patent claim has  
3 to be described in your patent disclosure, right?

4 MR. PALYS: Objection, form. Outside scope  
5 of direct testimony.

6 A Patent claim...

7 BY MR. KUSHAN:

8 Q And I don't want to make this more  
9 complicated than --

10 A I'm just -- the claims are supported by  
11 the -- you know, what's expressed in the  
12 specification of the patent.

13 Q Right. If I see a claim and it says  
14 perform steps A, B, and C, I go into the patent  
15 disclosure, I'm going to see probably in more detail  
16 a description of a process where you perform steps A,  
17 B, and C, correct?

18 MR. PALYS: Objection, form.

19 A Correct. I might be making this harder  
20 than it is. I just want to be on the same page with  
21 you legally here.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

29

1 Q I appreciate that.

2 A I'm not a lawyer.

3 Q And you're doing a good job of telling me  
4 to give you better questions. Don't hesitate to do  
5 that as we go through the day. All right. Let's go  
6 into your declaration. I want you to just pick up  
7 the 237 declaration. If you could turn to the very  
8 back, this is a description -- this is a CV that  
9 you've included.

10 A Okay.

11 Q Just a couple of initial questions. Does  
12 your CV as you've presented it in Exhibit 2025  
13 contain an accurate list of all of your publications?

14 A This seems reasonably up-to-date.

15 Q Okay. Just on the list of the  
16 publications, and you can direct me, if you could,  
17 are there any of the publications that you've  
18 authored before 2001, do any of those publications  
19 deal with VPN or secure communications systems?

20 A 2001?

21 MR. PALYS: I object to the form.

22 A So I see several publications here that

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

30

1       dealt with, you know, some form of secure  
2       communications within the field of computer and  
3       network security.

4       BY MR. KUSHAN:

5           Q       Which ones are those? And I think you have  
6       them numbered.

7           A       Yes, I'm going to -- unfortunately, it's  
8       not exactly in reverse chronological order. So let's  
9       see. So part of my thesis work, which is embodied by  
10      P-53, has to do with security authentication.

11          Q       And is that the "Design and analysis of  
12      graphical passwords"?

13          A       Correct. So the line of work that had to  
14      do with -- so take P-50 as an example. That's 2001,  
15      correct? Yes. So the line of work on cryptographic  
16      key generation from various biometrics. This one is  
17      "Cryptographic key generation from voice or using  
18      voice to generate cryptographic keys." That would be  
19      an example, another example of work that had to do  
20      with secure communications.

21                   There are probably others, if you want me  
22      to go through.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

31

1           Q       This is helpful. You can just give me that  
2       kind of a description if there's any others before  
3       2001.

4           A       I guess P-56 is in the same vein. Again,  
5       it's about computer security authentication. It  
6       might have applications to things in that sense. Let  
7       me just see if there's anything else here. A lot of  
8       this is a very long time ago.

9           Q       Sure.

10          A       And clearly my Ph.D. thesis covers aspects  
11       of things that are related here.

12          Q       So these examples you've given me, those  
13       are relating to authentication techniques?

14          A       Cryptographic techniques.

15          Q       And just so I'm clear, when the  
16       cryptographic techniques are being used to perform  
17       authentication purposes, they're for authentication  
18       purposes, right, in the examples you just gave me?

19          A       They are used for a multitude of purposes.  
20       I would have to go back in each one of these to see  
21       how cryptography was being used there.

22          Q       Was the -- and just as you remember them

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

32

1 now, were any of those papers investigating kind of  
2 the design of networks to support secure  
3 communications?

4 A And you're asking only about publications,  
5 or things that would have touched on those, say, for  
6 example, in my internships leading up to I think you  
7 said 2001?

8 Q We'll start with the publications. And if  
9 you have other experiences that are in that area,  
10 just let me know.

11 A You're asking me to go way back.

12 So I would have to look more specifically,  
13 but if I recall correctly, you know, some of the  
14 applications that are -- that we envisioned say in  
15 some of the work on cryptographic key generation from  
16 voice had applications to secure communications, how  
17 we would use that to better support secure  
18 communications.

19 So that would be in the publications up to  
20 that point. Again, I would have to really look back  
21 at everything that we said back then to see if I can  
22 give you a more direct answer to your question.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

33

1           Q       So those techniques would be relevant  
2       because that authentication technique might be a way  
3       of enhancing data security that's being transmitted  
4       over the network?

5                   MR. PALYS:  Objection to form.

6           A       I think -- so these are exposure to  
7       cryptographic techniques, and where these  
8       cryptographic techniques are appropriate.  Is it for  
9       authentication as you just mentioned here?  Is it for  
10      securing a communication -- the contents of a  
11      communication?  So they're just different aspects.  
12      And these are probably touching on different aspects  
13      of that, right?

14                   So I think the key here is understanding of  
15      these cryptographic techniques, which ones are  
16      appropriate to use when, and why.

17      BY MR. KUSHAN:

18           Q       And I'm just trying to go back into some of  
19      your background just so I understand, that type of  
20      knowledge you had back before 2000 gave you the  
21      ability to express opinions about whether the systems  
22      that are the subject of this patent proceeding are

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

34

1 new or obvious, right?

2 MR. PALYS: Objection, form.

3 A So I think you asked what background that I  
4 had that would have been -- would have made me  
5 knowledgeable in the art, the relevant art at the  
6 time. Is that --

7 BY MR. KUSHAN:

8 Q Sure. We can go with that question.

9 A Okay. So we've talked about some  
10 publications. Let's see. Before 2001. So I'll come  
11 back to my thesis work, which obviously touched on --  
12 gave me all that relevant background. But more  
13 directly, we can think of my internships, for  
14 example, my internship at Bell Communications, Bell  
15 Corp., back in 1996 and 1997, which are in two  
16 different groups here. So '96, this is the  
17 Cryptography and Network Security Research Group. In  
18 '97 is the High Availability and Distributed Computer  
19 Research Group.

20 So you can think of the former one more  
21 focused heavily on cryptographic techniques and  
22 understanding the relevant art for particular -- so

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

35

1 the types of problems that are being considered at  
2 that time, solutions to these problems, for things  
3 that, for example, might be relevant -- that are  
4 relevant here, right?

5 The same for the internship on the network  
6 side, in 1997. Again in 1998, I did more within my  
7 internships, looking at, you know, problems that have  
8 been proposed. Most of them require full  
9 understanding of cryptographic techniques, where  
10 these cryptographic techniques were used and why, and  
11 the problems they encountered, how they overcame  
12 these problems.

13 And that all involved looking at  
14 relevant -- you know, the state of the art at that  
15 time when I was doing this work. So I hope that's  
16 answering your question.

17 Q Sure. So those internships where you would  
18 get some practical experience of -- you know, with  
19 the techniques and the systems that would be relevant  
20 to establishing secure communications, right?

21 A They were not the only experience.

22 Q But the knowledge that you picked up by

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

36

1     doing those internships, that work experience, was  
2     giving you some better insights that you were using  
3     to, say, give you insights into secure communications  
4     systems back then?

5           A       So I think a better way to think about it,  
6     which is the primary purpose of some of these  
7     internships, was the experience through the  
8     internship helped solidify the academic teachings  
9     that you get throughout -- you know, mostly in your  
10    post-bachelor's, for me that was during my master's,  
11    which is in 1996, on the way to my Ph.D.

12                   And so you're -- along this way, you have  
13    the educational components, they're giving you  
14    teachings. You get more of a deeper understanding of  
15    some -- of how to apply some of these teachings  
16    through the internships, which kind of dovetails with  
17    what you've learned, right?

18                   So the success in these -- the success for  
19    your advisor during these internships is really  
20    closely tied to how well-prepared you were through  
21    your graduate studies so that you can take -- see a  
22    problem in those settings, get a better sense of the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

37

1 prior art, how to evaluate the prior art, how to put  
2 pieces together.

3 So I think the two dovetail pretty closely,  
4 right? So I probably -- yes, I think they dovetail  
5 very closely.

6 Q So just to make it -- and I'm taking away  
7 from your explanation that each of those experiences,  
8 both your academic experience and your work  
9 experience, contributed to your knowledge that you  
10 had back in 2000 of secure communications systems?

11 A I agree.

12 Q Okay. And some of those experiences you  
13 gained, I think you just mentioned a few of them,  
14 these research assistant positions, starting like in  
15 1994, and then in 1995 --

16 A '94?

17 Q You have a listing of a research assistant  
18 over the summer.

19 A Oh, research assistant. Mm-hmm.

20 Q That goes through it looks like about 1998;  
21 is that right? And then you had a position at Bell  
22 Labs starting in July of 1999?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 37

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 37

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

38

1           A       Yes. The way to think about these research  
2       assistantships, through your graduate career, you're  
3       focusing on research, most likely it's the research  
4       that's a part of your Ph.D. thesis. That's how you  
5       should view those research assistantships, which are  
6       different than research internships. So there's  
7       research internships as we see listed here all within  
8       the computer science department at NYU, right?

9                       So typically, you think of this as going on  
10      together with your courses, and hopefully, again,  
11      through the process of creativity in those research  
12      internships, you can leverage your academic teachings  
13      there. What you point to in 19 -- yes, after I  
14      graduated, back in 1999, I was a member of the  
15      technical staff at Bell Labs.

16                    And there, a member of technical staff,  
17      you're considered a research scientist then. So  
18      slightly different than what it says here as a  
19      research assistant and how you should be seeing  
20      those.

21           Q       Right. So if you looked back over your  
22      time, both your work experience and your educational

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

39

1 training, what technologies do you think are relevant  
2 to the field of virtual networking?

3 MR. PALYS: Objection, form.

4 A Broadly speaking?

5 BY MR. KUSHAN:

6 Q Just, you know, a catalogue of the types of  
7 technologies you think are relevant to virtual  
8 private networking.

9 MR. PALYS: Objection to form.

10 A So we have to be clear here, right? So  
11 virtual private networks is a subject, a term and a  
12 subject of the proceeding. So you mean specifically  
13 in light of the teachings of '697, or more broadly?

14 BY MR. KUSHAN:

15 Q Well, you understand this invention exists  
16 within a larger environment of technology, right?

17 A Yes.

18 Q Okay. So I'm trying to understand, when  
19 you looked at the particular instance of an example  
20 in the '697 patent, secure communications link or  
21 VPN, you were seeing that that was a type of VPN that  
22 was within the broader field of VPN technology,

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 39

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 39

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

40

1 right?

2 MR. PALYS: Objection to form.

3 A That was a very loaded question. So can  
4 you be a little bit more precise for me?

5 BY MR. KUSHAN:

6 Q Sure. I'm just trying to make sure that  
7 we're on the same foundation.

8 A I understand. So just --

9 Q So when you look at the '697 patent, and  
10 we've just mentioned that there are secure  
11 communication links and VPNs mentioned and claims in  
12 it, right?

13 A Mm-hmm.

14 Q You understand those terms, "secure  
15 communication link" and "VPN," to relate to different  
16 technologies that existed back in 2000, right?

17 MR. PALYS: Objection, form.

18 A So secure -- you're saying a secure  
19 communication link, which again, I think is a  
20 construed term here, as a technology. So I think --  
21 I think I see what you're asking in a broader sense.

22 BY MR. KUSHAN:



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

41

1 Q I'll try to make it a simpler question.

2 A Okay.

3 Q So is data encryption something that's  
4 relevant to the secure communication links that are  
5 discussed in the '697 patent?

6 A Most definitely.

7 Q And authentication techniques would be  
8 similarly relevant to the invention that's in the  
9 '697 patent?

10 A Definitely.

11 Q Okay. Are you familiar with IPSEC?

12 A I am.

13 Q Is IPSEC a standard that would be relevant  
14 to the virtual private networks and the secure  
15 communication links of the '697 patent?

16 MR. PALYS: Objection to form.

17 A So IPSEC is more broadly related in terms  
18 of your original question, right? So the  
19 technologies that are relevant for secure  
20 communication, IPSEC is one just example.

21 BY MR. KUSHAN:

22 Q Okay. Were you familiar with IPSEC before

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

42

1 2000?

2 A 2000? You're trying to ask me what I knew  
3 at an exact point in time.

4 Q Yes.

5 A Let's see. What did I know before 2000?  
6 So let me try an easier way. So I would -- I'm  
7 pretty sure that in at least one of the internships,  
8 1996 more probably -- again, which one of those it  
9 was -- but given it was in the cryptography network  
10 security group, we did a lot with respect to, again,  
11 understanding and giving assessments of different  
12 technologies, including understanding what IPSEC is  
13 and how it works.

14 I definitely got exposure to that as well  
15 during coursework in my graduate studies. If you ask  
16 me what year I took that class, I can't tell you.

17 Q If you were in that internship back in the  
18 '98, '99 time frame, and someone said I want you to  
19 give me an example how we would deploy this with  
20 IPSEC, you would presumably go to the RFC that  
21 defined the IPSEC standard and understand it from  
22 that, and then develop the instance of that example

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

43

1       that you were asked to work on?

2                   MR. PALYS:  Objection to form and outside  
3       the scope of direct testimony.

4           A       So are you asking if you gave me a  
5       problem -- no, let me just see if I understand what  
6       you just said.  You gave me a problem and said I want  
7       you to solve that problem only by using IPSEC?

8       BY MR. KUSHAN:

9           Q       If you were in your project and they said  
10       can you deploy this with IPSEC, maybe this is a  
11       particular network design, and you're asked, can you  
12       see if you can deploy this with IPSEC.

13          A       Okay.

14          Q       That's the hypothetical I'm dealing with.

15          A       Okay.

16          Q       If you didn't know IPSEC details at that  
17       time, you would just go to the RFC that defined the  
18       standard for IPSEC, right?

19                   MR. PALYS:  Objection, form.  Outside scope  
20       of direct testimony.

21          A       So I think it would be very hard to do  
22       that, to just go looking at the specification for

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 43

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 43

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

44

1 IPSEC by itself.

2 BY MR. KUSHAN:

3 Q What else would you need to look at?

4 MR. PALYS: Objection to form. Outside the  
5 scope of direct testimony.

6 A So in my experience, I think you would have  
7 to -- so you said IPSEC. To just go to the  
8 specifications, so the RFC, you have to understand  
9 the techniques that are being relied on in that RFC.  
10 So that means -- so it's heavily -- IPSEC talks  
11 about -- I'll give you an example,  
12 asymmetric/symmetric keys and asymmetric and  
13 symmetric cryptography and how these parts are being  
14 used.

15 An understanding of what that means, how  
16 that works, is necessary to be able to say whether or  
17 not I see something in a specification in IPSEC and I  
18 can apply it to some context that's given to me. So  
19 you have to know exactly what you're trying to solve,  
20 what's the context, the boundaries of the solution,  
21 whether or not IPSEC and what's taught in IPSEC can  
22 be used in this sense.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

45

1 But just referring to IPSEC -- I mean, I  
2 think there is -- you know, that experiences you get,  
3 referring back to something like an RFC, really is  
4 built on an understanding of what's the underpinnings  
5 of that RFC, in my experience.

6 BY MR. KUSHAN:

7 Q Sure. So if you were going through that  
8 process and you encountered some technique that you  
9 didn't understand, you would then just look up the  
10 relevant technique and understand that by perhaps  
11 looking at other RFCs or other textbooks or whatever  
12 they may be? Say, for example, in your asymmetric  
13 encryption, you might look at Mr. Snyder's textbook  
14 and say, all right, that's the textbook that talks  
15 about cryptographic techniques. If I didn't  
16 understand something that was mentioned in IPSEC, I  
17 could perhaps consult another reference to learn  
18 about it, right?

19 MR. PALYS: Objection to form. Outside the  
20 scope of direct.

21 BY MR. KUSHAN:

22 Q I'm not trying to make this more

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

46

1 complicated.

2 MR. PALYS: Same objection.

3 A Yeah, I mean -- if I'm trying to gain  
4 knowledge in something specific, my experience, I put  
5 my head down and try to understand the underpinnings  
6 of that as best as possible. And that may require  
7 that I look more specifically at one thing that I  
8 believe is in the context and was originally inspired  
9 that I looked at that based on the first reference  
10 that I looked at.

11 BY MR. KUSHAN:

12 Q Throughout this time when you're in your  
13 academic career, and this is between the time of your  
14 bachelor's and your master's and then your Ph.D.,  
15 throughout that period, you would -- where -- I'm  
16 sorry, during that period of your education, you  
17 would consult these technical resources to learn how  
18 the different standards or techniques might be --  
19 might work or how they might operate?

20 MR. PALYS: Objection to form. Outside  
21 scope of direct.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

47

1           Q       Let me give you a better question. So  
2       you've offered an opinion in your declaration where  
3       you suggest that a person of skill would have a  
4       master's degree, correct?

5           A       Okay.

6           Q       Right? Is that right?

7           A       I think I said something slightly  
8       different. Let me...

9           Q       If you want to go to paragraph 12.

10          A       Right. So stated here, I said based on my  
11       review of the technology, the education level of  
12       active workers in the field, and drawing, as we  
13       discussed, on my own experience, I believe a person  
14       of ordinary skill in the art at the time would have  
15       had a master's degree in computer science or  
16       engineering, as well as two years' experience in  
17       computer and network, with some accompanying exposure  
18       to network security.

19                I think that's kind of what we discussed so  
20       far and is in keeping with what I said here.

21          Q       So is it your view that a person without a  
22       master's degree could not learn the information that

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 47

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 47

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

48

1 the person with the master's degree had in this  
2 example you just gave?

3 A So I'm saying based on my experience, I  
4 think the level of education beyond your bachelor's  
5 is important, because in my experience, that's where  
6 you get exposure and better understanding of some of  
7 the technologies that are relevant here.

8 Q So if I was -- let's say I don't have a  
9 master's degree, but then I go to work for Lucent,  
10 and 20 years later, let's say I started in 1980 at  
11 Lucent, in the year 2000, after 20 years of working  
12 at Lucent, building and deploying and conducting  
13 research in these systems, do you think that person  
14 would have the same amount of knowledge that a person  
15 with a master's degree would have?

16 MR. PALYS: Objection, form.

17 A That's so many things, so many what-ifs  
18 here. I mean, it really depends on the types of  
19 things they were doing during that period. You know,  
20 so -- so if they were doing things that are really  
21 relevant to understanding what the state of the art  
22 is, and they were getting all that necessary



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

49

1 exposure, going through the technologies very  
2 closely, understanding the problems, the solutions,  
3 etc., I think it's conceivable.

4 As I said, just gauging on, as a proffer,  
5 and my own experience and folks that I've interacted  
6 with throughout the academic career, throughout my  
7 internships, this is my opinion on what I think would  
8 be necessary to understand the relevant art at the  
9 time. There could be others.

10 BY MR. KUSHAN:

11 Q Okay. So it's fair to say that one way to  
12 get the knowledge that a person of skill would have  
13 would be to get a master's degree and work for two  
14 years in computer networking and computer security?

15 A So that's my opinion, what one of ordinary  
16 skill in the time would have had, need to have.

17 Q Okay.

18 A Is that -- does that answer your question?

19 Q Yes, thank you. So if you can go to page  
20 10 of your 237 declaration. And that's the same page  
21 we were just looking at.

22 A Uh-huh.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

50

1           Q       You have a footnote on there, and you say,  
2       "I was asked to opine only with respect to certain  
3       issues that are discussed in this declaration."

4                   Can you tell me what the issues are that  
5       you were asked to discuss in the declaration? Well,  
6       let me ask it more generally.

7           A       Yes.

8           Q       Basically when you started working to  
9       prepare this declaration, you were told by counsel to  
10      address certain issues; is that correct?

11          A       So I was provided with -- as I go through  
12      this process, I have, you know, the petition, the  
13      testimony, the declaration from the petitioner's  
14      expert. So I'll look at what's being opined by that  
15      expert on specific issues, whether it has to do with  
16      some understanding of how one would have interpreted  
17      a phrase, understanding of some specific claim in the  
18      patent, and whether or not those specific ones are in  
19      keeping of my understanding of that.

20                   There could have been other opinions that,  
21      you know, weren't specific to these proceedings, and  
22      I didn't comment on them here in my declaration.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

51

1 Q So then it's fair to say that the things  
2 you chose to then address in your declaration are the  
3 ones that you felt were relevant to the proceedings?

4 A Correct.

5 Q Okay. And to the extent that you formed  
6 other opinions and didn't write or address them in  
7 the declaration, those were issues that you -- did  
8 you have no opinion about them, or you just felt they  
9 were not relevant to issues in the proceeding?

10 MR. PALYS: Objection, form.

11 A So I'm sure at some point statements were  
12 made. Let's say, for example, on some fictitious  
13 embodiment that maybe I did not agree with at the  
14 time, it's not relevant to what I've been asked to  
15 opine on here, and they're not here, right? So these  
16 all -- as I'm sure you're well aware, these are a lot  
17 of documents, a lot of things to go through.

18 So I've had opinions on stuff. I've  
19 expressed in this declaration my opinions on things  
20 that are relevant to this proceeding.

21 BY MR. KUSHAN:

22 Q So one of the things you looked at was a

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 51

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 51

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

52

1 declaration from Mike Fratto?

2 A I did.

3 Q And in that declaration, Mr. Fratto had  
4 made a number of observations about the technology or  
5 systems, and you chose to address a subset of his  
6 comments in this declaration, right?

7 A Correct.

8 Q Okay. And so for the other observations he  
9 made in his declaration, you're not offering any  
10 opinion in this proceeding?

11 A That is correct.

12 Q Okay.

13 MR. PALYS: Take a break? It's been an  
14 hour.

15 MR. KUSHAN: I think we can break in a  
16 second. Let me just check.

17 Let me ask just two quick questions on some  
18 of the background, and then we'll take a break, if  
19 that's okay.

20 MR. PALYS: Sure.

21 A Sure, go ahead.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

53

1           Q       So were there topics that you identified in  
2       your review of the materials in the proceeding that  
3       you had intended to review but chose not to in your  
4       declaration?

5           A       Topics?

6                   MR. PALYS:  Objection to form.

7           A       I believe anything that is relevant to  
8       these proceedings, that I thought was relevant, I  
9       reviewed.

10          BY MR. KUSHAN:

11          Q       And then you addressed the relevant issues  
12       that you saw in the documents in this declaration?

13          A       Correct.

14          Q       And what I want to know is, were there any  
15       topics you proposed to address in your declaration  
16       that counsel told you not to address in the  
17       declaration?

18                   MR. PALYS:  Hold on.  I instruct you not to  
19       answer that question on the basis of privileged  
20       information.

21          A       So these -- to be clear --

22                   MR. PALYS:  Please.  I instruct you not to

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

54

1 answer the question.

2 BY MR. KUSHAN:

3 Q Can you tell me how much you've been paid  
4 by VirnetX for the work you've done in this  
5 proceeding?

6 A I think I can give you a better answer with  
7 respect to since July of last year, because saying  
8 what is specifically for these proceedings versus  
9 other things, I think that the compensation would be  
10 somewhere around \$75,000 over those 15 months.

11 Q So just -- I think when you told me when  
12 you started working for VirnetX earlier, you said it  
13 was July 2014. And it sounds like it was July 2013;  
14 is that right?

15 A We're in 2014. Sorry. 15 months ago.

16 Q Okay. Just to make sure my math isn't off.

17 A Sorry. My bad.

18 Q And do you own any stock in VirnetX?

19 A I do not.

20 Q Is any part of your compensation in any way  
21 contingent on how the proceeding turns out?

22 A No.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

55

1 Q Okay. Do you know any of the named  
2 inventors of the VirnetX patent? If you can look at  
3 Exhibit 1001.

4 A Sorry. You gave it to me separately.

5 Q It's this one.

6 A I do not.

7 Q And then while you have the patent, do you  
8 see this thing under -- about in the left column,  
9 about halfway down, under "Related US application  
10 data"?

11 A I'm sorry. Repeat that again.

12 Q There's a paragraph 63 in the left column.

13 A Mm-hmm.

14 Q And right next to it is a long stream, a  
15 very long sentence.

16 A I see that.

17 Q And do you understand that this is  
18 identifying earlier applications which the '697  
19 patent is related to?

20 A I do understand that.

21 Q Okay.

22 A Mm-hmm.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

56

1 Q And if you want to go about midway through  
2 that paragraph, there's a word that -- you see all  
3 these words, "continuation," "continuation,"  
4 "continuation," and there's one that says,  
5 "Continuation in part of application number  
6 09/504,783 filed on February 15, 2000."

7 Do you see that?

8 A And then patent number '135, which is --  
9 yes.

10 Q And that's the '135 patent you mentioned  
11 earlier today?

12 A Yes, I believe so.

13 Q And do you understand that when you're  
14 measuring these patent claims against the prior art,  
15 you're using the date of February 15, 2000 to see  
16 whether they're new and inventive?

17 A I do.

18 MR. KUSHAN: Why don't we take the break  
19 now.

20 (Recess.)

21 BY MR. KUSHAN:

22 Q Dr. Monroe, did you have any conversations



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

57

1 with counsel during the break we just took?

2 A Yes.

3 Q And what did you discuss?

4 A Cycling and allergies, I guess.

5 Q Did you discuss any of the testimony you  
6 provided earlier today?

7 A No.

8 Q Did you have discussions where he, Mr. --  
9 any of your counsel, gave you guidance as to how you  
10 are answering my questions?

11 A No.

12 Q And you know you're under oath?

13 A I do.

14 Q All right. Now, if you could pick up  
15 Exhibit 2025 of the 237 proceeding, you have a  
16 section of this declaration where you're addressing  
17 some of the meanings of the patent claim terms,  
18 right?

19 A Mm-hmm. That's correct.

20 Q And then in the 238 proceeding, in your  
21 declaration in that, you have the same section  
22 addressing those claim terms?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

58

1 A Correct.

2 Q So there's a one common part of your  
3 declaration and then there's a back end which is  
4 talking about a different reference in each, right?

5 A Correct.

6 Q So when I ask you questions about the 237  
7 proceeding and the claim construction verification,  
8 it's also going to be the same answer for the other  
9 proceeding, the other declaration you provided in the  
10 238 proceeding?

11 A On the claim construction -- yes.

12 Q Okay. So we don't have to do it twice.

13 A Yes.

14 Q All right. Now, what's your understanding  
15 of how the patent claims -- the standard that the  
16 PTAB uses to construe patent claims?

17 A So that the -- I think my understanding is  
18 the standard that they apply has to do with  
19 interpreting the scope of the claim in light of the  
20 specification by viewing this in terms of the  
21 broadest reasonable interpretation that one of  
22 ordinary skill in the art would have understood those

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

59

1 terms and scope at the time of the invention.

2 Q And so that answer, under that answer, do  
3 you understand that if an interpretation of a claim  
4 is offered which doesn't make sense in view of the  
5 patent disclosure, that's not a reasonable  
6 construction of the claim?

7 MR. PALYS: Objection to form.

8 A Can you repeat more specifically, sir?

9 BY MR. KUSHAN:

10 Q Sure. So you made a reference to the  
11 broadest reasonable construction standard being  
12 something where you take the claim and you read it in  
13 light of the specification, right?

14 A Right.

15 Q And the reason you're doing that is to make  
16 sure that a reading or an interpretation that's  
17 proposed for the claim is consistent with the  
18 description in the patent disclosure, right?

19 MR. PALYS: Objection to form.

20 A So consistent with. So -- so it's -- as I  
21 understand it, in light of the specifications, and --  
22 terms can only be construed if they're not in light

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

60

1 of what is taught in the specifications. If that's  
2 how you want me to...

3 BY MR. KUSHAN:

4 Q I just want to make sure we're on the same  
5 page for how you see the relationship between the  
6 claim and what we might say it covers and what is in  
7 the specification under the PTAB standard.

8 A Right.

9 Q Okay.

10 A So the PTAB we just discussed.

11 Q Yes. So I'll give you an example.

12 A Mm-hmm.

13 Q And I'll make it not about this case, just  
14 so we can understand the understanding of the  
15 standard. You can imagine a word in a claim that  
16 says "widget."

17 A Mm-hmm.

18 Q And in the patent specification, it says "A  
19 widget can be A, B, or C, but not D." So that's my  
20 hypothetical.

21 A So we have a widget in the claims?

22 Q In the claim.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 60

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 60

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

61

1           A       Yes.

2           Q       And in the patent it says "A widget is A,  
3       B, or C, but not D." Right? That's my hypothetical.

4           A       Okay.

5           Q       So under the Board standard, the Board  
6       would not read the claim "widget" to cover D, because  
7       the patent specification says a widget is not D,  
8       right?

9                   MR. PALYS: Objection, outside the scope of  
10       the direct testimony.

11          A       I guess it depends on how different D is  
12       from the rest of A, B, and C.

13       BY MR. KUSHAN:

14          Q       I'm just using this very simplistic example  
15       where the patent disclosure says widget is not D.

16                   MR. PALYS: Same objection. And form.

17          A       So you're asking -- so let's just be a  
18       little bit more precise in what you are trying to get  
19       me to help you with an answer. So one more time. So  
20       the patent -- go ahead. Can you...

21       BY MR. KUSHAN:

22          Q       So the patent claim says "a widget."

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 61

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 61

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

62

1 A Yes.

2 Q And the Board now says, let's do a  
3 construction of "widget" in light of the  
4 specification. Correct?

5 A Correct.

6 Q So the Patent Board goes into the patent  
7 specification and they see a sentence that says "A  
8 widget is A, B, or C, but not D." Okay? And so what  
9 I'm asking you is, under the Board's broadest  
10 reasonable construction standard, the Board wouldn't  
11 read "widget" to mean something that includes D,  
12 because the specification says a widget is not D?

13 MR. PALYS: Objection, form. Outside scope  
14 of direct.

15 A That's my understanding.

16 BY MR. KUSHAN:

17 Q Okay. And you understand that in a patent  
18 document, a patent applicant can give special  
19 definitions to words and to claims, right?

20 A Yes.

21 Q So that's typically done where there's an  
22 explicit statement saying, when I say this word, it

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 62

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 62

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

63

1 means this thing, right? Some kind of a sentence  
2 that conveys the point that a word is being given a  
3 special meaning, right?

4 MR. PALYS: Objection to form.

5 A So I think that special meaning can be  
6 conveyed in reading the specifications, so it might  
7 not be as explicit as you just stated.

8 BY MR. KUSHAN:

9 Q So it could be something where you look at  
10 all of the examples and you say, based on the  
11 specification and all the examples, this is the  
12 meaning of this phrase?

13 MR. PALYS: Same objection.

14 A I think the context of the invention will  
15 help frame that, not only the examples.

16 BY MR. KUSHAN:

17 Q When you did your analysis of the '697  
18 patent disclosure, did you see an explicit definition  
19 of what a virtual private network is?

20 A So I believe at some point -- so you said  
21 "virtual private network"? So that's not a term that  
22 is being debated here in 237 or 238. "VPN

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

64

1 communication link" is. So I didn't opine on whether  
2 or not "VPN" as a term is explicitly stated in 237 or  
3 238, because it's not a claim term.

4 But I do recall at some point talking about  
5 some of the characteristics one of ordinary skill in  
6 the art would have assumed that a virtual private  
7 network would have.

8 Q And when you say you recall talking about  
9 some of these characteristics of VPNs, that's not  
10 something you addressed in your declaration? Or were  
11 you speaking of something in your declaration?

12 A So in this -- in the declaration for this  
13 proceeding, I was not asked to opine on the term that  
14 you just said, "virtual private network."

15 Q What terms were you asked to opine on?

16 A There were certain claim terms here.  
17 "Secure communication link," because that is -- that  
18 term is relevant in several of the independent  
19 claims.

20 Q And why don't I just pause you on that for  
21 a minute. Did you see an explicit definition of what  
22 a secure communication link is in the '697 patent?



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

65

1           A       So if I recall correctly, there were --  
2       that term was proposed differently by both VirnetX,  
3       Apple, and also different in the decisions  
4       construction.

5           Q       Okay. And so I'm just asking a slightly  
6       different question, which is, when you looked at the  
7       '697 patent, you didn't see a passage which just set  
8       out a certain definition for the word "secure  
9       communication link," right?

10          A       So I think that term, viewed in light of  
11       the broadest reasonable interpretations, based on the  
12       teaching of '697, was fairly -- was clear.

13          Q       But it wasn't explicitly defined?

14          A       Again, if we look at the context of which  
15       secure communication link is brought up, then the  
16       teachings of '697 make it clear to one of ordinary  
17       skill what that means.

18          Q       And you understand there's a difference  
19       between the two things we're discussing, right?  
20       There's one thing, which I've been asking you about,  
21       which is did the patent take and set forth an  
22       explicit definition where they just said "a secure

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 65

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 65

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

66

1 communication link is," and then just explicitly  
2 defined it, and then the thing you've been  
3 discussing, which is, when you read the entirety of  
4 the patent, you come to the conclusion it may have a  
5 certain meaning?

6 Do you see the difference between those  
7 two?

8 A Yes.

9 Q Okay.

10 A Mm-hmm.

11 Q So I'm just asking you about the first one.  
12 And there's no passage in the '697 patent that gave  
13 "secure communication link" an explicit definition,  
14 right?

15 A I believe -- if my memory serves me  
16 correctly, there are opposing views on what that  
17 means.

18 Q Right. So that goes to the second thing I  
19 mentioned, which is interpreting the patent and  
20 coming to a conclusion, right? There's differences  
21 you're saying that exist between the Board and Apple  
22 and VirnetX about the meaning, right?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 66

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 66

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

67

1           A       Yes.

2           Q       Okay. So you would agree with me that  
3 there is no explicit definition of the term "secure  
4 communication link" in the '697 patent?

5           A       So I think we're agreeing here, as we said  
6 before, the broadest reasonable interpretation in  
7 light of these teachings, as we said earlier, the  
8 standard that's been applied, does it require  
9 encryption, as we've discussed. You said whether or  
10 not that term says secure communication link equals  
11 encryption explicitly, I don't recall if that's the  
12 case.

13          Q       Okay. Now, in your opinion, you are  
14 disagreeing with some of the interpretations that the  
15 Board reached for a number of terms, and one of them  
16 is "secure communication link"; is that right?

17          A       That's correct.

18          Q       So if the Board does not agree with your  
19 interpretation of "secure communication link," then  
20 your opinions that are based on your interpretation  
21 would not be relevant to the Board's conclusions  
22 about whether the claims are anticipated or obvious,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

68

1 right?

2 A So I opined on whether or not the  
3 interpretation of the claim terms by the Board made  
4 sense. And I gave my opinion on why I believe  
5 certain aspects of that did not.

6 Q Right. And then you took in your mind the  
7 correct understanding of the meaning and then you  
8 compared that definition to the prior art to show  
9 that the Board had made a mistake, right?

10 A I also considered the interpretation that  
11 the Board gave as well.

12 Q But you didn't use it to reach your  
13 conclusions about whether the claims of the '697  
14 patent were anticipated or obvious; you used your  
15 interpretation of, for example, "secure communication  
16 link," right?

17 A So whether -- so in this anticipation, in  
18 light of the specification, what I interpreted that  
19 term to mean in light of the specification is what is  
20 used in the evaluation.

21 Q Okay. So, for example, in the Beser  
22 patent, one of the reasons you believe Beser does not

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

69

1 anticipate the claims is that you believe Beser does  
2 not show use of encryption in the communication links  
3 and therefore the Beser communication links are not  
4 secure communication links; is that right?

5 MR. PALYS: Objection, form.

6 A Again, we're talking about the claim term  
7 and where that claim term is being used. I want to  
8 be -- are we being very specific to -- being very  
9 specific to Bes -- when you say "Beezer," I said  
10 "Besser," it threw me off -- how Beser anticipates  
11 the specifics of elements of a claim?

12 BY MR. KUSHAN:

13 Q Right. So for example, in your opinion,  
14 Beser does not show a secure communication link  
15 because you've concluded that a secure communication  
16 link requires encryption; is that right?

17 A So my understanding of a secure  
18 communication link, which I think is in keeping with  
19 one of ordinary skill in the art at the time of the  
20 invention, is that it requires encryption. So under  
21 that understanding, then I don't believe that Beser  
22 meets this requirement.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

70

1 Q Okay. So if the Board disagrees with you  
2 and says that the term "secure communication link"  
3 does not require encryption, then it could conclude  
4 that the systems in Beser would show a secure  
5 communication link; is that right?

6 MR. PALYS: Objection, form.

7 A I haven't thought about what -- what this  
8 means, if, you know, the Board goes with its  
9 decision. I've been asked to provide my  
10 understanding of what that term means. And that's  
11 what I did here, right? So I respectfully disagree  
12 with how the Board interpreted that term. And I gave  
13 my opinion on why that's the case.

14 BY MR. KUSHAN:

15 Q And that would be true for both the Beser  
16 patent and for the Wesinger patent and your analyses  
17 of those two?

18 A When terms are given specific meaning in  
19 the decision, trying to -- yes.

20 Q So if you could go to paragraph 14 of your  
21 declaration. This is, I'm sorry, in the 237  
22 proceeding.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

71

1           A       Thank you.

2           Q       Now, in paragraph 14, you quote a passage  
3       from the '697 patent. And this is the second half of  
4       paragraph 14. You say, "This patent specification  
5       teaches that 'data security is usually tackled using  
6       some form of data encryption.'"

7                   Do you see that quote?

8           A       I do.

9           Q       And you point out that the '697 patent  
10       repeatedly discusses using encryption in various  
11       embodiments, right?

12          A       Mm-hmm.

13          Q       Okay. So on the quote that you provide,  
14       you're reading the word "data security is usually  
15       tackled by using some form of data encryption" to  
16       mean that it is always tackled by using some form of  
17       data encryption in the '697 patent; is that right?

18          A       I'm interpreting how I said here, it's  
19       usually tackled using some form of data encryption.

20          Q       Right. So the word "usually" means not  
21       always, right?

22          A       Correct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

72

1           Q       All right. And so when you read the  
2 sentence that says "Data security is usually tackled  
3 using some form of data encryption," would the  
4 natural meaning be that data security may sometimes  
5 be tackled with using some form of data encryption  
6 and other times without data encryption?

7           A       So in light of what is being -- of the  
8 invention, it's clear that data security is -- is  
9 tackled through encryption. So it may say that in  
10 some other context. You can interpret this in some  
11 other context depending on what you're trying to do,  
12 but this statement is data security was tackled in  
13 some other way.

14                   But in light of '697, as I've provided  
15 several examples, I go back and say, this is why you  
16 need -- in this context, you're trying to -- you're  
17 trying to conceal the contents of a communication,  
18 which is why we're building this communication link,  
19 then encryption is the way to do that.

20           Q       Do you understand that the '697 claims  
21 require the concealing of the content of the  
22 communication?



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

73

1 MR. PALYS: Objection, form.

2 A Can you be -- let's try and be more  
3 specific. What do you mean here?

4 BY MR. KUSHAN:

5 Q Sure.

6 A So we're discussing secure communication  
7 links.

8 Q Right. So as you understand "secure  
9 communication link," that term would not cover a  
10 situation where data would be sent through a  
11 communication link other than in encrypted form?

12 A So in light of the teachings of '697, I see  
13 data security being enabled primarily through  
14 encryption.

15 Q Okay. Primarily?

16 A Through encryption.

17 Q There's no situation where it would not be  
18 covered or implemented without encryption?

19 A In the entirety of '697?

20 Q Right.

21 A As far as I recall, the teachings  
22 continually refer to the use of encryption to provide

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

74

1       that data security. And even in any context where  
2       things happen in the clear, so not encrypted, there's  
3       also a suggestion very clearly that some form of  
4       cryptographic technique should be used to protect  
5       this.

6           Q       And so is it possible that the  
7       cryptographic technique used to protect the data in  
8       that latter example you gave would be a cryptographic  
9       technique such as one used in authentication?

10          A       So authentication is not used and cannot be  
11       used to protect the content of a communication.

12          Q       But you can enhance data security using  
13       authentication techniques, right?

14          A       You can.

15          Q       And you can also enhance data security with  
16       obfuscation techniques like IP address hopping?

17          A       What do you mean by "enhance" here?

18          Q       Make it more secure than in the absence of  
19       use of the technique.

20          A       Absent -- so no. So if you're saying that  
21       I can use address hopping, as you just said, or I can  
22       use authentication without using encryption, that

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

75

1 that does not provide the properties we need to  
2 secure that communication.

3 Q So can you secure a communication between  
4 two entities, origination and destination, by using  
5 IP address hopping schemes?

6 MR. PALYS: Objection, form.

7 A So address hopping would tell you  
8 nothing -- at best will only conceal maybe who is  
9 talking to whom, but does nothing to conceal the  
10 communication.

11 BY MR. KUSHAN:

12 Q So in the scenario where you needed to know  
13 the origination and destination to provide context  
14 for the data being transmitted, in that scenario, the  
15 IP address hopping scheme would add to the security  
16 of that communication, right?

17 MR. PALYS: Objection, form.

18 A If it is done in addition to encryption.

19 BY MR. KUSHAN:

20 Q Let's just take an example. I'm just  
21 trying to make sure we understand what improves data  
22 security and what doesn't. If the Pentagon and the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

76

1 CIA send each other a message and they have a code  
2 word which the CIA knows means launch drone strike,  
3 and the code word is "red," you would need the  
4 context of the communication coming from the Pentagon  
5 to the CIA to see the significance and meaning of the  
6 word "red" in a data packet, right?

7 MR. PALYS: Objection to form, and outside  
8 the scope of direct testimony.

9 A I don't see what that has to do with  
10 address hopping.

11 Q So all I'm trying to do is --

12 MR. PALYS: Hold on. I just want to make  
13 sure he got my objections.

14 THE REPORTER: Yes.

15 MR. PALYS: Thank you.

16 BY MR. KUSHAN:

17 Q Before 2000, people used obfuscation  
18 techniques to improve data security, right?

19 A People used obfuscation techniques for a  
20 number of different reasons.

21 Q Okay. And in the patent, the '697 patent,  
22 they describe a scheme called TARP routing.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

77

1 A That's correct.

2 Q And TARP routing is an obfuscation  
3 technique, isn't it?

4 A It includes the ability to hop, which is a  
5 form of obfuscation.

6 Q And they are portraying as part of their  
7 invention that makes data communications more secure  
8 that you will use these obfuscation techniques,  
9 right?

10 MR. PALYS: Objection, form.

11 A In isolation?

12 BY MR. KUSHAN:

13 Q As part of the invention.

14 MR. PALYS: Same objection.

15 A So if my memory serves me correctly, in  
16 '697, address hopping is considered as a way to help  
17 enhance the security of the communication but not by  
18 itself. It's in combination with encryption.

19 BY MR. KUSHAN:

20 Q So in the '697 patent, it's the combination  
21 of the TARP routing technique and encryption that  
22 gives that invention the additional security and data

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 77

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 77

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

78

1 communications that it says it's providing?

2 A So there is a lot in '697, for one. Let's  
3 see. Let me take a look at this.

4 Q If you want to go -- are you looking at the  
5 patent?

6 A Yes.

7 Q It's right here. If you want to go to  
8 column 3, around line 15, there's a section called  
9 "Summary of the invention."

10 A Okay.

11 Q So as it's describing here, there's two  
12 elements of the invention. One is the encryption  
13 technique that I think you've addressed, where you  
14 take an encrypted packet and you put it inside an  
15 unencrypted packet and transmit that, right?

16 A So you said something about encryption,  
17 without encryption? I don't think that's what I  
18 said.

19 Q So if you go to line 22, column 3, "The IP  
20 packets exchanged between TARP terminals via TARP  
21 routers are actually encrypted packets whose true  
22 destination address is concealed except to TARP

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

79

1 routers and servers," right? "The normal, clear, or  
2 outside IP header attached to the TARP IP packets  
3 contains only the address of the next hop router or  
4 destination server," right?

5 A Mm-hmm.

6 Q So what that's describing is a scheme where  
7 you have the packet, the true packet which has been  
8 encrypted, and then put inside of another data packet  
9 where the header information is not encrypted, right?

10 A Correct.

11 Q And then the TARP routers use the  
12 unencrypted header information to route the traffic  
13 via these TARP routers, right?

14 A So the packets exchanged between the  
15 terminals are actually encrypted packets whose true  
16 destination is concealed except to TARP routers and  
17 servers, which I believe is what you said.

18 Q So the invention that's being described  
19 combines -- and TARP routing is an IP hopping scheme,  
20 right?

21 MR. PALYS: Objection, form.

22 A So it's a hopping scheme. And there are

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

80

1 several -- again, in '697, there are a lot of twists  
2 of how that hopping scheme is envisioned.

3 BY MR. KUSHAN:

4 Q So when I say "hopping scheme," basically  
5 we understand the same thing, that it's a way of  
6 routing the traffic to try to prevent people from  
7 understanding what the true origination or true  
8 destination is, right, of a communication from point  
9 A to point B?

10 A Yes. To conceal the true origination and  
11 destination. That's the way you can look at it,  
12 correct.

13 Q And so the invention of the '697 patent is  
14 combining this hopping technique with the -- and  
15 using encryption of the true data packet from A to B  
16 inside of these other packets that are moving it  
17 through a route to the ultimate destination, B; is  
18 that right?

19 A That's not all it does.

20 Q And what else does it do?

21 A I mean, there's a lot -- it's not only  
22 encrypting the -- encryption is not only used to

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 80

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 80



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

81

1 conceal who is talking to whom.

2 Q What else is it used for in the '697  
3 patent?

4 A To protect the content of the  
5 communication.

6 Q So it's using the encryption for -- it's  
7 using the scheme, an IP hopping scheme to improve the  
8 security of the overall transmission of data from  
9 point A to point B, right?

10 A Yes. So improving the overall -- when it's  
11 clear that by "overall," you mean the parts of which  
12 we're -- the communication is encrypted?

13 Q Right.

14 A Mm-hmm.

15 Q So the way that the '697 is describing a  
16 "secure communication link," in your view, it would  
17 use this scheme to provide that secure communication  
18 link; is that right?

19 A So we switched back now to a claim term?

20 Q Yes.

21 A Right? And so for the -- for a secure  
22 communication link, it's very clear that '697 teaches

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

82

1       that encryption is used to provide data security.

2           Q       Right. And it's also teaching that it's  
3       using an IP hopping scheme in conjunction with the  
4       encryption, right, to provide a secure communication  
5       link?

6           A       That one could be used.

7           Q       So as you read the disclosure of the '697  
8       patent, you see the part that they indicate that it  
9       can use an IP hopping scheme as being optional, but  
10      then you see the part where it's using encryption as  
11      mandatory for a secure communication link?

12          A       Correct.

13          Q       Does the '697 patent have a passage in here  
14      that says that this IP hopping scheme is optional?

15          A       I have to go back and look.

16          Q       You didn't express an opinion about this in  
17      your declaration, did you?

18          A       So I expressed an opinion that the patent  
19      specification clearly teaches that data security is  
20      tackled by a data encryption.

21          Q       And as we've just discussed, it also says  
22      that the data encryption technique is part of another

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

83

1 scheme which also includes this IP hopping through  
2 TARP routers, right?

3 A It's not a part of, right? So when IP  
4 hopping is used, it's used in conjunction with -- can  
5 be used in conjunction with encryption.

6 Q So then let's just go back to the column 3  
7 section we were discussing a minute ago. Do you want  
8 to look at column 3, line 35? It says, "Each TARP  
9 packet's true destination is concealed behind a layer  
10 of encryption generated using a link key."

11 Do you see that?

12 A I'm trying to get to where you are.

13 Q Column 3, line 35, 36. There's a new  
14 paragraph right there?

15 A Yes.

16 Q And so a TARP packet is a packet that's  
17 being sent via this IP hopping scheme that the patent  
18 calls TARP routing, right?

19 A Correct.

20 Q And so was this the passage of the '697  
21 patent, one of the passages that you relied on to  
22 form your opinion that encryption is required for a

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

84

1 secure communication link according to the '697  
2 patent?

3 A There -- you know, there are many such  
4 examples, some of which I point to in the  
5 declaration.

6 Q But this was one of them, right?

7 A So the entire context of that discussion,  
8 which is referred to here, it says, "But the address  
9 hopping embodiments in the '697 patent also use  
10 encryption and it is the encryption that provides  
11 security while moving from address to address."

12 So here I point to the entire, you know,  
13 column 3, starting on line 16, going to column 4. So  
14 the context of that.

15 Q And as we just discussed, that context is  
16 describing the use of encryption in this TARP routing  
17 scheme, right?

18 A Yes.

19 Q Okay. Could you go to column 10 of the  
20 patent. And you quote in paragraph 14 lines 26 to 27  
21 of column 10.

22 A I'm sorry. You threw a lot of numbers

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 84

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 84

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

85

1       there.  You're going back to my declaration?

2               Q       To the patent.  And go to column 10.  And  
3       you see lines 26 to 27?

4               A       So yes, I see.

5               Q       So in this -- you mentioned this as one of  
6       the embodiments that you say shows -- is one of the  
7       repeated examples of an embodiment using encryption.  
8       And I just want to confirm, that embodiment is also  
9       the TARP packet scenario we just discussed, where  
10      it's the TARP packet data being encrypted, right?

11              A       So it says, "The link key is the encryption  
12      key used for encrypted communications between the  
13      endpoints of a single link in the chain of hops  
14      connecting the originating TARP terminal element 100  
15      and the destination TARP terminal element 110."

16              Q       So this is a TARP packet example again,  
17      right?

18              A       So it's saying that the TARP packet, and  
19      the parts of the TARP packet we're talking about, can  
20      be encrypted using the encryption key that is  
21      currently being used for encrypted communications  
22      between those points.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

86

1           Q       Right. So I'm just -- your testimony in  
2 paragraph 14 says, it repeatedly -- the '697 patent  
3 repeatedly discussing using encryption in various  
4 embodiments. And I'm just trying to confirm that --

5           A       That is one of the embodiments.

6           Q       -- this is the TARP embodiment, again,  
7 that's being mentioned?

8           A       Yes.

9           Q       Could you go to column 11, line 42. This  
10 is a section you quote, again, line 42 to line 49.  
11 So this is an example, another embodiment that you  
12 mentioned in showing use of encryption, right?

13          A       Give me a second.

14                   Yes, it's another example that teaches  
15 encryption should be used.

16          Q       Well, let me ask you, can you see at line  
17 46, that sentence that begins "Any of a variety of  
18 other mechanisms for securing data to ensure that  
19 only authorized computers can have access to the  
20 private information in the TARP packets may be used."

21                   Do you see that?

22          A       I do.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 86

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 86

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

87

1 Q Okay. And so, first, again, this passage  
2 at 42 to 49 is talking about the TARP packet  
3 embodiment again, right?

4 A Which says that -- it says -- I interpret  
5 that this means that that is protected by encryption,  
6 and additionally that other mechanisms for securing  
7 the data to ensure that only -- so now we're talking  
8 about authorized parties who can access this content.

9 Q So it's saying an alternative to the  
10 encryption techniques that it mentions in the first  
11 two sentences would be other mechanisms that would be  
12 authorization mechanisms, right?

13 A That's what the words say.

14 Q And that's literally what it says, right?

15 A That's what it says.

16 Q And again, this passage is still talking  
17 about the TARP embodiment of the invention, right?

18 A So this is referring to the entire context  
19 of the teachings of '697 that's basically saying that  
20 communication may be made private using these link  
21 and session keys, these encryption keys which in turn  
22 may be shared and used according to any desired

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 87

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 87

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

88

1 method.

2 Q Right. And then the next sentence says,  
3 "Any of a variety of other mechanisms for securing  
4 data to ensure that only authorized computers can  
5 have access to the private information in the TARP  
6 packets 140 may be used as desired."

7 So the section that we've just been talking  
8 about is discussing this embodiment that has the TARP  
9 packet scenario, right?

10 A In this embodiment.

11 Q Just so I'm clear, the second sentence  
12 says, "For example, a public key or symmetric keys  
13 may be communicated." Those are the two general  
14 classes of encryption techniques, right, public key  
15 and symmetric key encryption, right?

16 A Cryptography, yes. These are the keys  
17 used. Yes, that's fine.

18 Q Is it correct for --

19 A That's fine.

20 Q Two schemes, one is the public key and the  
21 other is the symmetric key model?

22 A Mm-hmm.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

89

1 Q Could you go to column 34 at lines 38 and  
2 39. And that --

3 A One second. Column 34?

4 Q 34, lines 38 and 39. This is a simple  
5 question.

6 A I'm just looking at what you said. 38.  
7 Sorry. 34. I'm looking at the right --

8 Q Column 34, lines 38 and 39.

9 A Mm-hmm.

10 Q That's the sentence that says, "the  
11 following describes various improvements and features  
12 that can be applied to the embodiments described  
13 above," right?

14 A Yes.

15 Q So that sentence doesn't say anything about  
16 encryption, right?

17 A That sentence in isolation does not say the  
18 word "encryption."

19 Q So if you go to paragraph 16 of your  
20 declaration, of the 287 proceeding -- I'm sorry, 237  
21 proceeding. Now, in paragraph 16, you say in your  
22 opinion, "Authentication merely ensures the recipient

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 89

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 89

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

90

1       that a message originated from the expected sender,"  
2       I guess "is from the expected sender, which is  
3       consistent with the definition of authentication in a  
4       dictionary the '697 patent incorporates by  
5       reference."

6                   And then you go with a parenthetical quote  
7       to Exhibit 2004. So let me, if I could, direct you  
8       to the patent again at column 39, lines 65 to 67.  
9       And let me know when you're there.

10           A       Could you repeat the column?

11           Q       Yes, 39, lines 65 to 67. So I had a couple  
12       of questions. If you want to keep your paragraph 16  
13       there.

14           A       Mm-hmm.

15           Q       My first question is, you said that the  
16       patent provided a dictionary, right, a definition in  
17       a dictionary that the patent, '697 patent  
18       incorporates by reference. And it refers to this  
19       FreeS/WAN project in your parenthetical. I just want  
20       to confirm, when you read this line down here at  
21       column 39, 65 to 67, which is the only place the word  
22       "FreeS/WAN project" appears in the '697 patent,

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 90

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 90

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

91

1       that's why you found that to be an indication that  
2       it's a dictionary that was incorporated by reference  
3       into the '697 patent.

4           A       That particular point, I don't remember if  
5       I went specifically to that because it was referenced  
6       there. But to understand the scope of what has been  
7       discussed there, I probably went back to 2535 and  
8       looked at what was mentioned here. I found it  
9       relevant.

10          Q       I'm going to ask you about the FreeS/WAN in  
11       a minute, but just to understand why you picked this  
12       phrase, you said a dictionary, the '697 patent  
13       incorporates by reference, it seems to be based on  
14       the fact that it mentions here this FreeS/WAN project  
15       as giving a dictionary definition of the word  
16       "authentication," right?

17          A       I think it's just one of many that gives  
18       a -- one of many that talks about a definition for  
19       "authentication." And so this one was mentioned  
20       here, and looking at what it says, it's consistent  
21       with what was said there.

22          Q       Like I said, I'm going to ask you about the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

92

1 FreeS/WAN project in a minute.

2 A Sure.

3 Q But the reason you picked this FreeS/WAN  
4 glossary or dictionary was because you saw this  
5 passage as being kind of a dictionary type of  
6 reference that was incorporated into the '697 patent?

7 A Incorporated? It's a reference that is  
8 mentioned in the patent.

9 Q And you said in your paragraph 16, it says,  
10 "In a dictionary that the '697 patent incorporates by  
11 reference," which I understood that to mean, you saw  
12 this phrase and you said, this '697 patent is using  
13 that FreeS/WAN reference to incorporate the  
14 definitions that you would see in the FreeS/WAN  
15 project documents, right?

16 A No. It says -- you know, if you look at  
17 that dictionary, you know, the definition given there  
18 is consistent, like definitions given elsewhere, with  
19 respect to authentication.

20 Q But I'm trying to understand why you picked  
21 the FreeS/WAN project dictionary terms. Is it  
22 because the '697 patent mentions it as an example

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 92

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 92

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

93

1 here?

2 A As to the exact reason and what was my  
3 thinking of why I just jumped there, it's an obvious  
4 reference in here. It's one of those that I was  
5 trying to see if that at the time of the invention,  
6 is that an appropriate reference, is it in keeping  
7 with my understanding. That's one of the reasons why  
8 it's here.

9 Q Okay. So if you go over to column 40, the  
10 next sentence, it says, "The conventional scheme  
11 suffers from certain drawbacks. For example, any  
12 user can perform a DNS request. Moreover, DNS  
13 requests resolve to the same value for all users."

14 Do you see that?

15 A I do.

16 Q That's the sentence that immediately  
17 follows the previous paragraph that had that  
18 FreeS/WAN comment in it. If you want go back to  
19 column 39, at line 59, it says, "One conventional  
20 scheme that provides secure private networks."

21 Do you see that sentence?

22 A Yes.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

94

1           Q       So this passage starts at line 39 -- I'm  
2       sorry, column 39, line 59, that runs over to column  
3       40, line 3, is saying that the FreeS/WAN project is  
4       one implementation that's a conventional scheme,  
5       using or providing secure private networks using DNS  
6       servers and public keys, right?

7           A       So it's saying that that's a way to do it  
8       that's different than the specifications that's  
9       taught in '697 and the -- in particular is different  
10      than the terms of the claims.

11          Q       So I think we're in agreement that this is  
12      basically saying this is the older technology or  
13      conventional technology; it's not the invention  
14      that's the subject of the '697 patent, right?

15                 MR. PALYS: Objection, form.

16          A       What do you mean by an old way that's not  
17      related to '697?

18      BY MR. KUSHAN:

19          Q       I'm just saying, when you read this  
20      passage, it's saying this is a conventional scheme,  
21      not what the invention of the '697 patent is, right?

22                 MR. PALYS: Objection, form.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

95

1           A       In my view, it says this is one way of  
2       trying to achieve some property. And these  
3       properties are different than what is expressed in  
4       '697. It says, "According to certain aspects of the  
5       invention" -- so let me go back. It starts talking  
6       about different drawbacks. And that's all in context  
7       of that scheme and why it is not in keeping with what  
8       we discussed in '697.

9       BY MR. KUSHAN:

10           Q       Sure. So the FreeS/WAN project is the  
11       older conventional scheme, which is different than  
12       the scheme that's being described as being the  
13       invention in the '697 patent?

14           MR. PALYS: Objection, form.

15           A       It says what -- I think it's clear what it  
16       says here. It says -- as you said, one conventional  
17       scheme that provides secure virtual private networks  
18       over the Internet provides a DNS server with public  
19       keys of the machine that the DNS server has an  
20       address for. So it's about -- you can think about it  
21       in terms of finding the public keys of the machines  
22       that the DNS server has addresses for.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

96

1                   One way -- go ahead.

2           BY MR. KUSHAN:

3           Q       Right, but -- no, if you want to finish  
4           your answer.

5           A       That's it.

6           Q       So it's just, when you read this as a  
7           person of skill, you appreciate that the invention  
8           that's the subject of the '697 patent is not the  
9           FreeS/WAN project, right?

10          A       Yes.

11          Q       Okay. Could you -- you cited in paragraph  
12       16 of your declaration Exhibit 2004.

13                   (VirnetX Exhibit 2004 was previously marked  
14       for identification and attached to the deposition  
15       transcript.)

16       BY MR. KUSHAN:

17          Q       And this is a glossary for the Linux  
18       FreeS/WAN project, right? That's the title of the  
19       document.

20          A       Correct. Mm-hmm.

21          Q       If you go to page 1 of the document, and  
22       you see there's a date listed on the document of --



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

97

1       this is about -- on this first page, it's two-thirds  
2       or three-quarters of the way down under the HTTP  
3       line. And this has a date of February 21st, 2002,  
4       right?

5           A       I'm trying to see where you're reading.  
6       Sorry.

7           Q       So I'll point it out to you. This line.

8           A       Yes.

9           Q       It's the footer of the document. If you  
10       want to go to the next page, you'll see the same  
11       footer. And that has a date of February 21st, 2002,  
12       right?

13          A       Yes.

14          Q       So this document was created on February  
15       21st, 2002?

16          A       Yes.

17          Q       Okay. And that's after the day that the  
18       '697 patent specification was written, right, which  
19       was back in 2000?

20          A       That's correct.

21          Q       All right.

22          A       But that's just one of many references for

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 97

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 97

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

98

1 the term.

2 Q But they could not have used this document  
3 as literally the document they had in mind when they  
4 wrote the patent back in 2000, right, because this  
5 only was created in 2002?

6 MR. PALYS: Objection to form.

7 A So I don't recall offhand if the earlier  
8 versions of this document, which, you know, clearly  
9 could have preceded 2002, that you said here, because  
10 it says FreeS/WAN 1-3 document, included or did not  
11 include that specific term we're talking about.  
12 Could have.

13 BY MR. KUSHAN:

14 Q Okay. I'm going to introduce a previously  
15 marked exhibit, which is -- I'm sorry, it's a new  
16 exhibit, 1082.

17 (Petitioner Apple Inc. Exhibit 1082 was  
18 marked for identification and attached to the  
19 deposition transcript.)

20 BY MR. KUSHAN:

21 Q Do you recognize this document? Well, I  
22 can make it easier. It's an RFC, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

99

1 A Mm-hmm.

2 Q And what's the RFC number of this document?

3 A 2535.

4 Q Could you go to the patent, and go to  
5 line -- the very last line of column 39.

6 A Mm-hmm.

7 Q You see the parenthetical reference, after  
8 FreeS/WAN project, is RFC 2535?

9 A Yes.

10 Q That's this document, right?

11 A Mm-hmm.

12 Q So this is a document about domain name  
13 security extensions, right?

14 A That's correct.

15 Q And this is not the glossary of the  
16 FreeS/WAN project that you cited in your declaration,  
17 right? I think my question was pretty simply. It's  
18 just not the same document you cited in your  
19 declaration, right?

20 A Yes, that's correct.

21 Q All right. So I have a different question.  
22 If you go to the front page of RFC 2535, do you see

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 99

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 99

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

100

1 the abstract on that? It's on the bottom half of the  
2 first page. And in the abstract, it's describing  
3 what this domain name system security extension  
4 standard is all about, right? It's a scheme for  
5 providing data integrity and authentication to  
6 security-aware resolvers in applications through the  
7 use of cryptographic digital signatures.

8 (Simultaneous speaking.)

9 MR. PALYS: Objection to form.

10 A That's what it stated.

11 MR. PALYS: Outside the scope of direct.

12 (The reporter asked for clarification.)

13 THE WITNESS: He read something in the  
14 abstract of the document that -- I haven't looked at  
15 the specifics of that in a long time.

16 A So just giving you opinions on something  
17 that is, you know, a fairly large RFC, I think it's  
18 outside -- for me, I need to go back and take a look  
19 more carefully what -- any specifics of this  
20 document.

21 BY MR. KUSHAN:

22 Q Well, let me ask you a simpler question.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

101

1 If you go back to the patent.

2 A Sure.

3 Q You see that sentence that refers to RFC  
4 2535 in it, column 39, line 66, 67.

5 A I do.

6 Q So as you read this, the patent is  
7 directing you to RFC 2535 for the additional  
8 information it's referring to here, right?

9 MR. PALYS: Objection to form.

10 A If you mean it's included in it, I don't  
11 think so. It says -- you know, there is a reference,  
12 and I've -- you know, you can look at that reference  
13 for more information, for some of the...

14 BY MR. KUSHAN:

15 Q So it's a pointer, it's pointing you to the  
16 RFC 2535 for more information about what it's  
17 discussing here, right?

18 A It's pointing you to that RFC. You can go  
19 look at that RFC.

20 Q And do you know what the RFC 2535 is? Do  
21 you know that standard?

22 A I've seen the standard before.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

102

1           Q       All right. And you're familiar with  
2 cryptographic digital signatures, right?

3           A       Yes.

4           Q       And those are used in authentication  
5 protocols, right?

6                   MR. PALYS: Objection, form. Outside the  
7 scope of direct.

8           A       So cryptographic techniques can be used for  
9 different -- for in authentication -- for in  
10 authentication for specific purposes in the context.  
11 You have to know the context in which the  
12 cryptographic technique is being used.

13 BY MR. KUSHAN:

14           Q       So in this context of RFC 2535, they're  
15 using digital signatures in the context of providing  
16 authentication, right? It says -- look at the second  
17 paragraph of the abstract. It says, "The extensions  
18 provide for the storage of authenticated public keys  
19 in the DNS," right?

20                   MR. PALYS: Objection, form. Outside the  
21 scope of direct.

22           A       I have to go back and look very carefully

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

103

1 at the specifics of this to be able to give you an  
2 answer and how to interpret, you know, a sentence in  
3 isolation.

4 BY MR. KUSHAN:

5 Q So you don't have any current memory about  
6 what the domain name security extension standard or  
7 protocol is?

8 MR. PALYS: Objection, outside the scope of  
9 direct.

10 A It's a large standard.

11 BY MR. KUSHAN:

12 Q Well, so I'm asking, as you sit here today,  
13 do you know what it is?

14 MR. PALYS: Objection, outside the scope of  
15 direct.

16 A I do.

17 BY MR. KUSHAN:

18 Q Okay. And is this the domain name security  
19 extension standard directed toward an authentication  
20 technique that can be used with DNS servers?

21 MR. PALYS: Objection to form. Outside the  
22 scope of direct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

104

1           A       So again, the specifics of how cryptography  
2       is and isn't used in this extension, I'll need to go  
3       back and look very carefully at the document to give  
4       you a direct answer to your question that you're  
5       posing.

6       BY MR. KUSHAN:

7           Q       So when you reviewed the '697 patent, and  
8       you noticed this FreeS/WAN project reference, you  
9       didn't review RFC 2535?

10          A       I looked at the RFC 25 at the time to see  
11       exactly what it is being done for in this case, as  
12       you said, authentication for a message, DNS.

13          Q       That's generally what 2535 is being cited  
14       for, which is authentication of the message in the  
15       DNS context?

16          A       As far as I recall, there is no encryption  
17       of the message.

18          Q       So at least in RFC 2535, they're using an  
19       authentication to provide data integrity through this  
20       extension to the domain name server system?

21               MR. PALYS: Objection, form. Outside the  
22       scope of direct.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

105

1           A       Providing integrity of the message.  
2       They're using cryptographic technique to provide  
3       integrity of the message. Not secrecy, concealing  
4       the message.

5           Q       By providing integrity of the message,  
6       you're enhancing data security in some level, right?

7                   MR. PALYS: Objection to form.

8       BY MR. KUSHAN:

9           Q       You know it won't be fake as origination,  
10       for example?

11                   MR. PALYS: Objection to form. Outside the  
12       scope of direct.

13           A       So authentication technique can be used to  
14       verify -- to convince the recipient that the message  
15       is from the intended sender.

16       BY MR. KUSHAN:

17           Q       Or from the sender who's represented as  
18       being the sender, right?

19                   MR. PALYS: Same objections.

20       BY MR. KUSHAN:

21           Q       So the authentication is making sure that  
22       the person or the source is authentic, right?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 105

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 105

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

106

1 MR. PALYS: Objection, outside the scope of  
2 direct. Form.

3 A The source...

4 BY MR. KUSHAN:

5 Q Of the message.

6 A Of the message in some way is authentic.

7 Q Okay. Now, when you expressed the view  
8 that a secure communication link according to the  
9 '697 patent claims requires encryption, is that  
10 belief based on your understanding that encryption  
11 will ensure that the communications between the  
12 origin and the destination are anonymous?

13 MR. PALYS: Objection to form.

14 A You've mixed two things here.

15 BY MR. KUSHAN:

16 Q Okay. Can you clarify?

17 A So you said the encryption being used for  
18 anonymous communications only. So encryption is used  
19 to create -- to keep the conversation private,  
20 confidential.

21 Q And that's different from being an  
22 anonymous conversation?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

107

1           A       It is different from having anonymous  
2 conversation.

3           Q       So you can achieve anonymity by, for  
4 example, an IP hopping scheme, right, because IP  
5 hopping scheme would conceal the source and  
6 destination of a message, right?

7                   MR. PALYS: Objection to form.

8           A       Depends on the specifics of what that  
9 hopping scheme is.

10          BY MR. KUSHAN:

11          Q       And let's say by that do you mean that the  
12 IP hopping scheme has to be effective? Right?

13                   MR. PALYS: Objection to form.

14          A       This is hypothetical. So --

15          BY MR. KUSHAN:

16          Q       Sure. Just as based on your background of  
17 skill in the art.

18          A       So your hypothetical question, can you  
19 repeat it?

20          Q       Yes. So can you achieve anonymity in a  
21 communication or in a message from point A to point B  
22 by using an IP hopping scheme?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

108

1 MR. PALYS: Objection to form. Goes beyond  
2 the scope of direct.

3 A Anonymity can be achieved by an IP hopping  
4 scheme. The context of exactly how that's done I  
5 think needs an examination of the specifications of  
6 what they are trying to do.

7 BY MR. KUSHAN:

8 Q So let's go back to the patent claim and  
9 some of your experience with that. If you want to  
10 look at the patent, '697 patent, and back to the very  
11 back of the document. Do you understand the  
12 difference between a dependent patent claim and an  
13 independent patent claim? This is starting around  
14 line 56 -- or column 56. Just generally, do you know  
15 what a dependent claim and an independent claim is?

16 A Yes.

17 Q And a dependent claim narrows the scope of  
18 the independent claim from which it depends, right?

19 A It reads off the independent claim.

20 Q So you can go back to my example of A, B,  
21 C, where you say a widget can be A, B, or C, and your  
22 dependent claim says wherein the widget is A, that's

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 108

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 108

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

109

1 narrowing the claim to one of the things covered by  
2 the independent claim, right?

3 A That's my understanding.

4 Q And then the claim 1 still covers more  
5 things than what's listed in the dependent claim,  
6 right?

7 MR. PALYS: Objection to form.

8 A So the dependent claim and the independent  
9 claim -- so if you say that -- so you have the  
10 independent claim stands on its own. The independent  
11 claim relies on -- sorry. The dependent claim relies  
12 on the independent claim, which means that all the  
13 specifics of the claim for the independent claim  
14 still apply.

15 BY MR. KUSHAN:

16 Q Right. But they typically narrow the scope  
17 of the independent claim to be narrower in its  
18 breadth compared to the dependent claim, right?

19 MR. PALYS: Objection, form.

20 BY MR. KUSHAN:

21 Q Maybe I'll say it simpler. So if you have  
22 a dependent claim that says wherein, and then it

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

110

1 lists the property, that's taking away what might be  
2 available as an option in the independent claim  
3 because it's now making it an explicit requirement in  
4 the dependent claim, right?

5 MR. PALYS: Objection to form. Outside the  
6 scope of direct testimony.

7 A It can be limited in some way.

8 Q All right. So if you could look at claim 2  
9 of the patent, the '697 patent. That's a dependent  
10 claim, right?

11 A Yes. So it's dependent on the method of  
12 claim 1.

13 Q Correct. And it adds a requirement, right?

14 A Correct.

15 Q And that requirement that it's adding is  
16 that the video data and the audio data is encrypted  
17 over the secure communication link, right?

18 A Correct.

19 Q So this is making an additional requirement  
20 compared to claim 1 from which it depends, right?

21 A That's my understanding.

22 Q Okay. If you go to your declaration at

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

111

1 paragraph 15. So this is where you're saying that --  
2 identifying another reason why you believe the  
3 decision of the Board in construing "secure  
4 communication link" is technically incorrect. Do you  
5 see that?

6 A I do.

7 Q All right. And so you make a reference in  
8 the last sentence of this paragraph to "The other  
9 techniques alone," meaning I think other than  
10 encryption, "do not provide the claimed security,"  
11 right?

12 A Of restricting access to data.

13 Q So when you read the word "security" in the  
14 context of a secured communication link, that  
15 requires the claim to be limited to protecting or  
16 restricting access to data, right?

17 A The decision construed this as restricting  
18 access to data addresses and other information on a  
19 path, that's fine, generally using obfuscation  
20 methods, including but not limited to one of  
21 authentication, encryption, and address hopping.

22 And I'm opining here, saying that of -- in

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

112

1 order to -- based on the definition, which is  
2 technically incorrect, restricting access to data  
3 addresses or other information on the path, that's  
4 only achieved here by encryption.

5 Q All right. And in your opinion, that  
6 derives from the passages we talked about earlier  
7 today, where it's a TARP scheme and they're  
8 encrypting the data packets; is that right?

9 A It derives from several passages in the  
10 '697.

11 Q And those are the ones you identified in  
12 your declaration?

13 A Some of them.

14 Q So you didn't identify other passages in  
15 your declaration that you believe support your  
16 opinion?

17 A I gave some examples. Encryption is used  
18 in several places in '697.

19 Q So go back to paragraph 17 of your  
20 declaration, if you could. And in that very first  
21 sentence you say, "Address hopping alone does not  
22 provide security." And again, you're referring to



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

113

1 data security here?

2 A In the context, yes, of restricting access.

3 Q You're not -- so when you use the word  
4 "security" here in the claim term "secure  
5 communications," you're not addressing security of  
6 the addresses of the parties to the communications,  
7 right?

8 MR. PALYS: Objection to form.

9 A I'm addressing what is construed in the  
10 decision, which it says "A transition path that  
11 restricts access to data addresses or other  
12 information on the path." So it's restricting access  
13 to that. And here it says address hopping alone does  
14 not provide that.

15 BY MR. KUSHAN:

16 Q So address hopping would provide protection  
17 against disclosure of the addresses of the parties to  
18 a communication, right?

19 MR. PALYS: Objection, form.

20 A Address hopping may hide who is talking to  
21 whom.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

114

1 Q And so that's enhancing the security of the  
2 communication between those two parties, because you  
3 cannot determine who is speaking to whom, right?

4 MR. PALYS: Objection, form.

5 A You may not be able to determine in  
6 isolation who is speaking to whom.

7 BY MR. KUSHAN:

8 Q And that provides some amount of security  
9 for that communication between the two parties we've  
10 discussed, right?

11 MR. PALYS: Objection to form.

12 A Sure.

13 BY MR. KUSHAN:

14 Q Can you go to the '697 patent.

15 A In this broader context.

16 Q Right. So if you go to the '697 patent, at  
17 column 3, and down to line 53 to 63.

18 A Can you repeat where? I'm sorry.

19 Q It's starting around line 53.

20 A Sorry, the column?

21 Q I'm sorry. Column 3.

22 A Column 3. Mm-hmm.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 114

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 114

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

115

1           Q       Around line 53 and through to the end of  
2       the paragraph at 63. This is discussing something in  
3       the patent called agile routing. And that's an IP  
4       hopping scheme, right?

5                   MR. PALYS: Objection to form.

6           A       So what's your question?

7       BY MR. KUSHAN:

8           Q       So what I want to do is, what this is  
9       saying is this is a technique where it takes a  
10      message and chops it up into pieces and routes each  
11      piece through a different route, right?

12          A       This passage is saying that you can change  
13      the -- so you move from one to the next. It's just  
14      not you jump from A to B to C, right? So let's think  
15      of it as the identities of A and B and C are  
16      changing.

17          Q       Right.

18          A       Okay? And it also says that the associated  
19      advantages have to do with an inner layer of an  
20      encryption, which is discussed later. And then it  
21      also mentions the padding that you just -- the  
22      breaking things up into blocks. And if you read the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

116

1 entire disclosure of '697, it tells you why this  
2 breaking things up in blocks, for example, is  
3 included, the padding.

4 Q So it's breaking it up into blocks and then  
5 each piece of the original message is sent by a  
6 different path, right?

7 A Not necessarily.

8 Q Well, let's just go through this. It says  
9 it takes -- "as each TARP packet makes random trips  
10 among a number of geographically disparate routers  
11 before reaching its destination. Each trip is highly  
12 likely to be different for each packet composing a  
13 given message because each trip is independently  
14 randomly determined," right?

15 A In this passage, yes.

16 Q And that's all I'm talking about right now.

17 A In that passage.

18 Q So in this technique, in addition to the  
19 encryption of every packet, inside of each packet  
20 that's got that encrypted bit, the individual packets  
21 that are together, making up the whole message, are  
22 being routed by different paths, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

117

1 MR. PALYS: Objection, form.

2 A So as stated here, there are two things.

3 BY MR. KUSHAN:

4 Q Right.

5 A In this context right here, there's the  
6 separation -- the hopping, and the separation of the  
7 packets into equal size chunks that take different  
8 routes.

9 Q Right. And then it says that "the fact  
10 that the different packets take different routes  
11 provides distinct advantages by making it difficult  
12 for an interloper to obtain all the packets forming  
13 an entire multi-packet message," right?

14 A That's what it says.

15 Q So it's identifying a benefit to the  
16 security of the transmission of the message that is  
17 derived from the IP hopping that's being used to  
18 transfer the message, correct?

19 A It's identifying the fact that you broke up  
20 packets and you sent them across multiple different  
21 routes, would make it more difficult for an adversary  
22 to combine them.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

118

1 Q Right. And as the patent is saying, that's  
2 a distinct advantage for the security of the  
3 transmission of that communication?

4 A It's an advantage, yes.

5 Q Okay.

6 MR. PALYS: It's almost noon. We've been  
7 going an hour and a half.

8 MR. KUSHAN: Let me ask like one or two  
9 more questions, then we can do a lunch break.

10 MR. PALYS: Are you okay?

11 THE WITNESS: Yes, I'm fine.

12 MR. PALYS: Okay.

13 THE WITNESS: I need a break soon.

14 MR. KUSHAN: Why don't we shoot for five to  
15 ten more minutes, is that all right?

16 THE WITNESS: We'll see how I feel.

17 MR. KUSHAN: Do you want to take a break  
18 now or do you want to go for another five or ten  
19 minutes?

20 THE WITNESS: Five minutes is fine.

21 BY MR. KUSHAN:

22 Q All right. If you can go to paragraph 19

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 118

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 118

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

119

1 of your declaration. So in 19, you say, in the very  
2 end of your paragraph 19, "For signals traveling the  
3 distances contemplated by the '697 patent and  
4 particularly where those distances include  
5 third-party controlled network hardware, encryption  
6 is the only viable mechanism for security over those  
7 physically unsecured portions of the network."

8 A Mm-hmm.

9 Q Now, if you could, go to the patent, and go  
10 to the claims. This is at the very end of the  
11 patent, in column 56, I think. We'll just go with  
12 claim 1 for now. So claim 1 states, "A method for  
13 connecting a first network device and a second  
14 network device."

15 Do you see that?

16 A I do.

17 Q Now, do you understand claim -- do you see  
18 anything in claim 1 that specifies the distance that  
19 is required to be -- sorry. Do you see anything in  
20 claim 1 which says that there's a minimum distance  
21 that the message from the first network device to the  
22 second network device has to be? Is there some

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 119

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 119

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

120

1 minimum distance between those two network devices?

2 A If you look at claim 1 in isolation, the  
3 words there's a distance here do not appear. But if  
4 you look at the context of the specification, which  
5 is talking about transmission over public networks,  
6 there's an implied distance. It's not -- I'm not  
7 sitting across the table from you. This is a  
8 significant distance away, over mediums that I don't  
9 have physical access to protect them.

10 Q So let's say we're implementing the method  
11 of the '697 patent. And I'm sitting here and you're  
12 sitting there. And we're attached to the same Wi-Fi  
13 router. Are you saying that a transmission from a  
14 device I have here to a transmission that might be of  
15 a device in your pocket would not be covered by claim  
16 1 of the '697 patent?

17 MR. PALYS: Objection, form, and outside  
18 the scope of direct testimony.

19 A I did not say that.

20 BY MR. KUSHAN:

21 Q Okay. So I'm trying to understand, you're  
22 attaching -- you're making a comment in paragraph 19



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

121

1 of your declaration that suggests that there's a  
2 minimum amount of distance that the message from the  
3 first network device has to travel to get to the  
4 second network device, right?

5 MR. PALYS: Objection, form.

6 A I'm only making an opinion that says in  
7 light of the context of '697, that techniques that  
8 may require onsite, physical locking the doors, etc.,  
9 don't apply in this -- don't necessarily apply in  
10 this context.

11 BY MR. KUSHAN:

12 Q All right. So this comment that you're  
13 making about the distance of the message that -- I'm  
14 sorry. This comment that you're making in paragraph  
15 19 in your declaration about the distance a message  
16 might have to travel from the first device to a  
17 second device, you're only talking about physical  
18 security of a building or something?

19 I'm not understanding.

20 A No.

21 Q So I'm trying to understand, you don't  
22 think the claims have a minimum distance requirement

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

122

1 for the message to go from a first network device to  
2 the second network device, right?

3 MR. PALYS: Objection to form.

4 A The claims do not say anything about a  
5 minimum distance.

6 BY MR. KUSHAN:

7 Q All right. And so when you say "for  
8 signals traveling the distances contemplated by the  
9 '697 patent," that's not talking about claim 1, is  
10 it?

11 A Claim 1 is within the scope of what's  
12 disclosed by the patents.

13 Q But it's not -- so this comment you're  
14 making is about something which might be covered by  
15 the claim 1, but there are other things that may not  
16 be the same that's covered by claim 1 of the patent?

17 MR. PALYS: Objection to form. Also  
18 outside the scope of direct.

19 A My declaration is only saying that if we  
20 consider the settings in which '697 is built or is  
21 proposed for, then providing security of those  
22 communications without these other methods,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

123

1 authentication alone, for example, do not provide the  
2 necessary protections.

3 BY MR. KUSHAN:

4 Q So just as you've stated it, you're saying  
5 that encryption is the only viable mechanism for  
6 security over physically unsecured portions of the  
7 network when the signal from the first device to the  
8 second device has to travel a significant distance?  
9 That's the point you're making in the last sentence  
10 of paragraph 19, right?

11 MR. PALYS: Objection to form.

12 A I'm saying in cases where the distance  
13 varies from a few hundred feet to thousands of miles,  
14 then encryption is the only viable form for  
15 protecting that communication. It's going over, in  
16 the settings here, public networks that I don't have  
17 physical access to, I don't have access to.

18 So in those settings, encryption is the  
19 viable form.

20 BY MR. KUSHAN:

21 Q So in situations where you don't have that  
22 distance requirement, then something other than

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 123

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 123

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

124

1 encryption would be a viable technique?

2 A I don't say that.

3 Q Isn't that the logical conclusion of the  
4 scenario you've just described?

5 MR. PALYS: Objection to form.

6 A It doesn't say it's precluded to only cases  
7 where the distance is great.

8 BY MR. KUSHAN:

9 Q Just literally what you said, is that when  
10 the distances are of a certain significance, then the  
11 only viable way of protecting the data in your own  
12 mind is through encryption, right?

13 MR. PALYS: Objection to form.

14 A Yes.

15 BY MR. KUSHAN:

16 Q And you're not offering an opinion in this  
17 paragraph about the other scenario that's implicated  
18 by that statement, which is when the communications  
19 are occurring at a shorter distance from that  
20 threshold you've identified.

21 MR. PALYS: Objection to form.

22 A So even -- my opinion is even in shorter

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 124

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 124

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

125

1 distances, if you're trying to protect the security  
2 of the data communication, you need encryption.

3 BY MR. KUSHAN:

4 Q So this is incorrect, what you've stated  
5 here in paragraph 19?

6 MR. PALYS: Objection to form.

7 A I don't see how it's incorrect.

8 BY MR. KUSHAN:

9 Q Well, it's changing what you're saying,  
10 right? So you're deleting the first clause of your  
11 statement. You're saying -- what your last  
12 explanation was is that the words "for signals  
13 traveling the distances contemplated by the '697 and  
14 particularly when those distances include third-party  
15 controlled network hardware," you're deleting that  
16 part now, right?

17 MR. PALYS: Objection, form.

18 A I'm not deleting.

19 BY MR. KUSHAN:

20 Q Your last statement said encryption is  
21 required in addition to this scenario where you've  
22 said signals traveling the distances and also

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 125

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 125

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

126

1 third-party controlled network hardware, encryption  
2 is required as being the only viable mechanism,  
3 right?

4 MR. PALYS: Objection to form.

5 BY MR. KUSHAN:

6 Q So you're changing your opinion in  
7 paragraph 19 to say encryption is required even if  
8 the distances are not as contemplated by the '697  
9 patent and even in situations where it's not  
10 third-party controlled hardware?

11 MR. PALYS: Objection to form.

12 A I'm giving -- I'm saying what's said  
13 directly here. So again, we're looking in the  
14 context of '697. So the transmission of an encrypted  
15 digital signal consisting of -- we're talking about  
16 the signals that may be carried over great lengths.  
17 My opinion is in those situations, like '697,  
18 encryption is required.

19 BY MR. KUSHAN:

20 Q And you're not expressing an opinion then  
21 in this passage, we're in paragraph 19, about the  
22 other situations that are not covered by your

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 126

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 126

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

127

1 scenario of signals traveling distances contemplated  
2 by the '697 patent, and particularly where those  
3 distances include third-party controlled network  
4 hardware, right?

5 MR. PALYS: Objection to form.

6 A I didn't opine on anything that's outside  
7 of the scope of '697.

8 BY MR. KUSHAN:

9 Q Or the scenario that is in the last  
10 sentence of your paragraph 19, right?

11 MR. PALYS: Objection to form.

12 A I think it's pretty clear what I said here,  
13 right? Signals traveling the distance contemplated  
14 by the '697 patent, the scope of the '697, where  
15 these distances include third-party controlled  
16 network hardware, encryption is the only viable  
17 mechanism for security over those physically  
18 unsecured portions of the network.

19 MR. KUSHAN: All right. We're willing to  
20 break, but how long do you want to go?

21 MR. PALYS: Do you want to stop for lunch?  
22 It's 12:00.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

128

1 THE WITNESS: Stopping for lunch is good.

2 MR. KUSHAN: Should we shoot for --

3 MR. PALYS: Oh, how long? Sorry.

4 MR. KUSHAN: 30 minutes?

5 MR. PALYS: 30 minutes, 34 minutes. Is  
6 that good?

7 MR. KUSHAN: All right.

8 (Whereupon, at 12:06 p.m., a lunch recess  
9 was taken.)

10

11

12

13

14

15

16

17

18

19

20

21

22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238

Petitioner Apple Inc. - Exhibit 1083, p. 128

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 128



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

129

AFTERNOON SESSION

(12:44 p.m.)

BY MR. KUSHAN:

Q So a couple of quick questions. Did you  
speak to counsel during the break?

A I did.

Q And what did you discuss?

A Where do you want to go for lunch.

Q Did you discuss any of your testimony that  
you gave this morning during lunch?

A I did not.

Q Did you discuss how you are answering  
questions?

A I did not.

Q All right. If you could do me a favor and  
grab the patent, which is Exhibit 1001, '697 patent.  
If you go to column 56, where claim 1 starts. So --

A One second, please.

Q Let me know when you're ready. By the way,  
that book you've got, is that your own copy of the  
exhibits?

A Yes.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 129

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 129

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

130

1 Q And did you make any annotations in any of  
2 those documents?

3 A No.

4 Q Okay.

5 A Mm-hmm.

6 Q All right. So you see claim 1 defines a  
7 method, and it has one, two, three -- three steps.  
8 Do you see that? It says it's a got three steps, and  
9 the last clause is a wherein clause.

10 A I see them.

11 Q So in the first step, that's an  
12 intercepting step, right?

13 A That's an intercepting step, right.

14 Q And what it says is that a request to look  
15 up -- what it's intercepting is a request, right?

16 A A request to look up an IP address of the  
17 second network device, etc. Mm-hmm.

18 Q And it says, "Request to look up an  
19 Internet protocol IP address of the second network  
20 device based on a domain name associated with the  
21 second network device." Do you see that?

22 A I do.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

131

1 Q So that's referring to a conventional DNS  
2 lookup that returns the IP address of the domain  
3 name?

4 MR. PALYS: Objection, form.

5 A So it's what it says there, right? So  
6 "intercepting from the first network device a request  
7 to look up the IP address of the second network  
8 device based on the domain associated with the second  
9 network device."

10 BY MR. KUSHAN:

11 Q And that step of returning the IP address  
12 of the network device associated -- I'm sorry. Let  
13 me say that again. That step of looking up the IP  
14 address of the second network device based on the  
15 domain name, that's the conventional domain name  
16 resolution process where it returns the IP address of  
17 the domain name, right?

18 MR. PALYS: Objection to form.

19 A It doesn't say anything that's specific to  
20 conventional DNS.

21 BY MR. KUSHAN:

22 Q Do you know that's a different kind of DNS

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

132

1 lookup?

2 A No. So interpreting the intercepting  
3 phrase here, it's in the context of the domain name  
4 system. No other annotation before and after that.

5 Q And just so I understand, so the domain  
6 name lookup is a process that returns the IP address  
7 that the domain name system has recorded for the  
8 domain name, right?

9 MR. PALYS: Objection to form.

10 A So abstractly speaking, the domain name  
11 system is returning an IP address or network address,  
12 in this case an IP address, for the name that is  
13 requested.

14 BY MR. KUSHAN:

15 Q All right. And so a DNS request is when a  
16 computer sends to a DNS server a domain name which  
17 will return the IP address of that domain name to the  
18 requesting computer, right?

19 MR. PALYS: Objection to form.

20 A The request is what is received by the DNS  
21 server.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

133

1 Q And then it returns the IP address of the  
2 domain in the request, right?

3 A As a response.

4 Q As a response.

5 MR. PALYS: Same objection.

6 BY MR. KUSHAN:

7 Q Right?

8 A As a response, correct.

9 Q Okay. And so that DNS request is the thing  
10 that's being intercepted here in the first step of  
11 claim 1, right?

12 MR. PALYS: Objection to form.

13 A If we go back to -- we're talking about the  
14 intercepting phrase that I've been asked to opine on?

15 BY MR. KUSHAN:

16 Q Correct.

17 A Can you continue with your question?

18 Q So the intercepting phrase, it's  
19 intercepting a DNS request, right?

20 MR. PALYS: Objection to form.

21 A The request, it says here, "a request to  
22 look up an IP protocol address."

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

134

1 BY MR. KUSHAN:

2 Q And that's the DNS request you just talked  
3 about?

4 MR. PALYS: Same objections.

5 A Talked about in what context?

6 BY MR. KUSHAN:

7 Q In the domain name system. We just walked  
8 through kind of --

9 A Yes.

10 Q So that's the thing that is being  
11 intercepted?

12 A Correct.

13 Q If you could go to the next clause, it says  
14 "Determining." And I want you to go to paragraph --  
15 put that on one side and go to, if you could, your  
16 declaration at paragraph 24.

17 Now, in paragraph 24, you have a number of  
18 things that the DNS proxy 2610 may be doing, it says,  
19 "Determine whether access to a secure site has been  
20 requested, determine whether the user has sufficient  
21 security privileges to access the site, and/or  
22 transmit a message to a gatekeeper 2603 requesting

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

135

1       that a virtual private network be created between 40  
2       user computer 2601 and secure target site 2604."

3               So you're listing a number of determining  
4       type actions that are performed by the process of the  
5       '697 patent, right?

6           A       Yes.

7           Q       All right. Now, if you look at the second  
8       clause, it says "Determining in response to the  
9       request whether the second network is available for  
10      secure communication service," those examples that  
11      you have listed in 24 seem to be the determining --  
12      examples of determining steps that are in the second  
13      step of clause 2 of the claim 1, right?

14          A       So there are three examples supported here  
15      in my declaration. One is determining whether access  
16      to a secure site has been requested, in the context  
17      of '697 that's like, you know, example dot S com,  
18      determine whether user has sufficient security  
19      privileges to access the site.

20          Q       Just on that one, would that be done by,  
21      for example, making a query to a firewall or  
22      something to check the credentials of the user making

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

136

1 the request, to see if they are authorized to then  
2 make a secure connection?

3 A So in the context of '697, it teaches  
4 several ways in which the security -- so determine  
5 whether the user has the prerequisite privileges.  
6 That includes what credentials does the user have,  
7 and whether or not they can access the site being  
8 requested.

9 Q Okay.

10 A All right?

11 Q And then the third example, can you tell me  
12 something about what that is?

13 A So once these factors have been determined,  
14 there's an additional step in '697 which requests  
15 that the virtual private network be created between  
16 element 40 and the user's computer, element 2601.

17 Q And that's the third step, the initiating  
18 step?

19 MR. PALYS: Objection, form.

20 A So we're talking about what I consider here  
21 to be the additional evaluation that's done as  
22 context for this interpreting step.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

137

1 BY MR. KUSHAN:

2 Q Right. So in the second step, where you're  
3 making the determining step, that's when you're  
4 performing these evaluations. And then in the third  
5 step, you -- based on the outcome of those  
6 determinations, you initiate the secure communication  
7 link, right?

8 MR. PALYS: Objection to form.

9 A If I understand that you're reading  
10 directly from the claim language, that's what the  
11 claim language says.

12 BY MR. KUSHAN:

13 Q Right. And what I want to make sure is  
14 these examples of determining steps that are in  
15 paragraph 24 are the determining steps that are in  
16 22, which is the second clause that says determining  
17 in response to request whether the second device is  
18 available for a secure communication service.

19 MR. PALYS: Objection to form.

20 A So you're asking about in light of how the  
21 PTAB's decision construed this, or not?

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

138

1           Q       I'm asking actually a simpler question,  
2       which is, you gave me these examples of things which  
3       are determining steps. And I'm looking at those  
4       examples, and I'm asking you to confirm that those  
5       are examples of the determining step, which is the  
6       second step listed in claim 1, right?

7           A       Just for context, which examples are you  
8       referring to?

9           Q       So you say in paragraph 24, you say, "For  
10       example, a DNS proxy may intercept the request and  
11       determine whether access to a secure site has been  
12       requested, determine whether the user has sufficient  
13       security privileges, and/or transmit a message." And  
14       all three of those examples are things which  
15       correlate to the determining step in step 2 of claim  
16       1, right?

17                   MR. PALYS: Objection to form.

18       BY MR. KUSHAN:

19           Q       To be one, two, or three of those.

20                   MR. PALYS: Same objection.

21           A       So I provided these examples in reference  
22       to what the "intercepting" phrase in the request is.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

139

1 BY MR. KUSHAN:

2 Q We just had a -- so -- sorry.

3 A Go ahead.

4 Q So this first step of intercepting, that's  
5 where it's intercepting the DNS request we talked  
6 about before, right?

7 MR. PALYS: Objection to form.

8 A The request to look up an IP address of the  
9 second network device.

10 BY MR. KUSHAN:

11 Q So that's -- go ahead.

12 A As said here, based on the domain name  
13 associated with that device.

14 Q So that DNS lookup that we talked about  
15 before?

16 MR. PALYS: Objection to form.

17 A Correct.

18 BY MR. KUSHAN:

19 Q And then is your testimony that there is  
20 some other determination in addition to that which  
21 occurs which isn't listed in the claim, and that's  
22 what you're referring to with these examples in

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

140

1 paragraph 24 of your declaration? Or are these  
2 examples of the determining step that is the next  
3 step?

4 MR. PALYS: Objection to form.

5 A Normally we're saying the same thing. So  
6 in the context of '697, this interception here, the  
7 DNS request, is providing this additional evaluation,  
8 of which some of them are to determine whether the  
9 access to a secure site has been requested.

10 BY MR. KUSHAN:

11 Q Okay. So in addition to this conventional  
12 DNS lookup, it's also going to perform during the  
13 intercepting step one or two or all three of these  
14 examples you've given in 24; is that what you're  
15 saying?

16 MR. PALYS: Objection to form.

17 A So on the DNS request, these are some of  
18 the examples of additional evaluation that will be  
19 performed on that DNS request.

20 BY MR. KUSHAN:

21 Q All right. So that's all happening during  
22 this intercepting step, the conventional DNS lookup

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

141

1 plus then evaluations, these examples, determine  
2 whether access to secure site has been requested,  
3 determine whether these are sufficient security  
4 privileges, and transmit message, that's all  
5 happening in the intercepting step?

6 MR. PALYS: Objection to form.

7 A On the request.

8 BY MR. KUSHAN:

9 Q Right. And then, so then the next question  
10 I've got is, what is the determining step that's the  
11 next step? What is that performing?

12 MR. PALYS: Objection to form.

13 A So I think, again, the claim language to me  
14 is clear. "Determine in response to the request  
15 whether the second network device is available for  
16 secure communication service."

17 So what you requested, example, dot S com,  
18 that second network device is available for secure  
19 communication service, which is also defined in the  
20 declaration, discussed in the declaration.

21 BY MR. KUSHAN:

22 Q So it's your understanding that in the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

142

1 determining step of claim 1, it's not performing any  
2 of the three examples you list in 24, which is "the  
3 DNS proxy will determine whether access to a secured  
4 site has been requested or determine whether a user  
5 has sufficient security privileges to access the  
6 site, and/or transmit a message to gatekeeper 2603  
7 requesting that a virtual private network be created  
8 between 40 user, computer 2601, and secure target  
9 site 2604."

10 So none of those steps are happening under  
11 the determining step in claim 1?

12 A So the intercepting step is on the DNS  
13 request. It's performing additional evaluation. And  
14 some of this additional evaluation is to determine  
15 whether in response to that request the device is  
16 available for secure communications. I don't think I  
17 can say it any other way.

18 Q Right. And I'm just asking you to confirm  
19 that you think that all these determining steps that  
20 you list in paragraph 24 are part of that  
21 intercepting step sequence where you get the  
22 conventional DNS resolution, and then one or more of

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 142

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 142

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

143

1 these additional steps performed, right?

2 MR. PALYS: Objection to form.

3 A So I'm saying these are examples of the  
4 additional evaluation. You intercept the request, in  
5 this case we have the context of DNS, and you perform  
6 this additional evaluation.

7 BY MR. KUSHAN:

8 Q Right. So let's go into the second step  
9 and make sure we understand what can be an example of  
10 that determining step. If you could, if you go to  
11 paragraph 29 of your declaration. So paragraph 29,  
12 you're talking about the determining step now, right,  
13 in claim 1?

14 A That's correct.

15 Q All right. And you say, "Passages" -- of  
16 the '697 patent, cited in the decision, "disclose an  
17 example in which a determination is made that a  
18 target computer is available for secure communication  
19 service without determining whether the device is  
20 listed with the public Internet address, and if so  
21 allocating a private network address for the second  
22 network device."

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 143

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 143

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

144

1           So then you cite this passage which is a  
2       pretty big chunk of the patent, column 41, lines 6 to  
3       64. Can you go to that column of the patent, please,  
4       '697, column 41. And let me actually give you a  
5       question while you're reading that section so you can  
6       look for it.

7           What I would like you to do is identify  
8       something in this passage that you think is an  
9       example of the determining step that is the step of  
10      claim 1, okay? And just so we're clear, in your  
11      declaration, you didn't cite any examples of what  
12      would be this determining step in claim 1, right, an  
13      example of how that would be done.

14           MR. PALYS: Objection to form.

15           A       In the -- I opined on what the Board's  
16      decision points to as examples of this.

17      BY MR. KUSHAN:

18           Q       Right.

19           A       And that's not limited to these examples in  
20      '697.

21           Q       Right. And so as I understood your  
22      declaration, you're saying you're disagreeing that



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

145

1 the passages the Board cited are legitimate examples  
2 of what is being done in the determining step of  
3 claim 1, right?

4 MR. PALYS: Objection to form.

5 BY MR. KUSHAN:

6 Q So we're clear, the first question here is  
7 confirming that in paragraphs 27 to 30 of your  
8 declaration, you're pointing out why you disagree  
9 with what the Board has identified as being examples  
10 of the determining step of claim 1. That's my first  
11 question.

12 And the second question is --

13 A Let's take one question at a time, please.

14 BY MR. KUSHAN:

15 Q Sure. Of course. So is that an accurate  
16 statement, that what you're saying in paragraphs 27  
17 to 30, these are the reasons why you are disagreeing  
18 with what the Board identified as being examples of  
19 the determining step in claim 2, right?

20 A So the decision, as I stated here, gave  
21 their own views of what they thought the determining  
22 step meant, which is whether the device is listed

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

146

1 with the public Internet address, and if so,  
2 allocating a private address for the second network  
3 device. And then some indication of the relative  
4 permission level of security of the requester of the  
5 second device.

6 And so here I opine on that, whether --  
7 whether or not these are meeting the determining in  
8 response to a request to look up.

9 Q And you're saying none of those are  
10 examples that meet the determining step of claim 2,  
11 right?

12 A Whether the private device is listed with a  
13 public Internet address does not. Allocating a  
14 private address for the second network device in this  
15 fashion does not. And indication of the relative  
16 security level of the requester is not what we -- is  
17 limited in the specifications for -- and the claims  
18 for '697.

19 Q And so we're very clear about this, you're  
20 saying that none of those things are going to be the  
21 determining step that's listed in claim 2 -- step 2  
22 of -- let me be precise.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

147

1           So those examples you just gave me, your  
2       testimony is that none of those examples are an  
3       example of determining in response to the request  
4       whether the second network device is available for a  
5       secure communication service; is that your testimony?

6           A       None of those specific cases, the cases of  
7       looking for -- deciding if the device is listed with  
8       a public Internet address, or the specific one cited  
9       by -- in the Board's decision? So you want me to  
10      look at which passage here?

11          Q       So you walked through your -- in paragraphs  
12      27 to 30, and you expressed your disagreement with  
13      the Board that certain passages or examples from the  
14      '697 patent are examples of determining in response  
15      to the request whether the second network device is  
16      available for a secure communication service in claim  
17      1, right?

18                  MR. PALYS: Objection to form.

19          A       With respect to the availability, whether  
20      the second network device is available for the secure  
21      communication.

22      BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

148

1 Q So none of these examples meet the  
2 determining step of claim 1, in your view?

3 MR. PALYS: Objection to form.

4 A The examples I give here in my declaration  
5 support that view.

6 BY MR. KUSHAN:

7 Q Okay. I just want to -- I'm just trying to  
8 get to the next question, which is, I want to know  
9 what you see in the patent that is an example of  
10 determining in response to a request whether the  
11 second network device is available for secure  
12 communication services, okay?

13 So first, this line of questions I just  
14 finished, we end with the examples the Board pointed  
15 to, you believe are not examples of determining in  
16 response to the request whether the second network  
17 device is available for a secure communication  
18 service, right?

19 MR. PALYS: Objection to form.

20 A I think you're just repeating what we said  
21 earlier.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

149

1 Q So that's yes, right?

2 A Correct.

3 Q So now, can you go to your declaration.

4 I'm reading this, and I don't see you telling me what  
5 is an example of determining in response to the  
6 request whether the second network device is  
7 available for secure communication service.

8 A I stated in the declaration, on page 19,  
9 one such example would be as determined, for example,  
10 by a domain name extension. So let me go back. If  
11 access to a secure site has been requested, and we  
12 discussed in the context of '697, it's clear what  
13 that means, as determined, for example, by the domain  
14 name extension, so something like example dot S com,  
15 the second network device, is an indication that the  
16 second network device is available for secure  
17 communication.

18 I think that's -- if I understand your  
19 question, that's one example of that.

20 Q Is there any other example you identified  
21 in the '697 patent?

22 A I would have to go back and look.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

150

1 Q You didn't identify in your declaration any  
2 others, right?

3 MR. PALYS: Objection to form.

4 BY MR. KUSHAN:

5 Q Maybe I could focus your attention, if I  
6 could direct your attention to column 41 of the '697  
7 patent. Now, you reference this section, line 6 to  
8 line 64 of that column in paragraph 29 of your  
9 declaration, right? And what you're saying is that  
10 these examples that are found in column 41, is it  
11 your testimony that none of those examples, none of  
12 those scenarios or descriptions are examples of the  
13 determining step in claim 1?

14 MR. PALYS: Objection to form.

15 A To be clear, this is determining in  
16 response to the request whether the second network  
17 device is available for secure communication service.  
18 So my answer is with respect to determining in  
19 response to the request whether the second network  
20 device is available.

21 BY MR. KUSHAN:

22 Q Right.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 150

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 150

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

151

1                   So let me know when you're ready.

2           A       Just one second.

3           Q       Okay.

4           A       As you said, it's a big paragraph. I'm  
5 going back through it.

6           Q       Sure.

7           A       Sir, I've stated here in the declaration,  
8 the passage it's referring to says, "These examples  
9 don't fit the interpretation here that it means  
10 whether the device is listed with a public Internet  
11 address, any determining step thereof, allocating the  
12 private address for the second network device, or  
13 some indication of the relative permission level of  
14 the requester."

15          Q       Are you reading from paragraph 24? Which  
16 paragraph were you just reading from?

17          A       We're going back to the reference to 41,  
18 column 41, 6 to 64.

19          Q       Right. That's in 29, right?

20          A       That's in 29. And what this is in  
21 reference to. That's what you asked me.

22          Q       So those examples you just read were in 27?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 151

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 151

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

152

1 Your paragraph 27, right?

2 A My paragraph 27.

3 Q Right.

4 A Is with reference to what the decision's  
5 interpretation of this means. So it gave some  
6 conditions here. The device is listed with a public  
7 Internet address, etc., some indication of the  
8 relative permission level of security privilege of  
9 the requester.

10 And so I'm saying that, one, this is not  
11 limited in any way to that in the patent; and two,  
12 that they are again pointing to cases where this  
13 determination has nothing to do with the fact that  
14 it's listed with a public Internet address, as  
15 claimed in part 1 of their interpretation, or  
16 allocating a private address for the second network  
17 device.

18 Q All right. So you did give me an example  
19 before about the S com example, that would be an  
20 example of an indication that the second network  
21 device -- I'm sorry. I'll get the right claim  
22 language. That was an example of something that



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

153

1 would be where the second network device is available  
2 for a secure communication service, right?

3 MR. PALYS: Objection to form.

4 BY MR. KUSHAN:

5 Q That's what -- you gave me that example  
6 before as something that would fit into that  
7 determining step of claim 1, right?

8 MR. PALYS: Objection to form.

9 A So the S com here is in reference to the  
10 second network -- the second network device.

11 BY MR. KUSHAN:

12 Q Okay. And so the S com, the designation of  
13 S com, that string, is the thing that is giving the  
14 indication that it's available for a secure  
15 communication service, right?

16 MR. PALYS: Objection to form.

17 A It's not -- let's go back to...

18 BY MR. KUSHAN:

19 Q So I'm going to ask you to take a look at  
20 column 40, around line 30 --

21 A That's where I am right now.

22 Q 32 or so. Do you see that sentence says,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

154

1 "If access to a secure site has been requested," and  
2 it says, "as determined, for example, by a domain  
3 name extension." Do you see that?

4 A I do.

5 Q So that's your S com example, right?

6 MR. PALYS: Objection to form.

7 A So this is an example in that it is a site  
8 that has -- has the capability of participating in a  
9 secure communication link.

10 BY MR. KUSHAN:

11 Q Right. And so that example of the domain  
12 name extension is one example of a way to determine  
13 if access to a secure site has been requested, right?

14 MR. PALYS: Objection to form.

15 A So the fact that that nonstandard name like  
16 dot S dot-com has been requested is what's meant  
17 here, as determined, for example, by the domain name  
18 extension. And you're asking -- and the examples are  
19 spread through '697, so give me a second.

20 BY MR. KUSHAN:

21 Q Well, I mean, I can just make it simple,  
22 because I'm just -- you gave me one example of a way

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 154

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 154

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

155

1       that the determination of claim 1, step 2 is made,  
2       right? That was the determination of determining in  
3       response to the request whether the second network  
4       device is available for a secure communication  
5       service.

6               And one example you gave me was dot S com.

7           A       For the nonstandard name that can't be  
8       resolved by conventional DNS.

9           Q       Right. And then, if I go back to column  
10      40, that's the example that's the domain name  
11      extension in line 35, right?

12          A       If access to secure site has been  
13      requested, you know, for that nonstandard domain name  
14      example I gave you.

15          Q       That's one way of determining?

16          A       That's one way of determining.

17          Q       Right. So if you keep reading, it says,  
18      another way there is, "or by reference to an internal  
19      table of such sites." Do you see that?

20          A       I do.

21          Q       So that would be as an alternative to that  
22      domain name extension example you gave me, another

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 155

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 155

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

156

1 way of determining if access to a secure site, secure  
2 second network device has been requested, is by  
3 looking up that second network device name on an  
4 internal table, right?

5 MR. PALYS: Objection to form.

6 A So this table is not in isolation, right?  
7 This is a table, for example, as taught in the  
8 teachings of '697, is the table that is used to  
9 determine whether or not that -- that nonstandard  
10 lookup is mapped to something that requires a secure  
11 domain name service access.

12 BY MR. KUSHAN:

13 Q Well, let me just -- so just as the patent  
14 is stating it here, it says, "If access to a secure  
15 site has been requested," right, "as determined, for  
16 example, by a domain name extension," that's one  
17 option of determining if access to a secure site has  
18 been requested, right?

19 A You can read it that way.

20 Q And then the other way of determining if  
21 access to a secure site has been requested is by  
22 reference to an internal table of such sites, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

157

1           A       So I think if you wanted to just take the  
2       text out of context, that's what it reads here. If  
3       you take it out of context.

4           Q       And when you say "out of context," you're  
5       saying that a system that would use -- look up in an  
6       internal table of sites a name that it has received  
7       to see if it's in that internal table could not be  
8       used to determine, according to claim 1, whether the  
9       second network device is available for a secure  
10      communication service; is that what you're saying?

11                 MR. PALYS: Objection to form.

12           A       So I didn't -- I wasn't asked to opine on  
13      that. I'm opining on something very -- that says,  
14      given the view taken in this decision, in light of --  
15      in respect to the characteristics that are pointed to  
16      here, do these meet the determining steps.

17                 And I'm saying that they do not. I don't  
18      say anything else beyond that.

19                 BY MR. KUSHAN:

20           Q       So you understand that, for example, when  
21      you're making a determination of whether a claim is  
22      anticipated by something in the prior art, you have

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

158

1 to first understand what is covered by the claim, and  
2 then you have to determine if that claim actually  
3 covers what's described in the prior art, right?

4 MR. PALYS: Objection to form.

5 A My understanding for this anticipation step  
6 that you mention is that there is a single reference  
7 that covers each and every element of a claim. And  
8 if that's correct, if that's the case, then that  
9 claim is invalid. I'm not a lawyer. That's my  
10 understanding of what that means.

11 BY MR. KUSHAN:

12 Q Sure. When we look at the phrase,  
13 "determining in response to the request whether the  
14 second network device is available for a secure  
15 communication service," is it fair then to look into  
16 the patent, the '697 patent, and find an example or  
17 multiple examples of actions that may constitute  
18 determining whether the second network device is  
19 available for a secure communication service? That's  
20 proper, right?

21 MR. PALYS: Objection to form.

22 A So I think if you interpret this in the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

159

1 context of the specifications, then that's where you  
2 end up.

3 BY MR. KUSHAN:

4 Q And so when we do that, and we go to column  
5 40, line 33 through line 36, it says, "One way of  
6 determining if access to a secure site has been  
7 requested is by reference to an internal table of  
8 such sites," right? Do you see that?

9 A So I think, as you said, if you take this  
10 paragraph, this line out of context here, and focus  
11 only on reference to internal table of sites, then  
12 that's what it says.

13 Q All right. Just go up a couple of lines.  
14 Just to clarify, the internal table is one that's  
15 internal to the DNS proxy 2610, right? So if you  
16 want to look at -- the part I was looking at was  
17 column 40, line 31. And it says, "According to one  
18 embodiment, DNS proxy 2610 intercepts all DNS lookup  
19 functions from client 2605 and determines whether  
20 access to a secure site has been requested," right?

21 That corresponds to the claim, intercepting  
22 step of claim 1?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

160

1 MR. PALYS: Objection to form.

2 A So in response to the request, the -- if  
3 you look at the embodiment here, 2610, 2603, this  
4 combination is making this determination.

5 BY MR. KUSHAN:

6 Q And it can do that by reference to the  
7 internal table it maintains?

8 A Correct.

9 Q Okay. Let's look at Beser. This is  
10 Exhibit 1009.

11 (Petitioner Apple Inc. Exhibit 1009 was  
12 previously marked for identification and attached to  
13 the deposition transcript.)

14 BY MR. KUSHAN:

15 Q Now, you've reviewed Beser before you  
16 prepared your declaration; is that right?

17 A I have.

18 Q And based on your review of Beser, would  
19 you agree that Beser is describing techniques for  
20 establishing IP tunnels between two network devices?

21 A It's techniques for initiating a tunnel  
22 association between an originating and a terminating

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 160

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 160



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

161

1 end, which you said network devices, I'm just  
2 looking, that's different than the network devices  
3 pointed to here, 14 and 16. So --

4 Q So that could be a scenario where you have  
5 two routers that have access to the Internet, and  
6 then there are devices connected to internal networks  
7 that each router is maintaining, right?

8 A Be more specific.

9 Q Sure. If you want to look at figure 1. So  
10 this is an illustration where the items marked 14 and  
11 16 would be things like edge routers, right?

12 A It's a network device that's receiving a  
13 request from the originating or terminating end.

14 Q Right. And then the devices 24 and 26 are  
15 internal network devices, they're on the network that  
16 the devices 14 and 16 are participating in, they're  
17 private network devices, right?

18 MR. PALYS: Objection to form.

19 A I think you're asking -- so device 24 in  
20 this picture sits behind device 14. So device -- in  
21 the context of Beser, device 24 is accessed via  
22 device 14.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

162

1 BY MR. KUSHAN:

2 Q And device 24 has got a private IP address,  
3 right? Because it's on a private network?

4 A Correct.

5 Q Okay. Now, you would agree, at least in  
6 some of the embodiments, that Beser has described the  
7 IP tunnels used to transfer Voice over IP data,  
8 right? That's like one example?

9 A It's an example which is referenced in  
10 Beser, VoIP.

11 Q Beser shows it can also be used to transfer  
12 other kinds of data, right, other than Voice over IP  
13 data? And I can help you, if you want to look at  
14 column 4. Go down to line 53 to -- 52 to 55. Or  
15 that whole paragraph, if you wish, that starts with  
16 43.

17 A Could you repeat the column, please, and  
18 the line?

19 Q Yes. Column 4, line 43 to it looks like  
20 54. It's talking about a data flow. It gives  
21 examples of data flow between devices. And you see  
22 they're giving a number of different examples that

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 162

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 162

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

163

1 are not VoIP devices, correct?

2 A Yes, that's the reference to that in  
3 passing.

4 Q So as Beser is describing the system, it  
5 can be used for a VoIP application, but it can also  
6 be used for other applications that may not involve  
7 the same volume of data that's being transferred  
8 between the devices over the network?

9 MR. PALYS: Objection to form.

10 A So Beser doesn't specifically teach any  
11 applications that are in keeping or not in keeping  
12 with its system. It primarily refers to some  
13 examples with VoIP more than anything else.

14 BY MR. KUSHAN:

15 Q But what it's saying right here, it says,  
16 "The ends of the data flow may be other types of  
17 network devices and the present invention is not  
18 restricted to telephony or multimedia devices,"  
19 right?

20 A That's what it says.

21 Q And you would agree that at least in some  
22 of the embodiments that Beser is describing, they use

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 163

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 163

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

164

1 a unique identifier which is sent to a trusted  
2 third-party device; you're familiar with that  
3 description, right?

4 A In Beser, there is an identifier sent to --  
5 to refer to the figure 14, the network device here,  
6 that informs -- as an informed message. That is  
7 forwarded onto this trusted third party over the  
8 public Internet.

9 Q And in that message, in one example, it can  
10 contain a domain name, right?

11 A The identifier -- that identifier...

12 Q If you want to go to column 10. I don't  
13 know if you're there.

14 A Yes. I believe you were asking only about  
15 an identifier in Beser?

16 Q Yes. I just wanted to confirm that in one  
17 example, Beser is showing that the unique identifier  
18 can include a domain name.

19 A Where is that?

20 Q Can you go to column 10. If you look at  
21 line 38. It says, "In another exemplary preferred  
22 embodiment of the present invention, the unique

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 164

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 164

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

165

1 identifier is any of a dial-up number, an electronic  
2 mail address" --

3 A I'm sorry. Wait a second.

4 Q I'm sorry. It's farther back.

5 A I'll just listen to the --

6 Q If you want, you can use --

7 A Yes, I'll probably go back there.

8 Q Just column 10.

9 A I'm there.

10 Q All right. Line 38. Do you see that? It  
11 says, and this is speaking of the request, "includes  
12 a unique identifier for the terminating telephony  
13 device," right?

14 A Sure.

15 Q And "In another exemplary preferred  
16 embodiment of the present invention, the unique  
17 identifier is any of a dial-up number, an electronic  
18 mail address, or a domain name."

19 Do you see that?

20 A Yes, I do.

21 Q So you would agree with me that in the  
22 Beser scheme, the request that emanates out of the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

166

1 first network device would include a domain name?

2 A So Beser doesn't teach anything that's  
3 different if that identifier is a domain name. It  
4 says -- in passing, it says it could be a domain  
5 name. But none of the teachings of Beser show that  
6 anything different happens if this is a domain name  
7 versus anything else.

8 Q Okay. That's a different question.

9 A Yes.

10 Q I'm just confirming -- we're taking one  
11 step at a time, okay?

12 A Sure.

13 Q So in Beser, a unique identifier can be a  
14 domain name, right?

15 A It says that in passing.

16 Q Okay. And it says it's a preferred  
17 embodiment, right?

18 A Correct.

19 Q All right. Now, the trusted third-party  
20 network device can be a DNS server or have a DNS  
21 server in it, right?

22 A I think that's the only mention of DNS

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

167

1 really in Beser. It could be a DNS server,  
2 conventional DNS.

3 Q It's in a trusted third-party network  
4 device, right?

5 A It's a TTP, as they call it.

6 Q And a DNS service can be like a service  
7 running on a computer that has other capabilities,  
8 right?

9 A It can.

10 Q And that's not unusual, is it, for like a  
11 server to run multiple services?

12 A No.

13 MR. PALYS: Objection to form. Outside the  
14 scope of direct testimony.

15 BY MR. KUSHAN:

16 Q So, now in your view -- and if you want to  
17 go to paragraph 41 of your declaration. What you're  
18 saying in paragraph 41 is that the trusted  
19 third-party network device does not perform any  
20 translation into an IP address of the domain name of  
21 the terminating device 26 or otherwise treat the  
22 request to initiate the VoIP tunnel as a request to

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

168

1 look up an IP address, right? That's your conclusion  
2 on your analysis of Beser?

3 A No request to look up an IP address.

4 Q So in your view, where the TTP, the trusted  
5 third-party network device, has been configured to  
6 receive a domain name in the request as the unique  
7 identifier and is a -- has a DNS service in it, it's  
8 not going to do anything with the domain name; is  
9 that your testimony?

10 A Beser does not teach or does anything  
11 specific with that. It mentions DNS in passing as  
12 DNS may reside on the TTP. It also mentions in  
13 passing that that identifier could be a domain name.

14 Q So in this example which they label their  
15 preferred embodiment, you're saying that the domain  
16 name in the unique identifier isn't used in any way  
17 by the trusted third-party network device?

18 A It could be used to get information about  
19 the -- conceivably somehow used conventional DNS to  
20 find the public address of network device 16.

21 Q So in that instance, the trusted  
22 third-party network device would use the domain name



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

169

1 and get from it the IP address of the network device  
2 16, right? That's what you just said?

3 A Not the end device.

4 Q But your view is it would at least get you  
5 to device 16?

6 A Beser doesn't teach that. It says that --  
7 I'll give you the words.

8 Q And just because I don't want to run out of  
9 time today, you seem to be taking a lot of -- I mean,  
10 I want to give you the freedom to look at whatever  
11 you need to look at to answer my questions, but it's  
12 taking a bit of time. So I want to direct your  
13 attention to a section, if that's all right.

14 A You asked a question. I don't want to  
15 rush. I'm going to go through my declaration and  
16 respond to your question, if that's okay with you.

17 Q Sure. But we do have a time constraint.

18 A I'll keep that in mind.

19 MR. PALYS: How long have we been going?

20 THE REPORTER: It was 12:44, so an hour and  
21 12 minutes.

22 A So as I said in my declaration, the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

170

1       purported use of conventional DNS is not taught in  
2       the invention and how that would be used. DNS here  
3       is mentioned in passing as could be residing on the  
4       TTP.

5       BY MR. KUSHAN:

6           Q       So in the scenario where the unique  
7       identifier is a domain name, that's sent to the  
8       trusted third-party network device, and this is in a  
9       request where the domain name is associated with the  
10      network device 16, you would agree that the trusted  
11      third-party device will take that network device --  
12      I'm sorry, that domain name and use it to determine  
13      the IP address of device 16, right?

14          A       I don't, because that's never taught in  
15      Beser. It says that you have an identifier. That  
16      identifier serves a number of different purposes,  
17      which is an informed message and identifier. And in  
18      those cases, it says what that identifier is used  
19      for. In the other abstract cases, like it could be a  
20      DNS name and it could use conventional DNS, Beser  
21      doesn't teach how that works.

22          Q       So if you go to column 11, line 25, or 26,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

171

1 do you see it says, "A public IP address 58 for a  
2 second network device 16 is associated with a unique  
3 identifier for the terminating telephony device" --

4 A You're reading too quickly. Sorry.

5 Q Column 11. Line 26. Do you see that  
6 sentence, starting there?

7 A Mm-hmm.

8 Q So then that's an association of the unique  
9 identifier with the terminating telephony device 26,  
10 that's for 16, right?

11 A So it's a public IP address retrieved  
12 somehow, so public IP address, element 58, for the  
13 second network device 16.

14 Q And one way to obtain the public IP address  
15 of a device is by performing a DNS lookup of the  
16 domain name, right?

17 MR. PALYS: Objection, form. Outside the  
18 scope of direct.

19 A So in Beser, it doesn't teach how this is  
20 resolved. You can use conventional DNS to get the  
21 public address of a device.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

172

1           Q       So even though Beser says put the domain  
2       name in the unique identifier and send it to a  
3       trusted third-party device which has a DNS service in  
4       it, you're saying that's unreasonable to read Beser  
5       as suggesting it would use the domain name and  
6       resolve it to get the IP address of the network  
7       device 16?

8                   MR. PALYS: Objection to form.

9           A       I'm saying that the identifier, in this  
10       case, if it is a domain name, purportedly can be used  
11       to find information about device 16. It has nothing  
12       to do with the end device 26. It's a public IP  
13       address for 16.

14       BY MR. KUSHAN:

15           Q       All right. If you look at line 30 of  
16       column 11, this says, "The association of the public  
17       IP address 58 for the second network device 16 with  
18       the unique identifier is made on the trusted  
19       third-party device 30."

20                   Do you see that?

21           A       I see that.

22           Q       So that's actually saying that the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

173

1 association of a public IP address for the second  
2 network device with the unique identifier is made on  
3 the trusted third-party network device?

4 A It's stored on there, but the IP addresses  
5 are negotiated directly from 14 and 16.

6 Q Right. But before that negotiation  
7 happens, the trusted third-party device is  
8 determining the IP address of the network device 16  
9 with the information in the unique identifier, right?

10 MR. PALYS: Objection to form.

11 BY MR. KUSHAN:

12 Q That's literally what it says?

13 A That's what it says.

14 Q All right. So if you want -- I know you've  
15 mentioned you want a break. Let's take a break now,  
16 if that's okay.

17 A That would be good.

18 (Recess.)

19 BY MR. KUSHAN:

20 Q If you could, could you to the '697 patent  
21 and have that available. I want you to have the  
22 claim available while I ask you a question about

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 173

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 173

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

174

1       Beser. Just have it so you can see claim 1.

2           A       Mm-hmm.

3           Q       So we were talking before about Beser.

4       There was a discussion where we were looking into  
5       Beser and the trusted third-party device using the  
6       domain name to locate the device, the second network  
7       device 16, right? So one scenario was the domain  
8       name pointed to the device 16 in the Beser scheme,  
9       right?

10          A       That the identifier, we said, stated there  
11       could be a domain name.

12          Q       Right.

13          A       Mm-hmm.

14          Q       And that domain name would resolve to the  
15       IP address of the second network device 16, right?

16          A       That's correct.

17          Q       All right. And then so your view is that  
18       the '697 claims wouldn't cover the Beser scheme  
19       because the trusted third-party device is resolving  
20       and returning the IP address of the network device 16  
21       and not the ultimate destination, which is the  
22       terminating telephony device 26, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

175

1 MR. PALYS: Objection to form.

2 A For which part of the claim? Which element  
3 of the claim?

4 BY MR. KUSHAN:

5 Q Any part. I mean, I think you were trying  
6 to draw a distinction in your declaration about the  
7 claims not covering the scenario in Beser where --

8 A For the -- for what's pointed to in the  
9 decision?

10 Q Right.

11 A Mm-hmm.

12 Q Right. So I just want to make sure I  
13 understand that one of the points you were making was  
14 that the '697 claims don't cover the scenario where  
15 the trusted third-party device in Beser resolves the  
16 IP address of the second network device 16 instead of  
17 the terminating telephony device 26?

18 MR. PALYS: Objection to form.

19 A Very compound question. Let's go one more  
20 time.

21 BY MR. KUSHAN:

22 Q Sure. Sure. So you drew a distinction

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 175

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 175

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

176

1       between Beser in the claims by saying that in the  
2       Beser scheme, the trusted third-party network device  
3       returns -- uses that domain name, unique identifier,  
4       to identify the IP address of the second network  
5       device, right?

6             A        Could.

7                   MR. PALYS:  Objection to form.

8       BY MR. KUSHAN:

9             Q        Could.

10            A        Mm-hmm.

11            Q        And it's not resolving the network address  
12       of the terminating telephony device 26, right?

13                   MR. PALYS:  Objection to form.

14            A        The TTP resolution, Beser doesn't teach  
15       that has anything to do with the terminating device.

16       BY MR. KUSHAN:

17            Q        Okay.  And that was one of the reasons why  
18       you thought that Beser does not anticipate claim 1 of  
19       the '697 patent, right?

20                   MR. PALYS:  Objection to form.

21            A        With respect to a specific element of that  
22       claim?



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

177

1 BY MR. KUSHAN:

2 Q You were making this point to draw a  
3 distinction from the claims for your anticipation  
4 explanation.

5 A I did. And that's with respect to what  
6 message -- where -- what was pointed to as being a  
7 resolution of IP address for the second network  
8 device.

9 Q Right.

10 A Okay.

11 Q So the point you were making is that  
12 Beser's scheme does not anticipate claim 1 because  
13 the Beser scheme has the trusted third-party network  
14 device resolving the domain name to return the second  
15 network device IP address and not the terminating  
16 telephony device 26 IP address?

17 MR. PALYS: Objection to form.

18 BY MR. KUSHAN:

19 Q Right?

20 A So to be clear, Beser does not teach  
21 anything beyond there is a resolution, it says in  
22 passing, that could happen on the trusted third party

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 177

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 177

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

178

1 to get a public address of device 16.

2 Q And that means Beser does not anticipate  
3 the '697 patent claims?

4 MR. PALYS: Objection to form.

5 A With request -- with respect to lookup of  
6 the Internet protocol address of the second network  
7 device based on a domain name.

8 BY MR. KUSHAN:

9 Q And so the trusted third-party network  
10 device -- I'm sorry. The terminating telephony  
11 device 26 in the Beser scheme in your understanding  
12 is not the second network device; is that right?

13 A Repeat your question.

14 Q Yes. So in your understanding relative to  
15 the '697 claims, in the Beser scheme, the terminating  
16 telephony device 26 is not the second network device,  
17 correct?

18 A In Beser, there is no resolution of an IP  
19 address for that device.

20 Q And that's why it doesn't meet that element  
21 of the '697 claims where it's speaking of resolving  
22 the IP address of the second network device?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

179

1 MR. PALYS: Objection to form.

2 A Those IP addresses that -- the IP address  
3 for 26 or 24 is chosen by 26 or 24 through 16 and 14  
4 respectively.

5 BY MR. KUSHAN:

6 Q Right. So when Beser goes through his  
7 process and resolves the domain name and the unique  
8 identifier, that IP address is the device 16 in the  
9 Beser scheme, right?

10 A The public IP address?

11 Q The public IP address.

12 A Correct.

13 Q And so that's why in your analysis of the  
14 '697 claims, that second network device 16 is not the  
15 second network device that's referred to in claim 1  
16 of the '697 patent, right?

17 MR. PALYS: Objection to form.

18 A With respect to what was said in the  
19 decision. I was asked to opine on my -- give my  
20 opinions relative to what was said in the decision.

21 BY MR. KUSHAN:

22 Q I'm trying to make sure we -- you're saying

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

180

1 ultimately the point of your declaration is that your  
2 view is that Beser does not anticipate claim 1,  
3 right?

4 A Yes.

5 MR. PALYS: Objection to form.

6 BY MR. KUSHAN:

7 Q And I'm just trying to make sure I  
8 understand which part of Beser does not anticipate  
9 claim 1. So what you've described to me is a  
10 scenario where the terminating telephony device 26 in  
11 your view would be the second network device that's  
12 being referred to in claim 1, right?

13 MR. PALYS: Objection to form.

14 A So as I said before, my understanding of  
15 anticipation is that each and every element of a  
16 claim, so I'm looking at each and every element of  
17 the claim and giving my opinion here.

18 BY MR. KUSHAN:

19 Q Correct. And I'm just parsing your  
20 opinion. So the element -- so which elements does  
21 Beser not show in claim 1?

22 A I gave my opinion on two aspects of claim

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

181

1 1, as in the declaration, not meeting intercepting a  
2 address to look up an IP address for the second  
3 network device, and the rationale is there in the  
4 declaration; and determining in response to the  
5 request whether the second network device is  
6 available for secure communication.

7 Q So what in paragraph were you referring to  
8 your first point?

9 A So if we look at paragraph 38, where it  
10 starts on page 24.

11 Q Okay. And as I was explaining, I think  
12 what you're saying in paragraph 38 and 39 is that the  
13 resolution in Beser by the trusted third-party  
14 network device is of the IP address of network device  
15 14, right?

16 MR. PALYS: Objection to form.

17 A You mean 16?

18 BY MR. KUSHAN:

19 Q So --

20 A You said 14.

21 Q Yes. Yes. Let me make sure I have the  
22 right picture. Sorry. Yes. 16. I'm sorry.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

182

1 A 16 in figure 1.

2 Q Exactly. And so if I were trying to map  
3 Beser's scheme into the claim, your view is when the  
4 trusted third-party network device resolves the  
5 unique identifier domain name scenario, it's giving  
6 me the IP address of the device 16 and not the  
7 terminating device 26, right? And that does not meet  
8 the intercepting step requirement of claim 1?

9 MR. PALYS: Objection to form.

10 A With respect to the second network device,  
11 correct.

12 BY MR. KUSHAN:

13 Q Okay. And that "second network device" is  
14 the claim term "second network device," there's a  
15 little bit of confusion, because Beser also calls it  
16 the "second network device."

17 MR. PALYS: Objection to form.

18 BY MR. KUSHAN:

19 Q Just to be clear, when we take the '697  
20 phrase "second network device," that should have been  
21 "terminating telephony device 26," right?

22 A Correct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

183

1 Q Okay. And then in Beser, its "second  
2 network device 16" is not that element in claim 26 --

3 A Correct.

4 Q Claim 1 of '697.

5 A That is correct, with respect to figure 1.

6 Q Could you go to the Beser patent at column  
7 11 and go to lines 26 to 36. We were looking at this  
8 before.

9 A Column where, sir?

10 Q Column 11, line 26. Do you see that in  
11 line 26, "The public IP address of the second network  
12 device in Beser is associated with the unique  
13 identifier for the terminating telephony device 26."

14 Do you see that?

15 A I do.

16 Q So one way that could be done is you have  
17 some kind of a table that just has a row with the IP  
18 address of the second network device 16 in the same  
19 row as the terminating device 26, its IP address. So  
20 that's just correlating those two addresses, right?

21 MR. PALYS: Objection to form. Outside the  
22 scope of direct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

184

1           A       So all Beser discloses here is that this  
2       second network device is mapped somehow. So let's go  
3       back, device 26 is mapped at the TTP. That mapping  
4       for that IP address is completely determined without  
5       the TTP. They decide on what that mapping is, and  
6       the TTP may in the end store that mapping.

7       BY MR. KUSHAN:

8           Q       So the TTP could store that mapping like in  
9       a table, that's a conventional way of storing a  
10      mapping of that kind between two IP addresses?

11           MR. PALYS: Objection to form. Outside the  
12      scope of direct.

13           A       So Beser doesn't teach what that mapping  
14      would be and how it's used in the embodiment. But a  
15      table is a way to map two elements.

16      BY MR. KUSHAN:

17           Q       So the way we're just describing this, you  
18      could imagine that the TTP has a table that has the  
19      IP address of the second network device 16 associated  
20      with the terminating device 26's IP address?

21           MR. PALYS: Objection to form. Outside the  
22      scope of direct.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

185

1           A       So my understanding, as is stated in  
2       paragraph 41 of my declaration, on that issue is  
3       that -- so there's -- in terms of the lookup, you  
4       were calling it, the trusted third network device  
5       does not perform the translation into an IP address  
6       of the domain name of this terminating device 26 as  
7       we're talking about. Otherwise treat the request to  
8       initiate the VoIP as a request to look up an IP  
9       address.

10                So it gets informed, it's associated with  
11       an identifier to the terminating device, with the  
12       public IP address of the second network device, the  
13       first and second network devices as explained in  
14       Beser, 14 and 16, then negotiate the private IP  
15       addresses themselves through the public network  
16       without the trusted third-party network.

17                So here the trusted third-party network  
18       basically served as a passthrough.

19       BY MR. KUSHAN:

20           Q       Just let me pause you on that explanation.

21           A       Okay.

22           Q       So basically -- so you were pointing out,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

186

1 and this is in paragraph 41, the trusted third-party  
2 network device could store in this table the  
3 correlation of the domain name of the terminating  
4 device 26 with public IP address of the second  
5 network device 16, right?

6 MR. PALYS: Objection to form.

7 A That it could store the public IP address  
8 for device 16.

9 BY MR. KUSHAN:

10 Q With the -- as being associated with  
11 terminating device 26? This is what you were  
12 pointing out in paragraph 41, right?

13 MR. PALYS: Objection to form.

14 BY MR. KUSHAN:

15 Q You say, "After being informed of the  
16 request, trusted third-party network device 30  
17 associates an identifier, e.g. a domain name of  
18 terminating device 26 with a public IP address of a  
19 second network device 16."

20 So this association is the domain name of  
21 the endpoint, the terminating device 26, with the  
22 public IP address of the second network device 16,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

187

1 right?

2 A To be clear, that third-party network  
3 device is acting here simply in a passthrough role.  
4 It's not performing any translation.

5 Q So it's made that -- it's got a table that  
6 has that -- it could have a table to implement that  
7 association that you just described in paragraph 41,  
8 though, right?

9 MR. PALYS: Objection to form. Outside the  
10 scope of direct.

11 A It could have a table, but that's not a  
12 request to look up an IP address as claimed.

13 BY MR. KUSHAN:

14 Q So if we go back to the Beser patent at  
15 column 11. So it says that -- now, you told me  
16 earlier that the association between the unique  
17 identifier of the terminating device 26 and the  
18 public IP address of second network device 16 is made  
19 at the second network device working with the  
20 telephony device 26?

21 A Sorry. Let me pull the figures back again  
22 to make sure we're on the same page.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

188

1 Q That's fine.

2 A It's right in front of me.

3 Q There's multiple instances of Beser. So  
4 what I wanted to know, you told me earlier that the  
5 association that exists between terminating device --  
6 terminating telephony device 26 and network device 16  
7 is made by those two devices with no role in that  
8 association by the trusted third-party network  
9 device; is that right?

10 A That the IP -- the IP address that should  
11 be used for device 26 was negotiated -- and 24 were  
12 negotiated by devices 14 and 16. And it's only doing  
13 this as a passthrough using the trusted third party.

14 Q So did I misunderstand you, not in your  
15 last answer but in an earlier answer, because it  
16 seemed to me you were suggesting the trusted  
17 third-party network device doesn't associate the  
18 terminating telephony device 26 domain name and the  
19 second network device public IP address.

20 Was that what you had explained earlier?

21 MR. PALYS: Objection to form.

22 A I'm trying to -- we're going very fast

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

189

1 here. Hang on a second.

2 Yes, I'm saying the request we've been  
3 speaking of is a request to look up -- is not a  
4 request to look up the IP address of end device 26 in  
5 figure 1, but more maps to device 16.

6 BY MR. KUSHAN:

7 Q And then when you're discussing the  
8 passthrough role of the trusted third-party network  
9 device, you're basically saying that the lookup  
10 that's needed to find the terminating device 26 is  
11 not being done by the trusted third-party device,  
12 right?

13 A Correct.

14 Q All right. And then you said -- all right.  
15 So just at that point, can you look at column 11 of  
16 Beser, at line 30 to 32, do you see that? It says  
17 that "the association of the public IP address for  
18 the second network device 16 with the unique  
19 identifier is made on the trusted third-party network  
20 device 30," right?

21 So that's actually saying there is this  
22 connection being made by the trusted third-party

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 189

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 189

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

190

1 device of the domain name of the terminating  
2 telephony device 26 and the public IP address of the  
3 second network device 16, right?

4 MR. PALYS: Objection to form.

5 A Purportedly after the devices 14 and 16 has  
6 done some negotiation of addresses.

7 BY MR. KUSHAN:

8 Q Let's look at the -- and so I understand,  
9 your view is that the trusted third-party network  
10 device will never in the Beser scheme participate in  
11 the negotiation between the terminating telephony  
12 device 16 and the originating telephony device 24; is  
13 that right?

14 A With respect to looking up an IP address.  
15 It's just passing through IP addresses that were  
16 selected by those devices.

17 Q Now, did you understand Beser to describe  
18 the trusted third-party network device as not  
19 participating in that negotiation between the  
20 originating and terminating telephony devices at all?  
21 There's no examples of that?

22 A The examples were, it makes it clear that

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

191

1 it's acting only as a passthrough, and that's from my  
2 recollection. And let me go back to the examples I  
3 gave here.

4 Q And just when you do that, can you give me  
5 the paragraph number?

6 A I will.

7 So if we refer to paragraph 41 of the  
8 declaration, it's pointing to examples of where the  
9 trusted third party is simply being used as a  
10 passthrough.

11 Q Okay. Could you turn to column 9 of the  
12 Beser patent and look at line 26. It's the beginning  
13 of a paragraph.

14 A Yes.

15 Q So this is talking about this negotiation  
16 of first private network address and a second private  
17 network address. And that's between the private  
18 network addresses of these terminating telephony  
19 devices, right?

20 A Correct.

21 Q All right. So then Beser is saying, "In  
22 one exemplary preferred embodiment," this is at line

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 191

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 191

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

192

1 29, "One exemplary preferred embodiment, the  
2 negotiation is carried out through the trusted  
3 third-party network device, although it should be  
4 understood that the negotiation may occur without the  
5 involvement of the trusted third-party network device  
6 and that the present invention is not limited by  
7 negotiation through the trusted third-party network  
8 device."

9 So this is stating pretty clearly that  
10 there are in the Beser scheme scenarios, two  
11 scenarios, one where the trusted third-party network  
12 device is participating in conducting that  
13 negotiation to get the IP addresses of the  
14 terminating device, and the other example is where  
15 it's not participating in that, right?

16 A Sure.

17 Q So that's being described in Beser?

18 A It's mentioned in Beser, but I don't see it  
19 being taught in Beser and how that's...

20 Q How much more do you need than this  
21 explanation?

22 A So there is a method, and you said to use



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

193

1 the method, you can do it this way or you can do it  
2 that way. You focus on one way, and the second way  
3 is the way we're discussing right now, which one of  
4 ordinary skill in the art would not see how to use  
5 Beser to do that. It never discloses that, as far as  
6 I can see.

7 Q So do you think it's impossible to make  
8 Beser have the trusted third-party network device  
9 locate the terminating telephony device's IP address  
10 in the Beser scheme?

11 MR. PALYS: Objection to form. Outside the  
12 scope of direct.

13 A So I have not considered whether that's  
14 impossible to do that. To consider that aspect, you  
15 would have to see if there is anything else in Beser  
16 that makes that not possible. But I have not  
17 considered is there anything that makes it  
18 impossible. I'm sorry.

19 BY MR. KUSHAN:

20 Q So when the trusted third-party network  
21 device receives that request coming from the  
22 originating terminating device, the telephony, and it

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

194

1 has the table that has the domain name of the  
2 terminating telephony device 26 and the IP address of  
3 the second network device, the trusted third-party  
4 network device could simply evaluate the domain name  
5 and know where to route the message in order to  
6 enable the communication tunnelling to be  
7 established, right?

8 MR. PALYS: Objection to form.

9 A So I don't know what the evaluation is  
10 here. But hypothetically, the -- that trusted third  
11 party is getting its IP address of that end device  
12 and passing it through. It's still not doing --  
13 Beser doesn't teach how that resolution would be made  
14 at the TTP.

15 It says there's -- other devices do it  
16 through the TTP, and that's the extent that it's  
17 done. You asked a different question, is it  
18 impossible to do it any other way. And I honestly  
19 haven't thought about that with respect to Beser. I  
20 said, let's see what Beser teaches. My understanding  
21 of what '697 teaches and whether or not it meets each  
22 and every element of the claim.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

195

1           You were asking -- it mentions another  
2 possible embodiment not taught there, and is it  
3 impossible? I can't give you an answer on that  
4 without now taking that assumption into place,  
5 looking at the rest of the specifications for Beser,  
6 and seeing if it's impossible.

7 BY MR. KUSHAN:

8           Q       So we would at least agree that Beser is  
9 identifying that there are two options in this role  
10 for the trusted third-party network device, one where  
11 it is participating in this negotiation to identify  
12 the IP addresses of the terminating devices, and the  
13 other scenario is where it's not, right?

14          A       I agree the text in Beser specifies there  
15 are two possible situations; whether -- I disagree  
16 that it teaches how to use both.

17          Q       All right. Now, if you go back to  
18 paragraph 40 of your declaration. I just want to  
19 understand the rationale you're using to make this  
20 opinion.

21                   You're basically saying in paragraph 40  
22 that the request that's being described in Beser is a

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

196

1 request for initiating an IP tunnel, but that the  
2 claims are specifying that the request is to look up  
3 an IP address of a second network device, and that  
4 because the reason why these steps are being  
5 performed are different, that means that the Beser  
6 process isn't really matching or meeting the  
7 requirements of the claim requirements.

8 MR. PALYS: I object to the form.

9 BY MR. KUSHAN:

10 Q Did I understand that correctly?

11 A So paragraph 40, I'm not disputing there is  
12 a request in Beser. I'm saying that that request in  
13 Beser is a request to initiate a tunnel connection,  
14 right? So -- which is different than the embodiment  
15 which is a claimed request to look up an IP address  
16 of the second network device, because what's referred  
17 to at that point is simply the informed request  
18 device 14 might tell the TTP.

19 So it's not a request to look up an IP  
20 address. That's the rationale behind what's here in  
21 40.

22 Q So you found it significant that the reason

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 196

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 196

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

197

1       why this IP lookup was being requested or being  
2       performed is different in the Beser patent as  
3       compared to the claims?

4                   MR. PALYS: Objection to form.

5           A       So I found that the purported request here  
6       is a request to initiate the IP tunnel, which in my  
7       view is different from a request to look up an IP  
8       address. And that formed my thinking on that matter.

9       BY MR. KUSHAN:

10          Q       And so the point you're making is that the  
11       reason why Beser is doing these steps is to establish  
12       an IP tunnel, right?

13                   MR. PALYS: Objection to form.

14          A       So its ultimate goal is to create this  
15       association between 24 and 26. That's not done, as I  
16       explain here, with request to a lookup of an IP  
17       address. What's mentioned here is on the informed  
18       message, which I see these things as being  
19       fundamentally different.

20       BY MR. KUSHAN:

21          Q       So I know that you made an observation that  
22       you believe Beser does not show use of encryption in

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

198

1 setting up these IP tunnels, right?

2 A Setting up? So --

3 Q I'll be more precise. I think you've  
4 suggested in your statements that the IP tunnels that  
5 get established in Beser are not secure communication  
6 links, right?

7 A As I understand them.

8 Q And the reason for that is that those  
9 tunnels are not encrypting the traffic between the  
10 devices?

11 A Between 24 and 26, Beser doesn't teach any  
12 use of encryption there. I think Beser only teaches  
13 that -- its role is fundamentally different, right?  
14 Beser is arguing against using encryption, for one.  
15 But its only role of using encryption is to hide this  
16 identifier that was just spoke of earlier.

17 There's no teaching of use of encryption  
18 between devices 24 and 26, which is the ultimate  
19 tunnel we're talking about.

20 Q So this tunnel goes from terminating --  
21 originating telephony device -- so it goes 24 all the  
22 way to 26? That's the secure communication link,

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

199

1 right?

2 MR. PALYS: Objection to form.

3 A So I don't think there's a secure  
4 communication link as defined -- you know, as we've  
5 expressed here in the declaration between 24 and 26.  
6 I'm saying that -- you asked about where encryption  
7 is being used. And encryption is only being used, as  
8 far as I can tell, to conceal the identifier. And  
9 that's it.

10 BY MR. KUSHAN:

11 Q Okay. I just want to break it apart a bit.

12 A Okay.

13 Q And ask you a couple of questions. So one  
14 reason that you're saying Beser doesn't show a secure  
15 communication link is that the traffic that  
16 originates at device 24 and then proceeds all the way  
17 to device 26 is not encrypted, right?

18 A So I'll be a little bit more specific. So  
19 you recall earlier in the day we talked about what  
20 that secure communication link would have been in my  
21 view versus what the Board construed, what Apple  
22 thought it to be. And my opinion is that this again

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

200

1 refers to this transmission path that it restricts  
2 access to the data through encryption.

3 We had I think differences of opinion on  
4 that. So if I look at --

5 Q I'm sorry. Can I just pause you on that?

6 A Uh-huh.

7 Q So just this one point was that you believe  
8 the entire path has to be encrypted traffic, right?  
9 So it would be the path from 24 all the way to 26,  
10 that whole path of traffic needs to have the traffic  
11 be encrypted, right, according to the claims for this  
12 secure communication link?

13 A So the communication that happens over  
14 insecure networks, which is, for example, what the  
15 element 12 in figure 1 would be, so it's from 24 to  
16 26, should be encrypted.

17 Q Does the claim require that to be  
18 encrypted, the whole path?

19 MR. PALYS: Objection to form.

20 A So secure communication links, as we  
21 discussed, implies a transmission path restricting  
22 access to data. It's via encryption. And if you



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

201

1 think about the broader context in which this is, so  
2 if we're talking about virtual private networks,  
3 these are over -- will have a network of computers,  
4 you can think of it that way, the characteristics,  
5 that are communicating securely in the presence of  
6 public networks.

7 So from that point of view, I don't think  
8 that Beser meets that requirement.

9 BY MR. KUSHAN:

10 Q So in one of the examples in Beser, the  
11 network devices 14 and 16 are edge routers, right?

12 A Yes, I think that's the case. Yes.

13 Q And you know what an edge router is, right?

14 A I do.

15 Q An edge router is a device that handles the  
16 traffic kind of between the public ends of the  
17 communication path, right? Is that fair?

18 A Correct. That's fair.

19 Q And there are many edge routers that will  
20 automatically encrypt the traffic between the two  
21 edge routers, right?

22 MR. PALYS: Objection to form. Outside the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

202

1 scope of direct.

2 A So that depends on the specifics of that  
3 end router. Is that an edge router that's built to  
4 have this encryption functionality or not?

5 BY MR. KUSHAN:

6 Q Let's take the scenario where it is. Maybe  
7 it's a very expensive edge route.

8 A So hypothetically, we have an edge router  
9 that does some form of encryption.

10 Q So if I have that implementation of Beser,  
11 where I have these encrypting edge routers on either  
12 ends of that image in figure 1, your view is that  
13 would not be enough to make the secure communication  
14 link in the claim because the other links that remain  
15 between the edge routers in 24 and 26 aren't carrying  
16 encrypted traffic?

17 MR. PALYS: Objection to form. Outside the  
18 scope of direct.

19 A So I only opine on whether or not I believe  
20 that what's taught in Beser meets secure  
21 communication as we've been discussing. So because  
22 Beser explicitly does not teach to use encryption for

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

203

1       securing the transmission of any data or information,  
2       it only teaches to use encryption to hide the  
3       identity of a device.

4       BY MR. KUSHAN:

5           Q       Right. So what I'm asking now is if I were  
6       to modify Beser -- and you appreciate that when the  
7       Board considered its analysis, it did consider the  
8       possibility of modifying what is one possible reading  
9       of Beser, right?

10           MR. PALYS: Objection to form. Outside the  
11       scope of direct.

12           A       Their understanding. Mm-hmm.

13       BY MR. KUSHAN:

14           Q       So I just want to kind of follow that logic  
15       of the Board's decision. And my first question was  
16       let's just take the scenario where the two edge  
17       routers have -- you've configured them to encrypt the  
18       traffic between those two points. Is it your view if  
19       that was the implementation in Beser, that would not  
20       establish a secure communication link, according to  
21       the claims?

22           MR. PALYS: Objection, outside the scope of

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

204

1 direct. Form.

2 A I haven't thought about that.

3 BY MR. KUSHAN:

4 Q So you can't offer an opinion one way or  
5 the other?

6 MR. PALYS: Same objection.

7 A I have to take into consideration this new  
8 embodiment of Beser and how it's integrated to be  
9 able to give you an answer on that.

10 BY MR. KUSHAN:

11 Q So you can't do that as we sit here today?

12 MR. PALYS: Objection to form.

13 BY MR. KUSHAN:

14 Q I mean, so there's two different questions  
15 I'm going to be asking you about. One is whether you  
16 need to have the entire path encrypted as a  
17 requirement in the claims, that's the first question.  
18 The second one is going to be what's the implication  
19 of encrypting only part of the patent.

20 So just on the first question, as you  
21 understand the claims, and you did your analysis,  
22 does the entire path from 24 to 26 have to carry

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 204

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 204

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

205

1 encrypted traffic?

2 MR. PALYS: Objection to form.

3 A You're asking me to think about something  
4 that's outside of the scope of '697 and Beser, what I  
5 looked at. So let me think about --

6 BY MR. KUSHAN:

7 Q No, no. Sorry. I want to make sure I'm  
8 clear about this. The secure communication link that  
9 we've been talking about is the language of the  
10 claim. And I'm trying to understand, as a first  
11 step, whether that language requires that the entire  
12 path, from the embodiment of Beser, the path from 24  
13 all the way through to 26.

14 MR. PALYS: Objection to form.

15 BY MR. KUSHAN:

16 Q And you understand my question?

17 A I do. So if we're not protecting the  
18 entire path, the understanding that you're protecting  
19 the communication is not there, right?

20 Q So then if you don't encrypt the entire  
21 path, then it wouldn't be a secure communication  
22 link?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

206

1 MR. PALYS: Objection to form.

2 A I think I need to think about that more in  
3 respect to the teachings of '697.

4 BY MR. KUSHAN:

5 Q So -- all right. The next question I  
6 wanted to probe, again, you've expressed the opinion  
7 that a person of skill would not have encrypted the  
8 traffic that's being sent in the Beser scheme based  
9 on your analysis of Beser, right?

10 A I said something different. I said Beser's  
11 invention proposes an alternative way to provide this  
12 tunnel. And in this alternative way, it specifically  
13 teaches away from using encryption.

14 Q And why is it doing that? It's because of  
15 the volume of data that has to be encrypted?

16 A In different passages, and I can point you  
17 to some, it not only talks about the volume of data.  
18 The computational overhead is one of the things that  
19 Beser speaks of.

20 Q So I understand, the computational overhead  
21 impact is because it has to encrypt a lot of data,  
22 right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

207

1 A In the context of what Beser is stating.

2 Q So one way of solving that problem would be  
3 to have a more powerful computer that's doing the  
4 encrypting of the traffic, right?

5 MR. PALYS: Objection to form. Outside the  
6 scope of direct.

7 A That's one possible way.

8 BY MR. KUSHAN:

9 Q And another way is that you could perhaps  
10 use a less granular capture of the data so you're not  
11 capturing as much data to represent -- say it's like  
12 a voice signal, if you went to a lower resolution,  
13 you would capture less data for the signal, right?

14 MR. PALYS: Objection to form, outside the  
15 scope of direct. Go ahead and answer.

16 BY MR. KUSHAN:

17 Q So the VoIP scenario, you're going to  
18 capture, you're going to digitize a voice that's  
19 somebody speaking into a phone, right?

20 A Mm-hmm.

21 Q And if you capture that at a very high  
22 resolution, you're going to capture more data to

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 207

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 207

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

208

1 represent the voice signal, right?

2 A Could be the case. Mm-hmm.

3 Q And if you used a lower resolution or lower  
4 quality recording or digitization, you would use less  
5 data to represent the same voice?

6 A That's conceivable.

7 MR. PALYS: Objection, outside the scope of  
8 direct.

9 BY MR. KUSHAN:

10 Q And I'm asking about the scenario where I  
11 use, as a solution to the volume of data that needs  
12 to be encrypted, I could configure the capturing of  
13 the voice signal to have a lower resolution and  
14 require less data, right?

15 MR. PALYS: Objection, outside the scope of  
16 direct. Please wait, people. Thank you. I  
17 appreciate it.

18 A So you're speaking about a hypothetical  
19 embodiment that's not expressed in Beser?

20 BY MR. KUSHAN:

21 Q We're talking about an issue you flagged in  
22 your declaration, which is this computational burden

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 208

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 208



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

209

1 that you've seen Beser identifying as a problem.

2 A Beser directly points to that as a problem.  
3 I didn't identify it. Beser says, this is a problem,  
4 and in -- one of ordinary skill in the art reading  
5 Beser's invention would not have looked, in my  
6 opinion, to use an encryption because Beser  
7 explicitly gives reasons why in light of his  
8 invention, you shouldn't be using encryption. He  
9 offers an alternative.

10 Q So under your analysis of that, this  
11 problem that prompts Beser to say that is this  
12 computational burden that's put on the devices that  
13 would have to encrypt the traffic, correct?

14 A Beser himself gives reasons, of which he  
15 attempts to discredit the use of encryption. Volume  
16 is one. Computational overhead is another. Aiding  
17 of so-called hackers in his context, because you  
18 claim to have to buffer the packets, are all other  
19 reasons that are given by Beser about why encryption  
20 shouldn't be used in his context or will push you  
21 away from trying to make any obvious connection  
22 between using encryption in the context of Beser.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

210

1           Q       Right. And so I want to make sure I'm  
2       clear about a point you just made, which is, you  
3       mentioned two things, which is the computational  
4       burden -- well, you mentioned there's a volume of  
5       data that needs to be transferred, and then there's a  
6       computational burden that is associated with  
7       encrypting that volume of data, right? That's the  
8       problem that Beser is pointing to that you're  
9       referring to?

10           A       That's two problems that Beser points to.

11           Q       And they actually are one problem, right,  
12       because the issue you're flagging, to encrypt a large  
13       volume of data will impose a computational burden  
14       that's excessive?

15           A       I'm pointing to the fact that Beser says  
16       that embodiments that encrypt the data offer too high  
17       a computational load to be considered for the types  
18       of associations Beser is trying to build.

19           Q       Right. And so this computational burden is  
20       driven by the volume of data that has to be  
21       encrypted, right? The problem isn't that -- if I had  
22       a small volume of data in the Beser system, then

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 210

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 210

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

211

1 presumably the system doesn't have a problem, because  
2 that doesn't present the computational burden of  
3 encrypting that small volume of data?

4 MR. PALYS: Objection to form.

5 A That doesn't -- that's not necessarily the  
6 case. So we talked about encryption of -- the  
7 computational burden that Beser is pointing to here  
8 and alluding to here has more to do with the expense  
9 of the operations that are needed to perform this  
10 encryption.

11 And that could be irrespective of the size  
12 of the packets we're looking at. It could be  
13 influenced by that, but it could be irrespective of  
14 that.

15 BY MR. KUSHAN:

16 Q Again, if I'm looking at this as a problem  
17 in 2000, I could tackle that problem in a couple of  
18 different ways, right? I could buy higher powered  
19 devices that are able to accommodate the encryption  
20 of that volume of data, right?

21 MR. PALYS: Objection to form. Outside the  
22 scope of direct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

212

1           A       To solve the computational burden problem,  
2       that's one feasible direction you could take.

3       BY MR. KUSHAN:

4           Q       And then another way of solving that would  
5       be to capture less data for the particular  
6       application so that I have less data to encrypt,  
7       right?

8           MR. PALYS: Objection to form. Outside the  
9       scope of direct.

10          A       The context is needed here, why the volume,  
11       reducing or increasing the volume would have an  
12       impact on the encryption. Especially the  
13       computational burden. Hypothetically, it could have  
14       an impact. What that impact is and how we need to  
15       view that in light of Beser is two different  
16       questions.

17       BY MR. KUSHAN:

18          Q       Now, you had -- earlier today we talked  
19       about IPSEC. And you're familiar with IPSEC?

20          A       I am.

21          Q       Beser mentions IPSEC in the context of  
22       using it in IP tunnels, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

213

1           A       Beser mentions IPSEC as one of the things  
2       that could be done, but later points to -- all the  
3       problems that he's talking about with encryption is  
4       with respect to the IPSEC as well.

5           Q       So if you look at column 1, at around --

6           A       Column 1 of? Sorry.

7           Q       Beser. And you look down at around row 54,  
8       there's a paragraph that starts there.

9           A       One second.

10          Q       Sure.

11          A       Yes.

12          Q       And that's the IPSEC we've been talking  
13       about, right? IP security is called IPSEC?

14          A       That's correct.

15          Q       And so it says, "Of course, the sender may  
16       encrypt the information inside the IP packets before  
17       transmission, e.g. with IP security," right?

18          A       That's what it says.

19          Q       And so what Beser is saying is that it's  
20       conventional prior to Beser's invention to use IPSEC  
21       to encrypt the packets that are transmitted in an IP  
22       tunnel?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

214

1 A In isolation here.

2 Q That's what he's saying, right?

3 A He said that in that sentence. If you read  
4 the entire -- the rest of it, it says that you don't  
5 want to do that.

6 Q So he's saying it's not that there's a  
7 problem of using encryption to do IP tunnels  
8 generally; he's now identifying an issue of using IP  
9 tunnels with encryption in his particular application  
10 that he's describing?

11 A Sir, he's attempting to explicitly  
12 differentiate the two approaches.

13 Q Now, and so but he's saying the technology  
14 exists prior to his invention where people had  
15 incorporated IPSEC into IP tunnelling schemes in  
16 order to encrypt the traffic that's being sent over  
17 the IP tunnel, right? This is just a fair reading of  
18 what he's trying to describe here.

19 MR. PALYS: Objection to form.

20 A That IPSEC existed.

21 BY MR. KUSHAN:

22 Q I think he's saying more than that, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

215

1 MR. PALYS: Objection to form.

2 BY MR. KUSHAN:

3 Q He's saying you could use it for IP  
4 tunnels.

5 MR. PALYS: Objection to form.

6 A That are different from the tunnels that  
7 are being built by Beser.

8 BY MR. KUSHAN:

9 Q And so if a person of skill was looking at  
10 Beser and read this passage, in your mind, that  
11 person would see two things: First, that IPSEC is  
12 conventionally used in IP tunnelling before Beser's  
13 invention; and second, in your opinion, Beser is  
14 suggesting that you shouldn't use IPSEC in the Beser  
15 tunnelling scheme. Is that your testimony?

16 A So let me make sure we don't interpret this  
17 any different than I said. I'm going to go back, so  
18 we have no confusion, I said, Beser acknowledges the  
19 existence of the IP tunnel protocol, we just  
20 discussed that, but then recognizes its problems for  
21 video and audio, which is in some of the examples  
22 given in Beser.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

216

1           So if I were looking at Beser, in light of  
2           the independent claims, then I think it would lead  
3           one of ordinary skill in the art away from this  
4           particular RFC to come up with this proposed  
5           combination of Beser and 241 for this to have been an  
6           obvious combination to achieve all the elements of  
7           the claims.

8           Q       And so, as you've expressed that view, we  
9           talked before about -- you focus in on the video and  
10          audio data as being sent over the tunnel, the IP  
11          tunnel, and that's the focus of Beser, the problem  
12          with that kind of data; is that right?

13          A       So I said that it teaches -- it would --  
14          for one of ordinary skill in the art, it will teach  
15          away from using this combination, generally on VoIP  
16          tunnels, which is what Beser is promoting. I didn't  
17          say it's impossible. I said using the combination is  
18          not an obvious combination, given that Beser is  
19          explicitly contrasting the two approaches and giving  
20          one the impression that that over there is not a good  
21          choice to combine with what I've presented here.

22                 That's all the passage is saying.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

217

1           Q       So in the IPSEC protocol, you actually have  
2       the ability to regulate or modulate different aspects  
3       of that scheme depending on what you're transmitting?  
4       I'll be a little bit more hopefully clear about this.  
5       You can vary a number of the parameters of the IPSEC  
6       protocol to impose less of a computational burden to  
7       encrypt traffic that's being sent via that IPSEC  
8       technique; is that a fair statement?

9           MR. PALYS:  Objection to form.  Outside the  
10       scope of direct.

11          A       I would have to go back and look at IPSEC  
12       in its entirety.  It's a huge RFC that's all based on  
13       negotiation of different parameters.  To say sitting  
14       here there's one parameter to do this and another  
15       parameter to do this that you can combine in some  
16       magical way, I need, you know, more specifics to be  
17       able to see whether or not this combination would be  
18       able to work.

19               All I'm saying here is that if you'll -- to  
20       me, in my opinion, it's not an obvious combination of  
21       going to IPSEC and combining with Beser.

22       BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

218

1 Q Do you know just from your familiarity with  
2 IPSEC, one variable that I was referring to was the  
3 key size that's used in encryption.

4 A Yes.

5 Q If you use a smaller key, that takes less  
6 computational power to encrypt the data than using a  
7 long key, like a 1024 bit key would impose a greater  
8 computational burden than using like a 128 bit key?

9 MR. PALYS: Objection to form. Outside the  
10 scope of direct.

11 A It depends on the specifics of the  
12 cryptographic algorithm that's being used.

13 BY MR. KUSHAN:

14 Q And IPSEC says that you can use different  
15 cryptographic algorithms, right?

16 A IPSEC, in my recollection, allows you to  
17 negotiate what you want to use.

18 Q So basically, the design of IPSEC is one  
19 which allows you to vary the parameters such as key  
20 size, encryption algorithm, in the course of  
21 establishing the secure connection between these two  
22 points, right?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 218

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 218

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

219

1 MR. PALYS: Objection to form. Outside the  
2 scope of direct.

3 A IPSEC lets you negotiate a number of  
4 parameters. It came out of a committee. It's a  
5 recommendation. You have, you know, you can change  
6 -- turn these knobs this way and that way.

7 BY MR. KUSHAN:

8 Q So if I was concerned about the  
9 computational burden and the volume of data that's  
10 being sent over an IP tunnel with encryption in  
11 IPSEC, I could essentially choose parameters of the  
12 encryption element of IPSEC to reduce the  
13 computational burden on encrypting the traffic; is  
14 that a fair statement?

15 A So one possible way of addressing  
16 potentially some of the limitations expressly pointed  
17 to by Beser might be to figure out how to adapt  
18 various parameters.

19 Q And that would have the effect of  
20 decreasing the computational burden of encrypting the  
21 traffic that's being sent through the IP tunnel,  
22 right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

220

1 MR. PALYS: Objection to form.

2 A It depends on the choice of parameters.

3 BY MR. KUSHAN:

4 Q All right. So why don't we take a break.

5 A Okay. I think that's good.

6 MR. KUSHAN: Maybe ten minutes.

7 (Recess.)

8 BY MR. KUSHAN:

9 Q Can you go to the '697 patent and go to  
10 claim 1 again, if you have it accessible.

11 A Yes.

12 Q Do you have that?

13 A I do.

14 Q Okay. And we've been talking through a  
15 number of issues with Beser. If you look at claim 1  
16 in the intercepting step, do you understand that step  
17 to be requiring that there be a single IP address  
18 that's associated with the domain name of the second  
19 network device so that there's a one-to-one  
20 relationship of the domain name to the IP address?

21 A There's no one-to-one -- in the sense  
22 meaning that at any point in time, there's just one

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 220

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 220

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

221

1 association?

2 Q Well, no. I'm wondering if the scenario  
3 that's described in the intercepting step is one  
4 where the domain name is not unique to the IP  
5 address, so you could have, for example, multiple  
6 domain names all pointing to one IP address. Is that  
7 possible in the meaning of claim 1?

8 MR. PALYS: Objection, scope.

9 A You're asking if multiple domain names can  
10 map to the same IP address?

11 BY MR. KUSHAN:

12 Q Correct. In the meaning of claim 1.

13 MR. PALYS: Same objection. Form.

14 A I have not considered anything that would  
15 limit multiple domain names having map to an IP  
16 address in this context.

17 BY MR. KUSHAN:

18 Q So in the Beser scheme, it's giving you an  
19 illustration of one terminating telephony device  
20 attached to the network device 16, right?

21 A It says -- yes, there's a network device  
22 16, and behind that, you have some other device.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 221

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 221

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

222

1           Q       So it's not uncommon that there would be  
2 more than one terminating device that's going to be  
3 connected to the second network device 16, right?

4           MR. PALYS: Objection, outside the scope of  
5 direct.

6           A       I don't recall if Beser says this is common  
7 or not common. But in general, I think you can  
8 assume that. There might be a multitude of devices  
9 behind 26. It's not something that's specifically  
10 laid out in my recollection in Beser.

11 BY MR. KUSHAN:

12           Q       So in Beser's scheme, you have a number  
13 of -- let's say a number of instances of device 26,  
14 and that's the scenario I want you to think about for  
15 a minute, in the Beser scheme, each device 26 of the  
16 multiple that's connected to that second network  
17 device 16 would have a unique domain name, right?

18           MR. PALYS: Objection, outside the scope of  
19 direct.

20           A       I haven't considered if that's -- that  
21 scenario. I mean, you could have -- on the opposite,  
22 you could have the IP map, the different IPs map to

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 222

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 222

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

223

1       that domain name.

2       BY MR. KUSHAN:

3           Q       You could have -- I'm sorry. So you could  
4       have multiple public IP addresses mapping to the  
5       single domain name of item 26?

6           MR. PALYS: Objection, outside the scope of  
7       direct.

8           A       No, I'm saying in this new hypothetical  
9       situation you asked me to consider, either one of  
10      those could exist.

11      BY MR. KUSHAN:

12           Q       Just so I'm clear, in the scenario where  
13      multiple device 26s are on that image, if you just  
14      add another one, let's say you have two device 26s  
15      which are communicating with second network device  
16      16, that wouldn't be an uncommon thing, right? You  
17      have a router 16 which is going to support two  
18      devices on the -- communicating to it, right?

19           MR. PALYS: Objection, outside the scope of  
20      direct. Form.

21           A       So different from the embodiments, you're  
22      asking if we have multiple devices -- consider we

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 223

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 223

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

224

1 have multiple devices behind 16, okay?

2 BY MR. KUSHAN:

3 Q Right. And so in the Beser scheme,  
4 remember we had that discussion where the trusted  
5 third-party device could store a table with the names  
6 mapped to the IP address of device 16, right? We  
7 talked about that before.

8 A So you could store the public address of  
9 device 16?

10 Q Right.

11 A Mm-hmm.

12 Q So in Beser, if you had another device 26  
13 with a different name, it might have in a second row  
14 the same IP address as the first device 26 in that  
15 table we were talking about?

16 MR. PALYS: Objection to form. Outside the  
17 scope of direct.

18 A Beser doesn't disclose this, but it's --  
19 you know, something we can think about.

20 BY MR. KUSHAN:

21 Q But it's reasonable to imagine that  
22 scenario?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 224

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 224



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

225

1 MR. PALYS: Objection, outside the scope of  
2 direct.

3 A Again, a hypothetical where there are --  
4 there is a table that contains entries that says A  
5 maps to B, if that's what you're asking.

6 BY MR. KUSHAN:

7 Q Right.

8 A Okay?

9 Q Well, A maps to B, A prime also maps to B,  
10 and B is item 16.

11 MR. PALYS: Objection to form.

12 A So again, I haven't considered that in  
13 the -- in what Beser teaches.

14 BY MR. KUSHAN:

15 Q So in forming your opinion, I think you  
16 expressed the view that the scheme in Beser, because  
17 it identifies the IP address of the network device  
18 16, that it can't identify the address or the address  
19 associated which might be a private network address,  
20 of item 26, right?

21 A So I said it's not a request to identify  
22 the IP address of 26.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 225

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 225

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

226

1           Q       So if you go over to claim 1 of the '697  
2       patent, when it's speaking of "a request to look up  
3       an Internet protocol IP address of the second network  
4       device based on the domain name associated with the  
5       second network device."

6           A       Sorry. Which claim?

7           Q       In claim 1, in the first clause under  
8       "Intercepting." This language, "a request to look up  
9       an Internet protocol IP address of the second network  
10      device based on a domain name associated with the  
11      second network device." So that lookup that's being  
12      specified --

13          A       I think we might be looking -- looking at  
14      different things.

15          Q       '697.

16          A       '697, claim 1.

17          Q       I'm focusing you on --

18          A       I'm with you. And then you said something  
19      else. The intercepting clause?

20          Q       Right.

21          A       Okay.

22          Q       The intercepting clause. It's specifying

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 226

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 226

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

227

1       that this lookup that's happening under that clause  
2       is of an IP address associated -- I'm sorry, "IP  
3       address of the second network device based on a  
4       domain name associated with the second network  
5       device," right?

6             A       Yes.

7             Q       All right. So what I was wondering was in  
8       that claim, does that mean that there is a one-to-one  
9       relationship of the domain name of the second network  
10      device and its IP address, or can there be multiple  
11      domain names that all map to the same Internet  
12      protocol IP address?

13            A       In '697?

14            Q       Yes.

15            A       I have to think about this twist a little  
16      bit more, but I don't recall any direct mapping  
17      between -- that excludes anything -- that excludes  
18      the situation -- the fact that you have multiple --  
19      you're asking in this new situation whether or not I  
20      can have multiple secured domain names which map to  
21      the same secure network address.

22            Q       So -- yes, I'm asking that question, but

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

228

1 more tied to the language of the claim. And it  
2 says -- first of all, you mentioned secure network IP  
3 address. It says "Internet protocol IP address," so  
4 is it required in the claim that the domain name  
5 resolve into an IP address, or some other kind of --  
6 an Internet address, or can it resolve into like a  
7 private Internet address?

8 MR. PALYS: Objection to form.

9 A It's a request to look up an IP address.

10 BY MR. KUSHAN:

11 Q So that would be a public IP address?

12 A Of the second network device.

13 Q Yes. So then if it's a public IP address,  
14 then is the scenario that's being -- or does the  
15 claim require that the domain name have a one-to-one  
16 relationship to that public IP address in order to  
17 individually identify the second network device?

18 A I would have to go back and look for  
19 anything in the specification that specifically  
20 addresses that.

21 Q But based on our other discussions, that  
22 would be fairly logical because this is pointing to a

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

229

1 specific second network device, right? Like in our  
2 Beser scenario, it's the terminating device?

3 A Where that second network device has only  
4 one association for it? I'm trying to figure out  
5 this new embodiment I should be thinking about.

6 Q If the point of the interception step is to  
7 find a particular second network device such as the  
8 terminal telephony device in Beser, then that  
9 terminal telephony device would have to have  
10 essentially a one-to-one relationship of its name to  
11 an IP address, right?

12 A In Beser? So in Beser, the -- that address  
13 in one embodiment is selected from a pool that's done  
14 on device -- through device 16 and 14.

15 Q And so that makes it different than what is  
16 being required by claim 1 of the '697 patent?

17 A I'm trying to -- the what?

18 Q In the intercepting step here. So in the  
19 intercepting step, you just mentioned this pool of  
20 addresses in the Beser example. And I'm trying to  
21 just clarify whether you're looking at that scenario  
22 of device 26 being one of a pool of IP addresses

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 229

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 229

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

230

1 associated with the public IP address at device 16,  
2 if that is a point of distinction you see from  
3 Beser's model and claim 1 and its intercepting step  
4 involving the request to look up an Internet protocol  
5 address of the second network device.

6 MR. PALYS: Objection to form.

7 A So I think it has more to do with looking  
8 up the IP of the device through how one with skill in  
9 the art at the time of the invention would have  
10 thought about looking up rather than some automatic  
11 assignment from 16 -- between 16 and 14.

12 Q And so does that -- is what you're saying  
13 that -- well, I'm not sure I understood your answer.  
14 So do you think that the claim 1 intercepting step of  
15 the '697 patent isn't performing the lookup that's  
16 occurring in the Beser scheme of the terminating  
17 telephony device 26?

18 MR. PALYS: Objection to form.

19 A But there isn't a lookup of the -- the  
20 request is not a lookup for device 26.

21 BY MR. KUSHAN:

22 Q So when they go through the exercise in the

1       Beser patent of associating the domain name of the  
2       terminating telephony device 26 with the IP address  
3       of the terminating second network device, that's not  
4       the kind of lookup that's occurring or required to  
5       occur in the '697 claim 1 step where you're  
6       intercepting the request?

7           A       Yes, I just view that as not -- the two --  
8       you know, the devices negotiate what that IP address  
9       would be rather than a lookup of that that comes  
10      back.

11          Q       Okay. So earlier today, we talked a bit  
12      about the sequence of the steps that Beser is  
13      performing. Could you go to figure 5 of the Beser  
14      patent.

15          A       Mm-hmm.

16          Q       Let's just walk through, this is a flow  
17      chart of steps that are performed, and it's  
18      indicating the sequence in which these steps are  
19      performed, right?

20          A       Figure 5 is a flow chart, mm-hmm.

21          Q       And so first step, receive a request to  
22      initiate a VoIP association on a first network

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

232

1 device, right?

2 A Yes. I just want to make sure I have the  
3 same --

4 Q Just when you reviewed Beser, did you  
5 review figure 5?

6 A Yes, I reviewed all of the drawings. Lots  
7 of drawings in each one of these.

8 Q Right. Okay. So this is describing this  
9 flow of steps that we've been talking about for a  
10 while.

11 A Mm-hmm.

12 Q So the first step is receive a request to  
13 initiate a VoIP association on a first network  
14 device, right?

15 A Yes.

16 Q And then it goes in and forms a trusted  
17 third-party network device of the request on a public  
18 network. So that's -- and you're looking at your  
19 figure. It's going over to the trusted third-party  
20 network device.

21 A Mm-hmm.

22 Q So the next step is associate a public IP

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 232

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 232



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

233

1 address for a second network device on the trusted  
2 third-party network device, right? So this is that  
3 discussion we had before about association of second  
4 device, second network device 16, right, with the  
5 information in the request, which is the domain name  
6 in that one scenario.

7 A For associating the public address. Okay.

8 Q Right. So after the association has  
9 occurred, then the negotiation happens. "Negotiate a  
10 first private IP address on the first network device  
11 and the second private IP address on the second  
12 network device through the public network." So at  
13 least in this sequence, the association occurs before  
14 there's a negotiation of the private IP addresses,  
15 right?

16 A So IP address for 26, it says, there is, as  
17 I read this, an association that's being made on the  
18 TTP for 16 that eventually 16 and 14 can negotiate  
19 what IP addresses are. So first just say to get to  
20 26, somehow you're interacting with element 16.  
21 Elements 14 and 16 do their negotiation. And that's  
22 passed through the TTP.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 233

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 233

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

234

1           Q       But the negotiation at least is happening  
2 after that association step on 16?

3           A       After the public address of 16 has been  
4 associated.

5           Q       And so we talked before that Beser has the  
6 scenario where this negotiation can either not  
7 involve the TTP or involve the TTP, right?

8           A       We talked before about a passage in Beser  
9 that says you can use either embodiment.

10          Q       Okay. Let me hand you another patent.  
11 This is Exhibit 1008 to Wesinger.

12                   (Petitioner Apple Inc. Exhibit 1008 was  
13 previously marked for identification and attached to  
14 the deposition transcript.)

15 BY MR. KUSHAN:

16          Q       And you reviewed Exhibit 1008 before today?

17          A       I have.

18          Q       Let's, if we can, switch to your other  
19 declaration in the 238 proceeding. So in Exhibit  
20 1008, this is US patent 5,898,830 to Wesinger. In  
21 the Wesinger scheme, this is describing generally a  
22 technique where two devices, a first and second

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

235

1 computer, are going to be communicating with each  
2 other, and there's an involvement through an  
3 intermediary to enable that communication to occur.

4 I'm just introducing it. Is that a fair  
5 introductory comment?

6 A Where your intermediaries here are files?

7 Q Yes.

8 A That's fine.

9 Q So if we could, let's go to claim 1 of the  
10 Wesinger patent. This is at the very end of the  
11 patent. It's column 17, is where it starts. And  
12 what I want to do is just kind of walk through, this  
13 is the process that Wesinger has been granted a  
14 patent on, and I wanted to just walk through the  
15 steps to make sure we understand what this process  
16 is.

17 So the first part of the claim is  
18 describing a method of establishing a connection  
19 between a first computer and a second remote computer  
20 along a route from the first computer to the second  
21 computer through a first intermediate system having a  
22 first interface to a first computer network and a

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 235

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 235

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

236

1 second interface to a second computer network,  
2 without requiring the user to know of the  
3 intermediate system, all right?

4 That's tracking what we just discussed,  
5 it's two devices, and an intermediary device that's  
6 going to mediate the communications between the two,  
7 right?

8 A Mm-hmm.

9 Q So then the first step that happens in this  
10 process is that it configures the first intermediate  
11 system as a number, a plurality, and just this is  
12 patentese, "plurality" means two or more, right? So  
13 "plurality of virtual hosts with each host being  
14 responsive to a network address used on one of the  
15 first and second computer networks," right?

16 A Correct.

17 Q So this is the step where it's going to  
18 configure the virtual host so they have some  
19 information associated with each virtual host, right?

20 A Right.

21 MR. PALYS: Objection to form.

22 A On the firewall.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 236

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 236

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

237

1 BY MR. KUSHAN:

2 Q Okay. So the next step is there's a  
3 mapping step where they take the name of a second  
4 computer and they map it to a network address of one  
5 of the virtual hosts on the first intermediate system  
6 where that virtual host is being associated with the  
7 first interface. So this is a mapping step where  
8 they take the name of the second computer and they  
9 map it to the IP address or the network address of  
10 one of these virtual hosts, right?

11 A So the mapping is -- so to be clear, so we  
12 have two different processes, right? The first  
13 mapping we're talking about is to set up in the terms  
14 of Wesinger this envoy across the firewalls, so he  
15 says request to initiate an envoy connection between  
16 C and D, you have that mapping created across those  
17 firewalls and the virtual hosts on those firewalls.

18 Q And so these virtual hosts get -- these are  
19 basically instantiations of a process, right, when  
20 they create the virtual host?

21 A You can think of that that way.

22 Q So they make the virtual host as it needs

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 237

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 237

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

238

1 to be created; is that a fair way of describing  
2 what's going on?

3 A So the virtual host is instantiated based  
4 on different criteria.

5 Q Okay. And that's happening in these first  
6 couple of steps, right? It's creating some virtual  
7 hosts at this point?

8 MR. PALYS: Objection to form.

9 A The virtual hosts that are created in this  
10 step is in response to a connection request, which is  
11 here using -- in the term -- in the language and  
12 specifications of Wesinger, conventional DNS to do  
13 this mapping across these firewalls.

14 BY MR. KUSHAN:

15 Q So let's go to the next step. So this  
16 says, "Issuing a request for a connection from the  
17 first computer to the second computer by specifying  
18 the name of the second computer."

19 Do you see that? So this is a connection  
20 request, right?

21 A Connection request. So I want to set up an  
22 envoy from here to there.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

239

1 Q All right. And so what's in the connection  
2 request is the name of the second computer, right?

3 A That it's trying to connect to. That's  
4 separate. Right now I want to set up -- set up a  
5 connection across these multiple firewalls, create  
6 that virtual connection among the firewalls for me.

7 Q Okay. So the connection request originates  
8 on the first computer and it has the name of the  
9 second computer in the name of the connection  
10 request, right?

11 MR. PALYS: Objection to form.

12 BY MR. KUSHAN:

13 Q According to this step.

14 MR. PALYS: Same objection.

15 A It has an identifier that it's trying to  
16 connect to.

17 BY MR. KUSHAN:

18 Q And that identifier is the name of the  
19 second computer, according to the claim, right?

20 A In the claim.

21 Q So at least what they've described here in  
22 their claim, the connection request has the domain

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 239

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 239

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

240

1 name or the name of the second computer that the  
2 first computer wants to set up the connection to,  
3 right?

4 MR. PALYS: Objection to form.

5 A For setting up the envoy.

6 BY MR. KUSHAN:

7 Q Right. So then the next step is, receiving  
8 that connection request at the first interface and  
9 routing the request to one of the virtual hosts in  
10 accordance with the mapping that occurred earlier in  
11 the claim, I think it's the second step of the claim,  
12 right?

13 A The mappings that exist on the firewalls of  
14 how to go from one firewall to the next.

15 Q And this is the -- so it's acting on this  
16 connection request with the name of the second  
17 computer in it, right, at this point?

18 MR. PALYS: Objection to form.

19 A So the connection request kicked off this  
20 creation of a mapping on the virtual hosts for the  
21 firewalls.

22 BY MR. KUSHAN:



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

241

1           Q       So let me just make sure I understand.  
2       Under the claim process, it looked like the virtual  
3       hosts were created before the connection request was  
4       issued by the first computer, right?

5                   MR. PALYS:  Objection to form.

6           A       So we have the virtual hosts that are  
7       receiving the DNS -- that's doing this -- using  
8       conventional DNS to do the resolution across the  
9       firewalls.

10       BY MR. KUSHAN:

11           Q       Is that -- which step is that?  So is that  
12       step -- I just asked you about the receiving -- in  
13       the claim 1, receiving the request at the first  
14       interface and routing the request to said one of the  
15       virtual hosts in accordance with said mapping, right?

16                   So what's happening in this step is that  
17       there's a -- it looks like there's a preexisting  
18       virtual host that is going to get or have routed to  
19       it the connection request with the name of the first  
20       computer that was issued -- I'm sorry, the name of  
21       the second computer that was issued by the first  
22       computer, right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

242

1 MR. PALYS: Objection to form.

2 A I don't understand your question.

3 BY MR. KUSHAN:

4 Q So it says "Receiving the request at the  
5 first interface," which in that request reference,  
6 there's a connection request that has the name of the  
7 second computer in it, right?

8 MR. PALYS: Same objection.

9 A So I interpret this, again -- I think we're  
10 on two separate pages, there's two separate processes  
11 that are happening here. So the first is on the  
12 connection request, where that connection request is  
13 happening to -- at a -- on the firewall for one of  
14 these what you call the dedicated virtual hosts. It  
15 says, I'm receiving the DNS request there.

16 And that's the transparent process we're  
17 talking about right now of going through and creating  
18 a mapping across the different firewalls for how to  
19 get to D.

20 BY MR. KUSHAN:

21 Q Right. So just -- I'm just working with  
22 the claim language for now. And then let's kind of

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

243

1 work through that, and then compare it to what's in  
2 the disclosure in a minute. As it's laid out here,  
3 it says, "Receiving the request at the first  
4 interface and routing the request to said one of the  
5 virtual hosts in accordance with said mapping."

6 So first thing, this is a situation where  
7 the virtual host exists before it gets this request,  
8 right, because it's routing it to the virtual host  
9 that was created in the first step of claim 1, right?

10 MR. PALYS: Objection to form.

11 A So there are several virtual hosts here.  
12 There are the virtual hosts for the -- for the --  
13 that's receiving the DNS. And there's the virtual  
14 hosts that's created for the ensuing connection.

15 BY MR. KUSHAN:

16 Q So I'm just working from what's in the  
17 claim right now. Let's talk through that, and then  
18 dig into it a little bit deeper. In this receiving  
19 step in Wesinger's claim 1, it says it's receiving it  
20 and sending it to one of the virtual hosts in  
21 accordance with said mapping.

22 So as I read the claim, the configuring and

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

244

1 mapping steps have already occurred by the time it  
2 receives the request, right, at the --

3 MR. PALYS: Objection to form.

4 BY MR. KUSHAN:

5 Q So the first element of the claim says  
6 "configuring the first intermediate system as a  
7 plurality of virtual hosts"; do you see that? That  
8 was my configuring step that I was referring to. The  
9 step is performed, it's configured a set of virtual  
10 hosts, and then the next step in the claim is it's  
11 mapped the name of the second computer to one of the  
12 virtual hosts of the first intermediate system,  
13 right?

14 A So your reading of that is correct here.

15 Q So then the connection request is issued by  
16 the first computer, right? And then the next step is  
17 that connection request is received at the first  
18 interface and is routed to one of the virtual hosts  
19 in accordance with the mapping that has already been  
20 done in the second step of claim 1, right?

21 MR. PALYS: Objection to form.

22 A Again, I see two different mappings. It's

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

245

1 creating the virtual hosts on a connection -- on the  
2 connection request, it is doing the setup across the  
3 firewalls and creating a virtual host to handle the  
4 ensuing connections, which are two different things.

5 BY MR. KUSHAN:

6 Q And where is that in the claim? I'm just  
7 wondering.

8 MR. PALYS: Objection to form. Outside the  
9 scope of direct.

10 A If you read the claims in light of the  
11 specifications, that's how the specifications work.

12 BY MR. KUSHAN:

13 Q So you're not -- there's no actual language  
14 in the claim that says there's a second virtual host  
15 created at this point, right, after the connection  
16 request has been received at the first interface.

17 MR. PALYS: Objection to form. And outside  
18 the scope of direct.

19 A I'm not sure I understand your question.  
20 So absent of reading the specification --

21 BY MR. KUSHAN:

22 Q No. I'm asking you, you said that, as you

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 245

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 245

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

246

1 read this claim, you saw another virtual host being  
2 created and another process associated with that  
3 second virtual host, right?

4 MR. PALYS: Same objections.

5 BY MR. KUSHAN:

6 Q And I'm just wondering what's the language  
7 in the claim that you're pointing to that says that.

8 A So the language as expressed here is tying  
9 the virtual host.

10 Q Right. And so when it says it's "receiving  
11 the request," it's referring to the connection  
12 request in the preceding step, right?

13 MR. PALYS: Objection to form. Outside the  
14 scope of direct.

15 A It says it's receiving the request.

16 BY MR. KUSHAN:

17 Q So what request is identified in the claim  
18 at this point?

19 MR. PALYS: Objection to form and scope.

20 A A request for a connection.

21 BY MR. KUSHAN:

22 Q Right. So that's the request for the

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 246

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 246

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

247

1 connection in the third step of claim 1, right?

2 MR. PALYS: Same objections.

3 A As expressed in claim 1.

4 BY MR. KUSHAN:

5 Q All right. So then the next step is there  
6 is a bidirectional connection -- just so we're 100  
7 percent clear, that's that connection request in the  
8 issuing step has the name of the second computer in  
9 it, right? That's what it says?

10 A What are you referring to?

11 Q It says, "issuing a request for a  
12 connection from the first computer to the second  
13 computer by specifying the name of the second  
14 computer."

15 A Yes.

16 Q So that's saying that the name of the  
17 second computer is in the connection request?

18 MR. PALYS: Objection to form and scope.

19 A In the request to initiate the connection.

20 BY MR. KUSHAN:

21 Q Right. Right?

22 A Yes.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

248

1           Q       Okay. So then after the receiving step,  
2       the next step is establishing a first bidirectional  
3       connection from the first computer to said one of the  
4       virtual hosts. And then there's a next step which is  
5       establishing a second bidirectional connection from  
6       said one of the virtual hosts to the second computer  
7       on behalf of the first computer.

8                       So that pair of establishing steps kind of  
9       sets the bridge between the first and the second  
10      computer via these virtual hosts, right?

11           A       The mappings that the firewall should use.

12           Q       Right. And so that's saying bidirectional  
13      communications between the first and second hosts  
14      through these virtual hosts, right?

15                       MR. PALYS: Objection to form and scope.

16           A       It's allowing the virtual hosts on the  
17      firewall to use different mappings in one direction  
18      than in another direction for the communication.

19      BY MR. KUSHAN:

20           Q       So is it fair to say that it's actually a  
21      single mapping because it's saying that it's a  
22      bidirectional communication?



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

249

1 MR. PALYS: Objection to form and scope.

2 BY MR. KUSHAN:

3 Q There's two mappings in the sense that  
4 there's a first computer to the virtual host, and  
5 then there's a second mapping which is from the  
6 virtual host to the second computer, right?

7 MR. PALYS: Same objections.

8 A There are two mappings. One in one  
9 direction, how you get from -- you know, in this  
10 envoy, how I go from one firewall to another firewall  
11 to another firewall, what the path would be if I need  
12 to go to D from C, and another mapping from D to C  
13 across those firewalls.

14 BY MR. KUSHAN:

15 Q So let's just look at the -- it's two  
16 establishing steps. It says, "Establishing a first  
17 bidirectional connection from the first computer to  
18 said one of the virtual hosts," and the next one  
19 says, "establishing a second bidirectional connection  
20 from said one of the virtual hosts to the second  
21 computer on behalf of the first computer."

22 So as I read that, that seems to suggest

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

250

1       that the two mappings here are first computer to  
2       virtual host, and then a second mapping is the  
3       virtual host to the second computer, right?

4                   MR. PALYS:  Objection to form and scope.

5           A       So again, I don't see the distinction  
6       you're making.  There is a mapping that goes from --  
7       a virtual host on firewall one to a different virtual  
8       host on firewall two, three, four, etc., in one  
9       direction, and a mapping in the other direction.  For  
10      the connection request.

11           BY MR. KUSHAN:

12           Q       So you're interpreting the step which sets  
13      up a connection between the first computer and a  
14      virtual host as the first establishing, and the  
15      second one being the virtual host to the second  
16      computer as setting up two parallel communication  
17      routes that are going from first computer to second  
18      computer?  I'm trying to understand how you're seeing  
19      this description.

20                   MR. PALYS:  Objection to form and outside  
21      the scope of direct.

22           A       Connection from the first computer to one

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 250

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 250

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

251

1 of the virtual hosts is what we just talked about.

2 BY MR. KUSHAN:

3 Q And then the next connection is from the  
4 same virtual host to the second computer, right?

5 MR. PALYS: Objection to form and scope.

6 A From one virtual host to another virtual  
7 host, etc. That's what I see.

8 BY MR. KUSHAN:

9 Q But -- so that scenario, you're looking at  
10 a figure that has multiple virtual hosts, right?

11 A Correct.

12 Q So if you're looking at the claim, that  
13 takes it down to a scenario where it's at least one  
14 virtual host, right?

15 MR. PALYS: Objection to form and scope.

16 A You can interpret it that way.

17 BY MR. KUSHAN:

18 Q So in that one virtual host scenario, the  
19 first connection would set a bidirectional connection  
20 from the first computer to that virtual host, right?

21 A I think in the restricted view, that might  
22 be right. I might have to go back to the specifics

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

252

1 of that to see. But I think that's correct.

2 Q And that same virtual host will then make a  
3 second connection to the second computer, right?

4 A The mapping of the next firewall could be  
5 on that virtual host, in that direction.

6 Q Right. So the same virtual host then sets  
7 up the connection to the second computer, right, in  
8 my -- in what's written here in the claim?

9 MR. PALYS: Objection, form, and outside  
10 the scope of direct testimony.

11 A In what's written in the claim? Actually,  
12 I think it's different from the teachings of that.  
13 But what's written with the claim, let's go with  
14 that.

15 BY MR. KUSHAN:

16 Q As far as the claim has been written, then  
17 at the time this connection request is received, the  
18 virtual host already exists, right?

19 MR. PALYS: Objection to form. Outside the  
20 scope of direct.

21 A At the time which request is received?

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

253

1 Q The issuing a request for a connection from  
2 the first computer to the second computer by  
3 specifying the name of the second computer.

4 MR. PALYS: Objection to form. Outside the  
5 scope of direct testimony.

6 A Again, so I think these connections that  
7 are being imposed here, that are not something that I  
8 opined on in my declaration.

9 BY MR. KUSHAN:

10 Q But you're familiar with these concepts  
11 because you read the patent?

12 A I am.

13 Q So as it's laid out here, by the time the  
14 issuing a request step occurs, the virtual host has  
15 already been created and its mappings established,  
16 right?

17 MR. PALYS: Objection to form.

18 A At the time a -- so when a request to set  
19 up a connection, a virtual host is created for that,  
20 and that's where the mapping happens.

21 BY MR. KUSHAN:

22 Q So before -- but in the claim, it's saying

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

254

1       that the virtual host gets created before the  
2       connection request is issued, right? That's what it  
3       says?

4           A       It reads that in the claim.

5           Q       All right. So now, in that scenario, so  
6       when the time of the connection request comes, and  
7       the connection request is issued by the first  
8       computer, at that point, the DNS resolution  
9       process --

10          A       Wait. What connection? So there's a  
11       request to initiate a connection.

12          Q       Right.

13          A       Which is different from what you just said.

14          Q       I may have misspoken. Let me just make  
15       that clear. So at the time that the connection  
16       request is issued by the first computer under the  
17       claim, there has not been any DNS resolution yet,  
18       right?

19          A       That's correct.

20          Q       All right. So after that first connection  
21       request has occurred, that will then prompt a DNS  
22       lookup?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

255

1           A       On that firewall, that virtual host, to  
2       find a path through the network.

3           Q       And that's on the virtual host that already  
4       existed before the connection request was issued?

5           A       Which is the virtual host in your case for  
6       the DNS, DDNS here.

7           Q       It has the mappings that have been given to  
8       it by that second step, right?

9           A       By what second step?

10          Q       In the claim.

11          A       So we say the virtual host -- there's  
12       dedicated -- on that first one, there's a dedicated  
13       virtual host that's receiving that request to  
14       initiate a connection.

15          Q       Okay. So let's do this.

16                 MR. KUSHAN: By the way, I should have  
17       asked you before, since we kind of compressed down to  
18       one day, I just want to make sure we can go until a  
19       time that's reasonable.

20                 MR. PALYS: So we are -- let's go off the  
21       record.

22                 (Discussion off the record.)

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

256

1 (Recess.)

2 BY MR. KUSHAN:

3 Q If you could, go to page 21 of your  
4 declaration in the 238 proceeding. You have a figure  
5 there that's repeated or reproduced from the Wesinger  
6 patent, figure 1.

7 A Mm-hmm.

8 Q And I just want to start a couple of  
9 questions about this. So client C that's discussed  
10 in Wesinger is shown depicted at the bottom left-ish  
11 corner of the figure, right?

12 A Correct.

13 Q And that's the client computer that's going  
14 to create the connection request, right?

15 A Correct.

16 Q And then the destination, ultimate  
17 destination is shown on the right of it as item or  
18 box D, right?

19 A Correct.

20 Q All right. And then the firewall we've  
21 been talking about is designated as 155.

22 A Correct.



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

257

1           Q       If you could, could you go to the Wesinger  
2       patent and turn to column 9, and look at lines 15 to  
3       25. So this is a paragraph that starts out "When  
4       client C tries to initiate a connection to host D  
5       using the name of D, DNS operates in the usual manner  
6       to propagate a name request to success levels of the  
7       network until D is found."

8                   Do you see that sentence?

9           A       To initiate the connection, yes.

10          Q       And what I want to do is I want to go to  
11       paragraph 33 of your report. Now, you have  
12       reproduced a part of this section of the Wesinger  
13       patent that appears in the Wesinger patent at column  
14       9, lines 15 to 24, right?

15          A       And what section are you looking at?

16          Q       So if you look at paragraph 33 of your  
17       declaration.

18          A       Yes.

19          Q       There's a block quote that is shown, right?

20          A       Mm-hmm.

21          Q       And so I want to focus on one part of this  
22       block quote. What you've done is quoted a portion of

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 257

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 257

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

258

1 the passage we just talked about and you omitted the  
2 phrase "when client C tries to initiate a connection  
3 to host D using the name of D," you removed that --

4 A What line are you looking at?

5 Q I'm looking in the patent at line it looks  
6 like 15 or 16. So the first line and a quarter of  
7 the paragraph that starts at around --

8 A "When client tries to initiate a  
9 connection," yes.

10 Q "Using the name of D," right?

11 A Yes.

12 Q So you did not include that first clause in  
13 presenting this -- the rest of the paragraph in the  
14 block quote in your declaration?

15 A That's correct.

16 Q Why did you omit the first clause?

17 A I thought it was clear from what we were  
18 discussing here, C transmits to D a query to its  
19 local firewall DNS server, and then it goes on to say  
20 what the client is doing.

21 Q The way you introduce that, you say,  
22 "Client C transmits a DNS query to its local firewall

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 258

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 258

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

259

1       DNS server 115," right? And by that, are you saying  
2       the client C transmits a DNS query containing the  
3       name of D to its local firewall DNS server 115?

4           A       So the specification says that the client  
5       transmits a DNS query to a local DNS server.

6           Q       Right. And so the DNS query that we're  
7       talking about is the one that's in that first line  
8       from the paragraph that says "When client C tries to  
9       initiate a connection to host D," it's going to have  
10      the name of D in the query, right?

11          A       Correct. And then DNS operates in its  
12      usual manner to propagate that.

13          Q       All right. Now, so this DNS request with  
14      the host name D in it is propagated through several  
15      firewalls until it reaches a DNS on firewall 155 in  
16      the figure, right?

17          A       Correct.

18          Q       And then those multiple firewalls are going  
19      to be created by the succession of virtual hosts,  
20      right?

21          A       Virtual hosts on these firewalls are  
22      created.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

260

1           Q       And it's going to happen kind of as the  
2       connection request progresses through the sequence of  
3       the different virtual hosts, right?

4           A       So the transparent DNS process is that  
5       the -- you can have a dedicated virtual host of DNS,  
6       DDNS, identified as 115, element 115, 117, 167, etc.,  
7       that receives that connection request, and it's that  
8       connection request we're talking about that's being  
9       propagated across these.

10          Q       And it ends up at firewall 155 through that  
11       connection request?

12          A       Correct.

13          Q       So each virtual host in this sequence of  
14       virtual hosts will have a firewall and DNS server in  
15       the virtual host, right?

16          A       There will be a DNS server in the virtual  
17       host. It can be used as a dedicated virtual host for  
18       DNS. Mm-hmm.

19          Q       So each virtual host is going to have both,  
20       right, firewall and DNS server?

21          A       There's a virtual host that's on the  
22       firewall that is receiving the DNS connection

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

261

1 request.

2 Q So each virtual host is going to use the  
3 firewall service that's on the machine; is that what  
4 you're saying?

5 MR. PALYS: Objection to form.

6 A Can you repeat your question?

7 BY MR. KUSHAN:

8 Q So each virtual host, is it going to use  
9 the firewall service on the physical device it's on?  
10 I'm trying to understand --

11 A So they're virtual hosts that will use some  
12 of the firewall properties. So when you think about  
13 what the firewall is doing to screen traffic, etc.  
14 The virtual hosts we're talking about here, the  
15 virtual hosts that are handling the DNS requests. So  
16 you can think of two different virtual host  
17 functionalities.

18 Q So what I'm trying to understand is, first,  
19 each virtual host as it's been defined in the  
20 Wesinger patent has got a firewall and a DNS server,  
21 right?

22 A So if we think of the -- there is a -- one

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

262

1 of the -- so in this embodiment of the firewall,  
2 there is a virtual host which is handling the DNS  
3 request. There are other virtual hosts on that  
4 firewall that can have -- that will handle other  
5 properties. Other functionalities, sorry.

6 Q So under the description, and I'll go back  
7 to the quoted language from the patent in column 9,  
8 lines 15 through 24, and this is the same quote you  
9 have in your paragraph 33 of your report.

10 A Correct.

11 Q So when the next virtual host in the  
12 succession is going to get the connection request,  
13 first it's going to check to see if the connection is  
14 allowed, right?

15 A No. The virtual -- it says the DNS  
16 operations propagates DNS requests in a conventional  
17 way.

18 Q So you're reading the propagation of a DNS  
19 query to be different than the propagation of the  
20 connection request through different virtual hosts?

21 A Beser makes it explicit, there is a --

22 Q Wesinger?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

263

1           A       Sorry. Wesinger makes it explicit, there  
2       is a -- the DNS requests use conventional DNS to  
3       propagate through the firewalls.

4           Q       And I'm trying to understand, before we go  
5       into the details of this, as it's depicted in figure  
6       1, the connection request is going to go through a  
7       series of virtual hosts, so it's going to do it in  
8       sequence, right, so comes to a virtual host, then it  
9       moves on to the next one after the first one, etc.,  
10      right?

11          A       The DNS virtual host on the firewall.

12          Q       Now --

13          A       Because remember, we're talking about two  
14      things. In the separate connection request, if you  
15      look at figure 3, it has the other virtual hosts  
16      being spawned by a daemon. And that's the daemon that  
17      receives the ensuing connection request. It spawns  
18      different virtual hosts.

19          Q       So you're saying that -- well, isn't it  
20      true in the Wesinger scheme that the virtual host is  
21      on the firewall?

22          A       But these -- there are two -- they're

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

264

1 virtual hosts with very different functionality. One  
2 is the virtual host that's used in DNS in a  
3 conventional way. The other is using virtual hosts  
4 that are being spawned completely -- by a completely  
5 different process which -- and that process is  
6 managed by a daemon. That daemon will initiate  
7 separate virtual hosts based on a different criteria,  
8 not the DNS request.

9 Q So let's, if you could, go to column 15 of  
10 the Wesinger patent. And I want you to look at line  
11 24 through line 28. So we talked before --

12 A Column 24. Okay.

13 Q So it says that -- and you can read the  
14 first part of that paragraph if you wish to. Maybe  
15 you can do that and let me know when you're ready.

16 A Okay.

17 Q So it's saying that -- first it says that  
18 each virtual host is going to have as part of its  
19 configuration file an access rules database, right?  
20 Do you see that?

21 A Yes.

22 Q All right. So every virtual host in this

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 264

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 264



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

265

1 scheme is going to have an access rule database that  
2 it uses, right?

3 A Every virtual host can pull up an access  
4 control database.

5 Q All right. And what that does is it  
6 enables the virtual host to determine, it says here,  
7 which connections will be allowed and which  
8 connections will be denied, right?

9 A Yes.

10 Q So each virtual host has the capacity to  
11 not let the connection request proceed through it,  
12 right?

13 A An ensuing connection. Each virtual host  
14 can make decisions on that ensuing connection.

15 Q So each virtual host always has the  
16 capacity to make that no or yes determination about  
17 letting the connection request pass through it to the  
18 next virtual host?

19 A So these are the virtual hosts that's  
20 talked about with respect to those that are spawned  
21 by the daemon, not the virtual hosts, the dedicated  
22 virtual host that's used for DNS, as far as I

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 265

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 265

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

266

1 understand.

2 Q All right. So it actually says every  
3 virtual host under the scheme that's described in  
4 Wesinger, right? It's not saying there's two  
5 different kinds of virtual hosts?

6 A But in the specifications -- so again, if  
7 you look at figure 3, you know, you have a separate  
8 component that is receiving the DNS request. DNS --  
9 that DNS request is propagated in the normal way.  
10 You have different functionality from some other  
11 virtual hosts that are spawned by this daemon. That's  
12 my understanding.

13 Q So when you see two different virtual hosts  
14 being created, that's based on your kind of overall  
15 analysis of the patent; is that right?

16 MR. PALYS: Objection to form.

17 A My overall analysis of the patent is that  
18 there were two separate functionalities being taught  
19 here. As I said before, one is to create this envoy  
20 that's based on propagating DNS requests and doing it  
21 in this transparent manner. And the second is for an  
22 ensuing connection having the ability to do some form

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

267

1 of processing on that connection, which is called the  
2 channel process, etc.

3 BY MR. KUSHAN:

4 Q So let's kind of parse that a bit. So in  
5 the -- so you're saying that the Wesinger patent  
6 actually describes two different kinds of virtual  
7 hosts being created?

8 A It describes two different processes. It  
9 doesn't link those two processes at all.

10 Q So if the Wesinger model of using a  
11 configuration file to create a virtual host is  
12 followed, then each virtual host would have the  
13 capacity to perform both functions, right?

14 MR. PALYS: Objection to form.

15 A So Wesinger does not teach that that  
16 functionality that's provided through the channel  
17 processing is applied on the DNS request.

18 BY MR. KUSHAN:

19 Q So let's try to look at figure 1 again.  
20 And you see these various blocks in the figure that  
21 are designated 116, 118, etc.?

22 A Mm-hmm.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

268

1           Q       Each one of those is presenting that  
2       there's going to be a firewall and a DNS/DDNS  
3       functionality present on that device, right?

4           A       The virtual host.

5           Q       Right.

6           A       Uh-huh.

7           Q       So every virtual host has both  
8       functionalities, right, as it's being depicted?

9           A       Every virtual host -- so as depicted, there  
10      are separate functionalities for DNS and virtual  
11      hosts spawned to do other things.

12          Q       I understand you're saying that the  
13      functionalities you're speaking of are different,  
14      right?

15          A       Mm-hmm.

16          Q       But I'm asking whether the virtual hosts  
17      that get created have both functionalities.

18          A       So the -- Wesinger teaches that these  
19      channel processing properties are applied on the  
20      connection request -- sorry, on the ensuing  
21      connection. It does not teach that it's on the  
22      connection request. And I think this is the

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 268

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 268

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

269

1 distinction I'm making here.

2 Q So -- and I'm just trying to understand  
3 where in Wesinger there are different kinds of  
4 virtual devices created -- I'm sorry, the virtual  
5 hosts. There's only really one virtual host type  
6 that is described in Wesinger, right?

7 MR. PALYS: Objection to form.

8 A So Wesinger describes virtual hosts that  
9 perform the traditional conventional DNS, and virtual  
10 hosts that can do some additional processing.

11 BY MR. KUSHAN:

12 Q But it doesn't depict them as being  
13 different virtual hosts. It may be depicting them as  
14 the same host, but two functional capabilities?

15 A It depicts them as providing -- doing  
16 different things based on what the virtual host is  
17 processing, whether it's processing a DNS request or  
18 whether it's processing a connection request. It's  
19 explicit that there's two different functionalities.

20 Q So I think you may be agreeing with me that  
21 the things you're referring to are functionalities,  
22 but they're not necessarily creating different types

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

270

1 of virtual hosts, the virtual hosts may have both  
2 functionalities in their capabilities, and those are  
3 defined by the configuration file for the virtual  
4 hosts?

5 MR. PALYS: Objection to form.

6 A I don't see that in Wesinger. I don't see  
7 the DNS -- these virtual host configurations saying  
8 that if you're a DNS module, you have the same  
9 functionalities as if you're a different module.

10 BY MR. KUSHAN:

11 Q Okay. That's not my question. My question  
12 is, isn't it fair to say Wesinger is describing a  
13 process where virtual hosts will be created, right?  
14 We can at least agree on that point?

15 A In the abstract, yes.

16 Q And so to do that in the Wesinger file,  
17 there's a configuration file which is used to give  
18 the virtual host its properties, right?

19 A Yes.

20 Q So it's reasonable to read Wesinger as  
21 using that configuration file to imbue in the virtual  
22 host the capability of performing two different

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 270

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 270

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

271

1 functions, correct?

2 A It's also reasonable to view it as the --  
3 explicitly stated, there are two different  
4 functionalities that are embodied by the virtual  
5 hosts and operate on different types of requests.

6 Q Right. I'm just trying to make sure we  
7 have a clarity in where we're disagreeing. We're not  
8 disagreeing that Wesinger teaches a system where a  
9 virtual host could be created that has the functional  
10 capabilities that you refer to as distinct functional  
11 capabilities, the DNS and firewall functions, right?

12 A Can you repeat your question one more time,  
13 slowly?

14 Q So we're not disagreeing that Wesinger can  
15 be read as showing creation of a single type of  
16 virtual host that has two functional capabilities  
17 within its operational capabilities?

18 MR. PALYS: Objection to form.

19 A So Wesinger states that there are virtual  
20 hosts for handling DNS, and virtual hosts that do  
21 other things. So I think we agree on that.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

272

1           Q       But it also is showing that there's only  
2       one configuration file model that's used to create  
3       virtual hosts, right?

4           A       For the virtual hosts that are spawned by  
5       the daemon.

6           Q       Right. So you have one file that creates  
7       virtual hosts and that virtual host could do one or  
8       both of the functions that we've been discussing, the  
9       DNS function and the firewall function, correct?

10           MR. PALYS: Objection to form.

11           A       I don't think Beser teaches that both of  
12       these functions are integrated in any way. It says  
13       we have a function -- you know, you have a virtual  
14       host, that's a DNS server, and you have this virtual  
15       host that is spawned in response to a connection  
16       request -- a connection, sorry.

17       BY MR. KUSHAN:

18           Q       All right. Just so we're on the same page,  
19       when you go back to figure 1, and you look at the  
20       blocks that are depicted, every single block has got  
21       both the firewall and the DNS functionality  
22       associated with it, right?



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

273

1           A       Yes.

2           Q       All right. So is it reasonable to conclude  
3       that given the depiction of every one of these having  
4       both functional capabilities in it, that the virtual  
5       hosts would also have both functional capabilities  
6       when they are created?

7           A       Given the depiction in figure 1, that's  
8       reasonable, that what Beser -- sorry, excuse me, what  
9       Wesinger teaches -- it's been a long day -- is very  
10      different.

11          Q       Well, so that was a somewhat different  
12      answer or different question you're answering. All  
13      I'm asking is each instance of these blocks that are  
14      depicted in figure 1 have both functional properties  
15      that we've been discussing, the firewall function and  
16      the DNS function, right?

17                   MR. PALYS: Objection to form.

18          A       So in figure 1, the depiction shows that  
19      there's a DNS module and there are the virtual hosts  
20      on that firewall. That's separate.

21      BY MR. KUSHAN:

22          Q       So the virtual host is also separate from

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

274

1 the firewall, right, under the depiction you just  
2 described?

3 A No, I'm saying there's this virtual host  
4 that's embodied by this DNS module. And there are  
5 other virtual hosts on that firewall that are spawned  
6 by a different process.

7 Q All right. So there's no differentiation  
8 of the virtual hosts being depicted on this figure,  
9 right, between --

10 A On the figure, right.

11 Q And just so we're clear, the line depicting  
12 the connections, that's the path of communications  
13 between the various entities, right? So this  
14 vertical line, then it goes horizontal, then it goes  
15 eventually to D, it's just one line connecting each  
16 one of those blocks, correct?

17 MR. PALYS: Observation.

18 A And you're asking if that line represents  
19 what?

20 BY MR. KUSHAN:

21 Q Is that a bidirectional communication path?

22 A Correct.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

275

1           Q       So there's not two separate communication  
2 paths, right, between the different entities that are  
3 depicted?

4           A       There's a connection between firewall 1 --  
5 at a high level, it is depicting that firewall is  
6 connected to firewall 2, firewall 3, etc.

7           Q       So when we go through this depiction, even  
8 though each firewall has got both functionalities  
9 present on it, you don't think that is conveying in  
10 any sense something that's relevant to the virtual  
11 hosts that are being created on each firewall?

12          A       I'm not saying it's not relevant. I'm  
13 saying Wesinger is showing there are two distinct  
14 different functionalities in the way they're used.  
15 They're not integrated, that's all.

16          Q       That's not based on something Wesinger  
17 actually says; that's based on your analysis of how  
18 you understand Wesinger; is that fair to say?

19               MR. PALYS: Objection to form.

20          A       No, it's based on -- it's saying that there  
21 is a -- that the virtual hosts for -- the DNS virtual  
22 host receives the connection requests, propagates

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

276

1       that in the usual way, and later says there is these  
2       ensuing connections that are received by a daemon, and  
3       then spawn a separate virtual host.

4               And I agree with you, these other virtual  
5       hosts have the ability to read a configuration file  
6       and do some channel processing.

7       BY MR. KUSHAN:

8               Q       And I just want to make sure. Where is it  
9       that you see in Wesinger, Wesinger saying explicitly  
10       that the virtual hosts are acting -- there are two  
11       different kinds of virtual hosts that are acting on  
12       different types of connection requests?

13               MR. PALYS: Objection, form.

14               A       So let's go back to paragraph 34 of the  
15       declaration. It says, "Separate from the transparent  
16       processing of the DNS queries, Wesinger also  
17       describes firewall allow/disallow processing and  
18       further connection processing of ensuing connection  
19       requests. While the DNS query is received on a  
20       dedicated virtual host or separate machine serving as  
21       the DNS server," points to column 8.

22       BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

277

1           Q       So if you look at the first thing you  
2       quoted, column 8, line 58 to 61.

3           A       Mm-hmm.

4           Q       That says, "A domain name server may be a  
5       dedicated virtual host on the same physical machine  
6       as the firewall. Alternatively, the domain name  
7       server may be a separate machine," correct?

8           A       Mm-hmm.

9           Q       Then it says, "A domain name server is  
10      provided for each layer in the multi-layer network,"  
11      right?

12          A       Yes.

13          Q       So that's suggesting that every layer  
14      that's going to progress through and handle that  
15      connection request will have a domain name service  
16      capability.

17          A       Every one of those firewalls that were  
18      depicted here, there is a DNS virtual host.

19          Q       And this is not saying that the virtual  
20      host cannot have both the DNS function and the  
21      firewall function in it, right, this passage you just  
22      quoted?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

278

1           A       It's not saying they cannot have it.

2           Q       So let's go to 13, lines 9 to 13. So here  
3       it says, this is a quote that you've provided, "Each  
4       of the DNS modules of all the machines receive all  
5       DNS queries because the machines are all connected in  
6       parallel."

7                   Do you see that?

8           A       Yes.

9           Q       All right. So that's suggesting that every  
10       machine, all the machines receive DNS queries, and  
11       that each of the DNS modules -- I'm sorry. It  
12       literally says each of the DNS modules of all the  
13       machines receive all DNS queries, right? So every  
14       machine that has a DNS module, which is each one of  
15       the firewalls, will receive all DNS queries, right?

16          A       No. So if there are multiple DNS virtual  
17       machines on that firewall, it says that you can --  
18       for -- the first one to handle it, to respond,  
19       handles that request. So this is multiple DNS  
20       virtual hosts on that firewall.

21          Q       So you can have multiple virtual hosts on a  
22       firewall that will be fielding different connection

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 278

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 278

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

279

1 requests, right?

2 A DNS virtual hosts, yes.

3 Q Right. And so when it's speaking of  
4 handling like the first one picking up the first DNS  
5 request and then the next one picking up the next DNS  
6 request, that's basically scaling up to handle the  
7 different connection requests that might be coming to  
8 that virtual --

9 A Correct.

10 Q So this passage isn't saying that the DNS  
11 modules in the virtual machines are in different  
12 virtual hosts than the virtual hosts that are  
13 functioning as firewalls, right?

14 A So if you contrast that to what it says  
15 later, so let's go again to, when you're speaking  
16 specifically about the ensuing -- it clearly says the  
17 DNS request can be received by multiple DNS virtual  
18 hosts. That's what it says now.

19 Q Right.

20 A Then later it says, an ensuing connection,  
21 which is different from a -- from the DNS request, is  
22 received at a separate daemon on the firewall. And

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

280

1       that dæmon then waits to receive a connection  
2       request, and it goes on.

3           Q       So one way of reading that ensuing  
4       connection is that it's not the same connection  
5       request that has been propagated through the  
6       different virtual hosts. It's a different connection  
7       request, maybe from a different machine that  
8       originated it, and the ensuing connection request is  
9       going to be handed off to a different virtual host  
10      because the first virtual host is occupied with the  
11      first connection request, right?

12                   MR. PALYS: Objection, form.

13           A       That's a very loaded -- that was a lot.

14      BY MR. KUSHAN:

15           Q       So you're saying -- you're reading an  
16      ensuing connection request is then mapped to a  
17      virtual host on the responding least busy machine,  
18      right? You quoted that?

19           A       Yes.

20           Q       And what you're suggesting is that an  
21      ensuing connection request is the next propagated  
22      step of the original connection request; is that how



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

281

1       you're reading it?

2           A       No. The ensuing connection --

3           Q       So it's a different connection request?

4           A       Different connection. It's not a  
5       connection request. There's the connection request  
6       to set up this process, and then the actual  
7       connection is different. So the connection request  
8       here we've been talking about is to set up -- is to  
9       use DNS to, as you said, to configure the -- the DNS  
10      virtual hosts on the firewalls with the correct  
11      addresses.

12          Q       So just as I'm looking at the quotes that  
13      you have from those -- it's column 13, line 6 through  
14      14, approximately, so it mentions physical firewall  
15      machines, but then it doesn't --

16          A       Where are you referring to?

17          Q       So column 13, line 6 and 7.

18          A       Okay.

19          Q       It says, "The configuration of figure 4  
20      further allows the physical firewall machines to  
21      share the aggregate processing load of current  
22      connections." Right?

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

282

1 A Yes.

2 Q So you have the physical machines receiving  
3 multiple connections that are happening at the same  
4 time, right, multiple connection requests?

5 A Multiple connection requests, correct.

6 Q And those could be connection requests from  
7 computer A and a different connection request from  
8 computer Z, right?

9 A Where the connection request is to  
10 resolve -- is to use DNS to set up a path.

11 Q Right. So these are independent connection  
12 requests coming to a single physical firewall?

13 A Correct.

14 Q Right. And so then when you go to the back  
15 end of that paragraph, it says -- it's talking about  
16 the scenario where a first connection request has  
17 come in and is being handled, right?

18 A What do you mean by "the back end of that  
19 paragraph"?

20 Q So the sentence says, "An ensuing  
21 connection request is then mapped to a virtual host  
22 on the responding least busy machine," right? So in

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

283

1 the scenario, we've got multiple concurrently  
2 arriving connection requests from different  
3 computers. The first one comes in and may get picked  
4 up by one virtual host.

5 And then the next connection request from a  
6 different computer comes in, and that's what's being  
7 referred to as the ensuing connection request, which  
8 gets picked up --

9 A No.

10 Q -- and handled by the responding least busy  
11 machine?

12 A That's not my interpretation.

13 Q And why -- so you're saying that that  
14 reading that I just gave you is not at all logical  
15 based on the fact that the front end of that  
16 paragraph is referring to the physical firewall  
17 machines managing and sharing the aggregate  
18 processing load of current connections?

19 MR. PALYS: Objection to form.

20 A So my understanding of -- and I think it's  
21 in keeping with one of ordinary skill in the art,  
22 would have interpreted what's here, in light of the

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

284

1 specifications, it says there are these two separate  
2 things, right? One is on the ensuing connection, one  
3 is on a DNS query. In that paragraph, when I see it  
4 merging the two, then I'm assuming this ensuing  
5 connection it's talking about is very different than  
6 anything that has to do with the DNS request.

7 BY MR. KUSHAN:

8 Q So even though the beginning of the  
9 paragraph has said this paragraph is describing how a  
10 physical firewall machine is going to manage multiple  
11 current connection requests coming to it from  
12 different sources, you cannot read that sentence at  
13 the very end in any way as saying the ensuing  
14 connection request is the next received connection  
15 request that has to be acted upon as opposed to being  
16 the next connection request after the first one has  
17 been resolved, which would be essentially derivative  
18 of the first connection request?

19 MR. PALYS: Objection to form.

20 A Again, I don't think that's what Wesinger  
21 is teaching.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

285

1           Q       Now, there's no explicit description in  
2       Wesinger of a sequential series of steps that are  
3       following your description of first connection  
4       request gets resolved, and then a new type of  
5       connection request is sent in, acted on by a  
6       firewall, right?

7           A       So it says there are connection requests  
8       that are handled by one process, let's think of it as  
9       DNS, and it also says there are ensuing connections  
10      that are handled by a daemon, and that's something  
11      else. I see these as two separate things.

12          Q       And so that opinion you articulated is  
13      based on this ensuing connection request comment at  
14      column 13, lines 12 to 14 or so?

15          A       That comment is in respect to my  
16      understanding of what Wesinger teaches. To me, it  
17      says there are two different things. I handle DNS  
18      request one way, I handle an ensuing connection by  
19      talking to a very different process, a daemon that  
20      spawns a different virtual host. That's how I  
21      interpret it in light of what I believe Wesinger  
22      teaches.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

286

1           Q       All right. Could you go to paragraph 51 of  
2 your declaration.

3           MR. KUSHAN: Could we take a five-minute  
4 break? We might be able to wrap up relatively soon.

5                   (Recess.)

6 BY MR. KUSHAN:

7           Q       Could you go to paragraph 34 of your  
8 declaration. And I want you to go to --

9           MR. KUSHAN: I withdraw the question. Off  
10 the record.

11                   (Discussion off the record.)

12 BY MR. KUSHAN:

13           Q       In paragraph 34, you have -- you indicate  
14 that "While the DNS query is received at a dedicated  
15 virtual host or separate machine serving as the DNS  
16 server, an ensuing connection request is received at  
17 a separate daemon on the firewall," and then you quote  
18 two passages -- four passages of the patent. What I  
19 want to do is, first, I think we've already talked  
20 about 8:58-61, and 13:9-13. That was the DNS  
21 discussion that we just went through.

22                   So I wanted to look at column 16, line 20

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

287

1 to 21. You quote language there, the dæmon waits to  
2 receive the connection request. Let's go over to the  
3 patent. So it says, "In the dæmon mode of operation,  
4 a process," and I'm reading from line 15 of column  
5 16, "In the dæmon mode of operation, a process first  
6 reads the configuration file before becoming a  
7 dæmon," right?

8 A Hang on. So the line...

9 Q Line 15 and 16 of column 16.

10 A Okay.

11 Q So what it's saying is that the process  
12 reads, it looks like one configuration file before  
13 becoming a dæmon, right?

14 A Correct.

15 Q And that's consistent with the single  
16 configuration file comment I made earlier?

17 MR. PALYS: Objection to form.

18 A You made that comment with respect to  
19 virtual host.

20 BY MR. KUSHAN:

21 Q Right.

22 A So it says the dæmon first reads the

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 287

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 287

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

288

1 configuration file.

2 Q So the daemon will create a virtual host,  
3 right?

4 A Yes.

5 Q Okay. Now, "The configuration file," it  
6 says, "in effect becomes hard coded into the program  
7 such that the program no longer has to read it in."  
8 That's loading the configuration file parameters into  
9 the daemon, right?

10 A Yes.

11 Q All right. And then it says, "The daemon  
12 then waits to receive a connection request," right?

13 A Yes.

14 Q Now, you read that as saying that this is  
15 saying that the ensuing connection request is  
16 received as a separate daemon on the firewall, and  
17 you're using the word "separate." What I want to  
18 know is, the quoted language that is listed here  
19 doesn't use the word "separate daemon," right?

20 A But it's depicted by the drawings and the  
21 teachings of the specification.

22 Q But at least as far as what you're

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 288

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 288



DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

289

1 suggesting, this passage isn't actually saying that  
2 the dæmon's got a separate dæmon for the firewall  
3 function as compared to the DNS function, right?

4 A In this particular case, I think this  
5 passage should be read that way.

6 Q All right. So then the next passage says,  
7 "When a connection request is received, the dæmon  
8 spawns a process to handle the connection request.  
9 This process then uses a piece of code referred to  
10 herein as an INET Wrapper to check on the local side  
11 of the connection and the remote side of the  
12 connection to determine, in accordance with the  
13 appropriate Allow and Deny databases, whether the  
14 connection is to be allowed."

15 So it's talking about the dæmon itself  
16 spawning a process to respond to the connection  
17 request, right?

18 MR. PALYS: Objection to form.

19 A And that connection request is different  
20 from -- than the DNS request we've been talking about  
21 before.

22 BY MR. KUSHAN:

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

290

1           Q       And that conclusion is based on your  
2       interpretation of the whole patent, not based on the  
3       Wesinger patent at column 16, line 21 or so down to  
4       25, saying it's a separate connection, right?

5           A       That is based on my understanding of the  
6       teachings where it separates a connection request  
7       from a DNS request.

8           Q       And the connection request, it's not saying  
9       it's a separate connection request, it just says "a  
10      connection request," correct?

11          A       Yes.

12          Q       And you would agree that Wesinger is silent  
13      on how the connection request might arise after a DNS  
14      query, right?

15          A       I agree.

16          Q       All right. So there's nothing in Wesinger  
17      that says overtly after a DNS query is received and  
18      resolved, then a new process is created, a new  
19      connection request is created, right?

20                   MR. PALYS: Objection to form.

21          A       There's no -- to restate, in Wesinger,  
22      there's no direct connection between teachings

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

291

1 between the DNS request and the ensuing connection,  
2 which I think is what you've asked.

3 BY MR. KUSHAN:

4 Q And I'm just trying to differentiate your  
5 interpretation of Wesinger versus what Wesinger  
6 actually has in it, as what they actually wrote down.

7 MR. PALYS: Objection to form.

8 A So these are one and the same. I'm saying  
9 what Wesinger has written down is that -- and my  
10 interpretation of that is that is that there's  
11 explicitly a connection request and explicitly a DNS  
12 request.

13 BY MR. KUSHAN:

14 Q But you would agree that Wesinger is silent  
15 on how the connection request might arise following  
16 the DNS query, right?

17 A Correct.

18 Q So if we could just have one last question.  
19 I want to just go back briefly to Beser. Sorry.  
20 It's only a couple of questions. By the way, you  
21 don't know Beser, do you?

22 A No.

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

292

1 Q "Besser."

2 A You say "Beezer," I say "Besser." We're  
3 back on 237?

4 Q Yes, please. Figure 1 of Beser, we talked  
5 about network device 16 and terminating device number  
6 26 before, right?

7 A We have, in many different ways.

8 Q So is the public IP address of network  
9 device 16 also an IP address of terminating device  
10 26?

11 A It's the -- so it's the public address of  
12 device 16 and how you reach device 16.

13 Q But I'm asking is the IP address of device  
14 16 also the IP address of device 26?

15 A That's used in communicating between 24 and  
16 26?

17 Q Yes.

18 A No.

19 Q Does device 26 also have in any sense the  
20 same IP address as device 16?

21 A The IP address of 26 is negotiated by 16  
22 and -- by 16 and 14, for 24.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 292

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 292

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

293

1 Q So the IP address for 26 will be different  
2 than the IP address that's possessed by device 16?

3 A Different than the IP address of device 16,  
4 the public IP address of device 16.

5 Q And so 26 then, you can't get to 26 using  
6 the public IP address that's attached to device 16?

7 A Meaning you can't send a packet directly to  
8 26?

9 Q If I send a packet to the IP address of  
10 device 16, does that get routed or sent to device 26?

11 A No.

12 Q No, because device 26 is a different IP  
13 address?

14 A It's a different IP address was negotiated  
15 for how to get to device 26.

16 MR. KUSHAN: All right. No more questions.  
17 Thank you.

18 MR. PALYS: Let's take one minute.

19 (Recess.)

20 MR. PALYS: We have no questions for the  
21 witness.

22

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

294

1 (Signature having not been waived, the  
2 deposition of FABIAN NEWMAN MONROSE, Ph.D. was  
3 concluded at 5:57 p.m.)  
4  
5  
6  
7  
8  
9

10 ACKNOWLEDGEMENT OF DEPONENT

11 I, FABIAN NEWMAN MONROSE, Ph.D., do hereby  
12 acknowledge that I have read and examined the  
13 foregoing testimony, and the same is a true, correct  
14 and complete transcription of the testimony given by  
15 me, and any corrections appear on the attached Errata  
16 sheet signed by me.  
17

18 \_\_\_\_\_  
19 (DATE) (SIGNATURE)

20  
21  
22

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014

295

CERTIFICATE OF SHORTHAND REPORTER-NOTARY PUBLIC

I, Lee Bursten, Registered Merit Reporter,  
Certified Realtime Reporter, and Notary Public within  
and for the District of Columbia, do hereby certify:

That FABIAN NEWMAN MONROSE, Ph.D., the  
witness whose deposition is hereinbefore set forth,  
was duly sworn by me before the commencement of such  
deposition and that such deposition was taken before  
me and is a true record of the testimony given by  
such witness.

I further certify that the adverse party,  
VIRNETX, INC. AND SCIENCE APPLICATION INTERNATIONAL  
CORPORATION, was represented by counsel at the  
deposition.

I further certify that the deposition of  
FABIAN NEWMAN MONROSE, Ph.D. occurred at the offices  
of PAUL HASTINGS LLP, 875 15th Street, NW,  
Washington, DC 20005, on Thursday, October 23, 2014,  
commencing at 9:00 a.m. to 5:57 p.m.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Apple v. VirnetX, et al., IPR2014-00237 and IPR2014-00238  
Petitioner Apple Inc. - Exhibit 1083, p. 295

Apple v. VirnetX, IPR2015-00812  
Petitioner Apple Inc. - Ex. 1067, p. 295

DEPOSITION OF FABIAN NEWMAN MONROSE, Ph.D.  
CONDUCTED ON THURSDAY, OCTOBER 23, 2014


296

1 I further certify that I am not related to  
2 any of the parties to this action by blood or  
3 marriage, I am not employed by or an attorney to any  
4 of the parties to this action, and that I am in no  
5 way interested, financially or otherwise, in the  
6 outcome of this matter.

7  
8 IN WITNESS WHEREOF, I have hereunto set my  
9 hand and affixed my notarial seal this 30th day of  
10 October, 2014.

11  
12 My commission expires June 30, 2014.

13  
14  
15 



16  
17 LEE BURSTEN  
18 NOTARY PUBLIC IN AND FOR  
19 THE DISTRICT OF COLUMBIA  
20  
21  
22



<b>A</b>	18:20 29:13	<b>address</b>	227:10,12,21	<b>affixed</b>
<b>ability</b>	145:15	50:10 51:2,6 52:5	228:3,3,5,6,7,9	296:9
33:21 77:4 217:2	<b>achieve</b>	53:15,16 74:16	228:11,13,16	<b>AFTERNOON</b>
266:22 276:5	95:2 107:3,20	74:21 75:5,7,15	229:11,12 230:1	129:1
<b>able</b>	216:6	76:10 77:16	230:5 231:2,8	<b>aggregate</b>
44:16 103:1	<b>achieved</b>	78:22 79:3 84:8	233:1,7,10,11	281:21 283:17
114:5 204:9	108:3 112:4	84:11,11 95:20	233:16 234:3	<b>agile</b>
211:19 217:17	<b>acknowledge</b>	111:21 112:21	236:14 237:4,9	115:3
217:18 286:4	294:12	113:13,16,20	237:9 292:8,9	<b>ago</b>
<b>absence</b>	<b>ACKNOWLEDGE...</b>	130:16,19 131:2	292:11,13,14,20	13:17 23:5,11
74:18	294:10	131:7,11,14,16	292:21 293:1,2	31:8 54:15 83:7
<b>absent</b>	<b>acknowledges</b>	132:6,11,11,12	293:3,4,6,9,13	<b>agree</b>
74:20 245:20	215:18	132:17 133:1,22	293:14	37:11 51:13 67:2
<b>abstract</b>	<b>acted</b>	139:8 143:20,21	<b>addressed</b>	67:18 160:19
100:1,2,14 102:17	284:15 285:5	146:1,2,13,14	53:11 64:10	162:5 163:21
170:19 270:15	<b>acting</b>	147:8 151:11,12	78:13	165:21 170:10
<b>abstractly</b>	187:3 191:1	152:7,14,16	<b>addresses</b>	195:8,14 270:14
132:10	240:15 276:10	162:2 165:2,18	95:22 111:18	271:21 276:4
<b>academic</b>	276:11	167:20 168:1,3	112:3 113:6,11	290:12,15
21:18 36:8 37:8	<b>action</b>	168:20 169:1	113:17 173:4	291:14
38:12 46:13	296:2,4	170:13 171:1,11	179:2 183:20	<b>agreeing</b>
49:6	<b>actions</b>	171:12,14,21	184:10 185:15	67:5 269:20
<b>access</b>	135:4 158:17	172:6,13,17	190:6,15 191:18	<b>agreement</b>
86:19 87:8 88:5	<b>active</b>	173:1,8 174:15	192:13 195:12	2:13 94:11
111:12,16,18	47:12	174:20 175:16	223:4 228:20	<b>ahead</b>
112:2 113:2,11	<b>actual</b>	176:4,11 177:7	229:20,22	18:12 52:21
113:12 120:9	245:13 281:6	177:15,16 178:1	233:14,19	61:20 96:1
123:17,17	<b>adapt</b>	178:6,19,22	281:11	139:3,11 207:15
134:19,21	219:17	179:2,8,10,11	<b>addressing</b>	<b>Aiding</b>
135:15,19 136:7	<b>add</b>	181:2,2,14	11:3 57:16,22	209:16
138:11 140:9	8:20 75:15	182:6 183:11,18	113:5,9 219:15	<b>al</b>
141:2 142:3,5	223:14	183:19 184:4,19	<b>adds</b>	5:16,18,20
149:11 154:1,13	<b>adding</b>	184:20 185:5,9	110:13	<b>algorithm</b>
155:12 156:1,11	110:15	185:12 186:4,7	<b>administering</b>	218:12,20
156:14,17,21	<b>addition</b>	186:18,22	2:16	<b>algorithms</b>
159:6,20 161:5	75:18 116:18	187:12,18	<b>advantage</b>	218:15
200:2,22 264:19	125:21 139:20	188:10,19 189:4	118:2,4	<b>allergies</b>
265:1,3	140:11	189:17 190:2,14	<b>advantages</b>	57:4
<b>accessed</b>	<b>additional</b>	191:16,17 193:9	115:19 117:11	<b>allocating</b>
161:21	16:17 77:22	194:2,11 196:3	<b>adversary</b>	143:21 146:2,13
<b>accessible</b>	101:7 110:19	196:15,20 197:8	117:21	151:11 152:16
220:10	136:14,21 140:7	197:17 220:17	<b>adverse</b>	<b>Allow</b>
<b>accommodate</b>	140:18 142:13	220:20 221:5,6	295:13	289:13
211:19	142:14 143:1,4	221:10,16 224:6	<b>advice</b>	<b>allowed</b>
<b>accompanying</b>	143:6 269:10	224:8,14 225:17	18:16	18:20 262:14
47:17	<b>additionally</b>	225:18,18,19,22	<b>advisor</b>	265:7 289:14
<b>accurate</b>	87:6	226:3,9 227:2,3	36:19	<b>allowing</b>

248:16 <b>allows</b> 218:16,19 281:20 <b>allow/disallow</b> 276:17 <b>alluding</b> 211:8 <b>alternative</b> 87:9 155:21 206:11,12 209:9 <b>Alternatively</b> 277:6 <b>ambiguity</b> 9:13 <b>amount</b> 48:14 114:8 121:2 <b>analyses</b> 70:16 <b>analysis</b> 21:9 30:11 63:17 168:2 179:13 203:7 204:21 206:9 209:10 266:15,17 275:17 <b>analyze</b> 19:18 20:3 <b>and/or</b> 134:21 138:13 142:6 <b>annotation</b> 132:4 <b>annotations</b> 130:1 <b>anonymity</b> 107:3,20 108:3 <b>anonymous</b> 106:12,18,22 107:1 <b>answer</b> 8:17,18,19 9:7,9 18:11,13,15,17 19:21 32:22 49:18 53:19 54:1,6 58:8 59:2 59:2 61:19 96:4	103:2 104:4 150:18 169:11 188:15,15 195:3 204:9 207:15 230:13 273:12 <b>answering</b> 35:16 57:10 129:12 273:12 <b>answers</b> 22:18 <b>anticipate</b> 69:1 176:18 177:12 178:2 180:2,8 <b>anticipated</b> 22:7,19 67:22 68:14 157:22 <b>anticipates</b> 69:10 <b>anticipating</b> 21:22 <b>anticipation</b> 19:10 68:17 158:5 177:3 180:15 <b>anybody</b> 8:8 <b>apart</b> 199:11 <b>APPEAL</b> 1:2 <b>appear</b> 120:3 294:15 <b>appears</b> 90:22 257:13 <b>Apple</b> 1:4 5:9,13 9:21 12:1,4,11,11,13 12:17,20 13:2 65:3 66:21 98:17 160:11 199:21 234:12 <b>applicant</b> 62:18 <b>application</b> 1:8 26:2,15 55:9 56:5 163:5	212:6 214:9 295:14 <b>applications</b> 31:6 32:14,16 55:18 100:6 163:6,11 <b>applied</b> 27:3 67:8 89:12 267:17 268:19 <b>applies</b> 25:13 <b>apply</b> 36:15 44:18 58:18 109:14 121:9,9 <b>appreciate</b> 16:7 29:1 96:7 203:6 208:17 <b>approaches</b> 214:12 216:19 <b>appropriate</b> 33:8,16 93:6 289:13 <b>approximately</b> 281:14 <b>area</b> 32:9 <b>arguing</b> 198:14 <b>arguments</b> 13:20 <b>arriving</b> 283:2 <b>art</b> 11:3 12:19 13:9 13:19 19:9 20:20 21:21,22 22:7,19 23:3,7 24:14 34:5,5,22 35:14 37:1,1 47:14 48:21 49:8 56:14 58:22 64:6 68:8 69:19 107:17 157:22 158:3 193:4 209:4 216:3,14 230:9	283:21 <b>articulated</b> 285:12 <b>asked</b> 9:8 18:6,21 19:2 19:3,6,17 34:3 43:1,11 50:2,5 51:14 64:13,15 70:9 100:12 133:14 151:21 157:12 169:14 179:19 194:17 199:6 223:9 241:12 255:17 291:2 <b>asking</b> 16:15 18:22 24:18 32:4,11 40:21 43:4 61:17 62:9 65:5 65:20 66:11 103:12 137:20 138:1,4 142:18 154:18 161:19 164:14 195:1 203:5 204:15 205:3 208:10 221:9 223:22 225:5 227:19,22 245:22 268:16 273:13 274:18 292:13 <b>aspect</b> 193:14 <b>aspects</b> 31:10 33:11,12 68:5 95:4 180:22 217:2 <b>assessments</b> 42:11 <b>assigned</b> 19:5 27:6 <b>assignment</b> 230:11 <b>assistant</b> 37:14,17,19 38:19 <b>assistantships</b>	38:2,5 <b>associate</b> 188:17 232:22 <b>associated</b> 115:18 130:20 131:8,12 139:13 170:9 171:2 183:12 184:19 185:10 186:10 210:6 220:18 225:19 226:4,10 227:2,4 230:1 234:4 236:19 237:6 246:2 272:22 <b>associates</b> 186:17 <b>associating</b> 231:1 233:7 <b>association</b> 160:22 171:8 172:16 173:1 186:20 187:7,16 188:5,8 189:17 197:15 221:1 229:4 231:22 232:13 233:3,8 233:13,17 234:2 <b>associations</b> 210:18 <b>assume</b> 222:8 <b>assumed</b> 64:6 <b>assuming</b> 284:4 <b>assumption</b> 195:4 <b>asymmetric</b> 44:12 45:12 <b>asymmetric/sy...</b> 44:12 <b>Atlanta</b> 4:8,17 <b>attached</b> 5:8 9:22 10:16 11:10 79:2
---	--	---	---	--

11:10 79:2 96:14 98:18 120:12 160:12 221:20 234:13 293:6 294:15 <b>attaching</b> 120:22 <b>attempting</b> 214:11 <b>attempts</b> 209:15 <b>attention</b> 150:5,6 169:13 <b>attorney</b> 296:3 <b>audio</b> 110:16 215:21 216:10 <b>AUSTIN</b> 3:5 <b>authentic</b> 105:22 106:6 <b>authenticated</b> 102:18 <b>authentication</b> 30:10 31:5,13,17 31:17 33:2,9 41:7 74:9,10,13 74:22 89:22 90:3 91:16,19 92:19 100:5 102:4,9,10,16 103:19 104:12 104:14,19 105:13,21 111:21 123:1 <b>authored</b> 29:18 <b>authorization</b> 87:12 <b>authorized</b> 86:19 87:8 88:4 136:1 <b>automatic</b> 230:10 <b>automatically</b> 201:20	<b>availability</b> 34:18 147:19 <b>available</b> 110:2 135:9 137:18 141:15 141:18 142:16 143:18 147:4,16 147:20 148:11 148:17 149:7,16 150:17,20 153:1 153:14 155:4 157:9 158:14,19 173:21,22 181:6 <b>aware</b> 51:16 <hr/> <b>B</b> <hr/> <b>B</b> 5:6 6:1 28:14,17 60:19 61:3,12 62:8 80:9,15,17 81:9 107:21 108:20,21 115:14,15 225:5 225:9,9,10 <b>bachelor's</b> 46:14 48:4 <b>back</b> 13:7,10,12,14,17 17:18 18:4 29:8 31:20 32:11,20 32:21 33:18,20 34:11,15 36:4 37:10 38:14,21 40:16 42:17 45:3 58:3 72:15 81:19 82:15 83:6 85:1 91:7 93:18 95:5 97:19 98:4 100:18 101:1 102:22 104:3 108:8,10,11,20 112:19 133:13 149:10,22 151:5 151:17 153:17 155:9 165:4,7 184:3 187:14,21	191:2 195:17 215:17 217:11 228:18 231:10 251:22 262:6 272:19 276:14 282:14,18 291:19 292:3 <b>background</b> 33:19 34:3,12 52:18 107:16 <b>bad</b> 54:17 <b>ballpark</b> 14:11 <b>based</b> 24:5,19 46:9 47:10 48:3 63:10 65:11 67:20 91:13 106:10 107:16 112:1 130:20 131:8,14 137:5 139:12 160:18 178:7 206:8 217:12 226:4,10 227:3 228:21 238:3 264:7 266:14,20 269:16 275:16 275:17,20 283:15 285:13 290:1,2,5 <b>basically</b> 50:8 80:4 87:19 94:12 185:18,22 189:9 195:21 218:18 237:19 279:6 <b>basis</b> 53:19 <b>becoming</b> 287:6,13 <b>Beezer</b> 69:9 292:2 <b>beginning</b> 191:12 284:8 <b>begins</b>	86:17 <b>behalf</b> 3:2,10 4:2,11 12:4 248:7 249:21 <b>belief</b> 106:10 <b>believe</b> 16:21 18:4 19:16 24:14 25:9 46:8 47:13 53:7 56:12 63:20 66:15 68:4,22 69:1,21 79:17 111:2 112:15 148:15 164:14 197:22 200:7 202:19 285:21 <b>believed</b> 19:7,9 <b>Bell</b> 34:14,14 37:21 38:15 <b>benefit</b> 117:15 <b>Bes</b> 69:9 <b>Beser</b> 5:19 11:4 68:21 68:22 69:1,3,10 69:14,21 70:4 70:15 160:9,15 160:18,19 161:21 162:6,10 162:11 163:4,10 163:22 164:4,15 164:17 165:22 166:2,5,13 167:1 168:2,10 169:6 170:15,20 171:19 172:1,4 174:1,3,5,8,18 175:7,15 176:1 176:2,14,18 177:13,20 178:2 178:11,15,18 179:6,9 180:2,8	180:21 181:13 182:15 183:1,6 183:12 184:1,13 185:14 187:14 188:3 189:16 190:10,17 191:12,21 192:10,17,18,19 193:5,8,10,15 194:13,19,20 195:5,8,14,22 196:5,12,13 197:2,11,22 198:5,11,12,14 199:14 201:8,10 202:10,20,22 203:6,9,19 204:8 205:4,12 206:8,9,19 207:1 208:19 209:1,2,3,6,11 209:14,19,22 210:8,10,15,18 210:22 211:7 212:15,21 213:1 213:7,19 215:7 215:10,13,14,18 215:22 216:1,5 216:11,16,18 217:21 219:17 220:15 221:18 222:6,10,15 224:3,12,18 225:13,16 229:2 229:8,12,12,20 230:16 231:1,12 231:13 232:4 234:5,8 262:21 272:11 273:8 291:19,21 292:4 <b>Beser's</b> 177:12 182:3 206:10 209:5 213:20 215:12 222:12 230:3 <b>Besser</b> 69:10 292:1,2
---	--	---	---	---

<b>best</b> 46:6 75:8	203:15	121:18	277:16	295:4,13,18 296:1
<b>better</b> 9:15 12:14 29:4 32:17 36:2,5,22 47:1 48:6 54:6	<b>book</b> 129:20	<b>built</b> 45:4 122:20 202:3 215:7	<b>capacity</b> 265:10,16 267:13	<b>chain</b> 85:13
<b>beyond</b> 48:4 108:1 157:18 177:21	<b>BORDER</b> 3:4	<b>burden</b> 208:22 209:12 210:4,6,13,19 211:2,7 212:1 212:13 217:6 218:8 219:9,13 219:20	<b>capture</b> 207:10,13,18,21 207:22 212:5	<b>change</b> 115:12 219:5
<b>bidirectional</b> 247:6 248:2,5,12 248:22 249:17 249:19 251:19 274:21	<b>bottom</b> 100:1 256:10	<b>Bursten</b> 1:22 2:13 295:2 296:17	<b>capturing</b> 207:11 208:12	<b>changing</b> 115:16 125:9 126:6
<b>big</b> 144:2 151:4	<b>boundaries</b> 44:20	<b>buy</b> 211:18	<b>career</b> 38:2 46:13 49:6	<b>channel</b> 267:2,16 268:19 276:6
<b>biometrics</b> 30:16	<b>box</b> 256:18	<b>C</b>	<b>carefully</b> 100:19 102:22 104:3	<b>Chapel</b> 7:11
<b>bit</b> 17:18 26:8 40:4 61:18 116:20 169:12 182:15 199:11,18 217:4 218:7,8 227:16 231:11 243:18 267:4	<b>break</b> 9:1,3,4 52:13,15 52:18 56:18 57:1 118:9,13 118:17 127:20 129:5 173:15,15 199:11 220:4 286:4	<b>busy</b> 280:17 282:22 283:10	<b>Carolina</b> 7:12	<b>characteristics</b> 64:5,9 157:15 201:4
<b>block</b> 257:19,22 258:14 272:20	<b>breaking</b> 115:22 116:2,4	<b>call</b> 10:21 11:20 167:5 242:14	<b>carried</b> 126:16 192:2	<b>chart</b> 231:17,20
<b>blocks</b> 115:22 116:2,4 267:20 272:20 273:13 274:16	<b>bridge</b> 248:9	<b>called</b> 76:22 78:8 115:3 213:13 267:1	<b>carry</b> 204:22	<b>check</b> 52:16 135:22 262:13 289:10
<b>blood</b> 296:2	<b>briefly</b> 291:19	<b>calling</b> 185:4	<b>carrying</b> 202:15	<b>choice</b> 216:21 220:2
<b>Board</b> 1:2 61:5,5 62:2,6 62:10 66:21 67:15,18 68:3,9 68:11 70:1,8,12 111:3 145:1,9 145:18 147:13 148:14 199:21 203:7	<b>broad</b> 39:22 40:21 114:15 201:1	<b>calls</b> 83:18 182:15	<b>case</b> 1:5,8 24:6 60:13 67:12 70:13 104:11 132:12 143:5 158:8 172:10 201:12 208:2 211:6 255:5 289:4	<b>choose</b> 18:17 219:11
<b>Board's</b> 62:9 67:21 144:15 147:9	<b>broadest</b> 27:5,7 58:21 59:11 62:9 65:11 67:6	<b>capability</b> 167:7 269:14 270:2 271:10,11 271:16,17 273:4 273:5	<b>cases</b> 123:12 124:6 147:6,6 152:12 170:18,19	<b>chops</b> 115:10
	<b>brought</b> 65:15	<b>capabilities</b> 167:7 269:14 270:2 271:10,11 271:16,17 273:4 273:5	<b>catalogue</b> 39:6	<b>chose</b> 51:2 52:5 53:3
	<b>buffer</b> 209:18	<b>call</b> 10:21 11:20 167:5 242:14	<b>category</b> 15:18	<b>chosen</b> 179:3
	<b>build</b> 210:18	<b>called</b> 76:22 78:8 115:3 213:13 267:1	<b>certain</b> 50:2,10 64:16 65:8 66:5 68:5 93:11 95:4 124:10 147:13	<b>chronological</b> 30:8
	<b>building</b> 48:12 72:18	<b>calling</b> 185:4	<b>CERTIFICATE</b> 295:1	<b>chunk</b> 144:2
		<b>calls</b> 83:18 182:15	<b>Certified</b> 2:14 295:3	<b>chunks</b> 117:7
		<b>capability</b> 154:8 270:22	<b>certify</b>	<b>CIA</b> 76:1,2,5
				<b>Circuit</b> 16:22 17:5,11
				<b>cite</b> 144:1,11
				<b>cited</b> 15:8 96:11 99:16 99:18 104:13 143:16 145:1

147:8 <b>claim</b> 23:22 24:2,8,11 24:12 25:3,8,9 25:10,16 26:1,4 26:11,15,15,20 27:16,17 28:2,6 28:13 50:17 57:17,22 58:7 58:11,19 59:3,6 59:12,17 60:6 60:15,22 61:6 61:22 64:3,16 68:3 69:6,7,11 81:19 108:8,12 108:13,15,15,17 108:18,19,22 109:1,2,4,5,8,9 109:10,11,11,12 109:13,13,17,18 109:22 110:2,4 110:8,10,12,20 111:15 113:4 119:12,12,17,18 119:20 120:2,15 122:9,11,15,16 129:17 130:6 133:11 135:13 137:10,11 138:6 138:15 139:21 141:13 142:1,11 143:13 144:10 144:12 145:3,10 145:19 146:10 146:21 147:16 148:2 150:13 152:21 153:7 155:1 157:8,21 158:1,2,7,9 159:21,22 173:22 174:1 175:2,3 176:18 176:22 177:12 179:15 180:2,9 180:12,16,17,21 180:22 182:3,8 182:14 183:2,4	194:22 196:7 200:17 202:14 205:10 209:18 220:10,15 221:7 221:12 226:1,6 226:7,16 227:8 228:1,4,15 229:16 230:3,14 231:5 235:9,17 239:19,20,22 240:11,11 241:2 241:13 242:22 243:9,17,19,22 244:5,10,20 245:6,14 246:1 246:7,17 247:1 247:3 251:12 252:8,11,13,16 253:22 254:4,17 255:10 <b>claimed</b> 27:12 111:10 152:15 187:12 196:15 <b>claims</b> 13:9 19:10 20:17 20:17 22:1,7,19 27:4 28:10 40:11 56:14 58:15,16 60:21 62:19 64:19 67:22 68:13 69:1 72:20 94:10 106:9 119:10 121:22 122:4 146:17 174:18 175:7,14 176:1 177:3 178:3,15,21 179:14 196:2 197:3 200:11 203:21 204:17 204:21 216:2,7 245:10 <b>clarification</b> 100:12 <b>clarify</b>	106:16 159:14 229:21 <b>clarity</b> 271:7 <b>class</b> 42:16 <b>classes</b> 88:14 <b>clause</b> 125:10 130:9,9 134:13 135:8,13 137:16 226:7,19 226:22 227:1 258:12,16 <b>cleaner</b> 24:10 <b>clear</b> 31:15 39:10 53:21 65:12,16 72:8 74:2 79:1 81:11,22 88:11 95:15 127:12 141:14 144:10 145:6 146:19 149:12 150:15 177:20 182:19 187:2 190:22 205:8 210:2 217:4 223:12 237:11 247:7 254:15 258:17 274:11 <b>clearly</b> 31:10 74:3 82:19 98:8 192:9 279:16 <b>client</b> 159:19 256:9,13 257:4 258:2,8 258:20,22 259:2 259:4,8 <b>closely</b> 36:20 37:3,5 49:2 <b>code</b> 76:1,3 289:9 <b>coded</b> 288:6	<b>Columbia</b> 2:16 295:4 296:19 <b>column</b> 55:8,12 78:8,19 83:6,8,13 84:13 84:13,19,21 85:2 86:9 89:1,3 89:8 90:8,10,21 93:9,19 94:2,2 99:5 101:4 108:14 114:17 114:20,21,22 119:11 129:17 144:2,3,4 150:6 150:8,10 151:18 153:20 155:9 159:4,17 162:14 162:17,19 164:12,20 165:8 170:22 171:5 172:16 183:6,9 183:10 187:15 189:15 191:11 213:5,6 235:11 257:2,13 262:7 264:9,12 276:21 277:2 281:13,17 285:14 286:22 287:4,9 290:3 <b>com</b> 135:17 141:17 149:14 152:19 153:9,12,13 154:5 155:6 <b>combination</b> 77:18,20 160:4 216:5,6,15,17 216:18 217:17 217:20 <b>combine</b> 117:22 216:21 217:15 <b>combines</b> 79:19 <b>combining</b> 80:14 217:21	<b>come</b> 34:10 66:4 216:4 282:17 <b>comes</b> 231:9 254:6 263:8 283:3,6 <b>comfortable</b> 21:16 <b>coming</b> 66:20 76:4 193:21 279:7 282:12 284:11 <b>commencement</b> 295:8 <b>commencing</b> 295:22 <b>comment</b> 50:22 93:18 120:22 121:12 121:14 122:13 235:5 285:13,15 287:16,18 <b>comments</b> 52:6 <b>commission</b> 296:12 <b>committee</b> 219:4 <b>common</b> 58:2 222:6,7 <b>communicated</b> 88:13 <b>communicating</b> 201:5 223:15,18 235:1 292:15 <b>communication</b> 20:1 33:10,11 40:11,15,19 41:4,15,20 64:1 64:17,22 65:9 65:15 66:1,13 67:4,10,16,19 68:15 69:2,3,4 69:14,15,18 70:2,5 72:17,18 72:22 73:6,9,11 74:11 75:2,3,10
--	--	---	--	---

75:16 76:4 77:17 80:8 81:5 81:12,16,17,22 82:4,11 84:1 87:20 106:8 107:21 110:17 111:4,14 113:18 114:2,9 118:3 123:15 125:2 135:10 137:6,18 141:16,19 143:18 147:5,16 147:21 148:12 148:17 149:7,17 150:17 153:2,15 154:9 155:4 157:10 158:15 158:19 181:6 194:6 198:5,22 199:4,15,20 200:12,13,20 201:17 202:13 202:21 203:20 205:8,19,21 235:3 248:18,22 250:16 274:21 275:1 <b>communications</b> 18:14 29:19 30:2 30:20 32:3,16 32:18 34:14 35:20 36:3 37:10 39:20 77:7 78:1 85:12 85:21 106:11,18 113:5,6 122:22 124:18 142:16 236:6 248:13 274:12 <b>compare</b> 243:1 <b>compared</b> 68:8 109:18 110:20 197:3 289:3 <b>compensation</b> 54:9,20	<b>complete</b> 294:14 <b>completed</b> 9:6 <b>completely</b> 184:4 264:4,4 <b>compliance</b> 26:16 <b>complicated</b> 28:9 46:1 <b>component</b> 266:8 <b>components</b> 36:13 <b>composing</b> 116:12 <b>compound</b> 175:19 <b>compressed</b> 255:17 <b>computational</b> 206:18,20 208:22 209:12,16 210:3 210:6,13,17,19 211:2,7 212:1 212:13 217:6 218:6,8 219:9 219:13,20 <b>computer</b> 30:2 31:5 34:18 38:8 47:15,17 49:14,14 132:16 132:18 135:2 136:16 142:8 143:18 167:7 207:3 235:1,19 235:19,20,21,22 236:1,15 237:4 237:8 238:17,17 238:18 239:2,8 239:9,19 240:1 240:2,17 241:4 241:20,21,22 242:7 244:11,16 247:8,12,13,14 247:17 248:3,6 248:7,10 249:4	249:6,17,21,21 250:1,3,13,16 250:17,18,22 251:4,20 252:3 252:7 253:2,2,3 254:8,16 256:13 282:7,8 283:6 <b>computers</b> 86:19 88:4 201:3 283:3 <b>conceal</b> 72:17 75:8,9 80:10 81:1 107:5 199:8 <b>concealed</b> 78:22 79:16 83:9 <b>concealing</b> 72:21 105:3 <b>conceivable</b> 49:3 208:6 <b>conceivably</b> 168:19 <b>concepts</b> 253:10 <b>concerned</b> 219:8 <b>conclude</b> 70:3 273:2 <b>concluded</b> 69:15 294:3 <b>conclusion</b> 66:4,20 124:3 168:1 290:1 <b>conclusions</b> 67:21 68:13 <b>concurrently</b> 283:1 <b>conditions</b> 152:6 <b>conducting</b> 48:12 192:12 <b>confidential</b> 106:20 <b>configuration</b> 264:19 267:11 270:3,17,21 272:2 276:5	281:19 287:6,12 287:16 288:1,5 288:8 <b>configurations</b> 270:7 <b>configure</b> 208:12 236:18 281:9 <b>configured</b> 168:5 203:17 244:9 <b>configures</b> 236:10 <b>configuring</b> 243:22 244:6,8 <b>confirm</b> 10:22 85:8 86:4 90:20 138:4 142:18 164:16 <b>confirming</b> 145:7 166:10 <b>confusion</b> 182:15 215:18 <b>conjunction</b> 82:3 83:4,5 <b>connect</b> 239:3,16 <b>connected</b> 161:6 222:3,16 275:6 278:5 <b>connecting</b> 85:14 119:13 274:15 <b>connection</b> 136:2 189:22 196:13 209:21 218:21 235:18 237:15 238:10 238:16,19,21 239:1,5,6,7,9,22 240:2,8,16,19 241:3,19 242:6 242:12,12 243:14 244:15 244:17 245:1,2 245:15 246:11 246:20 247:1,6	247:7,12,17,19 248:3,5 249:17 249:19 250:10 250:13,22 251:3 251:19,19 252:3 252:7,17 253:1 253:19 254:2,6 254:7,10,11,15 254:20 255:4,14 256:14 257:4,9 258:2,9 259:9 260:2,7,8,11,22 262:12,13,20 263:6,14,17 265:11,13,14,17 266:22 267:1 268:20,21,22 269:18 272:15 272:16 275:4,22 276:12,18,18 277:15 278:22 279:7,20 280:1 280:4,4,6,8,11 280:16,21,22 281:2,3,4,5,5,7 281:7 282:4,5,6 282:7,9,11,16 282:21 283:2,5 283:7 284:2,5 284:11,14,14,16 284:18 285:3,5 285:7,13,18 286:16 287:2 288:12,15 289:7 289:8,11,12,14 289:16,19 290:4 290:6,8,9,10,13 290:19,22 291:1 291:11,15 <b>connections</b> 245:4 253:6 265:7,8 274:12 276:2 281:22 282:3 283:18 285:9 <b>consider</b> 122:20 136:20
---	---	---	---	--

193:14 203:7 223:9,22 <b>consideration</b> 204:7 <b>considered</b> 23:7 35:1 38:17 68:10 77:16 193:13,17 203:7 210:17 221:14 222:20 225:12 <b>consistent</b> 13:15 59:17,20 90:3 91:20 92:18 287:15 <b>consisting</b> 126:15 <b>constitute</b> 158:17 <b>constraint</b> 169:17 <b>construction</b> 58:7,11 59:6,11 62:3,10 65:4 <b>construe</b> 58:16 <b>construed</b> 40:20 59:22 111:17 113:9 137:21 199:21 <b>construing</b> 111:3 <b>consult</b> 45:17 46:17 <b>contain</b> 29:13 164:10 <b>containing</b> 259:2 <b>contains</b> 79:3 225:4 <b>contemplated</b> 119:3 122:8 125:13 126:8 127:1,13 <b>content</b> 72:21 74:11 81:4 87:8 <b>contents</b>	33:10 72:17 <b>context</b> 20:21 44:18,20 46:8 63:14 65:14 72:10,11 72:16 74:1 75:13 76:4 84:7 84:14,15 87:18 95:6 102:10,11 102:14,15 104:15 108:4 111:14 113:2 114:15 117:5 120:4 121:7,10 126:14 132:3 134:5 135:16 136:3,22 138:7 140:6 143:5 149:12 157:2,3 157:4 159:1,10 161:21 201:1 207:1 209:17,20 209:22 212:10 212:21 221:16 <b>contingent</b> 54:21 <b>continually</b> 73:22 <b>continuation</b> 56:3,3,4,5 <b>continue</b> 9:7 133:17 <b>contrast</b> 279:14 <b>contrasting</b> 216:19 <b>contributed</b> 37:9 <b>control</b> 265:4 <b>controlled</b> 119:5 125:15 126:1,10 127:3 127:15 <b>conventional</b> 93:10,19 94:4,13 94:20 95:11,16	131:1,15,20 140:11,22 142:22 155:8 167:2 168:19 170:1,20 171:20 184:9 213:20 238:12 241:8 262:16 263:2 264:3 269:9 <b>conventionally</b> 215:12 <b>conversation</b> 19:1 106:19,22 107:2 <b>conversations</b> 56:22 <b>conveyed</b> 63:6 <b>conveying</b> 275:9 <b>conveys</b> 63:2 <b>convince</b> 105:14 <b>copy</b> 129:20 <b>corner</b> 256:11 <b>Corp</b> 34:15 <b>CORPORATI...</b> 1:9 295:15 <b>correct</b> 7:14 10:7,11,12 11:16,17 17:11 17:12 20:8 23:17 24:7,11 25:5,6,7,10 26:21 28:17,19 30:13,15 47:4 50:10 51:4 52:7 52:11 53:13 57:19 58:1,5 62:4,5 67:17 68:7 71:22 77:1 79:10 80:12 82:12 83:19	88:18 96:20 97:20 99:14,20 110:13,14,18 117:18 133:8,16 134:12 139:17 143:14 149:2 158:8 160:8 162:4 163:1 166:18 174:16 178:17 179:12 180:19 182:11 182:22 183:3,5 189:13 191:20 201:18 209:13 213:14 221:12 236:16 244:14 251:11 252:1 254:19 256:12 256:15,19,22 258:15 259:11 259:17 260:12 262:10 271:1 272:9 274:16,22 277:7 279:9 281:10 282:5,13 287:14 290:10 291:17 294:13 <b>corrections</b> 294:15 <b>correctly</b> 32:13 65:1 66:16 77:15 196:10 <b>correlate</b> 138:15 <b>correlating</b> 183:20 <b>correlation</b> 186:3 <b>corresponds</b> 159:21 <b>counsel</b> 5:7 7:5 12:16 14:12,14 17:21 18:9,11,14,16 20:1 50:9 53:16 57:1,9 129:5 295:15	<b>couple</b> 29:11 90:11 129:4 159:13 199:13 211:17 238:6 256:8 291:20 <b>course</b> 9:5 145:15 213:15 218:20 <b>courses</b> 38:10 <b>coursework</b> 42:15 <b>cover</b> 26:11 61:6 73:9 174:18 175:14 <b>covered</b> 73:18 109:1 120:15 122:14 122:16 126:22 158:1 <b>covering</b> 175:7 <b>covers</b> 31:10 60:6 109:4 158:3,7 <b>create</b> 106:19 197:14 237:20 239:5 256:14 266:19 267:11 272:2 288:2 <b>created</b> 97:14 98:5 135:1 136:15 142:7 237:16 238:1,9 241:3 243:9,14 245:15 246:2 253:15,19 254:1 259:19,22 266:14 267:7 268:17 269:4 270:13 271:9 273:6 275:11 290:18,19 <b>creates</b> 272:6
--	--	---	--	---

<b>creating</b> 238:6 242:17 245:1,3 269:22	33:3 41:3 55:10 71:5,6,14,15,17 71:19 72:2,3,4,5 72:6,8,12 73:10 73:13 74:1,7,12 74:15 75:14,21 76:6,18 77:7,22 79:8 80:15 81:8 82:1,19,20,22 85:10 86:18 87:7 88:4 100:5 104:19 105:6 110:16,16 111:12,16,18 112:2,8 113:1 113:11 124:11 125:2 162:7,12 162:13,20,21 163:7,16 200:2 200:22 203:1 206:15,17,21 207:10,11,13,22 208:5,11,14 210:5,7,13,16 210:20,22 211:3 211:20 212:5,6 216:10,12 218:6 219:9	43:14 <b>dealt</b> 30:1 <b>debated</b> 63:22 <b>decide</b> 184:5 <b>deciding</b> 147:7 <b>decision</b> 13:13 16:3,22 17:5,10,16 70:9 70:19 111:3,17 113:10 137:21 143:16 144:16 145:20 147:9 157:14 175:9 179:19,20 203:15 <b>decisions</b> 65:3 265:14 <b>decision's</b> 152:4 <b>declaration</b> 6:5,7 8:6 10:22 11:2,7,14,14,20 13:7,14,22 14:2 15:8 16:13,22 17:15,17 29:6,7 47:2 49:20 50:3 50:5,9,13,22 51:2,7,19 52:1,3 52:6,9 53:4,12 53:15,17 57:16 57:21 58:3,9 64:10,11,12 70:21 82:17 84:5 85:1 89:20 96:12 99:16,19 110:22 112:12 112:15,20 119:1 121:1,15 122:19 134:16 135:15 140:1 141:20,20 143:11 144:11 144:22 145:8 148:4 149:3,8	150:1,9 151:7 160:16 167:17 169:15,22 175:6 180:1 181:1,4 185:2 191:8 195:18 199:5 208:22 234:19 253:8 256:4 257:17 258:14 276:15 286:2,8 <b>declarations</b> 8:4 10:6,19 <b>decreasing</b> 219:20 <b>dedicated</b> 242:14 255:12,12 260:5,17 265:21 276:20 277:5 286:14 <b>deeper</b> 36:14 243:18 <b>defined</b> 27:15 42:21 43:17 65:13 66:2 141:19 199:4 261:19 270:3 <b>defines</b> 24:2,11,12 25:17 130:6 <b>defining</b> 24:9 <b>definitely</b> 41:6,10 42:14 <b>definition</b> 63:18 64:21 65:8 65:22 66:13 67:3 68:8 90:3 90:16 91:15,18 92:17 112:1 <b>definitions</b> 62:19 92:14,18 <b>degree</b> 47:4,15,22 48:1,9 48:15 49:13 <b>deleting</b> 125:10,15,18	<b>denied</b> 265:8 <b>Deny</b> 289:13 <b>department</b> 38:8 <b>dependent</b> 108:12,15,17,22 109:5,8,11,18 109:22 110:4,9 110:11 <b>depending</b> 72:11 217:3 <b>depends</b> 48:18 61:11 107:8 108:18 110:20 202:2 218:11 220:2 <b>depict</b> 269:12 <b>depicted</b> 256:10 263:5 268:8,9 272:20 273:14 274:8 275:3 277:18 288:20 <b>depicting</b> 269:13 274:11 275:5 <b>depiction</b> 273:3,7,18 274:1 275:7 <b>depicts</b> 269:15 <b>deploy</b> 42:19 43:10,12 <b>deploying</b> 48:12 <b>DEPONENT</b> 294:10 <b>deposed</b> 7:18 8:10,12 <b>deposition</b> 1:13 2:1 5:9,14 6:2 8:16 9:5 10:1,16 11:10 13:5,6 14:7,8,13
<b>CRR</b> 1:22	104:19 105:6 110:16,16	8:4 10:6,19	<b>denied</b> 265:8	
<b>cryptographic</b> 30:15,17,18 31:14 31:16 32:15 33:7,8,15 34:21 35:9,10 45:15 74:4,7,8 100:7 102:2,8,12 105:2 218:12,15	111:12,16,18 112:2,8 113:1 113:11 124:11 125:2 162:7,12 162:13,20,21 163:7,16 200:2 200:22 203:1 206:15,17,21 207:10,11,13,22 208:5,11,14 210:5,7,13,16 210:20,22 211:3 211:20 212:5,6 216:10,12 218:6 219:9	219:20	<b>Deny</b> 289:13	
<b>cryptography</b> 31:21 34:17 42:9 44:13 88:16 104:1	210:5,7,13,16 210:20,22 211:3 211:20 212:5,6 216:10,12 218:6 219:9	<b>decreasing</b> 219:20	<b>department</b> 38:8	
<b>current</b> 103:5 281:21 283:18 284:11	210:20,22 211:3 211:20 212:5,6 216:10,12 218:6 219:9	<b>dedicated</b> 242:14 255:12,12 260:5,17 265:21 276:20 277:5 286:14	<b>dependent</b> 108:12,15,17,22 109:5,8,11,18 109:22 110:4,9 110:11	
<b>currently</b> 85:21	216:10,12 218:6 219:9	<b>deeper</b> 36:14 243:18	<b>depending</b> 72:11 217:3	
<b>CV</b> 29:8,12	219:9	<b>defined</b> 27:15 42:21 43:17 65:13 66:2 141:19 199:4 261:19 270:3	<b>depends</b> 48:18 61:11 107:8 108:18 110:20 202:2 218:11 220:2	
<b>Cycling</b> 57:4	219:9	<b>defines</b> 24:2,11,12 25:17 130:6	<b>depict</b> 269:12	
<b>D</b>	219:9	<b>defining</b> 24:9	<b>depicted</b> 256:10 263:5 268:8,9 272:20 273:14 274:8 275:3 277:18 288:20	
<b>D</b> 4:1 6:1 7:1 60:19 61:3,6,7,11,15 62:8,11,12 237:16 242:19 249:12,12 256:18 257:4,5 257:7 258:3,3 258:10,18 259:3 259:9,10,14 274:15	219:9	<b>definitely</b> 41:6,10 42:14	<b>depicting</b> 269:13 274:11 275:5	
<b>data</b>	219:9	<b>definition</b> 63:18 64:21 65:8 65:22 66:13 67:3 68:8 90:3 90:16 91:15,18 92:17 112:1	<b>depiction</b> 273:3,7,18 274:1 275:7	
	219:9	<b>definitions</b> 62:19 92:14,18	<b>depicts</b> 269:15	
	219:9	<b>degree</b> 47:4,15,22 48:1,9 48:15 49:13	<b>deploy</b> 42:19 43:10,12	
	219:9	<b>deleting</b> 125:10,15,18	<b>deploying</b> 48:12	
	219:9		<b>DEPONENT</b> 294:10	
	219:9		<b>deposed</b> 7:18 8:10,12	
	219:9		<b>deposition</b> 1:13 2:1 5:9,14 6:2 8:16 9:5 10:1,16 11:10 13:5,6 14:7,8,13	



14:14 15:3 16:14 96:14 98:19 160:13 234:14 294:2 295:7,9,9,16,18 <b>derivative</b> 284:17 <b>derived</b> 117:17 <b>derives</b> 112:6,9 <b>describe</b> 15:18 25:4 76:22 190:17 214:18 <b>described</b> 19:18 25:5 26:21 27:13 28:3 79:18 89:12 95:12 124:4 158:3 162:6 180:9 187:7 192:17 195:22 221:3 239:21 266:3 269:6 274:2 <b>describes</b> 26:20 89:11 267:6,8 269:8 276:17 <b>describing</b> 12:11 20:4 78:11 79:6 81:15 84:16 100:2 160:19 163:4,22 184:17 214:10 232:8 234:21 235:18 238:1 270:12 284:9 <b>description</b> 13:4 23:20 25:10 25:18 26:3,11 27:15 28:16 29:8 31:2 59:18 164:3 250:19 262:6 285:1,3 <b>descriptions</b> 150:12	<b>design</b> 30:11 32:2 43:11 218:18 <b>designated</b> 256:21 267:21 <b>designation</b> 153:12 <b>desired</b> 87:22 88:6 <b>destination</b> 75:4,13 78:22 79:4,16 80:8,11 80:17 83:9 85:15 106:12 107:6 116:11 174:21 256:16 256:17 <b>detail</b> 25:19 28:15 <b>details</b> 43:16 263:5 <b>determination</b> 139:20 143:17 152:13 155:1,2 157:21 160:4 265:16 <b>determinations</b> 137:6 <b>determine</b> 114:3,5 134:19,20 135:18 136:4 138:11,12 140:8 141:1,3,14 142:3,4,14 154:12 156:9 157:8 158:2 170:12 265:6 289:12 <b>determined</b> 116:14 136:13 149:9,13 154:2 154:17 156:15 184:4 <b>determines</b> 159:19 <b>determining</b> 134:14 135:3,8,11	135:12,15 137:3 137:14,15,16 138:3,5,15 140:2 141:10 142:1,11,19 143:10,12,19 144:9,12 145:2 145:10,19,21 146:7,10,21 147:3,14 148:2 148:10,15 149:5 150:13,15,18 151:11 153:7 155:2,15,16 156:1,17,20 157:16 158:13 158:18 159:6 173:8 181:4 <b>develop</b> 42:22 <b>device</b> 119:13,14,21,22 120:14,15 121:3 121:4,16,17 122:1,2 123:7,8 130:17,20,21 131:6,8,9,12,14 137:17 139:9,13 141:15,18 142:15 143:19 143:22 145:22 146:3,5,12,14 147:4,7,15,20 148:11,17 149:6 149:15,16 150:17,20 151:10,12 152:6 152:17,21 153:1 153:10 155:4 156:2,3 157:9 158:14,18 161:12,19,20,20 161:21,22 162:2 164:2,5 165:13 166:1,20 167:4 167:19,21 168:5 168:17,20,22	169:1,3,5 170:8 170:10,11,11,13 171:2,3,9,13,15 171:21 172:3,7 172:11,12,17,19 173:2,3,7,8 174:5,6,7,8,15 174:19,20,22 175:15,16,17 176:2,5,12,15 177:8,14,15,16 178:1,7,10,11 178:12,16,16,19 178:22 179:8,14 179:15 180:10 180:11 181:3,5 181:14,14 182:4 182:6,7,10,13 182:14,16,20,21 183:2,12,13,18 183:19 184:2,3 184:19,20 185:4 185:6,11,12 186:2,4,5,8,11 186:16,18,19,21 186:22 187:3,17 187:18,19,20 188:5,6,6,9,11 188:17,18,19 189:4,5,9,10,11 189:18,20 190:1 190:2,3,10,12 190:12,18 192:3 192:5,8,12,14 193:8,21,22 194:2,3,4,11 195:10 196:3,16 196:18 198:21 199:16,17 201:15 203:3 220:19 221:19 221:20,21,22 222:2,3,13,15 222:17 223:13 223:14,15 224:5 224:6,9,12,14 225:17 226:4,5	226:10,11 227:3 227:5,10 228:12 228:17 229:1,2 229:3,7,8,9,14 229:14,22 230:1 230:5,8,17,20 231:2,3 232:1 232:14,17,20 233:1,2,4,4,10 233:12 236:5 261:9 268:3 292:5,5,9,9,12 292:12,13,14,19 292:20 293:2,3 293:4,6,10,10 293:12,15 <b>devices</b> 120:1 160:20 161:1,2,6,14,15 161:16,17 162:21 163:1,8 163:17,18 185:13 188:7,12 190:5,16,20 191:19 194:15 195:12 198:10 198:18 201:11 209:12 211:19 222:8 223:18,22 224:1 231:8 234:22 236:5 269:4 <b>device's</b> 193:9 <b>dial-up</b> 165:1,17 <b>dictionary</b> 90:4,16,17 91:2 91:12,15 92:4,5 92:10,17,21 <b>difference</b> 65:18 66:6 108:12 <b>differences</b> 66:20 200:3 <b>different</b> 33:11,12 34:16
--	---	---	---	---

38:6,18 40:15	<b>differentiate</b>	<b>directed</b>	85:9 91:7 95:8	296:19
42:11 46:18	214:12 291:4	103:19	114:10 115:20	<b>divulge</b>
47:8 58:4 61:11	<b>differentiation</b>	<b>directing</b>	141:20 149:12	18:13
65:3,6 76:20	274:7	101:7	200:21 215:20	<b>DNS</b>
94:8,9 95:3,6,11	<b>differently</b>	<b>direction</b>	236:4 256:9	93:12,12 94:5
99:21 102:9	65:2	212:2 248:17,18	<b>discusses</b>	95:18,19,22
106:21 107:1	<b>difficult</b>	249:9 250:9,9	71:10	102:19 103:20
115:11 116:6,12	117:11,21	252:5	<b>discussing</b>	104:12,15 131:1
116:22 117:7,10	<b>dig</b>	<b>directly</b>	65:19 66:3 73:6	131:20,22
117:10,20	243:18	9:8 34:13 126:13	83:7 86:3 88:8	132:15,16,20
131:22 161:2	<b>digital</b>	137:10 173:5	101:17 115:2	133:9,19 134:2
162:22 166:3,6	100:7 102:2,15	209:2 293:7	189:7 193:3	134:18 138:10
166:8 170:16	126:15	<b>disagree</b>	202:21 258:18	139:5,14 140:7
194:17 196:5,14	<b>digitization</b>	70:11 145:8	272:8 273:15	140:12,17,19,22
197:2,7,19	208:4	195:15	<b>discussion</b>	142:3,12,22
198:13 204:14	<b>digitize</b>	<b>disagreeing</b>	84:7 174:4 224:4	143:5 155:8
206:10,16	207:18	67:14 144:22	233:3 255:22	159:15,18,18
211:18 212:15	<b>direct</b>	145:17 271:7,8	286:11,21	166:20,20,22
215:6,17 217:2	21:4,14 22:10	271:14	<b>discussions</b>	167:1,2,6 168:7
217:13 218:14	26:6 27:1,19	<b>disagreement</b>	57:8 228:21	168:11,12,19
222:22 223:21	28:5 29:16	147:12	<b>disparate</b>	170:1,2,20,20
224:13 226:14	32:22 43:3,20	<b>disagrees</b>	116:10	171:15,20 172:3
229:15 237:12	44:5 45:20	70:1	<b>disputing</b>	238:12 241:7,8
238:4 242:18	46:21 61:10	<b>disclose</b>	196:11	242:15 243:13
244:22 245:4	62:14 76:8 90:7	18:10 143:16	<b>distance</b>	254:8,17,21
248:17 250:7	100:11 102:7,21	224:18	119:18,20 120:1,3	255:6 257:5
252:12 254:13	103:9,15,22	<b>disclosed</b>	120:6,8 121:2	258:19,22 259:1
260:3 261:16	104:4,22 105:12	19:7 122:12	121:13,15,22	259:2,3,5,5,6,11
262:19,20	106:2 108:2	<b>discloses</b>	122:5 123:8,12	259:13,15 260:4
263:18 264:1,5	110:6 120:18	184:1 193:5	123:22 124:7,19	260:5,14,16,18
264:7 266:5,10	122:18 150:6	<b>disclosure</b>	127:13	260:20,22
266:13 267:6,8	167:14 169:12	23:14 25:20	<b>distances</b>	261:15,20 262:2
268:13 269:3,13	171:18 183:22	26:21 27:14	119:3,4 122:8	262:15,16,18
269:16,19,22	184:12,22	28:3,15 59:5,18	124:10 125:1,13	263:2,2,11
270:9,22 271:3	187:10 193:12	61:15 63:18	125:14,22 126:8	264:2,8 265:22
271:5 273:10,11	202:1,18 203:11	82:7 113:17	127:1,3,15	266:8,8,9,20
273:12 274:6	204:1 207:6,15	116:1 243:2	<b>distinct</b>	267:17 268:10
275:2,14 276:11	208:8,16 211:22	<b>discredit</b>	117:11 118:2	269:9,17 270:7
276:12 278:22	212:9 217:10	209:15	271:10 275:13	270:8 271:11,20
279:7,11,21	218:10 219:2	<b>discuss</b>	<b>distinction</b>	272:9,14,21
280:6,6,7,9	222:5,19 223:7	50:5 57:3,5 129:7	175:6,22 177:3	273:16,19 274:4
281:3,4,7 282:7	223:20 224:17	129:9,12	230:2 250:5	275:21 276:16
283:2,6 284:5	225:2 227:16	<b>discussed</b>	269:1	276:19,21
284:12 285:17	245:9,18 246:14	18:11 41:5 47:13	<b>Distributed</b>	277:18,20 278:4
285:19,20	250:21 252:10	47:19 50:3	34:18	278:5,10,11,12
289:19 292:7	252:20 253:5	60:10 67:9	<b>District</b>	278:13,14,15,16
293:1,3,12,14	290:22	82:21 84:15	2:15 295:4	278:19 279:2,4

279:5,10,17,17 279:21 281:9,9 282:10 284:3,6 285:9,17 286:14 286:15,20 289:3 289:20 290:7,13 290:17 291:1,11 291:16 <b>DNS/DDNS</b> 268:2 <b>document</b> 15:2,6 20:14,15 62:18 96:19,21 96:22 97:9,14 98:2,3,8,10,21 99:2,10,12,18 100:14,20 104:3 108:11 <b>documentation</b> 16:2 <b>documents</b> 14:22 15:19,21 16:12,18 17:3 51:17 53:12 92:15 130:2 <b>doing</b> 18:12 29:3 35:15 36:1 48:19,20 59:15 134:18 188:12 194:12 197:11 206:14 207:3 241:7 245:2 258:20 261:13 266:20 269:15 <b>domain</b> 99:12 100:3 103:6,18 104:20 130:20 131:2,8 131:15,15,17 132:3,5,7,8,10 132:16,17 133:2 134:7 139:12 149:10,13 154:2 154:11,17 155:10,13,22 156:11,16	164:10,18 165:18 166:1,3 166:4,6,14 167:20 168:6,8 168:13,15,22 170:7,9,12 171:16 172:1,5 172:10 174:6,7 174:11,14 176:3 177:14 178:7 179:7 182:5 185:6 186:3,17 186:20 188:18 190:1 194:1,4 220:18,20 221:4 221:6,9,15 222:17 223:1,5 226:4,10 227:4 227:9,11,20 228:4,15 231:1 233:5 239:22 277:4,6,9,15 <b>doors</b> 121:8 <b>dot</b> 135:17 141:17 149:14 154:16 155:6 <b>dot-com</b> 154:16 <b>dovetail</b> 37:3,4 <b>dovetails</b> 36:16 <b>Dr</b> 56:22 <b>draw</b> 175:6 177:2 <b>drawbacks</b> 93:11 95:6 <b>drawing</b> 47:12 <b>drawings</b> 232:6,7 288:20 <b>drew</b> 175:22 <b>driven</b>	210:20 <b>drone</b> 76:2 <b>duly</b> 7:3 295:8 <b>DUNNER</b> 4:5,14 <b>dæmon</b> 263:16,16 264:6,6 265:21 266:11 272:5 276:2 279:22 280:1 285:10,19 286:17 287:1,3 287:5,7,13,22 288:2,9,11,16 288:19 289:2,7 289:15 <b>dæmon's</b> 289:2 <hr/> <b>E</b> <b>E</b> 3:1,1,11 4:1,1,1,3 5:1,6 6:1,1 7:1,1 <b>earlier</b> 14:20 54:12 55:18 56:11 57:6 67:7 98:7 112:6 148:21 187:16 188:4,15 188:20 198:16 199:19 212:18 231:11 240:10 287:16 <b>early</b> 14:17,18 <b>easier</b> 18:1 42:6 98:22 <b>edge</b> 161:11 201:11,13 201:15,19,21 202:3,7,8,11,15 203:16 <b>education</b> 46:16 47:11 48:4 <b>educational</b> 36:13 38:22	<b>effect</b> 17:13 219:19 288:6 <b>effective</b> 107:12 <b>either</b> 202:11 223:9 234:6,9 <b>electronic</b> 165:1,17 <b>element</b> 85:14,15 136:16 136:16 158:7 171:12 175:2 176:21 178:20 180:15,16,20 183:2 194:22 200:15 219:12 233:20 244:5 260:6 <b>elements</b> 69:11 78:12 180:20 184:15 216:6 233:21 <b>emanates</b> 165:22 <b>embodied</b> 30:9 271:4 274:4 <b>embodiment</b> 26:12 51:13 85:7 85:8 86:6,11 87:3,17 88:8,10 159:18 160:3 164:22 165:16 166:17 168:15 184:14 191:22 192:1 195:2 196:14 204:8 205:12 208:19 229:5,13 234:9 262:1 <b>embodiments</b> 71:11 84:9 85:6 86:4,5 89:12 162:6 163:22 210:16 223:21 <b>employed</b>	296:3 <b>enable</b> 194:6 235:3 <b>enabled</b> 73:13 <b>enables</b> 265:6 <b>encountered</b> 35:11 45:8 <b>encrypt</b> 201:20 203:17 205:20 206:21 209:13 210:12 210:16 212:6 213:16,21 214:16 217:7 218:6 <b>encrypted</b> 73:11 74:2 78:14 78:21 79:8,9,15 81:12 85:10,12 85:20,21 110:16 116:20 126:14 199:17 200:8,11 200:16,18 202:16 204:16 205:1 206:7,15 208:12 210:21 <b>encrypting</b> 80:22 112:8 198:9 202:11 204:19 207:4 210:7 211:3 219:13,20 <b>encryption</b> 41:3 45:13 67:9 67:11 69:2,16 69:20 70:3 71:6 71:10,15,17,19 72:3,5,6,9,19 73:14,16,18,22 74:22 75:18 77:18,21 78:12 78:16,17 80:15 80:22 81:6 82:1 82:4,10,20,22 83:5,10,22
--	---	--	---	---

84:10,10,16 85:7,11,20 86:3 86:12,15 87:5 87:10,21 88:14 88:15 89:16,18 104:16 106:9,10 106:17,18 111:10,21 112:4 112:17 115:20 116:19 119:5 123:5,14,18 124:1,12 125:2 125:20 126:1,7 126:18 127:16 197:22 198:12 198:14,15,17 199:6,7 200:2 200:22 202:4,9 202:22 203:2 206:13 209:6,8 209:15,19,22 211:6,10,19 212:12 213:3 214:7,9 218:3 218:20 219:10 219:12 <b>endpoint</b> 186:21 <b>endpoints</b> 85:13 <b>ends</b> 163:16 201:16 202:12 260:10 <b>engineering</b> 47:16 <b>enhance</b> 74:12,15,17 77:17 <b>enhancing</b> 33:3 105:6 114:1 <b>ensuing</b> 243:14 245:4 263:17 265:13 265:14 266:22 268:20 276:2,18 279:16,20 280:3 280:8,16,21 281:2 282:20	283:7 284:2,4 284:13 285:9,13 285:18 286:16 288:15 291:1 <b>ensure</b> 86:18 87:7 88:4 106:11 <b>ensures</b> 89:22 <b>entire</b> 13:10 84:7,12 87:18 116:1 117:13 200:8 204:16,22 205:11,18,20 214:4 <b>entirety</b> 66:3 73:19 217:12 <b>entities</b> 75:4 274:13 275:2 <b>entries</b> 225:4 <b>environment</b> 39:16 <b>envisioned</b> 32:14 80:2 <b>envoy</b> 237:14,15 238:22 240:5 249:10 266:19 <b>equal</b> 117:7 <b>equals</b> 67:10 <b>Errata</b> 294:15 <b>Especially</b> 212:12 <b>ESQUIRE</b> 3:3,4,11 4:3,12 <b>essentially</b> 219:11 229:10 284:17 <b>establish</b> 197:11 203:20	<b>established</b> 194:7 198:5 253:15 <b>establishing</b> 35:20 160:20 218:21 235:18 248:2,5,8 249:16,16,19 250:14 <b>estimate</b> 14:6,9 <b>et</b> 5:16,18,19 <b>evaluate</b> 37:1 194:4 <b>evaluation</b> 68:20 136:21 140:7,18 142:13 142:14 143:4,6 194:9 <b>evaluations</b> 137:4 141:1 <b>eventually</b> 233:18 274:15 <b>Everybody's</b> 12:12 <b>exact</b> 15:16 42:3 93:2 <b>exactly</b> 30:8 44:19 104:11 108:4 182:2 <b>examination</b> 5:2 7:5 108:5 <b>examined</b> 26:16 294:12 <b>examiner</b> 25:22 26:19 27:3 27:11,14 <b>example</b> 13:1 21:2 25:4 30:14,19,19 32:6 34:14 35:3 39:19 41:20 42:19,22 44:11 45:12 48:2 51:12 60:11	61:14 68:15,21 69:13 74:8 75:20 85:16 86:11,14 88:12 92:22 93:11 105:10 107:4 108:20 116:2 123:1 135:17,21 136:11 138:10 141:17 143:9,17 144:9,13 147:3 148:9 149:5,9,9 149:13,14,19,20 152:18,19,20,22 153:5 154:2,5,7 154:11,12,17,22 155:6,10,14,22 156:7,16 157:20 158:16 162:8,9 164:9,17 168:14 192:14 200:14 221:5 229:20 <b>examples</b> 31:12,18 63:10,11 63:15 72:15 84:4 85:7 112:17 135:10 135:12,14 137:14 138:2,4 138:5,7,14,21 139:22 140:2,14 140:18 141:1 142:2 143:3 144:11,16,19 145:1,9,18 146:10 147:1,2 147:13,14 148:1 148:4,14,15 150:10,11,12 151:8,22 154:18 158:17 162:21 162:22 163:13 190:21,22 191:2 191:8 201:10 215:21 <b>excessive</b> 210:14	<b>exchanged</b> 78:20 79:14 <b>excludes</b> 227:17,17 <b>excuse</b> 273:8 <b>exemplary</b> 164:21 165:15 191:22 192:1 <b>exercise</b> 230:22 <b>exhibit</b> 5:8,10,15,17,19 6:3,5,7 9:20,21 10:3,4,13,15 11:7,9 15:16 29:12 55:3 57:15 90:7 96:12,13 98:15 98:16,17 129:16 160:10,11 234:11,12,16,19 <b>exhibits</b> 5:7,9,14 6:2 9:19 15:10,14 16:1 129:21 <b>exist</b> 66:21 223:10 240:13 <b>existed</b> 40:16 214:20 255:4 <b>existence</b> 215:19 <b>exists</b> 39:15 188:5 214:14 243:7 252:18 <b>expected</b> 90:1,2 <b>expense</b> 211:8 <b>expensive</b> 202:7 <b>experience</b> 35:18,21 36:1,7 37:8,9 38:22
--	--	---	--	---

44:6 45:5 46:4 47:13,16 48:3,5 49:5 108:9 <b>experiences</b> 25:13 32:9 37:7 37:12 45:2 <b>expert</b> 7:21 8:1,8 50:14 50:15 <b>expires</b> 296:12 <b>explain</b> 197:16 <b>explained</b> 185:13 188:20 <b>explaining</b> 181:11 <b>explanation</b> 37:7 125:12 177:4 185:20 192:21 <b>explicit</b> 62:22 63:7,18 64:21 65:22 66:13 67:3 110:3 262:21 263:1 269:19 285:1 <b>explicitly</b> 64:2 65:13 66:1 67:11 202:22 209:7 214:11 216:19 271:3 276:9 291:11,11 <b>exposure</b> 33:6 42:14 47:17 48:6 49:1 <b>express</b> 33:21 82:16 <b>expressed</b> 17:14,17 20:15 21:17 28:11 51:19 82:18 95:3 106:7 147:12 199:5 206:6 208:19 216:8 225:16	246:8 247:3 <b>expressing</b> 126:20 <b>expressly</b> 219:16 <b>extension</b> 100:3 103:6,19 104:2,20 149:10 149:14 154:3,12 154:18 155:11 155:22 156:16 <b>extensions</b> 99:13 102:17 <b>extent</b> 51:5 194:16 <b>e.g</b> 186:17 213:17 <hr/> <b>F</b> <hr/> <b>Fabian</b> 1:13 2:1 5:2 6:5,7 7:2,9 294:2,11 295:6,19 <b>fact</b> 91:14 117:9,19 152:13 154:15 210:15 227:18 283:15 <b>factors</b> 136:13 <b>fair</b> 9:16 49:11 51:1 158:15 201:17 201:18 214:17 217:8 219:14 235:4 238:1 248:20 270:12 275:18 <b>fairly</b> 65:12 100:17 228:22 <b>fake</b> 105:9 <b>familiar</b> 41:11,22 102:1 164:2 212:19 253:10 <b>familiarity</b>	218:1 <b>far</b> 47:20 73:21 104:16 193:5 199:8 252:16 265:22 288:22 <b>FARABOW</b> 4:4,13 <b>farther</b> 165:4 <b>fashion</b> 146:15 <b>fast</b> 188:22 <b>favor</b> 129:15 <b>feasible</b> 212:2 <b>features</b> 89:11 <b>February</b> 56:6,15 97:3,11 97:14 <b>Federal</b> 16:21 17:5,10 <b>feel</b> 118:16 <b>feet</b> 123:13 <b>felt</b> 51:3,8 <b>fictitious</b> 51:12 <b>field</b> 30:2 39:2,22 47:12 <b>fielding</b> 278:22 <b>figure</b> 16:6 161:9 164:5 182:1 183:5 189:5 200:15 202:12 219:17 229:4 231:13,20 232:5,19 251:10 256:4,6,11 259:16 263:5,15	266:7 267:19,20 272:19 273:7,14 273:18 274:8,10 281:19 292:4 <b>figures</b> 187:21 <b>file</b> 264:19 267:11 270:3,16,17,21 272:2,6 276:5 287:6,12,16 288:1,5,8 <b>filed</b> 13:21 15:2,11 56:6 <b>files</b> 235:6 <b>financially</b> 296:5 <b>find</b> 158:16 168:20 172:11 189:10 229:7 255:2 <b>finding</b> 95:21 <b>fine</b> 14:9 88:17,19 111:19 118:11 118:20 188:1 235:8 <b>finish</b> 96:3 <b>finished</b> 148:14 <b>FINNEGAN</b> 4:4,13 <b>firewall</b> 135:21 236:22 240:14 242:13 248:11,17 249:10,10,11 250:7,8 252:4 255:1 256:20 258:19,22 259:3 259:15 260:10 260:14,20,22 261:3,9,12,13	261:20 262:1,4 263:11,21 268:2 271:11 272:9,21 273:15,20 274:1 274:5 275:4,5,6 275:6,8,11 276:17 277:6,21 278:17,20,22 279:22 281:14 281:20 282:12 283:16 284:10 285:6 286:17 288:16 289:2 <b>firewalls</b> 237:14,17,17 238:13 239:5,6 240:13,21 241:9 242:18 245:3 249:13 259:15 259:18,21 263:3 277:17 278:15 279:13 281:10 <b>first</b> 17:19 18:2 19:11 21:15 46:9 66:11 87:1,10 90:15 97:1 100:2 112:20 119:13,21 121:3 121:16 122:1 123:7 125:10 130:11 131:6 133:10 139:4 145:6,10 148:13 158:1 166:1 181:8 185:13 191:16 203:15 204:17,20 205:10 215:11 224:14 226:7 228:2 231:21,22 232:12,13 233:10,10,19 234:22 235:17 235:19,20,21,22 235:22 236:9,10 236:15 237:5,7
---	---	--	---	---

237:12 238:5,17 239:8 240:2,8 241:4,13,19,21 242:5,11 243:3 243:6,9 244:5,6 244:12,16,17 245:16 247:12 248:2,3,7,9,13 249:4,16,17,21 250:1,13,14,17 250:22 251:19 251:20 253:2 254:7,16,20 255:12 258:6,12 258:16 259:7 261:18 262:13 263:9 264:14,17 277:1 278:18 279:4,4 280:10 280:11 282:16 283:3 284:16,18 285:3 286:19 287:5,22	<b>follow</b> 203:14 <b>followed</b> 267:12 <b>following</b> 89:11 285:3 291:15 <b>follows</b> 7:4 93:17 <b>footer</b> 97:9,11 <b>footnote</b> 50:1 <b>foregoing</b> 294:13 <b>foremost</b> 21:15 <b>form</b> 15:5 17:7 21:3,13 22:8 25:11 26:5 26:22 27:18 28:4,18 29:21 30:1 33:5 34:2 39:3,9 40:2,17 41:16 43:2,19 44:4 45:19 46:20 48:16 51:10 53:6 59:7 59:19 61:16 62:13 63:4 69:5 70:6 71:6,15,16 71:19 72:3,5 73:1,11 74:3 75:6,17 76:7 77:5,10 79:21 83:22 94:15,22 95:14 98:6 100:9 101:9 102:6,20 103:21 104:21 105:7,11 106:2,13 107:7 107:13 108:1 109:7,19 110:5 113:8,19 114:4 114:11 115:5 117:1 120:17 121:5 122:3,17	123:11,14,19 124:5,13,21 125:6,17 126:4 126:11 127:5,11 131:4,18 132:9 132:19 133:12 133:20 136:19 137:8,19 138:17 139:7,16 140:4 140:16 141:6,12 143:2 144:14 145:4 147:18 148:3,19 150:3 150:14 153:3,8 153:16 154:6,14 156:5 157:11 158:4,21 160:1 161:18 163:9 167:13 171:17 172:8 173:10 175:1,18 176:7 176:13,20 177:17 178:4 179:1,17 180:5 180:13 181:16 182:9,17 183:21 184:11,21 186:6 186:13 187:9 188:21 190:4 193:11 194:8 196:8 197:4,13 199:2 200:19 201:22 202:9,17 203:10 204:1,12 205:2,14 206:1 207:5,14 211:4 211:21 212:8 214:19 215:1,5 217:9 218:9 219:1 220:1 221:13 223:20 224:16 225:11 228:8 230:6,18 236:21 238:8 239:11 240:4,18 241:5 242:1 243:10 244:3,21	245:8,17 246:13 246:19 247:18 248:15 249:1 250:4,20 251:5 251:15 252:9,19 253:4,17 261:5 266:16,22 267:14 269:7 270:5 271:18 272:10 273:17 275:19 276:13 280:12 283:19 284:19 287:17 289:18 290:20 291:7 <b>formed</b> 51:5 197:8 <b>former</b> 34:20 <b>forming</b> 117:12 225:15 <b>forms</b> 232:16 <b>forth</b> 65:21 295:7 <b>forwarded</b> 164:7 <b>found</b> 91:1,8 150:10 196:22 197:5 257:7 <b>foundation</b> 40:7 <b>four</b> 15:13 250:8 286:18 <b>frame</b> 18:5 42:18 63:15 <b>Fratto</b> 52:1,3 <b>freedom</b> 169:10 <b>FreeS/WAN</b> 6:4 90:19,22 91:10,14 92:1,3 92:13,14,21 93:18 94:3	95:10 96:9,18 98:10 99:8,16 104:8 <b>front</b> 99:22 188:2 283:15 <b>full</b> 7:7 35:8 <b>fully</b> 12:18 <b>function</b> 272:9,9,13 273:15 273:16 277:20 277:21 289:3,3 <b>functional</b> 269:14 271:9,10 271:16 273:4,5 273:14 <b>functionalities</b> 261:17 262:5 266:18 268:8,10 268:13,17 269:19,21 270:2 270:9 271:4 275:8,14 <b>functionality</b> 202:4 264:1 266:10 267:16 268:3 272:21 <b>functioning</b> 279:13 <b>functions</b> 159:19 267:13 271:1,11 272:8 272:12 <b>fundamentally</b> 197:19 198:13 <b>further</b> 276:18 281:20 295:13,18 296:1
<b>fit</b> 151:9 153:6 <b>five</b> 15:13 118:14,18 118:20 <b>five-minute</b> 286:3 <b>flagged</b> 208:21 <b>flagging</b> 210:12 <b>flow</b> 162:20,21 163:16 231:16,20 232:9 <b>focus</b> 150:5 159:10 193:2 216:9,11 257:21 <b>focused</b> 34:21 <b>focusing</b> 38:3 226:17 <b>folks</b> 49:5				<b>G</b> <b>G</b> 7:1 <b>gain</b> 46:3 <b>gained</b> 37:13

<b>GARRETT</b> 4:4,13 <b>gatekeeper</b> 134:22 142:6 <b>gauging</b> 49:4 <b>general</b> 13:15 18:21 88:13 222:7 <b>generally</b> 21:12 22:1 25:6 28:2 50:6 104:13 108:14 111:19 214:8 216:15 234:21 <b>generate</b> 30:18 <b>generated</b> 83:10 <b>generation</b> 30:16,17 32:15 <b>geographically</b> 116:10 <b>Georgia</b> 4:8,17 <b>getting</b> 48:22 194:11 <b>give</b> 7:7,14 8:17,18,18 9:15 13:4 14:5 16:9 26:3 29:4 31:1 32:22 36:3 42:19 44:11 47:1 54:6 60:11 62:18 86:13 103:1 104:3 144:4 148:4 152:18 154:19 169:7,10 179:19 191:4 195:3 204:9 270:17 <b>given</b> 12:6,8,15 31:12 42:9 44:18 63:2 70:18 92:17,18 116:13 140:14 157:14 209:19	215:22 216:18 255:7 273:3,7 294:14 295:10 <b>gives</b> 77:22 91:17 162:20 209:7,14 <b>giving</b> 36:2,13 42:11 91:15 100:16 126:12 153:13 162:22 180:17 182:5 216:19 221:18 <b>glossary</b> 6:3 92:4 96:17 99:15 <b>go</b> 8:13 13:16,17 17:18 18:12 28:14 29:5,5 30:22 31:20 32:11 33:18 34:8 42:20 43:17,22 44:7 47:9 48:9 49:19 50:11 51:17 52:21 56:1 61:20 70:20 72:15 78:4,7,19 82:15 83:6 84:19 85:2 86:9 89:1,19 90:6 93:9,18 95:5 96:1,21 97:10 99:4,4,22 100:18 101:1,18 102:22 104:2 108:8,20 110:22 112:19 114:14 114:16 116:8 118:18,22 119:9 119:9,11 122:1 127:20 129:8,17 133:13 134:13 134:14,15 139:3 139:11 143:8,10 144:3 149:3,10	149:22 153:17 155:9 159:4,13 162:14 164:12 164:20 165:7 167:17 169:15 170:22 175:19 183:6,7 184:2 187:14 191:2 195:17 207:15 215:17 217:11 220:9,9 226:1 228:18 230:22 231:13 235:9 238:15 240:14 249:10,12 251:22 252:13 255:18,20 256:3 257:1,10 262:6 263:4,6 264:9 272:19 275:7 276:14 278:2 279:15 282:14 286:1,7,8 287:2 291:19 <b>goal</b> 197:14 <b>goes</b> 18:4 37:20 62:6 66:18 70:8 108:1 179:6 198:20,21 232:16 250:6 258:19 274:14 274:14 280:2 <b>going</b> 8:17 9:18 10:13 10:20 11:7 13:10 19:20 20:11 28:15 30:7 38:9 45:7 49:1 58:8 84:13 85:1 91:10,22 98:14 118:7 123:15 140:12 146:20 151:5,17 153:19 168:8 169:15,19	188:22 204:15 204:18 207:17 207:18,22 215:17 217:21 222:2 223:17 232:19 235:1 236:6,17 238:2 241:18 242:17 250:17 256:13 259:9,18 260:1 260:19 261:2,8 262:12,13 263:6 263:7 264:18 265:1 268:2 277:14 280:9 284:10 <b>good</b> 7:7 29:3 128:1,6 173:17 216:20 220:5 <b>grab</b> 129:16 <b>graduate</b> 36:21 38:2 42:15 <b>graduated</b> 38:14 <b>grant</b> 25:8 27:16 <b>granted</b> 23:17 24:20 235:13 <b>granular</b> 207:10 <b>graphical</b> 30:12 <b>great</b> 25:3 124:7 126:16 <b>greater</b> 218:7 <b>grounds</b> 16:4 <b>group</b> 34:17,19 42:10 <b>groups</b> 34:16 <b>guess</b>	13:8 18:16 31:4 57:4 61:11 90:2 <b>guidance</b> 57:9 <hr/> <b>H</b> <hr/> <b>H</b> 5:6 6:1 <b>hackers</b> 209:17 <b>half</b> 14:18 71:3 100:1 118:7 <b>halfway</b> 55:9 <b>hand</b> 9:18 10:13 11:7 234:10 296:9 <b>handed</b> 280:9 <b>handle</b> 245:3 262:4 277:14 278:18 279:6 285:17,18 289:8 <b>handled</b> 282:17 283:10 285:8,10 <b>handles</b> 201:15 278:19 <b>handling</b> 261:15 262:2 271:20 279:4 <b>Hang</b> 189:1 287:8 <b>happen</b> 74:2 177:22 260:1 <b>happening</b> 140:21 141:5 142:10 227:1 234:1 238:5 241:16 242:11 242:13 282:3 <b>happens</b> 166:6 173:7 200:13 233:9 236:9 253:20
---	--	---	--	---

<b>hard</b> 14:8 43:21 288:6	7:11	260:19,21 261:2	278:20,21 279:2	239:15,18
<b>harder</b> 28:19	<b>hired</b> 24:6	261:8,16,19	279:12,12,18	<b>identifies</b> 225:17
<b>hardware</b> 119:5 125:15	<b>history</b> 13:11	262:2,11 263:8	280:6 281:10	<b>identify</b> 112:14 144:7
126:1,10 127:4	<b>Hold</b> 18:9 53:18 76:12	263:11,20 264:2	<b>hour</b> 52:14 118:7	150:1 176:4
127:16	<b>honestly</b> 23:10 194:18	264:18,22 265:3	169:20	195:11 209:3
<b>HASTINGS</b> 2:5 3:12 295:20	<b>hop</b> 77:4 79:3	265:6,10,13,15	<b>hours</b> 14:10	225:18,21
<b>head</b> 8:20 19:5 46:5	<b>hope</b> 35:15	265:18,22 266:3	<b>HTTP</b> 97:2	228:17
<b>header</b> 79:2,9,12	<b>hopefully</b> 38:10 217:4	267:11,12 268:4	<b>huge</b> 217:12	<b>identifying</b> 55:18 111:2
<b>hear</b> 9:14	<b>hopping</b> 74:16,21 75:5,7	268:7,9 269:5	<b>hundred</b> 123:13	117:15,19 195:9
<b>heavily</b> 34:21 44:10	75:15 76:10	269:14,16 270:7	<b>hypothetical</b> 43:14 60:20 61:3	209:1 214:8
<b>held</b> 2:2	77:16 79:19,22	270:18,22 271:9	107:14,18	<b>identities</b> 115:15
<b>help</b> 17:20 61:19	80:2,4,14 81:7	271:16 272:7,14	208:18 223:8	<b>identity</b> 203:3
63:15 77:16	82:3,9,14 83:1,4	272:15 273:22	225:3	<b>illustration</b> 161:10 221:19
162:13	83:17 84:9	274:3 275:22	<b>hypothetically</b> 194:10 202:8	<b>image</b> 202:12 223:13
<b>helped</b> 36:8	107:4,5,9,12,22	276:3,20 277:5	212:13	<b>imagine</b> 60:15 184:18
<b>helpful</b> 11:21 31:1	108:3 111:21	277:18,20 280:9	<b>I</b>	224:21
<b>HENDERSON</b> 4:4,13	112:21 113:13	280:10,17	<b>identification</b> 9:22 10:16 11:10	<b>imbue</b> 270:21
<b>hereinbefore</b> 295:7	113:16,20 115:4	282:21 283:4	96:14 98:18	<b>immediately</b> 93:16
<b>hereunto</b> 296:8	117:6,17	285:20 286:15	160:12 234:13	<b>impact</b> 206:21 212:12,14
<b>hesitate</b> 29:4	<b>hops</b> 85:13	287:19 288:2	<b>identified</b> 12:20 13:9 19:8	212:14
<b>hide</b> 113:20 198:15	<b>horizontal</b> 274:14	<b>hosts</b> 236:13 237:5,10	53:1 112:11	<b>implement</b> 187:6
203:2	<b>host</b> 236:13,18,19	237:17,18 238:7	124:20 145:9,18	<b>implementation</b> 94:4 202:10
<b>high</b> 34:18 207:21	237:6,20,22	238:9 240:9,20	149:20 246:17	203:19
210:16 275:5	238:3 241:18	241:3,6,15	260:6	<b>implemented</b> 73:18
<b>higher</b> 211:18	243:7,8 245:3	242:14 243:5,11	<b>identifier</b> 164:1,4,11,11,15	<b>implementing</b> 120:10
<b>highly</b> 116:11	245:14 246:1,3	243:12,14,20	164:17 165:1,12	<b>implicated</b> 124:17
<b>Hill</b>	246:9 249:4,6	244:7,10,12,18	165:17 166:3,13	<b>implication</b> 204:18
	250:2,3,7,8,14	245:1 248:4,6	168:7,13,16	<b>implied</b> 120:6
	250:15 251:4,6	248:10,13,14,16	170:7,15,16,17	<b>implies</b>
	251:7,14,18,20	249:18,20 251:1	170:18 171:3,9	
	252:2,5,6,18	251:10 259:19	172:2,9,18	
	253:14,19 254:1	259:21 260:3,14	173:2,9 174:10	
	255:1,3,5,11,13	261:11,14,15	176:3 179:8	
	257:4 258:3	262:3,20 263:7	182:5 183:13	
	259:9,14 260:5	263:15,18 264:1	185:11 186:17	
	260:13,15,17,17	264:3,7 265:19	187:17 189:19	
		265:21 266:5,11	198:16 199:8	
		266:13 267:7		
		268:11,16 269:5		
		269:8,10,13		
		270:1,1,4,13		
		271:5,20,20		
		272:3,4,7 273:5		
		273:19 274:5,8		
		275:11,21 276:5		
		276:10,11		



200:21 <b>important</b> 48:5 <b>impose</b> 210:13 217:6 218:7 <b>imposed</b> 253:7 <b>impossible</b> 193:7,14,18 194:18 195:3,6 216:17 <b>impression</b> 216:20 <b>improve</b> 9:12 76:18 81:7 <b>improvements</b> 89:11 <b>improves</b> 75:21 <b>improving</b> 81:10 <b>include</b> 98:11 119:4 125:14 127:3,15 164:18 166:1 258:12 <b>included</b> 29:9 98:10 101:10 116:3 <b>includes</b> 62:11 77:4 83:1 136:6 165:11 <b>including</b> 42:12 111:20 <b>incorporate</b> 92:13 <b>incorporated</b> 91:2 92:6,7 214:15 <b>incorporates</b> 90:4,18 91:13 92:10 <b>incorrect</b> 111:4 112:2 125:4,7 <b>increasing</b>	212:11 <b>independent</b> 64:18 108:13,15 108:18,19 109:2 109:8,10,10,12 109:13,17 110:2 216:2 282:11 <b>independently</b> 116:13 <b>indicate</b> 82:8 286:13 <b>indicated</b> 22:17 <b>indicating</b> 231:18 <b>indication</b> 91:1 146:3,15 149:15 151:13 152:7,20 153:14 <b>individual</b> 116:20 <b>individually</b> 228:17 <b>INET</b> 289:10 <b>influenced</b> 211:13 <b>information</b> 12:10,21 13:1 18:10,13 47:22 53:20 79:9,12 86:20 88:5 101:8,13,16 111:18 112:3 113:12 168:18 172:11 173:9 203:1 213:16 233:5 236:19 <b>informed</b> 9:4 164:6 170:17 185:10 186:15 196:17 197:17 <b>informs</b> 164:6 <b>infringement</b> 12:1 <b>initial</b>	29:11 <b>initiate</b> 137:6 167:22 185:8 196:13 197:6 231:22 232:13 237:15 247:19 254:11 255:14 257:4,9 258:2,8 259:9 264:6 <b>initiating</b> 136:17 160:21 196:1 <b>inner</b> 115:19 <b>insecure</b> 200:14 <b>inside</b> 78:14 79:8 80:16 116:19 213:16 <b>insights</b> 36:2,3 <b>inspired</b> 46:8 <b>instance</b> 39:19 42:22 168:21 273:13 <b>instances</b> 188:3 222:13 <b>instantiated</b> 238:3 <b>instantiations</b> 237:19 <b>instituted</b> 13:13 16:3 <b>instruct</b> 18:14 19:20 53:18,22 <b>instructed</b> 9:8 <b>instruction</b> 18:20 <b>instructions</b> 19:22 <b>integrated</b> 204:8 272:12 275:15	<b>integrity</b> 100:5 104:19 105:1,3,5 <b>intended</b> 53:3 105:15 <b>interacted</b> 49:5 <b>interacting</b> 233:20 <b>intercept</b> 138:10 143:4 <b>intercepted</b> 133:10 134:11 <b>intercepting</b> 130:12,13,15 131:6 132:2 133:14,18,19 138:22 139:4,5 140:13,22 141:5 142:12,21 159:21 181:1 182:8 220:16 221:3 226:8,19 226:22 229:18 229:19 230:3,14 231:6 <b>interception</b> 140:6 229:6 <b>intercepts</b> 159:18 <b>interested</b> 296:5 <b>interface</b> 235:22 236:1 237:7 240:8 241:14 242:5 243:4 244:18 245:16 <b>interloper</b> 117:12 <b>intermediaries</b> 235:6 <b>intermediary</b> 235:3 236:5 <b>intermediate</b> 235:21 236:3,10 237:5 244:6,12	<b>internal</b> 155:18 156:4,22 157:6,7 159:7 159:11,14,15 160:7 161:6,15 <b>INTERNATIO...</b> 1:8 295:14 <b>Internet</b> 95:18 130:19 143:20 146:1,13 147:8 151:10 152:7,14 161:5 164:8 178:6 226:3,9 227:11 228:3,6,7 230:4 <b>internship</b> 34:14 35:5 36:8 42:17 <b>internships</b> 32:6 34:13 35:7 35:17 36:1,7,16 36:19 38:6,7,12 42:7 49:7 <b>interpret</b> 72:10 87:4 103:2 158:22 215:16 242:9 251:16 285:21 <b>interpretation</b> 27:5,8 58:21 59:3 59:16 67:6,19 67:20 68:3,10 68:15 151:9 152:5,15 283:12 290:2 291:5,10 <b>interpretations</b> 65:11 67:14 <b>interpreted</b> 20:21 21:20 50:16 68:18 70:12 283:22 <b>interpreting</b> 27:4 58:19 66:19 71:18 132:2 136:22 250:12 <b>intrinsic</b> 13:11
--	--	---	---	--

<b>introduce</b> 98:14 258:21	130:19 131:2,7 131:11,13,16 132:6,11,12,17 133:1,22 139:8 160:20 162:2,7 162:7,12 167:20 168:1,3 169:1 170:13 171:1,11 171:12,14 172:6 172:12,17 173:1 173:4,8 174:15 174:20 175:16 176:4 177:7,15 177:16 178:18 178:22 179:2,2 179:8,10,11 181:2,14 182:6 183:11,17,19 184:4,10,19,20 185:5,8,12,14 186:4,7,18,22 187:12,18 188:10,10,19 189:4,17 190:2 190:14,15 192:13 193:9 194:2,11 195:12 196:1,3,15,19 197:1,6,7,12,16 198:1,4 212:22 213:13,16,17,21 214:7,8,15,17 215:3,12,19 216:10 219:10 219:21 220:17 220:20 221:4,6 221:10,15 222:22 223:4 224:6,14 225:17 225:22 226:3,9 227:2,2,10,12 228:2,3,5,9,11 228:13,16 229:11,22 230:1 230:8 231:2,8 232:22 233:10 233:11,14,16,19	237:9 292:8,9 292:13,14,20,21 293:1,2,3,4,6,9 293:12,14 <b>IPR</b> 10:13,20 11:8 <b>IPR2014-00237</b> 1:5 <b>IPR2014-00238</b> 1:8 <b>IPs</b> 222:22 <b>IPSEC</b> 41:11,13,17,20,22 42:12,20,21 43:7,10,12,16 43:18 44:1,7,10 44:17,21,21 45:1,16 212:19 212:19,21 213:1 213:4,12,13,20 214:15,20 215:11,14 217:1 217:5,7,11,21 218:2,14,16,18 219:3,11,12 <b>irrespective</b> 211:11,13 <b>isolation</b> 77:11 89:17 103:3 114:6 120:2 156:6 214:1 <b>issue</b> 22:11 185:2 208:21 210:12 214:8 <b>issued</b> 11:3 25:17 241:4 241:20,21 244:15 254:2,7 254:16 255:4 <b>issues</b> 50:3,4,10,15 51:7 51:9 53:11 220:15 <b>issuing</b>	238:16 247:8,11 253:1,14 <b>item</b> 223:5 225:10,20 256:17 <b>items</b> 161:10 <b>iterative</b> 13:16 <hr/> <b>J</b> <b>JAMES</b> 4:12 <b>JASON</b> 4:3 <b>JEFFREY</b> 3:3 <b>job</b> 1:20 29:3 <b>Joe</b> 22:12 <b>JOSEPH</b> 3:11 <b>Jr</b> 5:18 <b>July</b> 18:4 37:22 54:7 54:13,13 <b>jump</b> 115:14 <b>jumped</b> 93:3 <b>June</b> 296:12 <hr/> <b>K</b> <b>K</b> 3:6 <b>keep</b> 9:4 22:9 90:12 106:19 155:17 169:18 <b>keeping</b> 20:18 47:20 50:19 69:18 93:6 95:7 163:11,11 283:21	<b>kept</b> 20:17 <b>key</b> 30:16,17 32:15 33:14 83:10 85:11,12,20 88:12,14,15,20 88:21 218:3,5,7 218:7,8,19 <b>keys</b> 30:18 44:12 87:21,21 88:12 88:16 94:6 95:19,21 102:18 <b>kicked</b> 240:19 <b>kind</b> 18:22 31:2 32:1 36:16 47:19 63:1 92:5 131:22 134:8 183:17 184:10 201:16 203:14 216:12 228:5 231:4 235:12 242:22 248:8 255:17 260:1 266:14 267:4 <b>kinds</b> 162:12 266:5 267:6 269:3 276:11 <b>knew</b> 42:2 <b>knobs</b> 219:6 <b>know</b> 8:20 9:2,12,14 16:8 21:11,21 23:22 24:18 27:7,20,22 28:11 30:1 32:10,13 35:7 35:14,18 36:9 39:6 42:5 43:16 44:19 45:2 48:19 50:12,21
----------------------------------	--	--	--	--

53:14 55:1 57:12 70:8 75:12 84:3,12 90:9 92:16,17 98:8 100:17 101:11,12,20,21 102:11 103:2,13 105:9 108:14 129:19 131:22 135:17 148:8 151:1 155:13 164:13 173:14 188:4 194:5,9 197:21 199:4 201:13 217:16 218:1 219:5 224:19 231:8 236:2 249:9 264:15 266:7 272:13 288:18 291:21 <b>knowledge</b> 24:5 33:20 35:22 37:9 46:4 48:14 49:12 <b>knowledgeable</b> 34:5 <b>knows</b> 76:2 <b>Kushan</b> 3:3 5:3 7:6 10:2 10:18 11:12 15:9 17:9 18:18 20:2,22 21:7 22:3,12,16 23:2 23:6,12 25:15 26:9 27:10,21 28:7,22 30:4 33:17 34:7 39:5 39:14 40:5,22 41:21 43:8 44:2 45:6,21 46:11 46:22 49:10 51:21 52:15,22 53:10 54:2 56:18,21 59:9 60:3 61:13,21	62:16 63:8,16 69:12 70:14 73:4 75:11,19 76:16 77:12,19 80:3 94:18 95:9 96:2,16 98:13 98:20 100:21 101:14 102:13 103:4,11,17 104:6 105:8,16 105:20 106:4,15 107:10,15 108:7 109:15,20 113:15,22 114:7 114:13 115:7 117:3 118:8,14 118:17,21 120:20 121:11 122:6 123:3,20 124:8,15 125:3 125:8,19 126:5 126:19 127:8,19 128:2,4,7 129:3 131:10,21 132:14,22 133:6 133:15 134:1,6 137:1,12,22 138:18 139:1,10 139:18 140:10 140:20 141:8,21 143:7 144:17 145:5,14 147:22 148:6,22 150:4 150:21 153:4,11 153:18 154:10 154:20 156:12 157:19 158:11 159:3 160:5,14 162:1 163:14 167:15 170:5 171:22 172:14 173:11,19 175:4 175:21 176:8,16 177:1,18 178:8 179:5,21 180:6 180:18 181:18 182:12,18 184:7	184:16 185:19 186:9,14 187:13 189:6 190:7 193:19 195:7 196:9 197:9,20 199:10 201:9 202:5 203:4,13 204:3,10,13 205:6,15 206:4 207:8,16 208:9 208:20 211:15 212:3,17 214:21 215:2,8 217:22 218:13 219:7 220:3,6,8 221:11,17 222:11 223:2,11 224:2,20 225:6 225:14 228:10 230:21 234:15 237:1 238:14 239:12,17 240:6 240:22 241:10 242:3,20 243:15 244:4 245:5,12 245:21 246:5,16 246:21 247:4,20 248:19 249:2,14 250:11 251:2,8 251:17 252:15 252:22 253:9,21 255:16 256:2 261:7 267:3,18 269:11 270:10 271:22 272:17 273:21 274:20 276:7,22 280:14 284:7,22 286:3 286:6,9,12 287:20 289:22 291:3,13 293:16	222:10 243:2 253:13 <b>language</b> 137:10,11 141:13 152:22 205:9,11 226:8 228:1 238:11 242:22 245:13 246:6,8 262:7 287:1 288:18 <b>large</b> 100:17 103:10 210:12 <b>larger</b> 39:16 <b>Larson</b> 5:15 <b>launch</b> 76:2 <b>lawyer</b> 16:5 24:17 29:2 158:9 <b>lawyers</b> 25:1 <b>layer</b> 83:9 115:19 277:10,13 <b>lead</b> 216:2 <b>leading</b> 32:6 <b>learn</b> 45:17 46:17 47:22 <b>learned</b> 36:17 <b>Lee</b> 1:22 2:13 295:2 296:17 <b>left</b> 55:8,12 <b>left-ish</b> 256:10 <b>legal</b> 7:22 16:5,21 <b>legally</b> 28:21	<b>legitimate</b> 145:1 <b>lengths</b> 126:16 <b>letting</b> 265:17 <b>let's</b> 17:18 18:1,19 29:5 30:8 34:10 42:5 48:8,10 51:12 61:17 62:2 73:2 75:20 78:2 83:6 107:11 108:8 115:14 116:8 120:10 143:8 145:13 153:17 160:9 173:15 175:19 184:2 190:8 194:20 202:6 203:16 222:13 223:14 231:16 234:18 235:9 238:15 242:22 243:17 249:15 252:13 255:15,20 264:9 267:4,19 276:14 278:2 279:15 285:8 287:2 293:18 <b>level</b> 47:11 48:4 105:6 146:4,16 151:13 152:8 275:5 <b>levels</b> 257:6 <b>leverage</b> 38:12 <b>light</b> 24:13 27:4 39:13 58:19 59:13,21 59:22 62:3 65:10 67:7 68:18,19 72:7 72:14 73:12 121:7 137:20
---	---	---	---	---

157:14 209:7 212:15 216:1 245:10 283:22 285:21 <b>limit</b> 221:15 <b>limitations</b> 219:16 <b>limited</b> 110:7 111:15,20 144:19 146:17 152:11 192:6 <b>line</b> 20:12 30:13,15 78:8,19 83:8,13 84:13 86:9,10 86:10,16 90:20 93:19 94:1,2,3 97:3,7 99:5,5 101:4 108:14 114:17,19 115:1 148:13 150:7,8 153:20 155:11 159:5,5,10,17 162:14,18,19 164:21 165:10 170:22 171:5 172:15 183:10 183:11 189:16 191:12,22 258:4 258:5,6 259:7 264:10,11 274:11,14,15,18 277:2 281:13,17 286:22 287:4,8 287:9 290:3 <b>lines</b> 84:20 85:3 89:1,4 89:8 90:8,11 144:2 159:13 183:7 257:2,14 262:8 278:2 285:14 <b>link</b> 39:20 40:15,19 64:1,17,22 65:9 65:15 66:1,13	67:4,10,16,19 68:16 69:14,16 69:18 70:2,5 72:18 73:9,11 81:16,18,22 82:5,11 83:10 84:1 85:11,13 87:20 106:8 110:17 111:4,14 137:7 154:9 198:22 199:4,15 199:20 200:12 202:14 203:20 205:8,22 267:9 <b>links</b> 40:11 41:4,15 69:2,3,4 73:7 198:6 200:20 202:14 <b>Linux</b> 6:3 96:17 <b>list</b> 29:13,15 142:2,20 <b>listed</b> 38:7 96:22 109:5 135:11 138:6 139:21 143:20 145:22 146:12 146:21 147:7 151:10 152:6,14 288:18 <b>listen</b> 165:5 <b>listing</b> 37:17 135:3 <b>lists</b> 110:1 <b>literally</b> 87:14 98:3 124:9 173:12 278:12 <b>litigation</b> 12:3,5,7,9,17 13:1 <b>little</b> 17:18 26:7 40:4 61:18 182:15 199:18 217:4 227:15 243:18	<b>LLP</b> 2:5 3:5,12 4:5,14 295:20 <b>load</b> 210:17 281:21 283:18 <b>loaded</b> 40:3 280:13 <b>loading</b> 288:8 <b>local</b> 258:19,22 259:3,5 289:10 <b>locate</b> 174:6 193:9 <b>locking</b> 121:8 <b>logic</b> 203:14 <b>logical</b> 124:3 228:22 283:14 <b>long</b> 7:11 31:8 55:14 55:15 100:15 127:20 128:3 169:19 218:7 273:9 <b>longer</b> 288:7 <b>look</b> 16:9,12,17 19:3 25:7 26:1,20 32:12,20 40:9 44:3 45:9,13 46:7 50:14 55:2 63:9 65:14 78:3 80:11 82:15 83:8 92:16 100:18 101:12 101:19 102:16 102:22 104:3 108:10 110:8 120:2,4 130:14 130:16,18 131:7 133:22 135:7 139:8 144:6	146:8 147:10 149:22 153:19 157:5 158:12,15 159:16 160:3,9 161:9 162:13 164:20 168:1,3 169:10,11 172:15 181:2,9 185:8 187:12 189:3,4,15 190:8 191:12 196:2,15,19 197:7 200:4 213:5,7 217:11 220:15 226:2,8 228:9,18 230:4 249:15 257:2,16 263:15 264:10 266:7 267:19 272:19 277:1 286:22 <b>looked</b> 13:9,12 16:4,13 21:18 23:1 38:21 39:19 46:9,10 51:22 65:6 91:8 100:14 104:10 205:5 209:5 241:2 <b>looking</b> 13:10 16:8 20:10 21:1,6,10 22:1,5 22:6,18 25:14 35:7,13 43:22 45:11 49:21 78:4 89:6,7 91:20 126:13 131:13 138:3 147:7 156:3 159:16 161:2 174:4 180:16 183:7 190:14 195:5 211:12,16 215:9 216:1 226:13,13 229:21 230:7,10	232:18 251:9,12 257:15 258:4,5 281:12 <b>looks</b> 37:20 162:19 241:17 258:5 287:12 <b>lookup</b> 131:2 132:1,6 139:14 140:12 140:22 156:10 159:18 171:15 178:5 185:3 189:9 197:1,16 226:11 227:1 230:15,19,20 231:4,9 254:22 <b>lot</b> 31:7 42:10 51:16 51:17 78:2 80:1 80:21 84:22 169:9 206:21 280:13 <b>Lots</b> 232:6 <b>lower</b> 207:12 208:3,3,13 <b>Lucent</b> 25:1 48:9,11,12 <b>lunch</b> 118:9 127:21 128:1,8 129:8 129:10 <hr/> <b>M</b> <hr/> <b>M</b> 3:4 <b>machine</b> 95:19 261:3 276:20 277:5,7 278:10,14 280:7 280:17 282:22 283:11 284:10 286:15 <b>machines</b> 95:21 278:4,5,10 278:13,17 279:11 281:15
--	---	---	--	---

281:20 282:2 283:17 <b>magical</b> 217:16 <b>mail</b> 165:2,18 <b>maintaining</b> 161:7 <b>maintains</b> 160:7 <b>making</b> 9:6 28:19 105:21 110:3,19 116:21 117:11 120:22 121:6,13,14 122:14 123:9 135:21,22 137:3 157:21 160:4 175:13 177:2,11 197:10 250:6 269:1 <b>manage</b> 284:10 <b>managed</b> 264:6 <b>managing</b> 283:17 <b>mandatory</b> 82:11 <b>manner</b> 257:5 259:12 266:21 <b>map</b> 182:2 184:15 221:10,15 222:22,22 227:11,20 237:4 237:9 <b>mapped</b> 156:10 184:2,3 224:6 244:11 280:16 282:21 <b>mapping</b> 184:3,5,6,8,10,13 223:4 227:16 237:3,7,11,13 237:16 238:13	240:10,20 241:15 242:18 243:5,21 244:1 244:19 248:21 249:5,12 250:2 250:6,9 252:4 253:20 <b>mappings</b> 240:13 244:22 248:11,17 249:3 249:8 250:1 253:15 255:7 <b>maps</b> 189:5 225:5,9,9 <b>marked</b> 5:14 9:19,22 10:15 11:9 96:13 98:15,18 160:12 161:10 234:13 <b>marriage</b> 296:3 <b>master's</b> 36:10 46:14 47:4 47:15,22 48:1,9 48:15 49:13 <b>matching</b> 196:6 <b>material</b> 21:16,18 <b>materials</b> 12:6,8,16 53:2 <b>math</b> 54:16 <b>matter</b> 197:8 296:6 <b>matters</b> 8:2 <b>Meadows</b> 7:11 <b>mean</b> 14:1 17:8 28:1 39:12 45:1 46:3 48:18 62:11 68:19 71:16 73:3 74:17 80:21 81:11	92:11 94:16 101:10 107:11 154:21 169:9 175:5 181:17 204:14 222:21 227:8 282:18 <b>meaning</b> 63:3,5,12 66:5,22 68:7 70:18 72:4 76:5 111:9 220:22 221:7,12 293:7 <b>meanings</b> 57:17 <b>means</b> 21:5 44:10,15 63:1 65:17 66:17 70:8,10 71:20 76:2 87:5 109:12 149:13 151:9 152:5 158:10 178:2 196:5 236:12 <b>meant</b> 145:22 154:16 <b>measuring</b> 56:14 <b>mechanism</b> 119:6 123:5 126:2 127:17 <b>mechanisms</b> 86:18 87:6,11,12 88:3 <b>mediate</b> 236:6 <b>mediums</b> 120:8 <b>meet</b> 14:12 146:10 148:1 157:16 178:20 182:7 <b>meeting</b> 146:7 181:1 196:6 <b>meets</b> 69:22 194:21 201:8 202:20	<b>member</b> 38:14,16 <b>memory</b> 13:18 66:15 77:15 103:5 <b>mention</b> 158:6 166:22 <b>mentioned</b> 10:20 11:20 14:22 19:12 33:9 37:13 40:10,11 45:16 56:10 66:19 85:5 86:7,12 91:8,19 92:8 170:3 173:15 192:18 197:17 210:3,4 228:2 229:19 <b>mentions</b> 87:10 91:14 92:22 115:21 168:11,12 195:1 212:21 213:1 281:14 <b>merely</b> 89:22 <b>merging</b> 284:4 <b>Merit</b> 2:14 295:2 <b>message</b> 76:1 90:1 104:12 104:14,17 105:1 105:3,4,5,14 106:5,6 107:6 107:21 115:10 116:5,13,21 117:13,16,18 119:21 121:2,13 121:15 122:1 134:22 138:13 141:4 142:6 164:6,9 170:17 177:6 194:5 197:18 <b>met</b>	14:14,17 <b>method</b> 88:1 110:11 119:12 120:10 130:7 192:22 193:1 235:18 <b>methods</b> 111:20 122:22 <b>middle</b> 9:2 <b>midway</b> 56:1 <b>Mike</b> 52:1 <b>miles</b> 123:13 <b>mind</b> 20:18 68:6 98:3 124:12 169:18 215:10 <b>minimum</b> 119:20 120:1 121:2,22 122:5 <b>minute</b> 64:21 83:7 91:11 92:1 222:15 243:2 293:18 <b>minutes</b> 118:15,19,20 128:4,5,5 169:21 220:6 <b>misspoken</b> 254:14 <b>mistake</b> 68:9 <b>misunderstand</b> 188:14 <b>mixed</b> 106:14 <b>mm-hmm</b> 14:4 16:11 25:6 26:13 37:19 40:13 55:13,22 57:19 60:12,17 66:10 71:12 79:5 81:14 88:22 89:9
--	--	---	---	--

90:14 96:20	163:18	185:6 186:3,17	169:11 192:20	153:10,10 155:3
99:1,6,11	<b>multiple</b>	186:20 188:18	204:16 206:2	156:2,3 157:9
114:22 119:8	117:20 158:17	190:1 194:1,4	212:14 217:16	158:14,18
130:5,17 171:7	167:11 188:3	220:18,20 221:4	249:11	160:20 161:1,2
174:2,13 175:11	221:5,9,15	222:17 223:1,5	<b>needed</b>	161:12,15,15,17
176:10 203:12	222:16 223:4,13	224:13 226:4,10	75:12 189:10	162:3 163:8,17
207:20 208:2	223:22 224:1	227:4,9 228:4	211:9 212:10	164:5 166:1,20
224:11 231:15	227:10,18,20	228:15 229:10	<b>needs</b>	167:3,19 168:5
231:20 232:11	239:5 251:10	231:1 233:5	108:5 200:10	168:17,20,22
232:21 236:8	259:18 278:16	237:3,8 238:18	208:11 210:5	169:1 170:8,10
256:7 257:20	278:19,21	239:2,8,9,18	237:22	170:11 171:2,13
260:18 267:22	279:17 282:3,4	240:1,1,16	<b>negotiate</b>	172:6,17 173:2
268:15 277:3,8	282:5 283:1	241:19,20 242:6	185:14 218:17	173:3,8 174:6
<b>mode</b>	284:10	244:11 247:8,13	219:3 231:8	174:15,20
287:3,5	<b>multitude</b>	247:16 253:3	233:9,18	175:16 176:2,4
<b>model</b>	31:19 222:8	257:5,6 258:3	<b>negotiated</b>	176:11 177:7,13
88:21 230:3	<b>multi-layer</b>	258:10 259:3,10	173:5 188:11,12	177:15 178:6,9
267:10 272:2	277:10	259:14 277:4,6	292:21 293:14	178:12,16,22
<b>modify</b>	<b>multi-packet</b>	277:9,15	<b>negotiation</b>	179:14,15
203:6	117:13	<b>named</b>	173:6 190:6,11,19	180:11 181:3,5
<b>modifying</b>		55:1	191:15 192:2,4	181:14,14 182:4
203:8	<b>N</b>	<b>names</b>	192:7,13 195:11	182:10,13,14,16
<b>modulate</b>	<b>N</b>	221:6,9,15 224:5	217:13 233:9,14	182:20 183:2,11
217:2	3:1 4:1,1,1 5:1,1	227:11,20	233:21 234:1,6	183:18 184:2,19
<b>module</b>	6:1,1 7:1	<b>narrow</b>	<b>network</b>	185:4,12,13,15
270:8,9 273:19	<b>name</b>	12:12 109:16	30:3 33:4 34:17	185:16,17 186:2
274:4 278:14	7:8 99:12 100:3	<b>narrower</b>	35:5 42:9 43:11	186:5,16,19,22
<b>modules</b>	103:6,18 104:20	109:17	47:17,18 63:19	187:2,18,19
278:4,11,12	130:20 131:3,15	<b>narrowing</b>	63:21 64:7,14	188:6,8,17,19
279:11	131:15,17 132:3	109:1	119:5,7,13,14	189:8,18,19
<b>Monrose</b>	132:6,7,8,10,12	<b>narrows</b>	119:21,22 120:1	190:3,9,18
1:13 2:1 5:2 6:5,7	132:16,17 134:7	108:17	121:3,4 122:1,2	191:16,17,18
7:2,9 56:22	139:12 149:10	<b>natural</b>	123:7 125:15	192:3,5,7,11
294:2,11 295:6	149:14 154:3,12	72:4	126:1 127:3,16	193:8,20 194:3
295:19	154:15,17 155:7	<b>NE</b>	127:18 130:17	194:4 195:10
<b>months</b>	155:10,13,22	4:7,16	130:19,21 131:6	196:3,16 201:3
13:17 23:5,10	156:3,11,16	<b>necessarily</b>	131:7,9,12,14	201:11 220:19
54:10,15	157:6 164:10,18	116:7 121:9	132:11 135:1,9	221:20,21 222:3
<b>morning</b>	165:18 166:1,3	211:5 269:22	136:15 139:9	222:16 223:15
7:7 129:10	166:5,6,14	<b>necessary</b>	141:15,18 142:7	225:17,19 226:3
<b>move</b>	167:20 168:6,8	44:16 48:22 49:8	143:21,22 146:2	226:5,9,11
115:13	168:13,16,22	123:2	146:14 147:4,15	227:3,4,9,21
<b>moves</b>	170:7,9,12,20	<b>need</b>	147:20 148:11	228:2,12,17
263:9	171:16 172:2,5	9:1 44:3 49:16	148:16 149:6,15	229:1,3,7 230:5
<b>moving</b>	172:10 174:6,8	72:16 75:1 76:3	149:16 150:16	231:3,22 232:13
80:16 84:11	174:11,14 176:3	100:18 104:2	150:19 151:12	232:17,18,20
<b>multimedia</b>	177:14 178:7	118:13 125:2	152:16,20 153:1	233:1,2,4,10,12
	179:7 182:5			

233:12 235:22 236:1,14 237:4 237:9 255:2 257:7 277:10 292:5,8 <b>networking</b> 39:2,8 49:14 <b>networks</b> 32:2 39:11 41:14 93:20 94:5 95:17 120:5 123:16 161:6 200:14 201:2,6 236:15 <b>never</b> 8:10,12 170:14 190:10 193:5 <b>new</b> 34:1 56:16 83:13 98:15 204:7 223:8 227:19 229:5 285:4 290:18,18 <b>Newman</b> 1:13 2:1 5:2 7:2,9 294:2,11 295:6 295:19 <b>nod</b> 8:19 <b>nonstandard</b> 154:15 155:7,13 156:9 <b>noon</b> 118:6 <b>normal</b> 79:1 266:9 <b>Normally</b> 140:5 <b>North</b> 7:11 <b>notarial</b> 296:9 <b>Notary</b> 2:15 295:3 296:18 <b>notice</b> 17:4 23:13	<b>noticed</b> 104:8 <b>number</b> 10:4,14 14:22 15:10 19:14 52:4 56:5,8 67:15 76:20 99:2 116:10 134:17 135:3 162:22 165:1,17 170:16 191:5 217:5 219:3 220:15 222:12 222:13 236:11 292:5 <b>numbered</b> 15:12 30:6 <b>numbers</b> 15:16 84:22 <b>NW</b> 2:6 3:6,13 295:20 <b>NYU</b> 38:8 <hr/> <b>O</b> <b>O</b> 4:1 5:1 6:1 7:1 <b>oath</b> 2:16 7:16 57:12 <b>obfuscation</b> 74:16 76:17,19 77:2,5,8 111:19 <b>object</b> 20:11 29:21 196:8 <b>objection</b> 9:7 15:5 17:7 21:3,13 22:8 25:11 26:5,22 27:18 28:4,18 33:5 34:2 39:3,9 40:2,17 41:16 43:2,19 44:4 45:19 46:2,20 48:16 51:10 53:6 59:7,19 61:9,16 62:13 63:4,13 69:5	70:6 73:1 75:6 75:17 76:7 77:10,14 79:21 94:15,22 95:14 98:6 100:9 101:9 102:6,20 103:8,14,21 104:21 105:7,11 106:1,13 107:7 107:13 108:1 109:7,19 110:5 113:8,19 114:4 114:11 115:5 117:1 120:17 121:5 122:3,17 123:11 124:5,13 124:21 125:6,17 126:4,11 127:5 127:11 131:4,18 132:9,19 133:5 133:12,20 136:19 137:8,19 138:17,20 139:7 139:16 140:4,16 141:6,12 143:2 144:14 145:4 147:18 148:3,19 150:3,14 153:3 153:8,16 154:6 154:14 156:5 157:11 158:4,21 160:1 161:18 163:9 167:13 171:17 172:8 173:10 175:1,18 176:7,13,20 177:17 178:4 179:1,17 180:5 180:13 181:16 182:9,17 183:21 184:11,21 186:6 186:13 187:9 188:21 190:4 193:11 194:8 197:4,13 199:2 200:19 201:22 202:17 203:10	203:22 204:6,12 205:2,14 206:1 207:5,14 208:7 208:15 211:4,21 212:8 214:19 215:1,5 217:9 218:9 219:1 220:1 221:8,13 222:4,18 223:6 223:19 224:16 225:1,11 228:8 230:6,18 236:21 238:8 239:11,14 240:4,18 241:5 242:1,8 243:10 244:3,21 245:8 245:17 246:13 246:19 247:18 248:15 249:1 250:4,20 251:5 251:15 252:9,19 253:4,17 261:5 266:16 267:14 269:7 270:5 271:18 272:10 273:17 275:19 276:13 280:12 283:19 284:19 287:17 289:18 290:20 291:7 <b>objections</b> 9:6 22:13,13,14 22:22 23:4,9 76:13 105:19 134:4 246:4 247:2 249:7 <b>observation</b> 24:19 197:21 274:17 <b>observations</b> 52:4,8 <b>obtain</b> 117:12 171:14 <b>obtained</b> 12:17 <b>obvious</b> 34:1 67:22 68:14	93:3 209:21 216:6,18 217:20 <b>obviously</b> 12:20 34:11 <b>occupied</b> 280:10 <b>occur</b> 192:4 231:5 235:3 <b>occurred</b> 233:9 240:10 244:1 254:21 295:19 <b>occurring</b> 124:19 230:16 231:4 <b>occurs</b> 139:21 233:13 253:14 <b>October</b> 1:15 14:17,18,19 295:21 296:10 <b>offer</b> 204:4 210:16 <b>offered</b> 47:2 59:4 <b>offering</b> 52:9 124:16 <b>offers</b> 209:9 <b>offhand</b> 98:7 <b>office</b> 1:1 10:5 25:8,13 <b>offices</b> 2:2 295:19 <b>officiated</b> 2:16 <b>Oh</b> 37:19 128:3 <b>okay</b> 8:7,10,21 9:1,10 9:17 10:8 11:2 11:18,22 12:10 12:21 13:4 14:5 15:10 16:17 17:18 18:6
---	--	--	--	--

19:17 22:14 23:13,19 24:2,8 25:22 29:10,15 34:9 37:12 39:18 41:2,11 41:22 43:13,15 47:5 49:11,17 51:5 52:8,12,19 54:16 55:1,21 58:12 60:9 61:4 62:8,17 65:5 66:9 67:2,13 68:21 70:1 71:13 73:15 76:21 78:10 84:19 87:1 93:9 96:11 97:17 98:14 103:18 106:7,16 110:22 115:18 118:5,10 118:12 120:21 130:4 133:9 136:9 140:11 144:10 148:7,12 151:3 153:12 160:9 162:5 166:8,11,16 169:16 173:16 176:17 177:10 181:11 182:13 183:1 185:21 191:11 199:11 199:12 220:5,14 224:1 225:8 226:21 231:11 232:8 233:7 234:10 237:2 238:5 239:7 248:1 255:15 264:12,16 270:11 281:18 287:10 288:5 <b>old</b> 94:16 <b>older</b> 94:12 95:11 <b>omit</b>	258:16 <b>omitted</b> 258:1 <b>once</b> 136:13 <b>ones</b> 15:16 30:5 33:15 50:18 51:3 112:11 <b>one-to-one</b> 220:19,21 227:8 228:15 229:10 <b>onsite</b> 121:8 <b>operate</b> 46:19 271:5 <b>operates</b> 257:5 259:11 <b>operation</b> 287:3,5 <b>operational</b> 271:17 <b>operations</b> 211:9 262:16 <b>opine</b> 50:2 51:15 64:1 64:13,15 127:6 133:14 146:6 157:12 179:19 202:19 <b>opined</b> 50:14 68:2 144:15 253:8 <b>opining</b> 111:22 157:13 <b>opinion</b> 47:2 49:7,15 51:8 52:10 67:13 68:4 69:13 70:13 82:16,18 83:22 89:22 112:5,16 121:6 124:16,22 126:6 126:17,20 180:17,20,22 195:20 199:22 200:3 204:4	206:6 209:6 215:13 217:20 225:15 285:12 <b>opinions</b> 17:14,17 33:21 50:20 51:6,18 51:19 67:20 100:16 179:20 <b>opposed</b> 284:15 <b>opposing</b> 66:16 <b>opposite</b> 222:21 <b>option</b> 110:2 156:17 <b>optional</b> 82:9,14 <b>options</b> 195:9 <b>order</b> 30:8 112:1 194:5 214:16 228:16 <b>ordinary</b> 27:8 47:14 49:15 58:22 64:5 65:16 69:19 193:4 209:4 216:3,14 283:21 <b>oriented</b> 11:6 <b>origin</b> 106:12 <b>original</b> 41:18 116:5 280:22 <b>originally</b> 12:16 46:8 <b>originated</b> 12:11 90:1 280:8 <b>originates</b> 199:16 239:7 <b>originating</b> 85:14 160:22 161:13 190:12 190:20 193:22 198:21	<b>origination</b> 75:4,13 80:7,10 105:9 <b>outcome</b> 137:5 296:6 <b>outside</b> 7:20 8:7 12:21 21:3,13 22:8 26:5,22 27:18 28:4 43:2,19 44:4 45:19 46:20 61:9 62:13 76:7 79:2 100:11,18 102:6 102:20 103:8,14 103:21 104:21 105:11 106:1 110:5 120:17 122:18 127:6 167:13 171:17 183:21 184:11 184:21 187:9 193:11 201:22 202:17 203:10 203:22 205:4 207:5,14 208:7 208:15 211:21 212:8 217:9 218:9 219:1 222:4,18 223:6 223:19 224:16 225:1 245:8,17 246:13 250:20 252:9,19 253:4 <b>overall</b> 81:8,10,11 266:14 266:17 <b>overcame</b> 35:11 <b>overhead</b> 206:18,20 209:16 <b>overtly</b> 290:17 <b>owned</b> 10:9 <b>owner</b> 1:10 3:10 4:2,11	15:11 <b>owner's</b> 14:2 <hr/> <b>P</b> <hr/> <b>P</b> 3:1,1,3 4:1,1 7:1 <b>packet</b> 76:6 78:14,15 79:7,7,8 80:15 83:16,16 85:9 85:10,16,18,19 87:2 88:9 116:9 116:12,19,19 293:7,9 <b>packets</b> 78:20,21 79:2,14 79:15 80:16 86:20 88:6 112:8 116:20 117:7,10,12,20 209:18 211:12 213:16,21 <b>packet's</b> 83:9 <b>padding</b> 115:21 116:3 <b>page</b> 5:2,9,14 6:2 8:14 28:20 49:19,20 60:5 96:21 97:1 97:10 99:22 100:2 149:8 181:10 187:22 256:3 272:18 <b>pages</b> 1:21 242:10 <b>paid</b> 54:3 <b>pair</b> 248:8 <b>Palys</b> 3:11 9:5 15:5 17:7 18:9 19:20 20:11 21:3,13 22:8,22 23:4,9 25:11 26:5,22 27:18 28:4,18
--	---	--	---	--



29:21 33:5 34:2 39:3,9 40:2,17 41:16 43:2,19 44:4 45:19 46:2 46:20 48:16 51:10 52:13,20 53:6,18,22 59:7 59:19 61:9,16 62:13 63:4,13 69:5 70:6 73:1 75:6,17 76:7,12 76:15 77:10,14 79:21 94:15,22 95:14 98:6 100:9,11 101:9 102:6,20 103:8 103:14,21 104:21 105:7,11 105:19 106:1,13 107:7,13 108:1 109:7,19 110:5 113:8,19 114:4 114:11 115:5 117:1 118:6,10 118:12 120:17 121:5 122:3,17 123:11 124:5,13 124:21 125:6,17 126:4,11 127:5 127:11,21 128:3 128:5 131:4,18 132:9,19 133:5 133:12,20 134:4 136:19 137:8,19 138:17,20 139:7 139:16 140:4,16 141:6,12 143:2 144:14 145:4 147:18 148:3,19 150:3,14 153:3 153:8,16 154:6 154:14 156:5 157:11 158:4,21 160:1 161:18 163:9 167:13 169:19 171:17 172:8 173:10	175:1,18 176:7 176:13,20 177:17 178:4 179:1,17 180:5 180:13 181:16 182:9,17 183:21 184:11,21 186:6 186:13 187:9 188:21 190:4 193:11 194:8 196:8 197:4,13 199:2 200:19 201:22 202:17 203:10,22 204:6 204:12 205:2,14 206:1 207:5,14 208:7,15 211:4 211:21 212:8 214:19 215:1,5 217:9 218:9 219:1 220:1 221:8,13 222:4 222:18 223:6,19 224:16 225:1,11 228:8 230:6,18 236:21 238:8 239:11,14 240:4 240:18 241:5 242:1,8 243:10 244:3,21 245:8 245:17 246:4,13 246:19 247:2,18 248:15 249:1,7 250:4,20 251:5 251:15 252:9,19 253:4,17 255:20 261:5 266:16 267:14 269:7 270:5 271:18 272:10 273:17 274:17 275:19 276:13 280:12 283:19 284:19 287:17 289:18 290:20 291:7 293:18,20	32:1 <b>paperwork</b> 9:18 <b>paragraph</b> 47:9 55:12 56:2 70:20 71:2,4 83:14 84:20 86:2 89:19,21 90:12 92:9 93:17 96:11 102:17 111:1,8 112:19 115:2 118:22 119:2 120:22 121:14 123:10 124:17 125:5 126:7,21 127:10 134:14 134:16,17 137:15 138:9 140:1 142:20 143:11,11 150:8 151:4,15,16 152:1,2 159:10 162:15 167:17 167:18 181:7,9 181:12 185:2 186:1,12 187:7 191:5,7,13 195:18,21 196:11 213:8 257:3,11,16 258:7,13 259:8 262:9 264:14 276:14 282:15 282:19 283:16 284:3,9,9 286:1 286:7,13 <b>paragraphs</b> 145:7,16 147:11 <b>parallel</b> 250:16 278:6 <b>parameter</b> 217:14,15 <b>parameters</b> 217:5,13 218:19 219:4,11,18 220:2 288:8	<b>parenthetical</b> 90:6,19 99:7 <b>parse</b> 267:4 <b>parsing</b> 180:19 <b>part</b> 12:5 15:3 19:15 30:9 38:4 54:20 56:5 58:2 77:6 77:13 82:8,10 82:22 83:3 125:16 142:20 152:15 159:16 175:2,5 180:8 204:19 235:17 257:12,21 264:14,18 <b>participate</b> 190:10 <b>participated</b> 23:19 <b>participating</b> 154:8 161:16 190:19 192:12 192:15 195:11 <b>particular</b> 13:8 17:21 19:22 21:1 26:12 34:22 39:19 43:11 91:4 94:9 212:5 214:9 216:4 229:7 289:4 <b>particularly</b> 119:4 125:14 127:2 <b>parties</b> 87:8 113:6,17 114:2,9 296:2,4 <b>parts</b> 44:13 81:11 85:19 <b>party</b> 164:7 177:22 188:13 191:9 194:11 295:13	<b>pass</b> 265:17 <b>passage</b> 65:7 66:12 71:2 82:13 83:20 87:1,16 92:5 94:1,20 115:12 116:15,17 126:21 144:1,8 147:10 151:8 215:10 216:22 234:8 258:1 277:21 279:10 289:1,5,6 <b>passages</b> 83:21 112:6,9,14 143:15 145:1 147:13 206:16 286:18,18 <b>passed</b> 233:22 <b>passing</b> 163:3 166:4,15 168:11,13 170:3 177:22 190:15 194:12 <b>passthrough</b> 185:18 187:3 188:13 189:8 191:1,10 <b>passwords</b> 30:12 <b>patent</b> 1:1,2,6,9,10 3:10 4:2,11 5:15,17 5:19 10:5,9,10 10:11 11:3,15 12:1 14:1 15:11 19:4,8,10,11,11 19:12,14,18 20:3,6,10,15 21:2,6,9 22:2,6 22:7,10,19 23:14,15,20,22 24:2,9,11 25:3,5 25:8,8,16,17,20 25:22 26:1,4,11
	<b>papers</b>			

26:14,19,21	226:2 229:16	28:14,16 31:16	282:2,12 283:16	64:4 71:9 80:8,9
27:11,13,16,16	230:15 231:1,14	93:12 140:12	284:10	81:9,9 84:4,12
28:2,3,6,12,14	234:10,20	143:5 167:19	<b>physically</b>	91:4 97:7
33:22 39:20	235:10,11,14	185:5 211:9	119:7 123:6	107:21,21 123:9
40:9 41:5,9,15	253:11 256:6	267:13 269:9	127:17	177:2,11 180:1
50:18 55:2,7,19	257:2,13,13	<b>performed</b>	<b>Ph.D</b>	181:8 189:15
56:8,10,14	258:5 261:20	135:4 140:19	1:13 2:1 5:2 6:6,8	196:17 197:10
57:17 58:15,16	262:7 264:10	143:1 196:5	7:2 31:10 36:11	200:7 201:7
59:5,18 60:18	266:15,17 267:5	197:2 231:17,19	38:4 46:14	206:16 210:2
61:2,7,15,20,22	286:18 287:3	244:9	294:2,11 295:6	220:22 229:6
62:6,6,17,18	290:2,3	<b>performing</b>	295:19	230:2 238:7
63:18 64:22	<b>patentability</b>	137:4 141:11	<b>pick</b>	240:17 245:15
65:7,21 66:4,12	26:17	142:1,13 171:15	29:6 57:14	246:18 254:8
66:19 67:4	<b>patentable</b>	187:4 230:15	<b>picked</b>	270:14
68:14,22 70:16	25:14	231:13 270:22	35:22 91:11 92:3	<b>pointed</b>
70:16 71:3,4,9	<b>patentese</b>	<b>period</b>	92:20 283:3,8	148:14 157:15
71:17 76:21,21	236:12	46:15,16 48:19	<b>picking</b>	161:3 174:8
77:20 78:5	<b>patents</b>	<b>permission</b>	279:4,5	175:8 177:6
80:13 81:3 82:8	8:5 23:17 24:20	146:4 151:13	<b>picture</b>	219:16
82:13,18 83:17	122:12	152:8	161:20 181:22	<b>pointer</b>
83:21 84:2,9,20	<b>path</b>	<b>person</b>	<b>piece</b>	101:15
85:2 86:2 90:4,8	111:19 112:3	47:3,13,21 48:1	115:11 116:5	<b>pointing</b>
90:16,17,17,22	113:10,12 116:6	48:13,14 49:12	289:9	101:15,18 145:8
91:3,12 92:6,8	200:1,8,9,10,18	96:7 105:22	<b>pieces</b>	152:12 185:22
92:10,12,22	200:21 201:17	206:7 215:9,11	37:2 115:10	186:12 191:8
94:14,21 95:13	204:16,22	<b>pertinent</b>	<b>place</b>	210:8,15 211:7
96:8 97:18 98:4	205:12,12,18,21	15:7,18	90:21 195:4	221:6 228:22
99:4 101:1,6	249:11 255:2	<b>petition</b>	<b>places</b>	246:7
104:7 106:9	274:12,21	13:13 16:2 50:12	112:18	<b>points</b>
108:8,10,10,12	282:10	<b>petitioner</b>	<b>Plaza</b>	85:22 144:16
108:13 110:9,9	<b>paths</b>	1:5 3:2 5:9,13 7:5	4:6,15	175:13 203:18
114:14,16 115:3	116:22 275:2	9:21 19:9 98:17	<b>please</b>	209:2 210:10
118:1 119:3,9	<b>PAUL</b>	160:11 234:12	26:8 53:22	213:2 218:22
119:11 120:11	2:5 3:12 295:20	<b>petitioner's</b>	129:18 144:3	276:21
120:16 122:9,16	<b>pause</b>	50:13	145:13 162:17	<b>pool</b>
126:9 127:2,14	64:20 185:20	<b>phone</b>	208:16 292:4	229:13,19,22
129:16,16 135:5	200:5	207:19	<b>plurality</b>	<b>portion</b>
143:16 144:2,3	<b>Peachtree</b>	<b>phrase</b>	236:11,12,13	257:22
147:14 148:9	4:7,16	50:17 63:12	244:7	<b>portions</b>
149:21 150:7	<b>Pentagon</b>	91:12 92:12	<b>plus</b>	119:7 123:6
152:11 156:13	75:22 76:4	132:3 133:14,18	141:1	127:18
158:16,16	<b>people</b>	138:22 158:12	<b>pocket</b>	<b>portraying</b>
173:20 176:19	76:17,19 80:6	182:20 258:2	120:15	77:6
178:3 179:16	208:16 214:14	<b>physical</b>	<b>point</b>	<b>posing</b>
183:6 187:14	<b>percent</b>	120:9 121:8,17	22:21 32:20	104:5
191:12 197:2	247:7	123:17 261:9	38:13 42:3	<b>position</b>
204:19 220:9	<b>perform</b>	277:5 281:14,20	51:11 63:2,20	37:21

<b>positions</b> 37:14	14:6	39:8,11 41:14	17:20 20:7 23:8	260:2
<b>possessed</b> 293:2	<b>prerequisite</b> 136:5	63:19,21 64:6	33:22 39:12	<b>project</b> 6:4 43:9 90:19,22
<b>possibility</b> 203:8	<b>presence</b> 201:5	64:14 86:20	51:9,20 52:10	91:14 92:1,15
<b>possible</b> 46:6 74:6 193:16	<b>present</b> 4:19 26:14	87:20 88:5	53:2 54:5,21	92:21 94:3
195:2,15 203:8	163:17 164:22	93:20 94:5	57:15,20 58:7,9	95:10 96:9,18
207:7 219:15	165:16 192:6	95:17 106:19	58:10 64:13	99:8,16 104:8
221:7	211:2 268:3	135:1 136:15	70:22 89:20,21	<b>promoting</b> 216:16
<b>post-bachelor's</b> 36:10	275:9	142:7 143:21	234:19 256:4	<b>prompt</b> 254:21
<b>potentially</b> 219:16	<b>presented</b> 26:1 29:12	146:2,12,14	<b>proceedings</b> 8:2,7 10:4,5	<b>prompts</b> 209:11
<b>power</b> 218:6	216:21	151:12 152:16	12:22 15:7,12	<b>propagate</b> 257:6 259:12
<b>powered</b> 211:18	<b>presenting</b> 258:13 268:1	161:17 162:2,3	22:11 50:21	263:3
<b>powerful</b> 207:3	<b>presumably</b> 42:20 211:1	185:14 191:16	51:3 53:8 54:8	<b>propagated</b> 259:14 260:9
<b>practical</b> 35:18	<b>pretty</b> 37:3 42:7 99:17	191:16,17 201:2	<b>proceeds</b> 199:16	266:9 280:5,21
<b>preceded</b> 98:9	127:12 144:2	225:19 228:7	<b>process</b> 11:13 13:16	<b>propagates</b> 262:16 275:22
<b>preceding</b> 246:12	192:9	233:10,11,14	23:20 25:12	<b>propagating</b> 266:20
<b>precise</b> 40:4 61:18	<b>prevent</b> 80:6	<b>privilege</b> 152:8	28:16 38:11	<b>propagation</b> 262:18,19
146:22 198:3	<b>previous</b> 93:17	<b>privileged</b> 53:19	45:8 50:12	<b>proper</b> 158:20
<b>precisely</b> 14:8	<b>previously</b> 5:13 9:22 10:15	<b>privileges</b> 134:21 135:19	131:16 132:6	<b>properties</b> 75:1 95:3 261:12
<b>precluded</b> 124:6	11:9 17:14	136:5 138:13	135:4 179:7	262:5 268:19
<b>preexisting</b> 241:17	96:13 98:14	141:4 142:5	196:6 235:13,15	270:18 273:14
<b>preferred</b> 164:21 165:15	160:12 234:13	<b>probably</b> 14:10 26:3 28:15	236:10 237:19	<b>property</b> 95:2 110:1
166:16 168:15	<b>primarily</b> 73:13,15 163:12	30:21 33:12	241:2 242:16	<b>proposed</b> 35:8 53:15 59:17
191:22 192:1	<b>primary</b> 36:6	37:4 42:8 91:7	246:2 254:9	65:2 122:21
<b>preparations</b> 15:3 16:14	<b>prime</b> 225:9	165:7	260:4 264:5,5	216:4
<b>prepare</b> 13:5 14:12 50:9	<b>prior</b> 11:3 12:19 13:9	<b>probe</b> 206:6	267:2 270:13	<b>proposes</b> 206:11
<b>prepared</b> 17:19 160:16	13:19 19:9	<b>problem</b> 36:22 43:5,6,7	274:6 281:6	<b>protect</b> 74:4,7,11 81:4
<b>preparing</b>	21:21,22 22:7	207:2 209:1,2,3	285:8,19 287:4	120:9 125:1
	22:19 23:3,7	209:11 210:8,11	287:5,11 289:8	<b>protected</b> 87:5
	37:1,1 56:14	210:21 211:1,16	289:9,16 290:18	<b>protecting</b> 111:15 123:15
	68:8 157:22	211:17 212:1	<b>processes</b> 13:16 237:12	124:11 205:17
	158:3 213:20	214:7 216:11	242:10 267:8,9	
	214:14	<b>problems</b> 35:1,2,7,11,12	<b>processing</b> 267:1,17 268:19	
	<b>private</b>	49:2 210:10	269:10,17,17,18	
		213:3 215:20	276:6,16,17,18	
		<b>proceed</b> 265:11	281:21 283:18	
		<b>proceeding</b> 7:21,22 10:20,21	<b>proffer</b> 49:4	
		11:15,19,19	<b>program</b> 288:6,7	
		13:21 15:2,21	<b>progress</b> 277:14	
			<b>progresses</b>	

205:18 <b>protection</b> 113:16 <b>protections</b> 123:2 <b>protocol</b> 103:7 130:19 133:22 178:6 215:19 217:1,6 226:3,9 227:12 228:3 230:4 <b>protocols</b> 102:5 <b>provide</b> 19:6 70:9 71:13 73:22 75:1,13 81:17 82:1,4 102:18 104:19 105:2 111:10 112:22 113:14 113:16 123:1 206:11 <b>provided</b> 10:6 11:14 19:8 50:11 57:6 58:9 72:14 90:16 138:21 267:16 277:10 278:3 <b>provides</b> 84:10 93:20 95:17,18 114:8 117:11 <b>providing</b> 78:1 94:5 100:5 102:15 105:1,5 122:21 140:7 269:15 <b>proxy</b> 134:18 138:10 142:3 159:15,18 <b>PTAB</b> 13:14 58:16 60:7 60:10 <b>PTAB's</b> 137:21 <b>PTO</b> 25:13	<b>public</b> 2:15 88:12,14,20 94:6 95:18,21 102:18 120:5 123:16 143:20 146:1,13 147:8 151:10 152:6,14 164:8 168:20 171:1,11,12,14 171:21 172:12 172:16 173:1 178:1 179:10,11 183:11 185:12 185:15 186:4,7 186:18,22 187:18 188:19 189:17 190:2 201:6,16 223:4 224:8 228:11,13 228:16 230:1 232:17,22 233:7 233:12 234:3 292:8,11 293:4 293:6 295:1,3 296:18 <b>publications</b> 29:13,16,17,18,22 32:4,8,19 34:10 <b>pull</b> 187:21 265:3 <b>purported</b> 170:1 197:5 <b>purportedly</b> 172:10 190:5 <b>purpose</b> 36:6 <b>purposes</b> 31:17,18,19 102:10 170:16 <b>Pursuant</b> 2:13 <b>push</b> 209:20 <b>put</b> 37:1 46:4 78:14 79:8 134:15 172:1 209:12	<b>P-50</b> 30:14 <b>P-53</b> 30:10 <b>P-56</b> 31:4 <b>p.m</b> 295:22 <hr/> <b>Q</b> <hr/> <b>quality</b> 208:4 <b>quarter</b> 258:6 <b>queries</b> 276:16 278:5,10 278:13,15 <b>query</b> 135:21 258:18,22 259:2,5,6,10 262:19 276:19 284:3 286:14 290:14,17 291:16 <b>question</b> 8:19 9:2,7,9,11,12 9:14,15 12:19 18:2,12,17 19:21 22:15 24:10 26:7 32:22 34:8 35:16 40:3 41:1 41:18 47:1 49:18 53:19 54:1 65:6 89:5 90:15 99:17,21 100:22 104:4 107:18 115:6 133:17 138:1 141:9 144:5 145:6,11,12,13 148:8 149:19 166:8 169:14,16 173:22 175:19 178:13 194:17 203:15 204:17 204:20 205:16 206:5 227:22	242:2 245:19 261:6 270:11,11 271:12 273:12 286:9 291:18 <b>questioning</b> 20:12 <b>questions</b> 8:17 26:19 29:4 29:11 52:17 57:10 58:6 90:12 118:9 129:4,13 148:13 169:11 199:13 204:14 212:16 256:9 291:20 293:16,20 <b>quick</b> 52:17 129:4 <b>quickly</b> 171:4 <b>quote</b> 71:2,7,13 84:20 86:10 90:6 257:19,22 258:14 262:8 278:3 286:17 287:1 <b>quoted</b> 257:22 262:7 277:2,22 280:18 288:18 <b>quotes</b> 13:21 281:12 <hr/> <b>R</b> <hr/> <b>R</b> 3:1 4:1 7:1 <b>random</b> 116:9 <b>randomly</b> 116:14 <b>rationale</b> 181:3 195:19 196:20 <b>reach</b> 68:12 292:12 <b>reached</b> 67:15	<b>reaches</b> 259:15 <b>reaching</b> 116:11 <b>read</b> 17:10 59:12 61:6 62:11 66:3 72:1 82:7 90:20 94:19 96:6 100:13 101:6 111:13 115:22 151:22 156:19 172:4 214:3 215:10 233:17 243:22 245:10 246:1 249:22 253:11 264:13 270:20 271:15 276:5 284:12 288:7,14 289:5 294:12 <b>reading</b> 21:11 59:16 63:6 71:14 97:5 137:9 144:5 149:4 151:15,16 155:17 171:4 203:8 209:4 214:17 244:14 245:20 262:18 280:3,15 281:1 283:14 287:4 <b>reads</b> 108:19 157:2 254:4 287:6,12 287:22 <b>ready</b> 129:19 151:1 264:15 <b>really</b> 32:20 36:19 45:3 48:18,20 167:1 196:6 269:5 <b>Realtime</b> 2:14 295:3 <b>reason</b> 18:3 21:12 59:15
---	--	---	--	---

92:3 93:2 111:2 196:4,22 197:11 198:8 199:14 <b>reasonable</b> 27:5,7 58:21 59:5 59:11 62:10 65:11 67:6 224:21 255:19 270:20 271:2 273:2,8 <b>reasonably</b> 29:14 <b>reasons</b> 22:5 68:22 76:20 93:7 145:17 176:17 209:7,14 209:19 <b>recall</b> 19:4 23:10 32:13 64:4,8 65:1 67:11 73:21 98:7 104:16 199:19 222:6 227:16 <b>receive</b> 168:6 231:21 232:12 278:4,10 278:13,15 280:1 287:2 288:12 <b>received</b> 12:22 20:1 132:20 157:6 244:17 245:16 252:17,21 276:2 276:19 279:17 279:22 284:14 286:14,16 288:16 289:7 290:17 <b>receives</b> 193:21 244:2 260:7 263:17 275:22 <b>receiving</b> 161:12 240:7 241:7,12,13 242:4,15 243:3	243:13,18,19 246:10,15 248:1 255:13 260:22 266:8 282:2 <b>recess</b> 56:20 128:8 173:18 220:7 256:1 286:5 293:19 <b>recipient</b> 89:22 105:14 <b>recognize</b> 98:21 <b>recognizes</b> 215:20 <b>recollection</b> 191:2 218:16 222:10 <b>recommendation</b> 219:5 <b>record</b> 15:21 255:21,22 286:10,11 295:10 <b>recorded</b> 132:7 <b>recording</b> 208:4 <b>recount</b> 18:22 <b>red</b> 76:3,6 <b>reduce</b> 219:12 <b>reducing</b> 212:11 <b>refamiliarize</b> 13:7 <b>refer</b> 73:22 164:5 191:7 271:10 <b>reference</b> 45:17 46:9 58:4 59:10 90:5,18 91:2,13 92:6,7 92:11,13 93:4,6 99:7 101:11,12	104:8 111:7 138:21 150:7 151:17,21 152:4 153:9 155:18 156:22 158:6 159:7,11 160:6 163:2 242:5 <b>referenced</b> 91:5 162:9 <b>references</b> 97:22 <b>referred</b> 84:8 179:15 180:12 196:16 283:7 289:9 <b>referring</b> 45:1,3 87:18 101:8 112:22 131:1 138:8 139:22 151:8 181:7 210:9 218:2 244:8 246:11 247:10 269:21 281:16 283:16 <b>refers</b> 90:18 101:3 163:12 200:1 <b>refresh</b> 13:18 <b>Registered</b> 2:14 295:2 <b>regulate</b> 217:2 <b>relate</b> 10:8 40:15 <b>related</b> 8:2 31:11 41:17 55:9,19 94:17 296:1 <b>relating</b> 11:15 31:13 <b>relationship</b> 60:5 220:20 227:9 228:16 229:10 <b>relative</b>	146:3,15 151:13 152:8 178:14 179:20 <b>relatively</b> 286:4 <b>relevant</b> 16:3 33:1 34:5,12 34:22 35:3,4,14 35:19 39:1,7 41:4,8,13,19 45:10 48:7,21 49:8 51:3,9,14 51:20 53:7,8,11 64:18 67:21 91:9 275:10,12 <b>relied</b> 44:9 83:21 <b>relies</b> 109:11,11 <b>remain</b> 202:14 <b>remember</b> 11:4 15:15 19:14 23:3,13 31:22 91:4 224:4 263:13 <b>remote</b> 235:19 289:11 <b>removed</b> 258:3 <b>repeat</b> 22:15 26:7 55:11 59:8 90:10 107:19 114:18 162:17 178:13 261:6 271:12 <b>repeated</b> 85:7 256:5 <b>repeatedly</b> 71:10 86:2,3 <b>repeating</b> 148:20 <b>report</b> 257:11 262:9 <b>Reported</b> 1:22 <b>reporter</b>	2:14,15 76:14 100:12 169:20 295:2,3 <b>REPORTER-N...</b> 295:1 <b>reports</b> 8:4 <b>represent</b> 207:11 208:1,5 <b>represented</b> 105:17 295:15 <b>represents</b> 274:18 <b>reproduced</b> 256:5 257:12 <b>request</b> 93:12 130:14,15 130:16,18 131:6 132:15,20 133:2 133:9,19,21,21 134:2 135:9 136:1 137:17 138:10,22 139:5 139:8 140:7,17 140:19 141:7,14 142:13,15 143:4 146:8 147:3,15 148:10,16 149:6 150:16,19 155:3 158:13 160:2 161:13 165:11 165:22 167:22 167:22 168:3,6 170:9 178:5 181:5 185:7,8 186:16 187:12 189:2,3,4 193:21 195:22 196:1,2,12,12 196:13,15,17,19 197:5,6,7,16 225:21 226:2,8 228:9 230:4,20 231:6,21 232:12 232:17 233:5 237:15 238:10 238:16,20,21
---	--	--	--	--

239:2,7,10,22	156:2,15,18,21	<b>residing</b>	283:10	<b>reviewed</b>
240:8,9,16,19	159:7,20 197:1	170:3	<b>response</b>	13:12 15:1,1,6,19
241:3,13,14,19	<b>requester</b>	<b>resolution</b>	14:2 15:11 133:3	17:5 53:9 104:7
242:4,5,6,12,12	146:4,16 151:14	131:16 142:22	133:4,8 135:8	160:15 232:4,6
242:15 243:3,4	152:9	176:14 177:7,21	137:17 141:14	234:16
243:7 244:2,15	<b>requesting</b>	178:18 181:13	142:15 146:8	<b>reviewing</b>
244:17 245:2,16	132:18 134:22	194:13 207:12	147:3,14 148:10	21:16
246:11,12,15,17	142:7	207:22 208:3,13	148:16 149:5	<b>RFC</b>
246:20,22 247:7	<b>requests</b>	241:8 254:8,17	150:16,19 155:3	5:10 42:20 43:17
247:11,17,19	93:13 136:14	<b>resolve</b>	158:13 160:2	44:8,9 45:3,5
250:10 252:17	261:15 262:16	93:13 172:6	181:4 238:10	98:22 99:2,8,22
252:21 253:1,14	263:2 266:20	174:14 228:5,6	272:15	100:17 101:3,7
253:18 254:2,6	271:5 275:22	282:10	<b>responsive</b>	101:16,18,19,20
254:7,11,16,21	276:12,19 279:1	<b>resolved</b>	236:14	102:14 104:9,10
255:4,13 256:14	279:7 282:4,5,6	155:8 171:20	<b>rest</b>	104:18 216:4
257:6 259:13	282:12 283:2	284:17 285:4	61:12 195:5	217:12
260:2,7,8,11	284:11 285:7	290:18	214:4 258:13	<b>RFCs</b>
261:1 262:3,12	<b>require</b>	<b>resolvers</b>	<b>restate</b>	45:11
262:20 263:6,14	35:8 46:6 67:8	100:6	290:21	<b>right</b>
263:17 264:8	70:3 72:21	<b>resolves</b>	<b>restricted</b>	8:11 10:9 20:7
265:11,17 266:8	121:8 200:17	175:15 179:7	163:18 251:21	22:20 24:3,6,10
266:9 267:17	208:14 228:15	182:4	<b>restricting</b>	26:4,12,17,18
268:20,22	<b>required</b>	<b>resolving</b>	111:12,16,17	27:17 28:3,13
269:17,18	83:22 119:19	174:19 176:11	112:2 113:2,12	29:5 31:18
272:16 277:15	125:21 126:2,7	177:14 178:21	200:21	33:13 34:1 35:4
278:19 279:5,6	126:18 228:4	<b>resources</b>	<b>restricts</b>	35:20 36:17
279:17,21 280:2	229:16 231:4	46:17	113:11 200:1	37:4,21 38:8,21
280:5,7,8,11,16	<b>requirement</b>	<b>respect</b>	<b>retained</b>	39:10,16 40:1
280:21,22 281:3	69:22 110:3,13,15	19:10 42:10 50:2	5:7 17:19,21 18:2	40:12,16 41:18
281:5,5,7 282:7	110:19 121:22	54:7 92:19	18:7	43:18 45:14,18
282:9,16,21	123:22 182:8	147:19 150:18	<b>retrieved</b>	47:6,6,10 51:15
283:5,7 284:6	201:8 204:17	157:15 176:21	171:11	52:6 54:14
284:14,15,16,18	<b>requirements</b>	177:5 178:5	<b>return</b>	55:14 57:14,18
285:4,5,13,18	26:16 196:7,7	179:18 182:10	132:17 177:14	58:4,14 59:13
286:16 287:2	<b>requires</b>	183:5 190:14	<b>returning</b>	59:14,18 60:8
288:12,15 289:7	18:13 69:16,20	194:19 206:3	131:11 132:11	61:3,8 62:19
289:8,17,19,20	106:9 111:15	213:4 265:20	174:20	63:1,3 65:9,19
290:6,7,8,9,10	156:10 205:11	285:15 287:18	<b>returns</b>	66:14,18,20,22
290:13,19 291:1	<b>requiring</b>	<b>respectfully</b>	131:2,16 132:6	67:16 68:1,6,9
291:11,12,15	220:17 236:2	70:11	133:1 176:3	68:16 69:4,13
<b>requested</b>	<b>research</b>	<b>respectively</b>	<b>reverse</b>	69:16 70:5,11
132:13 134:20	34:17,19 37:14,17	179:4	30:8	71:11,17,20,21
135:16 136:8	37:19 38:1,3,3,5	<b>respond</b>	<b>review</b>	72:1 73:8,20
138:12 140:9	38:6,7,11,17,19	169:16 278:18	13:20 15:14	74:13 75:16
141:2,17 142:4	48:13	289:16	47:11 53:2,3	76:6,18 77:9
149:11 154:1,13	<b>reside</b>	<b>responding</b>	104:9 160:18	78:7,15 79:1,4,9
154:16 155:13	7:10 168:12	280:17 282:22	232:5	79:13,20 80:8

80:18 81:9,13 81:18,21 82:2,4 83:2,3,14,18 84:6,17 85:10 85:17 86:1,12 87:3,12,14,17 88:2,9,14,15 89:7,13,16 90:16 91:16 92:15 94:6,14 94:21 96:3,9,18 97:4,12,18,21 98:4,22 99:10 99:13,17,19,21 100:4 101:8,17 102:1,2,5,16,19 105:6,18,22 107:4,6,12 108:18 109:2,6 109:16,18 110:4 110:8,10,13,17 110:20 111:7,11 111:16 112:5,8 113:7,18 114:3 114:10,16 115:4 115:11,14,17 116:6,14,16,22 117:4,5,9,13 118:1,15,22 121:4,12 122:2 122:7 123:10 124:12 125:10 125:16 126:3 127:4,10,13,19 128:7 129:15 130:6,12,13,15 131:5,17 132:8 132:15,18 133:2 133:7,11,19 135:5,7,13 136:10 137:2,7 137:13 138:6,16 139:6 140:21 141:9 142:18 143:1,8,12,15 144:12,18,21 145:3,19 146:11	147:17 148:18 149:1 150:2,9 150:22 151:19 151:19 152:1,3 152:18,21 153:2 153:7,15,21 154:5,11,13 155:2,9,11,17 156:4,6,15,18 156:22 158:3,20 159:8,13,15,20 160:16 161:7,11 161:14,17 162:3 162:8,12 163:15 163:19 164:3,10 165:10,13 166:14,17,19,21 167:4,8 168:1 169:2,13 170:13 171:10,16 172:15 173:6,9 173:14 174:7,9 174:12,15,17,22 175:10,12 176:5 176:12,19 177:9 177:19 178:12 179:6,9,16 180:3,12 181:15 181:22 182:7,21 183:20 186:5,12 187:1,8 188:2,9 189:12,14,14,20 190:3,13 191:19 191:21 192:15 193:3 194:7 195:13,17 196:14 197:12 198:1,6,13 199:1,17 200:8 200:11 201:11 201:13,17,21 203:5,9 205:19 206:5,9,22 207:4,13,19 208:1,14 210:1 210:7,11,19,21 211:18,20 212:7	212:22 213:13 213:17 214:2,17 214:22 216:12 218:15,22 219:22 220:4 221:20 222:3,17 223:16,18 224:3 224:6,10 225:7 225:20 226:20 227:5,7 229:1 229:11 231:19 232:1,8,14 233:2,4,8,15 234:7 236:3,7 236:12,15,19,20 237:10,12,19 238:6,20 239:1 239:2,4,10,19 240:3,7,12,17 241:4,15,22 242:7,17,21 243:8,9,17 244:2,13,16,20 245:15 246:3,10 246:12,22 247:1 247:5,9,21,21 248:10,12,14 249:6 250:3 251:4,10,14,20 251:22 252:3,6 252:7,18 253:16 254:2,5,12,18 254:20 255:8 256:11,14,17,18 256:20 257:14 257:19 258:10 259:1,6,10,13 259:16,20 260:3 260:15,20 261:21 262:14 263:8,10 264:19 264:22 265:2,5 265:8,12 266:2 266:4,15 267:13 268:3,5,8,14 269:6 270:13,18 271:6,11 272:3	272:6,18,22 273:2,16 274:1 274:7,9,10,13 275:2 277:11,21 278:9,13,15 279:1,3,13,19 280:11,18 281:22 282:4,8 282:11,14,17,22 284:2 285:6 286:1 287:7,13 287:21 288:3,9 288:11,12,19 289:3,6,17 290:4,14,16,19 291:16 292:6 293:16 <b>RMR</b> 1:22 <b>Road</b> 7:11 <b>role</b> 12:3 187:3 188:7 189:8 195:9 198:13,15 <b>rough</b> 14:5 <b>route</b> 79:12 80:17 115:11 194:5 202:7 235:20 <b>routed</b> 116:22 241:18 244:18 293:10 <b>router</b> 79:3 120:13 161:7 201:13,15 202:3,3,8 223:17 <b>routers</b> 78:21 79:1,11,13 79:16 83:2 116:10 161:5,11 201:11,19,21 202:11,15 203:17 <b>routes</b>	115:10 117:8,10 117:21 250:17 <b>routing</b> 76:22 77:2,21 79:19 80:6 83:18 84:16 115:3 240:9 241:14 243:4,8 <b>row</b> 183:17,19 213:7 224:13 <b>rule</b> 265:1 <b>rules</b> 8:13 264:19 <b>run</b> 167:11 169:8 <b>running</b> 167:7 <b>runs</b> 94:2 <b>rush</b> 169:15  <hr/> <b>S</b> <hr/> <b>S</b> 3:1 4:1 5:1,6 6:1 7:1 135:17 141:17 149:14 152:19 153:9,12 153:13 154:5,16 155:6 <b>saw</b> 53:12 92:4,11 246:1 <b>saying</b> 8:21 16:1 40:18 48:3 54:7 62:22 66:21 74:20 85:18 87:9,19 94:3,7,12,19,20 111:1,22 115:9 115:12 118:1 120:13 122:19 123:4,12 125:9 125:11 126:12 140:5,15 143:3 144:22 145:16
--	---	---	---	--

146:9,20 150:9	130:18 131:5	174:7 175:7,14	26:6 27:1,4,19	137:2,16,17
152:10 157:5,10	133:21 134:13	180:10 182:5	28:4 43:3,19	138:6 139:9
157:17 163:15	134:18 135:8	195:13 202:6	44:5 45:20	141:15,18 143:8
167:18 168:15	137:11,16 151:8	203:16 207:17	46:21 58:19	143:21 145:12
172:4,9,22	153:22 154:2	208:10 221:2	59:1 61:9 62:13	146:2,5,14
176:1 179:22	155:17 156:14	222:14,21	76:8 91:6	147:4,15,20
181:12 189:2,9	157:13 159:5,12	223:12 224:22	100:11 102:7,21	148:11,16 149:6
189:21 191:21	159:17 163:15	228:14 229:2,21	103:8,14,22	149:15,16
195:21 196:12	163:20 164:21	233:6 234:6	104:22 105:12	150:16,19 151:2
199:6,14 213:19	165:11 166:4,4	251:9,13,18	106:1 108:2,17	151:12 152:16
214:2,6,13,22	166:15,16 169:6	254:5 282:16	109:16 110:6	152:20 153:1,10
215:3 216:22	170:15,18 171:1	283:1	120:18 122:11	153:10 154:19
217:19 223:8	172:1,16 173:12	<b>scenarios</b>	122:18 127:7,14	155:3 156:2,3
230:12 247:16	173:13 177:21	150:12 192:10,11	167:14 171:18	157:9 158:14,18
248:12,21	187:15 189:16	<b>scheme</b>	183:22 184:12	165:3 171:2,13
253:22 259:1	194:15 209:3	75:15 76:22 79:6	184:22 187:10	172:17 173:1
261:4 263:19	210:15 213:15	79:19,22 80:2,4	193:12 202:1,18	174:6,15 175:16
264:17 266:4	213:18 214:4	81:7,7,17 82:3,9	203:11,22 205:4	176:4 177:7,14
267:5 268:12	218:14 221:21	82:14 83:1,17	207:6,15 208:7	178:6,12,16,22
270:7 274:3	222:6 225:4	84:17 93:10,20	208:15 211:22	179:14,15
275:12,13,20	228:2,3 233:16	94:4,20 95:7,11	212:9 217:10	180:11 181:2,5
276:9 277:19	234:9 237:15	95:12,17 100:4	218:10 219:2	182:10,13,14,16
278:1 279:10	238:16 242:4,15	107:4,5,9,12,22	221:8 222:4,18	182:20 183:1,11
280:15 283:13	243:3,19 244:5	108:4 112:7	223:6,19 224:17	183:18 184:2,19
284:13 287:11	245:14 246:7,10	115:4 165:22	225:1 245:9,18	185:12,13 186:4
288:14,15 289:1	246:15 247:9,11	174:8,18 176:2	246:14,19	186:19,22
290:4,8 291:8	249:16,19 254:3	177:12,13	247:18 248:15	187:18,19
<b>says</b>	259:4,8 262:15	178:11,15 179:9	249:1 250:4,21	188:19 189:1,18
28:13 38:18 56:4	264:13,17 265:6	182:3 190:10	251:5,15 252:10	190:3 191:16
60:16,18 61:2,7	266:2 272:12	192:10 193:10	252:20 253:5	193:2 194:3
61:15,22 62:2,7	275:17 276:1,15	206:8 215:15	<b>SCOTT</b>	196:3,16 204:18
62:12 67:10	277:4,9 278:3	217:3 221:18	3:4	213:9 215:13
70:2 72:2 78:1	278:12,17	222:12,15 224:3	<b>screen</b>	220:18 222:3,16
82:14,21 83:8	279:14,16,18,20	225:16 230:16	261:13	223:15 224:13
84:8 85:11 86:2	281:19 282:15	234:21 263:20	<b>seal</b>	226:3,5,9,11
87:4,4,14,15	282:20 284:1	265:1 266:3	296:9	227:3,4,9
88:2,12 89:10	285:7,9,17	<b>schemes</b>	<b>second</b>	228:12,17 229:1
91:20 92:9,16	287:3,22 288:6	75:5 88:20	11:14,19 52:16	229:3,7 230:5
93:10,19 95:1,4	288:11 289:6	214:15	66:18 71:3	231:3 233:1,3,4
95:15,16,16	290:9,17	<b>science</b>	86:13 88:11	233:11,11
98:10 101:11	<b>scaling</b>	1:7 38:8 47:15	89:3 102:16	234:22 235:19
102:16,17	279:6	295:14	119:13,22 121:4	235:20 236:1,1
108:22 109:22	<b>scenario</b>	<b>scientist</b>	121:17 122:2	236:15 237:3,8
113:10,13	75:12,14 85:9	38:17	123:8 129:18	238:17,18 239:2
115:18 116:8	88:9 124:4,17	<b>scope</b>	130:17,19,21	239:9,19 240:1
117:9,14 119:20	125:21 127:1,9	20:12,17 21:4,14	131:7,8,14	240:11,16
121:6 130:8,14	161:4 170:6	22:9,10 24:4,12	135:7,9,12	241:21 242:7



244:11,20	154:1,9,13	<b>see</b>	<b>seeing</b>	239:4 242:10,10
245:14 246:3	155:4,12 156:1	16:1 19:18 20:3	38:19 39:21	263:14 264:7
247:8,12,13,17	156:1,10,14,17	21:9 22:6,18	195:6 250:18	266:7,18 268:10
248:5,6,9,13	156:21 157:9	24:8 25:3,14,16	<b>seen</b>	273:20,22 275:1
249:5,6,19,20	158:14,19 159:6	25:18,21 26:2	12:10,12,20	276:3,15,20
250:2,3,15,15	159:20 181:6	27:12,14 28:13	101:22 209:1	277:7 279:22
250:17 251:4	198:5,22 199:3	28:15 29:22	<b>selected</b>	284:1 285:11
252:3,3,7 253:2	199:14,20	30:9 31:7,20	190:16 229:13	286:15,17
253:3 255:8,9	200:12,20	32:21 34:10	<b>send</b>	288:16,17,19
266:21	202:13,20	36:21 38:7	76:1 172:2 293:7	289:2 290:4,9
<b>secrecy</b>	203:20 205:8,21	40:21 42:5 43:5	293:9	<b>separately</b>
105:3	218:21 227:21	43:12 44:17	<b>sender</b>	55:4
<b>section</b>	228:2	55:8,16 56:2,7	90:1,2 105:15,17	<b>separates</b>
57:16,21 78:8	<b>secured</b>	56:15 60:5 62:7	105:18 213:15	290:6
83:7 86:10 88:7	111:14 142:3	63:18 64:21	<b>sending</b>	<b>separation</b>
144:5 150:7	227:20	65:7 66:6 71:7	243:20	117:6,6
169:13 257:12	<b>securely</b>	73:12 76:5,9	<b>sends</b>	<b>sequence</b>
257:15	201:5	78:3 82:8,10	132:16	142:21 231:12,18
<b>secure</b>	<b>securing</b>	83:11 85:3,4	<b>sense</b>	233:13 260:2,13
29:19 30:1,20	33:10 86:18 87:6	86:16,21 92:14	18:22 21:19 31:6	263:8
32:2,16,17	88:3 203:1	93:5,14,21	36:22 40:21	<b>sequential</b>
35:20 36:3	<b>security</b>	96:22 97:5,10	44:22 59:4 68:4	285:2
37:10 39:20	30:3,10 31:5 33:3	99:7,22 101:3	220:21 249:3	<b>series</b>
40:10,14,18,18	34:17 42:10	104:10 111:5	275:10 292:19	15:12 263:7
41:4,14,19	47:18 49:14	118:16 119:15	<b>sent</b>	285:2
64:17,22 65:8	71:5,14 72:2,4,8	119:17,19 125:7	73:10 83:17	<b>served</b>
65:15,22 66:13	72:12 73:13	130:6,8,10,21	116:5 117:20	7:21 8:1 185:18
67:3,10,16,19	74:1,12,15	136:1 148:9	164:1,4 170:7	<b>server</b>
68:15 69:4,14	75:15,22 76:18	149:4 153:22	206:8 214:16	79:4 95:18,19,22
69:15,17 70:2,4	77:17,22 81:8	154:3 155:19	216:10 217:7	104:20 132:16
73:6,8 74:18	82:1,19 84:11	157:7 159:8	219:10,21 285:5	132:21 166:20
75:2,3 77:7	99:13 100:3	162:21 165:10	293:10	166:21 167:1,11
81:16,17,21	103:6,18 105:6	165:19 171:1,5	<b>sentence</b>	258:19 259:1,3
82:4,11 84:1	111:10,13	172:20,21 174:1	55:15 62:7 63:1	259:5 260:14,16
93:20 94:5	112:22 113:1,4	183:10,14	72:2 86:17 88:2	260:20 261:20
95:17 106:8	113:5 114:1,8	189:16 192:18	88:11 89:10,15	272:14 276:21
110:17 111:3	117:16 118:2	193:4,6,15	89:17 93:10,16	277:4,7,9
113:4 134:19	119:6 121:18	194:20 197:18	93:21 101:3	286:16
135:2,10,16	122:21 123:6	215:11 217:17	103:2 111:8	<b>servers</b>
136:2 137:6,18	125:1 127:17	230:2 238:19	112:21 123:9	79:1,17 94:6
138:11 140:9	134:21 135:18	244:7,22 250:5	127:10 153:22	103:20
141:2,16,18	136:4 138:13	251:7 252:1	171:6 214:3	<b>serves</b>
142:8,16 143:18	141:3 142:5	257:8 262:13	257:8 282:20	66:15 77:15
147:5,16,20	146:4,16 152:8	264:20 266:13	284:12	170:16
148:11,17 149:7	213:13,17	267:20 270:6,6	<b>sentences</b>	<b>service</b>
149:11,16	<b>security-aware</b>	276:9 278:7	87:11	135:10 137:18
150:17 153:2,14	100:6	284:3 285:11	<b>separate</b>	141:16,19

143:19 147:5,16 148:18 149:7 150:17 153:2,15 155:5 156:11 157:10 158:15 158:19 167:6,6 168:7 172:3 261:3,9 277:15 <b>services</b> 148:12 167:11 <b>serving</b> 276:20 286:15 <b>session</b> 87:21 129:1 <b>set</b> 65:7,21 237:13 238:21 239:4,4 240:2 244:9 251:19 253:18 281:6,8 282:10 295:7 296:8 <b>sets</b> 248:9 250:12 252:6 <b>setting</b> 198:1,2 240:5 250:16 <b>settings</b> 36:22 122:20 123:16,18 <b>setup</b> 245:2 <b>share</b> 281:21 <b>shared</b> 87:22 <b>sharing</b> 283:17 <b>sheet</b> 294:16 <b>shoot</b> 118:14 128:2 <b>shorter</b> 124:19,22 <b>SHORTHAND</b> 295:1 <b>show</b>	68:8 69:2,14 70:4 166:5 180:21 197:22 199:14 <b>showing</b> 86:12 164:17 271:15 272:1 275:13 <b>shown</b> 256:10,17 257:19 <b>shows</b> 85:6 162:11 273:18 <b>side</b> 35:6 134:15 289:10,11 <b>SIDLEY</b> 3:5 <b>signal</b> 123:7 126:15 207:12,13 208:1 208:13 <b>signals</b> 119:2 122:8 125:12,22 126:16 127:1,13 <b>Signature</b> 294:1,19 <b>signatures</b> 100:7 102:2,15 <b>signed</b> 294:16 <b>significance</b> 17:4,8 76:5 124:10 <b>significant</b> 120:8 123:8 196:22 <b>silent</b> 290:12 291:14 <b>similar</b> 11:18 20:19 23:14 <b>similarly</b> 41:8 <b>simple</b> 89:4 154:21 <b>simpler</b>	41:1 100:22 109:21 138:1 <b>simplicity</b> 10:21 <b>simplistic</b> 61:14 <b>simply</b> 99:17 187:3 191:9 194:4 196:17 <b>Simultaneous</b> 100:8 <b>single</b> 22:13 85:13 158:6 220:17 223:5 248:21 271:15 272:20 282:12 287:15 <b>sir</b> 59:8 151:7 183:9 214:11 <b>sit</b> 103:12 204:11 <b>site</b> 134:19,21 135:2 135:16,19 136:7 138:11 140:9 141:2 142:4,6,9 149:11 154:1,7 154:13 155:12 156:1,15,17,21 159:6,20 <b>sites</b> 155:19 156:22 157:6 159:8,11 <b>sits</b> 161:20 <b>sitting</b> 120:7,11,12 217:13 <b>situation</b> 73:10,17 223:9 227:18,19 243:6 <b>situations</b> 123:21 126:9,17 126:22 195:15 <b>size</b>	117:7 211:11 218:3,20 <b>skill</b> 27:8 47:3,14 49:12,16 58:22 64:5 65:17 69:19 96:7 107:17 193:4 206:7 209:4 215:9 216:3,14 230:8 283:21 <b>skilled</b> 20:20 24:14 <b>skimmed</b> 17:1 <b>slightly</b> 12:14 38:18 47:7 65:5 <b>slowly</b> 271:13 <b>small</b> 210:22 211:3 <b>smaller</b> 218:5 <b>Snyder's</b> 45:13 <b>solidify</b> 36:8 <b>solution</b> 44:20 208:11 <b>solutions</b> 35:2 49:2 <b>solve</b> 43:7 44:19 212:1 <b>solving</b> 207:2 212:4 <b>somebody</b> 207:19 <b>somewhat</b> 273:11 <b>soon</b> 118:13 286:4 <b>sorry</b> 14:18 16:4 23:5 27:13 46:16 54:15,17 55:4 55:11 70:21	84:22 89:7,20 94:2 97:6 98:15 109:11 114:18 114:20,21 119:19 121:14 128:3 131:12 139:2 152:21 165:3,4 170:12 171:4 178:10 181:22,22 187:21 193:18 200:5 205:7 213:6 223:3 226:6 227:2 241:20 262:5 263:1 268:20 269:4 272:16 273:8 278:11 291:19 <b>sounds</b> 9:16 54:13 <b>source</b> 105:22 106:3 107:5 <b>sources</b> 284:12 <b>so-called</b> 209:17 <b>spawn</b> 276:3 <b>spawned</b> 263:16 264:4 265:20 266:11 268:11 272:4,15 274:5 <b>spawning</b> 289:16 <b>spawns</b> 263:17 285:20 289:8 <b>speak</b> 129:5 <b>speaking</b> 39:4 64:11 100:8 114:3,6 132:10 165:11 178:21 189:3 207:19
--	--	--	--	--

208:18 226:2 268:13 279:3,15 <b>speaks</b> 206:19 <b>special</b> 62:18 63:3,5 <b>specific</b> 21:6 46:4 50:15 50:17,18,21 69:8,9 70:18 73:3 98:11 102:10 131:19 147:6,8 161:8 168:11 176:21 199:18 229:1 <b>specifically</b> 20:16 26:8 32:12 39:12 46:7 54:8 59:8 91:5 163:10 206:12 222:9 228:19 279:16 <b>specification</b> 28:12 43:22 44:17 58:20 59:13 60:7,18 61:7 62:4,7,12 63:11 68:18,19 71:4 82:19 97:18 120:4 228:19 245:20 259:4 288:21 <b>specifications</b> 13:8,19 20:16 24:13 44:8 59:21 60:1 63:6 94:8 108:5 146:17 159:1 195:5 238:12 245:11,11 266:6 284:1 <b>specifics</b> 69:11 100:15,19 103:1 104:1 107:8 109:13 202:2 217:16 218:11 251:22	<b>specified</b> 226:12 <b>specifies</b> 119:18 195:14 <b>specifying</b> 196:2 226:22 238:17 247:13 253:3 <b>spent</b> 14:6 <b>spoke</b> 198:16 <b>spread</b> 154:19 <b>STACH</b> 4:3 <b>staff</b> 38:15,16 <b>standard</b> 41:13 42:21 43:18 58:15,18 59:11 60:7,15 61:5 62:10 67:8 100:4 101:21,22 103:6,10,19 <b>standards</b> 27:2 46:18 <b>stands</b> 109:10 <b>start</b> 18:1 32:8 256:8 <b>started</b> 48:10 50:8 54:12 <b>starting</b> 37:14,22 84:13 108:13 114:19 171:6 <b>starts</b> 94:1 95:5 129:17 162:15 181:10 213:8 235:11 257:3 258:7 <b>state</b> 35:14 48:21 <b>stated</b> 47:10 63:7 64:2 100:10 117:2	123:4 125:4 145:20 149:8 151:7 174:10 185:1 271:3 <b>statement</b> 62:22 72:12 124:18 125:11 125:20 145:16 217:8 219:14 <b>statements</b> 51:11 198:4 <b>states</b> 1:1 119:12 271:19 <b>stating</b> 156:14 192:9 207:1 <b>STEIN</b> 4:12 <b>step</b> 130:11,12,13 131:11,13 133:10 135:13 136:14,17,18,22 137:2,3,5 138:5 138:6,15,15 139:4 140:2,3 140:13,22 141:5 141:10,11 142:1 142:11,12,21 143:8,10,12 144:9,9,12 145:2,10,19,22 146:10,21,21 148:2 150:13 151:11 153:7 155:1 158:5 159:22 166:11 182:8 205:11 220:16,16 221:3 229:6,18,19 230:3,14 231:5 231:21 232:12 232:22 234:2 236:9,17 237:2 237:3,7 238:10 238:15 239:13	240:7,11 241:11 241:12,16 243:9 243:19 244:8,9 244:10,16,20 246:12 247:1,5 247:8 248:1,2,4 250:12 253:14 255:8,9 280:22 <b>steps</b> 28:14,16 130:7,8 135:12 137:14 137:15 138:3 142:10,19 143:1 157:16 196:4 197:11 231:12 231:17,18 232:9 235:15 238:6 244:1 248:8 249:16 285:2 <b>stock</b> 54:18 <b>stop</b> 127:21 <b>Stopping</b> 128:1 <b>storage</b> 102:18 <b>store</b> 184:6,8 186:2,7 224:5,8 <b>stored</b> 173:4 <b>storing</b> 184:9 <b>stream</b> 55:14 <b>Street</b> 2:6 3:6,13 4:7,16 295:20 <b>strike</b> 76:2 <b>string</b> 153:13 <b>studies</b> 36:21 42:15 <b>stuff</b> 51:18	<b>subject</b> 33:22 39:11,12 94:14 96:8 <b>subset</b> 15:22 52:5 <b>success</b> 36:18,18 257:6 <b>succession</b> 259:19 262:12 <b>sued</b> 12:1 <b>suffers</b> 93:11 <b>sufficient</b> 134:20 135:18 138:12 141:3 142:5 <b>suggest</b> 47:3 249:22 <b>suggested</b> 198:4 <b>suggesting</b> 172:5 188:16 215:14 277:13 278:9 280:20 289:1 <b>suggestion</b> 74:3 <b>suggests</b> 121:1 <b>Summary</b> 78:9 <b>summer</b> 37:18 <b>SunTrust</b> 4:6,15 <b>support</b> 25:9 32:2,17 112:15 148:5 223:17 <b>supported</b> 16:2 28:10 135:14 <b>sure</b> 8:14,15 12:13,18 13:6,14 15:20 18:19 21:5
---	---	---	--	---

26:10 27:11	36:4 37:10	212:18 216:9	44:21 60:1 94:9	<b>techniques</b>
31:9 34:8 35:17	48:13 52:5 70:4	224:7 231:11	156:7 170:1,14	31:13,14,16 33:1
40:6,6 42:7 45:7		234:5,8 251:1	192:19 195:2	33:7,8,15 34:21
51:11,16 52:20	<b>T</b>	258:1 264:11	202:20 266:18	35:9,10,19 41:7
52:21 54:16	<b>T</b>	265:20 286:19	<b>teach</b>	44:9 45:15
59:10,16 60:4	4:1 5:1,1,6 6:1,1	292:4	163:10 166:2	46:18 74:13,16
73:5 75:21	<b>table</b>	<b>talking</b>	168:10 169:6	76:18,19 77:8
76:13 92:2	120:7 155:19	22:10,14 58:4	170:21 171:19	87:10 88:14
95:10 101:2	156:4,6,7,8,22	64:4,8 69:6 75:9	176:14 177:20	102:8 111:9
105:21 107:16	157:6,7 159:7	81:1 85:19 87:2	184:13 194:13	121:7 160:19,21
114:12 137:13	159:11,14 160:7	87:7,16 88:7	198:11 202:22	<b>technologies</b>
143:9 145:15	183:17 184:9,15	95:5 98:11	216:14 267:15	25:1 39:1,7 40:16
151:6 158:12	184:18 186:2	113:20 116:16	268:21	41:19 42:12
161:9 165:14	187:5,6,11	120:5 121:17	<b>teaches</b>	48:7 49:1
166:12 169:17	194:1 224:5,15	122:9 126:15	71:5 81:22 82:19	<b>technology</b>
175:12,22,22	225:4	133:13 136:20	86:14 136:3	39:16,22 40:20
179:22 180:7	<b>tackle</b>	143:12 162:20	194:20,21	47:11 52:4
181:21 187:22	211:17	174:3 185:7	195:16 198:12	94:12,13 214:13
192:16 205:7	<b>tackled</b>	191:15 198:19	203:2 206:13	<b>telephone</b>
210:1 213:10	71:5,15,16,19	201:2 205:9	216:13 225:13	4:19
215:16 230:13	72:2,5,9,12	208:21 213:3,12	268:18 271:8	<b>telephony</b>
232:2 235:15	82:20	220:14 224:15	272:11 273:9	163:18 165:12
241:1 245:19	<b>take</b>	232:9 237:13	285:16,22	171:3,9 174:22
255:18 271:6	19:3 30:14 36:21	242:17 256:21	<b>teaching</b>	175:17 176:12
276:8	52:13,18 56:18	259:7 260:8	65:12 82:2	177:16 178:10
<b>switch</b>	59:12 65:21	261:14 263:13	198:17 284:21	178:16 180:10
234:18	75:20 78:3,14	281:8 282:15	<b>teachings</b>	182:21 183:13
<b>switched</b>	100:18 117:7,10	284:5 285:19	36:8,14,15 38:12	187:20 188:6,18
81:19	118:17 145:13	289:15,20	39:13 65:16	190:2,11,12,20
<b>sworn</b>	153:19 157:1,3	<b>talks</b>	67:7 73:12,21	191:18 193:9,22
295:8	159:9 170:11	44:10 45:14	87:19 156:8	194:2 198:21
<b>sworn/affirmed</b>	173:15 182:19	91:18 206:17	166:5 206:3	221:19 229:8,9
7:3	202:6 203:16	<b>target</b>	252:12 288:21	230:17 231:2
<b>symmetric</b>	204:7 212:2	135:2 142:8	290:6,22	<b>tell</b>
44:13 88:12,15,21	220:4 237:3,8	143:18	<b>technical</b>	42:16 50:4 54:3
<b>system</b>	286:3 293:18	<b>TARP</b>	38:15,16 46:17	75:7 136:11
21:2,6 100:3	<b>taken</b>	76:22 77:2,21	<b>technically</b>	196:18 199:8
104:20 132:4,7	128:9 157:14	78:20,20,22	111:4 112:2	<b>telling</b>
132:11 134:7	295:9	79:2,11,13,16	<b>technique</b>	29:3 149:4
157:5 163:4,12	<b>takes</b>	79:19 83:2,8,16	33:2 45:8,10 74:4	<b>tells</b>
210:22 211:1	115:9 116:9	83:18 84:16	74:7,9,19 77:3	116:1
235:21 236:3,11	218:5 251:13	85:9,10,14,15	77:21 78:13	<b>ten</b>
237:5 244:6,12	<b>talk</b>	85:16,18,19	80:14 82:22	118:15,18 220:6
271:8	243:17	86:6,20 87:2,17	102:12 103:20	<b>term</b>
<b>systems</b>	<b>talked</b>	88:5,8 112:7	105:2,13 115:9	39:11 40:20
12:12 29:19	34:9 112:6 134:2	116:9	116:18 124:1	63:21 64:2,3,13
33:21 35:19	134:5 139:5,14	<b>taught</b>	217:8 234:22	64:18 65:2,10
	199:19 211:6			

67:3,10 68:19 69:6,7 70:2,10 70:12 73:9 81:19 98:1,11 113:4 182:14 238:11 <b>terminal</b> 85:14,15 229:8,9 <b>terminals</b> 78:20 79:15 <b>terminating</b> 160:22 161:13 165:12 167:21 171:3,9 174:22 175:17 176:12 176:15 177:15 178:10,15 180:10 182:7,21 183:13,19 184:20 185:6,11 186:3,11,18,21 187:17 188:5,6 188:18 189:10 190:1,11,20 191:18 192:14 193:9,22 194:2 195:12 198:20 221:19 222:2 229:2 230:16 231:2,3 292:5,9 <b>terms</b> 16:5,21 40:14 41:17 57:17,22 58:20 59:1,22 64:15,16 67:15 68:3 70:18 92:21 94:10 95:21 185:3 237:13 <b>testified</b> 7:3 <b>testimony</b> 7:13 27:19 28:5 43:3,20 44:5 50:13 57:5 61:10 76:8 86:1 110:6 120:18	129:9 139:19 147:2,5 150:11 167:14 168:9 215:15 252:10 253:5 294:13,14 295:10 <b>text</b> 157:2 195:14 <b>textbook</b> 45:13,14 <b>textbooks</b> 45:11 <b>thank</b> 49:19 71:1 76:15 208:16 293:17 <b>thereof</b> 151:11 <b>thesis</b> 30:9 31:10 34:11 38:4 <b>thing</b> 46:7 55:8 63:1 65:20 66:2,18 80:5 133:9 134:10 140:5 153:13 223:16 243:6 277:1 <b>things</b> 22:4 31:6,11 32:5 35:2 48:17,19 48:20 51:1,17 51:19,22 54:9 65:19 74:2 106:14 109:1,5 115:22 116:2 117:2 122:15 134:18 138:2,14 146:20 161:11 197:18 206:18 210:3 213:1 215:11 226:14 245:4 263:14 268:11 269:16 269:21 271:21 284:2 285:11,17 <b>think</b> 14:16,19 15:13	20:13 25:6 27:2 27:6 30:5 32:6 33:6,14 34:3,13 34:20 36:5,5 37:3,4,13 38:1,9 39:1,7 40:19,20 40:21 43:21 44:6 45:2 47:7 47:19 48:4,13 49:3,7 52:15 54:6,9,11 58:17 63:5,14 65:10 67:5 69:18 78:13,17 91:17 94:11 95:15,20 99:17 100:17 101:11 108:5 111:9 115:14 119:11 121:22 127:12 141:13 142:16,19 144:8 148:20 149:18 157:1 158:22 159:9 161:19 166:22 175:5 181:11 193:7 198:3,12 199:3 200:3 201:1,4,7 201:12 205:3,5 206:2,2 214:22 216:2 220:5 222:7,14 224:19 225:15 226:13 227:15 230:7,14 237:21 240:11 242:9 251:21 252:1,12 253:6 261:12,16,22 268:22 269:20 271:21 272:11 275:9 283:20 284:20 285:8 286:19 289:4 291:2 <b>thinking</b> 93:3 197:8 229:5 <b>third</b>	136:11,17 137:4 164:7 177:22 185:4 188:13 191:9 194:10 247:1 <b>third-party</b> 119:5 125:14 126:1,10 127:3 127:15 164:2 166:19 167:3,19 168:5,17,22 170:8,11 172:3 172:19 173:3,7 174:5,19 175:15 176:2 177:13 178:9 181:13 182:4 185:16,17 186:1,16 187:2 188:8,17 189:8 189:11,19,22 190:9,18 192:3 192:5,7,11 193:8,20 194:3 195:10 224:5 232:17,19 233:2 <b>thought</b> 53:8 70:7 145:21 176:18 194:19 199:22 204:2 230:10 258:17 <b>thousand</b> 15:13,15 <b>thousands</b> 123:13 <b>three</b> 130:7,7,8 135:14 138:14,19 140:13 142:2 250:8 <b>three-quarters</b> 97:2 <b>threshold</b> 124:20 <b>threw</b> 69:10 84:22 <b>Thursday</b> 1:15 295:21	<b>tied</b> 36:20 228:1 <b>time</b> 14:6 16:9 18:5,7 19:4 20:21 27:3 31:8 34:6 35:2 35:15 38:22 42:3,18 43:17 46:12,13 47:14 49:9,16 51:14 59:1 61:19 69:19 93:5 100:15 104:10 145:13 166:11 169:9,12,17 175:20 220:22 230:9 244:1 252:17,21 253:13,18 254:6 254:15 255:19 271:12 282:4 <b>times</b> 14:15 72:6 <b>title</b> 96:18 <b>today</b> 7:14 14:7 16:14 16:14 56:11 57:6 103:12 112:7 169:9 204:11 212:18 231:11 234:16 <b>today's</b> 13:5 14:13 15:3 <b>told</b> 50:9 53:16 54:11 187:15 188:4 <b>top</b> 19:5 <b>topics</b> 53:1,5,15 <b>touched</b> 32:5 34:11 <b>touching</b> 33:12 <b>tracking</b> 236:4
--	---	---	--	--

<b>TRADEMARK</b>	217:3	7:14	215:12,15	261:16 263:13
1:1	<b>transparent</b>	<b>try</b>	<b>tunnels</b>	263:22 266:4,13
<b>traditional</b>	242:16 260:4	8:3 9:3,12,15	160:20 162:7	266:18 267:6,8
269:9	266:21 276:15	18:19 41:1 42:6	198:1,4,9	267:9 269:14,19
<b>traffic</b>	<b>travel</b>	46:5 73:2 80:6	212:22 214:7,9	270:22 271:3,16
79:12 80:6 198:9	121:3,16 123:8	267:19	215:4,6 216:16	275:1,13 276:10
199:15 200:8,10	<b>traveling</b>	<b>trying</b>	<b>turn</b>	284:1,4 285:11
200:10 201:16	119:2 122:8	15:15,17,19 16:6	29:7 87:21	285:17 286:18
201:20 202:16	125:13,22 127:1	21:8,9 33:18	191:11 219:6	<b>two-thirds</b>
203:18 205:1	127:13	39:18 40:6 42:2	257:2	97:1
206:8 207:4	<b>treat</b>	44:19 45:22	<b>turns</b>	<b>tying</b>
209:13 214:16	167:21 185:7	46:3 61:18	54:21	246:8
217:7 219:13,21	<b>TRIAL</b>	70:19 72:11,16	<b>twice</b>	<b>type</b>
261:13	1:2	72:17 75:21	58:12	33:19 39:21 92:5
<b>training</b>	<b>tries</b>	76:11 83:12	<b>twist</b>	135:4 269:5
39:1	257:4 258:2,8	86:4 92:20 93:5	227:15	271:15 285:4
<b>transcript</b>	259:8	95:2 97:5 108:6	<b>twists</b>	<b>types</b>
5:8 10:1,17 11:11	<b>trip</b>	120:21 121:21	80:1	35:1 39:6 48:18
96:15 98:19	116:11,13	125:1 148:7	<b>two</b>	163:16 210:17
160:13 234:14	<b>trips</b>	175:5 179:22	10:4,5,19 12:22	269:22 271:5
<b>transcription</b>	116:9	180:7 182:2	15:13,15 34:15	276:12
294:14	<b>true</b>	188:22 205:10	37:3 47:16	<b>typically</b>
<b>transfer</b>	70:15 78:21 79:7	209:21 210:18	49:13 52:17	25:19,21 38:9
117:18 162:7,11	79:15 80:7,7,10	214:18 229:4,17	65:19 66:7	62:21 109:16
<b>transferred</b>	80:15 83:9	229:20 239:3,15	70:17 75:4	
163:7 210:5	263:20 294:13	250:18 261:10	78:11 87:11	<b>U</b>
<b>transition</b>	295:10	261:18 263:4	88:13,20 106:14	<b>U</b>
113:10	<b>trusted</b>	269:2 271:6	114:2,9 117:2	4:1 6:1
<b>translation</b>	164:1,7 166:19	291:4	118:8 120:1	<b>Uh-huh</b>
167:20 185:5	167:3,18 168:4	<b>TTP</b>	130:7 138:19	49:22 200:6
187:4	168:17,21 170:8	167:5 168:4,12	140:13 152:11	268:6
<b>transmission</b>	170:10 172:3,18	170:4 176:14	160:20 161:5	<b>ultimate</b>
81:8 117:16	173:3,7 174:5	184:3,5,6,8,18	180:22 183:20	80:17 174:21
118:3 120:5,13	174:19 175:15	194:14,16	184:10,15 188:7	197:14 198:18
120:14 126:14	176:2 177:13,22	196:18 233:18	192:10 195:9,15	256:16
200:1,21 203:1	178:9 181:13	233:22 234:7,7	201:20 203:16	<b>ultimately</b>
213:17	182:4 185:4,16	<b>tunnel</b>	203:18 204:14	180:1
<b>transmit</b>	185:17 186:1,16	160:21 167:22	210:3,10 212:15	<b>uncommon</b>
78:15 134:22	188:8,13,16	196:1,13 197:6	214:12 215:11	222:1 223:16
138:13 141:4	189:8,11,19,22	197:12 198:19	216:19 218:21	<b>underpinnings</b>
142:6	190:9,18 191:9	198:20 206:12	223:14,17 231:7	45:4 46:5
<b>transmits</b>	192:2,5,7,11	213:22 214:17	234:22 236:5,6	<b>understand</b>
258:18,22 259:2,5	193:8,20 194:3	215:19 216:10	236:12 237:12	7:13,15,17 8:3,21
<b>transmitted</b>	194:10 195:10	216:11 219:10	242:10,10	10:5 11:22 12:2
33:3 75:14	224:4 232:16,19	219:21	244:22 245:4	12:18 15:17
213:21	233:1	<b>tunnelling</b>	249:3,8,15	16:8 20:9 21:5,8
<b>transmitting</b>	<b>truthful</b>	194:6 214:15	250:1,8,16	21:17 33:19
				39:15,18 40:8

40:14 42:21 43:5 44:8 45:9 45:10,16 46:5 49:8 55:17,20 56:13 59:3,21 60:14 62:17 65:18 72:20 73:8 75:21 80:5 91:6,11 92:20 108:11 119:17 120:21 121:21 132:5 137:9 143:9 149:18 157:20 158:1 175:13 180:8 190:8,17 195:19 196:10 198:7 204:21 205:10 205:16 206:20 220:16 235:15 241:1 242:2 245:19 250:18 261:10,18 263:4 266:1 268:12 269:2 275:18 <b>understanding</b> 19:2,7 20:19 24:19 25:12 33:14 34:22 35:9 36:14 42:11,12 44:15 45:4 48:6,21 49:2 50:16,17 50:19 58:14,17 60:14 62:15 68:7 69:17,21 70:10 80:7 93:7 106:10 109:3 110:21 121:19 141:22 158:5,10 178:11,14 180:14 185:1 194:20 203:12 205:18 266:12 283:20 285:16 290:5 <b>understood</b>	8:22 15:20 19:3 20:14,16 24:15 58:22 92:11 144:21 192:4 230:13 <b>underway</b> 13:2 <b>unencrypted</b> 78:15 79:12 <b>unfortunately</b> 30:7 <b>unique</b> 164:1,17,22 165:12,16 166:13 168:6,16 170:6 171:2,8 172:2,18 173:2 173:9 176:3 179:7 182:5 183:12 187:16 189:18 221:4 222:17 <b>UNITED</b> 1:1 <b>unreasonable</b> 172:4 <b>unsecured</b> 119:7 123:6 127:18 <b>unusual</b> 167:10 <b>up-to-date</b> 29:14 <b>use</b> 32:17 33:16 68:12 69:2 73:22 74:19,21 74:22 77:8 79:11 81:17 82:9 84:9,16 86:12 100:7 113:3 157:5 163:22 165:6 168:22 170:1,12 170:20 171:20 172:5 192:22 193:4 195:16	197:22 198:12 198:17 202:22 203:2 207:10 208:4,11 209:6 209:15 213:20 215:3,14 218:5 218:14,17 234:9 248:11,17 261:2 261:8,11 263:2 281:9 282:10 288:19 <b>user</b> 93:12 134:20 135:2,18,22 136:5,6 138:12 142:4,8 236:2 <b>users</b> 93:13 <b>user's</b> 136:16 <b>uses</b> 14:2 58:16 176:3 265:2 289:9 <b>usual</b> 257:5 259:12 276:1 <b>usually</b> 71:5,14,19,20 72:2 <hr/> <b>V</b> <hr/> <b>v</b> 1:6 <b>value</b> 93:13 <b>variable</b> 218:2 <b>varies</b> 123:13 <b>variety</b> 86:17 88:3 <b>various</b> 30:16 71:10 86:3 89:11 219:18 267:20 274:13 <b>vary</b> 217:5 218:19 <b>vein</b>	31:4 <b>verbal</b> 8:18 <b>verification</b> 58:7 <b>verify</b> 13:17 105:14 <b>versions</b> 98:8 <b>versus</b> 54:8 166:7 199:21 291:5 <b>vertical</b> 274:14 <b>viable</b> 119:6 123:5,14,19 124:1,11 126:2 127:16 <b>video</b> 110:16 215:21 216:9 <b>view</b> 38:5 47:21 59:4 81:16 95:1 106:7 148:2,5 157:14 167:16 168:4 169:4 174:17 180:2,11 182:3 190:9 197:7 199:21 201:7 202:12 203:18 212:15 216:8 225:16 231:7 251:21 271:2 <b>viewed</b> 27:9 65:10 <b>viewing</b> 58:20 <b>views</b> 66:16 145:21 <b>VirnetX</b> 1:7 6:2 8:8 10:9 10:15 11:9,22 12:4 13:2,20 17:22 18:2,7 19:6 54:4,12,18	55:2 65:2 66:22 96:13 295:14 <b>virtual</b> 39:2,7,11 41:14 63:19,21 64:6 64:14 95:17 135:1 136:15 142:7 201:2 236:13,18,19 237:5,6,10,17 237:18,20,22 238:3,6,9 239:6 240:9,20 241:2 241:6,15,18 242:14 243:5,7 243:8,11,12,13 243:20 244:7,9 244:12,18 245:1 245:3,14 246:1 246:3,9 248:4,6 248:10,14,16 249:4,6,18,20 250:2,3,7,7,14 250:15 251:1,4 251:6,6,10,14 251:18,20 252:2 252:5,6,18 253:14,19 254:1 255:1,3,5,11,13 259:19,21 260:3 260:5,13,14,15 260:16,17,19,21 261:2,8,11,14 261:15,16,19 262:2,3,11,15 262:20 263:7,8 263:11,15,18,20 264:1,2,3,7,18 264:22 265:3,6 265:10,13,15,18 265:19,21,22 266:3,5,11,13 267:6,11,12 268:4,7,9,10,16 269:4,4,5,8,9,13 269:16 270:1,1 270:3,7,13,18
--	---	---	---	---

270:21 271:4,9 271:16,19,20 272:3,4,7,7,13 272:14 273:4,19 273:22 274:3,5 274:8 275:10,21 275:21 276:3,4 276:10,11,20 277:5,18,19 278:16,20,21 279:2,8,11,12 279:12,17 280:6 280:9,10,17 281:10 282:21 283:4 285:20 286:15 287:19 288:2 <b>voice</b> 30:17,18 32:16 162:7,12 207:12 207:18 208:1,5 208:13 <b>VoIP</b> 162:10 163:1,5,13 167:22 185:8 207:17 216:15 231:22 232:13 <b>volume</b> 163:7 206:15,17 208:11 209:15 210:4,7,13,20 210:22 211:3,20 212:10,11 219:9 <b>VPN</b> 29:19 39:21,21,22 40:15 63:22 64:2 <b>VPNs</b> 40:11 64:9  <b>W</b> <b>wait</b> 165:3 208:16 254:10 <b>waits</b> 280:1 287:1 288:12 <b>waived</b>	294:1 <b>walk</b> 231:16 235:12,14 <b>walked</b> 26:10 134:7 147:11 <b>want</b> 9:4 28:8,20 29:6 30:21 42:18 43:6 47:9 53:14 56:1 60:2,4 69:7 76:12 78:4,7 83:7 85:8 90:12 90:19 93:18 96:3 97:10 108:9 115:8 118:17,18 127:20,21 129:8 134:14 137:13 147:9 148:7,8 159:16 161:9 162:13 164:12 165:6 167:16 169:8,10,12,14 173:14,15,21 175:12 195:18 199:11 203:14 205:7 210:1 214:5 218:17 222:14 232:2 235:12 238:21 239:4 255:18 256:8 257:10,10 257:21 264:10 276:8 286:8,19 288:17 291:19 <b>wanted</b> 157:1 164:16 188:4 206:6 235:14 286:22 <b>wants</b> 240:2 <b>Washington</b> 1:14 2:7 3:7,14 295:21 <b>wasn't</b> 65:13 157:12	<b>way</b> 9:18 11:18 21:22 32:11 33:2 36:5 36:11,12 38:1 42:6 49:11 54:20 72:13,19 77:16 80:5,11 81:15 94:7,16 95:1 96:1 97:2 106:6 110:7 124:11 129:19 142:17 152:11 154:12,22 155:15,16,18 156:1,19,20 159:5 168:16 171:14 183:16 184:9,15,17 193:1,2,2,2,3 194:18 198:22 199:16 200:9 201:4 204:4 205:13 206:11 206:12 207:2,7 207:9 212:4 217:16 219:6,6 219:15 237:21 238:1 251:16 255:16 258:21 262:17 264:3 266:9 272:12 275:14 276:1 280:3 284:13 285:18 289:5 291:20 296:5 <b>ways</b> 136:4 211:18 292:7 <b>week</b> 14:21 <b>well-prepared</b> 36:20 <b>went</b> 13:6,12,14 21:18 91:5,7 207:12 286:21 <b>weren't</b>	21:1 50:21 <b>Wesinger</b> 5:18 70:16 234:11,20,21 235:10,13 237:14 238:12 256:5,10 257:1 257:12,13 261:20 262:22 263:1,20 264:10 266:4 267:5,10 267:15 268:18 269:3,6,8 270:6 270:12,16,20 271:8,14,19 273:9 275:13,16 275:18 276:9,9 276:16 284:20 285:2,16,21 290:3,12,16,21 291:5,5,9,14 <b>Wesinger's</b> 243:19 <b>we'll</b> 32:8 52:18 118:16 119:11 <b>we're</b> 8:14 14:17 22:10 40:7 54:15 60:4 65:19 67:5 69:6 72:18 73:6 81:12 85:19 87:7 94:11 98:11 120:10,12 126:13,15,21 127:19 133:13 136:20 140:5 144:10 145:6 146:19 151:17 166:10 184:17 185:7 187:22 188:22 193:3 198:19 201:2 205:17 208:21 211:12 237:13 242:9,16 247:6 259:6 260:8	261:14 263:13 271:7,7,14 272:18 274:11 292:2 <b>we've</b> 34:9 40:10 67:9 82:21 88:7 114:9 118:6 189:2 199:4 202:21 205:9 213:12 220:14 232:9 256:20 272:8 273:15 281:8 283:1 286:19 289:20 <b>what-ifs</b> 48:17 <b>WHEREOF</b> 296:8 <b>widget</b> 60:16,19,21 61:2 61:6,7,15,22 62:3,8,11,12 108:21,22 <b>willing</b> 127:19 <b>wish</b> 162:15 264:14 <b>withdraw</b> 286:9 <b>witness</b> 2:17 7:21 8:1,8 18:9 19:21 100:13 118:11 118:13,16,20 128:1 293:21 295:7,11 296:8 <b>Wi-Fi</b> 120:12 <b>wondering</b> 12:15 221:2 227:7 245:7 246:6 <b>word</b> 15:18 22:13 56:2 60:15 62:22 63:2 65:8 71:14
--	---	---	--	--



71:20 76:2,3,6 89:18 90:21 91:15 111:13 113:3 288:17,19 <b>words</b> 56:3 62:19 87:13 120:3 125:12 169:7 <b>work</b> 24:6 30:9,13,15 30:19 32:15 34:11 35:15 36:1 37:8 38:22 43:1 46:19 48:9 49:13 54:4 217:18 243:1 245:11 <b>workers</b> 47:12 <b>working</b> 25:1 48:11 50:8 54:12 187:19 242:21 243:16 <b>works</b> 42:13 44:16 170:21 <b>wouldn't</b> 26:3 62:10 174:18 205:21 223:16 <b>wrap</b> 9:3 286:4 <b>Wrapper</b> 289:10 <b>write</b> 23:20 51:6 <b>written</b> 8:4 97:18 252:8 252:11,13,16 291:9 <b>wrote</b> 16:13 98:4 291:6 <hr/> <b>X</b> <hr/> <b>x</b> 1:3,11 5:6 6:1 <hr/> <b>Y</b> <hr/>	<b>Yeah</b> 46:3 <b>year</b> 42:16 48:11 54:7 <b>years</b> 47:16 48:10,11 49:14 <hr/> <b>Z</b> <hr/> <b>Z</b> 282:8 <hr/> <b>\$</b> <hr/> <b>\$75,000</b> 54:10 <hr/> <b>0</b> <hr/> <b>09/504,783</b> 56:6 <hr/> <b>1</b> <hr/> <b>1</b> 1:21 96:21 109:4 110:12,20 119:12,12,18,20 120:2,16 122:9 122:11,15,16 129:17 130:6 133:11 135:13 138:6,16 142:1 142:11 143:13 144:10,12 145:3 145:10 147:17 148:2 150:13 152:15 153:7 155:1 157:8 159:22 161:9 174:1 176:18 177:12 179:15 180:2,9,12,21 181:1 182:1,8 183:4,5 189:5 200:15 202:12 213:5,6 220:10 220:15 221:7,12 226:1,7,16 229:16 230:3,14 231:5 235:9	241:13 243:9,19 244:20 247:1,3 256:6 263:6 267:19 272:19 273:7,14,18 275:4 292:4 <b>1-3</b> 98:10 <b>10</b> 6:5 49:20 84:19 84:21 85:2 164:12,20 165:8 <b>100</b> 85:14 247:6 <b>1001</b> 5:15 9:20,21 55:3 129:16 <b>1008</b> 5:17 234:11,12,16 234:20 <b>1009</b> 5:19 160:10,11 <b>1024</b> 218:7 <b>1082</b> 5:8,10 98:16,17 <b>11</b> 6:7 86:9 170:22 171:5 172:16 183:7,10 187:15 189:15 <b>110</b> 85:15 <b>115</b> 259:1,3 260:6,6 <b>116</b> 267:21 <b>117</b> 260:6 <b>118</b> 267:21 <b>12</b> 47:9 169:21 200:15 285:14 <b>12:00</b> 127:22 <b>12:06 p.m</b>	128:8 <b>12:44 p.m</b> 129:2 <b>12:44</b> 169:20 <b>128</b> 218:8 <b>13</b> 278:2,2 281:13,17 285:14 <b>13:9-13</b> 286:20 <b>135</b> 19:16,17 20:3,6 20:10 21:9 22:6 22:7,19 23:14 56:8,10 <b>14</b> 70:20 71:2,4 84:20 86:2 161:3,10,16,20 161:22 164:5 173:5 179:3 181:15,20 185:14 188:12 190:5 196:18 201:11 229:14 230:11 233:18 233:21 281:14 285:14 292:22 <b>140</b> 88:6 <b>15</b> 54:10,15 56:6,15 78:8 111:1 257:2,14 258:6 262:8 264:9 287:4,9 <b>15th</b> 2:6 3:13 295:20 <b>1501</b> 3:6 <b>155</b> 256:21 259:15 260:10 <b>16</b> 84:13 89:19,21	90:12 92:9 96:12 161:3,11 161:16 168:20 169:2,5 170:10 170:13 171:2,10 171:13 172:7,11 172:13,17 173:5 173:8 174:7,8 174:15,20 175:16 178:1 179:3,8,14 181:17,22 182:1 182:6 183:2,18 184:19 185:14 186:5,8,19,22 187:18 188:6,12 189:5,18 190:3 190:5,12 201:11 221:20,22 222:3 222:17 223:16 223:17 224:1,6 224:9 225:10,18 229:14 230:1,11 230:11 233:4,18 233:18,20,21 234:2,3 258:6 286:22 287:5,9 287:9 290:3 292:5,9,12,12 292:14,20,21,22 293:2,3,4,6,10 <b>160</b> 5:19 <b>167</b> 260:6 <b>17</b> 112:19 235:11 <b>19</b> 38:13 118:22 119:1,2 120:22 121:15 123:10 125:5 126:7,21 127:10 149:8 <b>1980</b> 48:10 <b>1994</b> 37:15
--	---	--	--	---

<b>1995</b> 37:15	<b>2025</b> 6:5,7 10:13,15 11:8,9 29:12 57:15	104:10 170:22 257:3 290:4	<b>2603</b> 134:22 142:6 160:3	<b>33</b> 159:5 257:11,16 262:9
<b>1996</b> 34:15 36:11 42:8	<b>21</b> 256:3 287:1 290:3	<b>2535</b> 5:10 91:7 99:3,8 99:22 101:4,7 101:16,20 102:14 104:9,13 104:18	<b>2604</b> 135:2 142:9	<b>34</b> 89:1,3,4,7,8 128:5 276:14 286:7,13
<b>1997</b> 34:15 35:6	<b>21st</b> 97:3,11,15	<b>26</b> 84:20 85:3 161:14 167:21 170:22 171:5,9 172:12 174:22 175:17 176:12 177:16 178:11 178:16 179:3,3 180:10 182:7,21 183:2,7,10,11 183:13,19 184:3 185:6 186:4,11 186:18,21 187:17,20 188:6 188:11,18 189:4 189:10 190:2 191:12 194:2 197:15 198:11 198:18,22 199:5 199:17 200:9,16 202:15 204:22 205:13 222:9,13 222:15 223:5 224:12,14 225:20,22 229:22 230:17 230:20 231:2 233:16,20 292:6 292:10,14,16,19 292:21 293:1,5 293:5,8,10,12 293:15	<b>2605</b> 159:19	<b>35</b> 83:8,13 155:11
<b>1998</b> 35:6 37:20	<b>22</b> 78:19 137:16	<b>2610</b> 134:18 159:15,18 160:3	<b>2610</b> 134:18 159:15,18 160:3	<b>3500</b> 4:6,15
<b>1999</b> 37:22 38:14	<b>23</b> 1:15 295:21	<b>27</b> 84:20 85:3 145:7 145:16 147:12 151:22 152:1,2	<b>27</b> 84:20 85:3 145:7 145:16 147:12 151:22 152:1,2	<b>36</b> 83:13 159:5 183:7
<b>2</b>	<b>234</b> 5:17	<b>28</b> 264:11	<b>28</b> 264:11	<b>38</b> 89:1,4,6,8 164:21 165:10 181:9,12
<b>2</b> 110:8 135:13 138:15 145:19 146:10,21,21 155:1 275:6	<b>237</b> 6:6 10:21 15:7 29:7 49:20 57:15 58:6 63:22 64:2 70:21 89:20 292:3	<b>287</b> 89:20	<b>287</b> 89:20	<b>39</b> 89:2,4,8 90:8,11 90:21 93:19 94:1,2 99:5 101:4 181:12
<b>20</b> 14:10 48:10,11 286:22	<b>238</b> 6:8 11:15,19,20 15:7 57:20 58:10 63:22 64:3 234:19 256:4	<b>29</b> 143:11,11 150:8 151:19,20 192:1	<b>29</b> 143:11,11 150:8 151:19,20 192:1	<b>4</b>
<b>2000</b> 33:20 37:10 40:16 42:1,2,5 48:11 56:6,15 76:17 97:19 98:4 211:17	<b>24</b> 134:16,17 135:11 137:15 138:9 140:1,14 142:2 142:20 151:15 161:14,19,21 162:2 179:3,3 181:10 188:11 190:12 197:15 198:11,18,21 199:5,16 200:9 200:15 202:15 204:22 205:12 257:14 262:8 264:11,12 292:15,22	<b>296</b> 1:21	<b>296</b> 1:21	<b>4</b> 84:13 162:14,19 281:19
<b>20005</b> 2:7 3:7,14 295:21		<b>3</b>	<b>3</b>	<b>40</b> 93:9 94:3 135:1 136:16 142:8 153:20 155:10 159:5,17 195:18 195:21 196:11 196:21
<b>2001</b> 15:13 29:18,20 30:14 31:3 32:7 34:10		<b>30</b> 14:10 128:4,5 145:7,17 147:12 153:20 172:15 172:19 186:16 189:16,20 296:12	<b>30</b> 14:10 128:4,5 145:7,17 147:12 153:20 172:15 172:19 186:16 189:16,20 296:12	<b>404</b> 4:9,18
<b>2002</b> 97:3,11,15 98:5,9		<b>30th</b> 296:9	<b>30th</b> 296:9	<b>41</b> 144:2,4 150:6,10 151:17,18 167:17,18 185:2 186:1,12 187:7 191:7
<b>2004</b> 6:3 90:7 96:12,13		<b>303</b> 4:7,16	<b>303</b> 4:7,16	<b>42</b> 86:9,10 87:2
<b>2013</b> 54:13		<b>30308</b> 4:8,17	<b>30308</b> 4:8,17	<b>43</b> 162:16,19
<b>2014</b> 1:15 18:5 54:13 54:15 295:21 296:10,12		<b>31</b> 159:17	<b>31</b> 159:17	<b>46</b> 86:17
<b>2014-00237</b> 10:14		<b>32</b> 153:22 189:16	<b>32</b> 153:22 189:16	<b>49</b>
<b>2014-00238</b> 11:8	<b>241</b> 216:5			
<b>202</b> 2:8 3:8,15	<b>25</b>			

86:10 87:2	144:3 150:8	154:19 156:8	<b>98</b>	
<u>5</u>	151:18	158:16 173:20	5:10 42:18	
<b>5</b>	<b>65</b>	174:18 175:14	<b>99</b>	
231:13,20 232:5	90:8,11,21	176:19 178:3,15	42:18	
<b>5,898,830</b>	<b>653-6400</b>	178:21 179:14		
5:17 234:20	4:9,18	179:16 182:19		
<b>5:57 p.m</b>	<b>66</b>	183:4 194:21		
294:3	101:4	205:4 206:3		
<b>5:57</b>	<b>67</b>	220:9 226:1,15		
295:22	90:8,11,21 101:4	226:16 227:13		
<b>51</b>	<b>68382</b>	229:16 230:15		
286:1	1:20	231:5		
<b>52</b>	<b>697</b>	<u>7</u>		
162:14	10:10 11:15 13:8	<b>7</b>		
<b>53</b>	19:12 23:15	5:3 281:17		
114:17,19 115:1	39:13,20 40:9	<b>7th</b>		
162:14	41:5,9,15 55:18	14:19,19		
<b>54</b>	63:17 64:22	<b>736-8000</b>		
162:20 213:7	65:7,12,16	3:8		
<b>55</b>	66:12 67:4	<u>8</u>		
162:14	68:13 71:3,9,17	<b>8</b>		
<b>551-1700</b>	72:14,20 73:12	276:21 277:2		
2:8 3:15	73:19 76:21	<b>8th</b>		
<b>56</b>	77:16,20 78:2	14:20		
108:14,14 119:11	80:1,13 81:2,15	<b>8,504,697</b>		
129:17	81:22 82:7,13	1:6,9 5:15		
<b>58</b>	83:20 84:1,9	<b>8:58-61</b>		
171:1,12 172:17	86:2 87:19 90:4	286:20		
277:2	90:17,22 91:3	<b>800</b>		
<b>59</b>	91:12 92:6,10	7:11		
93:19 94:2	92:12,22 94:9	<b>875</b>		
<u>6</u>	94:14,17,21	2:6 3:13 295:20		
<b>6</b>	95:4,8,13 96:8	<u>9</u>		
144:2 150:7	97:18 104:7	<b>9</b>		
151:18 281:13	106:9 108:10	5:15 191:11		
281:17	110:9 112:10,18	257:2,14 262:7		
<b>6th</b>	114:14,16 116:1	278:2		
14:19	119:3 120:11,16	<b>9:00 a.m</b>		
<b>6,496,867</b>	121:7 122:9,20	1:16 295:22		
5:19	125:13 126:8,14	<b>94</b>		
<b>61</b>	126:17 127:2,7	37:16		
277:2	127:14,14	<b>96</b>		
<b>63</b>	129:16 135:5,17	6:3 34:16		
55:12 114:17	136:3,14 140:6	<b>97</b>		
115:2	143:16 144:4,20	34:18		
<b>64</b>	146:18 147:14			
	149:12,21 150:6			