

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3  
4 COX COMMUNICATIONS, INC.

5 Petitioner

Case IPR2015-01227

6 v.

Patent 7,907,714

7 AT&T INTELLECTUAL PROPERTY I, L.P.

8 Patent Owner.  
9

10  
11  
12 DEPOSITION OF NADER F. MIR, Ph.D.

13 Boston, Massachusetts

14 January 29, 2016  
15  
16  
17  
18

19 Reported by:

20 Dana Welch, CSR, RPR, CRR, CRC

21 Job no. 102670  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

January 29, 2016  
7:57 a.m.

Deposition of NADER F. MIR, Ph.D., held at  
the offices of Proskauer Rose LLP, One  
International Place, Boston, Massachusetts, before  
Dana Welch, Certified Shorthand Reporter,  
Registered Professional Reporter, Certified  
Realtime Reporter, and Notary Public of the  
Commonwealth of Massachusetts.

1 APPEARANCES:

2  
3 For the Patent Owner:

4 HAYNES AND BOONE

5 JAMIE McDOLE, ESQ.

6 2323 Victory Avenue

7 Dallas, TX 75219

8  
9 - and -

10  
11 HAYNES AND BOONE

12 RAGHAV BAJAJ, ESQ.

13 600 Congress Avenue

14 Austin, TX 78701

15  
16  
17  
18  
19  
20  
21  
22  
23 --- appearances continue ---

1 APPEARANCES (continued):

2  
3 For the Petitioner:

4 PROSKAUER ROSE

5 SCOTT BERTULLI, ESQ.

6 STEVEN BAUER, ESQ.

7 JINNIE REED, ESQ.

8 One International Place

9 Boston, MA 02110

1                                   MIR

2                                   P R O C E E D I N G S

3                                   NADER F. MIR, Ph.D., sworn

4                   MR. McDOLE: We should announce attorneys  
5 for the record. Jamie McDole from Haynes and Boone  
6 representing the patent holder.

7                   MR. BAJAJ: Raghav Bajaj from Haynes and  
8 Boone representing the patent owner.

9                   MR. BERTULLI: Scott Bertulli from  
10 Proskauer and I represent the petitioner Cox  
11 Communications, Inc. and also here with the  
12 witness.

13                                   EXAMINATION

14 BY MR. McDOLE:

15           Q. Good morning, Dr. Mir.

16           A. Good morning.

17           Q. Am I saying that right? Dr. Mir?

18           A. Yeah.

19           Q. Could you state your full name for the  
20 record.

21           A. Nader Mir.

22           Q. And could you state your residential  
23 address, please.

24           A. 6492 Crystal Springs Drive, San Jose,  
25 California 95120.

1 MIR

2 Q. Do you understand that you're under oath  
3 today under perjury, same as if you were in court?

4 A. Yes.

5 Q. And how many times have you had your  
6 deposition taken?

7 A. It could be around nine or ten. Nine is  
8 for sure.

9 Q. Okay. So you're familiar with the  
10 deposition process.

11 A. Yes.

12 Q. Just a few ground rules to start. If you  
13 could wait for me to finish my questions and I will  
14 wait for you to finish your answers, that way we  
15 can have a clean transcript and we can give our  
16 court reporter a break as far as trying to type  
17 both of us talking at once. I think that would be  
18 very helpful. Do you agree?

19 A. Yes, I do.

20 Q. Okay. And if at any time I step on one of  
21 your answers or I ask a question before you're  
22 finished, please just let me know and we'll go back  
23 to the previous question and let you finish the  
24 answer unless I withdraw it. Does that sound fair?

25 A. Sure. Thanks.

1 MIR

2 Q. Okay. Your counsel may make objections to  
3 preserve for the record, but unless he instructs  
4 you not to answer, do you understand that you must  
5 respond to my questions?

6 A. Yes, I do.

7 Q. And if at any point you do not understand  
8 any of my questions, I would ask that you let me  
9 know. Does that sound fair?

10 A. Sounds fair.

11 Q. And if you answer my question, I'll assume  
12 that you understood the question. Does that sound  
13 fair?

14 A. Yes.

15 Q. And if at any point today you need a  
16 break, just let me know. I'm happy to take short  
17 breaks and short lunches today to try to get you to  
18 your flight at 6:00.

19 A. Great. Thank you.

20 Q. What did you do to prepare for today's  
21 deposition?

22 A. I reviewed all the documents related to  
23 this case, reviewed my own declarations, and I had  
24 a prep session with my counsel.

25 Q. Okay. And how long was that prep session

1 MIR

2 with your counsel?

3 A. It was yesterday starting at 10:00 and I  
4 think it was extended to around 4:00 or 4:30.

5 Q. Okay. And did you review any documents in  
6 your preparation session with counsel?

7 A. Yes, we did.

8 Q. Is the counsel who prepared you the  
9 counsel that's here today representing you,  
10 Mr. Bertulli?

11 A. Yes, he is.

12 Q. Was anybody else in the preparation  
13 session with you yesterday besides Mr. Bertulli?

14 A. No.

15 Q. Was anybody on the phone during your  
16 preparation session with Mr. Bertulli yesterday?

17 A. No.

18 Q. Now, you talked about reviewing documents  
19 associated with this case. Are those the same  
20 documents that you have listed in your declaration?

21 A. Pretty much those that I listed in my  
22 declaration.

23 Q. Okay. Were there any documents not  
24 identified in your declaration that you reviewed in  
25 preparation for your deposition?



1 MIR

2 A. I don't recall if there was any. I don't  
3 think so.

4 Q. Dr. Mir, who has engaged you as an expert  
5 in this case?

6 A. There is a company who identifies experts  
7 for the law firms and Thomson Reuters is the  
8 company that I'm referring to, so I was retained by  
9 the law firm through Thomson Reuters.

10 Q. And is that the law firm that represents  
11 Cox, also known as Proskauer?

12 A. That is correct.

13 Q. So who do you submit your invoices to?

14 A. To Thomson Reuters.

15 Q. And what is your compensation rate to  
16 Thomson Reuters?

17 A. Compensation rate is \$350 an hour and then  
18 it gets additional fees of Thomson Reuters on top  
19 of it and that will be sent to the law firm.

20 Q. So is it correct that Thomson Reuters is a  
21 service for experts that you work for?

22 A. I believe so, yeah.

23 Q. And Proskauer did not contact you directly  
24 as a retention in this case; they contacted instead  
25 Thomson Reuters; is that correct?

1 MIR

2 A. Correct.

3 Q. How many total hours have you spent on  
4 this case associated with the declaration  
5 identified as Exhibit 1014 in this matter?

6 A. I don't recall at all. I was not prepared  
7 to answer this question. I have my invoices.  
8 Later on I can go over the hours and then let you  
9 know. There are four different patents, as you are  
10 aware of, and I cannot really separate the number  
11 of hours per the patents, as I say. So I just send  
12 all the accumulated hours in one invoice per month,  
13 and even that, I don't -- the number of hours per  
14 month, I don't recall.

15 Q. For all of the matters, would you have  
16 billed over a hundred hours?

17 A. It's hard to recall it, but, you know, I  
18 would say that maybe around a hundred, maybe right  
19 around a hundred so far.

20 Q. So with respect to all four cases, you've  
21 spent approximately a hundred hours?

22 A. Again, approximately, yes, maybe. Maybe  
23 130, maybe 90, maybe 80. I mean, yes, would be  
24 around that number.

25 Q. Okay. When were you first contacted about

1 MIR

2 this engagement?

3 A. I believe it was in 2015, if I'm not --  
4 just a quick check in my CV.

5 (Perusing document.)

6 Yeah, January 2015 I started work for Cox.

7 Q. Okay. Now, you're looking at a document  
8 in front of you. Could you please identify what  
9 that document is.

10 A. This is my declaration, and it's a clean  
11 declaration, there is no writing in it.

12 Q. And that's the declaration that's been  
13 previously marked as Exhibit 1014?

14 A. Correct.

15 Q. And just to confirm, there's no notes or  
16 notations in the document you've identified in  
17 front of you as Exhibit 1014, correct?

18 A. That is correct. You can examine it if  
19 you want.

20 Q. That's okay. I trust you.

21 With respect to Exhibit 1014, is it okay  
22 if we refer to that as your declaration during this  
23 deposition?

24 A. That's fine.

25 Q. So if we refer to Exhibit 1014, or your

1 MIR

2 declaration, we understand that we're referring to  
3 the document that has been presented in front of  
4 you; is that correct?

5 A. Yes.

6 Q. Does the declaration, Exhibit 1014,  
7 contain a complete statement of all opinions that  
8 you express and the basis and reasons for those  
9 opinions?

10 A. Yes.

11 Q. Now, you have three flags in the document  
12 that's in front of you. What are those flags?

13 A. Sure. The first flag indicates the start  
14 of the basis of my opinion and shows where the list  
15 of the references are made in my declaration; the  
16 second flag shows the start of claim-by-claim  
17 analysis; and the third flag shows the Appendix A,  
18 which is my CV.

19 Q. Can you give us an approximate paragraph  
20 number for each flag that you've identified.

21 A. Sure. The first flag is paragraph number  
22 35; the second flag is at paragraph 59; and the  
23 last one is the appendix.

24 Q. When was the first time you were contacted  
25 about this --

1 MIR

2 MR. McDOLLE: Strike that.

3 Q. Who was the person you first talked to  
4 about this engagement from Proskauer?

5 A. I really don't recall. I believe there  
6 were more than one person in the interview. I  
7 really don't recall who they were.

8 Q. Okay. You referred to an interview. Did  
9 you have a conversation with counsel from Proskauer  
10 prior to your engagement in this matter?

11 A. No. The procedure was very simple:  
12 Thomson Reuters contacted me to let me know that  
13 there is a case for IPR if I'm interested. I  
14 reviewed the case and I did confirm that this case  
15 is -- this case matches my expertise and I'm  
16 interested in getting engaged.

17 And then, I guess there will be a -- there  
18 was a communication between Thomson Reuters and the  
19 law firm. And then the law firm probably -- I  
20 would assume that they also indicated the interest  
21 in interviewing me, and then the interview was set  
22 up. And after the interview, a retaining letter  
23 was issued. This is the best I can remember.

24 Q. Okay. So the interview happened before  
25 the engagement letter in this matter, correct?

1 MIR

2 A. That has been always true in all of my  
3 cases I was engaged.

4 Q. Okay. What questions were asked of you in  
5 the interview?

6 A. I do not recall what questions exactly  
7 were asked, but I could say generally they asked  
8 questions about my qualifications and questions  
9 related to patents engaged in the case. These are  
10 typical questions, typical topics for an interview.

11 Q. Were you provided documents prior to the  
12 interview to review?

13 A. To the best of my knowledge, the only  
14 documents that are provided to the experts, a  
15 candidate, are the asserted patents. I believe  
16 that these four patents were provided to me. I  
17 don't believe there was anything else.

18 Q. Did you review the patents in this matter  
19 prior to your interview with Proskauer?

20 A. I believe the typical procedure is that a  
21 few days before the interview, the patents -- the  
22 asserted patents are provided to the expert. The  
23 expert is expected to review the patents for the  
24 interview and I believe I did. And the questions  
25 mostly were about the patents and the technology

1 MIR

2 engaged in the patents.

3 Q. I'm going to hand you a document that's  
4 been previously marked as Cox Communications, Inc.  
5 Exhibit 1001.

6 Do you recognize this document that has  
7 been previously marked as Exhibit 1001?

8 A. Yes.

9 Q. What is the document that is already  
10 marked as Exhibit 1001?

11 A. Apparently, it is patent '714. The  
12 inventor is Baniak, B-a-n-i-a-k, and a number of  
13 other inventors are there, too.

14 Q. If we refer to Exhibit 1001 as the '714  
15 patent, would that be okay with you?

16 A. I don't have any problem with that.

17 Q. All right. Is the '714 patent one of the  
18 patents that you reviewed prior to your interview  
19 with Cox's counsel in this case?

20 A. Again, it is more than a year after the  
21 interview. I believe this is one of those.

22 Q. Did you review any prior art to the '714  
23 patent in preparation for your interview with Cox's  
24 counsel?

25 A. At that point, the topic of prior art was

1 MIR

2 not the topic of conversation in the interview. I  
3 believe there was no such attempt for  
4 interviewing -- for reviewing the prior art.

5 Q. Did Cox make any attempt to determine what  
6 your opinions were with respect to the '714 patent  
7 during the interview?

8 A. During the interview normally they ask  
9 questions related to the patent and whether I have  
10 a good knowledge related to the patent and how I  
11 feel about the -- how I feel about working in the  
12 area of the patent. And I confirmed that  
13 everything is fine with me.

14 Q. So Cox's counsel did not ask you if you  
15 believe the '714 patent was invalid during the  
16 interview?

17 A. It is more than a year ago. I don't know  
18 if there was any question like that. And if they  
19 asked me such a question, I definitely answered the  
20 way that I always do and say that I need time to do  
21 some research to get back to the counsel. This is  
22 my typical answer if there was any such question.

23 Q. How many other experts did Cox interview  
24 prior to settling on you as their expert in this  
25 matter?



1 MIR

2 A. I'm not aware of any. Actually, I should  
3 answer the question this way: that I don't know if  
4 Cox has interviewed any other people. This is not  
5 the information that was provided to me.

6 Q. When you first were engaged in the matter,  
7 what materials did Cox provide you to form your  
8 opinions?

9 A. As much as I remember, there was a time  
10 during which I was given to review the patents, the  
11 four patents, and then we had some sort of a  
12 conversation on how I think about the patents, and  
13 then I believe after a couple of months, or maybe  
14 more, we started working on the invalidity of the  
15 patents, and like any other IPR cases, we proceeded  
16 to the end of the expert report.

17 Q. When you say you began working on the  
18 invalidity of the patents, what do you mean?

19 A. We tried to identify the prior art  
20 references and see how those references can  
21 invalidate the claims of the asserted patents.

22 Q. So were you engaged by Proskauer counsel  
23 to determine a way to invalidate the '714 patent?

24 A. Yes. I was part of this process. I tried  
25 to identify the patents that or documents that I

1 MIR

2 believe -- believed to be considered as the prior  
3 art, and the law firm also identified several prior  
4 art documents, and that was the start of the  
5 process.

6 Q. Did you identify any prior art references  
7 with respect to the '714 patent or was it prior art  
8 that Proskauer counsel provided to you?

9 A. The prior art references that are listed  
10 here in my declaration were identified by  
11 Proskauer.

12 Q. So there were no prior art references that  
13 you individually identified as invalidating the  
14 '714 patent; is that correct?

15 A. No, it is not correct. I did identify  
16 several prior art references. But at the end when  
17 we put all these together, we ended up with these  
18 prior art references as the best.

19 Q. Okay. So to confirm, the prior art  
20 references that you identified were not used in the  
21 IPR relating to the '714 patent; is that correct?

22 A. That is correct, with some explanation  
23 that and I believe that one or two of the prior  
24 arts that I found actually are -- were already  
25 identified by the -- by the counsel. I don't

1 MIR

2 remember which ones.

3 Q. How much time did you take to search for  
4 prior art references with respect to the '714  
5 patent?

6 A. I don't recall that. If I had my invoices  
7 in front of me, I could tell you the details. I  
8 don't know, probably ten hours per patent would be  
9 very fair. I might be wrong. I'd have to check my  
10 invoices.

11 Q. If I can have you turn to Figure 8, which  
12 is page 11 of Exhibit 1001, which is the '714  
13 patent.

14 A. I'm sorry, page?

15 Q. It's page 11. It's sheet 8 of 8 or if you  
16 look down by the exhibit number, page 11, it says  
17 "Figure 8" at the top.

18 A. Page 11, Figure 8.

19 Q. Yes.

20 A. Yes.

21 Q. What is Figure 8 of the '714 patent?

22 A. (Perusing document.)

23 Figure 8 has a caption and the caption was  
24 indicated on column 6 of the 1001 exhibit. And it  
25 says, "Figure 8 shows an activity log according to

1 MIR

2 an aspect of the present invention."

3 Q. Does Figure 8, how you would under --

4 MR. McDOLLE: Strike that.

5 Q. Does Figure 8 appear to be a screenshot of  
6 the invention of the '714 patent?

7 A. Well, it seems the caption says it is an  
8 activity log according to an aspect of the present  
9 invention. My understanding is that Figure 8 shows  
10 the -- some data logged somewhere related to the  
11 invented system.

12 Q. Based on your expertise, is Figure 8 what  
13 an activity log would look like if someone  
14 practiced one aspect of the inventions in the '714  
15 patent?

16 A. The content of this table is user ID,  
17 positive ID number, activity, date, time, effective  
18 dates, status, and the status date and time. This,  
19 to me, is an activity log.

20 Q. Okay. And my question is, is Figure 8 an  
21 activity log, what an activity log would look like  
22 if someone practiced one aspect of the inventions  
23 in the '714 patent?

24 A. This could be one example for that, yes.

25 Q. Okay. Do you have any reason to not

1 MIR

2 believe that Figure 8 is an activity log of someone  
3 practicing at least one aspect of the claimed  
4 invention of the '714 patent?

5 A. No.

6 Q. Okay. If I could have you turn to  
7 paragraph --

8 MR. McDOLE: Strike that.

9 Can we take just a two-minute break real  
10 quick?

11 THE WITNESS: Sure.

12 MR. McDOLE: Be right back.

13 (Proceedings interrupted at 8:24 a.m. and  
14 reconvened at 8:25 a.m.)

15 BY MR. McDOLE:

16 Q. Dr. Mir, if I can have you turn to  
17 paragraph 35 of your declaration.

18 A. Yes.

19 Q. What does paragraph 35 of your  
20 declaration, Exhibit 1014, show?

21 A. "In order to render my opinions in this  
22 matter, I have reviewed the following materials,"  
23 and I listed the materials.

24 Q. Are the materials listed in paragraph 35  
25 of your declaration a complete listing of all the

1 MIR

2 materials you considered in forming your opinions  
3 in this case?

4 A. Yes.

5 Q. Are there any materials that you reviewed  
6 in this matter in forming your opinions that are  
7 not included in the documents listed in paragraph  
8 35 of your declaration?

9 A. If there was, I was not using them. But  
10 these are the ones that I used for my declarations.

11 Q. Okay. Are there any errors in your  
12 declaration marked as Exhibit 1014?

13 A. I'm not aware of any, but there could be.

14 Q. Okay. Are there any corrections to your  
15 declaration marked as Exhibit 1014 that you believe  
16 you need to make?

17 A. We did not submit any error or errata or  
18 anything like that, so I believe there is no such a  
19 thing. There could be some grammatical errors  
20 typically in a declaration, but not anything that  
21 I'm aware of.

22 Q. Okay. So there could be grammatical  
23 errors in your declaration marked as Exhibit 1014,  
24 but not substantive errors, in your opinion?

25 A. That is correct.

1 MIR

2 Q. Dr. Mir, did you take care to ensure that  
3 there were no mistakes or errors in your  
4 declaration marked as Exhibit 1014?

5 A. When I finished my declaration, I reviewed  
6 my declaration for any errors, major errors,  
7 substantive errors and I didn't find anything.

8 Q. Okay. So do you believe you took care to  
9 ensure there are no mistakes or errors in your  
10 declaration marked as Exhibit 1014?

11 A. Yes.

12 (Mr. Steven Bauer now present.)

13 Q. Did you review a draft petition in forming  
14 your opinions that are contained in Exhibit 1014?

15 A. The counsel sent me the petition draft  
16 just to provide opinion, and I did review them,  
17 review all the petitions, this one -- the petition  
18 for this case and the other cases. And I did send  
19 the -- my opinion related to the petitions.

20 Q. Okay. What was your opinion related to  
21 the petitions?

22 A. Whatever it was, I don't remember. For  
23 example, if there is a paragraph, I said maybe this  
24 paragraph should be moved to another part of the  
25 draft, something like that.

1 MIR

2 Q. Okay. Do you recall any specific edits  
3 that you provided to the petition for the '714  
4 patent in this case?

5 A. Yes. But it was not really a substantial  
6 edit, I believe. I just -- typical review of a  
7 draft and make some comments -- making some  
8 comments on certain parts of the draft and provided  
9 to counsel.

10 Q. Okay. Did you rely on the substance of  
11 the draft petition in forming your opinions in  
12 Exhibit 1014?

13 A. When I reviewed the petition, I tried to  
14 understand the substance of the materials in the  
15 petition, and if I agreed with some part of the  
16 petition, of course, it was a good starting point  
17 for me to use the ideas. And if I did not agree  
18 with the parts of the petition or the petition's  
19 parts were not related to my declarations, I just  
20 skipped from it.

21 Q. Had you drafted your declaration prior to  
22 receiving a draft petition from Cox's counsel?

23 A. Yes. I drafted my petition by myself.  
24 The very first draft was done by me and this is  
25 true on other cases, other patents. And then I



1 MIR

2 sent the very first draft to the counsel. And I  
3 asked for the opinion, and then back and forth we  
4 had some communications and ended up with this  
5 version of the draft.

6 Q. Okay. So Cox's counsel made changes to  
7 your declaration after you drafted it?

8 A. The person who signs this declaration is  
9 me. So if they made some comments on my  
10 declaration, I should have reviewed those comments.  
11 If I agreed, I could incorporate the idea or the  
12 opinion. Otherwise, I would have rejected it.

13 MR. MCDOLE: Move to strike as  
14 nonresponsive.

15 Q. My question was so Cox's counsel made  
16 changes to your declaration after you drafted it?

17 A. Making change means that they forced me to  
18 change or they suggested a change? Would you  
19 clarify this?

20 Q. Sure.

21 Did Cox's counsel suggest edits to your  
22 declaration after you drafted it?

23 A. Of course. The counsel suggested some  
24 parts of my declaration with some opinions. I  
25 believe they were very minor. For example,

1 MIR

2 splitting a paragraph, long paragraph into two  
3 paragraphs, something like that, and I didn't see  
4 any problem implementing those.

5 Q. Did Cox's counsel make any substantive  
6 edits that you recall to your draft declaration  
7 that eventually became Exhibit 1014?

8 A. There could be a case, for example, that I  
9 had forgotten to include in my declaration. They  
10 brought to my attention how about this, for  
11 example, that would be related to the substance of  
12 the declaration. And I don't remember what exactly  
13 it is, but if there was, again, I reviewed the idea  
14 and took into consideration.

15 Q. As you sit here today, can you recall any  
16 edits that you refused to implement that were  
17 suggested by Cox's counsel?

18 A. I do not recall that there was any case  
19 like that.

20 Q. So as you sit here today, based on your  
21 memory, all of Cox's counsel's suggestions for  
22 edits were implemented by you?

23 A. I don't know if this is true. Again, it  
24 is more than a year ago, what we're talking about.  
25 I don't believe there was any major edits suggested

1 MIR

2 by the counsel. And I worked on all the minor  
3 opinions back and forth, we communicated and ended  
4 up with this draft.

5 Q. Okay. When you say this was a year ago,  
6 what date did you sign your declaration?

7 A. (Perusing document).

8 I signed my declaration May 18, 2015.

9 Q. May 18, 2015 wasn't a year ago, correct?

10 A. That is correct.

11 Q. Okay. When did you first provide Cox's  
12 counsel with a draft of your declaration?

13 A. I don't recall exact dates. My e-mails  
14 can confirm it if you want me to confirm. But  
15 let's say if this draft took me around a month or  
16 two, so the draft was initiated probably in March  
17 maybe, but please do not forget that we were  
18 working on four different declarations, four  
19 different patents, so I don't recall which one  
20 started first.

21 This could be four months before my  
22 signing date, could be two months before signing  
23 date, but right around those dates, two to  
24 four months before the signing dates.

25 Q. Okay.

1 MIR

2 A. So it's about a year, I would say,  
3 approximately a year.

4 Q. So somewhere between two and four months  
5 before the actual declaration was signed, you  
6 provided a draft to Cox's counsel; is that correct?

7 A. To the best that I can remember, yes.

8 Q. And then somewhere between two and four  
9 months prior to the date that you signed the  
10 declaration, edits were provided from Cox's counsel  
11 that you implemented?

12 A. Yeah, two to four months, we started to  
13 communicate. I just initiated my draft and then  
14 received some comments and then we started to  
15 communicate and finalize it, yes.

16 Q. Okay. So over a two to four-month period  
17 Cox's counsel was providing suggestions and edits  
18 to your declaration; is that correct?

19 A. Approximately two to four months we  
20 started to communicate on the content of my  
21 declarations.

22 Q. Okay. If I can have you turn to --

23 MR. MCDOLE: Well, strike that.

24 Q. If you could look at paragraph 35 of your  
25 declaration again. Could you identify where you

1 MIR

2 identify the petition that Cox filed with respect  
3 to the '714 in paragraph 35?

4 A. The petition is not listed here.

5 Q. Why not?

6 A. So these are basically the prior art  
7 references that I used for my declaration, and the  
8 petition was something that during my declaration  
9 was being prepared.

10 Q. Okay. Did you rely on a draft petition or  
11 any claim charts therein in rendering your opinions  
12 in this case?

13 A. To the best of my understanding and my --  
14 how I remember, the petition was not ready when I  
15 started my declaration. The petition was  
16 undergoing during the time that I was working on my  
17 declaration, and I believe in parallel with my  
18 declaration the petition was prepared. And as I  
19 said before, I also rendered my suggestions on the  
20 petition at some points, so I can say that the  
21 petition and declaration were both in parallel  
22 prepared.

23 Q. When you signed your declaration, though,  
24 on May 18th, 2015, was the petition ready?

25 A. I do not recall that.

MIR

Q. If I could have you turn to paragraph 79.

MR. BERTULLI: Gentlemen, do you have a copy of Exhibit 1014 for counsel?

MR. MCDOLE: You brought Exhibit 1014.

MR. BERTULLI: Well, Dr. Mir brought Exhibit 1014. But you're asking questions about it. Do you have a copy?

MR. MCDOLE: Happy to give you a copy, counsel. But you brought the document; I was assuming you brought a copy for yourself.

A. I'm sorry, which paragraph?

Q. Paragraph 79.

A. Okay.

Q. If I can have you read the second sentence into the record.

A. Starting with "I base"?

Q. Yes.

A. "I base this on ground B(1) in the claim chart of Table B in the IPR petition with which I agree; the disclosures of the '714 patent and Buhrmann; my own knowledge and experience; and the explanations of the laws of anticipation provided to me by Cox's counsel."

Q. Okay. The sentence refers to reviewing a

1 MIR

2 claim chart, Table B, in the IPR petition with  
3 which you agree, correct?

4 A. That is correct.

5 Q. When you reviewed Table B, the claim  
6 chart, Table B in the IPR petition, was the IPR  
7 petition ready?

8 A. I don't believe it was. As I said, the  
9 petition as far as I remember was sent to me for  
10 opinion, for suggestions, so that was not ready.

11 Q. So the claim chart of Table B in the IPR  
12 petition which you agreed was not the final claim  
13 chart that ended up in the petition with respect to  
14 the '714 patent?

15 A. I do not recall it. To the best of my  
16 knowledge, it was not ready.

17 Q. Okay. Did you --

18 A. It was not finalized. Maybe ready, but  
19 was not finalized.

20 Q. Okay. Did you rely on the claim chart of  
21 Table B in the IPR petition in rendering your  
22 opinions?

23 A. As I said, in my declaration, I base this  
24 on ground B(1) in the claim chart of Table B in the  
25 IPR petition, I clearly said so.

1 MIR

2 MR. McDOLE: Counsel, I would request a  
3 draft of the petitions that Dr. Mir reviewed. He's  
4 just testified he relied on them in forming his  
5 opinions and I think we're entitled to those.

6 Q. Dr. Mir, are your opinions contained in  
7 Exhibit 1014 or are some of your opinions contained  
8 in the IPR petition?

9 A. The opinions are very similar.

10 MR. McDOLE: Move to strike.

11 Q. Dr. Mir, that wasn't my question.

12 Are your opinions fully contained in  
13 Exhibit 1014 or are some of your opinions contained  
14 in the IPR petition?

15 A. I'm sorry, I do not understand otherwise  
16 what your question is. I answered the question.

17 Q. Okay. Let me rephrase it for you.

18 Are there any opinions not --

19 MR. McDOLE: Strike that.

20 Q. Are there any of your opinions with  
21 respect to the '714 patent that are not contained  
22 in Exhibit 1014, but are contained in the IPR  
23 petition?

24 A. I have not memorized the petition, so I  
25 cannot answer the question unless I just compare



1 MIR

2 word-by-word of these two documents and tell you if  
3 there is any difference.

4 Q. So you're unaware if you have stated your  
5 opinions in the petition as opposed to whether they  
6 are fully contained in Exhibit 1014?

7 A. I'm unaware of it, yes.

8 Q. Okay. Do I need to go to Cox's IPR  
9 petition to determine your opinions in this matter  
10 or can I simply look at Exhibit 1014?

11 A. You should only rely on Exhibit 1014 in  
12 order to see -- to find out about my opinion.

13 Q. Okay. So with respect to the claim charts  
14 that are in Cox's IPR petition, those are not your  
15 opinion because the claim charts are not contained  
16 in Exhibit 1014; is that correct?

17 A. I based those charts that I refer to in my  
18 declaration, for my declaration, those charts are  
19 referred to by me for forming my opinion.

20 Q. Okay. Who drafted the claim charts of  
21 Table B in Cox's IPR petition?

22 A. I don't know.

23 Q. Did you draft the claim charts of Table B  
24 in Cox's IPR petition?

25 A. No.

1 MIR

2 Q. So why did you base your opinion on a  
3 claim chart that you don't know who drafted?

4 A. Not knowing who drafted doesn't mean that  
5 it was not drafted by anyone other than Cox's  
6 counsel. So since it was drafted by Cox's counsel,  
7 then it was reliable to me.

8 Q. So anything that Cox's counsel drafts is  
9 reliable to you?

10 A. After I read and confirm, yes.

11 Q. How do you know that the claim charts of  
12 Table B in the IPR petition were drafted by Cox's  
13 counsel?

14 A. To the best of my knowledge, it was  
15 presented by Cox's counsel, so that -- when I read  
16 the first page and last page, who prepared these,  
17 they tell me that, you know, this is done by Cox  
18 counsel.

19 Q. So you were told that the claim charts of  
20 Table B in the IPR petition were drafted by Cox's  
21 counsel?

22 A. No one told me verbally. But the charts  
23 were part of a document drafted by Cox's counsel.

24 Q. Okay. Is the fact that --

25 MR. McDOLLE: Strike that.

1 MIR

2 Q. Is it an assumption on your part that  
3 Cox's counsel drafted the claim charts of Table B  
4 in the IPR petition or do you have some facts to  
5 back that up?

6 A. That was enough to me to believe that this  
7 was done by the Cox's counsel; otherwise, I should  
8 have been notified if there is any external  
9 preparer for this claim chart.

10 Q. Okay. So the claim charts of Table B in  
11 the IPR petition are the opinions of Cox's counsel,  
12 correct?

13 A. Claim chart is done by the counsel, and my  
14 understanding is if the counsel prepares a claim  
15 chart, then that's their opinion.

16 Q. Okay. Are there any claim charts that you  
17 include in Exhibit 1014 with respect to the '714  
18 patent?

19 A. Whatever it is should have been listed in  
20 my declarations.

21 Q. Okay. But all of your opinions are  
22 contained in your declaration marked as  
23 Exhibit 1014?

24 A. That is correct.

25 Q. If I can have you turn to paragraph 48 of

1 MIR

2 your declaration marked as Exhibit 1014.

3 A. Yes.

4 Q. The first sentence of paragraph 48 of  
5 Exhibit 1014, you state that "I have carefully  
6 reviewed each of the prior art references cited in  
7 the petition."

8 What does it mean to carefully review a  
9 prior art reference?

10 A. That means I carefully reviewed.

11 Q. Does it mean you read it more than once?

12 A. Yeah.

13 Q. Does it mean you studied it?

14 A. What's the meaning of studying it?

15 Q. Did you analyze the entire prior art  
16 reference?

17 A. Yes.

18 Q. How many times did you read, for example,  
19 the Buhrmann reference with respect to the '714  
20 patent in its entirety?

21 A. I don't recall it.

22 Q. Do you recall reviewing the Buhrmann  
23 reference in its entirety?

24 A. Yes.

25 Q. Okay. Is it, if you review a patent once,

1 MIR

2 in your mind would that be considered carefully  
3 reviewing a prior art reference?

4 A. Yes.

5 Q. And just to verify, Dr. Mir, you did  
6 review --

7 MR. McDOLLE: Well, strike that.

8 Q. Make this a little bit easier so you don't  
9 have to guess as to what I'm talking about.

10 Going to hand you what's been previously  
11 marked as Cox Communication Inc. Exhibit 1004.

12 Dr. Mir, could you take a look at  
13 Exhibit 1004 and please let me know if you  
14 recognize this document?

15 A. Yes.

16 Q. Did you review the entire contents of  
17 Exhibit 1004 or just the portions that Cox counsel  
18 pointed you to in claim charts?

19 A. I reviewed the entire Exhibit 1004.

20 Q. Okay. Do you recall if you reviewed the  
21 entire Exhibit 1004 on more than one occasion?

22 A. I think it is more than one occasion.

23 Q. How many times did you review  
24 Exhibit 1004?

25 A. I don't recall.

MIR

Q. In forming your --

MR. McDOLE: Strike that.

Q. In drafting your declaration, Exhibit 1014, did you take caution in using exact words in your declaration?

MR. McDOLE: Strike that. Let me ask that question a little bit differently.

Q. In drafting your declaration, were you careful with the words that you chose to state your opinion in Exhibit 1014?

A. No.

Q. So you were not careful with the words that you chose in identifying your opinion to a governmental agency of the United States Patent Office?

A. When you say careful using words, could you just elaborate what you mean exactly? I don't really get what you're -- what you exactly mean with this.

I don't change any words, I didn't pick any particular words compared to the other words. I don't know what you mean by this.

Q. Well, you chose certain words to put in your opinions in the declaration identified as

1 MIR

2 Exhibit 1014, correct?

3 A. Can you show me what words you're  
4 referring to for example?

5 Q. Any word in your declaration, sir. You  
6 chose those words, correct?

7 A. The question is really confusing me, I  
8 chose those words. I just prepared this draft  
9 after I reviewed the prior art and forming my  
10 opinion and rendered my opinion and chose some  
11 words to explain it. I don't exactly understand  
12 what your question is.

13 Q. Well, my question is were you careful in  
14 choosing those words to accurately explain your  
15 opinion to the United States Patent Office?

16 A. I always choose the best words to show my  
17 opinion the best, the best way.

18 Q. Okay. Have you ever viewed the final  
19 claim charts provided in the petition for IPR  
20 relating to the '714 in this matter?

21 A. I don't recall if that was the very final  
22 one or not. I think I had some review of different  
23 claim charts.

24 Q. Okay. Subsequent to your filing --

25 MR. McDOLLE: Strike that.

1 MIR

2 Q. Subsequent to your signing of your  
3 declaration on May 18th, 2015, relating to the '714  
4 patent, did you review any other claim charts  
5 provided by Cox's counsel?

6 A. After I signed off my declarations, I, to  
7 the best of my understanding and remembering, I did  
8 not do anything else for Cox Communications other  
9 than for preparations for this deposition  
10 yesterday we just went over several documents. I  
11 don't believe it was -- the claim chart was one of  
12 those that I reviewed.

13 Q. After you reviewed --

14 MR. McDOLLE: Strike that.

15 Q. After the last time you reviewed the claim  
16 chart of Table B in the IPR petition, do you know  
17 whether that claim chart was changed prior to it  
18 being included in the final petition to the United  
19 States Patent and Trademark Office?

20 A. I was not informed of any.

21 Q. And do you know whether any changes were  
22 made?

23 A. I'm not aware of it, no.

24 Q. Could changes have been made to the claim  
25 charts of Table B in the IPR petition without your



1 MIR

2 knowledge?

3 MR. BERTULLI: Objection, calls for  
4 speculation.

5 A. Are you asking could it be?

6 Q. Uh-huh.

7 A. How would I know?

8 Q. Sir, are you aware that the patent office  
9 rejected the first filing by Cox because they  
10 included argument in their claim charts and they  
11 were required to be changed?

12 A. Yeah, I'm not aware of it.

13 Q. Are you aware that Cox actually changed  
14 its claim charts twice before the current operative  
15 petition at the patent office was accepted?

16 A. No, I'm not aware of it.

17 Q. You haven't reviewed those claim charts,  
18 have you?

19 A. As I said, after I signed my declarations,  
20 I did not do anything else for Cox counsel until  
21 recently that we started preparing for the  
22 deposition.

23 Q. Did you review a corrected amended  
24 petition in preparation for your deposition today?

25 A. I do not recall if there is anything like

1 MIR

2 that. I have to check to see if there is any  
3 communications from the Cox's counsel to me between  
4 the time I signed my draft and recently. I'm not  
5 aware of if there is any communications. There  
6 might be. I don't know.

7 Q. After you signed your declaration, did you  
8 ever review the final IPR petition that was filed  
9 the day after you signed your declaration?

10 A. As I said, I don't remember what documents  
11 I reviewed as of signing my draft. But I do  
12 remember I reviewed the institution of the PTAB,  
13 which was a good news to us, sent to me by the  
14 counsel, that for sure I remember. I don't  
15 remember if there is anything else. I have to  
16 really check if there is anything else.

17 MR. McDOLE: Move to strike as  
18 nonresponsive.

19 Q. After you signed your declaration, did you  
20 ever review the final IPR petition that was filed  
21 by Cox the day after you signed your declaration?

22 A. I don't believe so. I don't think so, to  
23 the best of my remembering.

24 Q. So as you --

25 MR. McDOLE: Strike that.

1 MIR

2 Q. Subsequent to Cox filing its original IPR  
3 petition in this case, have you ever reviewed the  
4 final IPR petition filed by Cox on May 19th, 2015?

5 A. I assume that you're asking the same  
6 question.

7 Q. There's just a little bit of a difference  
8 there.

9 A. Well, my answer would be the same. I  
10 believe -- I don't believe so, I don't think so. I  
11 have to check my records and communications.

12 Q. Okay. And to your knowledge as you sit  
13 here today, you have never reviewed the revised  
14 amended petition filed by Cox relating to the '714  
15 patent on June 22nd, 2015?

16 A. I assume that this is the same question  
17 you're asking me for a third time, right?

18 Q. No. My first one was the original  
19 petition filed May 19th, 2015. This question  
20 related to the revised amended petition filed on  
21 June 22nd, 2015.

22 A. Is it after my signing the draft?

23 Q. Yes, sir.

24 A. So I have the same answer for you. I  
25 don't think I did. I don't remember it if I did.

1 MIR

2 I have to check if I did. I don't think I did.

3 Q. So Dr. Mir, as you sit here today without  
4 knowing the contents of the final petitions that  
5 were signed, you have no opinion as to the accuracy  
6 or correctness of the final IPR petitions or the  
7 revised amended petition filed by Cox; is that  
8 correct?

9 A. I don't remember it.

10 MR. McDOLLE: I think we've been going a  
11 little over an hour. Why don't we take a break.

12 (Proceedings interrupted at 9:04 a.m. and  
13 reconvened at 9:15 a.m.)

14 BY MR. McDOLLE:

15 Q. Welcome back, Dr. Mir.

16 A. Thank you.

17 Q. Is there any testimony you believe needs  
18 to be corrected?

19 A. No.

20 Q. Did you have any discussions with your  
21 counsel during the break?

22 A. Yes.

23 Q. About the substance of this deposition or  
24 the '714 patent?

25 A. Not at all.

MIR

Q. Okay. Dr. Mir, did you review the institution decision relating to the '714 patent?

A. Yes, I did.

Q. Is there anything in the institution decision that you disagreed with?

A. I agreed with the result of the Institution.

Q. Did you agree with the substance of the institution decision?

A. Yes, I did.

Q. Did you believe that the patent office made any errors in its institution decision?

A. There could be some errors, minor errors, there could be.

Q. Did you believe that the patent office correctly construed the claims of the patent?

MR. McDOLLE: Strike that.

Q. Do you believe that the patent office correctly construed the claims of the '714 patent?

A. I believe so.

Q. Dr. Mir, did you review AT&T's preliminary response relating to the '714 patent?

A. No.

Q. So as you sit here today, you have never

1 MIR

2 reviewed AT&T's preliminary response relating to  
3 the '714 patent, correct?

4 A. Correct.

5 Q. Dr. Mir, in forming your opinions in this  
6 matter, did you assume that when a patent uses  
7 different terms that they mean different things?

8 A. Could you give me an example?

9 Q. Sure.

10 If a patent uses two terms for something,  
11 even though they may have similar meanings, did you  
12 assume that they had different meanings?

13 A. I do not exactly understand what you're  
14 referring to. Two words: How similar are they,  
15 how close are they? I need to be clarified in  
16 that. I cannot answer the question.

17 Q. So it would depend on context?

18 A. Yeah. Depends on the context sometimes.

19 (Exhibit 2039, Provisional Application for  
20 '714 patent (135 pages), marked for  
21 identification.)

22 Q. Okay. I'll have you turn back to  
23 Exhibit 1014, paragraph 35. I'm going to hand you  
24 a document that we've marked as Exhibit 2039, which  
25 is the provision application for the '714 patent.

MIR

A. Which paragraph was it?

Q. Paragraph 35 to your list of materials.

A. Uh-huh, okay.

Q. I've handed you what is a provisional application for the '714 patent. Did you review this document in forming your opinions?

A. Which exhibit is it in the -- among the list of my exhibits?

Q. This is an exhibit we have marked at your exhibit -- at your deposition for the first time, Exhibit 2039.

A. And this is not in the list of the references I made in paragraph 35?

Q. I certainly don't see it, but I can certainly ask you that question.

Dr. Mir, is what has been marked as Exhibit 2039 identified in your paragraph 35, Exhibit 1014?

A. And your question is whether I reviewed this before?

Q. Yes.

A. So I don't recall if I did. It could be the case that I reviewed briefly or quickly.

Q. If you would have reviewed it, it would be

1 MIR

2 included in paragraph 35.

3 A. I believe so.

4 Q. You can set that to the side.

5 Dr. Mir, if I could have you turn to  
6 Exhibit 1004, which is the Buhrmann patent. If I  
7 refer to Exhibit 1004 as the Buhrmann reference,  
8 will you understand what I'm referring to?

9 A. It would be even better.

10 Q. Perfect.

11 Is there a better acronym that we could  
12 use as opposed to the Buhrmann reference for  
13 Exhibit 1004?

14 A. No. Actually, if you just use the first  
15 inventor for each patent, that would be greater.

16 Q. That was going to be my next question.

17 For all the prior art references we refer  
18 to, if we refer to simply the first inventor, will  
19 you understand what I'm referring to?

20 A. That would be perfect.

21 Q. Good.

22 And if I refer to an institution decision,  
23 as we've done already, do you understand that to  
24 mean the board's institution decision that was  
25 issued on November 18th, 2015 with respect to the



1 MIR

2 '714 patent?

3 A. I don't recall the date, but I was  
4 informed of the PTAB's decision and the institution  
5 of the patents and I was communicated with.

6 Q. Okay. Did you review the board's  
7 institution decision? I actually think it was  
8 November 19th, 2015.

9 A. I reviewed the PTAB's Institution  
10 document. I don't remember when I reviewed it and  
11 when it was issued, but I believe I reviewed one.

12 Q. Okay. If we refer to that as the  
13 institution decision, will you understand what  
14 we're referring to?

15 A. That is also understood, yes.

16 Q. If I could have you turn to paragraph 19  
17 of your declaration.

18 A. Yes.

19 Q. You're not a legal expert, are you, sir?

20 A. That is correct.

21 Q. And you have no legal training; is that  
22 correct?

23 A. Other than learning from various lawyers  
24 on some terms such as validity and anticipation,  
25 no.

1 MIR

2 Q. Okay. In order to draft the legal  
3 standards section of your declaration at  
4 Exhibit 1014 starting at paragraph 19 and going  
5 through paragraph 26, you relied on counsel to  
6 inform you of the legal standards relevant to this  
7 matter, correct?

8 A. I always do.

9 Q. And in this case, you did rely on Cox's  
10 counsel to provide you with assumptions you should  
11 make with respect to legal standards in forming  
12 your opinions, correct?

13 A. Yes, I did.

14 Q. Are you aware of any errors in your  
15 understanding of the legal standards set forth in  
16 paragraphs 19 through 26 of Exhibit 1014?

17 A. Not that I'm aware of.

18 Q. What is the difference between whether a  
19 prior art reference discloses something or teaches  
20 something?

21 A. I never thought of this before, but in  
22 simple words, if something is disclosed, that means  
23 something is presented, could be as the embodiment  
24 of the invention.

25 You know, I don't want to comment on

1 MIR

2 teaching. Teaching is teaching. There is --  
3 teaching is something that you learn from what the  
4 sentence tells you.

5 Q. Okay. Does teaching refer --

6 MR. McDOLE: Strike that.

7 Q. Does disclosed refer to an express  
8 disclosure, while teaching refers to something that  
9 you infer from a paragraph?

10 A. I never thought of this before. Probably  
11 is not the best time for me to opine on the meaning  
12 of words like these.

13 Q. Okay. If I can have you turn to paragraph  
14 22 of your declaration, Exhibit 1014.

15 A. Uh-huh.

16 Q. And in the second sentence, you see the  
17 word "disclosed" is used?

18 A. (Perusing document.)

19 Q. Do you see that?

20 A. I see that.

21 Q. What did you mean by the word disclosed  
22 used in paragraph 22 of Exhibit 1014?

23 A. Well, the term "disclosed" needs to be  
24 searched in the Webster to exactly define the exact  
25 meaning. I have my own understanding of

1 MIR

2 disclosing, the word disclosed.

3 Q. Sir, I'm looking for the definition that  
4 you were using when you wrote paragraph 22 of  
5 Exhibit 1014 of the word disclosed.

6 A. Could be the combination of several words  
7 together like appearing, expressed, showed. Again,  
8 I'm not really an expert in the meaning of exactly  
9 these terms. So when I say each and every  
10 limitation of the claim is disclosed in a single  
11 prior art, could have a meaning that each and every  
12 limitation of the claim appears in a single prior  
13 art, something like that.

14 Q. Okay. Well --

15 A. And expressed --

16 Q. I apologize.

17 A. -- as a combination of several words.  
18 There is no single word that I can bring here as a  
19 synonym. I can't think of a synonym for disclosed.

20 Q. So if it's disclosed, the words actually  
21 identify the elements in the prior art reference?

22 A. Maybe. And I'm not sure that it  
23 identifies exact replacement for disclose.

24 Q. Okay.

25 A. Yeah.

1 MIR

2 Q. Well, what's the difference between  
3 disclosed and in paragraph 80 where you use the  
4 term "teaches," specifically in the first line of  
5 paragraph 80?

6 A. It teaches, it teaches.

7 Do you want me to give you the meaning of  
8 teaching?

9 Q. I want to understand what the difference,  
10 in your opinion, is between the word "disclosed"  
11 you used in paragraph 22 and the word "teaches" you  
12 used in paragraph 80.

13 A. I tried to give you the best of my  
14 understanding about the meaning of disclosed so  
15 far. Teaching is the same thing. I need to have  
16 access to a dictionary to find out what the synonym  
17 for teaching is. And here what I mean by teaching  
18 is that Buhrmann -- what we learn from Buhrmann, it  
19 confirms that all of the elements for profile  
20 management method as recited in claims whatever, 1  
21 through 7, 12 through 17, and 20 of the '714  
22 patent.

23 Q. So in your opinion, does teaching and  
24 disclosed as used in your declaration mean the same  
25 thing?

1 MIR

2 A. I did not say this.

3 Q. Then what's the difference between  
4 teaching and disclosed?

5 A. I have to repeat all the things so far I  
6 did. I said that I need to be provided by a  
7 Webster dictionary to get the exact meaning of the  
8 teaching and disclosing. I have ordinary  
9 understanding of teaching and disclosing, the  
10 meanings of teaching and disclosing.

11 I give you an example for disclose: I  
12 said it could be identified, expressed, appeared.  
13 And I give you an example for teaching, that it's  
14 what you learn from the content that is taught by  
15 the certain paragraph or certain patent.

16 Q. Did you have a Webster's dictionary with  
17 you when you drafted your declaration and use that  
18 dictionary prior to using the words disclosed and  
19 teaches?

20 A. I did not need it at that time.

21 Q. Is that because you understood what the  
22 terms meant?

23 A. When you write a certain paragraph, you  
24 always use a dictionary? I mean, I see you -- no,  
25 of course not. You use the word as it comes to

1 MIR

2 your mind and then use that. But if someone asks  
3 you what exactly this word is about or what is the  
4 meaning of this word, either the word is something  
5 that you have a synonym for it or you need a  
6 dictionary to define a synonym.

7 Q. So are disclosed and teaches synonyms?

8 A. What is that?

9 Q. Are disclosed and teaches synonyms?

10 A. To the best of my knowledge, no; maybe  
11 different, slightly different.

12 Q. When you use the term disclosed and  
13 teaches in your declaration marked as Exhibit 1014,  
14 did you use the word disclosed to mean that the  
15 words are expressly stated, whereas, the word  
16 teaches means that the overall teaching discloses a  
17 particular element?

18 A. Could be -- could be the case. Overall,  
19 teaching mostly is what you grasp out of the idea  
20 being presented.

21 Q. Okay.

22 A. That's teaching, that's what you learn  
23 from what is expressed, right.

24 Q. So for teaching, it means that the exact  
25 phrase may not exactly be there but based on

1 MIR

2 expertise you can infer it's there?

3 A. You're asking the same question? I said  
4 that it could be, yes. Could be that -- teaching  
5 could be overall ideas from different parts of a  
6 certain passage or patent that will give you what  
7 exactly this passage or patent is about.

8 Q. And I understand it could be, sir. And  
9 what I'm trying to determine is what you meant,  
10 because this is my only chance to depose you. So I  
11 don't want to know what it could be. I actually  
12 want to know how you used the term teaching.

13 So I'll ask my question again: For  
14 teaching, when you use it in Exhibit 1014, does it  
15 mean that the exact phrase may not exactly be there  
16 but based on your expertise, you can infer that  
17 it's there?

18 A. I have to repeat my answer to your  
19 previous question. Again, teaching is something  
20 that you grasp an idea from overall passage or  
21 patent or certain part of the writing and you learn  
22 from what exactly this says about it.

23 And disclosed is something that may be  
24 expressly or maybe is a certain material, certain  
25 figure is identified or expressed or appears



1 MIR

2 somewhere.

3 Q. Okay. Now, in paragraph 22 of  
4 Exhibit 1014, you referred to something called  
5 "inherent," something that is inherent in the prior  
6 art. I'm referring to the bottom of paragraph 22.

7 Do you see that?

8 A. No, not yet.

9 Q. I think it starts with "I also  
10 understand."

11 A. Yes. I found it.

12 Q. What is your understanding of what is  
13 necessary in order for an element of a claim to be  
14 inherent?

15 A. My opinion is the same as what I expressed  
16 in my declaration. So an element of a patent claim  
17 is inherent in a prior art reference if the element  
18 must necessarily be present and its presence would  
19 be recognized by a person of ordinary skill in the  
20 art.

21 Q. And you also understand that inherency  
22 cannot be established by mere probabilities or  
23 possibilities, correct?

24 A. That's what my understanding from the  
25 counsel is, yes.

1 MIR

2 Q. With respect to Exhibit 1004, the Buhrmann  
3 reference, did you use the doctrine of inherency in  
4 determining that any element of this claim 1 of the  
5 '714 patent was satisfied by the Buhrmann  
6 reference?

7 A. So could you point me to where I just did  
8 this, if I did it?

9 Q. I don't know if you did it or not, sir,  
10 that's why I'm asking you.

11 A. If I did it, I had expressed it in my  
12 declaration.

13 Q. So unless it's expressed in your  
14 declaration as saying that an element is inherently  
15 present, you did not offer an opinion on inherency?

16 A. In my declaration, that is correct.

17 Q. Okay. Dr. Mir, how would one of ordinary  
18 skill in the art of the '714 patent in 1997 define  
19 a database?

20 A. Finding the database?

21 Q. Define.

22 A. Define the database.

23 Did I render any opinion on the database  
24 in my . . .

25 Q. You certainly used the word "database,"

1 MIR

2 sir.

3 A. Did I render any opinion on what the  
4 database is for a person of skill in the art?

5 Q. You have to -- I would ask that you look  
6 at your declaration and let me know whether you  
7 did.

8 A. I don't believe I did.

9 Q. Okay. Dr. Mir, do you know -- well, let  
10 me ask it this way: Do you know how one of  
11 ordinary skill in the art of the '714 patent in  
12 1997 would define a database?

13 A. I normally do not respond to questions  
14 like this that I did not opine on it, but since  
15 this is a very basic thing in our field, I can  
16 answer the question, but not necessarily for every  
17 term that I did not opine I can make the opinion  
18 immediately in this session.

19 So the database is a certain storage of  
20 data or pieces of data for a certain purpose, and  
21 the database could consist of several segments and  
22 sections for different purposes. A database can be  
23 accessed by a user and a database can be fetched  
24 for a certain piece of data.

25 (Ms. Jinnie Reed is now present.)

1 MIR

2 MR. McDOLE: I'm going to move to strike  
3 the first part of your answer as nonresponsive.

4 Q. So the second part of your answer where  
5 you described the database, what you consider to be  
6 a database --

7 MR. McDOLE: Strike that.

8 Q. So is the second part of your answer where  
9 you described a database what you consider to be  
10 the definition of a database in 1997?

11 A. I need to do research on it.

12 What year you're referring to?

13 Q. 1997.

14 A. I need to do research. I cannot really  
15 answer the question.

16 Q. Okay. So as you sit here today, you do  
17 not have an opinion as to what the definition of a  
18 database is in 1997 to one of ordinary skill in the  
19 art?

20 A. The definition of a database in general  
21 was presented to you a few minutes ago. I don't  
22 believe the meaning of a database, the definition  
23 of the database would be significantly different to  
24 what a person of ordinary skill in the art would  
25 understand in 1999 compared to now.

1 MIR

2 Q. Okay. But as you sit here today, you  
3 would have to do research in order to confirm that?

4 A. I have to do research to confirm it, yes.

5 Q. So as you sit here today, you do not have  
6 an opinion as to whether the definition of a  
7 database today would be the definition of a  
8 database in 1997.

9 A. My opinion is that the definition of a  
10 database today that I just defined, I doubt if it  
11 would be a lot different to what it was in 1999.

12 Q. Okay.

13 A. But for any term that you're asking, I  
14 need to make an opinion and then include it in  
15 my -- the declaration in order to be official. And  
16 then I need to know in what context you are  
17 referring to the database. If it's related to  
18 Buhrmann, if it's related to An, or other  
19 references that we have. And in 1999, what exactly  
20 the definition is, I need to do the research.

21 Q. Okay. In forming your opinions in this  
22 case, did you assume that the terms had their  
23 meaning as you understand them today or as one of  
24 ordinary skill in the art would have understood  
25 them in 1997?

1 MIR

2 A. The second one: the term should have been  
3 understood by a person who is skilled in the art --  
4 one of ordinary skill in the art in the time that  
5 the patent was issued.

6 Q. Well, then how did you --

7 I'm sorry. Were you done?

8 A. Was not issued, but was filed actually.

9 Q. Okay. Well, if that was the case, then  
10 why don't you have an opinion on what a database  
11 was in 1997?

12 A. Well, besides the database, there are so  
13 many other terms that you could refer to. There  
14 are so many technical terms. But database, to the  
15 best of my knowledge, is something that has not  
16 changed its meaning. But it's not for sure. I  
17 mean, again -- a few minutes ago I said that I need  
18 to do the research to make sure. But database, to  
19 the best of my knowledge, has not changed its  
20 meaning.

21 Q. Would you have to do research to  
22 understand if --

23 MR. McDOLLE: Strike that.

24 Q. If I gave you any term used in the '714  
25 patent, would you have to do research to determine

1 MIR

2 what one of ordinary skill in the art would  
3 understand that term to mean in 1997 as opposed to  
4 your opinion as to what it means today?

5 A. If it is needed, then yes, I have to.

6 Q. Have you done any of that research to  
7 determine what any of the claim terms in this claim  
8 1 of the '714 patent mean in 1997 as opposed to  
9 today?

10 A. I believe I did most of them that I  
11 thought maybe a person who was skilled in the  
12 art -- ordinary skill in the art could understand  
13 at the time of asserted patent. As an example, the  
14 term "SCP" that we use a lot, I use a lot in my  
15 declaration, was defined actually by me in the  
16 introduction of my declaration. So that's the term  
17 that I thought it should have been explained for  
18 the time that the patent was filed.

19 Q. Okay. So the term SCP that you provide --

20 A. As an example.

21 Q. Okay. So the term SCP that you use in  
22 your declaration, the definition that you provide  
23 is something that one of ordinary skill in the art  
24 would have understood SCP to mean in 1997 as  
25 opposed to today, correct?

1 MIR

2 A. That's correct.

3 Q. And what research did you do to confirm  
4 that SCP meant what you stated in your declaration  
5 in 1997 as opposed to today?

6 A. Well, I'm the expert in the art, in the  
7 field, so I'm aware of the terms such as SCP, when  
8 it was invented, when it was created, the term.  
9 And to the best of my knowledge, SCP is a term that  
10 was used by SS7 technology, and SS7 technology was  
11 presented a long time ago, long time before the  
12 asserted patents, and did not change since then.  
13 So I did not need to do any research as an expert  
14 in the field, so I used it.

15 Q. What is an SSP?

16 A. I turn your attention to my declaration,  
17 paragraph 39. I presented a definition for SSP and  
18 I can read it for you. "Each telephone set is  
19 connected to a signal transfer point, (SSP),  
20 through a phone line. The SSP is the main  
21 switching node of the PSTN. Any phone set is  
22 directly attached to its corresponding SSP." And  
23 finally, "each SSP also acts as a point of call  
24 signaling origination and termination and therefore  
25 functions as the first signaling point for the SS7



1 MIR

2 network."

3 Q. So an SSP, that acronym stands for signal  
4 transfer point?

5 A. Oh, that's the error. Let me just make a  
6 correction. This is signal switching point. Sorry  
7 for that.

8 Q. So you need to make a correction to  
9 paragraph 39 of Exhibit 1014?

10 A. That is correct. So signal transfer point  
11 should be signal switching point.

12 Q. Are there any other errors that you need  
13 to correct in your declaration, Exhibit 1014?

14 A. Not that I'm aware of any other errors.  
15 But SSP is a very common term and you can find it  
16 in most of the telecommunications dictionaries and  
17 textbooks and it is the signal switching point.

18 Q. Is an SSP --

19 MR. McDOLE: Strike that.

20 Q. Is your definition of an SSP from 1997 or  
21 from your understanding of it today?

22 A. It is definitely based on the  
23 understanding of 1997. And I can tell you that  
24 since I worked on telecommunications equipment such  
25 as SSP and STP and SCPs those years, the definition

1 MIR

2 of SSP has not changed since then. It's been like  
3 this all the time. It is a switching point.

4 And I just gave you the functionality of  
5 SSP, that how exactly SSP works. Basically, SSP is  
6 the very first originating switching point for  
7 telephone calls.

8 Q. Okay. But an SSP is not a signal transfer  
9 point, we agree on that?

10 A. I think I made that correction, yes,  
11 already.

12 Q. Okay. How would one of ordinary skill in  
13 the art of the '714 patent in 1997 describe a  
14 subscriber --

15 MR. McDOLE: Strike that.

16 Q. How would one of ordinary skill in the art  
17 in the '714 patent in 1997 define a subscriber  
18 profile database?

19 A. So we already talked about the database as  
20 the data storage basically. And then in this  
21 particular case, that's the database for  
22 subscribers' profiles.

23 You said subscriber profile database?

24 Q. Uh-huh.

25 A. So that stores -- the database stores the

1 MIR

2 subscribers' profiles.

3 Q. And is that the definition that you've  
4 researched and understand to mean in 1997 or your  
5 understanding of it today?

6 A. Well, none of them is for today, none of  
7 the definitions that I have is for today.  
8 Everything is according to the date that the patent  
9 was filed.

10 Q. Well, except assessment for database,  
11 because you have to do research in order to  
12 understand whether database has the same meaning  
13 today as it did in 1997, correct?

14 A. No, that's not true. I said that for  
15 sure, if you want to know what exactly the database  
16 means in 1997, I need to do the more research to  
17 see if it's exactly the same as today or not. But  
18 to the best of my understanding, is that the  
19 database has not changed since long time ago,  
20 including the time before the patent started. The  
21 database is a database, it's just the storage  
22 element for storing data.

23 Q. How would one of ordinary skill in the art  
24 of the '714 patent in 1997 define subscriber  
25 profile data?

1 MIR

2 A. Well, that's the data that is related to  
3 subscriber's profile, such as name, phone numbers.  
4 I don't know what else, just maybe address, the  
5 preference of the subscriber.

6 Q. Anything else you can think of that would  
7 be considered subscriber profile data in 1997?

8 A. I don't recall any other ones. Probably  
9 one of the patents may have a list of those. I  
10 don't -- I don't know which one.

11 Q. Okay. Based on your expertise as you sit  
12 here today, can you identify any other subscriber  
13 profile data other than name, phone number, address  
14 and preference of describer [sic]?

15 A. And the preferences of the describer --  
16 subscriber could include so many different items,  
17 such as opting out a certain caller, maybe the call  
18 waiting, and -- I don't know the complete list of  
19 the preferences.

20 Q. So based on your experience as you sit  
21 here today, can you identify any other subscriber  
22 profile data other than name, phone number, address  
23 and preference of subscriber, understanding that  
24 there may be other preferences of the subscriber?

25 A. Yes, I can.

1 MIR

2 Q. Which other ones?

3 A. Well, I need time to go over all the  
4 patents and then let you know, if you want.

5 Q. I'd like the complete list, sir, so please  
6 let me know.

7 A. So provide me with all the patents that I  
8 reviewed and give me around one or two hours. I  
9 can read all and we'll give you all the list of the  
10 best, I'll do my best.

11 Q. Happy to take a break and let you do that.

12 A. Okay.

13 MR. McDOLLE: Take a break.

14 MR. BAUER: It's part of your seven hours.

15 MR. McDOLLE: No, it's not, sir.

16 MR. BAUER: It's part of your seven hours.

17 Q. Well, I'll ask you this: Dr. Mir, as you  
18 sit here today, can you provide me any other  
19 opinion as to what subscriber profile data is other  
20 than name, phone number, address, and preference of  
21 the subscriber?

22 A. I can find out about it, yes.

23 Q. That's not what I asked you, sir.

24 MR. McDOLLE: Move to strike.

25 Q. I'll ask you the question again. Dr. Mir,

1 MIR

2 as you sit here today, can you provide me any other  
3 opinion as to what subscriber profile is other than  
4 name, phone number, address, and preference of  
5 subscriber?

6 A. I gave you all the items that I  
7 remembered. For the rest of it in the database,  
8 it's a huge database, maybe there are so many  
9 things can exist in the database. I can do some  
10 research if you provide me with time, I can do my  
11 best. And if you need exact answer, then I need to  
12 be provided by the Google search and I can do it  
13 for you.

14 Q. Sir, can you look at Exhibit 1014 and  
15 identify anywhere where you identify what types of  
16 subscriber profile --

17 A. What is 1014?

18 Q. That's your declaration.

19 A. My declaration.

20 Q. Sir, if you can look at Exhibit 1014 and  
21 identify where you identify what types of  
22 subscriber profile data existed in 1997 and let me  
23 know, I'd appreciate it.

24 A. Okay. I can start from the first page all  
25 the way to page 52, if you'll be patient with me

1 MIR

2 just to go --

3 Q. If that's what you think it takes, feel  
4 free to do it.

5 A. I need to.

6 Q. I'll start my watch, see how long it  
7 takes.

8 A. Okay.

9 (Perusing document).

10 A. So far I have found a few things, and then  
11 I'll continue after mentioning these.

12 So in page 16 of my declaration, I have a  
13 section under -- entitled "Overview of Subscriber  
14 Profile Database Prior to 1997." And I started  
15 discussing about the database for the subscriber  
16 profile: "The simplest database that can be  
17 associated with subscribers in telephone networks  
18 is a messaging system."

19 And then I continue with "examples of  
20 personal messaging system from the 1997 time  
21 period, include," but not -- "but are not limited  
22 to paging systems, voice message systems, and  
23 e-mail systems."

24 And then I introduce service control  
25 point, SCP. And then in the section of SCP, I

1 MIR

2 recited that "the SCP is a node of the SS7 network  
3 that carries out advanced services. For example,  
4 when a toll-free 800 number is requested, the SCP  
5 finds the location address of the destination SSP  
6 to which the call should actually be sent."

7 Now, 800 number could be an information  
8 related to a profile of a subscriber that is in the  
9 database of SCP.

10 Q. And an 800 number is a phone number,  
11 correct?

12 A. 800 number is a toll-free phone number  
13 that could belong to a subscriber's profile, as  
14 part of the subscriber's profile.

15 Q. And you've already identified phone number  
16 as part of what could be considered subscriber  
17 profile data, correct?

18 A. That's correct. Regular phone number, of  
19 course.

20 Q. Is there anything else in your declaration  
21 that you can find that would be considered  
22 subscriber profile data?

23 A. Yeah, let me just continue on.

24 (Perusing document).

25 Here's another one on page 34 of my



1 MIR

2 declaration, paragraph 80. I'm reading part of the  
3 paragraph for you. "In particular, Buhrmann  
4 discloses receiving from a client via profile  
5 request field, a request to view subscriber profile  
6 data." I assume this is what you're referring to,  
7 right?

8 Q. I asked you about subscriber profile data.

9 A. "Such as schedule data or telephone  
10 numbers of authorized or unauthorized users," yes.

11 Q. Is schedule data subscriber profile data?

12 A. Sorry?

13 Q. Is schedule data subscriber profile data?

14 A. It could be. It could be. I mean, there  
15 is no set structure for the database for the  
16 subscriber profile. It really depends on the  
17 country, the city, the network manager, and the  
18 structure of the service provided to the  
19 subscriber. There is no set definition that these  
20 items must be part of the subscriber profile.

21 Q. Sir, does the Buhrmann reference,  
22 Exhibit 1004, in your opinion include schedule data  
23 as subscriber profile data?

24 A. I think if you read the rest of it, it  
25 would be more clarifying. For example, I'm writing

1 MIR

2 in the quotation, "'The subscriber is provided with  
3 a schedule display for a chosen day via the user  
4 interface 136,' which may include a graphical user  
5 interface display."

6 MR. McDOLE: Move to strike as  
7 nonresponsive.

8 Q. Sir, does the Buhrmann reference,  
9 Exhibit 1004, in your opinion include schedule data  
10 as subscriber profile data?

11 A. As I present it here in this paragraph,  
12 Buhrmann discloses receiving from a client via  
13 profile request field of 502 a request to view  
14 subscriber profile data such as the schedule data,  
15 that's what Buhrmann states.

16 MR. McDOLE: Move to strike as  
17 nonresponsive.

18 Q. Sir, my question is a yes-or-no answer and  
19 I'm just looking for an answer to my question.

20 My question is does the Buhrmann  
21 reference, Exhibit 1004, in your opinion include  
22 schedule data as subscriber profile data?

23 A. I think so.

24 Q. Sir, does the Buhrmann reference,  
25 Exhibit 1004, in your opinion include contact data

1 MIR

2 as subscriber profile data?

3 A. Did I mention the contact data here?

4 Q. Sir, you have your declaration in front of  
5 you.

6 A. I need to search in the Buhrmann to see if  
7 the contact data is part of the profile data. Do  
8 you mind if I spend time in the entire --

9 Q. If you're not prepared enough to answer my  
10 question, then you feel free to look at the  
11 Buhrmann reference.

12 A. I'm fully prepared to answer all of your  
13 questions, but the questions that you're asking is  
14 whether one word exists in an entire patent, one of  
15 these seven patents that I used as a reference. I  
16 need time to look for that word to see if that is  
17 there or not.

18 Q. Let's look at Figure 1 of Exhibit 1004.

19 A. 1004. Figure 1.

20 Q. Do you see the personal information  
21 manager, PIM?

22 A. Yes.

23 Q. Do you see the word "contact data"?

24 A. Yes, contact data.

25 Q. Okay. Does the Buhrmann reference,

1 MIR

2 Exhibit 1004, in your opinion include contact data  
3 as subscriber profile data?

4 A. That is under personal information data,  
5 and my understanding is that that could be part of  
6 the profile data.

7 Q. Okay. So do you believe that subscriber  
8 profile data can exist on the PIM?

9 A. The subscriber profile data are organized  
10 in a subscriber profile database of SCP in box 108,  
11 that's where the profile data are saved actually.

12 Q. Is it your opinion that schedule data and  
13 contact data are not saved on the personal  
14 information manager, PIM?

15 A. Since the Buhrmann -- Buhrmann's reference  
16 can provide a situation or facilities for a  
17 subscriber to request for viewing subscriber  
18 profile data such as the schedule data, then the  
19 answer is yes.

20 Q. Is schedule data and contact data always  
21 saved on personal information manager?

22 A. When you say "always" means in all  
23 occasions around the world?

24 Q. In --

25 MR. McDOLE: Strike that.

1 MIR

2 Q. In the Buhrmann reference, Exhibit 1004,  
3 is schedule data and contact data always saved on  
4 the personal information manager?

5 A. I believe so.

6 Q. Besides name, phone number, address,  
7 preference of subscriber, schedule data and contact  
8 data, is there any other information in your  
9 opinion in reviewing the Buhrmann reference that  
10 you would consider to be subscriber profile data?

11 A. I need to do research on that to see what  
12 other data could be included in the database. I  
13 presented all the items that I remembered. If  
14 there are other items, I need to do research.

15 Q. You carefully reviewed the Buhrmann  
16 reference, correct?

17 A. Yes, I did.

18 Q. Okay. How would one of ordinary skill in  
19 the art of the '714 patent in 1997 define a client?

20 A. The client is a term of the art, and you  
21 can find it in my book, my textbook published by  
22 Prentice Hall, both editions, edition one and  
23 edition two.

24 A client is commonly understood among  
25 experts that it is a software that requests a

1 MIR

2 service; that's the best definition of the client  
3 from the view of a person of ordinary skill in the  
4 art.

5 Q. So a client as used in the '714 patent  
6 refers to software?

7 A. Client has always been a software.

8 Q. Okay.

9 A. Unless you refer to a client as a person  
10 or a machine, then you need to mention it. But if  
11 you use client in the context of computer  
12 communication, telecommunications, the client is  
13 defined as a software that requests a service.

14 Q. Okay. In claim 1 of the '714 patent,  
15 Exhibit 1001, what does the word "client" mean?

16 A. Patent '714 has a lot of deficiencies and  
17 confusing terms. I identified a number of those  
18 and I can bring those to you.

19 One of those is "client." It has misused  
20 "client," the word "client." One example before  
21 answering your question, which is related to answer  
22 to your question is just please take a look at the  
23 picture on the face of the patent. You see the  
24 word "client" in the figure? And then on the right  
25 side of it, it says "client software." That

1 MIR

2 doesn't make sense to me. The client software  
3 means software software.

4 And then as compared to the word client,  
5 separately written under the logo of a person  
6 sitting in front of a computer, if that sole word  
7 client refers to the person, that means the human  
8 being, or whether it refers to the machine in front  
9 of it or whether it refers to the software that is  
10 installed on the machine, this is really confusing.

11 So now I answer your question. Your  
12 question is that the client inserted in claim 1  
13 refers to what? After just reading several times  
14 back and forth, it may refer to the software, but  
15 then again, the software here is identified as  
16 client software, not the client.

17 MR. McDOLLE: Move to strike everything  
18 before "so now I answer your question" as  
19 nonresponsive.

20 Q. Sir, did you understand what the word  
21 client meant in claim 1 of the '714 patent in  
22 rendering your opinion?

23 A. I'm going to repeat all the things that so  
24 far I said.

25 Q. That's a yes-or-no answer. I just want to

1 MIR

2 know if you understood.

3 A. To the best of understanding, to the best  
4 of understanding is that the client is a software.

5 Q. Is the client the personal information  
6 manager?

7 MR. McDOLLE: Strike that.

8 Q. Can the client be the personal information  
9 manager?

10 A. Personal information manager is what you  
11 said?

12 Q. Uh-huh.

13 A. What is a personal --

14 Q. I'll say it again. What is personal  
15 information manager?

16 A. Personal information management --  
17 manager?

18 Q. Personal information manager, sir.

19 A. Are you referring to a human being,  
20 manager?

21 Q. No, sir.

22 A. What is that?

23 Q. Well, maybe you can look at Figure 1 of  
24 the Buhrmann reference. I understand the smirk on  
25 your face. But if you can look at the Buhrmann



1 MIR

2 reference, which you're so intimately familiar  
3 with, and look at Figure 1, 122, personal  
4 information manager. You're the expert. What is a  
5 personal information manager?

6 A. Let me take a look at Figure 1: Well, in  
7 the context of the patent, the Buhrmann patent, a  
8 personal information manager includes the entire  
9 box of 122, that includes so many boxes here, CPU,  
10 user interface, modem, so many things.

11 Q. Okay. So I'll go back to my question.  
12 Can the client be a personal information manager?

13 A. The client that is meant to be a software  
14 is part of the personal information manager in  
15 Buhrmann's patent.

16 Q. Which part in Buhrmann is the client?

17 A. It could be user interface.

18 Q. It could be, sir, or it is?

19 A. Let me take a look at my opinion because I  
20 did provide opinion on this.

21 Okay. On page 19 of my declaration on the  
22 third line --

23 Q. Which paragraph?

24 A. Well, the paragraph is from the previous  
25 page, which is 50. Starting in the -- at the end

1 MIR

2 of the second line on top, I'm saying the user  
3 access is provided by a user interface 136 with a  
4 display 500. So the combination of a user  
5 interface and the display -- let me just turn your  
6 attention that the user interface 136 can be found  
7 in Figure 1 and the display 500 can be found in  
8 Figure 5.

9 So the combination of these two actually  
10 is the client, because it is a user access  
11 software. User access software is the client.

12 Q. There's no chance in your opinion that the  
13 client could actually be a subscriber?

14 A. The client could be subscriber? What do  
15 you mean by "subscriber" in this context? Do you  
16 mean the human being?

17 Q. Yes.

18 A. Then it goes back to the confusing point  
19 of the '714. That if we accept that the client  
20 could be a human being, the subscriber is the human  
21 being of course.

22 Q. Are you confused by the '714 patent?

23 A. '714 patent has a number of confusing  
24 terms, yes.

25 Q. And you don't identify any of those

1 MIR

2 confusing terms in your declaration, do you?

3 A. I'm telling you now.

4 MR. McDOLE: Move to strike as  
5 nonresponsive.

6 Q. Sir, you don't identify any of those  
7 confusing terms in your declaration, do you?

8 A. I did not mention those in my  
9 declarations.

10 MR. BERTULLI: Okay. We've gone a little  
11 over an hour. Why don't we take a break.

12 MR. McDOLE: Sounds good.

13 (Proceedings interrupted at 10:25 a.m. and  
14 reconvened at 10:37 a.m., at which time Mr. Bauer  
15 and Ms. Reed are no longer present.)

16 BY MR. McDOLE:

17 Q. Welcome back, Dr. Mir.

18 A. Okay.

19 Q. Is there any testimony you believe you  
20 have to fix?

21 A. No.

22 Q. Did you talk with counsel at any of the  
23 breaks?

24 A. Yes.

25 Q. Did you talk with them about any of the

1 MIR

2 substance of your deposition or your opinions in  
3 this matter?

4 A. No.

5 MR. McDOLE: And counsel, if we can get a  
6 copy of those draft petitions during lunch so we  
7 can review them.

8 MR. BERTULLI: We've heard you.

9 MR. McDOLE: And do it today so we don't  
10 have to hold it open, I would appreciate it.

11 MR. BERTULLI: We'll take a look at that.

12 Q. Dr. Mir, in 1997, what was a modem?

13 A. Well, modem is a modulation/demodulation  
14 module, that's just what I teach in my  
15 communication classes. And modulation/demodulation  
16 unit is a unit that modulates a signal or  
17 demodulates a signal.

18 Q. Can a modem transmit data?

19 A. The modem is actually part of a  
20 transmission system. That is the last point for --  
21 for systems that are not wireless, let's say, as an  
22 example, is directly connected to the outside  
23 links. So then we could say, you know, the modem  
24 actually transmits the data because it receives the  
25 raw data, modulates them, and then transmits over

1 MIR

2 the links or lines.

3 Q. So in the context of Figure 1 of Buhrmann,  
4 information is received from the PIM and  
5 transmitted through a modem; is that correct?

6 A. Transmitted through the modem, yes.

7 Q. Okay. Does a modem receive data or  
8 retrieve data?

9 A. The modem receives and transmits data.

10 Q. Does a modem retrieve data?

11 A. It really depends what you mean by  
12 "retrieve" in the context of 845. Could you  
13 explain?

14 Q. Sure.

15 I'm using the word "retrieve" as used as  
16 part of the word "retrieving" as used in claim 1 of  
17 the '714 patent.

18 A. And that means -- any further you can  
19 clarify?

20 Q. Sir, did you analyze the '714 patent in  
21 evaluating what the word retrieving meant in claim  
22 1?

23 A. I want to see if we are using retrieve as  
24 looking for a data, fetching the data from a  
25 certain place and then bringing back to where it

1 MIR

2 is.

3 Q. Sir, I'm using the word retrieve as it's  
4 used in claim 1 of the '714 patent.

5 A. So let's see what the '714 patent uses  
6 retrieve, I believe in claim 1.

7 Q. Claim 1. And that would be Exhibit 1001.  
8 Column 16, line 1.

9 A. Line 1. Assuming that retrieving has an  
10 ordinary meaning, then '714 patent says -- recites  
11 that "retrieving the subscriber profile data from  
12 the communications network based upon receiving the  
13 subscriber request to view the subscriber profile  
14 data from the client."

15 Q. Okay. My question was does a modem do  
16 retrieving or receiving?

17 A. The modem does receiving and transmitting.

18 Q. So the modem does not do retrieving; is  
19 that correct?

20 A. Retrieving in the sense that it goes and  
21 searches the data and then fetches the data and  
22 brings it to the modem, no, it does not.

23 Q. Okay. So in the context of the '714  
24 patent, claim 1, a modem does not retrieve  
25 information; is that correct?

1 MIR

2 A. There could be a retrieving internally for  
3 certain reasons that the modem is designed to  
4 retrieve certain data of its own; that's probably a  
5 different story. In that case, we call it the  
6 modem can retrieve data.

7 Q. Okay. Well, let me ask you this: In the  
8 Buhrmann reference, Exhibit 1004, does the modem of  
9 Buhrmann retrieve data or receive data?

10 A. This modem, as far as I remember reading  
11 the specification of Buhrmann, did not disclose any  
12 possibility that the modem can retrieve data  
13 internally, but that doesn't mean that it doesn't  
14 do it; it could do it.

15 Q. Okay. Does it necessarily --

16 A. No.

17 Q. -- retrieve data?

18 A. That is correct, doesn't necessarily do  
19 it.

20 Q. I guess that was the question. Does the  
21 modem of Buhrmann necessarily retrieve data?

22 A. No.

23 Q. Does the modem of Buhrmann retrieve data  
24 from an external source?

25 A. There is no recitation on this, there is

1 MIR

2 no disclosure on this.

3 Q. Okay. While we're on the '714 patent, if  
4 I can have you turn to claim 20 of the '714 patent.

5 A. I'm sorry, could you --

6 Q. Sure. Claim 20 of the '714 patent, which  
7 is Exhibit 1001, and specifically claim 20, which  
8 is in column 18, somewhere around line 23.

9 A. Okay.

10 Q. What is a service management system (SMS)  
11 server?

12 A. I need to refresh my memory to see where  
13 exactly the SMS was defined.

14 Q. I think there's column 1, line 59, column  
15 8, line 35 might be places where you can find it.

16 A. (Perusing document).

17 On column 8, line 35, '714 patent states  
18 that "The PID PC server 104 may comprise an  
19 application service to handle the PID client  
20 connections and communicates with a Service  
21 Management System (SMS) server 204 to obtain  
22 profile data on behalf of subscribers and also to  
23 submit subscriber orders."

24 I think it's clear what it says.

25 Q. Okay. And I've heard that term SMS before



1 MIR

2 as text message. Is this a text message?

3 A. That could also stand for text message.

4 Q. Well, is a service management system  
5 server a text message?

6 A. I don't think so.

7 Q. Is a service management system server a  
8 server that holds text messages?

9 A. I don't think it has anything to do with  
10 the SMS that average people know is related to  
11 messaging.

12 Q. So it would be ridiculous for me to say  
13 that a service management system would be a server  
14 would be something related to a text message?

15 A. It is wrong, at least, yeah.

16 Q. Okay. Just going back to modems one more  
17 time. Does the modem of Buhrmann receive data from  
18 an external source based on the disclosure provided  
19 in Buhrmann?

20 A. Receive data, what kind of data are you  
21 referring to?

22 Q. Subscriber profile data.

23 A. Can I check quickly?

24 Q. Sure.

25 A. (Perusing document.)

1 MIR

2 Okay. Turning your attention to column 7,  
3 line 21 of Buhrmann, it says, "The modem 138 is  
4 used for sending information from the PIM 122 to  
5 SCP 108 through the PSTN 114. The use of a modem  
6 for communication with external devices is well  
7 known and will not be described in detail herein."

8 And as I said, the modem is a general term  
9 and it's well known for a long time. And I believe  
10 that Buhrmann is using the same modem that I think  
11 it is.

12 Q. Okay. And my question was, does the modem  
13 of Buhrmann receive data from an external source  
14 based on the disclosure provided in Buhrmann?

15 A. Which external source are you talking  
16 about?

17 Q. Any external source.

18 A. Well, of course, the source that modem  
19 receives from is external to modem, yes. The  
20 answer is yes.

21 Q. Okay. Fair enough. Now I understand the  
22 distinction you're trying to make.

23 Does the modem of Buhrmann receive data  
24 from a source other than the PIM?

25 A. It doesn't show anything other than PIM

1 MIR

2 that provides data to modem, at least from  
3 Figure 1. Of course, one thing that is, though, to  
4 be added here, that modem is also connected to  
5 PSTN. So assuming that the modem is the  
6 bidirectional device, so then it can also  
7 communicate with the outside world which is the  
8 PSTN network.

9 Q. Okay. Are all modems bidirectional  
10 devices?

11 A. That is inherent under the definition of  
12 modem, because modulation/demodulation: Modulation  
13 is a transmission, demodulation is receiving, of  
14 course. Then my understanding is that it has to be  
15 bidirectional.

16 Q. Okay. Is it possible that the modem of  
17 Buhrmann simply receives data from the PIM and  
18 transmits it over the PSTN and does not receive any  
19 information from the PSTN?

20 A. I do not see why not, that when we have a  
21 modulation device and also demodulation device, so  
22 it has to be bidirectional. Data should be from  
23 any direction, from outside to inside or inside to  
24 outside.

25 Q. I understand it's possible. But in every

1 MIR

2 circumstance, does the modem of Buhrmann --

3 MR. McDOLE: Well, strike that.

4 Q. Does Buhrmann disclose that the modem  
5 receives any information from the PSTN?

6 A. As you notice here, that I read, that no,  
7 it doesn't say anything further what modem, it  
8 doesn't say anything. It leaves the understanding  
9 of modem to the reader because it is a very common  
10 concept that, you know, when you place a modem  
11 somewhere, you expect that the modem sends data,  
12 the modem also receives data. I would say that,  
13 you know, it is bidirectional here but even though  
14 there is no mention of it.

15 Q. Is it possible to use a modem for just  
16 sending data in one direction?

17 A. If you want to, yes.

18 Q. Okay.

19 A. What's wrong with that?

20 Q. Is there any disclosure in Buhrmann,  
21 Exhibit 1004, that shows that any other data --

22 MR. McDOLE: Strike that.

23 Let me start over and get a drink of  
24 water.

25 Q. Is there any disclosure in Buhrmann that

1 MIR

2 shows that the boxes with 102 are providing data to  
3 the modem?

4 MR. McDOLE: Let me rephrase. I may have  
5 skipped something.

6 Q. Is there any disclosure in Buhrmann that  
7 shows that data is being provided to the modem by  
8 anything other than the PIM?

9 A. Can you give me a second?

10 Q. Sure.

11 A. (Perusing document.)

12 Okay. Let's consider column 9, line 18.  
13 It recites that "links 140," which is actually the  
14 link that you're referring to that receives data by  
15 modem, "and 142" -- but 142 is not something that  
16 we're referring to, but let's focus on 140 -- "may  
17 be standard telephone links."

18 Okay. Well, that tells me if you're using  
19 a telephone links for a modem, then it has to be  
20 bidirectional -- it has to have bidirectional  
21 capability. So, for example, the modem can receive  
22 data. What kind of data? I mean, there's no  
23 mention of it -- I mean, as far as I've read so  
24 far -- and sending data from the modem. So both  
25 are possible.

1 MIR

2 Q. Both receiving and transmitting data are  
3 possible, but are they necessary in the disclosure  
4 of Buhrmann?

5 A. As I said, I haven't found yet if the  
6 modem 138 of Buhrmann receives data from PSTN. But  
7 it is very well known a device -- the modem is a  
8 very well known device for receiving and sending,  
9 and especially since Buhrmann shows that the modem  
10 is connected to PSTN. As any person of ordinary  
11 skill in the art when I go back through the years  
12 of modem use and telephone lines, I remember that  
13 my modem was connected to a telephone line. I  
14 received messages; I sent messages. So the same  
15 situation I see here, that the modem is connected  
16 to PSTN.

17 Q. And sir, I understand it's possible. But  
18 what I'm asking is, in the disclosure of Buhrmann,  
19 does it disclose that data is received by the  
20 modem?

21 A. I haven't found that one yet because I was  
22 not looking for such a situation. There may be  
23 stated in the specification of the Buhrmann's. I  
24 mean, it is a matter of time to make sure that it  
25 is stated or not stated.

1 MIR

2 Q. Let me ask you another question and we can  
3 see if this helps. Does Buhrmann disclose  
4 receiving subscriber profile data by the modem from  
5 the PSTN?

6 A. As I said, I haven't found that disclosure  
7 yet.

8 Q. Okay. And feel free to take your time.

9 A. And if I don't find it, that doesn't mean  
10 that the modem cannot receive or does not receive  
11 data from PSTN, that does not mean it. But let me  
12 check to make sure if there is any statement here  
13 or not.

14 Q. Okay.

15 A. (Perusing document.)

16 So far I haven't found explicit mention of  
17 receiving data from PSTN to modem. However, when I  
18 read one of these similar claims, such as claim 14,  
19 "the personal information manager device of claim  
20 13 wherein said modem transmits said alert update  
21 data via a wired communication link," normally  
22 alert signal requires an acknowledgment. So that  
23 means that, you know, when you send an alert, you  
24 receive an acknowledgment, so the modem receives a  
25 certain acknowledgment data back.

1 MIR

2 Q. Is that acknowledgment data subscriber  
3 profile data?

4 A. I'm not sure. I don't know. I said  
5 normally when you send an alert, you would expect  
6 the other system would receive an acknowledgment.  
7 There is no mention of acknowledgment here. I'm  
8 just saying that's just a normal thing for  
9 communication systems.

10 Q. Okay. And you said there was no explicit  
11 disclosure --

12 MR. McDOLE: Strike that.

13 Q. You said you found no explicit mention of  
14 receiving data from the PSTN to the modem. Have  
15 you found any disclosure that subscriber profile  
16 data is received by the modem through the PSTN?

17 A. Not so far.

18 Probably, you want me to go over again the  
19 rest of it?

20 Q. If there's some that you haven't found  
21 after reviewing it, please.

22 A. It would be easier for you to tell me  
23 where if there is any disclosure on that and I  
24 would agree or disagree.

25 Q. Sir, I don't believe there is a



1 MIR

2 disclosure, so I can't point you to a position.

3 But what I'm asking you is if you know of a  
4 disclosure.

5 A. I was not looking for such a thing because  
6 this was the least important topic of research in  
7 Buhrmann's patent, to find whether the modem  
8 receives any data back or not. So as much as the  
9 time allowed me in this session, I went over the  
10 claim constructions and claim -- all the claims of  
11 this patent. I found no explicit disclosure of the  
12 fact that the modem 138 receives any signal from  
13 the PSTN.

14 But as I said, this is really inherent in  
15 the modem, that, you know, it's bidirectional, the  
16 modem is used for bidirectional purposes, and when  
17 I read the alert is sent by the modem, so as a  
18 result, acknowledgment should be expected by the  
19 modem, so then the modem can operate  
20 bidirectionally in this patent.

21 Q. Let me ask you this then: Is it inherent  
22 in Buhrmann that subscriber profile data is  
23 received through the PSTN into the modem?

24 A. To the best of my knowledge, yes.

25 Q. Why is it inherent?

1 MIR

2 A. Because it alerts the signal. When the  
3 alert signal is sent by the modem, the modem  
4 inherently receives an acknowledgment.

5 Q. So it always receives an acknowledgment?

6 A. To the best of my knowledge, alert in  
7 communications systems should be responded by an  
8 acknowledgment. It's not a hundred percent done  
9 everywhere, but that's the typical situation, when  
10 you send alert, you need to receive a certain  
11 formatted acknowledgment.

12 Q. Is there any disclosure in Buhrmann of  
13 receiving an acknowledgment to an alert?

14 A. No. But you did ask me inherent.

15 Q. I understand.

16 A. Yeah.

17 Q. And you consider the acknowledgment of the  
18 alert to be subscriber profile data?

19 A. I do not know.

20 Q. So my question relates to subscriber  
21 profile information.

22 A. There is no mention of it.

23 Q. If you could let me finish my question.

24 A. Sure.

25 Q. My question relates to subscriber profile

1 MIR

2 information. Is there any disclosure inherently or  
3 expressly in the Buhrmann reference of subscriber  
4 profile information --

5 MR. McDOLE: Strike that.

6 Q. Is there any disclosure inherently or  
7 expressly in the Buhrmann reference of subscriber  
8 profile data being communicated through the PSTN  
9 and received by the modem?

10 A. I need time.

11 Q. Sure.

12 A. (Perusing document.)

13 Actually, I found a couple of, so far,  
14 more kind of explicit disclosure of responses from  
15 outside the modem to the modem. For example, if  
16 you note in the abstract of Buhrmann, let me read  
17 from the word "upon," almost in the middle of the  
18 abstract.

19 "Upon receipt of the profile update data  
20 by the database" -- I assume that the database is  
21 the one that is -- is SCP, that's the best database  
22 location -- "the subscriber profile data stored  
23 therein is updated in accordance with the  
24 subscriber profile update data. Thereafter,  
25 telecommunication services, including call

1 MIR

2 completion services and message reminder services,  
3 are provided to subscriber based on the updated  
4 subscriber profile data."

5 Well, that tells me that, you know, the  
6 subscriber receives something.

7 Q. Is the subscriber the cell phone in  
8 Figure 1 or is the subscriber the PIM?

9 A. Could be either.

10 Q. It could -- well, you're the expert, sir.  
11 Based on your understanding of Buhrmann is the  
12 subscriber the cell phone or is the subscriber the  
13 PIM?

14 A. I answered the question. The patent  
15 itself, it says either one could be the subscriber.  
16 Either you want to do it in a wired line or the  
17 wireless, however you want to do it. And I think  
18 we have that one stated clearly in the patent. We  
19 can find that one.

20 Q. Sure.

21 A. You want that one?

22 Q. Please. Yes.

23 A. (Perusing document.)

24 Here is the answer. Page 15, column 6,  
25 line 37. Let me read out to you: "The subscriber

1 MIR

2 profile record of a particular subscriber is  
3 updated in the database 118 via a personal  
4 information manager (PIM), such as PIM 122  
5 (Figure 1). As used herein, a PIM is any type of  
6 electronic personal information device. For  
7 example, and without limitation, a PIM may be a  
8 program executing on a personal computer," which  
9 is -- in this case is a wired line, now -- "(e.g.,  
10 Now-Up-To-Date, available from Now Software, Inc.,  
11 Portland, Oregon), a handheld personal information  
12 device."

13 So it clearly states that it could be a  
14 personal computer or a handheld wireless device.

15 Q. Okay. And you consider telecommunication  
16 services to be subscriber profile data?

17 A. Telecommunication services to be  
18 subscriber's profile?

19 Q. Subscriber profile data. Because  
20 remember, my question related to subscriber profile  
21 data.

22 A. And the question is again, please?

23 Q. My question is, is telecommunication  
24 services subscriber profile data?

25 A. Data cannot be a service.

1 MIR

2 Q. Okay.

3 MR. McDOLE: I move to strike your  
4 previous answer as non-responsive. Let's go back  
5 to my question.

6 A. Sending data is a service.

7 Q. Is there any disclosure inherently or  
8 expressly in Buhrmann reference of subscriber  
9 profile data being communicated through the PSTN  
10 and received by the modem, specific to subscriber  
11 profile information?

12 A. But you just missed the word  
13 "communicated" in your previous question to me, in  
14 your recent question to me.

15 The data to be communicated is a service,  
16 yes.

17 Q. Okay. So let me ask it again: Are  
18 telecommunication services subscriber profile data?

19 A. Services could be subscriber's profile  
20 data communications.

21 Q. It could be or it is?

22 A. It could be -- one service could be that,  
23 yes.

24 Q. So is there a disclosure in Buhrmann that  
25 subscriber profile data is communicated through the

1 MIR

2 PSTN and received by the modem?

3 A. And received by the modem?

4 Q. Yes.

5 A. I just read the abstract to you.

6 Q. Okay. And you also said that  
7 telecommunication service is not subscriber profile  
8 data. So I want to make sure I understand what  
9 your opinion is.

10 A. I did not say that. I did not say the  
11 data cannot be the personal data. You said  
12 services and I said it's not services.

13 Q. Where does the abstract talk about a  
14 modem?

15 MR. McDOLLE: Strike that.

16 Q. Where does the abstract of the Buhrmann  
17 reference talk about a modem?

18 A. The modem is the detailed component of the  
19 personal information manager, PIM, and PIM is  
20 already there in the abstract.

21 Q. So does the word "abstract" appear in --  
22 or does the word "modem" appear anywhere in the  
23 abstract?

24 A. None of the details of PIM including modem  
25 can be explained and can appear in one paragraph of

1 MIR

2 abstract, of course not.

3 Q. Is a call completion service subscriber  
4 profile data?

5 A. It depends what call completion includes.

6 Q. Well, I'm using the word "call completion  
7 services" as utilized in the last sentence of the  
8 abstract.

9 A. Well, that's the abstract. So we need to  
10 find out what the call completion is recited by the  
11 Buhrmann.

12 Q. You're the expert, sir, if you can let me  
13 know.

14 A. Sure. So let's see what the call  
15 completion is.

16 (Perusing document.)

17 Column 4, on top of the column 4, this  
18 starts on the previous -- yeah. So the very first  
19 sentence, "The profile update data includes call  
20 completion update data, corresponding to the call  
21 completion requests, and alert update data,  
22 corresponding to the alert requests."

23 Q. Okay.

24 A. Could be part of the profile, yes.

25 Q. I understand you keep saying "could."



1 MIR

2 Is the call completion update data  
3 subscriber profile data?

4 A. The sentence actually is very clear. It  
5 says the profile update data that you're referring  
6 to, profile, includes call completion update data.

7 Q. Okay. And my original question related to  
8 call completion services. So are call completion  
9 services subscriber update data?

10 A. Sending that data is a service, yeah.

11 Q. Sending that data is a service?

12 A. Is a service.

13 Q. Okay. So is the data -- is a call  
14 completion service a service that sends call  
15 completion data?

16 A. Sending the data is a service; sending  
17 that kind of data is a service.

18 Q. Okay. And is that service always sent to  
19 a subscriber through a PIM in Buhrmann?

20 A. Obviously, no. Obviously, no. There  
21 could be other services. This is one of the  
22 services.

23 Q. So what I'm trying to understand is, is  
24 there an -- in your opinion, is there an express  
25 disclosure that subscriber profile data is received

1 MIR

2 by the modem of the PIM through the PSTN?

3 A. What I found so far is the profile update  
4 data that includes call completion update data  
5 corresponding to the call completion request is the  
6 ones that are received by the modem.

7 Q. And where does it say that those are  
8 received by the modem?

9 A. (Perusing document.)

10 The answer is the abstract, again. The  
11 abstract says, "Therefore, telecommunication  
12 services, including call completion services and  
13 message reminder services, are provided to the  
14 subscriber."

15 I don't see any other way than receiving  
16 these kind of services through the modem.

17 Q. Well, if you look at Figure 1, do you see  
18 any other way that information can go from the  
19 database -- subscriber profile database of 118 to a  
20 subscriber other than the modem?

21 A. That's what I say.

22 Q. Is there -- so in your view, Figure 1  
23 shows no other way for information from the  
24 subscriber profile database to get communicated to  
25 a subscriber other than through the modem?

1 MIR

2 A. Through modem, according to Figure 1, yes.

3 Q. There can be no wireless communication of  
4 that?

5 A. I did mention myself that, you know, the  
6 wireless is a second option, of course. So I  
7 believe there is an explanation somewhere that it  
8 says that the PIM could be part of a handheld  
9 device, which is a wireless, or a PC, personal  
10 computer. This is a personal computer  
11 configuration, that you see that the modem is  
12 connected to the PSTN.

13 Q. And in the instance where it comes through  
14 the modem and the modem receives data, based on  
15 your opinion, does the client make a request to  
16 retrieve the information or is the database  
17 forwarding that information?

18 A. My understanding from the patent is that  
19 the request must be made by the subscriber first,  
20 should be initiated by the subscriber. In response  
21 to that, the database will respond.

22 Q. Okay. Is the database providing data  
23 that's already updated or is it providing data  
24 that's not updated?

25 A. It really depends what kind of data you're

1 MIR

2 requesting. For example, if you have already  
3 updated your data, your personal data in the  
4 database, the next time you're asking the profile  
5 of the subscriber, what is sent to you is the  
6 updated data, already updated.

7 But any time you want to update your  
8 personal profile data, then you request for viewing  
9 it and request for a copy of the profile. The  
10 profile gets sent to you and then you update it in  
11 a separate transaction.

12 Q. And where in Buhrmann does it say that  
13 when a client or a subscriber wants to update their  
14 profile, that the subscriber profile data is sent  
15 through the PSTN into a modem?

16 A. I guess the easiest way of finding it is  
17 just the abstract says that it's -- the  
18 telecommunications services including call  
19 completion services and message reminder services  
20 are provided to the subscriber.

21 Q. Well, sir, with all due respect, you left  
22 out the last part about that. It says based on the  
23 updated subscriber profile data.

24 So my question, if you'll listen to it  
25 carefully, relates to when a customer wants to

1 MIR

2 update their data --

3 MR. McDOLE: Strike that.

4 Q. When a customer wants to update their  
5 subscriber profile data, where in the Buhrmann  
6 reference is there any disclosure, express or  
7 inherent, that that information is retrieved from  
8 the communication network through the modem,  
9 through the PSTN and received by the modem in the  
10 PIM?

11 A. Okay. Let me see what my opinion was  
12 rendered regarding the Buhrmann in my declaration.

13 (Perusing document).

14 Okay. I guess the summary of it is in my  
15 declaration. So in summary, Buhrmann discloses  
16 receiving from a client, via profile request field  
17 of 502, a request to view subscriber profile data  
18 such as schedule data or telephone numbers of  
19 authorized or unauthorized users."

20 And you can see this in column 8, lines 20  
21 through 25 and Figure 5. For example, the  
22 subscriber is provided with a schedule display for  
23 a chosen day via the user interface 136, which may  
24 include a graphical user interface display 500.  
25 And for this you can see column 6, 37 through 53

1 MIR

2 and column 7, 13 through 39, column 8, 4 through 14  
3 and Figures 1, 3, 4, and 5.

4 The subscriber is presented through the  
5 user interface 136 with a display 500, Figure 5.  
6 And then in modem 138. I should make a correction  
7 here, "retrieve" should be "receives," not  
8 retrieves. This is a typo. Receives the  
9 subscriber data, profile data from service control  
10 point 108 through the PSTN 114 upon the request  
11 from the client. For this -- which I think that's  
12 the center of the answer to your question, column 3  
13 at lines -- line 54 through column 4, line 4,  
14 column 7, line 36 through 39, column 7, lines 13  
15 through 22, and Figures 1, 4, and 5.

16 Q. Okay. Based on the citations that you  
17 provided --

18 MR. McDOLLE: Strike that.

19 Q. First of all, we found another error in  
20 your declaration, is that correct, that that word  
21 retrieves is supposed to be receives?

22 A. Receives, yes.

23 Q. You think there's no significance to that  
24 whatsoever?

25 A. What do you mean by no significance?

1 MIR

2 Q. Well, claim 1 of the '714 patent uses the  
3 word retrieve, correct?

4 A. Well, that's the claim language. Now, in  
5 my text you should expect, you know, some minor  
6 errors.

7 Q. So the patent office should expect errors  
8 when they read your declaration?

9 A. That's very common in 50, 60 pages you can  
10 find a couple of words of errors, it's very common,  
11 isn't it?

12 Q. Especially with the difference between the  
13 word retrieve, which is in claim 1, and receive,  
14 which is not in claim 1 of the '714 patent, that's  
15 a minor error to you?

16 A. I am correcting it now.

17 Q. Did you correct it with the patent office?

18 A. If I had known it, I would.

19 Q. Does the patent office know you made this  
20 error?

21 A. I'm saying that I'm just catching it right  
22 now.

23 Q. Do you plan on filing an errata with the  
24 patent office to correct the error?

25 A. I'm not the person to do all these things.

1 MIR

2 You should contact my counsel to do these things.

3 Q. Sir, you're the expert, you're the one who  
4 swore under perjury that your declaration was  
5 accurate. I'm asking whether you're going to file  
6 that --

7 A. As much as possible, to the best of my  
8 knowledge was accurate at the time that I signed  
9 it. And I'm saying right now, if there is any  
10 error, I can definitely, if there is a need for it,  
11 I can provide anyone with the errata of my  
12 declaration, which I don't think there are many,  
13 just I think it's a few word -- at the word level  
14 errors in my declarations.

15 Q. How much did the patent office rely on  
16 your statements in paragraph 80 in instituting this  
17 IPR?

18 A. I don't know.

19 Q. If the patent office relied on your use of  
20 the word retrieves in instituting this IPR, would  
21 the institution be incorrectly filed?

22 MR. BERTULLI: Objection. That calls for  
23 speculation.

24 A. Well, let me just explain a little bit  
25 here, that summarizing my opinion, that sometimes I



1 MIR

2 remove a sentence before or after another sentence,  
3 it might occur in a draft of 50, 60 pages, that,  
4 you know, sometimes you forget to change the word.  
5 Maybe this word belonged to the previous sentence  
6 that I just removed it.

7 But I explained the modem in this session  
8 today. You asked me a clear question about whether  
9 the modem retrieves or receives. I -- without even  
10 encountering this word that is an error in my  
11 draft, I explained it to you that, you know, the  
12 modem receives data. I already clarified it.

13 And I just caught this one here, so yeah,  
14 this should be -- so far I found two errors, which  
15 is the first one is the SSP, and it should be --  
16 the transfer should be switch instead of transfer.  
17 But that was very common word, SSP, anywhere you  
18 see that, you know, you see what exactly the SSP  
19 stands for. That's the minor error.

20 Also I'm telling you this has to be  
21 receive instead of retrieve. I'm correcting it  
22 now.

23 MR. McDOLE: Move to strike as  
24 nonresponsive.

25 Q. Sir, is there anywhere in your opinion in

1 MIR

2 your declaration, which is Exhibit 1014, where you  
3 offer an opinion that Buhrmann retrieves any  
4 information from the communication network?

5 A. Yes. Buhrmann retrieves data from SCP. I  
6 think we went over it.

7 Q. Which line are you referring to in your  
8 declaration, Exhibit 1014, where you talk about  
9 retrieving information?

10 A. Are you referring to the word "retrieve"  
11 exactly?

12 Q. Referring to the word "retrieve."

13 A. The word "retrieve" may not be here, but  
14 you know, the word "retrieve" means that you go  
15 after a certain piece of data and cache data and  
16 fetch the data and then bring it up. I think we  
17 discussed about this.

18 Q. I agree you have to go out and fetch the  
19 data, correct? So let's talk about where in  
20 Buhrmann it talks about fetching the data. And  
21 specifically, I want to know where in your opinion  
22 in Exhibit 1014 do you talk about going and  
23 fetching the data or retrieving it?

24 A. (Perusing document).

25 So here is one. In fact let me just also

1 MIR

2 explain again, the modem retrieves -- the better  
3 word is "receives." However, this is a big summary  
4 of the entire process, meaning that you are  
5 actually requesting the update and then the  
6 update -- the data arrives through the modem and  
7 then you receive it. So the entire process could  
8 be a retrieve, but not necessarily through the  
9 modem. It passes through the modem.

10 So the better word here is "receives," of  
11 course. If I had had a chance to revise this one,  
12 yeah, "retrieve" should have been "receive."

13 But the entire process is a retrieving,  
14 because it goes all the way through the SCP and  
15 then it comes back with the data. And that is  
16 mentioned in column 3, lines 54. And let me  
17 just -- it's a long sentence. And let me start  
18 with at least a few of the lines.

19 "In one embodiment," column 3, line 54:  
20 "In one embodiment, the subscriber is presented  
21 with a user interface for entry of profile requests  
22 in conjunction with each of the timed events.  
23 Thus, for each of the timed events, the subscriber  
24 may request that the subscriber's profile be  
25 updated in a particular manner. Such profile

1 MIR

2 requests include call completion requests, in which  
3 a subscriber requests that calls placed to a  
4 communication device associated with the subscriber  
5 be routed in a particular way, and alert requests,  
6 in which a subscriber requests that a reminder  
7 message be scheduled to be sent to a communication  
8 device associated with the subscriber in  
9 conjunction with a timed event. Upon entry of the  
10 profile requests by the subscriber, the PIM  
11 transmits profile update data, based on the profile  
12 request data, based on the profile request data --"

13 Q. Okay.

14 A. -- "to a communication network" -- it  
15 shows the details of this back and forth fetching.

16 MR. McDOLE: Move to strike as  
17 nonresponsive.

18 Q. Sir, my question related to your  
19 declaration. I asked you to point me where in your  
20 declaration you talk about retrieval, going out and  
21 fetching the information in your declaration. And  
22 you've just read me a long passage from the  
23 Buhrmann reference. And I'd like to know where in  
24 your declaration it talks about retrieving the  
25 information from the communication network.

1 MIR

2 A. It is clearly mentioned "see, e.g. ID,  
3 column 3."

4 Q. Sir, that's not your declaration. I've  
5 asked you where it's at in your declaration. Do  
6 you know -- your declaration is Exhibit 1014.  
7 Where in your declaration do you offer an opinion  
8 about retrieving data?

9 A. Retrieving data is clearly in the columns  
10 and locations that I mentioned in my declaration  
11 and those are all clearly mentioned there.

12 Q. Okay. So what you're referring to is the  
13 citations at the bottom of paragraph 80 that starts  
14 with column 3, line 54 through 4, 4. Are those the  
15 only citations that you're aware of that -- to  
16 support your opinion that there is a retrieval of  
17 information?

18 A. That's exactly next one. Column 7, lines  
19 36 through 39, column 7, lines 13 through 22  
20 supported by Figure 1, 4, and 5.

21 Q. So are there any other citations besides  
22 the ones you've identified that you believe support  
23 your opinion that retrieval from the communication  
24 network of subscriber profile data happens in the  
25 Buhrmann reference?

1 MIR

2 A. Part of the retrieval and updating is also  
3 at column 9, lines 14 through 18. And in the next  
4 paragraph I mentioned it as part of the support.

5 Q. Okay. Well, let's take them one at a  
6 time. Let's start with the paragraph that you've  
7 decided to read into the record. When a profile  
8 request is -- when a subscriber enters a profile  
9 request, what transmits the profile update data to  
10 the clients, according to column 3?

11 A. That's the request for the profile, right?

12 Q. Yes.

13 A. So what is it?

14 Q. What component of Buhrmann transmits the  
15 profile update data to the client?

16 A. We go back to Figure 1 and assuming that  
17 we are using the wired line device, personal  
18 information manager transmits the information you  
19 just mentioned.

20 Q. So when the user requests the information,  
21 that information is provided by the personal  
22 information manager, correct?

23 A. That is initiated from the PIM, yeah.

24 Q. That is not received from the  
25 communication network, correct?

1 MIR

2 A. My understanding is that PIM initiates the  
3 request to view it.

4 Q. Okay.

5 A. To view the profile.

6 Q. Is the profile already on the PIM in the  
7 Buhrmann reference?

8 A. It could be, but personal information data  
9 is already there. But the PIM can also request for  
10 viewing it, viewing the version that is in the SCP,  
11 in the communication network.

12 Q. And that's where I'd like to know where  
13 you get your opinion. Where does the Buhrmann  
14 reference state that the SCP -- or that the user  
15 request from the SCP or anywhere on the  
16 communication network to provide the  
17 communication --

18 MR. McDOLLE: Let me ask the question over  
19 again so we get it clear.

20 Q. Where in the Buhrmann reference is there  
21 any disclosure inherent or express that states that  
22 the subscriber profile information comes anywhere  
23 but from the PIM?

24 A. That's easy to answer. First we need to  
25 know what is the tool of the subscriber for

1 MIR

2 requesting. If we agree on that the Buhrmann  
3 discloses the tool of the requesting a profile is  
4 known as PIM. So then in the column that I just  
5 read out the content of it to you, clearly says  
6 that such profile requests include -- well, let me  
7 just go a line after.

8 "Such profile requests include call  
9 completion requests in which a subscriber requests  
10 that calls placed to a communication device  
11 associated with a subscriber be routed in a  
12 particular way." That's the answer to your  
13 question. Clearly says that, you know, the request  
14 will be placed in a communication device and then  
15 is routed to the communication network.

16 Q. Sir, my question was much different. So  
17 let's ask it again.

18 MR. McDOLLE: Move to strike your answer as  
19 nonresponsive.

20 Q. My question related to -- well, let me ask  
21 you, how do you read that sentence that the request  
22 is to the communication network and not to the PIM?  
23 Are you simply reading that in?

24 A. Probably you don't pay attention. I'm  
25 sorry you should say so. Because the subscriber's



1 MIR

2 request means PIM's request, isn't it?

3 Q. Well, is a subscriber a person or is a  
4 subscriber the client?

5 A. Subscriber's device includes PIM. If you  
6 want to include a person in this scenario, then we  
7 need to see what is the equivalent of the person in  
8 '714, who is the person? We are talking about  
9 electronics, counsel, right? You're confusing me  
10 completely. Now you're moving to the persons and  
11 the human being now.

12 If we agree on that we have electronics  
13 are the ones that take care of the communications,  
14 so PIM is the one that belongs to the subscriber,  
15 isn't it? To me is natural electronic that is used  
16 by the subscriber.

17 MR. McDOLLE: Move to strike everything but  
18 the first sentence as nonresponsive.

19 Q. Sir, if you look to the bottom of column 3  
20 it starts, "Upon entry of a profile request by the  
21 subscriber."

22 Do you see that?

23 A. I'm sorry. Just tell me again.

24 Q. Sure. At the bottom of column 3, it  
25 starts with the word "Upon."

1 MIR

2 A. Which line?

3 Q. That is line 65.

4 A. Okay.

5 Q. Okay. "Upon entry of the profile request  
6 by the subscriber."

7 Do you see that?

8 A. I do.

9 Q. Does the computer enter profile requests  
10 or does a user enter profile requests?

11 A. The request obviously is done by a  
12 subscriber human being or it can be the program  
13 automatically to be done.

14 Q. This says, "Upon entry of the profile  
15 request by the subscriber," the subscriber it's  
16 referring to is a human, isn't it?

17 A. If you're referring to human, then I'm  
18 actually referring to the '714 that clients should  
19 also be a human.

20 Q. Okay.

21 A. Back to back, exactly just side by side,  
22 I'm just comparing these two, then.

23 Q. Based on your reading of this sentence, do  
24 you understand that a subscriber when it says,  
25 "Upon entry of the profile request by the

1 MIR

2 subscriber, the PIM transmits profile update data,"  
3 means the subscriber there is a user or a human  
4 being?

5 A. Subscriber could be a certain electronic  
6 program to act as a human being. It could be a  
7 robot. It could be human being. Any of these  
8 possibilities.

9 Q. And that's testimony you want to give  
10 under oath to the patent office?

11 A. Absolutely, yes.

12 Q. All right. Great. And so you would agree  
13 with me then based on this sentence a subscriber  
14 and a PIM are two different things?

15 A. Subscriber and PIM are two different  
16 things?

17 Q. Based on the sentence that says, "Upon the  
18 entry of a profile request by the subscriber, PIM  
19 transmits"?

20 A. PIM again is the electronic device that is  
21 used to take care of the updating the profiles.  
22 Now, you can program PIM, you as a human being can  
23 program it or it can be programmed -- it can be  
24 programmed already, that it gets the update  
25 automatically, every two hours, every three hours.

1 MIR

2 Of course, a human being is behind all these  
3 communications.

4 MR. McDOLE: Move to strike as  
5 nonresponsive.

6 Q. Sir, my question was, is a subscriber --  
7 based on your reading of the sentence that starts  
8 at the bottom of column 3, line 65, starting "Upon  
9 entry of the profile request by the subscriber, the  
10 PIM transmit profile update data," do you  
11 understand that subscriber and PIM are two  
12 different things?

13 A. It could be, yes.

14 Q. It could be or it is, sir, based on your  
15 reading of the Buhrmann reference and your  
16 expertise?

17 A. Let's see the bottom of this paragraph.  
18 It says a subscriber enters personal information  
19 data into a PIM. This, to me, that could be a  
20 human being that enters the data into a PIM.

21 Q. You keep saying could, sir.

22 A. Yeah.

23 Q. I want to know in your expertise is a  
24 subscriber a human being?

25 A. I'm saying there is no mention of the

1 MIR

2 subscriber as a human being or a machine, as well  
3 as the one that the client is not mentioned here in  
4 '714 as a human being or a machine. So here  
5 naturally the subscriber is a human being.

6 Q. Okay. Thank you.

7 So with the subscriber being a human  
8 being, when the subscriber requests to see its  
9 subscriber profile data, what component of Buhrmann  
10 provides the subscriber profile data to the  
11 subscriber based on your citation of column 3,  
12 lines 54 through column 4, line 4?

13 A. Sure. When you see a Figure 1, you see  
14 that the modem is connected to the PSTN and the  
15 PSTN eventually connected to a second network, in  
16 this case is a wireless network, and in the  
17 wireless network we have a center, we call it SCP  
18 and that SCP stores subscriber profile data in a  
19 database.

20 Q. Okay. And where in column 3 does it state  
21 that the information is --

22 MR. McDOLLE: Strike that.

23 Q. Where in column, the citation you've  
24 provided of column 3, line 54 to column 4, line 4  
25 does it state that the modem retrieves subscriber

1 MIR

2 profile data from the service control point through  
3 the PSTN upon a request from the client?

4 A. I just wanted to bring to your attention  
5 that that column is a summary of the patent. It  
6 may not have all the details. Let's find out the  
7 details.

8 Q. Sir, I'm asking you, with all due respect,  
9 my question relates to this passage. You've cited  
10 to this passage and I want to know where in your  
11 passage is there the support of what I asked in my  
12 question. So instead of answering a different  
13 question, I'd prefer you answer my question.

14 My question relates to column 3, line 54,  
15 to column 4, line 4. Where in that citation that  
16 you provide does it state that the modem retrieves  
17 subscriber profile data from the service control  
18 point through the PSTN upon a request from the  
19 client?

20 A. Did you say the modem retrieves?

21 Q. I said the modem receives. Well, you said  
22 retrieves, but we've switched that to receives now.

23 A. Okay. In that column, there's no mention  
24 of that. It's a summary.

25 Q. Why did you put it as a citation to that

1 MIR

2 exact phrase that I read out of your report?

3 A. Because you wanted to know how exactly the  
4 data is received by the modem. And I said the  
5 modem is part of the PIM, and I just read this  
6 passage to you that PIM is the one who sends data  
7 and receives data.

8 Q. Is it also the PIM that provides the  
9 schedule data and contact data to the subscriber to  
10 be updated?

11 A. Whatever data is that it receives from the  
12 SCP is the one that the PIM receives.

13 Q. But the PIM already stores schedule and  
14 contact data, correct?

15 A. According to Figure 1, yes, the schedule  
16 data, contact data.

17 Q. Well, schedule and contact data are  
18 already on the PIM and stored there, why would it  
19 need to retrieve it from the communications network  
20 when it's already present on the PIM?

21 A. The subscriber can do that if he wants to.

22 Q. Where in Buhrmann does it say that the  
23 subscriber reaches out to the communication network  
24 to request that data?

25 A. Let me find out where it is, if there is

1 MIR

2 any.

3 Q. Sure.

4 A. (Perusing document).

5 Okay. So here -- first of all, in column  
6 5, line 57, there's a clarification of what exactly  
7 the -- what exactly the SCP does. It says, "The  
8 SCP 118 contains a database 118 which contains  
9 subscriber profiles." So subscriber profiles are  
10 stored in SCP, according to Buhrmann, that's number  
11 one.

12 Number two is that in column 7, line 18,  
13 starting from line 18, "The user interface 136  
14 allows the subscriber who is using the PIM to view,  
15 enter and update personal information data." This  
16 means that the subscriber is a human being. "And  
17 otherwise interact with PIM." And then it says,  
18 "The modem 138" -- I think this is the answer to  
19 your question -- "is used for sending information  
20 from the PIM to SCP through the PSTN."

21 So this to me tells me that the  
22 information from PIM can be sent to SCP.

23 Q. And I don't dispute that with you, sir.

24 What I'm asking you is something  
25 completely different.



1 MIR

2 A. What is it?

3 Q. My question -- and once we get through  
4 this, we'll be happy to take lunch, but I'd like to  
5 get an answer to my question first. And my  
6 question is you understand in the '714 patent --  
7 maybe we should go through this. You understand in  
8 the '714 patent -- do you agree with me that  
9 there's a logical step order --

10 MR. McDOLLE: Strike that.

11 Q. Do you agree with me there's an implicit  
12 order to the steps of claim 1 of the '714 patent?

13 A. Claim 1 has steps, yes.

14 Q. And there's an implicit order to those  
15 steps that happen in a particular sequence,  
16 correct?

17 A. I'm saying that there are steps, yes.

18 Q. I understand there are steps. I'm asking  
19 if you agree with me that there's an implicit order  
20 to the steps, that they happen in a sequence?

21 A. Well, the step has order.

22 Q. So you agree with me?

23 A. The steps has order. You're just  
24 repeating your first statement. Step means step  
25 one and then a step two.

MIR

Q. And that's implicit in claim 1 and claim 13, correct?

A. I trust you, claim 13 should be.

Q. Well, I'm asking you in your expert opinion --

A. If you want to just waste time, yes, let me just confirm that claim 13 is the same as claim 1 in terms of stepping.

Q. And my question goes to is there an implicit order in the steps of claim 1 and claim 13?

A. Then I need to check.

Q. Okay.

A. Yes.

Q. So the first element of claim 1 is that there has to be -- the subscriber receives something, correct?

A. Let me go over claim 1.

Q. I'm sorry. Something receives a subscriber request, correct, in the first element of claim 1?

A. Something receives -- something is received?

Q. Something receives a subscriber request,

1 MIR

2 is that the first element of claim 1?

3 A. Something receives?

4 Q. Something receives a subscriber request.  
5 Specifically, the first element -- maybe it's  
6 easier to do this. "The first element is receiving  
7 a subscriber request to view a subscriber profile  
8 data from a client which hosts a user interface  
9 configured to allow the subscriber to view and  
10 update the subscriber profile data," correct?

11 A. That is what it is, yes.

12 Q. Okay. And that's where a subscriber is --  
13 or the personal -- in Buhrmann, that's where the  
14 personal information manager receives the  
15 subscriber's request. Would you agree with that?

16 A. Yes.

17 Q. And that request is to view subscriber  
18 profile data, correct?

19 A. That's what this element says.

20 Q. Okay. And then the next element is  
21 "retrieving the subscriber profile data from the  
22 communications network based upon receiving the  
23 subscriber request to view the subscriber profile  
24 data from the client," right?

25 A. Yes.

1 MIR

2 Q. So where in Buhrmann is there a disclosure  
3 that -- well, what in Buhrmann is doing the  
4 retrieving of the subscriber profile data from the  
5 communication network?

6 A. I think somehow I answered the question.  
7 But retrieving means that you search for data, a  
8 certain piece of data, and get the data, and then  
9 make it available. That's the retrieving -- I  
10 mean, any ordinary skill in the art or any ordinary  
11 person can say what is retrieving, it may be this.  
12 In the context of the patent, the '714, I would say  
13 that this is the same thing. So going after the  
14 data, getting it, and making it available. So I'm  
15 saying clearly this is in Buhrmann.

16 Q. And this is where I'm trying to  
17 understand, because the passage you read to me was  
18 about the PIM sending information to the PSTN, not  
19 getting it and bringing it back. So I'd like to  
20 know where in Buhrmann discloses going to get the  
21 information and bringing it back for the user to  
22 update.

23 A. Well, didn't we say that the SCP stores  
24 all the profile data?

25 Q. Is there profile data stored on the PIM?

1 MIR

2 A. Uh-huh.

3 And isn't it the case that the profile  
4 data can be updated in Buhrmann?

5 Q. Sir, I'm not here to answer your  
6 questions. With all due respect, you're here to  
7 answer my questions, so if we can stick to  
8 answering my questions.

9 Where in Buhrmann is there a disclosure  
10 that there is a retrieval of information from the  
11 communication network for the subscriber to update?

12 A. The answer to your questions are actually  
13 within these columns that are -- I mentioned in my  
14 declaration and I read part of it for you, that how  
15 exactly the request to SCP is done. Now, the  
16 remaining part is that how exactly the data is  
17 gotten from the SCP and sent to the PIM. And all  
18 over the figures it's obvious that -- first of all,  
19 we can see that the modem is eventually connected  
20 to the SCP database for updating.

21 Q. Is it explicitly there or is it obvious  
22 that it's there?

23 A. Well, from the figure it's obvious, from  
24 the figure, so . . . But I need to go and then  
25 find the passages for you and then read out to you.

1 MIR

2 You want to do it after lunch or before  
3 lunch.

4 Q. Well, why don't we do it after lunch.

5 (Proceedings interrupted at 12:21 p.m. and  
6 reconvened at 1:03 p.m.)

7 BY MR. McDOLE:

8 Q. Welcome back, Dr. Mir.

9 A. Welcome back.

10 Q. When we left off for lunch, I had asked  
11 you about -- we were talking about retrieval of  
12 information from the communication network, and I  
13 had asked you a question: Is it explicitly there  
14 or is it obviously there?" And your answer was,  
15 "From the figure, it's obvious. But then I need to  
16 go find the passage for you and then read out to  
17 you. Do you want to do it before lunch or after  
18 lunch?"

19 And I wanted to give you that opportunity  
20 if there is passages that you wanted to find for me  
21 to show me where the retrieval portion is disclosed  
22 in the Buhrmann reference, please do so.

23 A. Okay. Just a couple of minutes, maybe.

24 Q. Sure.

25 A. (Perusing document).

1 MIR

2 Okay. On column 7 of Buhrmann, line 18,  
3 it says, "The user interface 136 allows the  
4 subscriber who is using the PIM 122 to view, enter,  
5 and update personal information data 127 and  
6 otherwise interact with the PIM 122."

7 So viewing and entering and updating is  
8 something that -- it is in the steps of opening up  
9 the database, update profile segment of the  
10 person's profile, and then -- and then if there's  
11 any need for updating, updates it.

12 Q. You would agree with me that the passage  
13 that you just read doesn't say that any information  
14 is retrieved from the communication network; is  
15 that correct?

16 A. Well, it is retrieving because you are  
17 viewing it.

18 Q. Where is it retrieving from, sir?

19 A. Well, I take it back. So let me just  
20 explain, the procedure of retrieving requires to  
21 view something, look for something, right, so  
22 that's what I'm trying to tell you. And then look  
23 for the piece of data and then make it available.  
24 So if you have a certain device that let's you view  
25 the data and then puts the data into your

1 MIR

2 interface, which is GUI, well the data is already  
3 retrieved, to me.

4 Q. Okay. But where in the passage that you  
5 just read does it state that the information is  
6 received from the communication network as opposed  
7 to the memory and the PIM?

8 A. Well, the communication part I'm not  
9 talking about right now. I'm talking about  
10 retrieving data.

11 Q. And my question goes to retrieving data  
12 from the communication network.

13 A. Okay. And then there is another part of  
14 Buhrmann -- I'm not done with that part, but there  
15 is another part I want to bring to your attention.  
16 Okay. I just wanted to bring to your attention  
17 this one on column 7, 2 that "Such functions" --

18 Q. Where are you reading from?

19 A. Column 7, on top. "Such functions include  
20 personal scheduling functions and contacts  
21 management functions. Such personal information  
22 management functions are well known in the art."  
23 So it's trying to say that the managing the contact  
24 information is well known in the art, probably it  
25 skipped from the details of it.



1 MIR

2 Q. Let me ask you a couple of questions and  
3 then I'll let you continue to look. With respect  
4 to the portion of column 7 that you read with  
5 respect to "the user interface allows a subscriber  
6 who is using the PIM to view, enter and update  
7 personal information data and otherwise interact  
8 with PIM 122," you would agree with me that that  
9 quotation in and of itself does not show retrieving  
10 information from the communication network,  
11 correct?

12 A. It explicitly doesn't say that.

13 Q. Okay.

14 A. Yeah.

15 Q. And what about the other passage that you  
16 just read at the top of column 7 that "such  
17 functions include personal scheduling functions and  
18 contact management functions," does that passage --  
19 does that passage in and of itself show retrieving  
20 information from the communication network?

21 A. No. No. I just wanted to -- I told you,  
22 I just wanted to bring to your attention that the  
23 patent says that some part of the management of  
24 data is well known in the art.

25 Q. Okay.

1 MIR

2 A. I just wanted to bring it to your  
3 attention that this is well known in the art and  
4 it probably skipped from the details of it.

5 Q. So --

6 A. So --

7 Q. -- back to my question of looking for  
8 information being retrieved from the communication  
9 network.

10 A. I'm not done yet.

11 Q. Okay.

12 A. So let's also find something that is in  
13 claim 9. In claim 9 we read that a personal  
14 information manager device comprising a memory  
15 storing schedule data representing time events, "a  
16 user interface for receiving an alert request  
17 associated with one of said time events wherein  
18 said event request specifies a predetermined time  
19 for transmitting an alert message to a subscriber  
20 communication device," and it continues like that.

21 So this teaches me that there is an alert  
22 going on. And earlier in this session we talked  
23 about the alerts, that it was part of the profile,  
24 subscriber profile, that we recognized it, that is  
25 going on from PIM to outside and then it gets

1 MIR

2 received by the interface.

3 So although the time between the alert's  
4 going out and times -- and between the alert going  
5 out and it gets received could be long, but I would  
6 say that, you know, that is a procedure for  
7 updating the SCP in part.

8 Q. Let me ask you a quick question about  
9 that.

10 A. Yeah.

11 Q. When a subscriber receives an alert in the  
12 Buhrmann reference, as you've just mentioned in  
13 claim 9, is the subscriber receiving that alert to  
14 update the alert?

15 A. I thought that's what it says.

16 Q. It's updating the alert or the alert is  
17 updating the subscriber?

18 A. Alert that -- I guess alerting for  
19 updating.

20 Q. So how would a subscriber update its  
21 subscriber profile data after receiving an alert?

22 A. That's for automatic updating, probably,  
23 if there is a need for updating the profile  
24 automatically on a timely basis or just in a  
25 periodic way based, maybe.

1 MIR

2 Q. Does that happen all the time or does  
3 that --

4 A. I don't know.

5 Q. It's possible?

6 A. It's possible. I don't know.

7 Q. Okay. Well, is that -- and I'd like to  
8 compare this, and I think this is important. If we  
9 can go back to claim 1 -- and hold this side by  
10 side, claim 1 of the '714 patent, is the receipt of  
11 the alert request of Figure 9 --

12 MR. McDOLE: Strike that.

13 Q. Is the alert request that you've  
14 identified in claim 9 the step of -- in claim 1 of  
15 the '714 patent of retrieving the subscriber  
16 profile data or is that the step of forwarding an  
17 update for the subscriber profile data to the  
18 communications network based on receiving the  
19 subscriber's request to update the subscriber  
20 profile data from the client?

21 A. I think it could be the combination of  
22 receiving and retrieving, is the one that I just  
23 mentioned to you, that receiving the alert and then  
24 viewing the subscriber's profile, and then viewing  
25 to me is as retrieving.

1 MIR

2 Q. Let's stick with the alert. Can you  
3 describe for me where in Buhrmann, in the alert  
4 example that you've just given, where there is  
5 receiving a subscriber request to view the  
6 subscriber profile data from a client which hosts a  
7 user interface configured to allow the subscriber  
8 to view and update the subscriber profile data,  
9 which is element one of claim 1?

10 A. Alert is one example of a data you receive  
11 from the communication network to update. And that  
12 would be one example of it.

13 Q. Do you view the alert?

14 A. You -- I mean, I don't see why not.

15 Q. And so in your view the subscriber is  
16 requesting to view the alert.

17 A. Subscriber?

18 Q. Yes.

19 A. I mean, it could be from the communication  
20 network, that it could be from anywhere in  
21 communication network that sends the alert for  
22 updating the profile.

23 Q. And that's not what I asked, sir.

24 MR. McDOLLE: Move to strike as  
25 nonresponsive.

1 MIR

2 Q. My question is do you believe in that  
3 example the subscriber requests to view the alert?

4 A. And then? What's the question?

5 Q. My question is, in your example of where  
6 an alert is received, is the alert received because  
7 the subscriber requests to view that alert?

8 A. No, I don't think so.

9 Q. Okay. Why not?

10 A. Alert could be automatic. Alert could be  
11 under a certain schedule that is sent, that it is  
12 time for updating data, this is the data that you  
13 have already.

14 Q. Okay.

15 A. It could be any of these. There is no  
16 mention of it, but I can see that there could be  
17 any of these.

18 Q. Okay. Now, sticking with your example  
19 where -- of claim 9 where an alert is received by a  
20 user, once the alert is received by the user --  
21 well, first of all, does the user retrieve the  
22 alert or is the alert simply received by the user?

23 A. The alert itself could also be retrieved,  
24 but the retrieving the data we are talking about  
25 here, the profile, is something that by viewing the

1 MIR

2 data, the current profile is done.

3 Q. Where does it say the alert includes the  
4 current profile in claim 9 of the Buhrmann  
5 reference?

6 A. I read it out to you. It says subscriber  
7 -- somewhere in the patent, the subscriber can view  
8 and update the profile; the viewing aspect of it  
9 is retrieving.

10 Q. Where does Buhrmann say that an alert is  
11 providing a subscriber profile?

12 A. Alert is part of the personal data that is  
13 sent from the communication system. I think we  
14 went over this one, didn't we?

15 Q. No. Actually, we've been in the middle of  
16 that, sir. You said that the information could  
17 actually come from the PIM as well.

18 A. Yes, that's correct, both.

19 Q. And if it's already on the PIM, there's no  
20 reason to retrieve it from the communication  
21 network, correct?

22 A. But you can retrieve it from the SCP if  
23 you want to. But the problem is here that you are  
24 mixing up two things. I'm saying that alert is  
25 coming from the communication network for updating.

1 MIR

2 Q. How is a user updating an alert?

3 A. It can be programmed, it can be something  
4 is programmed in the network.

5 Q. But we agree a subscriber is a human,  
6 right?

7 A. Subscriber could be a human, yeah.

8 Q. And not "can." We've agreed that a  
9 subscriber in the Buhrmann reference is in fact a  
10 human being, right?

11 A. In the Buhrmann, yes.

12 Q. Okay. So how in the Buhrmann reference  
13 does a human being update an alert?

14 A. I don't think there is a mention of how it  
15 gets programmed. I don't think so, I don't think  
16 there is any explanation into how -- I don't think  
17 that's within the scope of the patent, how it gets  
18 programmed.

19 Q. And in fact, there's no mention that an  
20 alert is ever updated by a human being; isn't that  
21 correct?

22 A. Alert itself, you mean?

23 Q. Yes.

24 A. Alert itself doesn't need to be updated.

25 Q. And now, once the alert is received by the



1 MIR

2 subscriber, how does the subscriber forward the  
3 update to the alert to the communications network?

4 A. That's all over the patent, that the  
5 subscriber can then, after updating the profile,  
6 can send the profile all over the communication  
7 network, and it is repeatedly mentioned here. I  
8 can give you an example of that.

9 Q. And just so we're clear, Dr. Mir, I want  
10 to make sure you're answering the topic I'd like to  
11 get answered; and that is, with respect to updates,  
12 I want to know when there is an updated alert. So  
13 if you can show me in Buhrmann where there is an  
14 updated alert that is being sent to the  
15 communication network, that's what I'm looking for.

16 A. What the patent talks about is updates,  
17 updates to be sent to SCP.

18 Q. And we've agreed that a subscriber does  
19 not update an alert, right?

20 A. A subscriber does not -- subscriber does  
21 send the updates to the SCP through the PIM, of  
22 course. It has to be through the interface.

23 Q. But after receiving the alert, the  
24 subscriber does not update the alert, correct?

25 A. Well, the alert is just for cases that the

1 MIR

2 profile needs to be updated. At that point, the  
3 subscriber can update the profile and send the  
4 profile to the communication network, specifically  
5 in SCP.

6 MR. McDOLE: Move to strike as  
7 nonresponsive.

8 Q. We agree that an alert is not updated by  
9 the subscriber, who is a human being, correct?

10 A. That you keep asking and I don't know if  
11 it's done by human being or it's automatic or  
12 something like that. That is not here, the one  
13 that I'm trying to tell you.

14 The receiving the alert is my point.

15 Q. I understand that that's your point, that  
16 receiving the alert. But you also understand that  
17 the claim 1 of the '714 patent requires more than  
18 just receiving an alert, correct? It requires  
19 retrieving subscriber profile data from the  
20 communication network based on receiving the  
21 subscriber request to view the subscriber profile  
22 data from the client, correct?

23 A. Sure, yes.

24 Q. And is it your opinion that when an alert  
25 is received by --

1 MIR

2 A. By the communication network?

3 Q. -- by the communication network that that  
4 is because the subscriber has requested it?

5 A. The subscriber can request this kinds of  
6 alerts by programming the system, that is  
7 indirectly their request from the subscriber.

8 Q. To update data, do you always have to  
9 first view the data set?

10 A. I update my computer sometimes without  
11 knowing, without looking at it; sometimes I update  
12 my certain files, certain software without looking  
13 at it. Why not? Yes.

14 Q. Okay. In the instance of Buhrmann --  
15 well, I just want to make sure we're clear that  
16 besides the alert of claim 9, is there any other  
17 disclosure in the Buhrmann reference that you have  
18 found that describes a subscriber using the PIM to  
19 go to the communication network to retrieve  
20 subscriber profile information?

21 A. I haven't found anything else yet.  
22 However, I want to just add this to you, that  
23 internally within the PIM, you can also view the  
24 profile, which we agreed on, and then it clearly  
25 states here, the function of retrieving data,

1 MIR

2 doesn't matter is it from SCP or is it internal in  
3 PIM, the information is retrieved, by viewing it,  
4 by requesting to view it and then it gets put up to  
5 view.

6 So fundamentally, I don't see any  
7 difference between the fashion that you view the  
8 data internally or the copy of data that is stored  
9 outside the PIM to view. So those are identical  
10 copies.

11 MR. McDOLE: Move to strike the last  
12 portion as nonresponsive.

13 Q. But if the user is viewing the information  
14 from the storage of the PIM, it's not retrieving  
15 information from the communication network,  
16 correct?

17 A. That is correct.

18 Q. And is it possible in Buhrmann that  
19 information is simply retrieved from the PIM and  
20 not the communication network, updated and then  
21 transmitted to the database without ever having  
22 received anything from the communication network?

23 A. That is absolutely possible.

24 Q. I'm going to hand you one that hasn't been  
25 marked yet, we'll mark it after we're done with it,

1 MIR

2 Figure 1 of the Buhrmann reference. If you want,  
3 you can confirm that it is the same.

4 A. Yes, it is the same.

5 Q. Okay.

6 MR. McDOLE: Give me one second here.

7 Q. In your opinion, Figure 1 of the Buhrmann  
8 reference discloses a communication network as  
9 stated in claim 1 of the '714 patent, correct?

10 A. I'm sorry. Counsel, could you repeat your  
11 question?

12 Q. Sure. In your opinion, the Buhrmann  
13 reference discloses in Figure 1 a communication  
14 network as stated in claim 1 of the '714 patent,  
15 correct?

16 A. Yes, right.

17 Q. I'm going to hand you an orange  
18 highlighter. If I can please have you outline what  
19 you believe the communication network in Figure 1  
20 of Buhrmann is, please.

21 A. So any communication network that I know  
22 here in this figure, you want me to highlight?

23 Q. What you believe is the communication  
24 network as you would believe from Figure 1 of the  
25 '714 patent.

1 MIR

2 A. Before doing it, let me just clarify that,  
3 you know, communication network has a broad  
4 meaning. And is a network that provides  
5 communication services. It could be any network,  
6 telephone networks, computer networks, wireless  
7 networks, DSL-type networks, any type of networks.  
8 Do you want me to identify all those networks that  
9 is communication networks?

10 Q. Sir, I'd like you to identify based --

11 MR. McDOLE: Strike that.

12 Q. I'd like you to identify the communication  
13 network based on the definition you used in forming  
14 your opinions in this case, whatever that may be.

15 A. Okay. Sure. I'm seeing two communication  
16 networks in this picture. So one of them is  
17 (indicating) number 102, and I'm just highlighting  
18 it. The other one is number 114, and I'm  
19 highlighting it. And I just pass it back to you.

20 Q. You can keep it.

21 A. Okay.

22 Q. Okay. Claim 1 of the '714 patent also  
23 recites a profile management system, correct?  
24 That's in the first line of claim 1, line 58 of  
25 column 15.

1 MIR

2 A. Okay. Yeah. What is the question?

3 Q. Claim 1 recites a profile management  
4 system, correct?

5 A. That's correct.

6 Q. Is that the PIM in the Buhrmann reference,  
7 in your opinion?

8 A. Profile management system is one of those  
9 confusing terms I found in '714. And the reason  
10 for that is because it has been defined in three  
11 different ways, at least, I found. And which  
12 definition you would pick, then I can use that one.

13 Q. Sir, which definition did you pick in  
14 rendering your opinions in this case?

15 A. Okay. Well, let me tell you what the  
16 definitions are, first.

17 Q. Sir, I only want to know what definition  
18 you used in rendering --

19 MR. McDOLLE: Strike that.

20 Q. Did you use a definition for a profile  
21 management system in rendering your opinions in  
22 this case?

23 A. Well, again --

24 Q. That's a yes-or-no question, sir. I'm  
25 asking you, did you use a definition for a profile

1 MIR

2 management system in rendering your opinions in  
3 this case?

4 A. I did.

5 Q. Okay. And what was that definition?

6 A. Well, the definition is -- could be either  
7 of these three.

8 Q. Sir, I asked you which one you used, I  
9 didn't ask you to recite three different ones.  
10 Which one did you use in forming your opinion?

11 A. Right. Let me answer the question. When  
12 you are defining a certain term three different  
13 ways, then that creates a confusion. I'm telling  
14 you that if the definition is -- let's say there  
15 are three definitions for a phrase A, B, and C. If  
16 you use definition A, you end up with a different  
17 configuration of the system than compared to the  
18 definition B or C. I can tell you that there are  
19 three definitions in the '714 patent and it's very  
20 confusing.

21 The first one is in column 4, as I  
22 remember that.

23 MR. McDOLLE: Sir, with all due respect,  
24 objection, nonresponsive.

25 Q. I'm asking you what your definition was.



1 MIR

2 Did you use all three definitions in forming your  
3 opinions?

4 A. Forming the opinions about...

5 Q. Your opinions in this case.

6 A. It could be any of these three, depending  
7 on which one is correct.

8 Q. Well, let me ask you this way, since we  
9 can't get to the definition: Can you please  
10 identify for me the structure in Buhrmann that  
11 discloses a profile management system in claim 1?

12 A. Can you tell me what the profile  
13 management system is?

14 Q. Let me ask it a different way then, sir:  
15 Can you please identify the structure in Buhrmann  
16 that discloses the profile management system in  
17 claim 1 based on the definition you used in forming  
18 your opinions. And if you could please outline it  
19 in blue, I'd appreciate it.

20 A. Okay. What I'm actually reading from the  
21 asserted patent '714, directly, column 4, line 36  
22 clearly states that the profile management system  
23 includes a client and a server and period. There  
24 is nothing to be added for the definition after  
25 that.

1 MIR

2 If I use that one, then, okay, I say  
3 clients in Buhrmann, I'm using the blue lighter  
4 [sic] that you gave me, user interface 136 as the  
5 client. And then SCP as a server, as one option of  
6 the servers. A server could also be another one  
7 inside the PIM, but let me -- I don't want to bring  
8 all the options.

9 The clear option that I'm seeing based on  
10 the definition of column 4, a client and a server,  
11 well, the profile management system includes 136,  
12 Box 136 and Box 108.

13 Q. Okay. Thank you.

14 A. I'm not done yet.

15 Q. Okay. I want to make sure, Dr. Mir,  
16 you're answering my question. And that's --

17 A. I have not completed my answer.

18 Q. Keep going then.

19 A. Column 5, line 8, same patent says, "the  
20 profile management system includes a server, a  
21 client, and a telecommunications network." He just  
22 contradicts his own words. Compared with the  
23 previous one, there is no network. If I use this  
24 one, then a telecommunication network would be 114,  
25 or even 102, any of these. Could be one of these

1 MIR

2 in Buhrmann.

3 Yet another one, another definition,  
4 column 11, line 21 clearly states that "a detailed  
5 description of exemplary interactions between the  
6 various main components of the profile management  
7 system, (i.e., the PID PC Client, the PID PC  
8 Server, the PID Status Server, and the PID  
9 Database) is now provided."

10 I'm confused, which one is that? What is,  
11 by the way, profile management system. There may  
12 be other contradictory data also related to this.  
13 I tried as much as I could.

14 Q. Are you done?

15 A. I'm done.

16 Q. Okay. Would you agree with me that based  
17 on your reading of the '714 patent, there is no  
18 ordinary and customary definition for the term  
19 profile management system?

20 A. There may not be.

21 Q. Dr. Mir, do you have an ordinary and  
22 customary meaning from 1997 that one of ordinary  
23 skill in the art would understand is a profile  
24 management system?

25 A. In the context of '714?

1 MIR

2 Q. Yes.

3 A. A system that manages the profiles of the  
4 subscribers, that's all I can say.

5 Q. So you do have a definition.

6 A. This is the definition that comes to the  
7 mind of anyone using the words of the term.

8 Q. Okay. And is that what you highlighted in  
9 blue on Figure 1 in front of you?

10 A. No. Those are coming directly from the  
11 patents.

12 Q. Well, sir, now we're going to have to  
13 start over because I asked you for your definition,  
14 not from what came from the patents, and you  
15 decided to go off on your own.

16 MR. McDOLE: So move to strike as  
17 nonresponsive.

18 Counsel, if we keep this up, I'll have to  
19 ask for more time.

20 MR. BERTULLI: Uh-huh.

21 Q. If I could have the document back, sir.  
22 Let's try this again. I'm going to hand you a  
23 document again.

24 A. Okay.

25 Q. If you could use the orange marker and

1 MIR

2 highlight the communications network as it is in  
3 the Buhrmann reference in Figure 1 as defined by  
4 claim 1 of the '714 patent.

5 A. A communication network has a generic  
6 meaning, is a network that provides communication  
7 services. And when I look at Box 102, to me is a  
8 communication network, so I highlight by orange  
9 color Box 102 as one of the cases for communication  
10 network. And then PSTN, which is number 114, is  
11 another communication network. These are the two  
12 ones I'm seeing.

13 Q. Okay. Now, based on your understanding of  
14 how one of ordinary skill in the art in 1997 would  
15 understand a profile management system of claim 1  
16 after reading the '714 patent, please highlight in  
17 blue what you consider to be the profile management  
18 system in Figure 1 of the Buhrmann reference.

19 A. Okay. One option is that the patent '714  
20 patent --

21 Q. Sir, with all due respect, I'm asking for  
22 your definition. I'm not going to go down this  
23 path with you again and have you tell me the  
24 diagram you just drew for me is incorrect because  
25 that's not what you highlighted. I'm looking for

1 MIR

2 your definition and how you applied that definition  
3 to the Buhrmann reference. Please highlight in  
4 blue what you believe is the profile management  
5 system under your opinions that you've rendered in  
6 this case.

7 A. A profile management system requires a  
8 certain client, a certain server, in my opinion,  
9 and for sure I can see that this is a client, which  
10 is 136, and SCP would be one example of the  
11 database, which is held in a server. So I'm just  
12 showing a database in a server and a client. So  
13 that holds the information about the profile of the  
14 subscribers and a client at one side.

15 Now, whether I should include a  
16 communication network or not, that's not -- that's  
17 optional. The reason is that a user interface and  
18 a server can be set up in one room without needing  
19 a communication network, that's a possibility. Or  
20 a PSTN to be in between or any communication is for  
21 in between.

22 Q. Sir, in forming your opinions in this  
23 case, have you highlighted in blue everything you  
24 consider to be the profile management system in the  
25 Buhrmann reference?

1 MIR

2 A. Since these are in two different places, a  
3 communication network has to be in between.  
4 Whether you include this one into the system or not  
5 is optional again.

6 Q. Sir, it's not optional to me.

7 I'm asking for what your opinion is and  
8 I'm entitled to know your opinion. When you formed  
9 your opinions in this case, I want you to highlight  
10 everything you decided was part of the profile  
11 management system in the Buhrmann reference.  
12 Please let me know once you're done completing  
13 that.

14 A. I'm telling you that the most important  
15 components of the profile management system is a  
16 client that is entitled to update the profile of  
17 the subscriber -- its subscriber and a server that  
18 includes or consists of a server mechanism plus a  
19 database. Based on that, these other two ones are  
20 the most necessary components and that's it.

21 Q. Okay.

22 A. But the remaining part is optional.

23 Q. Did you consider the optional portion, as  
24 you refer to it, in rendering your opinion in this  
25 case that the Buhrmann reference includes a profile

1 MIR

2 management system?

3 A. If they are separated, these two  
4 components I just mentioned, there will be a need  
5 for a communication network. If they are not  
6 separated, they are all set up in a room, there is  
7 no need for it.

8 Q. Sir, you're the expert on the Buhrmann  
9 reference. Will you please tell me whether it's  
10 included or not?

11 A. You don't seem to listen to me or you  
12 don't seem to understand me. I said that it really  
13 depends where you put this -- set up these, that's  
14 all I'm saying.

15 MR. BERTULLI: What if we take just a one  
16 moment break.

17 MR. McDOLLE: That would be fine.

18 A. Let me just --

19 MR. BERTULLI: Why don't we take a break?

20 A. Let me just -- can we complete this one  
21 because I guess, if I set it up in one room, set  
22 these two in one room, there is no need for a  
23 communication network.

24 MR. McDOLLE: Move to strike as  
25 nonresponsive.



MIR

A. If I set these two in two different cities, I do need a communication network, that's all I'm trying to say.

MR. McDOLE: Move to strike as nonresponsive.

A. When I say that, it really depends on where these two components are located, that is part of the definitions.

MR. McDOLE: Move to strike as nonresponsive.

Why don't we take a five-minute break.

MR. BERTULLI: Let's take a break.

THE WITNESS: Okay.

(Proceedings interrupted at 1:50 p.m. and reconvened at 1:55 p.m.)

BY MR. McDOLE:

Q. Welcome back, Dr. Mir.

A. Thank you.

Q. If you can look at the document you've been highlighting in front of you, have you accurately highlighted in blue your opinion as to what Buhrmann discloses as a profile management system?

A. Okay. In the context of Buhrmann, I'm

1 MIR

2 seeing that SCP and user interface are separated  
3 from each other. So I would say that since PSTN is  
4 a communication network, that is for this  
5 particular case is required.

6 Q. Okay. So in forming your opinions in this  
7 case, you considered the personal management  
8 system -- you can hang onto it -- to be the  
9 components that are highlighted in blue in the  
10 document in front of you, correct?

11 A. Yeah, that's correct.

12 Q. Going to hand you a yellow highlighter and  
13 if you can please highlight for me the client --

14 MR. McDOLE: Strike that.

15 Q. I'm handing you a yellow highlighter.  
16 Could you please highlight in yellow what you  
17 consider to be the client when you opined that the  
18 Buhrmann reference invalidates claim 1 of the '714  
19 patent?

20 A. The client is Box 136. It's already  
21 highlighted by blue.

22 Q. Okay. So in your opinion, the client is  
23 part of the profile management system?

24 A. Yes.

25 Q. Okay. I'm going to hand you a pink

1 MIR

2 marker, and if you can please use the pink marker  
3 to highlight all of the locations of subscriber  
4 profile data in Figure 1 of the Buhrmann reference  
5 as you've opined that the Buhrmann reference  
6 invalidates the '714 patent.

7 A. The first and foremost is SCP, which is  
8 clearly stating that -- the subscriber profile  
9 database, and that is 118. And also, there is  
10 another location which is basic location of the  
11 profile of the subscriber itself, that I can  
12 include this one, which is Box 127, as an example.  
13 But the main part of the database is in SCP and  
14 specifically 118.

15 Q. What information is contained in the  
16 subscriber profile database that is not contained  
17 in the personal information data of 127?

18 A. The subscriber profile database is a  
19 public database, and that public, I mean that it  
20 includes the database of all subscribers, not  
21 necessarily publically accessible. But then, this  
22 personal information data is -- actually is just  
23 the data that belongs to the subscriber of PIM.

24 Q. Is there any information --

25 MR. McDOLLE: Strike that.

1 MIR

2 Q. Is all of the personal information data  
3 127 also contained in subscriber profile database  
4 118?

5 A. To the best of my understanding from the  
6 patent, once the profile data is updated, a copy is  
7 sent to 118. At that point they would be  
8 different -- they would be the same. Sorry.

9 Q. Okay. I'm going to hand you a green  
10 highlighter, last one, my last color. If I can  
11 please have you highlight in green what you  
12 consider to be the user interface of Buhrmann when  
13 you rendered your opinion that the Buhrmann  
14 reference invalidates claim 1 of the '714 patent.

15 A. The user interface is the user interface.

16 Q. If you can highlight what you consider to  
17 be the user interface of the Buhrmann reference in  
18 green, that would be great.

19 A. (Indicating.)

20 136.

21 MR. McDOLE: If we can mark this document  
22 as Exhibit 2040.

23 (Exhibit 2040, Figure 1, U.S. Patent  
24 5,903,845 (color markings), marked for  
25 identification.)

1 MIR

2 MR. McDOLE: And counsel, I don't know if  
3 you have a color copier, if you want to make copies  
4 of this before the court reporter takes it.

5 MR. BERTULLI: Yeah, I'll send a note out  
6 and do it here. Maybe at a break or something,  
7 next break. Thank you.

8 Q. So Dr. Mir, is it your opinion that the  
9 user interface of Buhrmann is part of the profile  
10 management system, part of the client, and the user  
11 interface as described in claim 1 of the '714  
12 patent?

13 A. Let's review it again. The user interface  
14 highlighted here, 136 is a user interface that we  
15 used it in '714, is what you're talking about?

16 Q. Uh-huh.

17 A. Yeah. And it has the software to be  
18 called "client," and what was the third one?

19 Q. Profile management system.

20 A. Well, it is part of the profile management  
21 system because it is a client. And the answer is  
22 yes.

23 Q. Okay. In your opinion, a client is not a  
24 human being?

25 A. The client in the context of computer

1 MIR

2 communication networks is not human being, it is a  
3 software. Without a doubt, I can tell you  
4 repeatedly I just teach this in my classes.  
5 Professor, what is the client? The answer is a  
6 software.

7 Q. And in reviewing the '714 patent, there's  
8 no other definition of client that you would  
9 ascribe?

10 A. As I said in the beginning, the patent has  
11 confusing points, one of them is a client because  
12 it shows client and client software. And client  
13 software, to me, is a wrong term because client  
14 itself is software. You can't say software  
15 software.

16 But throughout the patent, my best  
17 understanding is that the client that the patent  
18 talks about is a software. Basically that the  
19 client software, that it's shortened to client, not  
20 the client that it shows under human being in  
21 the -- in Figure 1.

22 Q. Okay. And one of ordinary skill in the  
23 art in 1997 reading the '714 patent would believe  
24 the client is software and not a human being; is  
25 that correct?

1 MIR

2 A. Most probably he or she will get to the  
3 point that I'm at right now, that he or she  
4 believes that, you know, all the clients mentioned  
5 throughout the patent is actually software, it's  
6 not a human being.

7 Q. Okay. And is the same thing true with  
8 respect to subscriber in the Buhrmann reference?

9 A. No, the subscriber in the Buhrmann in most  
10 cases clearly says a subscriber can enter this such  
11 data or that. That's different. Sometimes the  
12 subscriber is a human, sometimes the subscribers  
13 could be part of the facilities or communication  
14 facilities, such as a PC or something like that.

15 Q. Okay. Dr. Mir, are patents important?

16 A. Sorry?

17 Q. Are patents important?

18 A. I think so.

19 Q. And you have a patent yourself, correct?

20 A. I do.

21 Q. Based on all your years of research, you  
22 have one patent?

23 A. I have 20 colleagues. I'm among one or  
24 two people that they have patents, not necessarily  
25 every professor should have a patent. I'm proud of

1 MIR

2 it.

3 MR. McDOLE: Move to strike as  
4 nonresponsive.

5 Q. Based on all your years of research, you  
6 only have one patent, correct?

7 A. Yes.

8 Q. And that patent is not currently valid  
9 because of a failure to pay maintenance fees,  
10 correct?

11 A. We did not pay the maintenance fee because  
12 University of Kentucky, which was the  
13 copublisher -- what do you call it? -- the sponsor,  
14 either they decided or they forgot.

15 Q. They decided it wasn't worth paying the  
16 maintenance fees on, correct?

17 A. I don't know what the reason was.

18 Q. Okay. Now, if we can turn back to  
19 paragraph 80 of your declaration.

20 A. 80?

21 Q. Yeah.

22 A. Okay.

23 Q. And I'd like to go back to the citations  
24 that you provide for a modem retrieves, which we've  
25 now corrected as receives the subscriber profile



1 MIR

2 data from the service control point through the  
3 PSTN upon a request from the client, okay?

4 A. Okay.

5 Q. We've already covered the first citation,  
6 and correct me if I'm wrong, but you said that's  
7 just a summary, that doesn't disclose anything  
8 relating to the sentence above. So I'd like to  
9 move on to the second portion or the second  
10 citation, that is column 7, line 36 to 39.

11 A. Uh-huh.

12 Q. If you can take a look at that passage of  
13 Buhrmann and please let me know how that passage  
14 supports a modem retrieves the subscriber profile  
15 data from the service control point through the  
16 PSTN upon a request from the client.

17 A. (Perusing document.)

18 Okay. So 7/36-39. It says, "in general,  
19 the subscriber is provided with a schedule display  
20 for a chosen day via the user interface 136. An  
21 example schedule display 400 is shown in Figure 4."  
22 And it continues, "assume for this example that the  
23 schedule is shown for March 1, 1996. The  
24 subscriber enters schedule data into the  
25 description field 404 to indicate timed events to

1 MIR

2 occur at the times indicated in the time field  
3 402."

4 So a subscriber can, as I said, program  
5 the system for the updates and create a schedule  
6 for that.

7 Q. Okay. Well, where does it say that it  
8 gets any information -- the only information I see  
9 being displayed is a schedule display in that  
10 passage, would you agree with me?

11 A. Yes. That in this passage, yes, the  
12 subscriber enters the schedule data, yes.

13 Q. Okay. Into a schedule display that is  
14 provided to it, correct?

15 A. Correct.

16 Q. Where does it say anywhere in that  
17 passage, which is column 7, lines 36 to 39, that  
18 that schedule display comes from the service  
19 control point through the PSTN upon a request from  
20 the client?

21 A. Well, the subscriber client that you  
22 mentioned is entering the information into the  
23 system, and this information is used throughout the  
24 communication network for updates eventually.

25 Q. Sir, point me to what words in column 7,

1 MIR

2 lines 36 to 39 describe that subscriber profile  
3 data from the service control point through the  
4 PSTN upon request from the client, where in that  
5 passage does that happen?

6 A. Well, the combination of these three  
7 passages will imply the meaning of that. So you're  
8 just pointing to one piece out of all these three  
9 references I just provided to you.

10 Q. So it's not express; it's implied?

11 A. I said that the combination of three,  
12 column 3, lines 54 to 4, 7, lines 36 to 39, 7, 13  
13 through 22, Figures 1, 4, 5, the combination of  
14 this implies that.

15 Q. Is it based on all of those citations? Is  
16 it expressly disclosed or is it implied?

17 A. Well, one of them is expressly because of  
18 the scheduled time.

19 Q. Show me where it's expressly disclosed  
20 that something is coming from the communications  
21 network and is provided to the user upon the user's  
22 request as opposed to just being provided by the  
23 PIM?

24 A. Yeah. Let's just go back to paragraph --  
25 column 3, also, see if I'm done with that. 7/13,

1 MIR

2 also. So let me also read 7/13 before we go to  
3 column 3. 7/13 recites that "the CPU 124 is also  
4 connected to a user interface 136 which allows for  
5 subscriber interaction with PIM. Such a user  
6 interface 136 may be, for example, a display  
7 monitor, printer, mouse, keyboard, light pen,  
8 touchpad, or the like."

9 "Further, the user interface 136 may  
10 include a combination of such devices. The user  
11 interface 136 allows the subscriber who is using  
12 the PIM 122 to view, enter, and update personal  
13 information data."

14 We talked about this before.

15 And now let's go to --

16 Q. And with respect to that passage, sir,  
17 does that passage, column 7, lines 13 through 22,  
18 provide any disclosure that subscriber profile data  
19 is received from the communication network upon a  
20 request from the client?

21 A. Not expressly.

22 Q. Okay. Would you like to look at another  
23 passage? I'm looking for express disclosure in any  
24 of these passages that the subscriber profile data  
25 is received from the service control point through

1 MIR

2 the PSTN upon a request from the client.

3 A. (Perusing document.)

4 Okay. So now we have -- let's just have  
5 column 3, line 54. Thus, for each of the timed  
6 events, the subscriber may request that the  
7 subscriber's profile be updated in a particular  
8 manner. Such profile requests include call  
9 completion request, in which a subscriber requests  
10 that calls placed to a communication device  
11 associated with the subscriber be routed in a  
12 particular way and alert requests -- that answers  
13 your question -- in which a subscriber requests  
14 that a reminder message be scheduled to be sent to  
15 a communication device associated with a subscriber  
16 in conjunction with a timed outs -- timed event.

17 Q. So how does that passage show that  
18 subscriber profile data from a service control  
19 point through a PSTN --

20 MR. McDOLLE: Well, strike that.

21 Q. How does that show that subscriber profile  
22 data is received by a modem from a service control  
23 point through the PSTN upon a request from a  
24 client?

25 A. Well, the passage clearly says that, "in

1 MIR

2 which a subscriber requests that the calls placed  
3 to a communication device." The communication  
4 device requires a modem and the modem is, indeed,  
5 shown in Figure -- one of the Figure 1, 4, or 5. I  
6 guess it's -- yeah, Figure 1, the communication  
7 device modem.

8 Q. So calls are being placed to that modem?

9 A. It passes through the modem, yes, of  
10 course.

11 Q. Okay. And what -- where in that passage  
12 is subscriber profile data being provided over the  
13 communication network to the modem based on a  
14 user's request?

15 A. Well, "user" in this context is a  
16 subscriber, user is a subscriber. So it says,  
17 "Such profile requests include all call completion  
18 requests in which a subscriber requests that calls  
19 placed to a communication device." That to me is  
20 the request is sent over the communication device.

21 Q. The request is sent over the communication  
22 device?

23 A. Yeah, isn't it? Or maybe --

24 Q. And it has to retrieve information, too,  
25 sir. Where in this paragraph is information

1 MIR

2 retrieved based on the user's request?

3 A. We went over this one, too. The alert  
4 system is something that the Buhrmann discloses,  
5 that when the update requires -- is required, a  
6 certain information in the alert is sent to PIM  
7 which reminds or tells PIM that this is the time  
8 for updates.

9 And I did mention that the timing between  
10 sending the alert, which is a request, and sending  
11 the updates to SCP may be different. The timing  
12 may be different to the one in '714 or any other  
13 patents. The timing is not the issue, but the  
14 procedure is the same.

15 Q. And I just want to make sure, I thought we  
16 had established this, but I just want to make sure.

17 A. Sure.

18 Q. In the example that you're referring to in  
19 column 3, lines 54 through column 4, line 4, when  
20 there is an alert, how does a user update that  
21 alert?

22 MR. McDOLLE: Well, strike that.

23 Q. Does the -- in your alert example, does  
24 the client request the alert in order to update the  
25 alert?

1 MIR

2 A. I really don't know.

3 Q. Is there any disclosure in the Buhrmann  
4 reference of the client requesting the alert to  
5 update the alert?

6 A. I don't know the answer. I mean, if it's  
7 worth spending time and finding if there is any  
8 tiny little statement on this somewhere or not, I  
9 mean, I -- I haven't come across something like  
10 this in the patent but it could be there. I don't  
11 know.

12 Q. So based on your review as you sit here  
13 today, you haven't found it?

14 A. I haven't found it yet, yes.

15 Q. And is the only subscriber profile data  
16 that you identify in your opinion, in your  
17 declaration Exhibit 1014, schedule data and  
18 telephone numbers of authorized or unauthorized  
19 users?

20 A. Uh-huh, yes.

21 Q. Okay. You identify no other subscriber  
22 profile that are disclosed in Buhrmann other than  
23 schedule data and telephone numbers of authorized  
24 and unauthorized persons, correct?

25 A. Well, these are the examples I found.



1 MIR

2 There could be others. I mean, I don't remember  
3 it. I don't recall if there are any.

4 Q. But you didn't place them in your  
5 declaration if there were anymore?

6 A. That is correct.

7 Q. If you could turn to the '714 patent.

8 A. Yeah.

9 Q. And specifically, if you can turn to  
10 Figure 2, which is on page 5 of Exhibit 1001.

11 A. Okay.

12 Q. What do the lines with arrows on each side  
13 of them represent in Figure 2 of the '714 patent?

14 A. Which arrows are you referring to again?

15 Q. Well, let's talk about the arrows between  
16 the PID PC client and the PID PC server, there's a  
17 line with two arrows and an arrow on each side of  
18 the line.

19 A. I'm seeing it, yes.

20 Q. What does that line with the two arrows  
21 represent?

22 A. It's a bidirectional communication.

23 Q. Okay. And what about the line on Figure 2  
24 of the '714 patent between the PID status server  
25 and the PID database that has one line and only an

1 MIR

2 arrow on one side of that line, what does that  
3 represent?

4 A. That's a one directional communication  
5 originating from PID status server, ending or  
6 testing for PID database.

7 Q. Now, what type of diagram would you call  
8 Figure 2? Is there a name for it?

9 A. Block diagram.

10 Q. Block diagram. Okay.

11 And so in block diagrams, if we have a  
12 line with an arrow on each side, that represents  
13 bidirectional communication?

14 A. That's very commonly understood.

15 Q. And that would be the bidirectional  
16 communication of data, correct?

17 A. Bidirectional with one explanation, that,  
18 you know, the timing is not an issue in a block  
19 diagram. Are they coming in the same time or one  
20 at a time, this is not the -- the information to be  
21 included in the definition of the a line between  
22 two arrows.

23 Q. Okay. Fair enough.

24 But in a block diagram, if you have a line  
25 with an arrow on each side, that indicates that

1 MIR

2 data, regardless of time, is flowing both ways,  
3 correct?

4 A. I'm sorry. The line between two arrows on  
5 two sides?

6 Q. Yeah.

7 A. Yes.

8 Q. And in a block diagram, if you have a line  
9 with an arrow on one side, that indicates the flow  
10 of data going one way, correct?

11 A. To that side.

12 Q. To that side.

13 The side with the arrow, correct?

14 A. With the arrow.

15 Q. Now, if we can turn back to Figure 1 of  
16 the Buhrmann reference, what does the lightning  
17 bolt line between what appears to be two antennas  
18 represent to one of ordinary skill in the art?

19 A. Well, that's the very similar definition.  
20 But this is the wireless. So I'm seeing a  
21 connection between the unit 110 and RBS 106, but  
22 then it has two arrows in both sides, in each  
23 side -- I mean, it has an arrow at one side -- at  
24 each side, so that indicates that the communication  
25 between RBS and 110 is bidirectional.

1 MIR

2 Q. Okay. So a lightning bolt type of line  
3 with two arrows indicates the flow of data in both  
4 directions regardless of timing?

5 A. Regardless of timing, but it's wireless.

6 Q. Then what about the line between the PSTN  
7 and block 108 on Figure 1 of the Buhrmann  
8 reference, which has no arrows, what does that  
9 represent?

10 A. Well, in this, not necessarily every block  
11 diagram is perfect. I would say that, you know,  
12 they decided not to show any arrows in a  
13 non-wireless portion. And by reading the  
14 specification of this patent, I can see that the  
15 flow of data can come from unit 102 to unit 122 or  
16 it could be from unit 122 to 102, it could be  
17 bidirectional.

18 Q. But you would have to read -- to determine  
19 the flow of data, you would have to read the  
20 specification of the Buhrmann reference to  
21 determine what the flow of data was; is that  
22 correct?

23 A. That is correct.

24 Q. If you could turn to Figure 3 of the  
25 Buhrmann reference. Does Figure 3 of the Buhrmann

1 MIR

2 reference provide any indication as to whether a  
3 modem receives the subscriber profile data from  
4 service control point 108 through PSTN 114 upon a  
5 request from the client?

6 A. Figure 3, the caption says that it is a  
7 flow chart of the steps of generating profile  
8 update data for use in updating the subscriber  
9 profile database. So it specifically shows the  
10 flow of data from PIM all the way to database. It  
11 shows the steps.

12 Q. Okay. Is there any flow diagram in the  
13 Buhrmann reference showing the flow of diagram --  
14 showing the flow of information or data through the  
15 communication network to the SCP?

16 MR. BERTULLI: Objection, confusing.

17 MR. McDOLLE: Let me rephrase.

18 Q. Is there a flow diagram in the Buhrmann  
19 reference that shows the flow of information or  
20 data through a communication network to the  
21 subscriber profile database?

22 A. The figure does not show the communication  
23 network portion of it that is involved -- obviously  
24 involved, according to the previous figure. It is  
25 only showing the flow chart, the flow of the data

1 MIR

2 and the steps.

3 Q. Okay. Is there any other figure in the  
4 Buhrmann reference which expressly discloses that  
5 information is retrieved from the subscriber  
6 profile database through the PSTN and received by  
7 modem 138?

8 A. There is a mention of a switching center,  
9 mobile switching center in Figure 7, if that helps.

10 Q. Okay.

11 A. Mention of route call according to call  
12 completion feature, element 712, if this counts.  
13 So, I mean, just bluntly, I'm looking at the blocks  
14 and then read out to you.

15 Q. Okay.

16 A. But we can go over the details of it.

17 Q. And does Figure 7 expressly show that  
18 subscriber profile data is retrieved from the  
19 subscriber profile database upon a request from the  
20 client?

21 A. So then I need to read the details of this  
22 figure. Figure 7 is stated here as it is a flow  
23 chart of the steps performed by processing a call  
24 in accordance with an updated subscriber profile.  
25 This shows the steps of the -- placing a call.

1 MIR

2 Q. Okay. So that would not be supportive of  
3 a modem receives a subscriber profile data from  
4 service control point 108 through the PSTN upon a  
5 request from the client; is that correct?

6 A. No. I would say, you know, it really  
7 depends. It could be one part of -- some part of  
8 the updating procedure, you need to place a call,  
9 so that could be one step, one of the steps of  
10 updating.

11 Q. So are you saying that placing the call is  
12 a request from the client to receive subscriber  
13 profile data?

14 A. If you just note my answer, I said could  
15 be one step, one part of the procedure, one step or  
16 some steps of the procedure.

17 Q. Okay.

18 A. You need to place a call first.

19 Q. Maybe I'm overcomplicating this. Let me  
20 ask this a different way. In Figure 7 of the  
21 Buhrmann reference, is there an express disclosure  
22 that a modem retrieves the subscriber profile data  
23 from the service control point 108 through PSTN 114  
24 upon the request from the client?

25 A. By itself, no.

1 MIR

2 Q. Okay. If you could look at -- well, how  
3 does the client request to view subscriber profile  
4 data in the Buhrmann reference?

5 And let me rephrase. How does the client  
6 request to view subscriber profile data in the  
7 Buhrmann reference as you've opined in your  
8 declaration Exhibit 1014?

9 A. I'm sorry. You asked how the client sends  
10 the information?

11 Q. No. How does the client request to view  
12 subscriber profile data from the SCP in the  
13 Buhrmann reference?

14 A. So here we have in column 7, line 18 the  
15 user interface, which is the client, okay, allows  
16 the subscriber who is using the PIM to view, enter,  
17 and update personal information data 127 and  
18 otherwise interact with the PIM 122.

19 Q. And how does that indicate that a user has  
20 requested to view subscriber profile data from the  
21 SCP as opposed to the PIM?

22 A. Well, there is no mention of it.

23 Q. Okay.

24 A. There is no -- expressly, there is no  
25 mention of it. It could be from SCP, could be



1 MIR

2 locally. But the point is, you know, it requests a  
3 view.

4 Q. Okay. Is there any disclosure in the  
5 Buhrmann reference of the client requesting to view  
6 subscriber profile data contained in the subscriber  
7 profile database as opposed to the PIM? What I'm  
8 looking for is the distinction there.

9 A. Yeah, I'm trying to find the second part  
10 of your answer.

11 Q. Okay.

12 A. If there is. There may be there is no  
13 mention of it. They say it in general, that, you  
14 know. So far we found a general description of how  
15 the interface tries to bring up the information,  
16 but from where, it doesn't specifically say.

17 Q. Okay.

18 A. But let's see if there is any.

19 Q. Okay.

20 A. (Perusing document).

21 One more thing, the following sentence  
22 after the one that I just read to you, it says,  
23 "The modem 138" -- I guess we -- this is a repeat  
24 of the reading here in a different question you had  
25 earlier. But then I read it again. "The modem 138

1 MIR

2 is used for sending information from PIM 122 to the  
3 SCP, 108 through the PSTN 114." This is exactly  
4 attached to the functionality of the user  
5 interface.

6 Q. And I understand that, sir. But my answer  
7 goes to the data flow the other way.

8 MR. McDOLE: So I'm going to object as  
9 nonresponsive.

10 Q. Unless you believe that sentence discloses  
11 a user making a request --

12 MR. McDOLE: Strike that.

13 Q. The client requesting to view subscriber  
14 profile data from the subscriber profile database,  
15 as opposed to the other way around. Is that a  
16 request to view subscriber profile database?

17 A. No, what I said was that the previous --  
18 the preceding sentence, that the user interface  
19 allows the subscriber who is using the PIM to view,  
20 that's what you said, to view, enter, and update  
21 personal information data and otherwise interact  
22 with PIM. And then it immediately says the modem  
23 is used for sending information from PIM to the SCP  
24 through the PSTN.

25 Q. And I'm trying to get what happens before

1 MIR

2 the view part. I'm still looking for any passage  
3 that you can identify that expressly discloses that  
4 a request is --

5 A. Before the view part?

6 Q. We're looking for a request to view  
7 subscriber profile data. So we need -- I am  
8 looking for a passage, if you can find one, that  
9 the client requests from the subscriber profile  
10 database subscriber profile information as opposed  
11 to the PIM.

12 A. I mean, the combination of these two  
13 sentences indirectly -- that's indirect. My  
14 understanding from these two sentences, you know,  
15 that you can use the user interface to view,  
16 enter -- view or enter -- let me see if the word  
17 "or" is there or not -- "to view, enter, and  
18 update."

19 Q. And my question relates to, you can do all  
20 of viewing, entering, and updating personal  
21 information in the Buhrmann reference by obtaining  
22 that information and viewing it based on the  
23 storage on the PIM, correct?

24 A. Based on the storage on the PIM it's  
25 possible, yes.

1 MIR

2 Q. Right. And what I'm looking for is where  
3 is the disclosure in the Buhrmann reference where  
4 the information that the client is viewing and  
5 updating is obtained from the subscriber profile  
6 database and not the PIM?

7 A. And when I read that sentence, the modem  
8 is used to sending -- for sending information from  
9 PIM to SCP, what does it tell you?

10 Q. That tells me, sir -- and again, I'm not  
11 here to answer questions, but that tells me  
12 information is being sent to the -- the update  
13 information is being sent to the subscriber profile  
14 database, not that a request is being sent to the  
15 subscriber profile database based on a user's  
16 request. Am I reading that wrong?

17 A. Probably with all due respect because you  
18 said "updates." Why did you say "updates"? It  
19 could be the request for view.

20 Q. Does it say that it's a request to view?

21 A. It doesn't say it's an update.

22 Q. Sir, it's not my burden of proof, with all  
23 due respect.

24 A. I know.

25 Q. I'm asking you if there is an express

MIR

disclosure that the user request from the client --

MR. McDOLE: Strike that.

Q. I'm asking does the client --

MR. McDOLE: Let me ask it once more.

A. I know your question, let me answer it.

Q. Well, for the record, I have to state the question.

A. Okay.

Q. My question relates to does the Buhrmann reference expressly disclose anywhere that the client requests subscriber profile information from the subscriber profile database as opposed to the PIM?

A. Explicitly, I haven't found it yet.

Q. Okay.

A. All I can say. But these two sentences to me is -- implies that, you know, it could be they're viewing it or it could be updating it to SCP.

But just one more minute to go to the rest of the patent to see if there is anything in it, and if not, I say there's no express mention of it.

Q. Sure. You've looked at the patent a lot today already, correct?

1 MIR

2 A. Not for this particular question.

3 Q. And I'll let you do it. I'm just trying  
4 to establish you've spent quite a bit of time today  
5 reviewing the patent and you carefully reviewed the  
6 patent prior to your opinion, correct?

7 A. That's correct.

8 Q. So feel free to go ahead and continue to  
9 look to see if there is an express disclosure in  
10 the Buhrmann reference that the client requests to  
11 view subscriber profile data from the subscriber  
12 profile database as opposed to the PIM.

13 A. Okay. Sure.

14 Okay. I found another one. Column 10,  
15 line 52. It says step 706, "The MSC 104 sends a  
16 query to database 118 via link 144 requesting the  
17 subscriber profile record of subscriber associated  
18 with the mobile station 110."

19 Q. And what is the MSC?

20 A. Mobile switching center.

21 Q. And where is the MSC in Figure 1 of the  
22 Buhrmann reference?

23 A. That's item number 104.

24 Q. So that has no connection to modem 138?

25 A. It has connection to the modem of handheld

1 MIR

2 device. It is not shown here, but there is a  
3 mention of modem.

4 Q. Okay. So in your opinion, column 10,  
5 lines 52 through 54 discloses expressly that the  
6 client requests to view subscriber profile data  
7 through the subscriber profile database through the  
8 MSC, which is connected to the mobile device?

9 A. That is correct. And one more piece of  
10 information that is earlier we talked about if you  
11 wanted to talk about the retrieving. Do you like  
12 me to give some more information that is also here?

13 Q. Is it in your declaration, sir?

14 A. This is in the patent.

15 Q. Sir, I'm asking -- you said all of your  
16 opinions are contained within your declaration. Is  
17 what you're about to tell me in your declaration?

18 A. You asked me exact location of retrieving  
19 earlier in the patent and I found right after this  
20 sentence, there's a retrieving part if you want to  
21 just look at it yourself.

22 Q. Sir, is there any disclosure of your  
23 opinion that column 10, lines 52 to 54 support your  
24 opinion that a request to view subscriber profile  
25 data, that a client --

1 MIR

2 MR. McDOLE: Strike that.

3 Q. Is there any disclosure in your opinion in  
4 Exhibit 1014 of your declaration that the Buhrmann  
5 reference discloses a client request to view  
6 subscriber profile data through the subscriber  
7 profile database?

8 A. Absolutely, yes.

9 Q. Okay. Can you point me where in your  
10 declaration you cite to column 10, lines 52 to 54?

11 A. I did -- no, I did not. You asked me in  
12 my declaration?

13 Q. Yes, sir.

14 A. Oh, I'm sorry. Make a correction. In my  
15 declaration it may not be there. But you said  
16 spend time in the patent to find if there is any  
17 such statements and I found it for you.

18 Q. So this would be a new opinion that you're  
19 disclosing for the first time today at your  
20 deposition?

21 A. No. In my declaration I did mention that  
22 everything in Buhrmann is similar to what '714  
23 says. And if you want me to read that one for you,  
24 I can read it to you.

25 You asked me for an example of it, I'm



1 MIR

2 just giving you an example here now.

3 Q. Okay.

4 A. That's what I'm trying to do.

5 Q. So you've never cited to column 10, lines  
6 52 to 54 in your declaration; is that correct?

7 A. That is correct.

8 Q. Okay. Is the MSC a client?

9 A. No.

10 Q. Okay. So when I asked where a client  
11 sends a request --

12 A. Of course.

13 Q. -- to view the subscriber profile data,  
14 the MSC is not the client?

15 A. No, it's not. MSC is a center for  
16 switching.

17 Let me just explain to you how the  
18 wireless networks work. So basically an interface  
19 that is adapted for wireless systems is inserted  
20 into a handheld device such as the cell phones that  
21 we have, and then that is the client. It's not  
22 shown in this figure. I think it is a mention of  
23 it in the patent, that the interface of the  
24 wireless one.

25 The signal from the antenna as is shown in

1                                   MIR

2       Figure 1 of Buhrmann is broadcast and is received  
3       by the RBS. And RBS is the antenna tower. And  
4       then it gets sent to MSC. MSC is a switching  
5       device, basically.

6           Q. With all due respect, sir, I'm going to  
7       object to the rest of your answer as nonresponsive.  
8       I think you answered it in the first question, and  
9       to the extent you'd like to make your flight, I'd  
10      appreciate it if you'd answer my question. And if  
11      your counsel wants to elicit whatever testimony you  
12      want to provide, he's welcome to do so, but I have  
13      limited time and so I'd appreciate it if we'd stick  
14      to simple answers to my questions.

15          A. Sure.

16          Q. And let me follow up on them as I deem  
17      appropriate, if that's okay.

18          A. No problem, sure.

19          Q. Going to column 10, lines 52 to 54, once  
20      the MSC 104 sends a query to database 118, and that  
21      is provided to the client, does the client update  
22      that information?

23                 MR. McDOLLE: Strike that.

24          Q. Going to column 10, lines 52 to 54, once  
25      the MSC 104 sends a query to database 118, is there

1 MIR

2 an express disclosure in Buhrmann that the client  
3 updates the information that is provided from  
4 database 118?

5 A. Yes, it does.

6 Q. Where?

7 A. The patent in this part of the patent  
8 tries to explain how a similar updating can be done  
9 through a wireless system. And it says that first,  
10 the MSC sends a query to the database and then SCP  
11 is configured to receive the request and retrieve  
12 the subscriber profile record and send the  
13 subscriber profile record containing the subscriber  
14 profile data to the MSC.

15 So it's a round trip of request: Request  
16 goes to SCP and it gets out of the SCP, retrieved  
17 and then it gets out of SCP and goes to the MSC.  
18 Obviously from MSC it gets sent to the handheld  
19 device.

20 Q. Sir, again, that wasn't my question. My  
21 question originally that you answered yes, it does,  
22 talked about does the client update the information  
23 that is provided by database 118, and you said yes,  
24 it does. And I said where, and you're simply  
25 providing me that there's a round trip.

1 MIR

2 I'd like the answer to my question of  
3 where does it disclose in the Buhrmann reference  
4 with the example you're providing in column 10,  
5 lines 52 to 54 that the client after it receives  
6 the information from database 118 updates that  
7 document?

8 A. Let's find that one, because --

9 Q. That's what I would like, if you could do  
10 that. That was my original question.

11 A. I thought it was well understood that  
12 between MSC and the handheld device what exactly is  
13 going on. Because if MSC makes the request, that  
14 means that the handheld device has made -- which  
15 the client has made the request --

16 Q. Sir, do you remember what my question was?

17 A. I do remember and let me just find that  
18 one, too.

19 MR. McDOLE: Move to strike the last  
20 answer as nonresponsive.

21 A. Okay. Here in the same column, on column  
22 10, starting at line 5, it says a subscriber could  
23 use such a PIM display to request an update to the  
24 subscriber's profile. For example, assume the  
25 subscriber is entering a meeting, but is waiting

1 MIR

2 for an important call from Mary Jones, thus the  
3 subscriber wants all calls to be forward to voice  
4 mail except for call from Mary Jones' phone number,  
5 which is to be delivered to the subscriber's mobile  
6 station 110.

7 Q. Sir, does that photograph -- does that  
8 section in any way relate to the portion of column  
9 10, line 52 to 54 that we were discussing and which  
10 my question pertained to?

11 A. I thought your question was how the  
12 request from the client is sent.

13 Q. Sir, I'm talking about the piece of column  
14 10, line 52 to 54 that you've identified as  
15 something you say is a client requesting subscriber  
16 profile data from the subscriber profile database.  
17 And I said where based on that example that you've  
18 provided does the client update the data and send  
19 it back? And now you've gone all the way to a  
20 different portion.

21 A. No, that's exactly the portion I wanted to  
22 bring to your attention. You just stopped me in  
23 the middle of my reading.

24 Q. Does that portion relate to the example  
25 that you're providing now?

1 MIR

2 A. Yeah. For this portion I just wanted to  
3 say that the subscriber has a display and display  
4 is part of the client. You said that what is the  
5 client, how the client sends the update. First I  
6 just wanted to identify the client for you. With  
7 all due respect. And then the remaining portion of  
8 it, it clearly says that how exactly the client  
9 sends and requests the update. If you want me to  
10 read the rest of it, it's really long, it clearly  
11 explains how exactly the updating is done.

12 MR. McDOLE: Move to strike as  
13 nonresponsive.

14 Q. Sir, does the paragraph that you're  
15 reading right now relate to the piece of column 10  
16 where you state in step 706 that MSC 104 sends a  
17 query to database 118 via link 144 requesting the  
18 subscriber profile record of the subscriber  
19 associated with the mobile station 110, are those  
20 two paragraphs connected?

21 A. Absolutely, yes.

22 Q. Okay. You agree that the paragraph you  
23 read from relates to call processing, correct?

24 A. Processing?

25 Q. Call processing.

1 MIR

2 A. Yeah. But --

3 Q. Is it yes or no, sir?

4 A. Yeah, of course.

5 Q. Thank you.

6 MR. McDOLE: Why don't we take a break.

7 (Proceedings interrupted at 3:01 p.m. and  
8 reconvened at 3:13 p.m.)

9 BY MR. McDOLE:

10 Q. Welcome back, Dr. Mir.

11 A. Thank you.

12 Q. Sticking on column 10, lines 52 to 54 in  
13 the example that you've provided of an alleged  
14 request by the client to view subscriber profile  
15 data by the subscriber profile database, is there  
16 any disclosure with respect to the information that  
17 is received by the client being updated? And  
18 specifically talking about the example from lines  
19 52 to 54.

20 A. (Perusing document).

21 So in column 10, line 12, it says that  
22 subscriber would select entry 1102 in display.  
23 Well then, that's the request to me. And then the  
24 subscriber would then indicate a call completion  
25 request for the selected entry and then -- and the

1 MIR

2 subscriber would also indicate the start and the  
3 end time that the call completion request is to be  
4 active.

5 Q. Sir, is that updating data from the  
6 example in column 10, lines 52 to 54, as I asked?

7 A. When you pull up the profile and view it,  
8 so you actually request the data to be shown on the  
9 display, isn't it?

10 Q. And sir, is it your position that  
11 requesting data to be displayed is updating data?

12 A. Did I say "updating data"?

13 Q. Sir, I've asked you about updating data.

14 MR. McDOLE: Move to strike your previous  
15 answer as nonresponsive.

16 Q. Do you need me to read my question again?

17 A. Requesting for updating data is different  
18 to updating data.

19 Q. Sir, I agree.

20 My question goes to the example that you  
21 had, and you're reading from a previous piece of  
22 column 10. So I'm not sure how something before  
23 the piece that you've identified somehow relates to  
24 the piece that you've actually identified.

25 But my opinion -- what I'm asking about is



1 MIR

2 you've stated that there is a step 706 and it's in  
3 column 10, lines 52 to 54 relating to the MSC. And  
4 my question goes to is the information that is  
5 received by the mobile station, where does Buhrmann  
6 state that that information is updated by the  
7 client?

8 A. Again, the answer is on column 10 and the  
9 continuation of what I was trying to read for you.  
10 Line 23 now, "Upon confirmation of the request, the  
11 PIM under control of PIM program and the subscriber  
12 profile updates, API generates profile" data --  
13 "update data containing call completion update data  
14 and sends the profile update data to the wireless  
15 cellular communication network."

16 Still not convinced or not --

17 MR. McDOLE: Objection, nonresponsive.

18 Q. Sir, how does that relate to the MSC that  
19 is in the call processing paragraph that starts at  
20 line 45?

21 A. That connects to MSC perfectly because MSC  
22 is a node that receives and sends the data. It's  
23 like an Internet node, it's like the telephone  
24 node.

25 Q. If I can -- Dr. Mir, is it your belief

1 MIR

2 that the '714 patent relates to the design and  
3 implementation of modems?

4 A. '714 relates to design and implementation  
5 of modems?

6 Q. Yes.

7 A. '714 -- internal design of modes you mean?

8 Q. Just the design and implementation of  
9 modems.

10 A. What do you mean by "design and  
11 implementation of modems"? Internally or in modem  
12 system design?

13 Q. Generally. Do you believe that the  
14 relevant fields for the '714 patent are the design  
15 and implementation of modems?

16 A. The internal design of the modem is not as  
17 stated in the '714. So '714 does not explicitly  
18 show the detail of the modem design.

19 Q. So is your answer no?

20 A. The answer is no, yes.

21 Q. Do you believe that a relevant field for  
22 the '714 patent is the design and implementation of  
23 routers?

24 A. It is partly, yes.

25 Q. Okay.

1 MIR

2 A. You just have one previously, MSC is one  
3 of the switches of routers.

4 Q. So the '714 patent -- with the MSC? We're  
5 talking about the '714 patent, sir, not the  
6 Buhrmann reference.

7 A. Yeah, '714 patent -- let me just make  
8 sure.

9 Q. And my question is, do you believe that a  
10 relevant field of the '714 patent is the design and  
11 implementation of routers?

12 A. (Perusing document.)

13 Yes, because it is -- the '714 patent  
14 requires the use of routers in the communication  
15 systems.

16 Q. Okay. And do you believe that the  
17 relevant field of the '714 patent is the design and  
18 implementation of cable systems?

19 A. Cable systems? What kind of cable are you  
20 talking about?

21 Q. Generally a cable system.

22 A. A piece of cable or you mean the home  
23 cable system?

24 Q. I believe home cable system.

25 A. Other than the -- if there is a modem

1 MIR

2 and -- let's see if there is a modem here.

3 (Perusing document.)

4 Modems, no, but I just found several  
5 routers in '714, Figure 1 SCP.

6 Q. Sir, we're on cable systems. I am not  
7 sure what you're doing asking -- answering  
8 questions about modems and routers at this point.

9 A. I -- I want --

10 Q. I'm trying to ask about cable systems.

11 A. -- I want to ask for a clarification of a  
12 previous question. I think I'm allowed to ask this  
13 kind of question on a request.

14 MR. McDOLE: Move to strike as  
15 nonresponsive.

16 A. The answer to your second question is no.  
17 The answer to the first question is extra figures I  
18 found that -- you know, that relates to the  
19 routers. Figure 2 and Figure 1 clearly this is a  
20 router, SCP is a router.

21 Q. Are you done?

22 A. SSP is a switch. Also in Figure 2,  
23 Figure 1. Yeah, I'm done.

24 MR. McDOLE: Move to strike as  
25 nonresponsive.

1 MIR

2 Q. Sir, let's make sure I understand what  
3 your opinion is with respect to cable systems at  
4 this point in the '714 patent. Do you believe that  
5 the relevant field of the '714 patent is the design  
6 and implementation of cable systems?

7 A. No.

8 Q. If you could turn to paragraph 33 of your  
9 declaration.

10 A. 33. Okay.

11 Q. Can you read the first sentence?

12 A. "Based on my opinion" -- is that right?

13 Q. Paragraph 33?

14 A. Yeah.

15 Based on my opinion -- "based on my review  
16 of the material, I believe that the relevant fields  
17 for the purposes of the '714 patent are the design  
18 and implementation of modems, routers, cable  
19 systems. I have been informed that the relevant  
20 time frame is on/or before the earliest effective  
21 filing date of '714 patent, namely April 3, 1997."

22 Q. Is the first sentence of paragraph 3  
23 wrong?

24 A. The general field is modem, router, cable,  
25 communication system, yeah. It's not wrong at all.

1 MIR

2 Q. So in your expert opinion, the relevant --  
3 one of the relevant fields of the '714 patent is a  
4 cable system now?

5 A. Are you noticing that I'm saying that  
6 modem, router, cable is the combination of the main  
7 elements of the communication systems? That's the  
8 area of expertise.

9 Q. Sir, is this a cut and paste there because  
10 you cut and pasted your declaration from the '353  
11 patent into this patent?

12 A. '355?

13 Q. '353.

14 Did you just simply cut and paste your  
15 Relevant Fields section?

16 A. I must have. I could have, yeah.

17 Q. Are you just trying to explain it away now  
18 and that the '714 patent really has no relevance to  
19 the fields of modems, routers, and cable systems?

20 A. I'm trying to say here that the general  
21 field of '714 is communication system. I just said  
22 that modem, router, and cable, link layer, network  
23 layer, and physical layer.

24 Q. Okay. So the expert examiners at the  
25 patent office, you expect them to believe that the

1 MIR

2 relevant fields for the purposes of the '714 patent  
3 are the design and implementation of modems,  
4 routers, and cable systems and you have no  
5 supplement to that opinion as you sit here today?

6 A. Supplement of . . .

7 Q. You have no desire to correct that  
8 statement?

9 A. Well, if you are focusing on modem design,  
10 of course, no. I mean, the general field of modem,  
11 cable, and router is the field of expertise, that's  
12 what I'm trying to tell you.

13 Q. So you're telling me the statement is  
14 correct and you have no desire to correct -- admit  
15 that one -- one, admit that there's an error and  
16 two, correct it as you sit here today?

17 A. This is the general field and there is no  
18 correction I want to make on this. The general  
19 field of the expertise is something who knows the  
20 physical layer, link layer, and network layer and  
21 these are the indication of these three.

22 Q. Okay. If I can have you turn to the  
23 Buhrmann reference, specifically Figure 5.

24 A. Yeah, I'm seeing it.

25 Q. Is a profile request field 502 a text

1 MIR

2 field in the Buhrmann reference?

3 A. Hold on a second, please. Sorry. Is it  
4 Buhrmann? No, it's not Buhrmann.

5 Figure 1, okay.

6 Q. Figure 5.

7 A. Figure 5? Okay.

8 Q. Are you ready?

9 A. Yeah.

10 Q. Is the profile request field 502 in  
11 Figure 5 of the Buhrmann reference a text field?

12 A. Yes.

13 Q. Okay. Do you believe that the profile  
14 request that's identified in -- as 502 in Figure 5  
15 of the Buhrmann reference is a request to the  
16 subscriber profile database to provide subscriber  
17 profile data to the client?

18 A. Figure 5 is an example of PIM scheduled  
19 display with an added profile request field. And  
20 that's the display from the PIM.

21 Q. Okay. And sir, my question is, and it's a  
22 yes or no answer: Do you believe that the profile  
23 request that's identified as 502 in Figure 5 of the  
24 Buhrmann reference is a request to a subscriber  
25 profile database to provide subscriber profile data



1 MIR

2 to the client?

3 A. Yes, could be.

4 Q. It could be or it is?

5 A. Because it doesn't say that it is in the  
6 figure, but it says that this is what the -- the  
7 subscriber can view it.

8 Q. Well, by the time the profile request  
9 field is provided to the client, the subscriber  
10 profile data is already on the client, correct?

11 A. That's correct.

12 Q. So the profile request field cannot be a  
13 request to view the subscriber profile data that's  
14 already at the client, correct?

15 A. I don't see why.

16 Q. Okay. Is there a disclosure in the  
17 Buhrmann reference that the profile request field  
18 502 is a request to the subscriber profile database  
19 to send subscriber profile data to the client, even  
20 though the client, according to Figure 5, already  
21 has the subscriber profile data? Any disclosure?  
22 Feel free to look.

23 A. (Perusing document.)

24 Okay. In column 8, line 4, it says that  
25 "the subscriber is presented through a user

1 MIR

2 interface 136 with a display 500 allowing interior  
3 profile requests in a profile request field and the  
4 profile request field is added to the conventional  
5 scheduling display 400 presented by PIM."

6 Q. And how does that relate in any way to  
7 receiving information from the subscriber profile  
8 database as opposed to the PIM?

9 A. Well, it says that it is added to the  
10 conventional scheduling display presented by PIM.

11 Q. Sir, is that an express disclosure that  
12 the profile request field 502 is a request to the  
13 subscriber profile database to send subscriber  
14 profile data to the client?

15 A. 502 is the profile request field.

16 Q. Sir, I understand that.

17 MR. McDOLE: Objection, nonresponsive.

18 Q. Do I need to ask my question again or do  
19 you understand?

20 A. Well, I understand your question is. Are  
21 you -- okay. Rephrase your question, maybe, if you  
22 are not convinced with my answer.

23 Q. Is there a disclosure in the Buhrmann  
24 reference that the profile request field 502 is a  
25 request to the subscriber profile database to send

1 MIR

2 subscriber profile data to the client, even though  
3 the client, according to Figure 5, already has the  
4 subscriber profile data?

5 It's a yes-or-no question.

6 A. I don't think there is any explicit answer  
7 to your question in the patent.

8 Q. Thank you.

9 Does the Buhrmann reference provide a  
10 disclosure as to how the information in Figure 4 of  
11 the Buhrmann reference is received and whether it  
12 is received by the PIM or the subscriber profile  
13 database?

14 A. So Figure 4 that you referred to in column  
15 7, line 36 says, "In general, the subscriber is  
16 provided with a schedule display for a chosen day  
17 via the user interface 136. An example schedule  
18 display 400 is shown in Figure 4."

19 And it gives something here, the example,  
20 what is the schedule display?

21 Q. So is the answer to my question "no"?

22 A. So the subscriber can enter the -- the  
23 schedule data into the subscription field so then  
24 it can be sent over the network. So the answer  
25 should be yes then, because the subscriber can

1 MIR

2 control this.

3 Q. Sir, when that information from the  
4 profile request field is sent over the network, is  
5 it being sent over the network to request a  
6 subscriber profile data, or is it being sent over  
7 the network to be stored in the subscriber profile  
8 database?

9 A. In the example I'm seeing there, the  
10 answer is no. This is an example of Figure 4, the  
11 answer is no because there is no request for the  
12 profile. You are referring to Figure 4 and I have  
13 no choice to say that based on the content of  
14 Figure 4, I'm not seeing anything that even  
15 requests a profile.

16 Q. Where in the Buhrmann reference does it  
17 state that the information in Figure 4 is received  
18 by the communications network of Buhrmann as  
19 opposed to the PIM?

20 A. (Perusing document.)

21 So this is a different question or the  
22 same question?

23 Q. It's actually the same question I had  
24 previously, sir. You just haven't answered it.

25 MR. McDOLE: Move to strike the last

1 MIR

2 answer as nonresponsive.

3 A. So in the same column in line 30, it says,  
4 "Thus, subscriber profile updates initiated by the  
5 user using PIM will affect call processing and  
6 alert messages for mobile station 110."

7 Q. So it says using the PIM, not the  
8 subscriber profile database, correct?

9 A. For this example, yes.

10 Q. Okay. Is there any disclosure in the  
11 Buhrmann reference that states that the data in  
12 Figure 4 of the Buhrmann reference is received from  
13 the communication network of Buhrmann?

14 A. In Figure 4, I don't see any.

15 Q. Thank you.

16 MR. McDOLE: Counsel, why don't you give  
17 us five minutes. I'd like to have a conversation  
18 with you real quick and without the witness  
19 present, please.

20 (Proceedings interrupted at 3:41 p.m. and  
21 reconvened at 3:57 p.m.)

22 BY MR. McDOLE:

23 Q. Dr. Mir, I'm going to mark what's  
24 previously been identified as Paper 2, which is the  
25 Cox's original petition for IPR in this matter.

1 MIR

2 And it's my understanding that you've  
3 never seen the final version of what's been  
4 identified as paper 2, correct?

5 A. I've seen either the very final one or  
6 close to final one right before I signed my  
7 declaration. I don't recall if it was the  
8 finalized, completely finalized, or near finalized,  
9 but I remember it was right about the completion  
10 date of my declaration I received the petitions.  
11 So if this is the one that I reviewed or not, I  
12 don't know.

13 Q. How many versions of the petition in draft  
14 form did you see?

15 A. I cannot recall that. The best guess I  
16 have is just maybe two, three versions.

17 Q. Okay. If you could turn to the Buhrmann  
18 reference while you have that out. And if you can  
19 go to column 11, lines 56 to 59.

20 A. 56, line 56?

21 Q. Line 56 to 59. It says, "The message  
22 alert processor 148 will send an instruction to  
23 message center 150 to generate the message.  
24 Message center 150 will generate the SMS message  
25 and send it to the MSC 104."

1 MIR

2 Do you see that?

3 A. Yes.

4 Q. Is a message center a service management  
5 system or an SS --

6 MR. McDOLE: Let me rephrase that.

7 Q. Is a message center as referred to in  
8 column 11, line 56 to 59 a service management  
9 server or SMS server?

10 A. Could you tell me what the very first  
11 thing that you said in there, this question?

12 Q. Sure.

13 Is the message center --

14 A. Message center.

15 Where is the message center?

16 Q. That's identified in lines 56 to 59. It  
17 says "message center 150."

18 And my question is, is a message center as  
19 referred to in column 11, line 56 to 59 a service  
20 management server or SMS server?

21 A. But it says the message center 150 would  
22 generate SMS message. That means that center is  
23 the one that generates the SMS message.

24 Q. Right.

25 The SMS message, though, is a text

1 MIR

2 message, though, right?

3 A. I don't think SMS is a text message. I  
4 mean, I have to check.

5 Q. Do you know?

6 A. It could be. There is no -- oh, right  
7 there. Short message service is right in the  
8 previous paragraph. I'm seeing it. SMS is a short  
9 message service.

10 Q. And a short message service is a text  
11 message, right?

12 A. Short message service, message, remember  
13 that there's a message after SMS. Short message  
14 service, in the parenthesis SMS, and then message.  
15 So SMS message, we should call it. And then your  
16 question is again?

17 Q. Sir, I'm just trying to figure out if the  
18 message center 150 as identified in column 11,  
19 lines 56 to 59 is a service management system  
20 server.

21 A. Service manager server. All I can say is  
22 the message center is the one that generates SMS  
23 messages. I don't know.

24 Q. Is it your opinion that the message center  
25 150 -- yes or no. I'm trying to figure out your



MIR

opinion.

A. Yeah.

Q. Is the message center 150 in column 11, lines 56 to 59 a service management system server?

A. And where is the system management system?

Q. I'm asking you if the message center is that, sir. You're the expert.

A. In the context of...

Q. The '714 patent.

A. And where is it, service management system?

Q. If you look at claim 20 of the '714 patent.

A. Claim 20?

Q. Of the '714 patent, not the Buhrmann reference, the '714 patent.

A. Sorry.

(Perusing document). The SMS server recited in '714 is service management system. There is no mention of messages.

The SMS that is recited in Buhrmann is a short message service. I think these two are different.

Q. Thank you.

1 MIR

2 So the message center 150 in Buhrmann,  
3 column 11, lines 56 to 59 is different than the  
4 service management system server of claim 20 of the  
5 '714 patent, correct?

6 A. That is correct.

7 Q. All right. Dr. Mir, is a PSTN an  
8 intermediate system as used in claim 2 of the '714  
9 patent?

10 A. It could be, yes.

11 Q. Based on Exhibit 2040 where you  
12 highlighted the communications network, could the  
13 PSTN be an intermediate network, given that you  
14 circled the communication network as including the  
15 PSTN?

16 A. The communication network in Buhrmann  
17 includes those two blue circled ones. You asked me  
18 a different question, you said that is -- the  
19 communication network is a PSTN, you didn't say "in  
20 the context of."

21 Q. Fair enough.

22 A. Okay. The answer is yes.

23 Q. Okay. Can the PSTN in Buhrmann be an  
24 intermediate system as recited in claim 2 of the  
25 '714 patent?

1 MIR

2 A. A PSTN is the PSTN, no matter where it is  
3 recited, and the answer is yes.

4 Q. So the PSTN can be an intermediate system  
5 despite the fact that you have identified it as  
6 part of the communication system in Figure 1 of the  
7 Exhibit 1004, also marked as Exhibit 2040?

8 A. When we say communication network,  
9 communication network can refer to a number of  
10 things, PSTN, wireless network, and et cetera. And  
11 PSTN is one of those, of course, and the answer is  
12 yes.

13 Q. Okay. So you can have an intermediate  
14 system within the larger system itself?

15 A. Yes, we can, yes, for sure.

16 Q. Okay. And based on your reading of the  
17 '714 patent, an intermediate system can be part of  
18 the communication system itself.

19 A. Yes, it can. One example is the dialup  
20 connection from home, it goes to the PSTN and gets  
21 out of PSTN and goes to the Internet, then PSTN  
22 would act as intermediate network.

23 Q. So let's make sure we understand this.  
24 Based on your opinion, the Buhrmann reference  
25 discloses that subscriber profile data is retrieved

1 MIR

2 from the communication network via an intermediate  
3 system?

4 A. That is very clear, yes.

5 Q. Okay. How is the PSTN intermediate to the  
6 communication network?

7 A. The communication network of Buhrmann is  
8 wireless network. The communication network of  
9 Buhrmann can also be PSTN. Now, PSTN is an  
10 intermediate network for the wireless network.

11 Q. So it can change whether it's an  
12 intermediate part of the communication system or  
13 whether it's an intermediate system, depending on  
14 how you like to arrange the blocks?

15 A. Depending how exactly the PSTN is located  
16 in the block diagram. In this block diagram, PSTN  
17 is between the PIM and the wireless network,  
18 clearly is between.

19 Q. Well --

20 A. Thus intermediate.

21 Q. PSTN is actually part of the communication  
22 network, isn't it?

23 A. It is part of the communication network.  
24 In the meantime, if you want to isolate them, say  
25 which one is the communication network, I say PSTN

1 MIR

2 is the communication network, of course.

3 Q. What's your definition of intermediate?

4 A. Intermediate is anything that is between  
5 two other items that they communicate with each  
6 other.

7 Q. Okay. So if it has to be between two  
8 things, how is the PSTN between the communication  
9 network and the PIM if the PSTN is actually part of  
10 the communication network?

11 A. I'm sorry, counsel, I'm confused by your  
12 question. But I try to answer the question. PSTN  
13 is a communication network, period. Wireless  
14 network is a communication network, period. In the  
15 arrangement of Buhrmann, PSTN as a communication  
16 network acts as the intermediate network.

17 Q. So is it your opinion that in claim 1 the  
18 PSTN is part of the communication network and in  
19 claim 2 the PSTN is not part of the communication  
20 network?

21 A. I'm sorry, claim of '714?

22 Q. Yes. Let me repeat my question so we have  
23 it clear on the record. So is it your opinion that  
24 in claim 1 of the '714 patent, the PSTN is part of  
25 the communication network, and in claim 2 of the

1 MIR

2 '714 patent, the PSTN is not part of the  
3 communication network?

4 A. No. Both actually are communication  
5 networks, but we are having two communication  
6 networks here in Buhrmann. One is the one that the  
7 data is retrieved and updated to; the one is that  
8 the data can pass through, which is PSTN.

9 Q. Okay. Is everything highlighted in yellow  
10 on Exhibit 2040 --

11 MR. McDOLLE: Strike that.

12 Q. Is everything highlighted in blue on  
13 Exhibit 2042 part of the communication network you  
14 used to opine that the Buhrmann reference  
15 invalidates claim 1 of the '714 patent?

16 A. This is a different question now. You  
17 said "part of a communication network," you used  
18 "a." You can just check. You didn't say "a"?

19 Q. I can read it exactly how I framed it to  
20 you.

21 A. Sorry. My mistake, sorry. So then what  
22 is the question?

23 Q. I'll repeat it.

24 Is everything highlighted in blue on  
25 Exhibit 2040 part of the communication network you

1 MIR

2 used to opine that the Buhrmann reference  
3 invalidates claim 1 of the '714 patent?

4 A. Okay. I meant -- you said "the  
5 communication network." So here you can call --  
6 now I understand the question. You can call the  
7 PSTN and wireless network as the communication  
8 network. You can call PSTN as a communication  
9 network. You can call wireless network as a  
10 communication network.

11 Q. Sir, I really don't want to know what I  
12 can call it. I want to know what you called it in  
13 opining that the claim 1 of the '714 patent is  
14 invalid.

15 A. That's very clear. I can rephrase my  
16 answer. When it comes to updating and sending the  
17 update to the communication network, the place that  
18 the updates actually gets moved to, that's a  
19 wireless network, which is still a communication  
20 network. When it comes to passage, an intermediate  
21 network that the data can get passed through them,  
22 it is a PSTN, that is also a communication network.  
23 And you can call both of them as communication  
24 network. Together combined is a communication  
25 network because communication network has a general

1 MIR

2 meaning.

3 MR. McDOLE: Move to strike the last two  
4 answers as nonresponsive.

5 Q. Sir, I'm going to ask you again: And I'll  
6 preface this with I just want to try to understand  
7 in your opinions where you said claim 1 of the '714  
8 patent is invalid, you had to find that there was a  
9 communication network in the Buhrmann reference  
10 that did particular things, correct?

11 A. Yes.

12 Q. Okay. Is that the communication network  
13 that you highlighted in blue in Exhibit 2040?

14 A. Yes. Answer is yes.

15 Q. If you can turn to claim 12 of the '714  
16 patent.

17 A. Okay.

18 Q. Where does Buhrmann teach that a time  
19 specified by the subscriber as to when the  
20 requested update for the subscriber profile should  
21 be forwarded to the communication network?

22 A. Figure 5 of Buhrmann shows one example of  
23 PIM schedule display with an added profile request  
24 field. So I believe that, you know, in this chart  
25 you can actually have a request for times.



1 MIR

2 Q. And that time is a time specified by the  
3 subscriber as to when the requested update for the  
4 subscriber's profile should be forwarded to the  
5 communication network?

6 A. I don't see why not. There may not be an  
7 explicit example of what you just said in the  
8 patent, but I don't see why not this can be done.

9 Q. It could be but we don't know because  
10 there's not an explicit disclosure?

11 A. That's right.

12 Q. Let's turn to claim 14.

13 A. Okay.

14 Q. Is it also your opinion, like claim 2,  
15 that the -- at least one intermediate system of  
16 claim 14 of the '714 patent is the PSTN, despite  
17 the fact that the PSTN is part of the communication  
18 network that you've identified on Exhibit 2040?

19 A. Absolutely yes.

20 Q. Okay. If we can turn to Figure 5 of the  
21 Buhrmann reference.

22 A. Okay.

23 Q. In your opinion, is meeting with John in  
24 room 4A under the description field at 9 a.m.  
25 subscriber profile data?

1 MIR

2 A. I think it depends.

3 Q. Depends on what?

4 A. Whether this meeting is something that,  
5 you know, is on a regular basis, that it should be  
6 part of the profile or it's just one time. So the  
7 answer could be yes or no. I mean, it really  
8 depends.

9 Q. There's no explicit disclosure of it, so  
10 we don't know; it could be, it might not be?

11 A. Figure 5 shows an example of a schedule  
12 display with an added profile request, so it is a  
13 profile request; that could be part of the profile.

14 Q. I didn't ask you about profile request,  
15 sir. I asked you about the description, meeting  
16 with John in room 4A.

17 A. Regularly, normally, no, that may not be  
18 part of the profile. But as I said, under some  
19 conditions you could put it under the profile, that  
20 in a regular basis or the meetings that you have on  
21 a regular basis.

22 Q. Okay. Is the time 9 a.m. subscriber  
23 profile data?

24 A. 9 a.m. related to what, to what action, to  
25 what function, to what --

1 MIR

2 Q. Talking -- let me ask it more clear. In  
3 Figure 5 of the Buhrmann reference, is the time  
4 9 a.m. in your opinion subscriber profile data?

5 A. I believe time 9 a.m. ties with the  
6 description and the profile, is part of that line,  
7 the description and the profile is part of the  
8 information, we call it profile. That's the time  
9 that the profile is requested or updated, whether  
10 it is part of the profile or not, you know, this  
11 optional to think that way is it profile or not.

12 Q. Sir, you're the expert here. I'd like to  
13 understand what your opinion is and metes and  
14 bounds of exactly what you consider to be  
15 subscriber profile information. And I'd like to  
16 understand whether in your opinion and in forming  
17 your opinions in this case whether you considered a  
18 time such as 9 a.m. in Figure 5 of the Buhrmann  
19 reference to be subscriber profile data?

20 A. My best between yes and no is no.

21 Q. Do you see under the profile request field  
22 at 9 a.m. it says "call forward to voice mail"?

23 A. Yes, I do.

24 Q. Is call forward to voice mail subscriber  
25 profile data?

1 MIR

2 A. Yes, it can be.

3 Q. Sir, it can be or it is?

4 A. For this particular case of Buhrmann, yes.  
5 In general, it can be.

6 Q. Okay. How do you know that call forward  
7 to voice mail is considered subscriber profile data  
8 in the Buhrmann reference in Figure 5?

9 A. Because it is part of this display that is  
10 going to be updated.

11 Q. So is anything in the display of Figure 5  
12 considered subscriber profile data?

13 A. It is not all of the profile data. It is  
14 example. I keep saying the example, Figure 5 is an  
15 example.

16 Q. I understand it's an example. Can you  
17 identify for me in Figure 5 in the Buhrmann  
18 reference what information you consider to be  
19 subscriber profile data?

20 A. It could be selective call acceptance  
21 123-555-6666, other to voice mail. That could be a  
22 desire of a subscriber to be implemented, could be  
23 part of the profile.

24 Q. Could be or it is, sir?

25 A. In this case, it is.

1 MIR

2 Q. Okay. Anything else on Figure 5 of the  
3 Buhrmann reference that is considered to be  
4 subscriber profile information?

5 A. All of them -- all of the information you  
6 see in profile request and description are in this  
7 case part of the profile.

8 Q. So now, meeting with John in room 4A is  
9 considered subscriber profile information?

10 MR. McDOLE: Strike that.

11 Q. So now, a description of meeting with John  
12 in room 4A is considered subscriber profile data in  
13 your opinion?

14 A. Could be.

15 Q. It could be or it is, sir? You're the  
16 expert.

17 A. In the context of this patent, it is. It  
18 is what it says.

19 Q. Okay. Just five minutes ago you told me  
20 it wasn't. So now I've got two different answers  
21 and I'd like to know --

22 A. Let me clarify.

23 Q. Last chance, which one is it? Is meeting  
24 with John in room 4A under the description field of  
25 Figure 5 of the Buhrmann reference subscriber

1 MIR

2 profile data or not?

3 A. The profile request has a description, so  
4 then if the call forward to voice mail has a  
5 description, so a description is part of the  
6 profile, isn't it? In my opinion, yes, it is.

7 Q. Okay. And is 9 a.m. in that instance also  
8 considered to be subscriber profile information?

9 A. That I already clarified. A time could or  
10 could not be. The best answer I said was no. I  
11 already said so.

12 Q. All right. What about the arrows under  
13 meeting with John in room 4A at 9:30, 10 a.m. and  
14 10:30. Are those subscriber profile information?

15 A. I don't know.

16 Q. So you don't know whether the arrows in  
17 9:30, 10 a.m. and 10:30 a.m. are subscriber profile  
18 data; is that correct?

19 A. That is correct. I need to refresh my  
20 memory as to what these arrows stand for or  
21 indicating what.

22 Q. So why is the description field subscriber  
23 profile data and not the time field?

24 A. I'm sorry. I missed your question.

25 Q. So why is the description field such as

1 MIR

2 meeting with John in room 4A in Figure 5 of the  
3 Buhrmann reference considered subscriber profile  
4 data, but not the 9 a.m. time slot?

5 A. I think I already said that the time is  
6 just a function or an item that is based on a clock  
7 and, you know, if you want to consider that as the  
8 profile, it is optional to me. But the real  
9 substance of the profile is what the function says,  
10 what the time is, kind of a running clock in the  
11 left side to me is not that much related to the  
12 profile. But I don't have any problem to see that  
13 someone includes the time into the profile.

14 Q. Let's look at Figure 6 of the Buhrmann  
15 reference. What is described in Figure 6?

16 A. This is an example of a record of the  
17 subscriber.

18 Q. Is the record of the subscriber considered  
19 to be subscriber profile data?

20 A. Yes.

21 Q. Why?

22 A. Because it says the patent says Figure 6  
23 shows an example of subscriber profile record.

24 Q. Is a record the same thing as a profile?

25 A. Profile record is the one that is recorded

1 MIR

2 somewhere.

3 Q. Okay. Is the information in Figure 6  
4 profile update data?

5 A. No, it doesn't say anything. It says  
6 subscriber profile record.

7 Q. Can a subscriber profile record be updated  
8 by the client?

9 A. By the subscriber.

10 Q. Okay. Can the subscriber profile record  
11 be updated by the subscriber?

12 A. Subscriber can program the client to  
13 update it, yes.

14 Q. Would the subscriber --

15 MR. McDOLE: Strike that.

16 Q. Would the information in Figure 6 need to  
17 be modified in any way before the subscriber could  
18 update it?

19 A. The subscriber -- the subscriber updates  
20 the record and that's the modification to the  
21 record.

22 MR. McDOLE: Strike as nonresponsive.

23 THE WITNESS: Could you rephrase your  
24 question?

25 Q. Would the information in Figure 6 need to



1 MIR

2 be modified in any way before the subscriber could  
3 update it?

4 A. I don't see why not. It could be -- it  
5 would be possible, but I don't see any statement in  
6 the patent that it is the case, that before  
7 updating that can be modified.

8 Q. Isn't it true that the subscriber never  
9 directly enters information or data into the format  
10 of Figure 6 in the Buhrmann reference?

11 A. I don't recall it, but I have several  
12 places in the patent that says that how the  
13 subscriber can enter the data and views the data  
14 and updates the data, so I don't recall the answer  
15 to your question unless I have time to look for the  
16 answer.

17 Q. You're the expert, sir. You have  
18 documents in front of you. If you can't answer it,  
19 you can certainly look at documents, but I would  
20 like to understand your opinions in this matter.

21 A. Okay. So you want me to see if there is  
22 any way that the subscriber can modify or update  
23 this record directly?

24 Q. Let me ask you this: Is the format of  
25 Figure 6 something that is a result of update data

1 MIR

2 from the client being sent through the subscriber  
3 profile update API 132 in Figure 1 of the Buhrmann  
4 reference?

5 A. From the caption of Figure 6 which says  
6 shows an example of subscriber profile record, the  
7 answer is no.

8 Q. In forming your opinions in this case, did  
9 you read the specification of the '714 patent  
10 relating to Figure 6?

11 A. '714 patent?

12 Q. I'm sorry. In forming your opinions in  
13 this case, did you read the specification of the  
14 Buhrmann reference relating to Figure 6?

15 A. I believe I did.

16 Q. Okay. What do you recall the  
17 specification of the Buhrmann reference stated  
18 about Figure 6?

19 A. It's a long day now and I think I should  
20 refresh my memory quickly. Other than the profile  
21 record that I remember this indicates, I don't  
22 recall if there was anything else.

23 (Perusing document).

24 There is a quick description of all these  
25 in column 9 and 10. These are the profile records,

1 MIR

2 so the details of what this record is about is  
3 indicated on column 9 and 10, mostly, details.

4 Q. Okay. Does that refresh your memory as to  
5 whether the format of the data in Figure 6 is the  
6 format of data entered by a client after it goes  
7 through the subscriber profile update API?

8 A. Okay. So in the column 9, there's one  
9 little statement I guess that would answer the  
10 question. It says on line 25, it says, "subscriber  
11 profile record in database 118 after step 310 would  
12 be the record 600 shown in Figure 6." And step 310  
13 is send profile update data to database. So the  
14 answer is yes.

15 Q. What is step 308?

16 A. Say again?

17 Q. You talked about step 310.

18 A. Uh-huh.

19 Q. What is step 308?

20 A. What is step 308?

21 Q. 308.

22 A. 308 is generate profile update data.

23 Q. How do you generate profile update data?

24 A. By specifying profile request and entering  
25 the updates.

1 MIR

2 Q. We'll start with this: Sir, do you know  
3 what a subscriber profile update API is?

4 A. API stands for...

5 Q. Sir, you're the expert. Subscriber  
6 profile update API 132 in Figure 1 of the Buhrmann  
7 reference that you rely on to claim that the '714  
8 patent is invalid, do you know what the subscriber  
9 profile update API is?

10 A. Can you point me to the place?

11 Q. Sure. I've already pointed you to it  
12 once. Figure 1.

13 A. Figure 1.

14 Q. 132.

15 A. Figure 1, 132.

16 (Perusing document). Okay.

17 Q. What is a subscriber profile update API?

18 A. That's the local unit that is in charge of  
19 the profile data, profile update in the personal  
20 information management, PIM.

21 Q. Sir, isn't the subscriber profile update  
22 API a program which converts the data from a usable  
23 form for the client or subscriber for use and to be  
24 stored in the subscriber profile database?

25 A. I believe so.

1 MIR

2 Q. Okay. Did you know that before this  
3 deposition, before I just said it?

4 A. Of course, of course. Yes.

5 Q. Okay. When did you first learn that?

6 A. Don't recall.

7 Q. Okay. If you knew the answer to that  
8 question, why didn't you give me that answer in  
9 response to my question as to what the subscriber  
10 profile update API was?

11 A. I thought I did in a different way.

12 Q. So is the -- after a subscriber enters  
13 update information into a profile request field, is  
14 that information then converted into the form of  
15 the data in Figure 6 of the Buhrmann reference?

16 A. I think so. There is a -- in the process  
17 of the update, there is a format adjustment, which  
18 is the one that you just said.

19 Q. So the information on Figure 6 of the  
20 Buhrmann reference is information that the  
21 subscriber would not see because the subscriber  
22 would only see information on the non-network  
23 communication network side of the subscriber  
24 profile API, correct?

25 A. That is correct.

1 MIR

2 Q. Is schedule data and contact data, I think  
3 you previously said is subscriber profile data,  
4 correct?

5 A. Yes, according to the patent.

6 Q. Have you read the PTO's decision in  
7 institution relating to the '714 patent that says  
8 that schedule data and contact data is only  
9 associated with subscriber profile data?

10 A. I don't recall if I -- I don't recall  
11 that. I read the whole document. I don't recall  
12 that.

13 Q. Would the examiners at the patent office  
14 be incorrect in saying that the schedule and  
15 contact data in the Buhrmann reference are only  
16 associated with subscriber profile data?

17 A. No, I don't think there is any substantial  
18 difference between being part of the profile data  
19 or associated with the profile data.

20 Q. So whether it's data or whether it's  
21 associated with data, to you that means the same  
22 thing?

23 A. I didn't say the same thing. I don't -- I  
24 said I don't think there's a substantial difference  
25 between the two. They're all related to the

1 MIR

2 profile data.

3 Q. Does claim 1 of the '714 patent require  
4 subscriber profile data or to be associated with  
5 subscriber profile data?

6 A. Profile data.

7 Q. So it does matter for purposes of your  
8 invalidity analysis whether it's subscriber profile  
9 data or associated with subscriber profile data,  
10 right?

11 A. It is different, yes.

12 Q. Okay. So besides schedule data and  
13 contact data, what other types of subscriber  
14 profile data do you identify in Exhibit 1014 as  
15 being present in the Buhrmann reference?

16 A. Did we include the alerts also?

17 Q. If you can show me where in Exhibit 1014  
18 that you've identified alerts, I'm happy to look at  
19 it.

20 A. So the alerts we already talked about  
21 earlier.

22 Q. Sir, you understand that I'm referring to  
23 your declaration, correct?

24 A. Oh, my declarations?

25 Q. That's what I referred to.

1 MIR

2 A. Well, I have to check to see whether I  
3 have included those or not.

4 (Perusing document).

5 No, I did not include it in my  
6 declaration.

7 Q. What are the only types of subscriber  
8 profile data that you've identified in  
9 Exhibit 1014, which is your declaration, relating  
10 to the Buhrmann reference?

11 A. What are the profiles, you mean?

12 Q. I asked you what subscriber profile data  
13 do you identify in Exhibit 1014 relating to the  
14 Buhrmann reference? What are the only things  
15 you've identified as subscriber profile data?

16 A. I don't recall if I classified the profile  
17 data into types of profile data. I used generally  
18 the profile data. I didn't -- did not get into the  
19 details of what exactly profile data is, unless I'm  
20 just not recalling it.

21 Could you point me to?

22 Q. Sure.

23 A. Okay. If I did, then I can explain.

24 Q. Look at paragraph 80.

25 A. Paragraph 80, okay. Which part?



1 MIR

2 Q. The part that talks about subscriber  
3 profile data. And my question is, what are the  
4 only types of subscriber profile data that you  
5 identify as being present in the Buhrmann reference  
6 in your declaration, which is marked as  
7 Exhibit 1014?

8 A. Are you referring to a schedule data?

9 Q. I'm referring to any type of subscriber  
10 profile data that you identified as being present  
11 in the Buhrmann reference in your declaration,  
12 which is marked as Exhibit 1014.

13 A. As I said, I did not provide the details  
14 of the profile data. This is just an example of a  
15 couple. That is the telephone numbers,  
16 unauthorized -- authorized or unauthorized users,  
17 an example.

18 Q. Beside the examples that you've just  
19 provided, is there any other subscriber profile  
20 data that you've identified in Exhibit 1014 as  
21 being contained or disclosed in the Buhrmann  
22 reference?

23 A. I don't recall if there is any. Here is  
24 such as the schedule data, telephone numbers. I  
25 don't think there is anything else in my report.

1 MIR

2 Q. So you've offered no opinion in Exhibit  
3 1014 as to any data being subscriber profile data  
4 besides schedule data or telephone numbers of  
5 authorized or unauthorized users; is that correct?

6 A. The type of data, that's correct.

7 MR. McDOLE: I need to take a bio break.

8 (Proceedings interrupted at 4:45 p.m. and  
9 reconvened at 4:49 p.m.)

10 MR. McDOLE: So counsel you've told me  
11 that we're over our time. As we've explained  
12 before, I think we're going to need more time based  
13 on some of the answers that we've received. We  
14 have a significant number of questions to still  
15 ask. But I understand the witness has to catch a  
16 flight and I understand that you said we can ask no  
17 more questions. So at this point we'll reserve our  
18 rights based on the fact that there's documents  
19 we've asked for that we haven't received, and based  
20 on some of the answers, you know, I don't agree to  
21 close the deposition. But, you know, we can  
22 certainly argue about that another time and I'll  
23 pass the witness to you.

24 MR. BERTULLI: Very good.

25 EXAMINATION

1 MIR

2 BY MR. BERTULLI:

3 Q. Dr. Mir, I have very few questions. We'll  
4 move quickly here.

5 A. Yeah.

6 Q. You recall Exhibit 2040 that you marked  
7 up; is that correct?

8 A. Yes. It's marked.

9 Q. You marked two elements of that picture in  
10 orange. You marked the PSTN and you marked element  
11 102 in orange; is that correct?

12 A. That's correct.

13 MR. McDOLLE: Objection, leading.

14 Q. What does the orange marker mean again, to  
15 refresh everyone's memory?

16 A. Communication networks.

17 Q. When you circled PSTN and 102 in orange to  
18 mean communication network, does that mean that  
19 they are both together the communication network or  
20 that they are each individually a communication  
21 network?

22 MR. McDOLLE: Objection, leading.

23 A. They're each individually a communication  
24 network. In the meantime, you can always call both  
25 as a communication network because communication

1 MIR

2 network has a broad meaning. So in this case, you  
3 could say that 102 is a communication network, PSTN  
4 is individually a communication network.

5 Q. Thank you.

6 Do you recall when we talked about the  
7 '714 patent, there is a Figure 2 that is a block  
8 diagram and has arrows on it?

9 A. Yes.

10 Q. Okay. And then do you recall that we  
11 looked at Figure 1 of Buhrmann and, for example, we  
12 looked at the arrows 112, the one that looks like a  
13 lightning bolt? Do you recall that in Buhrmann,  
14 Figure 1?

15 A. Yes.

16 Q. There are other lines in the figure; is  
17 that right, between elements?

18 MR. McDOLLE: Objection, leading.

19 A. That is right.

20 Q. Are there any lines coming out of the PSTN  
21 in this figure?

22 MR. McDOLLE: Objection, leading.

23 A. Yes.

24 Q. Okay. Where do they go?

25 A. There are four lines I'm seeing here: One

MIR

there is no number on it, 142, 140, and the other one also going to telephone, there is no number on it.

Q. What does that line 140 go to?

A. 140 goes to the personal information manager, PIM.

Q. And what does line 142 go to?

A. It goes to a communication network 102.

Q. Are there any other lines? Is there one on the top, PSTN, that doesn't have a number?

A. It does --

MR. McDOLE: Going to object as leading.

A. -- go to communication network 102 as well.

Q. Okay. Thank you, Dr. Mir.

A. Sure.

MR. BERTULLI: Nothing else.

MR. McDOLE: Dr. Mir, I have a couple more questions subject to our reservation of rights previously.

EXAMINATION

BY MR. McDOLE:

Q. Turning back to Exhibit 2040.

A. Yeah.

1 MIR

2 Q. When you highlighted in orange the  
3 communications network, I had asked you what did  
4 you use as a communication network in showing that  
5 claim 1 of the '714 patent was invalid as a result  
6 of the Buhrmann reference, correct?

7 A. That is correct.

8 Q. And you highlighted the orange -- you  
9 highlighted in orange what you considered to be the  
10 communication network, correct?

11 A. That's correct.

12 Q. With respect to Figure 1 of Buhrmann, you  
13 just talked about the lines out of the PSTN,  
14 correct?

15 A. Sorry, your question?

16 Q. Sure.

17 With respect to Figure 1 of Buhrmann, you  
18 just talked about the lines that extend out of the  
19 PSTN, and specifically, I'm talking about the one  
20 140 that connects to the personal information  
21 manager.

22 Do you see that?

23 A. I do.

24 Q. Does that line have any arrows on it?

25 A. No.

MIR

Q. And so in order to determine which way the data flows through that line, you would have to look at the specification; is that correct?

A. That is correct.

MR. McDOLE: I have no further questions subject to our reservation of rights.

MR. BERTULLI: I think we're all set.

(Whereupon, this deposition was concluded at 4:55 p.m.)

---

NADER F. MIR, Ph.D.

Subscribed and sworn to before me  
this \_\_\_\_ day of \_\_\_\_\_, 2016.

---

## 1 CERTIFICATE

2 Commonwealth of Massachusetts  
3 Suffolk, ss.  
4

5 I, Dana Welch, Registered Professional  
6 Reporter, Certified Realtime Reporter and Notary  
7 Public in and for the Commonwealth of  
8 Massachusetts, do hereby certify that NADER F. MIR,  
9 Ph.D., the witness whose deposition is hereinbefore  
10 set forth, was duly sworn by me and that such  
11 deposition is a true record of the testimony given  
12 by the witness.

13 I further certify that I am neither related  
14 to nor employed by any of the parties in or counsel  
15 to this action, nor am I financially interested in  
16 the outcome of this action.

17 In witness whereof, I have hereunto set my  
18 hand and seal this 1st day of February, 2016.  
19  
20

\_\_\_\_\_  
Dana Welch

21 Notary Public

My commission expires:

22 October 6, 2017  
23  
24  
25



## I N D E X

WITNESS: NADER F. MIR, Ph.D.

EXAMINATION: PAGE:

BY MR. McDOLE 5

BY MR. BERTULLI 243

BY MR. McDOLE 245

EXHIBITS MARKED:

NO. DESCRIPTION PAGE:

Exhibit 2039, Provisional Application for 46

'714 patent (135 pages)

Exhibit 2040, Figure 1, U.S. Patent 164

5,903,845 (color markings)

EXHIBITS PREVIOUSLY MARKED:

Paper 2, Petition for Inter Partes Review 213

of USPN 7,907,714

Exhibit 1001, US Patent 7,907,714 15

Exhibit 1004, US Patent 5,903,845 37

Exhibit 1014, Declaration of Professor 10

Nader Mir in support of request for Inter

Partes Review of the '714 Patent

NOTATIONS:

Afternoon session 134

Request of counsel 32

Exhibits retained by reporter.

## 1 ERRATA SHEET

2 NAME OF CASE: Cox Communications v. AT&amp;T

3 Intellectual Property I, L.P.

4 DATE OF DEPOSITION: January 29, 2016

5 NAME OF WITNESS: Nader F. Mir, Ph.D.

6 Reason codes:

7 1. To clarify the record.

8 2. To conform to the facts.

9 3. To correct transcription errors.

10 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

11 From \_\_\_\_\_ to \_\_\_\_\_

12 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

13 From \_\_\_\_\_ to \_\_\_\_\_

14 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

15 From \_\_\_\_\_ to \_\_\_\_\_

16 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

17 From \_\_\_\_\_ to \_\_\_\_\_

18 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

19 From \_\_\_\_\_ to \_\_\_\_\_

20 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

21 From \_\_\_\_\_ to \_\_\_\_\_

22 Page \_\_\_\_\_ Line \_\_\_\_\_ Reason \_\_\_\_\_

23 From \_\_\_\_\_ to \_\_\_\_\_

24

25 Nader F. Mir, Ph.D. \_\_\_\_\_