

UNITED STATES PATENT AND TRADEMARK OFFICE

---

BEFORE THE PATENT TRIAL AND APPEAL BOARD

---

SONY CORPORATION,  
Petitioner,

v.

COLLABO INNOVATIONS, INC.,  
Patent Owner.

---

Case IPR2016-00941  
Patent 5,952,714

---

Record of Oral Hearing  
Held: July 11, 2017

---

Before DAVID C. MCKONE, GREGG I. ANDERSON, and  
JENNIFER MEYER CHAGNON, *Administrative Patent Judges*.

Case IPR2016-00941  
Patent 5,952,714

APPEARANCES:

ON BEHALF OF THE PETITIONER:

MATTHEW SMITH, ESQUIRE  
JACOB S. ZWEIG, ESQUIRE  
ZHUANJIA GU, ESQUIRE  
TURNER BOYD LLP  
702 Marshall Street  
Suite 640  
Redwood City, CA 94063  
(650) 521-5930

ON BEHALF OF THE PATENT OWNER:

TERRY A. SAAD, ESQUIRE  
JUSTIN B. KIMBLE, ESQUIRE  
BRAGALONE CONROY, PC  
2200 Ross Avenue  
Suite 4500W  
Dallas, TX 75201-7924  
(214) 785-6685

The above-entitled matter came on for hearing on Tuesday, July 11, 2017, at the U.S. Patent and Trademark Office, 600 Dulany Street, Alexandria, Virginia in Courtroom A, at 3:15 p.m.

PROCEEDINGS

1 JUDGE CHAGNON: Please be seated.

2 This is the final hearing for IPR2016-00941  
3 related to U.S. Patent Number 5,952,714 involving Petitioner  
4 Sony Corporation and Patent Owner Collabo Innovations, Inc.

5 Again, we'll follow the same format, with each  
6 side having 30 minutes to present their arguments.

7 Petitioner will start, followed by patent owner. And,  
8 petitioner, would you like to reserve any rebuttal time?

9 MR. ZWEIG: Yes, your Honor, ten minutes, please.

10 JUDGE CHAGNON: All right. Great. Whenever  
11 you're ready, you can go ahead.

12 MR. ZWEIG: Good afternoon, your Honors. I'm  
13 Jacob Zweig with Turner Boyd LLP here on behalf of the  
14 Petitioner Sony Corporation.

15 Your Honors, there are three main points that I'd  
16 like to address. First, there's construction of the claim  
17 term "secured via an adhesive"; second, the related issue of  
18 whether the prior art references teach this limitation; and  
19 third, whether the references teach the insertion limitation  
20 of the claims.

21 Regarding the securing limitation, the patent  
22 owner argues that the, quote, "image sensing device being

1   secured to said package via an adhesive," unquote,  
2   limitation, some variation of which is recited in each  
3   independent claim should be construed as gluing to the  
4   package.

5           Patent owner then claims that gluing would exclude  
6   securing via an adhesive applied via a molding process. So,  
7   the patent owner is taking the claims, including the  
8   apparatus claims, and inserting a negative process step, the  
9   use of a mold. They then read that positive process step  
10   into the prior art and distinguish it on that basis.

11           But this phrase does not need to be construed. We  
12   agree with the institution decision; the plain and ordinary  
13   meaning of "adhesive" is tending to adhere, and this is  
14   sufficient.

15           So, the patent owner's construction shouldn't be  
16   adopted for the following reasons. First, use of the term  
17   "glue" in the exemplary embodiments shouldn't limit the term  
18   "secured" in the claims. Second, patent owner's evidence  
19   doesn't show that a molding process would interfere with the  
20   '714 patent's optical positioning process. Third, a person  
21   of ordinary skill would have understood "secured" to include  
22   adhesive applied via a molding process. And fourth, patent  
23   owner's construction of "secured" and "gluing" would be

1     ambiguous.

2             Now, on my first point, the specification doesn't  
3     use the term "glue" in an exclusive way or in a way that  
4     would implicate disclaimer of claim scope.

5             Also, trial was instituted in this case --

6             JUDGE ANDERSON: The fact is, though, that the  
7     specification uses the term "glued by adhesive" several  
8     times. So, the question becomes -- we're not under broadest  
9     reasonable interpretation for this to happen. We need to  
10    look to the specification and we need to look to the claims,  
11    the file history, to tell us what that is -- that "adhesive"  
12    should not be glued.

13            MR. ZWEIG: Well, the claims use the term  
14    "adhesive" rather than "glued" or "secured via adhesive"  
15    rather than "glued," and there is nothing about the way the  
16    term "glued" is used in the specification that would indicate  
17    that the invention of the '714 patent requires any particular  
18    type of adhesive.

19            In fact, there's no indication that "glued" would  
20    be any different from "adhesive" other than the patent  
21    owner's argument that this would exclude a positioning  
22    process.

23            JUDGE ANDERSON: What about -- what about the

1 argument or at least the testimony of Dr. Afromowitz --  
2 Afromowitz -- any way I can do this -- Afromowitz that a  
3 molded resin is not an adhesive? Do you have anything from  
4 Mr. Guidash that would distinguish molded resin and adhesive?

5 MR. ZWEIG: Well, Mr. Guidash did testify that a  
6 molded resin can function as an adhesive. And we would put  
7 forth that Mr. Guidash is simply more credible on this point  
8 than Dr. Afromowitz. Mr. Guidash has years of industry  
9 opinion -- experience, rather, on -- with on hands and  
10 oversight capacity with image sensor packaging. In other  
11 words, he worked in a workshop designing image sensor  
12 packages.

13 On the other hand, Dr. Afromowitz, although he had  
14 a distinguished academic career in the field of optics and  
15 related semiconductors, lacks practical experience with  
16 package design. He took part in only one industry project  
17 related to packaging more than 40 years ago. He taught no  
18 classes on the subject; he did no research on the subject;  
19 and during deposition, he couldn't describe the molding  
20 processes that the patent owners would read out of the '714  
21 patent and into the prior art based on his opinion.

22 Secondly, Dr. Afromowitz's own testimony  
23 contradicts his opinion about the molded resin.

1 Dr. Afromowitz admitted during deposition that resins, in  
2 particular thermosetting resins, were well-known adhesives in  
3 the relevant time frame. You find that at Exhibit 1028, page  
4 48, line 5, to page 49, line 16.

5 And a reference from the relevant time frame,  
6 Dr. Afromowitz's own U.S. Patent 5,009,102, which is  
7 Exhibit 1030, says that the same thermosetting resins can be  
8 used in molds.

9 Now, the patent owner does point to a passage  
10 describing an embodiment that uses injection molding resin in  
11 the '714 patent to form the package itself, but this is an  
12 entirely different unclaimed process step unrelated to the  
13 securing limitation. And the fact that the '714 patent  
14 mentions molding in one context doesn't mean you would  
15 exclude it from every other context.

16 Patent owner's only other real argument that  
17 the -- the securing limitation should be interpreted in this  
18 way to exclude molds focuses on the '714 patent's optical  
19 positioning process. The patent owner argues that the  
20 optical positioning process wouldn't work unless "secured"  
21 was construed as gluing in order to exclude molding  
22 processes.

23 The patent owner first argues that an injection

1 molding process could cause the chip to move, which would  
2 undermine the positioning purpose of the '714 patent. But  
3 Dr. Afromowitz's declaration says that this is a problem with  
4 wire bonded chips, in particular, and a main point of the  
5 '714 patent is that it doesn't use wire bonds to connect the  
6 image sensor but, rather, bumps.

7 Now, Dr. Afromowitz also argues that a mold would  
8 get in the way of the optical positioning process, but his  
9 opinion in this point is unsupported and conclusory. He only  
10 says that a mold would necessarily -- necessarily enclose the  
11 molding resin in chips. He doesn't say anything about why  
12 this would be the case. And perhaps more importantly, this  
13 argument ignores that the positioning step takes place before  
14 the step of securing the image sensor to the package via an  
15 adhesive.

16 So, even assuming that applying adhesive via a  
17 mold -- I'm sorry. Was there a question?

18 JUDGE ANDERSON: You know, I know when I take mute  
19 off, something happens and you know I'm going to ask  
20 something, so, yes, I want to ask something.

21 Which claims -- there are only a few claims that  
22 actually -- excuse me -- that actually recite positioning.  
23 So, which claim did the -- position -- sensor -- I think



1 claims 13 is one. I don't believe claim 1 does. I don't  
2 believe claim 6 does. So, what claims are we talking about,  
3 just so we kind of focus in on this positioning argument?

4 MR. ZWEIG: Your Honor, it's the method claims,  
5 claims 13 through 16. They all teach optical positioning,  
6 and that takes place -- as you can see in claim 13, in column  
7 11, lines 1 through 4, the positioning takes place before the  
8 step of securing via an adhesive.

9 So, the point I was making before is that even if  
10 some type of molding process would interfere with the  
11 positioning process, the positioning process would be  
12 complete before the mold was applied.

13 As I've also -- as I've already discussed in --  
14 somewhat, a person of ordinary skill would have understood  
15 "secured" to include adhesives applied via a molding process  
16 in any case, based Mr. Guidash's testimony, which, as I  
17 mentioned, is more credible on this point than  
18 Dr. Afromowitz's.

19 And in any case, patent owner's position that  
20 "gluing" should be construed is as -- I'm sorry -- that  
21 "secured" should be construed as gluing is ambiguous. During  
22 deposition, Dr. Afromowitz only gave a circular definition of  
23 "glue" as an adhesive substance, and there's no need to

1 construe "adhesive" as glue if "glue" itself is defined as  
2 adhesive.

3 I'd like to move on, if I could, to discuss the  
4 Yoshino and Wakabayashi references in relation to the securing  
5 limitation.

6 Yoshino teaches on page 3 of Exhibit 1003, quote,  
7 "supporting the solid state image sensor 25 in the packaging  
8 substrate 20 via a molded resin 28."

9 Now, first, the term "supporting" means that  
10 Yoshino's resin secures the image sensor to the package.  
11 Patent owner doesn't explain with any particular detail why  
12 "supporting" should be read differently than "securing" in  
13 this context. And, secondly, as I've already mentioned,  
14 patent owner's expert admitted that resins are adhesive  
15 during deposition.

16 Now, it's true, as patent owner points out, that  
17 Yoshino describes a prior art device where the chip is,  
18 quote, "secured via a conductive adhesive," but that Yoshino  
19 mentions these terms in one context doesn't limit how other  
20 terms in Yoshino should be understood.

21 Also, even under patent owner's construction,  
22 Yoshino's resin would meet the secured limitation. This is  
23 because Yoshino doesn't actually disclose the molding

1 processes that patent owner would read out of the claims.

2 All it says is, quote, "a molded resin."

3 Doctor -- Dr. Afromowitz's opinion that Yoshino  
4 teaches molding processes is conclusory. He doesn't explain  
5 why the person of ordinary skill would have understood molded  
6 to acquire any particular process step.

7 Now, the Wakabayashi reference, which is  
8 Exhibit 1004, also teaches securing. In paragraph 16 of  
9 Exhibit 1004, Wakabayashi states that, "the back face side of  
10 the chip is further sealed via an adhesive resin 7. By  
11 constructing the device in this way, the bump joints are  
12 reinforced."

13 Now, Wakabayashi also teaches in paragraph 5 that  
14 the image sensor is joined to the package via bumps. That  
15 Wakabayashi says that it's adhesive resin reinforces this  
16 connection means that the adhesive resin also serves to join  
17 or secure the image sensor to the package. Now, second,  
18 Wakabayashi also explicitly refers to its resin as adhesive.

19 So, even under patent owner's construction,  
20 Wakabayashi's resin would meet the secured limitation.  
21 Patent owner's construction would only exclude molding  
22 processes, and Wakabayashi doesn't even use the word "mold,"  
23 and patent owner doesn't appear to argue that Wakabayashi

1 uses molding processes in any case.

2 Moving on, I'd like to discuss briefly the  
3 insertion into the opening wider area -- "opening with a  
4 wider area" limitation of claim 1.

5 Can we please have page 6 in the petition on the  
6 screen which shows figures 1 and 2 of the '714 patent next to  
7 each other.

8 Now, claim 1 teaches that the image sensor is  
9 mounted in the package, quote, "by being inserted from an  
10 inlet of the package's opening which has a wider area." Now,  
11 patent owner says that Yoshino and Wakabayashi don't teach  
12 this limitation because they show the device only in  
13 cross-section, so you can't tell which opening has a wider  
14 area. This is based on an implicit construction of "wider  
15 area" is greater area. But the claims use the term "wider."  
16 All that's required is that the opening through which the  
17 chip is inserted is wider than the other opening.

18 This is necessary because, as can be seen in  
19 figures 1 and 2, the chip has bump bonds on either side that  
20 need to be bonded to leads on the lower edge of the narrower  
21 upper opening. So, the bottom opening of the package has to  
22 be wider than the top opening along the axis between these  
23 two rows of bumps, and this is what the Yoshino and

1 Wakabayashi references teach.

2 But even under patent owner's construction, you  
3 can tell from these references that the lower opening has a  
4 greater area.

5 Turning first to the Yoshino reference,  
6 Exhibit 1003 -- could we please have page 9 of the petition  
7 on the screen that compares '714 patent figure 2 to Yoshino  
8 figure 1.

9 MS. GU: What page?

10 MR. ZWEIG: Page 9 of the petition, please.

11 Now, Yoshino is presented in the petition under an  
12 anticipation ground, and the inserting limitation is inherent  
13 in Yoshino.

14 Yoshino teaches inserting the image sensor into  
15 the package using the term, quote, "incorporating." Yoshino  
16 also teaches that the top opening is sealed before this  
17 happens. And, so, the sensor must be inserted into the  
18 bottom opening. As the figure shows, the bottom opening is  
19 wider, but you can also tell that the bottom opening has a  
20 greater area.

21 As we discuss in the reply, Yoshino teaches that  
22 the size of the smaller opening corresponds to the area of  
23 only a part of the image sensor, the light sensitive portion.

1 This means that the image sensor chip itself has a greater  
2 area than that of the upper opening, and, so, the lower  
3 opening in which the chip is depicted as mounted must also  
4 have a greater area than the upper opening.

5 Now, the Wakabayashi reference is present under an  
6 obviousness grounds. The analysis is little bit different.

7 Zhuanjia, can we please have page 10 of the  
8 petition comparing '714 patent figure 2 to Wakabayashi figure  
9 2.

10 Wakabayashi does not expressly teach that the top  
11 opening is sealed before the image sensor is inserted. So,  
12 the petitioner, therefore, argues that it would have been  
13 obvious to insert the chip in the bottom opening, which is  
14 shown as wider, for three reasons that are set forth in the  
15 petition.

16 For example, inserting through the wider opening  
17 would have been seen by the person of ordinary skill as the  
18 most predictable result for achieving success. The patent  
19 owner doesn't appear to contest this reason -- reasoning but  
20 only argues that you can't tell which opening has the greater  
21 area from the cross-section of the figure.

22 JUDGE ANDERSON: So, counsel, two things. I  
23 understand you to be basing the wider area being shown by

1 either Yoshino or Wakabayashi on the drawing figures. You've  
2 got that; right?

3 MR. ZWEIG: Insofar as it is being interpreted as  
4 wider along one axis, yes. If your Honors are inclined to  
5 take patent owner's position that "wider area" should be  
6 construed as greater area, then, as I mentioned with Yoshino,  
7 there is a disclosure that allows you to conclude that the  
8 bottom opening has a greater area, which I mentioned  
9 regarding this -- the size of the upper opening corresponding  
10 only to the -- the light receiving portion of the chip and,  
11 therefore --

12 JUDGE ANDERSON: So, help me out briefly, and I  
13 mean briefly. If you don't remember anything, that's fine.  
14 I don't recall anything in the record that says for some  
15 technical reason, the upper opening would be smaller than the  
16 bottom opening so that light could more efficiently or going  
17 to have better access to the image sensor. Is there anything  
18 like that of record?

19 MR. ZWEIG: Your Honor, there are a few portions  
20 where Mr. Guidash discusses this, not necessarily in the  
21 context of discussing which opening is wider than the other  
22 opening, but it is of record that this is what these devices  
23 are intended to do.

1           For example, with the Wakabayashi reference,  
2   Mr. Guidash discusses in his declaration, which is  
3   Exhibit 1002, on -- in paragraph 254, citing Wakabayashi's  
4   paragraph 5, talking about that the opening opposite the  
5   light receiving face of the image sensor must be open, which  
6   implies that the purpose of this opening is so that the light  
7   receiving face can receive light.

8           This indicates that the point of the top opening  
9   is to expose the imaging area of the chip to the light. And  
10   since the imaging area of the image sensor is the part that  
11   has to receive the light, it makes sense that the opening  
12   area would correspond to this portion of the chip's area,  
13   i.e., the chip will be bigger than the top opening and so  
14   would the bottom hole in which the chip is mounted.

15          Now, patent owner has hypothesized that an  
16   upper -- the upper opening could be larger but hasn't  
17   proposed any design reason to expose more of the chip than  
18   the imaging area.

19          And, also, Dr. Afromowitz admitted at deposition  
20   that the shape of the opening would generally correspond to  
21   the shape of the image sensor.

22          Furthermore, discussing the Wakabayashi  
23   obviousness ground, Wakabayashi says that its package is



1    meant to be compact and, given this teaching, it wouldn't  
2    make sense for the upper opening to be unnecessarily wider  
3    than the bottom opening along either axis.

4           Your Honor, I would also point you with regard to  
5    your last question to the discussion in the reply of the  
6    passage I mentioned in Yoshino, which talks about -- let's  
7    see if I can quote it here -- so, in -- in the reply, we cite  
8    Yoshino Exhibit 1003, page 3, that says the smallest area of  
9    the opening 23 formed by this is set to the size that can at  
10   least cover the effective photosensitive section of solid  
11   state image sensor 25, which indicates that the opening --  
12   the purpose of the opening is that the -- to allow light to  
13   reach the photosensitive region of the image sensor.

14           Let's see. I have one minute remaining, and if  
15   your Honors have no further questions, I would like to  
16   reserve that time for rebuttal.

17           JUDGE CHAGNON: Nothing from me.

18           MR. ZWEIG: Thank you.

19           JUDGE CHAGNON: Thank you.

20           MR. KIMBLE: Thank you, your Honors. Again, this  
21   is Justin Kimble for the patent owner.

22           I'm going to start with slide 2 of our  
23   demonstratives with respect to the anticipation ground, and I

1 won't belabor this, but we list here several cases of import  
2 to this analysis in this particular circumstance about what  
3 petitioner is required to show. And that is that the  
4 petitioner must show that each and every element as set forth  
5 in the claim is found in the reference, that the, quote,  
6 "identical invention" must be shown in as complete detail as  
7 is contained in the claim, and that all the limitations are  
8 arranged or combined in the same way as recited in the claim.  
9 Under these cases, all these federal circuit cases, all  
10 identified in our brief, Yoshino simply does not anticipate.

11 And, so, I will turn first on slide 3 to --

12 JUDGE ANDERSON: Let me just ask, counsel, can't  
13 we look, also, into what would be understood by a person of  
14 ordinary skill in the art to confirm the reference even in an  
15 anticipation?

16 MR. KIMBLE: There -- you can look at what is  
17 inherent, but even there, in that -- and we cite that in  
18 our -- you know, in the quotes we have in slide 2, that the  
19 claim is anticipated only if each and every element as set  
20 forth in the claim is found either expressly or inherently  
21 described.

22 So, even there, yes, and I think that's what  
23 you're alluding to, your Honor, but it still must be inherent

1 as set forth in the claim. And, so, it's not an obviousness  
2 type analysis; it still needs to be there either expressly or  
3 inherently, and we don't believe we -- patent owner don't  
4 believe that Yoshino has such a disclosure.

5 And, so, turning first to ground one and the issue  
6 of the construction of "secured," this is on slide 3, all the  
7 claims require that the image sensing device be secured to  
8 the package via an adhesive.

9 And as your Honor alluded to in questions to  
10 opposing counsel, the specification, indeed, does describe  
11 this "secured to via an adhesive" several times in the  
12 context of gluing to the package. I've listed a couple here  
13 on slide 3. We have additional ones cited in our papers. In  
14 fact, there's no example where -- in reference to the figures  
15 or otherwise, where "secured to via an adhesive" is referred  
16 to in some other manner than this.

17 Just by way of example, at column 3, lines 27  
18 through 29, the patent says that "a back side of the CCD chip  
19 is glued to the package via adhesive." Then at column 8,  
20 lines 18 through 22, quote, "ultraviolet hardening adhesive  
21 30 is injected in a specified quantity from the dispenser  
22 which is situated beside a press fit jig to glue four sides  
23 of the CCD chip 41 to the package 21."

1 JUDGE MCKONE: I have a question for you as far as  
2 how you're understanding the claims and -- and gluing. By  
3 "glued" or "gluing," are you referring to the process by  
4 which an adhesive is affixed or is -- by "gluing" do you mean  
5 that the adhesive has to be glued?

6 MR. KIMBLE: The first. The -- the former. It --  
7 the point is -- look, the way this issue --

8 JUDGE MCKONE: I want to make sure I understand.  
9 A resin is -- do you disagree that a resin can be an  
10 adhesive?

11 MR. KIMBLE: Yes, we disagree.

12 JUDGE MCKONE: So, a resin -- a resin cannot be an  
13 adhesive?

14 MR. KIMBLE: At least in terms of -- well, we  
15 disagree that the -- that the molded resin example shown in  
16 the references at issue here satisfy "secured via an  
17 adhesive" as set forth in the patent at issue. I'm -- I  
18 guess I shouldn't go so far as to say there's no instance in  
19 which a resin could potentially act as an adhesive but --

20 JUDGE MCKONE: The reason why I ask, what I'm  
21 trying to get at, is is what you're saying is that the resin  
22 of the prior art cannot be an adhesive because it is  
23 injection molded rather than glued?

1 MR. KIMBLE: In fact --

2 JUDGE MCKONE: Is that the distinction that you're  
3 drawing?

4 MR. KIMBLE: Yes, and, in fact, the record as  
5 we've shown in our papers and as I'm going to explain here  
6 contrasts the two. Yoshino itself --

7 JUDGE MCKONE: Just on the flip side of that, if I  
8 use -- if I applied resin in the way that the challenged  
9 patent describes gluing or applying adhesive using a method  
10 of gluing, would that satisfy the claim limitation? Would  
11 that be securing via an adhesive?

12 MR. KIMBLE: Just so I understand, is your Honor  
13 asking if the patent, the '714 patent, had some disclosure  
14 that says instead of what I --

15 JUDGE MCKONE: No.

16 MR. KIMBLE: No?

17 JUDGE MCKONE: No. What I'm saying is if -- if I  
18 were to apply a resin, the material that is described in the  
19 prior art, if I were to apply it using the process of gluing,  
20 would that satisfy the limitation "securing via an adhesive"?

21 MR. KIMBLE: Well, I think my answer to that has  
22 to be no. I -- I'm not sure -- I'm trying to think about how  
23 to answer that in the abstract and the practicalities of it.

1 JUDGE MCKONE: Well, let me -- I'm not trying to  
2 hide the ball here, so I'll lay my cards on the table. I'm  
3 concerned that -- we have claim 1, for example, is an  
4 apparatus claim. I'm concerned we're trying to inject into  
5 this claim a process step of the way that an adhesive is  
6 applied. Is that really what's going on here? Is that what  
7 we're -- what we're trying to do, put in a process step such  
8 that adhesive has to be applied in a certain way?

9 MR. KIMBLE: No. No. The issue is -- is -- is  
10 really what -- what does that -- what does that adhesive do  
11 in the claims. And the claims say it secures. Yoshino  
12 contrasts that itself with using molded resin to, quote,  
13 "support." And -- and our contention is securing and  
14 supporting are just different things. And, so, the -- the  
15 claimed adhesive has to secure the package. And -- and --

16 JUDGE MCKONE: But Yoshino's res -- does Yoshino's  
17 resin keep things together, make sure things don't fall apart  
18 or fall out?

19 MR. KIMBLE: Well, so, it's -- and it's in our  
20 papers where it distinguishes these two. I would -- I will  
21 say to you that, you know, the table that I'm looking at  
22 right here is supporting a cup of water. I don't think -- I  
23 don't think in the normal understanding of this, it's

1   securing that cup of water. I think "support" definitely is  
2   different than "secure." And I think that the patent -- the  
3   '714 patent's way of describing what that means is describing  
4   it in the context of glue.

5           And, so, the reason, you know -- as in any  
6   construction context, you know, we have -- we're looking at  
7   this with a view of what the prior art -- you know, what is  
8   at issue here, and what's at issue are these references that  
9   talk about this molded resin, and the way that those act is  
10   just different than what is described as "securing via an  
11   adhesive" in the patent. And, moreover --

12          JUDGE MCKONE: So, it's your contention -- and I'm  
13   sorry to cut you off. It's your contention that the Yoshino  
14   resin does not adhere, therefore, it is not adhesive.

15          MR. KIMBLE: I think that's right, yes, your  
16   Honor.

17          JUDGE MCKONE: Okay. Thank you. I understand  
18   your position better now.

19          JUDGE ANDERSON: I had one additional question  
20   sort of along that same line. It's confusing to me that --  
21   for example, you cite in your slide 3, I guess, the spec that  
22   says, "the chip is glued to the package via adhesive." But  
23   isn't that really just saying that we're securing the -- the

1 chip to the package -- and that's what the claim says -- by  
2 adhesive? That is what -- what the claim says; right?

3 MR. KIMBLE: That's right. And -- and I think  
4 this gets somewhat to the argument that you heard from  
5 petitioner about sort of building sort of a negative  
6 limitation or something like that. Although that is often  
7 what you -- what you -- what you have here, where the  
8 question at issue really ultimately is, in several of these  
9 references, whether they disclose a device where it is  
10 secured to a package via an adhesive.

11 And, so, as a way of pulling out, drawing out why  
12 that is not the case, patent owner has focused on this  
13 repeated use of this term "glue," "gluing," "glued," as to  
14 what that means and contrasted that with the -- the injection  
15 molding.

16 And I would say the patent itself, and we cite  
17 this in our papers and also in our -- in our slides -- this  
18 still -- I'm on slide 4. It's -- it starts at the bottom of  
19 column 4 where the patent describes -- this is column 4, 67,  
20 it's the sentence that begins the last word on the page, and  
21 it says, "Epoxy resin mixed with inorganic filler is injected  
22 into a mold where the lead frame 24 made up of phosphor  
23 bronze, et cetera, is disposed and is -- is molded at a high



1 temperature."

2       So, this is where the patent, when it talks about  
3 this type of injection molding, it -- it says it. But just  
4 at the bottom of that paragraph, when it's talking about  
5 "securing via an adhesive" -- this is column 5 now -- this  
6 is, I think, what I just cited to -- line 18, although this  
7 same language is used three or four times -- and where it  
8 says, "at the same time, ultraviolet hardening adhesive 30 is  
9 injected in a specified quantity from a dispenser which is  
10 situated beside a press-fit jig to glue four sides."

11       So, the, patent -- the inventors knew what to say  
12 when they were talking about using this resin versus when  
13 they were trying to describe securing versus an adhesive --  
14 or via an adhesive. I apologize.

15       JUDGE MCKONE: But they also know how to say,  
16 "glue," if that's what they meant.

17       JUDGE ANDERSON: Yeah, exactly. Is there a single  
18 claim -- I don't think there is -- that says, "glued," as  
19 opposed to "adhesive"?

20       MR. KIMBLE: No.

21       JUDGE ANDERSON: And, so, we've seen this a couple  
22 of times today, you're taking something from the  
23 specification, arguably, and reading it into the claims in

1 order to construe "adhesive"; right? And that really is what  
2 you're saying.

3 MR. KIMBLE: Well, you know, I heard what your  
4 Honor say -- says. In this instance, in particular, given  
5 the repeated consistent use of "glued" to describe "securing  
6 via an adhesive," I think -- I think it may be that glued --  
7 "glued" and "secure" -- "glued" and "secured" are synonymous  
8 here. It is a way to try to distinguish the petitioner's  
9 attempt to read these claims, we think wrongly, onto art  
10 where the package is not secured via an adhesive. So --

11 JUDGE ANDERSON: Well, but you just said something  
12 that I agree with and that is when you look at your slide 4  
13 again, it says the chip is glued to the package by adhesive,  
14 and you just said gluing is the equivalent of secure. And,  
15 you know, it's very difficult to see how you can go from that  
16 statement to say, well, the adhesive is glue. You're going  
17 to have to -- now, I'll give you the opportunity. Does  
18 Dr. Afromowitz say anything about that?

19 MR. KIMBLE: Well, the patent itself, you know,  
20 says this. I mean I -- well, okay. Let me back up.

21 Our construction has been that "secured to said  
22 package via an adhesive" means that it's glued to said  
23 package via an adhesive. We're -- we're not trying to say

1    that -- again, it's an attempt to draw the bounds on what is  
2    fairly -- fairly covered by these claims, and what is not  
3    covered is the molded resin that's shown in the prior art.

4           We think that the patent's description, the use of  
5    "glued" to describe what "secured via an adhesive" means  
6    illustrates that point. So, at the end of the day, whether  
7    the term is construed, as we propose, or not construed, this  
8    disclosure -- in view of this disclosure, the prior art,  
9    Yoshino and I'll say Wakabayashi, neither one secure package  
10   via an adhesive.

11          So, I guess to tie all that up, we do think it  
12   ought to be construed as we've proposed. I am saying even if  
13   you were not to do that, for example, because you find that  
14   it's a necessary, given the way that the patent uses the term  
15   "glue" in conjunction with adhesive like the claim uses  
16   "secured" -- using that reading of the language and that  
17   understanding of what "secured to a package via an adhesive"  
18   means, it cannot read on the prior art references at issue  
19   here.

20          JUDGE ANDERSON: Would you move -- I'm going to do  
21   what we did before because I think there are some issues here  
22   we want to hear about. What about this "wider area" term in  
23   claim 1?

1           MR. KIMBLE: Yes, sir. And, so, I was going -- to  
2 be brief on that, I would say a couple of things. So, we'll,  
3 you know, generally rely on our papers. But I did want to  
4 say -- not sure I totally follow the distinction between  
5 "wider area" and "greater area." The bottom line is it has  
6 to be an area and area requires two dimensions.

7           So, our point is that the disclosure in the prior  
8 art references shows a dimension. It doesn't show the  
9 totality of the area and -- and based on our expert's  
10 opinions and based upon other art, we've shown this -- it's  
11 actually mentioned in our slides and in our papers, there's a  
12 wide variety of ways to have these opening structure, there's  
13 just not sufficient information to conclude that it has a  
14 wider area.

15          And I would point out that what I heard petitioner  
16 say is we, as patent owner, has not provided a sufficient  
17 basis to explain why it couldn't. Well, that's,  
18 respectfully, in our view, not our burden. It's their burden  
19 to show that that disclosure is there, and we simply don't  
20 think that it is.

21          JUDGE MCKONE: Now, why is -- is this even a  
22 limitation, "mounted in said package by being inserted from  
23 an inlet of said opening which has a wider area"? The

1 opening itself that had the wider area is not -- does not  
2 seem to be recited as a part of the structure of claim 1.  
3 Rather, this seems to be a step of assembly of the product.  
4 So, why should we consider that a limitation of a -- of an  
5 apparatus claim?

6 MR. KIMBLE: So -- and I'm trying to answer your  
7 Honor's question. I'm thinking about it. So, you're  
8 asking -- so, taking claim 1, for example --

9 JUDGE MCKONE: Yes, that seems to be the -- the  
10 biggest offender here, as far as I can tell.

11 MR. KIMBLE: And, so, you're asking why -- why the  
12 claim language in the third, you know, paragraph there of  
13 claim 1 about "inserted from an inlet of said opening which  
14 has a wider area," why that ought to be a limitation on the  
15 claim?

16 JUDGE MCKONE: Right, the -- the process -- it  
17 seems to me that this is a process of assembling the  
18 apparatus, where "inserting from an inlet of said opening,"  
19 this inserted -- this inserted process. Well, is that just  
20 simply -- it's a method step for assembling and, if not,  
21 how -- how do we view this as structure?

22 MR. KIMBLE: Well, your Honor, I can't -- I guess  
23 my response is I don't know of how -- what would be the basis

1 to just read out that -- that language all together and  
2 pretend like it's not there. I don't think it is simply -- I  
3 do think there is structure there. I mean it's talking about  
4 the relative areas of the -- of the -- you know, the through  
5 hole, the top and the bottom. I think that's structural.

6 So, I think that it is -- I think that it is a  
7 limitation, and I think that, from either perspective,  
8 whether you were looking at it from an infringement  
9 perspective or invalidity perspective, you would have to make  
10 some showing about it. I suppose I don't --

11 JUDGE MCKONE: There's some ways to look at this  
12 that have been going through my mind. One is sort of a  
13 product by process claim where we look at the final product  
14 that's created by the -- the process steps recited in the  
15 claim but not -- but we don't necessarily require a showing  
16 of the process by which that product was made.

17 And another way to look at this is we have method  
18 steps recited in an apparatus claim which may be problematic  
19 under some of the Federal Circuit case law.

20 So, I'm hoping you can help guide me in how I  
21 should understand this language in claim 1 that looks sort of  
22 method-like.

23 MR. KIMBLE: Well, I -- I think that -- I think

1 that regardless of how it's ultimately -- how it's inserted,  
2 there still has to be the presence of an opening with a wider  
3 area. I -- I don't see another way -- I don't see another  
4 way around it. And maybe I'm just not following because I  
5 haven't considered that question. That's possible.

6 JUDGE ANDERSON: So, what about this wider area?  
7 You argue that "wider area" is a greater area. That seems to  
8 require that we construe "wider area" in some way; whereas  
9 "wider area," the plain language says the wider area. The  
10 wider area could be ten feet wide and one foot high and  
11 the -- the area -- the upper area could be three foot wide  
12 and ten feet high and then it would be a wider area. I mean  
13 I don't see why -- how we get to the overall area as opposed  
14 to a wider area.

15 MR. KIMBLE: I do understand what you're -- what  
16 you're asking and what petitioner argued in their remarks.  
17 I -- our -- I would say our view, when we were responding to  
18 the petition, was that petitioner had just disregarded the  
19 word "area" and was just focused on the -- or assumed  
20 something, assumed something about the depth to show that  
21 there's a -- you know, the area's larger on top than on  
22 bottom.

23 JUDGE ANDERSON: Well, but -- I get that. I get

1 that. They're pointing to these drawing figures and saying  
2 the lower area is wider than the top area; ipso facto, that's  
3 what we're after. But you're saying that there's a  
4 construction issue here, at least as I understand the  
5 argument, so how would we get to that construction. If  
6 you -- I don't recall anything in your papers other than your  
7 argument that says it's the wide -- it's the larger area as  
8 opposed to a wider area.

9 MR. KIMBLE: Well, I think, in our papers, we  
10 have -- we looked at the wider area to -- in a different way  
11 than the way your Honor described it a few minutes ago where  
12 you said, you know -- you know, it's greater in the width  
13 direction and not so much concerned about the depth, as I  
14 understood what you said.

15 We looked at it as an area, period. You know, an  
16 area -- to me, "area" has a pretty fundamental meaning. You  
17 have to have different dimensions, two dimensions, to come up  
18 with the area, and I guess we thought of wider area and  
19 greater area as the same thing, not as a distinguishing  
20 between those -- between those two.

21 I'm not sure -- and I was thinking this as I was  
22 sitting here listening to the opening arguments -- that I've  
23 ever thought about a -- I mean outside this context, even, of



1 a wider area. I mean an area is an area. Either it's more  
2 or less, to me. So, that's just not something -- not  
3 something that I think that we had addressed in that way.

4 So -- so, I guess, to go back to your question,  
5 are we asking for a construction that "wider area" means  
6 greater area, I didn't think that was necessary before today.  
7 To the extent that it is, I think an area means -- there's  
8 one meaning for "area," in my opinion, and that is that  
9 there's more of it -- if you're comparing one area to  
10 another, either there's more of it or there's not or they're  
11 the same or whatever, not wider area or, you know, thinner  
12 area or whatever, you know, limitation one would want to put  
13 on it.

14 We didn't understand the patent to mean to  
15 distinguish it in that way.

16 JUDGE ANDERSON: Okay. Can you talk about -- I  
17 don't want to take you out too far afield of what you want to  
18 do here, but can you talk about claim 8, which talks about  
19 the shading film covering the entire upper surface except for  
20 the -- the image sensor is. I may be able to help you here.  
21 I think that's on your slide 14.

22 MR. KIMBLE: Yes, that's right, your Honor, we  
23 treat that on slides 14 and 15. And for purposes of

1 expediting, I was going to just rely on our slides and papers  
2 but as we -- as we -- as we do say there here, let me, for  
3 purposes of folks in the room, let me get to slide 14.

4       Okay. So, as we say here, it's our contentions  
5 that the references don't disclose a film that covers an  
6 entire upper surface except for the upper surface of the  
7 solid state imaging sensing device.

8       Hirosawa, which is what we understand they're  
9 looking at here, has this transmission means between 2L and  
10 2R -- this is again shown on 14 -- and the contact pads  
11 around the edges. And, so, the film is -- there is more than  
12 just the upper surface of the solid state imaging device  
13 exposed is our -- is our -- is the argument that we've made.

14       JUDGE ANDERSON: All right. I hear your argument  
15 and I think I want to hear what the petitioner says about  
16 that. So, perhaps.

17       What about the method claims and the positioning  
18 issue which you argue -- I think I recall that this is argued  
19 not really focusing on any particular claim, but I think  
20 claim 13 really is about positioning. So, what is it that  
21 your -- that your -- and I think that's in slide 19. Is  
22 this -- is this a motivation in the mind argument? Looks  
23 like it.

1           MR. KIMBLE: That's right. And there was another  
2 point brought up in the opening remarks about that and the  
3 question of whether -- and this is shown in their reply, too,  
4 about whether the '714 patent discloses wire bonding, as  
5 opposed to just some of the claims talk about connecting  
6 versus a bump. And there's certainly figures that -- that  
7 disclose that.

8           However, there are also figures, like figures 6  
9 and 7, that clearly show the connection where it's wire  
10 bonded via a metal wire. This is, just for the record,  
11 figure 6. The CCD chip is 41. 47 are the wires. It's  
12 described in the accompanying disclosure. So, it's not  
13 limited to the use of the bumps.

14          In addition to that, here, as you pointed to me --  
15 pointed me to on claim 19, Fujii, which is -- is what  
16 petitioner is looking to combine with Wakabayashi, does not  
17 claim that the ledges improve the design, and petitioner  
18 doesn't explain why a person of ordinary skill would be  
19 motivated to use the ledge, in other words, what benefit  
20 there would be to look to Fujii to pull those ledges into  
21 Wakabayashi. Why would you do that if there's -- there's no  
22 apparent benefit to them? And, so -- and our expert,  
23 Dr. Afromowitz has testified that that combination would

1 provide no design advantages.

2       So, there's no reason -- it's -- it's -- there is  
3 no reason to make the combination they're trying to make.  
4 It's just this would be the impermissible finding of a  
5 limitation of some other prior art and just saying, "Oh, it's  
6 over there." There's no explanation of why you would combine  
7 those together.

8       And my time is running low, but I did want to make  
9 one point just here where I've cited to Dr. Afromowitz  
10 because there's been a few comments here during this matter.  
11 There's no contention -- I just want to be clear, there's no  
12 contention that Dr. Afromowitz is not qualified, that he's  
13 not a person of ordinary skill in the art. There's not this  
14 heightened standard that petitioner seems to be implying.

15       He's certainly well qualified enough to analyze  
16 these patents and the references and determine whether there  
17 are differences or whether persons of ordinary skill in the  
18 art at the time would make modifications or combine them.

19       I see my time is -- I'm over the limit now. Are  
20 there other questions you would like me to answer or should I  
21 conclude?

22       JUDGE ANDERSON: Yeah. Let me just clarify  
23 something I said, which was that slide 19, claim 13 --

1 grounds 13 in claim 2, and you addressed that. So, just so  
2 the record is clear, I did not say that.

3 MR. KIMBLE: Okay. Thank you, all.

4 JUDGE CHAGNON: Thank you.

5 MR. ZWEIG: Your Honor, I would like to begin by  
6 addressing the shading film limitation in claim 8. Now, the  
7 petition doesn't argue that Hirosawa or Nita's shading film  
8 should be bodily incorporated into the Yoshino device.  
9 Rather, the petition says that protecting peripheral circuit  
10 elements with the shading film while leaving the image  
11 sensing elements unshaded was a well-known technique with  
12 well-known advantages that would have been well within  
13 ordinary skill and cites those two differences as examples of  
14 this.

15 Combination argues that if you use such a shading  
16 film with the device of Yoshino or Izumi -- Yoshino and Izumi  
17 or Nagano, rather, the person of ordinary skill would know to  
18 shade the light receiving elements and not shade the other  
19 portions of the device -- I'm sorry -- not shade the light  
20 receiving elements and shade the other portions of the device  
21 and that would lead a person of ordinary skill to that claim  
22 limitation.

23 Now, patent owner's response doesn't address this

1 obviousness argument. Instead, patent owner argues that  
2 Hirosawa and Nita don't use enough shading film to meet the  
3 language of claim 8 on their own, but the way that patent  
4 owner would read the shading film limitation would exclude  
5 embodiments of the '714 patent.

6 Just briefly, the Nita reference, which is  
7 Exhibit 1011, patent owner doesn't dispute that Nita's  
8 adhesive layer 3 is a shading film or that the portion of  
9 Nita's substrate, the chip, 1 -- chip 1, that is not the  
10 image sensor is underneath the shading film. Rather, patent  
11 owner says that the shading film wouldn't protect against  
12 light entering at an angle because of the space between the  
13 substrate and the film. But this space between the substrate  
14 and the film is just what figure 7 of the '714 patent shows.

15 Regarding the Hirosawa reference, Exhibit 1020,  
16 patent owner mentioned that Hirosawa teaches single  
17 transmission means but doesn't say that these are shaded.  
18 But the Hirosawa reference doesn't teach that the signal  
19 transmission means are on an upper surface of the substrate,  
20 and this is what claim 8 requires to be shaded.

21 On a different subject, patent owner mentioned  
22 that in certain embodiments of the '714 patent, chips are  
23 mounted onto a substrate using wire bonds. However, this is

1 relevant to the arguments that the resin applied might move  
2 the chip with wire bonds, as Dr. Afromowitz testified.  
3 However, the resin shown as applied in the figures of Yoshino  
4 and Wakabayashi doesn't reach the interior portion of the  
5 substrate where these chips are to be mounted. It only  
6 reaches as far as the bump bonds to reinforce those bonds  
7 and, so, this wouldn't be an issue even then.

8       Turning to another point, patent owner argued that  
9 there are no examples in the specification of "secured via an  
10 adhesive" being referred to as anything other than gluing.  
11 However, there is one such example, or at least one such  
12 example. In column 6, line 38, of the '714 patent,  
13 Exhibit 1001, the patent refers to the CCD module is fixed to  
14 the package 21. The term "fixed" is different from the term  
15 "glued."

16       One more point on the securing. Patent owner  
17 argued that "supporting" is different from "securing," and in  
18 this -- in the context of these particular references, that's  
19 not the case. Patent owner used an argument or an example,  
20 rather, of a glass of water being supported by the table.  
21 Now, this might be right for the ordinary understanding of  
22 what the term "supporting" means in everyday use that, you  
23 know, something is supported against the force of gravity by

1 something underneath -- underneath that thing, but that's not  
2 what Yoshino is describing and it's not what Wakabayashi is  
3 describing. They're talking about a resin that supports the  
4 chip in the package. It keeps it in the package regardless  
5 of what direction force is being applied. It's a different  
6 situation.

7 Also, if Yoshino's resin is not a self-adhesive,  
8 it's not clear what's keeping it in the package.

9 Patent owner argued that there isn't really a  
10 distinction between "wider area" and "greater area," but, of  
11 course, area is not measured in width, as your Honors  
12 mentioned.

13 So, two more points I'd like to address. With  
14 regard to patent owner's last argument about Dr. Afromowitz's  
15 qualifications, I remind your Honors that qualifications are  
16 not the point here. We are not arguing that he is  
17 unqualified as an expert in his fields; rather, the point is  
18 credibility on the particular matters upon which he opined.  
19 And as we set forth in our reply, Mr. Guidash is more  
20 credible on these particular points.

21 Now, finally, turning to the ground 13 combination  
22 regarding the ledge, this is the combination with Wakabayashi  
23 in view of Fujii, I would point out that the petition on page



1 55 actually does describe a reason to -- to make this  
2 modification -- could you bring up page 55 of the petition --  
3 states one of ordinary skill would have known to extend the  
4 edge of Wakabayashi's packages upward in order to hold  
5 Wakabayashi's transparent lid, which is sealing glass 8,  
6 creating the ledge disclosed in Fujii. And Mr. Guidash  
7 discusses this in his declaration.

8       There were two possibilities for how this lid  
9 could be held, and one of skill would immediately have been  
10 able to visualize both with no unpredictable results. That's  
11 why the petition says that this is a simple substitution of  
12 one element for another in order to obtain predictable  
13 results.

14       Now, the function of the ledge in claim 2 is  
15 structural. The inner leads are adjacent to the ledge's  
16 lower surface. But this part of claim 2 is actually taught  
17 by Wakabayashi.

18       If you can scroll up on page 55, it shows  
19 Wakabayashi's figure 2.

20       The bottom portion of the ledge holding the inner  
21 lead is present in the unmodified figure 2 of Wakabayashi.  
22 The only thing that Wakabayashi doesn't disclose is an  
23 inwardly projecting structure that has a separate upper

1 surface than the upmost surface of the package body itself,  
2 that step shape that you can see in the figure.

3 Now, the claims don't ascribe any particular  
4 function to the upper surface of this ledge. Figure 7 and 8  
5 of the '714 patent show a transparent ledge -- sorry --  
6 transparent lid sitting on the upper surface of the ledge,  
7 but this is not a claim feature. And this is what the  
8 petition argues that one of skill would have made this  
9 combination in order to accomplish to sit the lid inside the  
10 package, not related to any of the claim features.

11 Unless there are no further questions, your  
12 Honors, I will wrap up my presentation at that point.

13 JUDGE ANDERSON: Nothing further from me.

14 JUDGE MCKONE: Nothing further from me.

15 JUDGE CHAGNON: All right. Well, thank you,  
16 everybody, for your presentations today. It's been very  
17 helpful. This hearing is adjourned.

18 (Off the record at 4:15 p.m.)

Case IPR2016-00941  
Patent 5,952,714

PETITIONER:

Matthew Smith  
Zhuanjia Gu  
TURNER BOYD LLP  
[smith@turnerboyd.com](mailto:smith@turnerboyd.com)  
[gu@turnerboyd.com](mailto:gu@turnerboyd.com)

PATENT OWNER:

Terry Saad  
Nicholas Kliewer  
BRAGALONE CONROY, PC  
[tsaad@bcpc-law.com](mailto:tsaad@bcpc-law.com)  
[nkliewer@bcpc-law.com](mailto:nkliewer@bcpc-law.com)