

1 UNITED STATES PATENT AND TRADEMARK OFFICE  
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD COLORADO  
3 Case No. IPR2018-00190  
4 Patent No: 8,945,287

---

5 CONFIDENTIAL DESIGNATIONS PENDING  
6 DEPOSITION OF: GREGORY W. HAGGQUIST, PH.D.  
7 December 5, 2018

---

8 VF OUTDOOR, LLC,  
9 Petitioner,  
10 v.  
11 COCONA, INC.,  
12 Patent Owner.  
13

---

14 PURSUANT TO NOTICE, the deposition of  
15 GREGORY W. HAGGQUIST, PH.D. was taken on behalf of the  
16 Petitioner at 1801 California Street, Suite 3300,  
17 Denver, Colorado 80202, on December 5, 2018, at  
18 9:02 a.m., before Sandra L. Bray, Registered Diplomat  
19 Reporter, Certified Realtime Reporter, and Notary  
20 Public within Colorado.  
21  
22  
23  
24  
25

<p>1 A P P E A R A N C E S Page 2</p> <p>2 For the Petitioner:</p> <p>3 PETER A. GERGELY, ESQ.</p> <p>4 KATHLEEN E. OTT, ESQ.</p> <p>5 Merchant &amp; Gould P.C.</p> <p>6 1800 California Street</p> <p>7 Suite 3300</p> <p>8 Denver, Colorado 80202</p> <p>9 For the Patent Owner:</p> <p>10 JASON S. JACKSON, ESQ.</p> <p>11 Kutak Rock</p> <p>12 The Omaha Building</p> <p>13 1650 Farnam Street</p> <p>14 Omaha, Nebraska 688102</p> <p>15 Also Present:</p> <p>16 Indhira Lapuma, Esq.</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p>1 Exhibit 11 Arguments Submitted with the Page 4</p> <p>2 Filing of a Request for 65</p> <p>3 Continued Examination</p> <p>4 Exhibit 12 Lab Notebook 4, Pages 15 and 16 71</p> <p>5 Exhibit 13 Response to Office Action 76</p> <p>6 Exhibit 14 Cocona, Inc. v. VF Outdoor, 109</p> <p>7 LLC, Infringement Claim Chart</p> <p>8 for U.S. Patent No. 8,945,287 B2</p> <p>9 Exhibit 15 Competitor.com website printout 118</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>
<p>1 I N D E X Page 3</p> <p>2 EXAMINATION OF GREGORY W. HAGGQUIST, PH.D.: PAGE</p> <p>3 December 5, 2018</p> <p>4 By Mr. Gergely 5, 135</p> <p>5 By Mr. Jackson 129</p> <p>6 INITIAL</p> <p>7 DEPOSITION EXHIBITS: REFERENCE</p> <p>8 Exhibit 1 Petitioner's Notice of 5</p> <p>9 Deposition of Dr. Gregory</p> <p>10 Haggquist</p> <p>11 Exhibit 2 Declaration of Dr. Gregory W. 7</p> <p>12 Haggquist</p> <p>13 Exhibit 3 Second Declaration of 11</p> <p>14 Dr. Gregory W. Haggquist</p> <p>15 Exhibit 4 Jurassic Activated Carbon 14</p> <p>16 Blog, "What is the</p> <p>17 Activated Carbon, 5/9/13</p> <p>18 Exhibit 5 United States Patent Number 19</p> <p>19 8,945,287</p> <p>20 Exhibit 6 United States Patent Application 20</p> <p>21 Publication Number 2004/0018359</p> <p>22 Exhibit 7 Declaration of Dr. Gregory W. 29</p> <p>23 Haggquist</p> <p>24 Exhibit 8 "Electrochemical characterization 34</p> <p>25 of binary carbon supported</p> <p>electrode in polymer electrolyte</p> <p>fuel cells"</p> <p>Exhibit 9 "Micro-porous layer with 39</p> <p>composite carbon black for</p> <p>PEM fuel cells"</p> <p>Exhibit 10 "Active Particle-Enhanced 62</p> <p>Membrane and Methods for</p> <p>Making and Using the Same</p>	<p>1 WHEREUPON, the following proceedings Page 5</p> <p>2 were taken pursuant to the Federal Rules of Civil</p> <p>3 Procedure.</p> <p>4 * * * *</p> <p>5 (Deposition Exhibit 1 was marked.)</p> <p>6 GREGORY W. HAGGQUIST, PH.D.,</p> <p>7 having been first duly sworn or affirmed to state the</p> <p>8 whole truth, testified as follows:</p> <p>9 (Deponent's reply to oath: "I do.")</p> <p>10 EXAMINATION</p> <p>11 BY MR. GERGELY:</p> <p>12 Q. Good morning, sir. Please state your</p> <p>13 name for the record.</p> <p>14 A. Gregory Haggquist.</p> <p>15 Q. And what do you do for a living?</p> <p>16 A. I'm a chemist.</p> <p>17 Q. Who is your employer?</p> <p>18 A. Cocona.</p> <p>19 Q. And how long have you been employed by</p> <p>20 Cocona?</p> <p>21 A. Since the year 2000.</p> <p>22 Q. And were you a founder of Cocona?</p> <p>23 A. Yes.</p> <p>24 Q. And what's your current title?</p> <p>25 A. Chief technical officer.</p>

Page 6

1 Q. And are you an owner? Do you own shares  
2 or member interests?  
3 A. I own shares in the company, yes.  
4 Q. And Cocona is a what? Is it a C corp or  
5 an S corp?  
6 A. I believe a C corp.  
7 MR. JACKSON: Peter, can you do a roll  
8 call, please?  
9 MR. GERGELY: Sure. Peter Gergely for  
10 Petitioner, VF Outdoor, LLC.  
11 MS. LAPUMA: Indhira Lapuma, in-house  
12 counsel for VF Outdoor, LLC.  
13 MS. OTT: Kathleen Ott with Merchant &  
14 Gould.  
15 MR. JACKSON: Jason Jackson for Cocona.  
16 Thank you.  
17 Q. (BY MR. GERGELY) Sir, I've handed you  
18 Petitioner's Notice of Deposition of Dr. Gregory  
19 Haggquist, labeled Haggquist Deposition Exhibit 1.  
20 Have you seen this document before today?  
21 A. No.  
22 Q. In any event, you are here today to  
23 testify on behalf of Cocona?  
24 A. Yes.  
25 Q. And you've submitted two declarations in

Page 7

1 this IPR matter, correct?  
2 A. Correct.  
3 Q. Let me show you the first one.  
4 (Deposition Exhibit 2 was marked.)  
5 Q. Sir, do you recognize Haggquist  
6 Deposition Exhibit 2 as the first declaration you gave  
7 in this case?  
8 (The deponent perused the exhibit.)  
9 A. Yes.  
10 Q. And is it accurate?  
11 A. I'm not clear on your question. Is this  
12 the deposition I made? Yes, this is the deposition I  
13 made.  
14 Q. Just to be clear, it's a declaration.  
15 So there's a little bit --  
16 A. Declaration, excuse me.  
17 Q. -- of verbiage difference between the  
18 two.  
19 A. Sorry. My legalese isn't very good.  
20 Q. I understand. Did you understand it to  
21 be accurate at the time you signed it?  
22 A. Yes.  
23 Q. And did you prepare it on your own or  
24 did you have some help?  
25 A. I prepared it, but I did have help.

Page 8

1 Q. And was the help legal counsel?  
2 A. Legal counsel.  
3 Q. Did anyone else who wasn't a lawyer give  
4 you help on this?  
5 A. No.  
6 Q. And so drawing your attention to  
7 Paragraph 8, you say a POSITA -- first of all, is that  
8 a person of ordinary skill in the art?  
9 A. Yes.  
10 Q. And you consider yourself to be a POSITA  
11 in this field?  
12 A. In the declaration, Paragraph 7, "I have  
13 reviewed the '287 Patent and the '359 Application and  
14 consider myself a person of ordinary skill in the art  
15 with respect to the subject matter of the '287 Patent  
16 and the '359 Patent [sic]."  
17 Q. Okay. And then you go on to say that "A  
18 POSITA understands that 'active particles' are  
19 'active' because they have the ability to adsorb or  
20 trap substances at their surface." Do you see that?  
21 A. Yes.  
22 Q. And that's an accurate statement, right?  
23 A. Yes.  
24 Q. And what do you mean by "surface"?  
25 A. So to determine surface, you have to

Page 9

1 understand particle and a particle shape and geometry.  
2 So if I give you an example of this building, for  
3 example, I was on the outside of the building and all  
4 the doors were closed, the surface of that building  
5 would be the outside. If I opened up all the windows  
6 and opened up all the doors and had porosity, then not  
7 only is the outside the surface, but the floor now,  
8 the ceiling, and all the walls within the building are  
9 the surface.  
10 Q. I think I understand. So it's -- for  
11 lack of a description, is it sort of the internal  
12 surface?  
13 MR. JACKSON: Object to form.  
14 Q. (BY MR. GERGELY) Say, for example, if  
15 the perimeter of the building was considered a surface  
16 and then you opened windows and doors, there would be  
17 a surface extending, say, into the interior of the  
18 perimeter; is that correct?  
19 A. Yes.  
20 Q. Okay. And is that true with respect to  
21 activated carbon, that it has these fairly deep pores  
22 in it that extend down into the interior of the  
23 particle?  
24 MR. JACKSON: Objection; form,  
25 foundation.

<p style="text-align: right;">Page 10</p> <p>1 A. Activated carbon has a porosity, and 2 that porosity creates surface area. 3 Q. Okay. And like -- well, for example, 4 take a look at Paragraph 11. You provide a 5 demonstrative trying to demonstrate the difference 6 between absorption and adsorption. Do you see that? 7 A. Yes. 8 Q. And you show absorption, you show some 9 particles going into the interior of the particle, and 10 then adsorption, you show those particles adhering to 11 the perimeter, correct? 12 A. Correct. 13 Q. But it would be more accurate to show 14 adsorption to show those particles actually beneath 15 the perimeter, correct, in some form or fashion; is 16 that correct? 17 MR. JACKSON: Objection; form, leading. 18 A. So the ability to draw a three- 19 dimensional material is difficult. This is just an 20 illustration. 21 Q. (BY MR. GERGELY) I get that. I 22 understand it's an illustration. That's what I am 23 asking you. There is probably a certain limitation 24 through CAD or whatever other program you're using. 25 A. Well, I'm just not an artist.</p>	<p style="text-align: right;">Page 12</p> <p>1 Q. (BY MR. GERGELY) Yes. Have you seen 2 other depictions of activated carbon which show the 3 pores extending deep below the perimeter of the 4 particle, say deep into the interior? 5 MR. JACKSON: Objection; form, 6 foundation. 7 A. So my declarations -- my two 8 declarations don't address that. So I haven't 9 specifically prepared for that, but I'd be happy to 10 answer based on what I recollect, and I have seen 11 pictures of activated carbon using SEMs. 12 Q. (BY MR. GERGELY) And how far down below 13 the surface do those pores extend typically? 14 MR. JACKSON: Objection; form, 15 foundation, scope. 16 A. Again, I do not believe I discuss that 17 in my declaration. Do you have a specific type of 18 activated carbon you'd like to ask about and a 19 specific technical data sheet? 20 Q. (BY MR. GERGELY) Well, I'm just asking 21 about activated carbon generally. 22 A. There are a wide range of activated 23 carbons. 24 Q. Well, on Haggquist 2, could you -- on 25 Paragraph 11, could you draw, for example, what a pore</p>
<p style="text-align: right;">Page 11</p> <p>1 Q. Got it, got it. But these particles 2 don't stick to the perimeter solely in a porous 3 material. They sometimes go into the actual interior 4 of the particle, correct? 5 A. If there was a pore structure, yes. 6 Q. And have you seen more detailed 7 depictions of activated carbon showing these pores 8 extending deep into the interior of the particle? 9 MR. JACKSON: Objection; form, 10 foundation. 11 A. May I see the copy of my second 12 declaration? 13 Q. (BY MR. GERGELY) Yeah, I don't think 14 that has any such cartoon in it, but I'm happy to give 15 you a copy. 16 A. It does not have a cartoon. 17 Q. Right. Oh, did you want to see some 18 language in it? 19 A. Yes. 20 Q. Just give me a moment, and I'll see if I 21 can find that for you. 22 MR. GERGELY: So that will be Haggquist 23 3. 24 (Deposition Exhibit 3 was marked.) 25 A. Can you repeat the question, please?</p>	<p style="text-align: right;">Page 13</p> <p>1 would look like of activated carbon? I mean, I'll 2 give you an example. Say Asbury5564. 3 MR. JACKSON: Objection; form, 4 foundation, scope. 5 A. So Paragraph 11 on Declaration 2, which 6 is Exhibit Haggquist 3, "To overcome this fatal flaw," 7 we have to look back and refer back to what the fatal 8 flaw was. 9 Q. (BY MR. GERGELY) No. I'm asking about 10 Haggquist 2, Paragraph 11, the cartoon. 11 A. Sorry. I was -- 12 MR. JACKSON: Same objections. 13 A. Declaration 1, Exhibit Haggquist 2, 14 Paragraph 11. "The image below depicts the difference 15 between the surface-active process of adsorption 16 (shown on the right) and the bulk dissolution process 17 of absorption (shown on the left)." And your question 18 is? 19 Q. Yes. Could you draw next to the 20 adsorption cartoon what some pores actually look like 21 and where those particles may reside once they're 22 adsorbed to those pores? 23 MR. JACKSON: Objection; scope, form, 24 foundation. It's requesting the witness prepare 25 materials for the deposition, which is improper.</p>

<p style="text-align: right;">Page 14</p> <p>1 A. Can I get Application '359?</p> <p>2 Q. (BY MR. GERGELY) Sure. Well, let me do</p> <p>3 this first. I'll show you an example. Maybe this</p> <p>4 will assist you.</p> <p>5 MR. GERGELY: Mark that as Haggquist 4.</p> <p>6 (Deposition Exhibit 4 was marked.)</p> <p>7 MR. JACKSON: I'm going to object to the</p> <p>8 introduction of this exhibit. Counsel, is this part</p> <p>9 of the record in this case? I don't recall.</p> <p>10 MR. GERGELY: It's cross-examination.</p> <p>11 MR. JACKSON: So it's not in the record</p> <p>12 in the case?</p> <p>13 MR. GERGELY: Correct.</p> <p>14 MR. JACKSON: Well, then I'm going to</p> <p>15 object. It's outside the scope of the testimony. The</p> <p>16 document was not referenced in the deposition notice</p> <p>17 per the board rules.</p> <p>18 MR. GERGELY: It's cross-examination.</p> <p>19 Q. (BY MR. GERGELY) Sir, I'm handing you</p> <p>20 what's been marked as Haggquist 4. I'd like you to</p> <p>21 turn to the second page of the document, which shows a</p> <p>22 depiction of activated carbon. Have you seen that</p> <p>23 image before?</p> <p>24 MR. JACKSON: Object to scope,</p> <p>25 foundation, form.</p>	<p style="text-align: right;">Page 16</p> <p>1 A. Where are you reading from?</p> <p>2 Q. Above the image. Do you see that?</p> <p>3 A. Yes.</p> <p>4 Q. He or she states, "A proper activated</p> <p>5 carbon has a number of unique characteristics: a</p> <p>6 large internal surface area." So going back to your</p> <p>7 building analogy, do you see the perimeter of the</p> <p>8 particle at the top where it says "large and small</p> <p>9 organic molecules"?</p> <p>10 MR. JACKSON: Objection, scope.</p> <p>11 A. I see the words "large and small organic</p> <p>12 molecules," that that appears that that could be the</p> <p>13 outer edge.</p> <p>14 Q. (BY MR. GERGELY) Okay. And going back</p> <p>15 to your analogy, when the window or door is open, that</p> <p>16 creates a larger internal surface area for the</p> <p>17 building, or in this case, for the activated carbon,</p> <p>18 correct?</p> <p>19 MR. JACKSON: Object to the scope.</p> <p>20 A. Yes.</p> <p>21 Q. (BY MR. GERGELY) So the large surface</p> <p>22 area of activated carbon is attributable to these</p> <p>23 cracks that go down beyond the edge of the particle,</p> <p>24 correct?</p> <p>25 MR. JACKSON: Objection; scope,</p>
<p style="text-align: right;">Page 15</p> <p>1 A. This is the first I've seen this</p> <p>2 document. I haven't prepared on this document. The</p> <p>3 question is have I seen this particular image?</p> <p>4 Q. (BY MR. GERGELY) Yes.</p> <p>5 A. This particular image, I am not sure.</p> <p>6 Q. Okay. But perhaps you have because it's</p> <p>7 taken from an article on activated carbon that's</p> <p>8 well-known. Is that what you were trying to get at?</p> <p>9 MR. JACKSON: Objection; scope, form,</p> <p>10 foundation.</p> <p>11 Q. (BY MR. GERGELY) Well, let me back up.</p> <p>12 Have you seen an image substantially similar to this</p> <p>13 with respect to activated carbon?</p> <p>14 MR. JACKSON: Same objections.</p> <p>15 A. Yes, I've seen images like this.</p> <p>16 Q. (BY MR. GERGELY) Okay. And is this a</p> <p>17 fair depiction of activated carbon with respect to the</p> <p>18 pores and how the particles enter the pores?</p> <p>19 MR. JACKSON: Objection; form, scope,</p> <p>20 foundation.</p> <p>21 A. I would say it's a reasonable depiction.</p> <p>22 Q. (BY MR. GERGELY) Okay. So the author</p> <p>23 of this document states that "A proper activated</p> <p>24 carbon has a number of unique characteristics: a</p> <p>25 large internal surface area" --</p>	<p style="text-align: right;">Page 17</p> <p>1 foundation.</p> <p>2 A. Yes.</p> <p>3 Q. (BY MR. GERGELY) Okay. And whatever is</p> <p>4 entering that activated carbon, say, water or some</p> <p>5 other material, is adhering in some form or fashion to</p> <p>6 the surface of these cracks, correct?</p> <p>7 MR. JACKSON: Objection; form,</p> <p>8 foundation, scope.</p> <p>9 A. Can you define the word "adhering"?</p> <p>10 Q. (BY MR. GERGELY) Yeah, I'm not a</p> <p>11 chemist. Attaching.</p> <p>12 A. A chemist wouldn't use either of these</p> <p>13 words.</p> <p>14 Q. Okay. What would they use -- well,</p> <p>15 maybe you know. I don't know. What is the mechanism</p> <p>16 of action, say, for example, between water and the</p> <p>17 internal surface of activated carbon?</p> <p>18 MR. JACKSON: Objection; form,</p> <p>19 foundation.</p> <p>20 A. So, again, I'll go back to my two</p> <p>21 declarations. I do not believe I talk about</p> <p>22 interaction of a material with surface. We can look</p> <p>23 at some areas where I do discuss absorption and</p> <p>24 adsorption. So we can go to Haggquist 2, Paragraph 8,</p> <p>25 "A POSITA understands that 'active particles' are</p>

<p style="text-align: right;">Page 18</p> <p>1 'active' because they have the ability to adsorb or  2 trap substances at their surface, as we discussed what  3 expanded at surface meant. "This surface-active  4 property is the result of a large surface area of an  5 active particle. The large surface area is provided  6 by numerous pores on the surface of an active  7 particle, and it is these pores that provide an active  8 particle with the ability to adsorb substances.  9 Figure 1 of the '359 Patent depicts the porosity of an  10 active particle." So that's why I requested  11 Application '359, to give you my depiction of an  12 active particle. A POSITA understands that some  13 particles naturally have pores, like volcanic rock.  14 Q. Would that be zeolites, for instance?  15 A. "And that other particles, such as  16 carbon have to be treated with extreme temperature and  17 an activating agent to create pores to create  18 activated carbon."  19 Q. Okay. So to your point, it's fair to  20 say that there are some naturally occurring active  21 substances, active particles, and some are engineered,  22 correct?  23 MR. JACKSON: Objection to form.  24 A. In Paragraph 9, "some particles  25 naturally have pores," to your point, "like volcanic</p>	<p style="text-align: right;">Page 20</p> <p>1 Did I not give you a copy?  2 MR. JACKSON: No. I thought you said 4.  3 (Deposition Exhibit 6 was marked.)  4 Q. (BY MR. GERGELY) So, sir, for example,  5 on Haggquist 5, drawing your attention to column 4,  6 lines 22 through 27, there's a number of active  7 particles listed there.  8 A. Yes.  9 Q. And do you see zeolites?  10 A. Yes.  11 Q. So you provided zeolites as an example  12 of an active particle?  13 A. Yes.  14 Q. And those are naturally occurring?  15 A. Some can be. The zeolite family is a  16 rather large and broad definition.  17 Q. Okay.  18 A. Some can be synthesized.  19 Q. So activated carbon, you said, was  20 synthesized, correct?  21 A. There is a process and step of making  22 activated carbon.  23 Q. Okay. And how about aluminum oxide,  24 activated aluminum? Is that naturally occurring or is  25 that synthesized as well?</p>
<p style="text-align: right;">Page 19</p> <p>1 rock." "Other particles such as carbon have to be  2 treated with extreme temperatures," as what you said  3 with the synthetic or some type of a process, "and an  4 activating agent to create pores."  5 Q. (BY MR. GERGELY) So an example of  6 volcanic rock would be zeolites, right?  7 A. Some volcanic rock is zeolite, yes.  8 Q. And, in fact, in your '287 patent and  9 then the '359 application, you actually listed  10 zeolites in the specification and claimed them as  11 active particles, correct?  12 MR. JACKSON: Objection to form,  13 foundation.  14 A. Can I see both patent applications,  15 please?  16 Q. (BY MR. GERGELY) Sure.  17 MR. GERGELY: Are we up to Haggquist 4?  18 THE COURT REPORTER: 5.  19 MR. GERGELY: 5.  20 (Deposition Exhibit 5 was marked.)  21 MR. GERGELY: And just to speed this  22 along, why don't we mark this as Haggquist 6?  23 MR. JACKSON: Do we have a 5? I missed  24 that.  25 MR. GERGELY: I thought 5 was the '287.</p>	<p style="text-align: right;">Page 21</p> <p>1 A. Could be both. Aluminum oxide could be  2 in the form of zeolite. And so I'd draw your  3 attention to the very beginning of the sentence.  4 "Active particles may include, but are not limited to,  5 these materials," and then we would have to go to how  6 I talk about active particles within the document.  7 Q. Okay. And then silica gel, is that  8 naturally occurring or is that synthesized?  9 A. Silica gel is silica oxide. Silica gel  10 could be both, but depends on the person you ask, the  11 material that you're looking at.  12 Q. How about soda ash? Is that naturally  13 occurring or is that synthesized or both?  14 A. It could be both.  15 Q. Is the same true with respect to  16 aluminum trihydrate?  17 A. Do not know.  18 Q. How about baking soda? Is that natural  19 or is that synthesized?  20 A. Could be both.  21 Q. And how about cinoxate?  22 A. That's synthesized.  23 Q. And then cinoxate, is that a liquid?  24 A. No. It could form in crystals.  25 Q. It's available in liquid form, though,</p>

<p style="text-align: right;">Page 22</p> <p>1 correct?</p> <p>2 MR. JACKSON: Objection; form,</p> <p>3 foundation.</p> <p>4 A. I didn't -- to go into the details of</p> <p>5 every single material and their specific -- how</p> <p>6 they're delivered by every maker, I didn't prepare for</p> <p>7 that, and it's not listed in my declaration.</p> <p>8 Q. (BY MR. GERGELY) How about zinc oxide?</p> <p>9 Is that natural or is that engineered?</p> <p>10 A. Could be both. Zeolites have zinc</p> <p>11 oxide.</p> <p>12 Q. How about titanium dioxide? Is that</p> <p>13 natural or is that synthesized?</p> <p>14 A. It could be both. Titanium dioxide</p> <p>15 could be in a zeolite form.</p> <p>16 Q. And molecular filter type materials, are</p> <p>17 those engineered or synthesized?</p> <p>18 A. Could be both.</p> <p>19 Q. And is that also true with respect to</p> <p>20 other suitable materials?</p> <p>21 A. Yes.</p> <p>22 Q. Now, when you say that an active</p> <p>23 particle adsorbs or traps substances, how does it</p> <p>24 adsorb or trap substances?</p> <p>25 A. So I'll go back to declaration of</p>	<p style="text-align: right;">Page 24</p> <p>1 A. Well, what form?</p> <p>2 Q. Why don't we say water vapor.</p> <p>3 A. Water vapor. Okay. And then, what's</p> <p>4 the material?</p> <p>5 Q. Activated carbon.</p> <p>6 A. Okay. Activated carbon. So your</p> <p>7 question is?</p> <p>8 Q. Yes. How does the material get adsorbed</p> <p>9 or trapped into the pores?</p> <p>10 A. Diffusion of the water, a vapor</p> <p>11 molecule, to the adsorbent and the interaction between</p> <p>12 the water vapor molecule and the adsorbate.</p> <p>13 Q. So there's an adsorbent water</p> <p>14 interaction and there's also water-water interaction?</p> <p>15 A. Your question was referred [sic] to how</p> <p>16 does a water vapor molecule interact with an activated</p> <p>17 carbon?</p> <p>18 Q. Correct.</p> <p>19 A. And I answered that question.</p> <p>20 Q. Yes. How does it?</p> <p>21 A. Do you have a new question?</p> <p>22 Q. How does it interact? Is there some</p> <p>23 type of binding going on? What causes it to get</p> <p>24 trapped?</p> <p>25 MR. JACKSON: Object to form.</p>
<p style="text-align: right;">Page 23</p> <p>1 Haggquist, Haggquist 2. So on 8, "A POSITA</p> <p>2 understands that 'active particles' are 'active'</p> <p>3 because they have the ability to adsorb or trap</p> <p>4 substances at their surface. This surface-active</p> <p>5 property is a result of the surface area of the active</p> <p>6 particles. The large surface area is provided by</p> <p>7 numerous pores on the surface of the active particle,</p> <p>8 and it is these pores that provide an active particle</p> <p>9 with the ability to adsorb."</p> <p>10 So Figure 1 of 359 application, which is</p> <p>11 Exhibit 6, we go to this figure here.</p> <p>12 Q. Okay.</p> <p>13 A. That's my depiction of the porosity.</p> <p>14 Pores could be larger. It's just for illustration</p> <p>15 purposes.</p> <p>16 Q. Okay.</p> <p>17 A. So the question?</p> <p>18 Q. Yes, how did the -- how does the</p> <p>19 material actually get trapped or adsorbed into those</p> <p>20 pores? How --</p> <p>21 A. Do you have a specific type of material?</p> <p>22 Q. Well, let's start with, say, just water,</p> <p>23 water vapor.</p> <p>24 A. Water vapor.</p> <p>25 Q. Or water.</p>	<p style="text-align: right;">Page 25</p> <p>1 A. I believe I did not talk about that in</p> <p>2 my declarations.</p> <p>3 Q. (BY MR. GERGELY) Okay. In Paragraph 14</p> <p>4 of Haggquist 2, you state, "I have been informed that</p> <p>5 VF asserts that solid carbon particles such as 'Black</p> <p>6 Pearls 2000 Carbon Black' are active particles. I</p> <p>7 disagree." Do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. And then you say, "A POSITA understands</p> <p>10 that particulate carbon, often referred to as 'carbon</p> <p>11 soot' or 'carbon black,' is not surface-active since</p> <p>12 it has not been processed to create the large number</p> <p>13 of pores necessary for surface-active chemistry." Do</p> <p>14 you see that?</p> <p>15 A. Correct.</p> <p>16 Q. Okay. But carbon black -- I mean, Black</p> <p>17 Pearls 2000 is a porous material, correct?</p> <p>18 MR. JACKSON: Objection; form,</p> <p>19 foundation.</p> <p>20 A. I don't have a comment on that.</p> <p>21 Q. (BY MR. GERGELY) You don't know one way</p> <p>22 or the other?</p> <p>23 A. I would say I was informed that VF</p> <p>24 asserts that a solid carbon particle, such as Black</p> <p>25 Pearls 2000 Carbon Black, are active particles. I</p>

Page 26

1 disagree.

2 Q. But you say it doesn't have the large

3 number of pores. And I'm asking you, do you know one

4 way or the other whether carbon black, specifically

5 Black pearl 2000, is a porous material?

6 A. I didn't make a statement to that effect

7 in my declaration. I haven't prepared that

8 information that you would like. I don't want to

9 speculate.

10 Q. Well, but you -- in a certain respect,

11 you did speculate here because you came to the

12 conclusion that it does not have a large number of

13 pores, correct?

14 A. Correct.

15 Q. Okay. And based on what? You come to

16 that conclusion. And I ask you based on what.

17 A. There's a reason there's a word called

18 activated carbon, and there's a reason why there's

19 material called carbon black. So they are different,

20 and a large difference is the amount of porosity.

21 Q. And when you say "amount of porosity,"

22 how would you measure that? Would you measure that by

23 pore volume?

24 MR. JACKSON: Objection; form and

25 foundation, leading.

Page 27

1 A. I would say in my declaration I did not

2 discuss measurements of porosity, and it's out of the

3 scope; and I don't want to speculate.

4 Q. (BY MR. GERGELY) Well, it is within the

5 scope, and you'll have to answer my questions subject

6 to your counsel's objections. Do you know what the

7 pore volume is of activated carbon?

8 A. Which one?

9 Q. Can you give me a range?

10 MR. JACKSON: Objection, form.

11 A. I don't want to speculate.

12 Q. (BY MR. GERGELY) Do you know the pore

13 volume of any activated carbon?

14 A. Yes.

15 Q. Which one?

16 A. I know there are certain activated

17 carbons that have a pore volume of a thousand meters

18 squared per gram.

19 Q. All right. I just want to make sure

20 we're talking about the same thing because when you're

21 talking about -- what you just said was surface area,

22 correct?

23 A. Correct.

24 Q. Okay. So do you equate in your mind

25 surface area with pore volume?

Page 28

1 MR. JACKSON: Objection, form.

2 Q. (BY MR. GERGELY) I was asking you about

3 pore volume, and you threw out a metric of a thousand

4 meters squared per gram.

5 A. That's pore volume.

6 Q. That's pore volume.

7 A. What units would you like?

8 Q. Well, I had understood meters squared

9 per gram to be a measurement of surface volume

10 whereas --

11 A. Ahh. So you want volume?

12 Q. Correct. Because this is not expressed

13 in three dimensions.

14 A. Correct.

15 Q. So if you know. Do you know?

16 MR. JACKSON: Object to form, scope.

17 A. Yeah, it's not in my declaration. I

18 haven't prepared for that, so I don't want to

19 speculate.

20 Q. (BY MR. GERGELY) Well, I'm not asking

21 you to speculate. Do you know the pore volume of any

22 activated carbon?

23 A. Off the top of my head, no.

24 Q. Do you know any ranges?

25 A. Off the top of my head, no.

Page 29

1 Q. Do you know what the surface area of

2 Black pearl 2000 is?

3 A. Not off the top of my head, no.

4 Q. Would it surprise you if the surface

5 area of Black pearl 2000 was greater than a thousand

6 meters squared per gram?

7 MR. JACKSON: Objection; form,

8 foundation, leading.

9 A. No comment.

10 Q. (BY MR. GERGELY) Have you ever -- have

11 you undertaken to examine what the surface area of

12 Black pearl 2000 is?

13 MR. JACKSON: Objection; form,

14 foundation, leading, scope.

15 A. That particular one, my declaration

16 doesn't discuss it. Did not prepare for that. It's

17 out of the -- don't want to speculate.

18 (Deposition Exhibit 7 was marked.)

19 MR. JACKSON: For the record, I'll

20 object to the introduction of this exhibit. To my

21 knowledge, it is not cited or referenced by

22 Dr. Haggquist or the record of this proceeding. It is

23 not identified as an exhibit to Dr. Haggquist's

24 deposition or notice.

25 Q. (BY MR. GERGELY) Dr. Haggquist, do you



<p style="text-align: right;">Page 30</p> <p>1 recognize Haggquist Exhibit 7?</p> <p>2 A. It looks like an application to a</p> <p>3 Chinese -- it may be a declaration. I recognize my</p> <p>4 C.V. on the back -- or in the middle.</p> <p>5 Q. And do you recognize your signature on</p> <p>6 Page 3?</p> <p>7 A. Yes.</p> <p>8 Q. Sir, I'll represent to you that this</p> <p>9 declaration was found in the file history of the '287</p> <p>10 prosecution history. I'd like to draw your attention</p> <p>11 to Paragraph 6. And you state in Paragraph 6, "An</p> <p>12 active particle is an organic or inorganic material</p> <p>13 that has the capacity to adsorb or trap substances,</p> <p>14 including substances that may be a liquid, and/or gas.</p> <p>15 It is the complex surface area" -- excuse me. "It is</p> <p>16 the complex surface of the particles, sometimes on the</p> <p>17 order of 1000 meters squared per gram in surface area,</p> <p>18 that give the active particles their capacity to</p> <p>19 adsorb." Do you see that?</p> <p>20 A. Yes.</p> <p>21 Q. And is that an accurate statement?</p> <p>22 MR. JACKSON: Objection, scope.</p> <p>23 A. I would like to go to Declaration 1,</p> <p>24 which is Haggquist 2, Paragraph 8. "Understands that</p> <p>25 'active particles' are 'active' because they have the</p>	<p style="text-align: right;">Page 32</p> <p>1 Q. (BY MR. GERGELY) Sir, I'm asking you</p> <p>2 whether this statement in Paragraph 6 is accurate.</p> <p>3 MR. JACKSON: Same objection.</p> <p>4 A. I would say it's an accurate statement.</p> <p>5 Q. (BY MR. GERGELY) Okay. Likewise, is it</p> <p>6 accurate to say, "It is the complex surface of the</p> <p>7 particles, sometimes on the order of 1000 meters</p> <p>8 squared per gram in surface area, that give the active</p> <p>9 particles their capacity to adsorb"? Do you see that?</p> <p>10 A. Yes.</p> <p>11 Q. Is that accurate?</p> <p>12 MR. JACKSON: Same objection.</p> <p>13 A. I would say yes.</p> <p>14 Q. (BY MR. GERGELY) And, sir, going down</p> <p>15 to Paragraph 7, you state, "The term 'active particle'</p> <p>16 is used throughout the industry to refer to all or any</p> <p>17 type of active particles comprising an organic or</p> <p>18 inorganic material which has the capacity to adsorb or</p> <p>19 trap substances." Do you see that?</p> <p>20 A. Yes.</p> <p>21 Q. And is that an accurate statement?</p> <p>22 MR. JACKSON: Object to form.</p> <p>23 A. Again, I haven't reviewed this document</p> <p>24 in total. You're asking me to extract and make</p> <p>25 comments on individual statements. I signed this</p>
<p style="text-align: right;">Page 31</p> <p>1 ability to adsorb or trap substances at their surface.</p> <p>2 This surface-active property is the result of the</p> <p>3 large surface area of the active particles."</p> <p>4 This document you introduced, I have not</p> <p>5 reviewed and I am not prepared to comment on.</p> <p>6 Q. Sir, I'm asking you to comment on it.</p> <p>7 It's from the file history of the '287 patent. And</p> <p>8 I'm asking you, what I read to you in the first line</p> <p>9 of Paragraph 6, is that an accurate statement --</p> <p>10 actually, the first two sentences?</p> <p>11 MR. JACKSON: Object to scope.</p> <p>12 A. In my declaration, I did not make a</p> <p>13 statement on the prosecution history of Patent '287.</p> <p>14 I have not prepared or looked at the prosecution</p> <p>15 history of '287.</p> <p>16 Q. (BY MR. GERGELY) Well, sir, I'm asking</p> <p>17 you about this particular statement. I'm not asking</p> <p>18 you to comment on the prosecution history. I'm asking</p> <p>19 you, is it accurate that an active particle is either</p> <p>20 organic or inorganic material that has the capacity to</p> <p>21 adsorb or trap substances, including substances that</p> <p>22 may be a liquid and/or gas?</p> <p>23 MR. JACKSON: Objection, scope.</p> <p>24 A. And I go back to Haggquist 2,</p> <p>25 Declaration 1, Paragraph 8 --</p>	<p style="text-align: right;">Page 33</p> <p>1 document at the time, and I declared that all the</p> <p>2 above is true and correct. So at the time, I reviewed</p> <p>3 it. I have not reviewed it at this time. I feel it's</p> <p>4 incomplete if I continue down this line of questioning</p> <p>5 on a document I did not have a chance to review.</p> <p>6 Q. Well, at the time, you swore under oath</p> <p>7 under penalties of perjury that that was an accurate</p> <p>8 statement, correct?</p> <p>9 A. And I'm saying at that time and in the</p> <p>10 review, I'm saying that I agree that I declare the</p> <p>11 above to be true and correct, but what I'm saying,</p> <p>12 you're asking me specific questions on specific lines</p> <p>13 on something I haven't reviewed, and I don't want to</p> <p>14 give an incomplete or incorrect answer because I</p> <p>15 haven't reviewed the document and what this was in</p> <p>16 relation to.</p> <p>17 Q. Sir, you also stated, correct, under</p> <p>18 penalties of perjury, "As all organic or inorganic</p> <p>19 active particle materials operate similarly by</p> <p>20 trapping substances in an active particle surface, it</p> <p>21 is known in the art that an active particle used in an</p> <p>22 example process or mixture may be replaced by any</p> <p>23 other active particle to obtain a substantially</p> <p>24 similar result." Do you see that?</p> <p>25 A. Yes, I see what paragraph you're talking</p>

<p style="text-align: right;">Page 34</p> <p>1 to.</p> <p>2 Q. Okay. And so you stated that under</p> <p>3 penalties of perjury?</p> <p>4 A. I signed this document, yes.</p> <p>5 Q. Under penalty of perjury, correct?</p> <p>6 A. Correct.</p> <p>7 (Deposition Exhibit 8 was marked.)</p> <p>8 MR. JACKSON: For the record, I'll</p> <p>9 object to this exhibit. Dr. Haggquist does not</p> <p>10 offer -- does not cite nor refer to testimony on this</p> <p>11 particular document, and it therefore is outside the</p> <p>12 proper scope of the examination.</p> <p>13 MR. GERGELY: Your objections are noted</p> <p>14 for the record, and I'll note that Dr. Haggquist</p> <p>15 purports to hold forth on the properties of Black</p> <p>16 pearl 2000 in his declaration, and this is fair</p> <p>17 cross-examination.</p> <p>18 MR. JACKSON: I'll disagree.</p> <p>19 Q. (BY MR. GERGELY) Sir, I've handed you</p> <p>20 what's been marked as Haggquist 8. Have you reviewed</p> <p>21 this document in connection with this proceeding?</p> <p>22 It's of record as VF 1028.</p> <p>23 A. I have not reviewed this publication.</p> <p>24 Q. Have you reviewed any of the materials</p> <p>25 that VF submitted with its petition?</p>	<p style="text-align: right;">Page 36</p> <p>1 Page 2 of 6 under Paragraph 2.1, do you see that the</p> <p>2 author defines BP as Black pearl 2000?</p> <p>3 A. Where?</p> <p>4 Q. In Paragraph 2.1, do you see --</p> <p>5 A. 2.1.</p> <p>6 Q. -- do you see where the author defines</p> <p>7 BP as Black pearl 2000?</p> <p>8 A. Yes.</p> <p>9 Q. Sir, this author describes Black pearl</p> <p>10 2000 as having a high surface area and capability to</p> <p>11 adsorb water, correct?</p> <p>12 MR. JACKSON: Objection; form, scope,</p> <p>13 foundation, leading.</p> <p>14 A. I understand. I haven't reviewed this</p> <p>15 document. If we're going to pick particular</p> <p>16 sentences, I'd be happy to say I see the sentence, but</p> <p>17 I am not going to make comment on the sentence without</p> <p>18 reviewing the documents and its references. I --</p> <p>19 Q. (BY MR. GERGELY) Sir -- please finish.</p> <p>20 I didn't mean to interrupt.</p> <p>21 A. So as I was saying, I have not reviewed</p> <p>22 this document nor the references in the document, and</p> <p>23 to answer any questions -- if there's a specific</p> <p>24 question you want me to answer based on this document,</p> <p>25 it's going to be very difficult for me to address.</p>
<p style="text-align: right;">Page 35</p> <p>1 A. I don't know the materials you're</p> <p>2 referring to.</p> <p>3 Q. Any of the documents, for example, the</p> <p>4 publications and scientific materials.</p> <p>5 A. In my declaration, it states what I've</p> <p>6 talked about, references I reviewed, the '287 patent,</p> <p>7 and the '359 application.</p> <p>8 Q. Okay. Sir, drawing your attention to</p> <p>9 Page 3 of 6 of the document, do you see the statement</p> <p>10 that says, "From the viewpoint of tailoring surface</p> <p>11 properties, BP powder in possession of high surface</p> <p>12 area and capability to adsorb water offers a better</p> <p>13 contact with electrolyte ionomer than does VC"? Do</p> <p>14 you see that?</p> <p>15 A. No, I don't. Where is that statement?</p> <p>16 Q. On Page 3 of 6 of the document.</p> <p>17 A. I'm on Page 3 of 6.</p> <p>18 Q. Under Figure 2. Do you see the</p> <p>19 statement, quote, "From the viewpoint of tailoring</p> <p>20 surface properties, BP powder in possession of high</p> <p>21 surface area and capability to adsorb water offers a</p> <p>22 better contact with electrolyte ionomer than does VC"?</p> <p>23 Do you see that?</p> <p>24 A. I see that.</p> <p>25 Q. And, sir, turning your attention to</p>	<p style="text-align: right;">Page 37</p> <p>1 And I see that Black pearl 2000 is called BP with a</p> <p>2 surface area of 1,475 meters squared per gram and a</p> <p>3 particle size of 15 nanometers. No, I don't want to</p> <p>4 get into specifics or get into it, but there's a lot</p> <p>5 of information. Any technical paper has a lot of</p> <p>6 references, and I don't want to be drawn out of</p> <p>7 context of how to answer this. So this would be very</p> <p>8 difficult for me to comment anything technically on</p> <p>9 this.</p> <p>10 Q. Are you aware of any scientific</p> <p>11 reference published at any time available anywhere in</p> <p>12 the world which says that Black pearl 2000 does not</p> <p>13 adsorb water?</p> <p>14 MR. JACKSON: Objection; form,</p> <p>15 foundation, scope.</p> <p>16 A. I don't know how to answer that</p> <p>17 question. That's . . .</p> <p>18 Q. (BY MR. GERGELY) Same question with</p> <p>19 respect to surface area. Are you aware of any</p> <p>20 publication published at any time that says that Black</p> <p>21 pearl 2000 has a relatively low surface area?</p> <p>22 MR. JACKSON: Objection; form,</p> <p>23 foundation, scope, leading.</p> <p>24 A. I have not searched the entire world</p> <p>25 literature on Black pearl.</p>

<p style="text-align: right;">Page 38</p> <p>1 Q. (BY MR. GERGELY) Are you aware of any</p> <p>2 publication published at any time anywhere in the</p> <p>3 world that says that Black pearl 2000 has a low pore</p> <p>4 volume?</p> <p>5 MR. JACKSON: Same objections.</p> <p>6 A. Again, I have not reviewed all the</p> <p>7 literature.</p> <p>8 Q. (BY MR. GERGELY) And, sir, you will</p> <p>9 acknowledge in Haggquist 8 the author reported a</p> <p>10 surface area for Black pearl 2000 of 1475 meters</p> <p>11 squared per gram. In fact, you called that out in one</p> <p>12 of your answers, correct?</p> <p>13 MR. JACKSON: Same objections.</p> <p>14 A. Correct.</p> <p>15 Q. (BY MR. GERGELY) And that's higher than</p> <p>16 the 1000 meters squared per gram that you mentioned in</p> <p>17 your earlier testimony --</p> <p>18 MR. JACKSON: Same objections.</p> <p>19 Q. -- with respect to active particles?</p> <p>20 MR. JACKSON: I'm sorry. I didn't mean</p> <p>21 to talk over you. Same objections.</p> <p>22 A. I would say that surface area and</p> <p>23 particle size go hand in hand, comparison of different</p> <p>24 particle size and surface areas.</p> <p>25 MR. GERGELY: This is Haggquist 9.</p>	<p style="text-align: right;">Page 40</p> <p>1 A. I see that they list acetylene black,</p> <p>2 surface area of 62 meters squared per gram and a</p> <p>3 particle size of 42 nanometers, and I see that Black</p> <p>4 Pearls 2000, surface area of 1,501.8 meters squared</p> <p>5 per gram with a particle size of 15 nanograms.</p> <p>6 Q. Which is small, correct?</p> <p>7 MR. JACKSON: Objection; form, scope,</p> <p>8 foundation, leading.</p> <p>9 A. How do you define "small"?</p> <p>10 Q. (BY MR. GERGELY) Well, in your voice,</p> <p>11 you put some emphasize on particle size. So I'm</p> <p>12 asking you to follow up. Why did you emphasize</p> <p>13 particle size?</p> <p>14 MR. JACKSON: Same objections.</p> <p>15 A. When you look at surface area, you have</p> <p>16 to look at particle size.</p> <p>17 Q. (BY MR. GERGELY) Okay.</p> <p>18 A. Particle size and surface area are tied</p> <p>19 together.</p> <p>20 Q. Okay. And then over to the last column,</p> <p>21 there's a reference of pore volume of 2.67 centimeters</p> <p>22 cubed --</p> <p>23 A. Cubic centimeters.</p> <p>24 Q. -- cubic centimeters per gram. Do you</p> <p>25 see that?</p>
<p style="text-align: right;">Page 39</p> <p>1 (Deposition Exhibit 9 was marked.)</p> <p>2 MR. JACKSON: For the record, our</p> <p>3 objection is I don't believe this document is</p> <p>4 referenced anywhere in this proceeding. It's not</p> <p>5 referenced or cited by Dr. Haggquist in his testimony.</p> <p>6 So it is outside the scope of cross-examination. I'm</p> <p>7 also not sure what this document is, whether it's</p> <p>8 authentic, reliable.</p> <p>9 Q. (BY MR. GERGELY) Dr. Haggquist, I've</p> <p>10 handed you what's been marked as Haggquist 9. Do you</p> <p>11 see this document?</p> <p>12 A. Yes.</p> <p>13 Q. I draw your attention to Paragraph 3.1,</p> <p>14 which is on Page 4911. Do you see that?</p> <p>15 A. I have not reviewed this document and</p> <p>16 have not prepared. Any answers would be incomplete,</p> <p>17 if not -- so Paragraph? Which paragraph?</p> <p>18 Q. Paragraph 3.1.</p> <p>19 A. 3.1. Section 3.1?</p> <p>20 Q. Correct. And do you see where the</p> <p>21 author states, "Black Pearls 2000 reveals smaller</p> <p>22 particle size and larger pore volume as compared to</p> <p>23 Acetylene Black for which" --</p> <p>24 A. You're referring to Table 2.</p> <p>25 Q. You can refer to Table 2.</p>	<p style="text-align: right;">Page 41</p> <p>1 A. I see that, yes.</p> <p>2 Q. And I was asking you earlier, are you</p> <p>3 aware of the pore volume of activated carbon?</p> <p>4 MR. JACKSON: Object to scope.</p> <p>5 A. Yes.</p> <p>6 Q. (BY MR. GERGELY) What is it?</p> <p>7 A. You asked the question.</p> <p>8 Q. Yes. Do you know what it is?</p> <p>9 MR. JACKSON: Objection, scope.</p> <p>10 A. Off the top of my head, it's not in my</p> <p>11 declaration. I haven't made a comment based on pore</p> <p>12 volume of activated carbon. I did not prepare. I</p> <p>13 don't want to give an incomplete or infactual answer.</p> <p>14 Q. (BY MR. GERGELY) Okay. Do you know the</p> <p>15 pore volume of any activated carbons?</p> <p>16 MR. JACKSON: Objection; form, scope.</p> <p>17 A. Off the top of my head, you want me to</p> <p>18 quote a specific number?</p> <p>19 Q. (BY MR. GERGELY) Do you know? Do you</p> <p>20 know?</p> <p>21 A. Off the top of my head, no.</p> <p>22 Q. But you'll acknowledge this author</p> <p>23 reports that Black Pearls 2000 is a porous material,</p> <p>24 correct?</p> <p>25 MR. JACKSON: Objection; form, scope,</p>

<p style="text-align: right;">Page 42</p> <p>1 leading.</p> <p>2 A. I haven't read the whole document.</p> <p>3 Q. (BY MR. GERGELY) Okay. Well, if Black</p> <p>4 Pearls 2000 has a pore volume, by definition it's a</p> <p>5 porous material, correct?</p> <p>6 MR. JACKSON: Objection; form,</p> <p>7 foundation, scope.</p> <p>8 A. Not necessarily.</p> <p>9 Q. (BY MR. GERGELY) Do you know what pore</p> <p>10 volume is?</p> <p>11 A. Yes.</p> <p>12 Q. What is it?</p> <p>13 A. It's a volume that's within a material.</p> <p>14 Q. It's the amount of substance that could</p> <p>15 be held within a particle, correct?</p> <p>16 MR. JACKSON: Objection; form, leading.</p> <p>17 A. I wouldn't define it that way, no.</p> <p>18 Q. (BY MR. GERGELY) How would you define</p> <p>19 it?</p> <p>20 A. Again, in my declaration, I don't go</p> <p>21 into defining what a pore volume is.</p> <p>22 Q. Well, in the '359 application, you had a</p> <p>23 drawing on the front cover which showed some pores,</p> <p>24 some cracks in the surface, correct?</p> <p>25 A. Correct.</p>	<p style="text-align: right;">Page 44</p> <p>1 volume greater or less than 2.67 cubic centimeters per</p> <p>2 gram?</p> <p>3 MR. JACKSON: Objection to the form,</p> <p>4 foundation, scope.</p> <p>5 A. There's a wide range of activated</p> <p>6 carbons. In my declaration, I don't talk about pore</p> <p>7 volume. I didn't prepare to answer those questions.</p> <p>8 I don't want to speculate.</p> <p>9 Q. (BY MR. GERGELY) Are you aware of any?</p> <p>10 MR. JACKSON: Same objections.</p> <p>11 A. I really don't want to speculate.</p> <p>12 Q. (BY MR. GERGELY) I'm not asking you to</p> <p>13 speculate.</p> <p>14 MR. JACKSON: Same objections.</p> <p>15 Q. (BY MR. GERGELY) Are you aware of any?</p> <p>16 Are you aware of any ranges of activated pore volume?</p> <p>17 MR. JACKSON: Same objections.</p> <p>18 A. Off the top of my head, I don't want to</p> <p>19 throw a range out. I don't recall a number.</p> <p>20 Q. (BY MR. GERGELY) Well, I'm asking you.</p> <p>21 What's your best recollection?</p> <p>22 MR. JACKSON: Same objections.</p> <p>23 Q. (BY MR. GERGELY) I'm asking you just</p> <p>24 for what your best recollection is.</p> <p>25 MR. JACKSON: Same objections.</p>
<p style="text-align: right;">Page 43</p> <p>1 Q. What would the pore volume be in this</p> <p>2 case?</p> <p>3 MR. JACKSON: Objection, form.</p> <p>4 A. I don't want to speculate.</p> <p>5 Q. (BY MR. GERGELY) How would you measure</p> <p>6 it?</p> <p>7 A. There's a variety of different methods.</p> <p>8 In my declaration, I didn't talk about methodology of</p> <p>9 measuring pore volume.</p> <p>10 Q. Is it the actual -- the actual 3D</p> <p>11 dimension of the pore, the size of that pore? Is that</p> <p>12 the pore volume?</p> <p>13 A. Again, I didn't -- in my declaration, I</p> <p>14 didn't go through methodologies of measuring pore</p> <p>15 volume.</p> <p>16 Q. Well, for example, in this room, if we</p> <p>17 were to open the window, would the pore volume of the</p> <p>18 room be essentially length times width times height --</p> <p>19 MR. JACKSON: Objection; form,</p> <p>20 foundation.</p> <p>21 Q. -- of the room, going back to your</p> <p>22 building analogy?</p> <p>23 A. You could measure the volume that way.</p> <p>24 Q. (BY MR. GERGELY) And are you aware one</p> <p>25 way or the other whether activated carbon has a pore</p>	<p style="text-align: right;">Page 45</p> <p>1 A. I understand.</p> <p>2 Q. (BY MR. GERGELY) I'm sure, given you're</p> <p>3 a POSITA, you've seen references in papers and data</p> <p>4 sheets and so forth of pore volume for activated</p> <p>5 carbon, and I'm asking you what's your best</p> <p>6 recollection.</p> <p>7 MR. JACKSON: Same objections.</p> <p>8 A. At this point in time, I cannot recall.</p> <p>9 Q. (BY MR. GERGELY) Okay.</p> <p>10 THE DEPONENT: I'd like to take a</p> <p>11 bathroom break, if that's possible.</p> <p>12 MR. GERGELY: Let's do it. Take 15?</p> <p>13 MR. JACKSON: Sure.</p> <p>14 (Recess taken, 10:03 a.m. to 10:22 a.m.)</p> <p>15 Q. (BY MR. GERGELY) Sir, going back to</p> <p>16 Haggquist 3, which is the second declaration of yours.</p> <p>17 A. Yes.</p> <p>18 Q. Drawing your attention to Paragraph 11,</p> <p>19 where you're talking about your invention, do you see</p> <p>20 that?</p> <p>21 A. Yes.</p> <p>22 Q. Okay. And you state at the end of that</p> <p>23 paragraph, "There was a balancing act between the</p> <p>24 ratio of the thicknesses of the print layer and the</p> <p>25 underlying base material." Do you see that?</p>

<p style="text-align: right;">Page 46</p> <p>1 A. "There was a balancing act between the</p> <p>2 ratio of the thicknesses of the print layer and the</p> <p>3 underlying base material," yes.</p> <p>4 Q. Now, why did you choose the word "print</p> <p>5 layer"?</p> <p>6 A. So I'd like to read the entire Paragraph</p> <p>7 11.</p> <p>8 Q. Yes.</p> <p>9 A. Which is "To overcome this fatal flaw"</p> <p>10 -- now, we need to understand what the fatal flaw is.</p> <p>11 So we need to go back to Number 9, which, "Starting in</p> <p>12 2009, I started on reinventing waterproof-breathable</p> <p>13 fabrics. My goal was to improve the functionality of</p> <p>14 waterproof-breathable membranes. A person skilled in</p> <p>15 the art at the time recognized that as membrane</p> <p>16 thickness increases, the diffusion of water vapor is</p> <p>17 reduced." This is the fatal flaw we're talking about.</p> <p>18 Q. And what do you mean by "diffusion"?</p> <p>19 What does that mean?</p> <p>20 A. So diffusion is the movement of water</p> <p>21 vapor through space.</p> <p>22 Q. Okay. Go on. I didn't mean to</p> <p>23 interrupt.</p> <p>24 A. "If I was to add more thickness, say, a</p> <p>25 second thickness, I had to overcome this negative</p>	<p style="text-align: right;">Page 48</p> <p>1 I'm not sure -- I think that will reference the page,</p> <p>2 right? And if you go to line 14, "Membranes were also</p> <p>3 produced by drawing down the mixture on release paper.</p> <p>4 These membranes were cured and dried in an oven and</p> <p>5 then removed the release paper, yielding a self-</p> <p>6 supporting membrane. This membrane was one mil thick.</p> <p>7 The activated carbon was protected with Surfynol 485.</p> <p>8 The activated carbon was subjected to an aqueous</p> <p>9 slurry including water and Surfynol. This slurry was</p> <p>10 added to the polyurethane solution, the viscosity of</p> <p>11 the slurry or mixture of polyurethane and protected</p> <p>12 carbon was adjusted by adding more water. The carbon</p> <p>13 was Asbury5564 powdered coconut activated carbon. A</p> <p>14 cross- linking agent was used to increase the</p> <p>15 molecular weight of the polyurethane, thereby better</p> <p>16 enabling the membrane to adhere to the substrate. The</p> <p>17 mixtures was cast." The word "cast" there is a</p> <p>18 printing type of methodology.</p> <p>19 Q. I see. So how is it cast?</p> <p>20 A. It's cast using a 1 mil draw down rod.</p> <p>21 Q. So, I mean, what does it look like</p> <p>22 visually? If I were to look at this from the top,</p> <p>23 would it actually look like --</p> <p>24 A. Ink. It looks like ink, and then it</p> <p>25 looks like an old printing method where you're</p>
<p style="text-align: right;">Page 47</p> <p>1 impact," and this negative impact is the addition of</p> <p>2 thickness, which is stated above. "I wanted to add</p> <p>3 active particles to a membrane to change the diffusion</p> <p>4 equation to effectively build up water vapor</p> <p>5 concentration on the first surface of the membrane,</p> <p>6 causing more effective water vapor diffusion."</p> <p>7 To now bring it back down to the word of</p> <p>8 the question, "There was a balancing act between the</p> <p>9 ratio of the thicknesses of the print layer" -- the</p> <p>10 second thickness, it could be called -- "and the</p> <p>11 underlying base material," the first thickness."</p> <p>12 Q. And then why did you choose the words</p> <p>13 "print layer," back to my question?</p> <p>14 A. My background -- I worked at Lexmark</p> <p>15 International, which is a printer company. Print is</p> <p>16 one methodology of adding a second layer. So I was</p> <p>17 defining a method that we utilized to make the</p> <p>18 FlashDry product, which we actually printed onto a</p> <p>19 polyurethane material.</p> <p>20 Q. Ahh. So FlashDry's, I had understood you</p> <p>21 were talking about your intention. So the '287</p> <p>22 patent, do any of the examples in the '287 patent rely</p> <p>23 on a print layer?</p> <p>24 A. In the '287 patent -- let me find that.</p> <p>25 Haggquist Number 5 exhibit. If you go to column 9 --</p>	<p style="text-align: right;">Page 49</p> <p>1 actually printing it down. However, I'm not creating</p> <p>2 a pattern. I'm creating what I would consider an all</p> <p>3 black --</p> <p>4 Q. I understand.</p> <p>5 A. -- if you would go to a laser printer</p> <p>6 terminology.</p> <p>7 Q. So this is sort of a uniform layer of</p> <p>8 black material?</p> <p>9 A. Printed onto the base material.</p> <p>10 Q. Okay.</p> <p>11 A. The base material being a cured</p> <p>12 polyurethane membrane.</p> <p>13 Q. Oh, well, yeah, I guess I don't follow</p> <p>14 you. I had understood from this example that the</p> <p>15 carbon was mixed into the slurry and then the 1 mil</p> <p>16 layer was created?</p> <p>17 A. No, there was two layers, two</p> <p>18 thicknesses. The issue that I was faced was the</p> <p>19 industry was used to specific terms of using the word</p> <p>20 "layer." So I tried to move in a different direction</p> <p>21 and tried to use terms such as "thicknesses." So the</p> <p>22 first thickness was the cured base layer, completely 1</p> <p>23 mil. It was 1 mil thick. The second layer, the</p> <p>24 second thickness was then cast on top of that.</p> <p>25 Q. Also 1 mil thick?</p>

Page 50

1 A. No, no. It was actually .4 mil thick,  
2 which ends up being a 2.5 ratio.  
3 Q. Okay. But you didn't say that in the  
4 patent, correct?  
5 MR. JACKSON: Object to form.  
6 Q. (BY MR. GERGELY) Is that right?  
7 A. Oh, I'm sorry.  
8 Q. I mean, I don't see any reference to .4  
9 in the patent specification.  
10 A. I don't -- I'm not a lawyer. I'm not a  
11 patent attorney. I don't want to speculate exactly,  
12 but specific words are not directed towards saying the  
13 2.5, but if you do the math.  
14 Q. Well, I'm just asking you to confirm.  
15 You'd mentioned this .4 thickness.  
16 A. Uh-huh.  
17 Q. The .4 thickness is not referenced in  
18 the disclosure, is it?  
19 A. Not specific, not directly.  
20 Q. Okay. So back to your -- back to your  
21 declaration, Haggquist 3, in Paragraph 12, you say,  
22 "The solution I devised is outlined in the '287 Patent  
23 and is referenced to as the 2.5L technology." Do you  
24 see that?  
25 A. Yes.

Page 51

1 Q. Now, you didn't coin that term, correct,  
2 2.5L? That was existing in the industry at the time,  
3 correct?  
4 A. Existing --  
5 MR. JACKSON: Form. Proceed.  
6 A. You have to go back to 2005, 2006 time  
7 frame, of which I haven't prepared an analysis of that  
8 time frame. Today, 2.5 is considered a type. It  
9 comes from products called two-layer and three-layer,  
10 and so there was a 2L and a 3L in the marketplace.  
11 Specifically when the 2.5-layer -- the date, I don't  
12 want to speculate as to the date.  
13 Q. (BY MR. GERGELY) Well, sir, I'm asking  
14 a different question. You didn't coin that term?  
15 That was -- that didn't originate with you, correct?  
16 MR. JACKSON: Objection; form, scope.  
17 A. You know, I didn't prepare to -- my  
18 declaration, I don't get into if I invented the term  
19 or not. So I am not completely sure. The impact of  
20 my research on the industry was dramatic, but I did  
21 not go after certain terminology. So the question  
22 that you're asking, I'm having a hard time answering  
23 without looking at the timing of the whole.  
24 Q. (BY MR. GERGELY) Well, I mean, for  
25 example, Apple coined the term "iPhone." Did you coin

Page 52

1 the term "2.5L" or was that already existing in the  
2 industry?  
3 A. What I do know, what was part of the  
4 industry was two-layer and three-layer. So what I'm  
5 trying to say, at some point in time 2.5-layer came  
6 out.  
7 Q. Well, HyVent by North Face was a 2.5?  
8 A. Yes, they state that, yes.  
9 Q. So that pre-existed your patent,  
10 correct?  
11 MR. JACKSON: Objection; form, scope,  
12 foundation.  
13 A. I don't want -- you know, HyVent, you  
14 know -- in my declaration, Haggquist Number 3, if  
15 we -- let's see. It's hard for me to speculate. I  
16 don't see in my declaration specifically when a  
17 2.5-layer technology was accepted by the industry. I  
18 am not stating that I did or didn't institute the  
19 term. It's something that came out because it became  
20 hard to understand how are you going to describe this  
21 type of product.  
22 Q. (BY MR. GERGELY) So you'll acknowledge  
23 that there's a possibility that there were other 2.5  
24 products available before you invented, correct?  
25 A. Oh, yes.

Page 53

1 MR. JACKSON: Objection; form, scope.  
2 Q. (BY MR. GERGELY) All right. So --  
3 A. But I would say when they started  
4 coining the term, did they coin the term because of  
5 our impact or not, and I don't have an answer to that  
6 question.  
7 Q. (BY MR. GERGELY) And in Paragraph 14,  
8 you state, "After the application for the '287 Patent  
9 was filed and product practicing claim 27 was made" --  
10 A. This is Haggquist 3?  
11 Q. Yes.  
12 A. Haggquist 3, 14?  
13 Q. Yes.  
14 A. All right. I'd just like to get there  
15 as you're reading.  
16 Q. Well, you state in Paragraph 13 that "it  
17 is the ratio of the thickness of the active-particle  
18 print layer to the base layer that provides the key  
19 breakthrough in the area of waterproof water-vapor-  
20 permeable membranes." Do you see that?  
21 A. Yes, and 13 reads, "The novel features  
22 of the 2 1/2 layer technology," a term accepted by the  
23 industry, "are presented in claim 27 of the '287  
24 Patent, and include a layer of active particles  
25 printed or laminated (a 'second thickness')," in

Page 54

1 parentheses, "on top of a cured base material (the  
2 'first thickness'), with the ratio of the first  
3 thickness to the second thickness at 2.5 to 10. It is  
4 the ratio of the thickness of the active print layer  
5 to the base layer that provides the key breakthrough  
6 in the area of waterproof-breathable impermeable  
7 membranes. The ratio I invented both increased water  
8 vapor transport and broke the first rule in waterproof  
9 membranes - by increasing thickness."

10 Q. Now, sir, in the specification, it never  
11 uses the word "ratio," does it?

12 A. Which specification are you referring  
13 to?

14 Q. The '287 specification.

15 A. '287. In the '287, in the claims, claim  
16 27, "A water-proof composition comprising a liquid-  
17 impermeable breathable cured base material comprising  
18 a first thickness; a plurality of active particles in  
19 contact with the liquid-impermeable breathable cure  
20 based material, the plurality of active particles  
21 comprising a second thickness; and wherein, the first  
22 thickness comprises a thickness at least 2.5 times  
23 larger than the second thickness but less than an  
24 order of magnitude" --

25 Q. Sir, I was asking --

Page 55

1 A. -- "an order of magnitude" -- I  
2 understood you -- "larger than the second thickness,  
3 the active particles improve the moisture vapor  
4 transport capacity and composition" -- "of the  
5 composition, and a moisture vapor transmission rate of  
6 the water-proof composition comprises from 600 grams  
7 per meter squared per day to about 11,000 grams per  
8 meter squared per day." This is stated in the claims  
9 section, and the 2.5 times larger is a ratio  
10 statement.

11 Your question of in the specification do  
12 I specifically use the word "ratio"?

13 Q. Correct.

14 A. From my recollection, I did not see the  
15 word "ratio" used within the specification.

16 Q. So, sir, your contention is that in this  
17 example, rather than it being a one layer with  
18 activated carbon mixed into it, there was an existing  
19 base layer of cured polyurethane, and then you made a  
20 second layer of activated carbon plus polyurethane and  
21 laminated that on top?

22 A. I wouldn't use the word "lamination." I  
23 would use the word "print" in that case.

24 Q. So your contention is that there was a  
25 pre-existing cured layer of polyurethane --

Page 56

1 A. Yes.

2 Q. -- and then there was a second layer of  
3 carbon and polyurethane printed on top?

4 A. Yes. I would also use the term -- let's  
5 see -- "in contact." I would also use that word for  
6 that specific example.

7 Q. Sir, if the criticality of the ratio is  
8 so important, why didn't you measure the two  
9 thicknesses --

10 MR. JACKSON: Objection, form and  
11 foundation.

12 Q. -- and disclose them? If the  
13 criticality of the ratio was so important with respect  
14 to the first and second thicknesses, why didn't you  
15 measure them and disclose them?

16 MR. JACKSON: Objection; form,  
17 foundation, leading.

18 A. I'm not a patent attorney or have an  
19 in-depth knowledge of patent law, but we did measure  
20 the thicknesses, and if I can bring an example, when  
21 we were developing samples for production in our  
22 production line, we would cure a base membrane. We  
23 would then cut circles out of that membrane, and we  
24 would use a micron gauge to measure the thickness of  
25 the first thickness.

Page 57

1 Then we would print the technology onto  
2 that first thickness, measuring the combination of the  
3 first thickness plus the second thickness. The  
4 difference between the sum of the first thickness and  
5 the second thickness minus the original first  
6 thickness gives you the second thickness.

7 Q. (BY MR. GERGELY) Right. And you're  
8 discussing about production years later.

9 A. Correct.

10 Q. I'm asking at the time of the invention.  
11 If this ratio is the critical breakthrough, as you've  
12 stated in your declaration, why then didn't you  
13 measure those thicknesses and then disclose them to  
14 the world?

15 MR. JACKSON: Objection; form,  
16 foundation, leading.

17 A. Again, I'm not a patent attorney, and I  
18 am not an expert in patent law. However, if you look  
19 at the original provisional date filed on May 9th,  
20 2006 and then a publication on -- excuse me -- that  
21 provisional was then filed on May 9th, 2007. So I --  
22 we did measure thickness. Within that example that I  
23 showed, we talk about the thickness of that base-cured  
24 layer, and indirectly, we talk about the thickness of  
25 the solution that's added to that material.

<p style="text-align: right;">Page 58</p> <p>1 Q. (BY MR. GERGELY) What's a 1 mil draw 2 down rod? 3 A. It's a rod that produces 1 mil thick of 4 material. You have to look at the solids ratio, and 5 that represents how much solids is going to remain 6 behind. So thus, if you use a 40 percent solids 7 solution and you draw with a 1 mil rod, you end up 8 with .4 mil of a thickness. 9 Q. Say that again. 10 A. A 1 mil rod is a rod that produces a 1 11 mil thick layer of solvent plus solids. The resulting 12 thickness after casting and curing is the percentage 13 of solids. So if you start with 40 percent solids, 14 you end up with 40 percent of that thickness. 15 Q. And what are the solids? 16 A. The solids are the active particles and 17 the polymer. What? 18 Q. 40 percent of what? 19 A. 40 percent of the solution. 20 Q. The polymer is what, the polyurethane? 21 A. Yes. Sorry. 22 Q. Well, drawing your attention to 23 Figure 3, I see polyurethane 80 grams, carbon 80 24 grams, and a surfactant of 40 grams. So where is the 25 40 percent? I don't understand.</p>	<p style="text-align: right;">Page 60</p> <p>1 A. Correct. 2 Q. And then 15, you say, "I developed 3 demonstrations and test methods to show the effect of 4 these novel features of claim 27 of the '287 Patent to 5 TNF, including but not limited to the first thickness, 6 second thickness, and active particles," correct? Do 7 you see that? 8 A. Yes. I'd like to complete Paragraph 14. 9 Q. Sure. 10 A. "The resultant 2 1/2-layer provided a 11 dry touch, a more comfortable microclimate, higher 12 effective moisture vapor transport, and a durable 13 2 1/2-layer laminate." 14 Q. And was claim 27 issued at the time you 15 made this disclosure to The North Face? 16 A. So the patent of '287 was issued on 17 February 3rd, 2015. 18 Q. If -- and when did these -- when was 19 this disclosure made to The North Face? Do you recall 20 what year? 21 A. The patent -- the provisional patent 22 application was May 9th, 2006. The North Face -- and 23 if I read from Paragraph 16, "TNF loved the product, 24 and agreed to license Cocona's technology, which 25 matured into the '287 Patent. In fact, The North Face</p>
<p style="text-align: right;">Page 59</p> <p>1 A. The 40 percent is the solution. That 2 information isn't provided in this table. 3 Q. Okay. Nor is it provided in column 9, 4 correct? 5 A. Correct. 6 Q. All right. 7 A. In column 9, it states -- let me draw 8 your attention to "activated carbon was protected with 9 Surfynol 485. The activated carbon was subjected to 10 aqueous slurry." Aqueous slurry refers to water as a 11 solvent, "which," in the next word, "including water 12 and Surfynol. This slurry was added to the 13 polyurethane solution." So a solution is solvent plus 14 materials. 15 Q. Sir, going back to your declaration -- 16 this is the second declaration of Haggquist, Page 3, 17 and you state in Paragraph 14, "After the application 18 for the '287 Patent was filed and product practicing 19 claim 27 was made, Cocona shared the novel features of 20 the '287 Patent with TNF." Do you see that? 21 A. Correct. 22 Q. And you say, "We showed TNF the first 23 functional print on a membrane that overcomes 24 thickness issues and increases the performance of the 25 membrane." Do you see that?</p>	<p style="text-align: right;">Page 61</p> <p>1 was enamored enough with Cocona's technology that the 2 '287" -- excuse me, "that TNF launched the FlashDry 3 brand in 2011." 4 So prior to 2011, The North Face was 5 shown product. We had multiple products that we 6 showed them, multiple technologies. 7 Q. So do you recall when it was prior to 8 2011? 9 A. Somewhere between -- as I state, I 10 developed -- in 14, "After the application for the 11 2008 [sic] Patent was filed and product practicing 12 claim 27 was made, Cocona shared the novel features of 13 '287 Patent with TNF." So this represents the lower 14 limit, which is the file date of May 2009, 2006. The 15 upper date is FlashDry launched the brand -- excuse 16 me, TNF launched the FlashDry brand in 2011. So 17 between those two times. 18 Q. But claim 27 wasn't in existence at the 19 time this disclosure was made, correct? 20 A. The physical claim wasn't in existence, 21 but the technology was. 22 Q. And when you filed the '287 patent, it 23 said nothing in terms of the claims about ratio of 24 thicknesses, correct? 25 MR. JACKSON: Objection; form,</p>



<p style="text-align: right;">Page 62</p> <p>1 foundation, leading.</p> <p>2 A. I do not believe that's in my</p> <p>3 declaration anywhere. The review of the '287 patent</p> <p>4 is what I reviewed. I did not review the</p> <p>5 applications. So I'm not prepared to answer that</p> <p>6 question, and I don't want to speculate.</p> <p>7 MR. GERGELY: What are we up to?</p> <p>8 THE COURT REPORTER: 10.</p> <p>9 (Deposition Exhibit 10 was marked.)</p> <p>10 MR. JACKSON: For the record, I'll</p> <p>11 object to the exhibit as not cited or referenced in</p> <p>12 Dr. Haggquist's declaration. Therefore, it's outside</p> <p>13 the proper scope.</p> <p>14 Q. (BY MR. GERGELY) Sir, this is a</p> <p>15 document of record that was submitted with VF's</p> <p>16 petition. I'll represent to you that this is the</p> <p>17 original patent application for '287.</p> <p>18 I'd like to direct your attention to</p> <p>19 claim 28, which is on Page 26 of 34.</p> <p>20 A. Yes.</p> <p>21 Q. Now, sir, I'll represent to you that</p> <p>22 Claim 28 eventually became claim 27 when the patent</p> <p>23 issued. Do you see any reference in claim 28 as filed</p> <p>24 to any thicknesses or any ratio of thicknesses?</p> <p>25 MR. JACKSON: Objection; scope,</p>	<p style="text-align: right;">Page 64</p> <p>1 Peter?</p> <p>2 MR. GERGELY: That was Haggquist 10,</p> <p>3 which was VF 1010.</p> <p>4 Q. (BY MR. GERGELY) Sir, did you assist</p> <p>5 the attorneys at Neugeboren O'Dowd with the production</p> <p>6 of the '287 patent?</p> <p>7 MR. JACKSON: Objection; form, scope.</p> <p>8 I'll caution the witness not to reveal any</p> <p>9 attorney-client communications, but you can answer the</p> <p>10 question as to whether you participated with counsel.</p> <p>11 A. Yes, I participated with counsel.</p> <p>12 Q. (BY MR. GERGELY) Were you reviewing the</p> <p>13 office actions and assisting them in preparing</p> <p>14 responses to office actions?</p> <p>15 MR. JACKSON: Objection; form, scope.</p> <p>16 You can answer whether you were reviewing documents.</p> <p>17 A. I was reviewing documents.</p> <p>18 Q. (BY MR. GERGELY) Were you reviewing</p> <p>19 office actions and assisting counsel with responding</p> <p>20 to office actions?</p> <p>21 MR. JACKSON: Same caution. You can</p> <p>22 respond if you worked with counsel, corresponded with</p> <p>23 counsel, but don't provide any details on</p> <p>24 communications.</p> <p>25 MR. GERGELY: No, I'm not asking what he</p>
<p style="text-align: right;">Page 63</p> <p>1 foundation, assumes facts not in evidence.</p> <p>2 Q. (BY MR. GERGELY) You can answer.</p> <p>3 A. So this document, I have not reviewed</p> <p>4 the document. I'm not prepared to comment on the</p> <p>5 document. I do not want to speculate. Further, I'm</p> <p>6 not a patent attorney or I understand patent law to</p> <p>7 the extent that a patent attorney would, and the</p> <p>8 conversion of claims is more of a legal question</p> <p>9 rather than a technical question. I'd be happy to</p> <p>10 answer technical questions.</p> <p>11 Q. Sir, I'll asking you, does claim 28</p> <p>12 makes any reference to thicknesses or ratios?</p> <p>13 MR. JACKSON: Same objections.</p> <p>14 A. Again, I don't want to make -- or</p> <p>15 speculate as to when and where the ratio claims came</p> <p>16 in.</p> <p>17 Q. (BY MR. GERGELY) Sir, I'm not asking</p> <p>18 you that.</p> <p>19 A. Okay.</p> <p>20 Q. I'm just asking you a simple question.</p> <p>21 Does claim 28 make any reference to any thicknesses or</p> <p>22 any ratios?</p> <p>23 A. As I read claim 28, there is no</p> <p>24 reference to a ratio.</p> <p>25 MR. JACKSON: What exhibit was that,</p>	<p style="text-align: right;">Page 65</p> <p>1 made -- well, let me break it into pieces.</p> <p>2 Q. (BY MR. GERGELY) Did you review office</p> <p>3 actions as they came in for the '287 patent?</p> <p>4 A. Yes.</p> <p>5 Q. And would you assist counsel in some</p> <p>6 form or fashion with preparing a response to those</p> <p>7 office actions?</p> <p>8 MR. JACKSON: Objection; form, scope.</p> <p>9 You can answer whether you assisted. It's a yes or</p> <p>10 no.</p> <p>11 A. Yes.</p> <p>12 MR. GERGELY: Are we up to 11?</p> <p>13 THE COURT REPORTER: Yes.</p> <p>14 (Deposition Exhibit 11 was marked.)</p> <p>15 MR. JACKSON: I'm sorry, Peter. I'll</p> <p>16 object for the record that this document is not</p> <p>17 referenced or cited by Dr. Haggquist. Dr. Haggquist</p> <p>18 is not offering any testimony regarding prosecution of</p> <p>19 the patent or any references to the prosecution</p> <p>20 history of the patent. So it is outside the proper</p> <p>21 scope. I'm sorry for interrupting, Peter. Please</p> <p>22 proceed.</p> <p>23 Q. (BY MR. GERGELY) Sir, I've marked this</p> <p>24 document as Haggquist 11. It's entitled Arguments</p> <p>25 Submitted with the Filing of a Request for Continued</p>

<p style="text-align: right;">Page 66</p> <p>1 Examination. It's of record in the case. And I'll</p> <p>2 ask you, is this a document you assisted counsel in</p> <p>3 preparing?</p> <p>4 MR. JACKSON: Objection, form and scope.</p> <p>5 You can answer yes or no, if you recall.</p> <p>6 A. I do not recall.</p> <p>7 MR. GERGELY: Can we take a short break?</p> <p>8 MR. JACKSON: Sure.</p> <p>9 (Lunch recess taken, 10:59 a.m. to</p> <p>10 12:07 p.m.)</p> <p>11 Q. (BY MR. GERGELY) Sir, drawing your</p> <p>12 attention back to Haggquist 5, which is the '287</p> <p>13 patent.</p> <p>14 A. '287 patent.</p> <p>15 Q. Sir, drawing your attention back to</p> <p>16 Example 1, which is at the bottom of column 8.</p> <p>17 A. Yes.</p> <p>18 Q. The specification states that</p> <p>19 "Polyurethane solutions were combined with protected</p> <p>20 activated carbon." Do you see that?</p> <p>21 A. Yes.</p> <p>22 Q. And then it says, "The protected</p> <p>23 activated carbon was suspended in the polyurethane</p> <p>24 solution and the mixture was applied to a nylon woven</p> <p>25 fabric." Do you see that?</p>	<p style="text-align: right;">Page 68</p> <p>1 Q. And you say, "Where at least a portion</p> <p>2 of the protective substance was removed" --</p> <p>3 A. Correct.</p> <p>4 Q. -- "resulting in a membrane coated on a</p> <p>5 substrate."</p> <p>6 A. Correct.</p> <p>7 Q. "The membrane having a thickness of one</p> <p>8 mil." Do you see that?</p> <p>9 A. Yes.</p> <p>10 Q. So I'm asking you, what is the membrane</p> <p>11 in that example? Is that the polyurethane and the</p> <p>12 activated carbon?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. And then the substrate, that's</p> <p>15 the nylon that the membrane is deposited on?</p> <p>16 A. Yes.</p> <p>17 Q. Okay. So over to the next column, in</p> <p>18 column 9, it's stated -- you drew my attention to this</p> <p>19 paragraph before. You said, "Membranes were also</p> <p>20 produced" --</p> <p>21 A. Which line?</p> <p>22 Q. Oh, lines 14 through 18.</p> <p>23 A. Yes.</p> <p>24 Q. So it's stated here, "Membranes were</p> <p>25 also produced by drawing down the mixture on release</p>
<p style="text-align: right;">Page 67</p> <p>1 A. Yes.</p> <p>2 Q. And then it says, "The mixture was dried</p> <p>3 and cured in an oven." Do you see that?</p> <p>4 A. Yes.</p> <p>5 Q. And then it says, "Resulting in a</p> <p>6 membrane coated on a substrate, the membrane having a</p> <p>7 thickness of one mil." Do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. Okay. So in that case, what is the</p> <p>10 mixture? Is it the polyurethane and the activated</p> <p>11 carbon?</p> <p>12 A. Yes.</p> <p>13 Q. Okay. And then the membrane coated on a</p> <p>14 substrate, is the membrane the polyurethane and the</p> <p>15 activated carbon mixed together?</p> <p>16 A. So repeat your question. I want to be</p> <p>17 exact here.</p> <p>18 Q. So it says, "The mixture was dried and</p> <p>19 cured in an oven."</p> <p>20 A. Yes.</p> <p>21 Q. I understand the mixture is the</p> <p>22 polyurethane --</p> <p>23 A. Yes.</p> <p>24 Q. -- and the activated carbon?</p> <p>25 A. Yes.</p>	<p style="text-align: right;">Page 69</p> <p>1 paper." What's the mixture?</p> <p>2 A. It's polyurethane.</p> <p>3 Q. What is it mixed with?</p> <p>4 A. Water.</p> <p>5 Q. You're referring to "the mixture."</p> <p>6 A. Water, possibly a cross-linking agent,</p> <p>7 but it's polymer and water is the mixture.</p> <p>8 Q. Okay. Could that paragraph be referring</p> <p>9 to the more detailed paragraphs that follow? Like,</p> <p>10 for example, could this paragraph, "Membranes were</p> <p>11 also produced by drawing down a mixture on release</p> <p>12 paper" be --</p> <p>13 A. "These membranes were cured and dried in</p> <p>14 an oven and then removed from the release paper</p> <p>15 yielding a self supporting membrane." So now we have</p> <p>16 thickness 1.</p> <p>17 Q. Right. And I guess what I'm asking you,</p> <p>18 is it possible that that paragraph refers to the more</p> <p>19 detailed process that's disclosed in the following two</p> <p>20 paragraphs?</p> <p>21 A. So which two paragraphs?</p> <p>22 Q. The one that starts on line 19 and then</p> <p>23 the one that starts on --</p> <p>24 A. Yes.</p> <p>25 Q. -- line 28.</p>

1 A. Yes.

2 Q. So that is a general description, and

3 then the detailed description follows in the two

4 paragraphs that follow?

5 A. No. This is a continuation.

6 Q. Okay. So, sir, do you -- so when you

7 say "the mixture," you're not identifying what the

8 mixture is; is that right?

9 A. The mixture is the polyurethane and

10 water.

11 Q. Okay. But that doesn't say that in this

12 patent, correct?

13 MR. JACKSON: Object to form.

14 (The deponent perused the exhibit.)

15 A. So repeat the question, please.

16 Q. (BY MR. GERGELY) You said the mixture

17 is polyurethane and water.

18 A. Right.

19 Q. It doesn't say that in the

20 specification, correct? It just says "the mixture"?

21 A. In that paragraph, it doesn't say that.

22 It says the mixture was drawn down and formed a

23 self-supporting membrane.

24 Q. Drawn down how?

25 A. On a release paper using a draw down

1 rod.

2 Q. Was it using a 1 mil draw down rod?

3 A. No.

4 Q. What was used?

5 A. I do not recall what size, but it

6 created a 1 mil membrane thickness.

7 Q. Do you have any lab notebooks to support

8 that?

9 MR. JACKSON: Objection; scope, form.

10 A. That was a long time ago. I can't

11 answer that question with certainty. I'm unsure.

12 MR. GERGELY: What are we up to?

13 THE COURT REPORTER: 12.

14 (Deposition Exhibit 12 was marked.)

15 MR. GERGELY: First of all, this

16 document is marked highly confidential. So we would

17 agree to protect it under the protective order.

18 MR. JACKSON: It's AEO. I have to

19 assume it was AEO?

20 MR. GERGELY: It's up to you. I don't

21 think it's AEO because it's a lab notebook excerpt

22 before the priority book.

23 MR. JACKSON: Can we provisionally treat

24 it? Because I haven't seen this document.

25 MR. GERGELY: Okay. Do you want -- do

[illegible]

Page 74

[REDACTED]

Page 75

[REDACTED]

Page 76

[REDACTED]

12 MR. JACKSON: Would you like to tell  
13 your client to come back in?  
14 MR. GERGELY: Oh, yes.  
15 Would you text her or send her an email?  
16 MS. OTT: Yes.  
17 MR. GERGELY: What are we up to? Is it  
18 13?  
19 THE COURT REPORTER: Yes.  
20 (Deposition Exhibit 13 was marked.)  
21 MR. JACKSON: I will object to this  
22 exhibit. This is cited in Haggquist -- not discussed  
23 in the Haggquist declaration, not identified in his  
24 deposition notice.  
25 Q. (BY MR. GERGELY) Sir, is this a

Page 77

1 response to an office action you assisted in  
2 preparing?  
3 MR. JACKSON: Object to scope. Answer  
4 yes or no.  
5 A. Yes. I've not reviewed this document.  
6 The prosecution history was a long period of time. A  
7 lot of information was transferred back and forth. I  
8 have not reviewed that information, and I am not  
9 prepared to give testimony on any information that is  
10 found in these prosecution documents.  
11 (At this time Ms. Lapuma entered the  
12 room.)  
13 Q. (BY MR. GERGELY) Sir, drawing your  
14 attention to Page 17 of the exhibit -- well, this is  
15 of record, this is VF Exhibit 1007, but we've marked  
16 it as Haggquist 13. I'd like to draw your attention  
17 to Page 17 of that document. Are you with me?  
18 A. Yes. Again, I'll reiterate I'm not  
19 going to make any testimony in reference to this  
20 document.  
21 Q. Yeah, well, you're under oath, and I'm  
22 asking you questions, so you have to answer subject to  
23 your counsel's objections.  
24 Sir, did you have input into the  
25 statements that are on Page 17?

<p style="text-align: right;">Page 78</p> <p>1 MR. JACKSON: Objection to form, scope.</p> <p>2 A. Do not recall.</p> <p>3 Q. (BY MR. GERGELY) It's stated here,</p> <p>4 "Applicant has amended independent claims 1, 28, 63</p> <p>5 and 68 to include the claim features that the cured</p> <p>6 base material solution comprises a first thickness and</p> <p>7 the plurality of active particles comprises a second</p> <p>8 thickness, with the first thickness being less than an</p> <p>9 order of magnitude larger than the second thickness."</p> <p>10 Do you see that?</p> <p>11 A. I see that.</p> <p>12 Q. And then it states, "Support for these</p> <p>13 claim amendments may be found at, for example,</p> <p>14 Paragraph 49, which states that the Example 1 membrane</p> <p>15 comprises a thickness of about 1 mil, or 25.4</p> <p>16 microns," for the first thickness." Do you see that?</p> <p>17 A. Yes.</p> <p>18 Q. And then it goes on to state, "And</p> <p>19 Paragraph 50, which states that the activated carbon</p> <p>20 used in such a membrane comprises Asbury5564 powdered</p> <p>21 coconut activated carbon." Do you see that?</p> <p>22 A. Yes.</p> <p>23 Q. So this statement doesn't say anything</p> <p>24 about having two distinct layers, correct?</p> <p>25 MR. JACKSON: Objection, form.</p>	<p style="text-align: right;">Page 80</p> <p>1 Do you see that?</p> <p>2 A. Yes.</p> <p>3 Q. Okay. And do you see how Paragraph 50</p> <p>4 then identifies the activated carbon as being</p> <p>5 Asbury5564?</p> <p>6 A. Yes.</p> <p>7 Q. So, again, my question to you, drawing</p> <p>8 your attention back to Haggquist 13, which is the</p> <p>9 response to the office action, did your counsel state</p> <p>10 that the activated carbon was used in the membrane</p> <p>11 that comprises the first thickness?</p> <p>12 MR. JACKSON: I'll object to that.</p> <p>13 Peter, if you can rephrase that. It at least suggests</p> <p>14 a request for an attorney-client communication. Also</p> <p>15 object to scope.</p> <p>16 MR. GERGELY: No -- if you could just</p> <p>17 read it back. It didn't ask for communications.</p> <p>18 (The last question was read back as</p> <p>19 follows: "So, again, my question to you, drawing your</p> <p>20 attention back to Haggquist 13, which is the response</p> <p>21 to the office action, did your counsel state that the</p> <p>22 activated carbon was used in the membrane that</p> <p>23 comprises the first thickness?")</p> <p>24 MR. JACKSON: If you mean, Peter, by</p> <p>25 state in this paper --</p>
<p style="text-align: right;">Page 79</p> <p>1 Q. (BY MR. GERGELY) The activated carbon</p> <p>2 is used in such a membrane?</p> <p>3 MR. JACKSON: Objection to form,</p> <p>4 foundation, leading.</p> <p>5 Q. (BY MR. GERGELY) Is that right?</p> <p>6 A. Again, I'm not going to speculate. This</p> <p>7 document is a rather large document. We're looking at</p> <p>8 one little section. I haven't reviewed the document.</p> <p>9 It's not in my declaration. I do not feel prepared to</p> <p>10 answer this.</p> <p>11 Q. (BY MR. GERGELY) Well, let's go to the</p> <p>12 original application, which we've marked as Haggquist</p> <p>13 Exhibit 10.</p> <p>14 Drawing your attention to Page 20 of 34,</p> <p>15 specifically Paragraph 49.</p> <p>16 A. I don't have a Paragraph 49. Am I in</p> <p>17 the right?</p> <p>18 Q. It's in brackets.</p> <p>19 A. Ahh. Yes.</p> <p>20 Q. Okay. Do you see where Paragraph 49</p> <p>21 says, "Membranes were also produced by drawing down</p> <p>22 the mixture on release paper. These membranes were</p> <p>23 cured and dried in an oven and then removed from the</p> <p>24 release paper yielding a self supporting membrane.</p> <p>25 This membrane was one mil thick," amount of porosity.</p>	<p style="text-align: right;">Page 81</p> <p>1 MR. GERGELY: Yes.</p> <p>2 MR. JACKSON: -- that's okay.</p> <p>3 MR. GERGELY: Yes.</p> <p>4 A. Which paper?</p> <p>5 Q. (BY MR. GERGELY) Haggquist 13, Page 17,</p> <p>6 where it's stated --</p> <p>7 A. So we used Asbury5564 for many</p> <p>8 experiments. It was common practice to produce</p> <p>9 self-supporting membranes of 1 mil thick to perform</p> <p>10 experimentation. To conclude that the paragraph on</p> <p>11 Haggquist 13 and the paragraph in Haggquist 10 of line</p> <p>12 50 is the exact same samples, I cannot answer that</p> <p>13 question at this time. It was very common for me to</p> <p>14 use that particular activated carbon. It was very</p> <p>15 common for me to make self-supporting membranes. And</p> <p>16 then I would perform experimentation. It was very</p> <p>17 common for me to use the ratios of polyurethane</p> <p>18 weight, activated carbon weight, and Surfynol weight,</p> <p>19 and I would do so in the same set. So it's very</p> <p>20 difficult for me to connect one experiment to the</p> <p>21 other experiment without further investigation and</p> <p>22 looking at all my notebooks and all the information</p> <p>23 available.</p> <p>24 Q. Well, sir, your attorney specifically</p> <p>25 referenced Paragraphs 49 and 50. I'm not asking you</p>

Page 82

1 to go beyond that. And did he -- I assume it's a he,  
2 Shane Percival. Did he state that the activated  
3 carbon is used in the polyurethane membrane which is  
4 the first thickness?  
5 A. So --  
6 MR. JACKSON: Let me object to scope. I  
7 think it's asked and answered. You're asking about  
8 what the document says?  
9 So you can answer as to what the  
10 document appears to say.  
11 A. Yeah, I'm not going to comment on a  
12 document. I haven't reviewed the entire document, but  
13 I can tell you that membranes were made, and the term  
14 "membrane," once it's printed, that was consistent  
15 with calling it a membrane again. So a membrane could  
16 be of one-layer thickness, and then a printed layer,  
17 and then we would call it a membrane again.  
18 Q. (BY MR. GERGELY) The Example 1 membrane  
19 contains polyurethane, correct?  
20 A. So if you refer to a specific page and  
21 paragraph. I assume that you're referring to the  
22 patent '287, and I assume you're referring to column  
23 8, Example 1, starting on line 54. Is that what  
24 you're referring to?  
25 Q. Actually -- yes, I think I am referring

Page 83

1 to that. If you want to refer to that, that's fine.  
2 That's polyurethane, correct?  
3 A. So all the films made in this example  
4 are polyurethane, and the print compound that was  
5 utilized was also made of polyurethane.  
6 Q. Okay. And your counsel referred to  
7 Example 1 membrane as being 1 mil thick, correct?  
8 MR. JACKSON: Objection, scope.  
9 A. Within my -- in '287 of Haggquist 5 --  
10 Q. (BY MR. GERGELY) Well, I'm asking you  
11 what he said. I'm asking what he said in response to  
12 the office action?  
13 MR. JACKSON: Objection.  
14 A. This membrane is 1 mil thick, and that's  
15 stated on lines 17 and 18.  
16 Q. I'm not --  
17 A. Again, this document is a large  
18 document. It's a large document I have not reviewed,  
19 and there were several documents. It went over a very  
20 long period of time. So I really don't want to put  
21 testimony against something that I'm not prepared to  
22 review and look at.  
23 Q. Well, in any event, your attorney said  
24 that the activated carbon was included in that Example  
25 1 membrane, which was 1 mil thick, correct?

Page 84

1 MR. JACKSON: Objection, scope. Is that  
2 a question?  
3 A. I don't know if that's correct or not.  
4 I'm not sure. I would have to review this paragraph  
5 and review what he's trying to say.  
6 Q. (BY MR. GERGELY) And then your attorney  
7 goes on to state, "As seen in the attached Product  
8 Data Sheet for Asbury5564 powdered coconut activated  
9 carbon, the particle size for the Asbury5564 powdered  
10 coconut activated carbon comprises about 10 microns  
11 (the second thickness)." Do you see that?  
12 A. Yes, I see that.  
13 Q. And why did you choose to equate the  
14 particle size of the activated carbon with the second  
15 thickness?  
16 MR. JACKSON: Object to scope. I think  
17 this is outside the bounds of his testimony. You can  
18 ask him about the patent.  
19 MR. GERGELY: Yeah, I disagree. He said  
20 that the critical breakthrough in the patent was with  
21 respect to these ratios between the first and second  
22 thickness. So this goes directly to that issue.  
23 MR. JACKSON: This is not his testimony.  
24 A. This is not my testimony. I don't have  
25 a comment. I don't recall what his intent was.

Page 85

1 Q. (BY MR. GERGELY) Well, you assisted him  
2 in preparing this document, correct?  
3 MR. JACKSON: Object to scope.  
4 Q. (BY MR. GERGELY) You approved this  
5 document before it was filed with the PTO, correct?  
6 MR. JACKSON: Object to scope.  
7 A. You know, it was several years ago. I  
8 haven't reviewed it. So . . .  
9 Q. Well, you said that you were reviewing  
10 and approving documents.  
11 A. I was reviewing and approving documents.  
12 Q. That were filed with the Patent Office,  
13 correct?  
14 A. That was filed with the Patent Office.  
15 Q. So you said this was such a document  
16 that you provided assistance to counsel on, correct?  
17 A. I'm not sure if I provided assistance to  
18 counsel on this specific document.  
19 Q. Okay. At the outset when I showed you  
20 this document, you said that you did. Are you saying  
21 now that you're uncertain?  
22 MR. JACKSON: Object to scope.  
23 A. I'm saying that this was a response to  
24 office action, and it is like -- is there a way you  
25 can go back and say what I said?

<p style="text-align: right;">Page 86</p> <p>1 MR. GERGELY: It would be right when</p> <p>2 Haggquist 13 was identified.</p> <p>3 (The testimony on page 76, line 24 to</p> <p>4 page 77, line 9, was read back as follows:</p> <p>5 Question: Sir, is this a response to an</p> <p>6 office action you assisted in preparing?</p> <p>7 Answer: Yes. I've not reviewed this</p> <p>8 document. The prosecution history was a long period</p> <p>9 of time. A lot of information was transferred back</p> <p>10 and forth. I have not reviewed that information, and</p> <p>11 I am not prepared to" --</p> <p>12 THE DEPONENT: Can we stop a second?</p> <p>13 Repeat his question of what he said when I said yes.</p> <p>14 (The testimony on page 76, line 24 to</p> <p>15 page 77, line 5, were read back as follows:</p> <p>16 Question: Sir, is this a response to an</p> <p>17 office action you assisted in preparing?</p> <p>18 Answer: Yes."</p> <p>19 Q. (BY MR. GERGELY) Okay. So, sir, first</p> <p>20 of all, did Asbury5564 have a particle size of 10</p> <p>21 microns as represented to the Patent Office?</p> <p>22 A. It has a --</p> <p>23 MR. JACKSON: Object to scope.</p> <p>24 A. It has a range.</p> <p>25 Q. (BY MR. GERGELY) Okay. And why was 10</p>	<p style="text-align: right;">Page 88</p> <p>1 are represented as being 10 microns in diameter,</p> <p>2 correct?</p> <p>3 MR. JACKSON: Object to scope, form.</p> <p>4 A. 10 microns is, I think, the upper range</p> <p>5 of particles.</p> <p>6 Q. (BY MR. GERGELY) And when they're put</p> <p>7 into a polyurethane solution and then the mixture is</p> <p>8 cured, are those activated particles going to be in a</p> <p>9 perfect layer of single particles or are they going to</p> <p>10 stack up on each other?</p> <p>11 A. It would depend on the methodology of</p> <p>12 application.</p> <p>13 Q. Well, give me an example.</p> <p>14 A. I don't want to postulate. You could do</p> <p>15 a Langmuir film formation where you do get a molecular</p> <p>16 layer level.</p> <p>17 Q. In this case, did you do -- what's a</p> <p>18 Langmuir?</p> <p>19 A. I don't recall what methodology I used</p> <p>20 specifically to achieve the films.</p> <p>21 Q. What's a -- what did you say, Langmuir?</p> <p>22 A. Langmuir.</p> <p>23 Q. What's that?</p> <p>24 A. That's a type of film formation</p> <p>25 methodology. That's one example. -----</p>
<p style="text-align: right;">Page 87</p> <p>1 microns chosen?</p> <p>2 MR. JACKSON: Object to scope.</p> <p>3 A. Do not recall.</p> <p>4 Q. (BY MR. GERGELY) And if you're mixing</p> <p>5 Asbury5564 and polyurethane together, how do those</p> <p>6 activated carbon lay down? Do they lay down in a</p> <p>7 single layer of particles or do they tend to</p> <p>8 agglomerate?</p> <p>9 MR. JACKSON: Object to form.</p> <p>10 A. I really can't speculate. I would have</p> <p>11 to go back and look at more information.</p> <p>12 Q. (BY MR. GERGELY) Did you believe you</p> <p>13 were laying them down in a single layer when you were</p> <p>14 making this product?</p> <p>15 MR. JACKSON: Object to form.</p> <p>16 A. I don't recall.</p> <p>17 Q. (BY MR. GERGELY) And you're a person of</p> <p>18 ordinary skill in the art in this field, correct?</p> <p>19 A. Yes.</p> <p>20 Q. If you are putting activated carbon</p> <p>21 particles into solution, will they tend to stack up on</p> <p>22 top of each other?</p> <p>23 MR. JACKSON: Object to form.</p> <p>24 A. I don't understand.</p> <p>25 Q. (BY MR. GERGELY) Well, these particles</p>	<p style="text-align: right;">Page 89</p> <p>1 Q. Can you describe that? -----</p> <p>2 A. Off the top of my head, I couldn't get</p> <p>3 really detailed into it, but it's a methodology of</p> <p>4 creating a layer or a thickness.</p> <p>5 Q. What kind of equipment do you need to</p> <p>6 perform that process?</p> <p>7 A. I don't want to speculate right now.</p> <p>8 Q. Well, sir --</p> <p>9 A. Have I ever owned equipment like that?</p> <p>10 Q. Yes.</p> <p>11 A. Yes.</p> <p>12 Q. Have you owned equipment like that?</p> <p>13 A. Yes.</p> <p>14 Q. Did you own it in 2005 --</p> <p>15 A. Yes.</p> <p>16 Q. -- and 2006? What kind of equipment is</p> <p>17 it?</p> <p>18 A. It's a coating apparatus.</p> <p>19 Q. And do you recall if you used that in</p> <p>20 this case?</p> <p>21 A. I do not recall.</p> <p>22 Q. Okay. So --</p> <p>23 A. You're asking me to go back quite a few</p> <p>24 years.</p> <p>25 Q. And what is -- tell me what this</p>

Page 90

1 Langmuir apparatus looks like.

2 A. I haven't looked at it in a while. I

3 don't know. It's a film-forming device.

4 Q. Do you still own it?

5 A. It's possible it's in our storage

6 somewhere, but I don't think so.

7 Q. How does it work?

8 MR. JACKSON: Object to scope.

9 A. I'm not prepared. It's not in my

10 declaration. I, you know --

11 Q. (BY MR. GERGELY) I understood that you

12 were using a 1 mil draw down rod to create these

13 films, correct?

14 A. Yes. You could do the same with a

15 Langmuir system, but, I mean --

16 Q. Is a 1 mil draw down rod a Langmuir

17 system?

18 A. No. It depends on what you use. So

19 you're asking me to recall exactly the

20 experimentation. I don't want to speculate on that.

21 I haven't reviewed the information. This is --

22 Q. Well, you'd mentioned Langmuir. What

23 is --

24 A. I mentioned that to tell you there are

25 different ways to apply. You asked the question, I

Page 91

1 believe, what methods could you use to apply, and

2 that's --

3 Q. Right. To create a single layer of

4 particles, and you said --

5 A. No, no. So that's a single layer of

6 particles, yes.

7 Q. So you could use Langmuir?

8 A. To create, and I think I said a

9 monolayer, molecular monolayer.

10 Q. But in this case, using a 1 mil draw

11 down rod?

12 A. In the statement in the '287 patent, it

13 states I was using a 1 mil draw down rod.

14 Q. And can you use a 1 mil draw down rod to

15 create a monolayer of particles?

16 MR. JACKSON: Object to scope.

17 A. I don't want to speculate. I guess it's

18 possible, it's not possible. I don't have the answer

19 to that.

20 Q. (BY MR. GERGELY) Have you ever heard of

21 anyone creating a monolayer with a 1 mil draw down

22 rod?

23 MR. JACKSON: Object to scope.

24 A. I don't know. Can't recall.

25 Q. (BY MR. GERGELY) So you had mentioned

Page 92

1 that you thought this second thickness was .4 microns;

2 is that right?

3 A. .4 mil.

4 Q. Oh, .4 mil. And .4 mil is 10 microns,

5 correct?

6 A. I would have to do the math. Right now,

7 I'm not thinking mathematically. So you could do 25

8 times .4.

9 Q. It's 10.16.

10 A. Uh-huh.

11 Q. So, sir, back to my question about the

12 monolayer. In order for Asbury5564, which has a 10

13 micron diameter, to satisfy that ratio of 2.5 to 10,

14 it would have to be laid down in a perfect monolayer,

15 correct?

16 MR. JACKSON: Objection; form, scope,

17 leading.

18 A. I don't believe so, no.

19 Q. (BY MR. GERGELY) Well, if, as you have

20 argued in your deposition, that the first thickness is

21 1 mil or 25.4 microns and you have a second thickness

22 of 10 microns, wouldn't you have to -- wouldn't you

23 have to lay down the activated carbon particles in a

24 perfect monolayer to achieve that ratio?

25 A. Not necessarily because --

Page 93

1 MR. JACKSON: Objection to form, scope.

2 A. Not necessarily, because all the

3 particles aren't 10 microns.

4 Q. (BY MR. GERGELY) Oh, okay. So -- but

5 you didn't say that in the file history. You said

6 that there were 10 microns.

7 A. Again --

8 MR. JACKSON: Objection to form and

9 scope.

10 A. -- the file history is not something I

11 have reviewed. It's a long prosecution. A lot of

12 documents were passed back and forth. I haven't

13 reviewed them, so I . . .

14 Q. (BY MR. GERGELY) The file history says

15 that the Asbury5564 data sheet was attached, and I've

16 been unable to find it. Do you recall if that was

17 ever submitted to the PTO?

18 MR. JACKSON: Object to scope. You can

19 answer.

20 A. I don't know. It could have been. It

21 might not have been.

22 Q. (BY MR. GERGELY) Do you recall that

23 issue coming up, that maybe it wasn't submitted to the

24 PTO?

25 MR. JACKSON: Object to scope.



Page 94

1 A. I don't recall that there was an issue  
2 that it wasn't.  
3 Q. (BY MR. GERGELY) Okay. So assuming  
4 that the Asbury5564 particles are, in fact, 10  
5 microns, as represented, if they were laid down in  
6 anything other than a monolayer, the ratio wouldn't be  
7 met, correct?  
8 MR. JACKSON: Objection to scope, form.  
9 A. The Asbury5564 is not all 10 microns.  
10 It's a distribution of particle size.  
11 Q. Sir, I'm asking --  
12 A. So if I have 2.5 micron particles and I  
13 cast something of 10 microns, you could have two 5-  
14 micron particles stacked on top of each other. If I  
15 have 2.5 microns, I could have four particles stacked  
16 on top of each other. So to determine if it's a  
17 monolayer or not a monolayer would be very difficult.  
18 Q. Right. And so what you just explained  
19 depends on something that was not stated of record in  
20 the file history. Instead, it was stated that the  
21 particles were 10 microns. So I'm asking you if you  
22 stacked, say, two of those particles on top of each  
23 other --  
24 A. Two 10s?  
25 Q. -- yes -- then the ratio wouldn't be

Page 95

1 met, correct?  
2 MR. JACKSON: Objection; form, scope.  
3 A. What ratio?  
4 Q. (BY MR. GERGELY) The ratio of the first  
5 to second thickness.  
6 A. Why would that be?  
7 Q. I'm asking you.  
8 MR. JACKSON: Objection.  
9 A. No, I'm asking -- you're asking me it's  
10 not met. So please explain how it's not met.  
11 Q. (BY MR. GERGELY) Well, if you had 20  
12 microns, say two particles stacked one on top of the  
13 other, and you had a 25.4 micron first thickness, that  
14 wouldn't meet the 2.5 ratio, correct?  
15 A. If I --  
16 MR. JACKSON: Objection; form, scope.  
17 These are getting twisted hypotheticals.  
18 A. Yeah, I'm lost.  
19 Q. (BY MR. GERGELY) Well, let me try to  
20 break it down for you. You've got a first thickness  
21 that's 25.4 microns.  
22 A. Uh-huh.  
23 Q. You have a second thickness comprised of  
24 Asbury5564 particles.  
25 A. Uh-huh.

Page 96

1 Q. If those are laid down in anything other  
2 than a monolayer, say, for example, one particle on  
3 top of another creating a 20 micron thickness, the  
4 ratio of 20 to 25 would not meet the 2.5 limitation  
5 or, rather, 25 to 20?  
6 MR. JACKSON: Objection to form, scope,  
7 improper hypothetical.  
8 A. I'm quite confused, so --  
9 Q. (BY MR. GERGELY) What's confusing about  
10 that?  
11 A. Because you have to understand the  
12 methodology of creating a film, and so without  
13 specific information on film formation, viscosity, you  
14 know, it's tough to speculate. So you're assuming  
15 that you're going to be able to get two particles to  
16 stack on each other that are two 10-micron particles.  
17 Q. I mean, isn't that just typically how it  
18 goes?  
19 A. No. It's a statistical distribution,  
20 right?  
21 Q. Wait a second. So you're saying a  
22 monolayer is typically how these particles are laid  
23 down, a perfect monolayer of activated particles?  
24 MR. JACKSON: Objection; form, scope.  
25 A. I did not say that. What percentage of

Page 97

1 the particles in Asbury5564 are 10 microns?  
2 Q. (BY MR. GERGELY) Sir, you said they  
3 were all 10 microns in the file wrapper.  
4 MR. JACKSON: Objection.  
5 Q. There was no range set forth there.  
6 MR. JACKSON: Objection; scope, form.  
7 A. I wouldn't say all those particles are  
8 10 microns. That's an impossibility.  
9 Q. (BY MR. GERGELY) All right. But that's  
10 what the Patent Office was told, and now you're saying  
11 in reality it's something different. But that wasn't  
12 told to the Patent Office; is that right?  
13 MR. JACKSON: Objection; form, scope.  
14 A. I don't know what was presented to the  
15 Patent Office and all the information.  
16 Q. (BY MR. GERGELY) Well, certainly they  
17 were told that Asbury5564 was 10 microns, right?  
18 A. Maximum.  
19 MR. JACKSON: Object to the form and  
20 scope.  
21 Q. (BY MR. GERGELY) Well, it didn't say  
22 maximum. It didn't say anything about maximum or a  
23 range, right?  
24 A. Okay.  
25 Q. Is that right?

<p style="text-align: right;">Page 98</p> <p>1 A. I don't know. I mean, you're asking me</p> <p>2 about a document that I haven't reviewed.</p> <p>3 Q. Okay. So look at Page 17 and see where</p> <p>4 it says anything about maximum or ranges.</p> <p>5 A. Again --</p> <p>6 MR. JACKSON: Object to scope.</p> <p>7 A. -- you're asking me to review what is a</p> <p>8 small portion of a document. If the data sheet was</p> <p>9 attached -- you're not showing me the data sheet. So</p> <p>10 on the data sheet, it's going to state a range.</p> <p>11 Q. (BY MR. GERGELY) Sir, we ordered the</p> <p>12 whole file history, and there's no data sheet. Have</p> <p>13 you ever seen a data sheet?</p> <p>14 MR. JACKSON: Object to scope. This is</p> <p>15 not an examination about the file wrapper.</p> <p>16 A. I have seen Asbury5564 data sheets.</p> <p>17 THE DEPONENT: I'd like to break for a</p> <p>18 bathroom break.</p> <p>19 MR. GERGELY: Yes. And just to be</p> <p>20 clear, you're not supposed to discuss your testimony</p> <p>21 with counsel during breaks.</p> <p>22 THE DEPONENT: I haven't.</p> <p>23 MR. GERGELY: Good.</p> <p>24 MR. JACKSON: I reminded him of that</p> <p>25 rule.</p>	<p style="text-align: right;">Page 100</p> <p>1 A. I see that.</p> <p>2 Q. And then it goes on to state, "The soot</p> <p>3 is extremely small in comparison to the Spijkers</p> <p>4 disclosed membrane 'thickness of 5 to 50 microns'."</p> <p>5 Do you see that?</p> <p>6 A. Yes.</p> <p>7 Q. And it states, "Applicant submits that</p> <p>8 such a small particle size would leave the particles</p> <p>9 buried deep within the polymer and therefore the</p> <p>10 particles would not be exposed at the polymer</p> <p>11 surface." Do you see that?</p> <p>12 A. I see that.</p> <p>13 Q. And what's the significance of that</p> <p>14 statement?</p> <p>15 MR. JACKSON: I'll object to scope. I'm</p> <p>16 going to instruct the witness not to answer this</p> <p>17 question because it entails necessarily discussion of</p> <p>18 a prior art reference that's not in his testimony and</p> <p>19 he doesn't talk about the prior art or Spijkers.</p> <p>20 MR. GERGELY: But this goes directly to</p> <p>21 his representation to the PTO that the thickness ratio</p> <p>22 is the critical breakthrough, so I'm cross-examining</p> <p>23 him on that.</p> <p>24 MR. JACKSON: I'm going to instruct him</p> <p>25 not to answer a question about the prior art. It's</p>
<p style="text-align: right;">Page 99</p> <p>1 MR. GERGELY: Okay. Thanks.</p> <p>2 (Recess taken, 12:52 p.m. to 1:01 p.m.,</p> <p>3 after which time Ms. Ott was not present.)</p> <p>4 Q. (BY MR. GERGELY) Dr. Haggquist, I'd</p> <p>5 like to turn your attention back to Haggquist</p> <p>6 Deposition Exhibit 11, which is -- yeah, there it is.</p> <p>7 I'd like to turn your attention to the arguments on</p> <p>8 Paragraph 16.</p> <p>9 A. I must be looking at the wrong document.</p> <p>10 Q. I think you're looking at the right one.</p> <p>11 A. So you're saying --</p> <p>12 Q. Exhibit 11. You're on the right one.</p> <p>13 A. Page?</p> <p>14 Q. 16.</p> <p>15 A. Oh, I thought you said Paragraph 16.</p> <p>16 Q. So do you see the arguments where the</p> <p>17 attorney is arguing over the Spijkers reference?</p> <p>18 A. Are you -- which paragraph, are you</p> <p>19 starting "Claim 1 through 27"?</p> <p>20 Q. Correct.</p> <p>21 A. I see that paragraph.</p> <p>22 Q. Okay. And do you see the statement that</p> <p>23 says, "Additionally, the carbon soot particle</p> <p>24 disclosed in Spijkers comprises an 'average size of 5</p> <p>25 to 40 nanometers'"? Do you see that?</p>	<p style="text-align: right;">Page 101</p> <p>1 not in the scope of his declaration. Spijkers isn't</p> <p>2 here. We're not talking about it.</p> <p>3 MR. GERGELY: You're instructing the --</p> <p>4 MR. JACKSON: It's outside the scope.</p> <p>5 MR. GERGELY: You can instruct the</p> <p>6 witness not to answer on issues of privilege, but not</p> <p>7 on things that are -- that's a nonprivileged question.</p> <p>8 Are you saying the subject matter is privileged?</p> <p>9 MR. JACKSON: No -- well, we'll halt the</p> <p>10 deposition and call the board so we can determine if</p> <p>11 this is proper scope. Fair enough?</p> <p>12 MR. GERGELY: Sure. I mean, we can do</p> <p>13 that. Is that how it's going to go? You're going to</p> <p>14 instruct him not to answer questions --</p> <p>15 MR. JACKSON: The board can decide if</p> <p>16 it's in or out. If they say in, you can ask, but this</p> <p>17 is far afield from his cross-examination under the</p> <p>18 trial practice guidelines, limited to the direct</p> <p>19 examination. This is veering into prior art,</p> <p>20 discussions of prior art, and he's not offering any</p> <p>21 testimony on prior art or the file wrapper.</p> <p>22 MR. GERGELY: Well, he's actually argued</p> <p>23 that the critical breakthrough and therefore the</p> <p>24 patentability is with respect to this thickness ratio,</p> <p>25 and this passage of the file wrapper -- this is of</p>

<p style="text-align: right;">Page 102</p> <p>1 record in the case -- goes directly to that issue.</p> <p>2 MR. JACKSON: Call the board.</p> <p>3 MR. GERGELY: All right.</p> <p>4 MR. JACKSON: I'll send them an email.</p> <p>5 MR. GERGELY: Are you going to call?</p> <p>6 Because I take it you're objecting.</p> <p>7 MR. JACKSON: Yes, it's far outside the</p> <p>8 scope. We'll see what they say.</p> <p>9 MR. GERGELY: All right. So do you want</p> <p>10 to just call right now?</p> <p>11 MR. JACKSON: I think we have to send an</p> <p>12 email first. I don't have the TPB in front of me.</p> <p>13 Are you familiar with the protocol?</p> <p>14 MR. GERGELY: I assume we just call the</p> <p>15 board.</p> <p>16 MR. JACKSON: Okay. Let's get the</p> <p>17 number.</p> <p>18 MR. GERGELY: This is your objection.</p> <p>19 MR. JACKSON: Well, it's far outside the</p> <p>20 scope, Peter. We're talking about --</p> <p>21 MR. GERGELY: So the trial practice</p> <p>22 guide says you can instruct the witness not to answer</p> <p>23 on privilege issues, not on scope issues.</p> <p>24 MR. JACKSON: Call the board and say</p> <p>25 this is outside --</p>	<p style="text-align: right;">Page 104</p> <p>1 clarification.</p> <p>2 MR. GERGELY: Are you instructing the</p> <p>3 witness not to answer?</p> <p>4 MR. JACKSON: For the time being, yes.</p> <p>5 I'd like to seek clarification from the board. We'll</p> <p>6 circle back at the end of the deposition and see if we</p> <p>7 have any guidance.</p> <p>8 MR. GERGELY: Well, I'm treating that as</p> <p>9 an instruction not to answer. It's interfering with</p> <p>10 the deposition. It's improper.</p> <p>11 Q. (BY MR. GERGELY) Sir, back to your --</p> <p>12 back to the declaration that was from the file</p> <p>13 history, Haggquist 7, which is of record.</p> <p>14 A. Haggquist 7? That was a declaration?</p> <p>15 Q. Oh, I'm sorry. No, I didn't want to ask</p> <p>16 you about that. Back to your second declaration,</p> <p>17 which is Haggquist Exhibit 3. Do you have that in</p> <p>18 front of you?</p> <p>19 A. Yes.</p> <p>20 Q. In Paragraph 19 -- well, first of all --</p> <p>21 well, Paragraph 19 says you provided a sample of an</p> <p>22 early prototype 2.5L fabric to Cocona's expert in this</p> <p>23 case. Do you see that?</p> <p>24 A. Yes.</p> <p>25 Q. Okay. And you said it was made to meet</p>
<p style="text-align: right;">Page 103</p> <p>1 MR. GERGELY: To call the board. I</p> <p>2 think this is interfering with the deposition. So I'd</p> <p>3 ask you to call the board. It's your objection.</p> <p>4 (Pause in the proceedings.)</p> <p>5 (Discussion off the record.)</p> <p>6 (At this time a call was placed to the</p> <p>7 Patent Trial and Appeal Board.)</p> <p>8 MR. GERGELY: So, Counsel, just for the</p> <p>9 record, again, I understand you are not allowing the</p> <p>10 witness to answer questions with respect to Haggquist</p> <p>11 Exhibit 11, Paragraph -- excuse me, Page 16, which is</p> <p>12 a document that's of record in the case involving a</p> <p>13 question about carbon soot even though the witness has</p> <p>14 submitted a declaration discussing carbon soot, and he</p> <p>15 has also discussed in his second declaration how the</p> <p>16 criticality of his invention depends on the ratio</p> <p>17 between the first and second thickness; is that right?</p> <p>18 MR. JACKSON: Yes, for the time being,</p> <p>19 I'm seeking clarification from the board because this</p> <p>20 is far outside the scope of admissible cross-</p> <p>21 examination. This goes to prior art, statements made</p> <p>22 during prosecution. They're not directly or</p> <p>23 indirectly discussed in his declaration. This is far</p> <p>24 outside. We'll return to this issue at the end of the</p> <p>25 deposition, but while we can, I'd like to get more</p>	<p style="text-align: right;">Page 105</p> <p>1 the thickness ratio and MVTR range. Do you see that?</p> <p>2 A. "This sample was made according to claim</p> <p>3 27 with a cured base material of polyurethane and with</p> <p>4 an applied print layer made of activated carbon and a</p> <p>5 volcanic mineral. "</p> <p>6 Q. And Paragraph 19 doesn't disclose the</p> <p>7 measurements of those thicknesses, correct?</p> <p>8 A. That is correct.</p> <p>9 Q. On over to Paragraph 20 on the next</p> <p>10 page, you also state that you provided a sample to</p> <p>11 Charles Daniels labeled TNF jacket, and you say, "This</p> <p>12 sample is made according to claim 27." Do you see</p> <p>13 that?</p> <p>14 A. Yes.</p> <p>15 Q. And you say, "It was made to meet the</p> <p>16 thickness ratio of MVTR range." Do you see that?</p> <p>17 A. "An applied print layer made of</p> <p>18 activated carbon," and which was made to meet the</p> <p>19 thickness ratio of MVTR range.</p> <p>20 Q. And you don't disclose the thicknesses</p> <p>21 in Paragraph 20, do you?</p> <p>22 A. No, I don't.</p> <p>23 Q. And Paragraph 21, you state that TNF</p> <p>24 began using other technology products in 2013. Do you</p> <p>25 see that?</p>

<p style="text-align: right;">Page 106</p> <p>1 A. Yes.</p> <p>2 Q. What other technology products were they</p> <p>3 using?</p> <p>4 A. S.Cafe.</p> <p>5 Q. S.Cafe?</p> <p>6 A. Yes.</p> <p>7 Q. As in Sam?</p> <p>8 A. Sam, Cafe, with a hyphen.</p> <p>9 Q. And who supplied that?</p> <p>10 A. You would have to ask TNF.</p> <p>11 Q. So they moved away from your certified</p> <p>12 manufacturers?</p> <p>13 A. Your question states complete moval</p> <p>14 [sic] or partial?</p> <p>15 Q. Oh, was it partial?</p> <p>16 (The deponent nodded head up and down.)</p> <p>17 Q. Yes?</p> <p>18 A. Yes.</p> <p>19 Q. And you say, "At about the same time,</p> <p>20 copycat products became available under the FlashDry</p> <p>21 brand name." Do you see that?</p> <p>22 A. Yes.</p> <p>23 Q. Is FlashDry still sold today?</p> <p>24 A. Yes.</p> <p>25 Q. And is there a reason why you haven't</p>	<p style="text-align: right;">Page 108</p> <p>1 actually, they have a trademark license, correct?</p> <p>2 MR. JACKSON: Object to scope.</p> <p>3 Q. (BY MR. GERGELY) Is that right?</p> <p>4 A. You know, that's not my area of</p> <p>5 expertise.</p> <p>6 Q. Because you just said that they licensed</p> <p>7 the patents, and I'm saying -- I'm asking, was Bowman</p> <p>8 correct yesterday or was he off?</p> <p>9 A. So my statement -- I shouldn't talk</p> <p>10 about what's licensed or what's not licensed. That's</p> <p>11 out of my scope. That's my mistake. What I was</p> <p>12 trying to clarify to you was not all products are</p> <p>13 '287.</p> <p>14 Q. I understand.</p> <p>15 A. Okay.</p> <p>16 Q. But at least as of today, Cocona --</p> <p>17 Cocona is not enforcing '287 against FlashDry because</p> <p>18 FlashDry is a woven?</p> <p>19 A. Or --</p> <p>20 MR. JACKSON: Objection to scope.</p> <p>21 A. Or a knit.</p> <p>22 Q. (BY MR. GERGELY) Or a knit. All right.</p> <p>23 I appreciate.</p> <p>24 A. It's not a waterproof-breathable</p> <p>25 laminate.</p>
<p style="text-align: right;">Page 107</p> <p>1 sued North Face on FlashDry?</p> <p>2 MR. JACKSON: Objection, scope.</p> <p>3 A. FlashDry is a brand name.</p> <p>4 Q. (BY MR. GERGELY) Okay. And you're</p> <p>5 saying copycat products became available.</p> <p>6 A. Yes, the S.Cafe.</p> <p>7 Q. Right. And then I'm asking you, why</p> <p>8 have you not sued The North Face on FlashDry?</p> <p>9 MR. JACKSON: Objection, scope.</p> <p>10 A. Which FlashDry product?</p> <p>11 Q. (BY MR. GERGELY) Any of them.</p> <p>12 A. So FlashDry went to knits and wovens.</p> <p>13 Q. Okay. I understand. So it's a knit and</p> <p>14 woven, so it's not a cured base material; is that</p> <p>15 right?</p> <p>16 A. Correct.</p> <p>17 Q. Okay. Fair enough. I appreciate that</p> <p>18 clarification.</p> <p>19 A. I'd like to clarify. TNF licensed all</p> <p>20 our patents, and so not just the '287, but the '287,</p> <p>21 they couldn't have licensed because it wasn't</p> <p>22 available. So -- and I think you're thinking all</p> <p>23 FlashDry is '287, and that is not a correct statement.</p> <p>24 Q. All right. So Mr. Bowman was saying</p> <p>25 yesterday that the brands such as North Face,</p>	<p style="text-align: right;">Page 109</p> <p>1 Q. I understand.</p> <p>2 A. Okay. Go ahead.</p> <p>3 Q. So Paragraph 23 references the</p> <p>4 infringement contentions. Do you see that, Exhibit</p> <p>5 1026?</p> <p>6 A. Yes.</p> <p>7 Q. And did you help prepare those</p> <p>8 infringement contentions?</p> <p>9 A. I helped with Exhibit 1026.</p> <p>10 Q. And you approved it before it was served</p> <p>11 on North Face in litigation?</p> <p>12 MR. JACKSON: Objection to the scope.</p> <p>13 A. I worked on 1026. I don't know if I</p> <p>14 have final approval on it. I don't think I have final</p> <p>15 approval.</p> <p>16 MR. GERGELY: What are we up to?</p> <p>17 THE COURT REPORTER: 14.</p> <p>18 (Deposition Exhibit 14 was marked.)</p> <p>19 Q. (BY MR. GERGELY) So I've handed you</p> <p>20 what's been marked as Exhibit 1026. And in your</p> <p>21 declaration, you say that "Cocona filed its</p> <p>22 infringement contentions wherein Cocona shows that</p> <p>23 VF's DryVent 2.5L products meet all limitations of</p> <p>24 claim 27, as well as other claims." So you feel that</p> <p>25 that's an accurate statement?</p>

Page 110

1 A. Yes. "As part of that litigation,  
2 Cocona filed its infringement contentions, Exhibit  
3 1026, wherein Cocona shows that the VF's DryVent 2 1/2  
4 layer product meet all limitations of claim 27, as  
5 well as other claims."  
6 Q. I'd like to draw your attention to the  
7 analytical answers report. It's on Page 20 of 93 of  
8 1026, which we're calling Haggquist 14. Do you have  
9 the report?  
10 A. Yes.  
11 Q. Do you see the conclusion where AA  
12 concludes, "The North Face ink layer contained silicon  
13 based particles (presumably oxide), but these were  
14 primarily very fine particles (tens of nanometers in  
15 diameter)"? Do you see that?  
16 A. Correct.  
17 Q. And the report goes on to say, "We did  
18 not find any abundance of observable carbon based  
19 filler particles in the ink layer. This could be  
20 interpreted as either the samples contained particles  
21 of a size which could not be resolved i.e., less than  
22 5 nanometers in diameter using this technique, or that  
23 such particles were not present." Do you see that?  
24 A. Yes.  
25 Q. And, sir, would you agree with me that

Page 111

1 particles that are in tens of nanometers in diameter  
2 or less than 5 nanometers in diameter are not active?  
3 MR. JACKSON: Objection; form, scope.  
4 A. I didn't follow your question.  
5 Q. (BY MR. GERGELY) Sir, would you agree  
6 with me that particles that are tens of nanometers in  
7 diameter or less than 5 nanometers in diameter are not  
8 active?  
9 A. You're saying particles that are less  
10 than 10 nanometers in diameter are not active?  
11 Q. Well, for example, how about less than 5  
12 nanometers in diameter?  
13 A. I don't have a comment on that.  
14 Q. Well, I had understood that you  
15 submitted a declaration that opined that Black Pearls  
16 2000 is too small to be activated, correct?  
17 MR. JACKSON: Objection; form, scope.  
18 Q. (BY MR. GERGELY) And I believe this  
19 would be your first declaration, Haggquist 2.  
20 A. Declaration 2 or exhibit Haggquist 2?  
21 Q. Haggquist 2. It's your first  
22 declaration.  
23 A. And paragraph?  
24 Q. 14.  
25 A. "I have been informed that VF asserts

Page 112

1 'Black Pearls 2000 Carbon Black' are active particles.  
2 I disagree. A POSITA understands that particulate  
3 carbon, often referred to as 'carbon soot' or 'carbon  
4 black' is not surface-active since it has not been  
5 processed to create the large number of pores  
6 necessary for surface-active chemistry. As explained  
7 in the '359 Application at paragraph 28, a POSITA  
8 understands that particle carbon has to be  
9 specifically processed, such as by using high  
10 temperatures and an activating agent, to create pores  
11 for surface activity. See '359 Application at  
12 Paragraph 28."  
13 I don't see where 5 nanometers and 10  
14 nanometers are discussed.  
15 Q. Okay. And I think I confused your  
16 declaration with the file wrapper, which is why I need  
17 to ask you questions about the size of the particles.  
18 MR. GERGELY: Are you still standing on  
19 your objection?  
20 MR. JACKSON: I'm sorry? The objection  
21 to?  
22 MR. GERGELY: The prior objection where  
23 you instructed him not to answer questions about  
24 carbon soot?  
25 MR. JACKSON: No, he can answer

Page 113

1 questions about carbon soot, but about discussing  
2 prior art references, that's my objection that I  
3 wanted clarification from the board on. You can ask  
4 him questions about carbon soot.  
5 Q. (BY MR. GERGELY) Is carbon soot large  
6 enough to be activated?  
7 MR. JACKSON: Object to scope.  
8 A. I guess I'm not understanding your  
9 question. So to create porosity, you need to create  
10 walls. If you get down to the molecular limit, all of  
11 a sudden now you don't have enough material to create  
12 walls. So the question is very generic. So it would  
13 be hard for me to answer.  
14 Q. (BY MR. GERGELY) Did you review  
15 Daniels' expert report before it was submitted in this  
16 case?  
17 A. No.  
18 Q. Did you provide any input to it?  
19 A. No.  
20 Q. I'll represent to you that Daniels said  
21 that carbon soot particles are too small to be  
22 activated. Would you agree with that?  
23 MR. JACKSON: Object to scope, form,  
24 foundation, leading.  
25 A. I wouldn't disagree with that.

Page 114

1 Q. (BY MR. GERGELY) And why wouldn't you  
2 disagree with it?

3 A. It's very difficult to create porosity  
4 if you're so small. Now, you're getting down to the  
5 size of an atom, and now it goes into this realm that  
6 what is a pore and what isn't. It becomes difficult  
7 now. Now it's a really -- I would say this is a very  
8 difficult subject without a clear-cut answer that we'd  
9 like.

10 Q. (BY MR. GERGELY) How big does a  
11 particle have to be to create porosity?

12 MR. JACKSON: Object to scope.

13 A. Yeah, I don't have an answer for that.  
14 I can state if you're in the micron size range, it's  
15 easier to produce porosity.

16 Q. If you're in the micron range.

17 A. But --

18 Q. What about if you're in the tens of  
19 nanometers?

20 A. Yes, so this is where -- I don't know  
21 where that cut-off is.

22 Q. So -- but would you agree with me that  
23 particles that are in the tens of nanometer range or  
24 than less than 5 nanometers are very small and  
25 unlikely to be active?

Page 115

1 MR. JACKSON: Object to scope.

2 A. It depends on their state.

3 Q. (BY MR. GERGELY) What does that mean?

4 A. Well, are they agglomerated? So you  
5 could have a primary particle size that's very small,  
6 but that primary particle size could build like Legos.  
7 Each Lego is a primary particle size. That Lego might  
8 be a certain size, but if you put 10, 20, 30, 40 of  
9 those Legos together, now I have something larger  
10 size.

11 Q. Okay. So without more information, we  
12 don't know?

13 A. Correct.

14 Q. Okay. Now, can -- in your view, can an  
15 active particle both absorb and adsorb?

16 A. In the definition, I'd like to draw you  
17 to my first declaration, which is Haggquist 2.  
18 Paragraph 8, "Active particles' are 'active' because  
19 they have the ability to adsorb or trap substances at  
20 their surface. The large surface-active property is a  
21 result of a large surface area of the active  
22 particle." So you're asking can it do both?

23 Q. Correct.

24 A. What's critical is the adsorption  
25 portion. "Active" because they have the ability to

Page 116

1 adsorb or trap substances at their surface."

2 Q. But you don't rule out the possibility  
3 that they could adsorb, correct?

4 A. Right.

5 Q. Because zeolites adsorb, right?

6 A. You know, that depends on what you ask.

7 Q. Well, I'll tell you --

8 A. And it depends on which zeolite you're  
9 referring to, and I understand that in the Exhibit  
10 1026, KMI, I think we provided this just for some  
11 surfaces. I think they're a little confused as to  
12 what they're trying to say. I'm not going to argue  
13 with them, but generally and some schools of thought  
14 state that adsorption requires swelling, and depending  
15 on the zeolite, some zeolites might not swell, so then  
16 it's a question of what is actually happening. So it  
17 can -- is it adsorbing or absorbing?

18 Q. And a material that swells can, in fact,  
19 adsorb? There's nothing about swelling that equates  
20 with absorption, correct?

21 A. Correct, but the process --

22 MR. JACKSON: Object.

23 Q. (BY MR. GERGELY) You can answer.

24 A. -- but the process of absorption can  
25 cause swelling because you're adding it to the

Page 117

1 network.

2 Q. Right. But swelling doesn't necessarily  
3 equate with absorption. It is something that is  
4 consistent with absorption, but simply because  
5 something is swelling doesn't mean that it's just  
6 absorbing, correct?

7 A. I don't think it's a yes-or-no answer on  
8 that. I don't think -- it depends on who you ask.  
9 The word "absorption" is more prevalent in our society  
10 than "adsorption." People of all skills confuse the B  
11 and the D constantly. So I -- you know, I understand  
12 what you're saying, and you want a clear-cut answer.  
13 I don't think there is a clear-cut answer, but what  
14 I'm trying to say is that an active particle, as in  
15 declaration -- if I can find it --

16 Q. No, I understand. You've already stated  
17 it. You don't have to state it again.

18 MR. JACKSON: Peter, were you able to  
19 ask the questions you wanted talking about soot apart  
20 from prior art references?

21 MR. GERGELY: I may have to circle back  
22 to it. I don't know.

23 MR. JACKSON: If you can, you know, you  
24 can proceed.

25 MR. GERGELY: On that question?

Page 118

1 MR. JACKSON: Well, no, no, I mean  
2 talking about soot, but not about what's in a prior  
3 art reference, what is disclosed, these things.  
4 Q. (BY MR. GERGELY) Sir, back to your  
5 declaration, which is Haggquist 3, you -- in Paragraph  
6 25, you discussed a press release concerning FlashDry,  
7 is that right, or article?  
8 A. Paragraph 25 shows a review of a TNF  
9 FlashDry product line technology. Is that what you're  
10 referring to?  
11 Q. And you reviewed that article?  
12 A. Yes.  
13 MR. GERGELY: What are we up to?  
14 THE COURT REPORTER: 15.  
15 (Deposition Exhibit 15 was marked.)  
16 Q. (BY MR. GERGELY) Is Haggquist Exhibit  
17 15 the 2014 that's referenced in your declaration?  
18 A. I'm sorry. I was reading something.  
19 Say that again.  
20 Q. Is Haggquist 15 the Exhibit 2014 which  
21 is referenced in your declaration?  
22 A. I believe it is.  
23 Q. And on Page 3 of 6, there's a depiction  
24 of the layers. Do you see that?  
25 A. 3 of 6. Are you referring to this?

Page 119

1 Q. Correct. Do you see that?  
2 A. Yes.  
3 Q. And who created that? Did Cocona create  
4 that or did North Face create that?  
5 A. I don't know.  
6 Q. Okay. But do you understand what this  
7 depicts?  
8 A. I think I know what they're trying to  
9 say.  
10 Q. Okay. And so is the black layer, is  
11 that the activated carbon?  
12 A. So let's recognize for a second a lot  
13 of -- there was a wide range of products that FlashDry  
14 had, of which a subset is a waterproof-breathable  
15 membrane. The circle here is probably the easiest one  
16 to look at.  
17 Q. Yes. I'm asking you about the picture  
18 on the left.  
19 A. Yeah, that picture on the left is  
20 confusing to me, so . . .  
21 Q. What's confusing?  
22 A. I've got a lot of blue and black, and  
23 I'm not sure what's what.  
24 Q. Well, isn't black typically carbon?

Page 120

2 Q. Okay. Regardless, it's an activated  
3 particle layer?  
4 A. And what I'm trying to get at, this  
5 picture, I prefer to talk about this picture, and then  
6 we can come back to this picture, if you like. I feel  
7 this is an easier one to understand.  
8 Q. Well, the black layer is the activated  
9 particle layer, correct?  
10 A. The black and gray in the picture.  
11 Q. The black and the gray?  
12 A. It's -- there's shades. If you ever saw  
13 the product, you would understand. It wasn't just  
14 pure black.  
15 Q. Well, the green layer is the fabric?  
16 A. The fabric.  
17 Q. Right?  
18 A. The outer fabric.  
19 Q. So that's not part?  
20 A. That's not part.  
21 Q. And the whiteish or bluish layer, that's  
22 what?  
23 A. That's a polyurethane layer, no  
24 particles.  
25 Q. And the black is the activated particle

Page 121

1 layer, correct?  
2 A. Correct. It's the print that goes on  
3 top of the mid, the polyurethane.  
4 Q. That doesn't -- just based on visual of  
5 what North Face is showing here, that doesn't appear  
6 to meet the --  
7 A. Do you think this is an actual  
8 representation of the product?  
9 Q. I don't know. You've put it in front of  
10 the board. So I'm saying, visually, does this meet  
11 this 2.5 to 10 ratio?  
12 A. No, it does not visually, and I think  
13 the artist was incorrect, but if the artist created it  
14 in that ratio, then they weren't able to bring  
15 attention to the critical layer, and I think what they  
16 were trying to do was bring attention to this critical  
17 layer. If you even look at the spacing, the size --  
18 this is just an artistic representation. If you look  
19 at the other circle where you see the fabric, you see  
20 the pattern? That is the print layer active. And  
21 then you see how thick that membrane layer is. That's  
22 a better representation of the product, a better  
23 representation. Again, though, you're not going to be  
24 able to determine thickness ratios by looking at these  
25 images.

<p style="text-align: right;">Page 122</p> <p>1 Q. Okay.</p> <p>2 A. I would like to bring attention to that.</p> <p>3 I would like to read Paragraph 25.</p> <p>4 Q. I'm sorry. Where are you at?</p> <p>5 A. On Haggquist 3, Paragraphs 25 --</p> <p>6 Q. Yes, just get --</p> <p>7 A. I'd like to read that second part after</p> <p>8 "FlashDry unveils," the link. "That review states in</p> <p>9 part, "The porous particles work with your body." I</p> <p>10 just wanted to bring that out.</p> <p>11 Q. Okay. Just give me a minute. I'm</p> <p>12 trying to find . . .</p> <p>13 THE DEPONENT: Can I do a bathroom break</p> <p>14 then?</p> <p>15 MR. GERGELY: Yes, go for it.</p> <p>16 THE DEPONENT: Okay.</p> <p>17 (Recess taken, 1:41 p.m. to 1:42 p.m.)</p> <p>18 MR. GERGELY: So, Jason, I'd like to ask</p> <p>19 some questions about that document you wouldn't allow</p> <p>20 him to ask -- you wouldn't allow him to answer on, and</p> <p>21 then I'll probably be ready to wrap it up. I would</p> <p>22 suggest that you just allow the examination to</p> <p>23 continue, and then you move to strike, you move to</p> <p>24 strike. Otherwise we're going to have to drag him</p> <p>25 back here; I have to fly out again. There's a lot of</p>	<p style="text-align: right;">Page 124</p> <p>1 concern was stop the deposition over prior art.</p> <p>2 MR. GERGELY: No, we're not talking</p> <p>3 about patentability. I'm just talking about -- I want</p> <p>4 to ask him technical questions about what he said.</p> <p>5 It's not whether it's patentable over Spijkers. Why</p> <p>6 don't we take the questions and then you can object,</p> <p>7 if it's objectionable.</p> <p>8 MR. JACKSON: Okay. Take the questions.</p> <p>9 Q. (BY MR. GERGELY) All right, sir. Let's</p> <p>10 go back to Deposition Exhibit 11, Haggquist 11, which</p> <p>11 is called Arguments Submitted with the Filing of the</p> <p>12 Request for Continued Examination.</p> <p>13 A. Okay.</p> <p>14 Q. So it's stated here, "Additionally, the</p> <p>15 carbon soot particle disclosed in Spijkers comprises</p> <p>16 an 'average size of 5 to 40 nanometers.'" Do you see</p> <p>17 that?</p> <p>18 A. I'm not on that page.</p> <p>19 Q. It's on Page 16.</p> <p>20 A. Page 16. So this is a document I have</p> <p>21 not reviewed. I am not a lawyer or have patent lawyer</p> <p>22 history or knowledge, and so my ability to answer is</p> <p>23 going to be limited.</p> <p>24 Q. Yeah, I'm not going to ask --</p> <p>25 A. I just wanted to preface things.</p>
<p style="text-align: right;">Page 123</p> <p>1 time and costs associated with that. Plus we have a</p> <p>2 short window.</p> <p>3 MR. JACKSON: Well, is there a way you</p> <p>4 can ask the question you want without inquiring about</p> <p>5 a piece of prior art?</p> <p>6 MR. GERGELY: Yeah, we don't have to</p> <p>7 discuss Spijkers, but we have to discuss -- we have to</p> <p>8 discuss particle sizes.</p> <p>9 MR. JACKSON: So you won't -- you don't</p> <p>10 need to ask questions about what's in and what's not</p> <p>11 in the Spijkers reference? That's my primary concern.</p> <p>12 MR. GERGELY: I mean, I think</p> <p>13 indirectly, yeah, I have to ask him questions about</p> <p>14 the 5 to 40 nanometer size particles.</p> <p>15 MR. JACKSON: The particle size in</p> <p>16 connection with Spijkers. What I do want to do is</p> <p>17 hold off for board guidance if you want to ask him</p> <p>18 questions about the Spijkers reference.</p> <p>19 MR. GERGELY: I'm not asking how it's</p> <p>20 patentable over Spijkers.</p> <p>21 MR. JACKSON: That's my problem. If you</p> <p>22 want to ask him about what is 5 to 40 nanometers, I'm</p> <p>23 fine.</p> <p>24 MR. GERGELY: It's a technical question.</p> <p>25 MR. JACKSON: So if you understand, my</p>	<p style="text-align: right;">Page 125</p> <p>1 Q. I'm not going to ask you any patent law</p> <p>2 questions. This is more of a technical question.</p> <p>3 A. Sure.</p> <p>4 Q. So you see the passage that says,</p> <p>5 "Additionally, the carbon soot particle disclosed in</p> <p>6 Spijkers comprises an 'average size of 5 to 40</p> <p>7 nanometers'"?</p> <p>8 A. Yes, I do see that.</p> <p>9 Q. And then it's stated, "This soot is</p> <p>10 extremely small in comparison to the Spijkers</p> <p>11 disclosed membrane of 5 to 50 microns [sic]."</p> <p>12 A. Yes.</p> <p>13 Q. Do you see that?</p> <p>14 A. Yes.</p> <p>15 Q. And then it goes on to state, "Applicant</p> <p>16 submits that such a small carbon particle size would</p> <p>17 leave the particles buried deep within the polymer and</p> <p>18 therefore the particles would not be exposed at the</p> <p>19 polymer surface." And then I'm asking, what is it</p> <p>20 about the small size of those particles which would</p> <p>21 cause them to be buried?</p> <p>22 MR. JACKSON: Object to scope,</p> <p>23 foundation, form.</p> <p>24 A. So particle sizes is an interesting</p> <p>25 statement. Normally if you manufacture a material,</p>



<p style="text-align: right;">Page 126</p> <p>1 you are going to get a distribution in size.  2 Rarely -- very rarely you would state it is one  3 particle size.  4 Primary particle size and functional  5 particle size could be two very different things. I  6 could have a particle, as an example, in Exhibit  7 Haggquist 14 -- I just want to -- am I okay to walk  8 over?  9 Q. Sure.  10 A. It's easier than . . .  11 Q. Yeah, yeah.  12 A. What would you consider this particle  13 size?  14 Q. I don't know.  15 A. Exactly.  16 Q. I don't know. Sir, yeah, I'm not under  17 oath.  18 A. I understand that. So what I'm trying  19 to point out is it's very difficult to represent what  20 this is. What I can do is I can do a measurement of  21 that entire plurality of particles, and if I do a  22 measure of plurality of particles, I come out with 4.1  23 microns. That's 4.1 microns is in the print layer.  24 Now we need to compare that to the  25 membrane of 33 microns. That falls within the range</p>	<p style="text-align: right;">Page 128</p> <p>1 that analytical answers faced is to measure  2 thicknesses after the fact is extremely difficult. In  3 our manufacturing production, when we measure the  4 thickness of a membrane, we measure the membrane  5 alone. Then we measure the membrane plus thickness  6 too. The laminate, the face fabric introduces error  7 to that. So he's predicting a range of what this  8 membrane is.  9 Q. Right. So why not just average those  10 measurements?  11 A. No, it's not representative.  12 Q. Well, are you saying that cherry-picking  13 one measurement is representative?  14 MR. JACKSON: Object to form, scope.  15 A. I'm not cherry-picking anything. We can  16 go look at 10 more samples, 100 more samples. This is  17 a representation of what the membrane is. You have to  18 look at a much bigger sample set. If you want to get  19 an average thickness -- I don't understand what an  20 average thickness is going to give. So there are  21 sections that fall under the range.  22 MR. GERGELY: All right. All right. We  23 are done. Appreciate your time.  24 THE DEPONENT: Really?  25 MR. JACKSON: Peter, I want to clarify</p>
<p style="text-align: right;">Page 127</p> <p>1 outlined in the '287, claim 27.  2 Now you've brought up a good question  3 regarding this carbon soot, and your question is why  4 would a very small particle be buried in a very thick  5 polymer.  6 Q. Right.  7 A. It's statistical distribution. If I  8 have something really small and something really  9 thick, the likelihood of it being on the surface is  10 extremely low. However, if I have an agglomerate of  11 small particles all together now, all of a sudden, is  12 five -- is the particle 5 nanometers or is it 4  13 microns.  14 Q. Let me ask you this. You know, you  15 pulled out Exhibit 1026.  16 A. Yes.  17 Q. And why is it that with respect to the  18 thicknesses, the thicknesses weren't averaged at the  19 different measurements? Why were certain  20 measurements --  21 A. Because that's representative of --  22 averaging these numbers, how are you going to weight  23 each point, and are you going to measure all over? So  24 there are sections of this membrane that are 33  25 microns. The issue that they face -- that we faced --</p>	<p style="text-align: right;">Page 129</p> <p>1 before you stop.  2 MR. GERGELY: Sure.  3 MR. JACKSON: Did the witness answer the  4 questions you were concerned about?  5 MR. GERGELY: Yeah, I think he did.  6 MR. JACKSON: Okay. Very good. We'll  7 take a five-minute break and come back.  8 (Recess taken, 1:51 p.m. to 2:00 p.m.)  9 MR. JACKSON: This is Jason Jackson,  10 counsel for Cocona, conducting a redirect examination.  11 EXAMINATION  12 BY MR. JACKSON:  13 Q. Dr. Haggquist, please turn your  14 attention to Haggquist Exhibit 5. That's the '287  15 patent. Please turn your attention to Figure 1 of  16 Exhibit 5. Have you seen this figure before?  17 A. Yes.  18 Q. Do you have an understanding of this  19 figure?  20 A. Yes.  21 Q. Can you give me your brief understanding  22 of what's happening in this figure?  23 A. Step 110 is to combine a polyurethane or  24 a polyacrylic solution, provide a based solution.  25 Step 120 is provide active particles. They could be</p>

Page 130

1 protected or unprotected. Mix together the active  
 2 particles and the base solution together. Apply the  
 3 mixture to a substrate. The substrate could be a wide  
 4 range of things, such as a self-supporting membrane.  
 5 Then you remove the protected substance  
 6 from the protective activating carbon -- activating  
 7 particles if the protectant was used.  
 8 **Q. Now, there was a lot of discussion today**  
 9 **with Mr. Gergely about the first and second**  
 10 **thicknesses; is that correct?**  
 11 A. Yes.  
 12 **Q. Does Figure 1 disclose two thicknesses?**  
 13 A. Yes.  
 14 MR. GERGELY: Objection, leading.  
 15 **Q. (BY MR. JACKSON) Does Figure 1 disclose**  
 16 **active particles in a solution?**  
 17 A. Yes.  
 18 **Q. Where does it disclose that?**  
 19 A. 130.  
 20 **Q. Does Figure 1 then disclose putting that**  
 21 **solution of active particles onto or in contact with**  
 22 **another material?**  
 23 A. Yes.  
 24 **Q. Where does it disclose that?**  
 25 A. 140.

Page 131

1 **Q. What does 140 say?**  
 2 A. 140 says, "Apply mixture," that's  
 3 referring to mixture in 130, "to a substrate," and the  
 4 substrate can be a thickness, such as thickness 1.  
 5 **Q. Okay. Could we measure the physical**  
 6 **thickness of the substrate in Step 140 in Figure 1?**  
 7 A. Before I apply the mixture?  
 8 **Q. Yes.**  
 9 A. Yes. If the substrate was a self-  
 10 supporting membrane, it makes it much easier. So  
 11 nothing else.  
 12 **Q. Okay. In Step 130, you see it**  
 13 **references active particles in the base solution. Do**  
 14 **you see that?**  
 15 A. Yes.  
 16 **Q. When those active particles in the base**  
 17 **solution are applied to the substrate, does that**  
 18 **solution have a physical dimension that we can measure**  
 19 **a thickness?**  
 20 A. When it's in the uncured state?  
 21 **Q. Sure.**  
 22 A. That would be -- in the cured state, the  
 23 solution contains a solvent, say water. And so you  
 24 generally cure this, and the water evaporates, leaving  
 25 behind the base material, the base polyurethane or


Page 132

1 polyacrylic plus the active particles and anything  
 2 else that was solids. The solvent evaporates. Once  
 3 that happens, then you can measure the thickness of  
 4 both the substrate and the thickness of the, may I  
 5 call it, print layer. Subtracting the substrate  
 6 thickness gives you the thickness of the active  
 7 particle layer. As an example, one product that we  
 8 would make, that we would produce, we would produce a  
 9 20-micron self-supporting membrane and then print on 4  
 10 microns of polymer and active particles as measured  
 11 after curing.  
 12 **Q. Okay. So if I understood you correctly,**  
 13 **this solution of active particles once it cures has a**  
 14 **physical thickness that could be measured?**  
 15 A. Yes.  
 16 **Q. So --**  
 17 A. You could measure it in solution, but I  
 18 don't know why you would want to.  
 19 **Q. Would the thickness of this cured active**  
 20 **particle solution be one example of the second**  
 21 **thickness in claim 27?**  
 22 A. With the cured version, not the solution  
 23 version.  
 24 **Q. And then the thickness of the substrate,**  
 25 **is that one example of the first thickness in claim**

Page 133

1 27?  
 2 A. Yes, and an easy example to understand  
 3 is if you have a self-supporting membrane, that is  
 4 thickness 1.  
 5 **Q. I'd like to turn your attention to**  
 6 **what's been marked as Haggquist Exhibit 3. This is**  
 7 **the second declaration of Dr. Gregory Haggquist.**  
 8 A. Yes.  
 9 **Q. Please turn your attention to**  
 10 **Paragraph 16.**  
 11 A. Yes.  
 12 **Q. How do you know that TNF was practicing**  
 13 **the technology of the '287 patent?**  
 14 A. Because I was there during production of  
 15 the samples.  
 16 **Q. And did that -- do those samples**  
 17 **incorporate the novel features of the '287 patent?**  
 18 A. Yes.  
 19 MR. GERGELY: Objection, vague.  
 20 A. If I can answer, the thickness of the  
 21 self-sustaining membrane and the thickness of the  
 22 printed membrane were performed.  
 23 **Q. (BY MR. JACKSON) Did those samples also**  
 24 **have a layer of active particles?**  
 25 A. The print layer had a layer of -- had

<p style="text-align: right;">Page 134</p> <p>1 active particles. I don't want to use the word</p> <p>2 "layer."</p> <p>3 Q. What term would you prefer?</p> <p>4 A. Well, I'm sorry. I didn't mean -- so</p> <p>5 thickness 2 is the binder plus the active particles.</p> <p>6 Q. Dr. Haggquist, where is the material</p> <p>7 covered by the '287 patent manufactured?</p> <p>8 A. When?</p> <p>9 Q. Now.</p> <p>10 A. Raco Textiles, Kolon Global. Raco</p> <p>11 Textiles has a subcontractor, but it's under Raco</p> <p>12 Textiles.</p> <p>13 Q. Where is Raco Textiles located?</p> <p>14 A. Taiwan.</p> <p>15 Q. And is it your understanding that the</p> <p>16 material they manufacture practices claim 27 in the</p> <p>17 '287 patent?</p> <p>18 A. Yes, it's my understanding that they do.</p> <p>19 Q. What is that understanding based on?</p> <p>20 A. The thickness of the membrane that they</p> <p>21 used is measured. It's part of a certificate of</p> <p>22 analysis that verifies the thickness of the membrane.</p> <p>23 The print layer is produced by a roller, which has a</p> <p>24 set depth to create dots onto the self-supporting</p> <p>25 membrane. The print solution slurry or anchor,</p>	<p style="text-align: right;">Page 136</p> <p>1 of the '287 patent, which is Haggquist 5.</p> <p>2 A. Column?</p> <p>3 Q. 7. And, sir, in column 7, lines 10</p> <p>4 through 29, there's a distinction drawn between the</p> <p>5 membrane and the substrate, correct?</p> <p>6 A. From 10 to?</p> <p>7 Q. 29.</p> <p>8 A. 29.</p> <p>9 (The deponent perused the exhibit.)</p> <p>10 A. So your question?</p> <p>11 Q. There's a reference to a substrate, and</p> <p>12 there's a reference to a membrane, correct?</p> <p>13 A. There is a reference to a substrate, and</p> <p>14 there is a reference to a membrane.</p> <p>15 Q. And it states in the paragraph at line</p> <p>16 21 to 22, "The cured mixture may be referred to herein</p> <p>17 as a membrane." Do you see that?</p> <p>18 A. Yes.</p> <p>19 Q. And that cured mixture is the</p> <p>20 polyurethane and active particles mixed together,</p> <p>21 correct?</p> <p>22 A. Correct, plus also it could be the -- if</p> <p>23 it was coated onto a membrane, it would then be a</p> <p>24 printed membrane.</p> <p>25 Q. And, sir, there was a discussion with</p>
<p style="text-align: right;">Page 135</p> <p>1 whatever word you would like, is consistently</p> <p>2 controlled to viscosity and solids percentage.</p> <p>3 In our early work, we were able to</p> <p>4 understand the combination of dot depth solids</p> <p>5 concentration delivers the right thickness that we</p> <p>6 target for our product. We further check coat weight,</p> <p>7 which is the mass per unit area of print that goes on</p> <p>8 the sample. We also check the moisture vapor</p> <p>9 transmission rate of the sample and the AATCC 201</p> <p>10 drying rate. If the AATCC 201 drying rate is out of</p> <p>11 the ordinary or out of the statistical distribution</p> <p>12 that we determined, we recognize immediately something</p> <p>13 is wrong, and we investigate.</p> <p>14 (At this time Ms. Lapuma left the room.)</p> <p>15 MR. JACKSON: Thank you, Dr. Haggquist.</p> <p>16 I have no further questions. We reserve our right to</p> <p>17 have the witness review and sign the transcript. Pass</p> <p>18 the witness back, Peter, if you have any further</p> <p>19 questions.</p> <p>20 MR. GERGELY: Yes, just a brief recross.</p> <p>21 (At this time Ms. Lapuma entered the</p> <p>22 room.)</p> <p>23 EXAMINATION</p> <p>24 BY MR. GERGELY:</p> <p>25 Q. Sir, drawing your attention to column 7</p>	<p style="text-align: right;">Page 137</p> <p>1 counsel about your manufacturing process and how you</p> <p>2 have certifications and so forth. None of those</p> <p>3 documents were provided in this case, correct?</p> <p>4 MR. JACKSON: Object to form, scope.</p> <p>5 A. It's not in my declaration, no.</p> <p>6 MR. GERGELY: Okay. Thank you. Nothing</p> <p>7 further.</p> <p>8 WHEREUPON, the within proceedings were</p> <p>9 concluded at the approximate hour of 2:15 p.m. on the</p> <p>10 5th day of December, 2018.</p> <p>11 * * * * *</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>

<p>1 I, GREGORY W. HAGGQUIST, PH.D., do hereby</p> <p>2 certify that I have read the above and foregoing</p> <p>3 deposition and that the same is a true and accurate</p> <p>4 transcription of my testimony, except for attached</p> <p>5 amendments, if any.</p> <p>6 Amendments attached ( ) Yes ( ) No</p> <p>7</p> <p>8</p> <p>9</p> <p>10 _____</p> <p>11 GREGORY W. HAGGQUIST, PH.D.</p> <p>12</p> <p>13 The signature above of GREGORY W.</p> <p>14 HAGGQUIST, PH.D. was subscribed and sworn to or</p> <p>15 affirmed before me in the county of _____,</p> <p>16 state of _____, this</p> <p>17 _____ day of _____, 2018.</p> <p>18</p> <p>19</p> <p>20 _____</p> <p>21 Notary Public</p> <p>22 My commission expires:</p> <p>23</p> <p>24</p> <p>25 VF Outdoor, LLC 12/5/18 (sb)</p>	<p>Page 138</p>
<p>1 REPORTER'S CERTIFICATE</p> <p>2 STATE OF COLORADO )</p> <p>3 ) ss.</p> <p>4 CITY AND COUNTY OF DENVER )</p> <p>5</p> <p>6 I, SANDRA L. BRAY, Registered Diplomat</p> <p>7 Reporter, Certified Realtime Reporter, and Notary</p> <p>8 Public ID 20084001729, State of Colorado, do hereby</p> <p>9 certify that previous to the commencement of the</p> <p>10 examination, the said GREGORY W. HAGGQUIST, PH.D. was</p> <p>11 duly sworn or affirmed by me to testify to the truth</p> <p>12 in relations to the matters in controversy between the</p> <p>13 parties hereto; that the said deposition was taken in</p> <p>14 machine shorthand by me at the time and place</p> <p>15 aforesaid and was thereafter reduced to typewritten</p> <p>16 form; that the foregoing is a true transcript of the</p> <p>17 questions asked, testimony given, and proceedings had.</p> <p>18 I further certify that I am not employed by,</p> <p>19 related to, nor of counsel for any of the parties</p> <p>20 herein nor otherwise interested in the outcome of this</p> <p>21 litigation.</p> <p>22 IN WITNESS WHEREOF, I have affixed my</p> <p>23 signature this 6th of December, 2018.</p> <p>24</p> <p>25 My commission expires January 16, 2020.</p> <p>26</p> <p>27 ___X___ Reading and Signing was requested.</p> <p>28 ___ Reading and Signing was waived.</p> <p>29 ___ Reading and Signing is not required.</p> <p>30</p> <p>31 </p> <p>32</p> <p>33 Sandra Bray</p> <p>34 Certified Realtime Reporter</p> <p>35 Registered Diplomat Reporter</p>	<p>Page 139</p>

<b>Exhibits</b>	92:21 99:19 129:15 130:12,15,20 131:4,6 133:4	99:6,12 103:11 124:10	<b>1:51</b> 129:8
<b>Haggquist 01</b> 3:7 5:5 6:19	<b>1,475</b> 37:2	<b>11,000</b> 55:7	<b>2</b>
<b>Haggquist 02</b> 3:9 7:4,6	<b>1,501.8</b> 40:4	<b>110</b> 129:23	<b>2</b> 7:4,6 12:24 13:5,10,13 17:24 23:1 25:4 30:24 31:24 35:18 36:1 39:24, 25 53:22 60:10,13 74:21, 23 75:3,5,8,11,17,22 110:3 111:19,20,21 115:17 134:5
<b>Haggquist 03</b> 3:11 11:24 104:17 133:6	<b>1/2</b> 53:22 110:3	<b>12</b> 50:21 71:13,14 72:22	<b>2.1</b> 36:1,4,5
<b>Haggquist 04</b> 3:12 14:6	<b>1/2-layer</b> 60:10,13	<b>120</b> 129:25	<b>2.5</b> 50:2,13 51:8 52:7,23 54:3,22 55:9 92:13 94:12,15 95:14 96:4 121:11
<b>Haggquist 05</b> 3:14 19:20 129:14,16	<b>10</b> 54:3 62:8,9 64:2 79:13 81:11 84:10 86:20,25 88:1,4 92:4,12,13,22 93:3,6 94:4,9,13,21 97:1, 3,8,17 111:10 112:13 115:8 121:11 128:16	<b>12:07</b> 66:10	<b>2.5-layer</b> 51:11 52:5,17
<b>Haggquist 06</b> 3:16 20:3 23:11	<b>10-micron</b> 96:16	<b>12:52</b> 99:2	<b>2.5L</b> 50:23 51:2 52:1 104:22 109:23
<b>Haggquist 07</b> 3:17 29:18 30:1	<b>10.16</b> 92:9	<b>13</b> 53:16,21 76:18,20 77:16 80:8,20 81:5,11 86:2	<b>2.67</b> 40:21 44:1
<b>Haggquist 08</b> 3:19 34:7	<b>100</b> 128:16	<b>130</b> 130:19 131:3,12	<b>20</b> <del>79:14 95:14</del> 96:3,4,5 105:9,21 110:7 115:8
<b>Haggquist 09</b> 3:21 39:1	<b>1000</b> 30:17 32:7 38:16	<b>14</b> 25:3 48:2 53:7,12 59:17 60:8 61:10 68:22 109:17, 18 110:8 111:24 126:7	<b>20-micron</b> 132:9
<b>Haggquist 10</b> 3:23 62:9 64:2 79:13 81:11	<b>1007</b> 77:15	<b>140</b> 130:25 131:1,2,6	<b>2000</b> 5:21 25:6,17,25 26:5 29:2,5,12 34:16 36:2,7, 10 37:1,12,21 38:3,10 39:21 40:4 41:23 42:4 111:16 112:1
<b>Haggquist 11</b> 4:1 65:14,24 99:6,12 103:11 124:10	<b>1010</b> 64:3	<b>1475</b> 38:10	<b>2005</b> 51:6 89:14
<b>Haggquist 12</b> 4:3 71:14 72:22	<b>1026</b> 109:5,9,13,20 110:3,8 116:10 127:15	<b>15</b> 37:3 40:5 45:12 60:2 118:14,15,17,20	<b>2006</b> 51:6 57:20 60:22 61:14 89:16
<b>Haggquist 13</b> 4:4 76:20 77:16 80:8,20 81:5,11 86:2	<b>1028</b> 34:22	<b>16</b> 60:23 99:8,14,15 103:11 124:19,20 133:10	<b>2007</b> 57:21
<b>Haggquist 14</b> 4:5 109:18 110:8 126:7	<b>10:03</b> 45:14	<b>17</b> 77:14,17,25 81:5 83:15 98:3	<b>2008</b> 61:11
<b>Haggquist 15</b> 4:7 118:15,16,17,20	<b>10:22</b> 45:14	<b>18</b> 68:22 83:15	
<b>1</b>	<b>10:59</b> 66:9	<b>19</b> 69:22 104:20,21 105:6	
<b>1</b> 5:5 6:19 13:13 18:9 23:10 30:23 31:25 48:20 49:15,22,23,25 58:1,3,7, 10 66:16 69:16 71:2,6 74:12,14 78:4,14,15 81:9 82:18,23 83:7,14,25 90:12,16 91:10,13,14,21	<b>10s</b> 94:24	<b>1:01</b> 99:2	
	<b>11</b> 10:4 12:25 13:5,10,14 45:18 46:7 65:12,14,24	<b>1:41</b> 122:17	
		<b>1:42</b> 122:17	

<b>2009</b> 46:12 61:14	129:8	<b>4165</b> 75:14	<hr/> <b>7</b> <hr/>
<b>2011</b> 61:3,4,8,16	<b>2L</b> 51:10	<b>42</b> 40:3	<b>7</b> 8:12 29:18 30:1 32:15 104:13,14
<b>2013</b> 105:24	<hr/> <b>3</b> <hr/>	<b>485</b> 48:7 59:9	<b>76</b> 86:3,14
<b>2014</b> 118:17,20	<b>3</b> 11:23,24 13:6 30:6 35:9, 16,17 45:16 50:21 52:14 53:10,12 58:23 59:16 104:17 118:5,23,25 122:5 133:6	<b>49</b> 78:14 79:15,16,20 81:25	<b>77</b> 86:4,15
<b>2015</b> 60:17	<b>3.1</b> 39:13,18,19	<b>4911</b> 39:14	<hr/> <b>8</b> <hr/>
<b>21</b> 105:23	<b>30</b> 74:17 115:8	<hr/> <b>5</b> <hr/>	<b>8</b> 8:7 17:24 23:1 30:24 31:25 34:7,20 38:9 66:16 82:23 115:18
<b>22</b> 20:6	<b>33</b> 126:25 127:24	<b>5</b> 19:18,19,20,23,25 20:5 47:25 66:12 83:9 86:15 99:24 100:4 110:22 111:2,7,11 112:13 114:24 123:14,22 124:16 125:6,11 127:12 129:14, 16	<b>80</b> 58:23
<b>23</b> 109:3	<b>34</b> 62:19 79:14	<b>5-</b> 94:13	<hr/> <b>9</b> <hr/>
<b>24</b> 86:3,14	<b>350</b> 74:17	<b>50</b> 78:19 80:3 81:12,25 100:4 125:11	<b>9</b> 18:24 38:25 39:1,10 46:11 47:25 59:3,7 68:18 74:11 86:4
<b>25</b> 92:7 96:4,5 118:6,8 122:3,5	<b>359</b> 8:13,16 14:1 18:9,11 19:9 23:10 35:7 42:22 112:7,11	<b>54</b> 82:23	<b>93</b> 110:7
<b>25.4</b> 78:15 92:21 95:13,21	<b>3D</b> 43:10	<hr/> <b>6</b> <hr/>	<b>9th</b> 57:19,21 60:22
<b>26</b> 62:19	<b>3L</b> 51:10	<b>6</b> 19:22 20:3 23:11 30:11 31:9 32:2 35:9,16,17 36:1 118:23,25	<hr/> <b>A</b> <hr/>
<b>27</b> 20:6 53:9,23 54:16 59:19 60:4,14 61:12,18 62:22 99:19 105:3,12 109:24 110:4 127:1 132:21 133:1 134:16	<b>3rd</b> 60:17	<b>600</b> 55:6	<b>a.m.</b> 45:14 66:9
<b>28</b> 62:19,22,23 63:11,21,23 69:25 74:11 78:4 112:7, 12	<hr/> <b>4</b> <hr/>	<b>62</b> 40:2	<b>AA</b> 110:11
<b>287</b> 8:13,15 19:8,25 30:9 31:7,13,15 35:6 47:21, 22,24 50:22 53:8,23 54:14,15 59:18,20 60:4, 16,25 61:2,13,22 62:3,17 64:6 65:3 66:12,14 73:3 74:9 82:22 83:9 91:12 107:20,23 108:13,17 127:1 129:14 133:13,17 134:7,17	<b>4</b> 14:5,6,20 19:17 20:2,5 50:1,8,15,17 58:8 92:1,3, 4,8 127:12 132:9	<b>63</b> 78:4	<b>ability</b> 8:19 10:18 18:1,8 23:3,9 31:1 115:19,25 124:22
<b>2:00</b>	<b>4.1</b> 126:22,23	<b>664</b> 73:11	<b>absorb</b> 115:15 116:3,5
	<b>40</b> 58:6,13,14,18,19,24,25 59:1 99:25 115:8 123:14, 22 124:16 125:6	<b>68</b> 78:5	<b>absorbing</b> 116:17 117:6
	<b>41-7</b> 75:15		<b>absorption</b> 10:6,8 13:17 17:23 116:20,24 117:3,4,9

<b>abundance</b> 110:18	121:20 129:25 130:1,16, 21 131:13,16 132:1,6,10, 13,19 133:24 134:1,5	<b>adsorption</b> 10:6,10,14 13:15,20 17:24 115:24 116:14 117:10	<b>and/or</b> 30:14 31:22
<b>accepted</b> 52:17 53:22	<b>active-particle</b> 53:17	<b>AEO</b> 71:18,19,21 72:3	<b>answering</b> 51:22
<b>accurate</b> 7:10,21 8:22 10:13 30:21 31:9,19 32:2,4,6,11,21 33:7 109:25	<b>activity</b> 112:11	<b>affirmed</b> 5:7	<b>answers</b> 38:12 39:16 110:7 128:1
<b>acetylene</b> 39:23 40:1	<b>actual</b> 11:3 43:10 121:7	<b>affield</b> 101:17	<b>apparatus</b> 89:18 90:1
<b>achieve</b> 88:20 92:24	<b>add</b> 46:24 47:2	<b>agent</b> 18:17 19:4 48:14 69:6 112:10	<b>Appeal</b> 103:7
<b>acknowledge</b> 38:9 41:22 52:22	<b>added</b> 48:10 57:25 59:12 75:9 76:1	<b>agglomerate</b> 87:8 127:10	<b>appears</b> 16:12 82:10
<b>act</b> 45:23 46:1 47:8	<b>adding</b> 47:16 48:12 116:25	<b>agglomerated</b> 115:4	<b>Apple</b> 51:25
<b>action</b> 17:16 77:1 80:9,21 83:12 85:24 86:6,17	<b>addition</b> 47:1	<b>agree</b> 33:10 71:17 110:25 111:5 113:22 114:22	<b>Applicant</b> 78:4 100:7 125:15
<b>actions</b> 64:13,14,19,20 65:3,7	<b>Additionally</b> 99:23 124:14 125:5	<b>agreed</b> 60:24	<b>application</b> 8:13 14:1 18:11 19:9 23:10 30:2 35:7 42:22 53:8 59:17 60:22 61:10 62:17 79:12 88:12 112:7, 11
<b>activated</b> 9:21 10:1 11:7 12:2,11, 18,21,22 13:1 14:22 15:7,13,17,23 16:4,17,22 17:4,17 18:18 20:19,22, 24 24:5,6,16 26:18 27:7, 13,16 28:22 41:3,12,15 43:25 44:5,16 45:4 48:7, 8,13 55:18,20 59:8,9 66:20,23 67:10,15,24 68:12 75:9 76:1 78:19,21 79:1 80:4,10,22 81:14,18 82:2 83:24 84:8,10,14 87:6,20 88:8 92:23 96:23 105:4,18 111:16 113:6, 22 119:11 120:1,2,8,25	<b>address</b> 12:8 36:25	<b>ahead</b> 75:3 109:2	<b>applications</b> 19:14 62:5
<b>activating</b> 18:17 19:4 112:10 130:6	<b>adhere</b> 48:16	<b>Ahh</b> 28:11 47:20 79:19	<b>applied</b> 66:24 105:4,17 131:17
<b>active</b> 8:18,19 17:25 18:1,5,6,7, 10,12,20,21 19:11 20:6, 12 21:4,6 22:22 23:2,5,7, 8 25:6,25 30:12,18,25 31:3,19 32:8,15,17 33:19,20,21,23 38:19 47:3 53:24 54:4,18,20 55:3 58:16 60:6 78:7 111:2,8,10 112:1 114:25 115:15,18,21,25 117:14	<b>adhering</b> 10:10 17:5,9	<b>allowing</b> 103:9	<b>apply</b> 90:25 91:1 130:2 131:2,7
	<b>adjusted</b> 48:12	<b>aluminum</b> 20:23,24 21:1,16	<b>approval</b> 109:14,15
	<b>admissible</b> 103:20	<b>amended</b> 78:4	<b>approved</b> 85:4 109:10
	<b>adsorb</b> 8:19 18:1,8 22:24 23:3,9 30:13,19 31:1,21 32:9,18 35:12,21 36:11 37:13 115:15,19 116:1,19	<b>amendments</b> 78:13	<b>approving</b> 85:10,11
	<b>adsorbate</b> 24:12	<b>amount</b> 26:20,21 42:14 79:25	<b>aqueous</b> 48:8 59:10
	<b>adsorbed</b> 13:22 23:19 24:8	<b>analogy</b> 16:7,15 43:22	<b>area</b> 10:2 15:25 16:6,16,22 18:4,5 23:5,6 27:21,25 29:1,5,11 30:15,17 31:3 32:8 35:12,21 36:10 37:2,19,21 38:10,22 40:2,4,15,18 53:19 54:6 108:4 115:21
	<b>adsorbent</b> 24:11,13	<b>analysis</b> 51:7 134:22	<b>areas</b> 17:23 38:24
	<b>adsorbing</b> 116:17	<b>analytical</b> 110:7 128:1	
	<b>adsorbs</b> 22:23	<b>anchor</b> 134:25	

<b>argue</b> 116:12	<b>attached</b> 84:7 93:15 98:9	11,12,16 117:21 118:4 120:6 122:25 124:10 129:7	42:3 49:3,8 111:15 112:1 119:10,22,24 120:8,10, 11,14,25
<b>argued</b> 92:20 101:22	<b>Attaching</b> 17:11	<b>background</b> 47:14	<b>black'</b> 25:6 112:4
<b>arguing</b> 99:17	<b>attention</b> 8:6 20:5 21:3 30:10 35:8, 25 39:13 45:18 58:22 59:8 62:18 66:12,15 68:18 77:14,16 79:14 80:8,20 99:5,7 110:6 121:15,16 122:2 129:14, 15 133:5,9	<b>baking</b> 21:18	<b>blue</b> 119:22
<b>arguments</b> 65:24 99:7,16 124:11	<b>attorney</b> 50:11 56:18 57:17 63:6,7 81:24 83:23 84:6 99:17	<b>balancing</b> 45:23 46:1 47:8	<b>bluish</b> 120:21
<b>art</b> 8:8,14 33:21 46:15 87:18 100:18,19,25 101:19,20, 21 103:21 113:2 117:20 118:3 123:5 124:1	<b>attorney-client</b> 64:9 80:14	<b>base</b> 45:25 46:3 47:11 49:9, 11,22 53:18 54:1,5,17 55:19 56:22 75:14 78:6 105:3 107:14 130:2 131:13,16,25	<b>board</b> 14:17 101:10,15 102:2, 15,24 103:1,3,7,19 104:5 113:3 121:10 123:17
<b>article</b> 15:7 118:7,11	<b>attorneys</b> 64:5	<b>base-cured</b> 57:23	<b>body</b> 122:9
<b>artist</b> 10:25 121:13	<b>attributable</b> 16:22	<b>based</b> 12:10 26:15,16 36:24 41:11 54:20 110:13,18 121:4 129:24 134:19	<b>book</b> 71:22
<b>artistic</b> 121:18	<b>authentic</b> 39:8	<b>bathroom</b> 45:11 98:18 122:13	<b>bottom</b> 66:16
<b>Asbury5564</b> 13:2 48:13 78:20 80:5 81:7 84:8,9 86:20 87:5 92:12 93:15 94:4,9 95:24 97:1,17 98:16	<b>author</b> 15:22 36:2,6,9 38:9 39:21 41:22	<b>began</b> 105:24	<b>bounds</b> 84:17
<b>ash</b> 21:12	<b>average</b> 99:24 124:16 125:6 128:9,19,20	<b>beginning</b> 21:3 75:15	<b>Bowman</b> 107:24 108:7
<b>asserts</b> 25:5,24 111:25	<b>averaged</b> 127:18	<b>behalf</b> 6:23	<b>BP</b> 35:11,20 36:2,7 37:1
<b>assist</b> 14:4 64:4 65:5	<b>averaging</b> 127:22	<b>beneath</b> 10:14	<b>brackets</b> 79:18
<b>assistance</b> 85:16,17	<b>aware</b> 37:10,19 38:1 41:3 43:24 44:9,15,16	<b>big</b> 114:10	<b>brand</b> 61:3,15,16 106:21 107:3
<b>assisted</b> 65:9 66:2 77:1 85:1 86:6, 17	<hr/> <b>B</b> <hr/>	<b>bigger</b> 128:18	<b>brands</b> 107:25
<b>assisting</b> 64:13,19	<b>back</b> 13:7 15:11 16:6,14 17:20 22:25 30:4 31:24 43:21 45:15 46:11 47:7,13 50:20 51:6 59:15 66:12, 15 74:8 75:3 76:13 77:7 80:8,17,18,20 85:25 86:4,9,15 87:11 89:23 92:11 93:12 99:5 104:6,	<b>binder</b> 134:5	<b>break</b> 45:11 65:1 66:7 95:20 98:17,18 122:13 129:7
<b>assume</b> 71:19 82:1,21,22 102:14		<b>binding</b> 24:23	<b>breakthrough</b> 53:19 54:5 57:11 84:20 100:22 101:23
<b>assumes</b> 63:1 74:6		<b>bit</b> 7:15	<b>breathable</b> 54:17,19
<b>assuming</b> 94:3 96:14		<b>black</b> 25:5,11,16,24,25 26:4,5, 19 29:2,5,12 34:15 36:2, 7,9 37:1,12,20,25 38:3, 10 39:21,23 40:1,3 41:23	<b>bring</b> 47:7 56:20 121:14,16 122:2,10
<b>atom</b> 114:5			<b>broad</b>



20:16	56:3 58:23 59:8,9 66:20, 23 67:11,15,24 68:12 75:9 76:1 78:19,21 79:1 80:4,10,22 81:14,18 82:3 83:24 84:9,10,14 87:6,20 92:23 99:23 103:13,14 105:4,18 110:18 112:1,3, 8,24 113:1,4,5,21 119:11,24 120:1 124:15 125:5,16 127:3 130:6	<b>Charles</b> 105:11	<b>clarify</b> 107:19 108:12 128:25
<b>broke</b> 54:8		<b>chemist</b> 5:16 17:11,12	<b>clear</b> 7:11,14 98:20
<b>brought</b> 127:2		<b>chemistry</b> 25:13 112:6	<b>clear-cut</b> 114:8 117:12,13
<b>build</b> 47:4 115:6		<b>cherry-picking</b> 128:12,15	<b>client</b> 76:13
<b>building</b> 9:2,3,4,8,15 16:7,17 43:22	<b>carbons</b> 12:23 27:17 41:15 44:6	<b>Chief</b> 5:25	<b>closed</b> 9:4
<b>bulk</b> 13:16	<b>care</b> 72:1	<b>Chinese</b> 30:3	<b>coated</b> 67:6,13 68:4
<b>buried</b> 100:9 125:17,21 127:4	<b>cartoon</b> 11:14,16 13:10,20	<b>choose</b> 46:4 47:12 84:13	<b>coating</b> 89:18
<b>C</b>		<b>chosen</b> 87:1	<b>COC</b> 73:11
<b>C.V.</b> 30:4	<b>case</b> 7:7 14:9,12 16:17 43:2 55:23 66:1 67:9 88:17 89:20 91:10 102:1 103:12 104:23 113:16	<b>cinoxate</b> 21:21,23	<b>Cocona</b> 5:18,20,22 6:4,15,23 59:19 61:12 108:16,17 109:21,22 110:2,3 119:3 129:10
<b>CAD</b> 10:24	<b>cast</b> 48:17,19,20 49:24 74:12, 14 94:13	<b>circle</b> 104:6 117:21 119:15 121:19	<b>Cocona's</b> 60:24 61:1 104:22
<b>Cafe</b> 106:8	<b>casting</b> 58:12	<b>circles</b> 56:23	<b>coconut</b> 48:13 78:21 84:8,10
<b>call</b> 6:8 82:17 101:10 102:2, 5,10,14,24 103:1,3,6 132:5	<b>causing</b> 47:6	<b>cite</b> 34:10	<b>coin</b> 51:1,14,25 53:4
<b>called</b> 26:17,19 37:1 38:11 47:10 51:9 124:11	<b>caution</b> 64:8,21	<b>cited</b> 29:21 39:5 62:11 65:17 72:16 76:22	<b>coined</b> 51:25
<b>calling</b> 82:15 110:8	<b>ceiling</b> 9:8	<b>Civil</b> 5:2	<b>coining</b> 53:4
<b>capability</b> 35:12,21 36:10	<b>centimeters</b> 40:21,23,24 44:1	<b>claim</b> 53:9,23 54:15 59:19 60:4,14 61:12,18,20 62:19,22,23 63:11,21,23 78:5,13 99:19 105:2,12 109:24 110:4 127:1 132:21,25 134:16	<b>column</b> 20:5 40:20 47:25 59:3,7 66:16 68:17,18 74:11 82:22
<b>capacity</b> 30:13,18 31:20 32:9,18 55:4	<b>certainty</b> 71:11	<b>claimed</b> 19:10	<b>combination</b> 57:2
<b>carbon</b> 9:21 10:1 11:7 12:2,11, 18,21 13:1 14:22 15:7, 13,17,24 16:5,17,22 17:4,17 18:16,18 19:1 20:19,22 24:5,6,17 25:5, 6,10,11,16,24,25 26:4, 18,19 27:7,13 28:22 41:3,12 43:25 45:5 48:7, 8,12,13 49:15 55:18,20	<b>certificate</b> 134:21	<b>claims</b> 54:15 55:8 61:23 63:8,15 78:4 109:24 110:5	<b>combine</b> 129:23
	<b>certified</b> 106:11	<b>clarification</b> 103:19 104:1,5 107:18 113:3	<b>combined</b> 66:19
	<b>chance</b> 33:5		<b>comfortable</b> 60:11
	<b>change</b> 47:3		<b>comment</b> 25:20 29:9 31:5,6,18
	<b>characteristics</b> 15:24 16:5		

36:17 37:8 41:11 63:4 82:11 84:25 111:13	<b>concludes</b> 110:12	75:5,14,22	<b>cover</b> 42:23
<b>comments</b> 32:25	<b>conclusion</b> 26:12,16 110:11	<b>conversion</b> 63:8	<b>covered</b> 134:7
<b>common</b> 81:8,13,15,17	<b>conducting</b> 129:10	<b>copy</b> 11:11,15 20:1 72:25	<b>cracks</b> 16:23 17:6 42:24
<b>communication</b> 80:14	<b>confidential</b> 71:16 72:2	<b>copycat</b> 106:20 107:5	<b>create</b> 18:17 19:4 25:12 90:12 91:3,8,15 112:5,10 113:9,11 114:3,11 119:3, 4 134:24
<b>communications</b> 64:9,24 80:17	<b>confirm</b> 50:14	<b>corp</b> 6:4,5,6	<b>created</b> 49:16 71:6 119:3 121:13
<b>company</b> 6:3 47:15	<b>confuse</b> 117:10	<b>correct</b> 7:1,2 9:18 10:11,12,15, 16 11:4 14:13 16:18,24 17:6 18:22 19:11 20:20 22:1 24:18 25:15,17 26:13,14 27:22,23 28:12, 14 33:2,8,11,17 34:5,6 36:11 38:12,14 39:20 40:6 41:24 42:5,15,24,25 50:4 51:1,3,15 52:10,24 55:13 57:9 59:4,5,21 60:1,6 61:19,24 68:3,6 70:12,20 74:4 75:6,10, 23,24 76:2,3,5 78:24 82:19 83:2,7,25 84:3 85:2,5,13,16 87:18 88:2 90:13 92:5,15 94:7 95:1, 14 99:20 105:7,8 107:16, 23 108:1,8 110:16 111:16 115:13,23 116:3, 20,21 117:6 119:1 120:9 121:1,2 130:10	<b>creates</b> 10:2 16:16
<b>compare</b> 126:24	<b>confused</b> 96:8 112:15 116:11		<b>creating</b> 49:1,2 73:19 74:3 89:4 91:21 96:3,12
<b>compared</b> 39:22	<b>confusing</b> 96:9 119:20,21		<b>critical</b> 57:11 84:20 100:22 101:23-145:24 121:15,16
<b>comparison</b> 38:23 100:3 125:10	<b>connect</b> 81:20		<b>criticality</b> 56:7,13 103:16
<b>complete</b> 60:8 106:13	<b>connection</b> 34:21 123:16		<b>cross-</b> 48:14 103:20
<b>completely</b> 49:22 51:19	<b>considered</b> 9:15 51:8		<b>cross-examination</b> 14:10,18 34:17 39:6 101:17
<b>complex</b> 30:15,16 32:6	<b>consistent</b> 82:14 117:4		<b>cross-examining</b> 100:22
<b>composition</b> 54:16 55:4,5,6	<b>constantly</b> 117:11		<b>cross-linking</b> 69:6
<b>compound</b> 83:4	<b>contact</b> 35:13,22 54:19 56:5 130:21	<b>correctly</b> 132:12	<b>crystals</b> 21:24
<b>comprised</b> 95:23	<b>contained</b> 110:12,20 120:1	<b>correspond</b> 73:2	<b>cubed</b> 40:22
<b>comprises</b> 54:22 55:6 78:6,7,15,20 80:11,23 84:10 99:24 124:15 125:6	<b>contention</b> 55:16,24	<b>corresponded</b> 64:22	<b>cubic</b> 40:23,24 44:1
<b>comprising</b> 32:17 54:16,17,21	<b>contentions</b> 109:4,8,22 110:2	<b>costs</b> 123:1	<b>cure</b> 54:19 56:22 131:24
<b>concentration</b> 47:5	<b>context</b> 37:7	<b>counsel</b> 6:12 8:1,2 14:8 64:10,11, 19,22,23 65:5 66:2 80:9, 21 83:6 85:16,18 98:21 103:8 129:10	<b>cured</b> 48:4 49:11,22 54:1,17 55:19,25 67:3,19 69:13 78:5 79:23 88:8 105:3 107:14 131:22 132:19,22
<b>concern</b> 123:11 124:1	<b>continuation</b> 70:5	<b>counsel's</b> 27:6 77:23	
<b>concerned</b> 129:4	<b>continue</b> 33:4 122:23	<b>COURT</b> 19:18 62:8 65:13 71:13 76:19 109:17 118:14	
<b>conclude</b> 81:10	<b>Continued</b> 65:25 124:12		
	<b>control</b>		

<b>cures</b> 132:13	9:21 11:8 12:3,4 100:9 125:17	11:24 13:25 14:6,16 19:20 20:3 29:18,24 34:7 39:1 62:9 65:14 71:14 76:20,24 92:20 99:6 101:10 103:2,25 104:6, 10 109:18 118:15 124:1, 10	<b>dimensions</b> 28:13
<b>curing</b> 58:12 74:16 132:11	<b>define</b> 17:9 40:9 42:17,18		<b>dioxide</b> 22:12,14
<b>current</b> 5:24	<b>defines</b> 36:2,6		<b>direct</b> 62:18 101:18
<b>cut</b> 56:23	<b>defining</b> 42:21 47:17	<b>depth</b> 134:24	<b>directed</b> 50:12
<b>cut-off</b> 114:21	<b>definition</b> 20:16 42:4 115:16	<b>describe</b> 52:20 89:1	<b>direction</b> 49:20
<b>D</b>	<b>degrees</b> 74:17	<b>describes</b> 36:9	<b>directly</b> 50:19 84:22 100:20 102:1 103:22
<b>Daniels</b> 105:11 113:20	<b>delivered</b> 22:6	<b>description</b> 9:11 70:2,3	<b>disagree</b> 25:7 26:1 34:18 84:19 112:2 113:25 114:2
<b>Daniels'</b> 113:15	<b>demonstrate</b> 10:5	<b>detailed</b> 11:6 69:9,19 70:3 89:3	<b>disclose</b> 56:12,15 57:13 105:6,20 130:12,15,18,20,24
<b>data</b> 12:19 45:3 73:9 84:8 93:15 98:8,9,10,12,13,16	<b>demonstrations</b> 60:3	<b>details</b> 22:4 64:23	<b>disclosed</b> 69:19 73:2 99:24 100:4 118:3 124:15 125:5,11
<b>date</b> 51:11,12 57:19 61:14,15	<b>demonstrative</b> 10:5	<b>determine</b> 8:25 94:16 101:10 121:24	<b>disclosure</b> 50:18 60:15,19 61:19
<b>day</b> 55:7,8	<b>depend</b> 88:11	<b>developed</b> 60:2 61:10	<b>discrete</b> 76:7
<b>decide</b> 101:15	<b>depending</b> 116:14	<b>developing</b> 56:21	<b>discuss</b> 12:16 17:23 27:2 29:16 98:20 123:7,8
<b>declaration</b> 7:6,14,16 8:12 11:12 12:17 13:5,13 22:7,25 26:7 27:1 28:17 29:15 30:3,9,23 31:12,25 34:16 35:5 41:11 42:20 43:8,13 44:6 45:16 50:21 51:18 52:14,16 57:12 59:15,16 62:3,12 72:17 76:23 79:9 90:10 101:1 103:14,15, 23 104:12,14,16 109:21 111:15,19,20,22 112:16 115:17 117:15 118:5,17, 21 133:7	<b>depends</b> 21:10 90:18 94:19 103:16 115:2 116:6,8 117:8	<b>device</b> 90:3	<b>discussed</b> 18:2 72:16 75:16 76:22 103:15,23 112:14 118:6
<b>declarations</b> 6:25 12:7,8 17:21 25:2	<b>depiction</b> 14:22 15:17,21 18:11 23:13 118:23	<b>devised</b> 50:22	<b>discussing</b> 57:8 103:14 113:1
<b>declare</b> 33:10	<b>depictions</b> 11:7 12:2	<b>diameter</b> 88:1 92:13 110:15,22 111:1,2,7,10,12	<b>discussion</b> 72:10 100:17 103:5 130:8
<b>declared</b> 33:1	<b>depicts</b> 13:14 18:9 119:7	<b>difference</b> 7:17 10:5 13:14 26:20 57:4	<b>discussions</b> 101:20
<b>deep</b>	<b>deponent</b> 7:8 45:10 70:14 86:12 98:17,22 106:16 122:13, 16 128:24	<b>difficult</b> 10:19 36:25 37:8 81:20 94:17 114:3,6,8 126:19 128:2	<b>dissolution</b> 13:16
	<b>deponent's</b> 5:9	<b>diffusion</b> 24:10 46:16,18,20 47:3,6	<b>distinct</b> 78:24
	<b>deposited</b> 68:15	<b>dimension</b> 43:11 131:18	<b>distribution</b> 94:10 96:19 126:1 127:7
	<b>deposition</b> 5:5 6:18,19 7:4,6,12	<b>dimensional</b> 10:19	

<b>document</b> 6:20 14:16,21 15:2,23 21:6 31:4 32:23 33:1,5, 15 34:4,11,21 35:9,16 36:15,22,24 39:3,7,11,15 42:2 62:15 63:3,4,5 65:16,24 66:2 71:16,24 72:5,17 77:5,17,20 79:7, 8 82:8,10,12 83:17,18 85:2,5,15,18,20 86:8 98:2,8 99:9 103:12 122:19 124:20	109:23 110:3 <b>duly</b> 5:7 <b>durable</b> 60:12 <hr/> <b>E</b> <hr/> <b>earlier</b> 38:17 41:2 <b>early</b> 104:22 <b>easier</b> 114:15 120:7 126:10 131:10 <b>easiest</b> 119:15 <b>easy</b> 133:2 <b>edge</b> 16:13,23 <b>effect</b> 26:6 60:3 <b>effective</b> 47:6 60:12 <b>effectively</b> 47:4 <b>electrolyte</b> 35:13,22 <b>email</b> 72:13 76:15 102:4,12 <b>emphasize</b> 40:11,12 <b>employed</b> 5:19 <b>employer</b> 5:17 <b>enabling</b> 48:16 <b>enamored</b> 61:1 <b>end</b> 45:22 58:7,14 103:24 104:6	<b>ends</b> 50:2 <b>enforcing</b> 108:17 <b>engineered</b> 18:21 22:9,17 <b>entails</b> 100:17 <b>enter</b> 15:18 <b>entered</b> 77:11 <b>entering</b> 17:4 <b>entire</b> 37:24 46:6 82:12 126:21 <b>entitled</b> 65:24 <b>entry</b> 73:12 <b>equate</b> 27:24 84:13 117:3 <b>equates</b> 116:19 <b>equation</b> 47:4 <b>equipment</b> 89:5,9,12,16 <b>error</b> 128:6 <b>essentially</b> 43:18 <b>evaporates</b> 131:24 132:2 <b>event</b> 6:22 83:23 <b>eventually</b> 62:22 <b>evidence</b> 63:1 74:6 <b>exact</b> 67:17 81:12 <b>examination</b> 5:10 34:12 66:1 72:19	98:15 101:19 103:21 122:22 124:12 129:10,11 <b>examine</b> 29:11 <b>examples</b> 47:22 75:25 <b>excerpt</b> 71:21 <b>excuse</b> 7:16 30:15 57:20 61:2,15 103:11 <b>exhibit</b> 5:5 6:19 7:4,6,8 11:24 13:6,13 14:6,8 19:20 20:3 23:11 29:18,20,23 30:1 34:7,9 39:1 47:25 62:9,11 63:25 65:14 70:14 71:14 72:16,22 76:20,22 77:14,15 79:13 99:6,12 103:11 104:17 109:4,9,18,20 110:2 111:20.116:9 118:15,16, 20 124:10 126:6 127:15 129:14,16 133:6 <b>existence</b> 61:18,20 <b>existing</b> 51:2,4 52:1 55:18 <b>expanded</b> 18:3 <b>experiment</b> 81:20,21 <b>experimentation</b> 73:24 81:10,16 90:20 <b>experiments</b> 81:8 <b>expert</b> 57:18 104:22 113:15 <b>expertise</b> 108:5 <b>explain</b> 95:10 <b>explained</b> 94:18 112:6 <b>exposed</b> 100:10 125:18
-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<b>expressed</b> 28:12 <b>extend</b> 9:22 12:13 <b>extending</b> 9:17 11:8 12:3 <b>extent</b> 63:7 <b>extract</b> 32:24 <b>extreme</b> 18:16 19:2 <b>extremely</b> 100:3 125:10 127:10 128:2 <hr/> <p style="text-align: center;"><b>F</b></p> <hr/> <b>fabric</b> 66:25 104:22 120:15,16, 18 121:19 128:6 <b>fabrics</b> 46:13 <b>face</b> 52:7 60:15,19,22,25 61:4 107:1,8,25 109:11 110:12 119:4 121:5 127:25 128:6 <b>faced</b> 49:18 127:25 128:1 <b>fact</b> 19:8 38:11 60:25 94:4 116:18 128:2 <b>facts</b> 63:1 74:6 <b>fair</b> 15:17 18:19 34:16 101:11 107:17 <b>fairly</b> 9:21 <b>fall</b> 128:21 <b>falls</b> 126:25 <b>familiar</b> 102:13	<b>family</b> 20:15 <b>fashion</b> 10:15 17:5 65:6 <b>fatal</b> 13:6,7 46:9,10,17 <b>features</b> 53:21 59:19 60:4 61:12 78:5 133:17 <b>February</b> 60:17 <b>Federal</b> 5:2 <b>feel</b> 33:3 79:9 109:24 120:6 <b>field</b> 8:11 87:18 <b>figure</b> 18:9 23:10,11 35:18 58:23 74:21,23 75:2,3,5, 8,11,17,22 129:15,16,19, 22 130:12,15,20 131:6 <b>file</b> 30:9 31:7 61:14 93:5,10, 14 94:20 97:3 98:12,15 101:21,25 104:12 112:16 <b>filed</b> 53:9 57:19,21 59:18 61:11,22 62:23 85:5,12, 14 109:21 110:2 <b>Filing</b> 65:25 124:11 <b>filler</b> 110:19 <b>film</b> 88:15,24 96:12,13 <b>film-forming</b> 90:3 <b>films</b> 73:1,2,12 83:3 88:20 90:13 <b>filter</b> 22:16 <b>final</b> 109:14	<b>find</b> 11:21 47:24 93:16 110:18 117:15 122:12 <b>fine</b> 83:1 110:14 123:23 <b>finish</b> 36:19 <b>five-minute</b> 129:7 <b>Flashdry</b> 47:18 61:2,15,16 106:20, 23 107:1,3,8,10,12,23 108:17,18 118:6,9 119:13,25 122:8 <b>Flashdry</b> 47:20 <b>flaw</b> 13:6,8 46:9,10,17 <b>floor</b> 9:7 <b>fly</b> 122:25 <b>follow</b> 40:12 49:13 69:9 70:4 75:25 111:4 <b>form</b> 9:13,24 10:15,17 11:9 12:5,14 13:3,23 14:25 15:9,19 17:5,7,18 18:23 19:12 21:2,24,25 22:2,15 24:1,25 25:18 26:24 27:10 28:1,16 29:7,13 32:22 36:12 37:14,22 40:7 41:16,25 42:6,16 43:3,19 44:3 50:5 51:5, 16 52:11 53:1 56:10,16 57:15 61:25 64:7,15 65:6,8 66:4 70:13 71:9 73:4 74:5 76:6 78:1,25 79:3 87:9,15,23 88:3 92:16 93:1,8 94:8 95:2, 16 96:6,24 97:6,13,19 111:3,17 113:23 125:23 128:14 <b>formation</b> 88:15,24 96:13 <b>formed</b> 70:22	<b>formulations</b> 74:24 75:18 <b>found</b> 30:9 77:10 78:13 <b>foundation</b> 9:25 11:10 12:6,15 13:4, 24 14:25 15:10,20 17:1, 8,19 19:13 22:3 25:19 26:25 29:8,14 36:13 37:15,23 40:8 42:7 43:20 44:4 52:12 56:11,17 57:16 62:1 63:1 73:5 74:6 79:4 113:24 125:23 <b>founder</b> 5:22 <b>frame</b> 51:7,8 <b>freestanding</b> 73:12 <b>front</b> 42:23 102:12 104:18 121:9 <b>functional</b> 59:23 126:4 <b>functionality</b> 46:13 <hr/> <p style="text-align: center;"><b>G</b></p> <hr/> <b>gas</b> 30:14 31:22 <b>gauge</b> 56:24 <b>gave</b> 7:6 <b>gel</b> 21:7,9 <b>general</b> 70:2 <b>generally</b> 12:21 116:13 131:24 <b>generic</b> 113:12 <b>geometry</b> 9:1 <b>Gergely</b>
-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<p>5:11 6:9,17 9:14 10:21 11:13,22 12:1,12,20 13:9 14:2,5,10,13,18,19 15:4, 11,16,22 16:14,21 17:3, 10 19:5,16,17,19,21,25 20:4 22:8 25:3,21 27:4, 12 28:2,20 29:10,25 31:16 32:1,5,14 34:13,19 36:19 37:18 38:1,8,15,25 39:9 40:10,17 41:6,14,19 42:3,9,18 43:5,24 44:9, 12,15,20,23 45:2,9,12,15 50:6 51:13,24 52:22 53:2,7 57:7 58:1 62:7,14 63:2,17 64:2,4,12,18,25 65:2,12,23 66:7,11 70:16 71:12,15,20,25 72:6,11, 21 73:10,18 74:2,8 76:7, 14,17,25 77:13 78:3 79:1,5,11 80:16 81:1,3,5 82:18 83:10 84:6,19 85:1,4 86:1,19,25 87:4, 12,17,25 88:6 90:11 91:20,25 92:19 93:4,14, 22 94:3 95:4,11,19 96:9 97:2,9,16,21 98:11,19,23 99:1,4 100:20 101:3,5, 12,22 102:3,5,9,14,18,21 103:1,8 104:2,8,11 107:4,11 108:3,22 109:16,19 111:5,18 112:18,22 113:5,14 114:1,10 115:3 116:23 117:21,25 118:4,13,16 122:15,18 123:6,12,19, 24 124:2,9 128:22 129:2, 5 130:9,14 133:19</p> <p><b>give</b> 8:3 9:2 11:14,20 13:2 18:11 20:1 27:9 30:18 32:8 33:14 41:13 77:9 88:13 122:11 128:20 129:21</p> <p><b>Global</b> 134:10</p> <p><b>goal</b> 46:13</p> <p><b>good</b> 5:12 7:19 98:23 127:2 129:6</p> <p><b>Gould</b> 6:14</p>	<p><b>gram</b> 27:18 28:4,9 29:6 30:17 32:8 37:2 38:11,16 40:2, 5,24 44:2</p> <p><b>grams</b> 55:6,7 58:23,24</p> <p><b>gray</b> 120:10,11</p> <p><b>greater</b> 29:5 44:1</p> <p><b>green</b> 120:15</p> <p><b>Gregory</b> 5:6,14 6:18 133:7</p> <p><b>guess</b> 49:13 69:17 91:17 113:8</p> <p><b>guidance</b> 104:7 123:17</p> <p><b>guide</b> 102:22</p> <p><b>guidelines</b> 101:18</p> <hr/> <p style="text-align: center;"><b>H</b></p> <hr/> <p><b>Haggquist</b> 5:6,14 6:19 7:5 11:22 12:24 13:6,10,13 14:5,20 17:24 19:17,22 20:5 23:1 25:4 29:22,25 30:1,24 31:24 34:9,14,20 38:9,25 39:5,9,10 45:16 47:25 50:21 52:14 53:10,12 59:16 64:2 65:17,24 66:12 72:22 76:22,23 77:16 79:12 80:8,20 81:5,11 83:9 86:2 99:4,5 103:10 104:13,14,17 110:8 111:19,20,21 115:17 118:5,16,20 122:5 124:10 126:7 129:13,14 133:6,7 134:6</p> <p><b>Haggquist's</b> 29:23 62:12 72:17</p> <p><b>halt</b> 101:9</p> <p><b>hand</b> 38:23</p>	<p><b>handed</b> 6:17 34:19 39:10 109:19</p> <p><b>handing</b> 14:19</p> <p><b>happening</b> 116:16 129:22</p> <p><b>happy</b> 11:14 12:9 36:16 63:9 72:2</p> <p><b>hard</b> 51:22 52:15,20 113:13</p> <p><b>head</b> 28:23,25 29:3 41:10,17, 21 44:18 89:2 106:16</p> <p><b>heard</b> 91:20</p> <p><b>height</b> 43:18</p> <p><b>held</b> 42:15</p> <p><b>helped</b> 109:9</p> <p><b>high</b> 35:11,20 36:10 112:9</p> <p><b>higher</b> 38:15 60:11</p> <p><b>highly</b> 71:16</p> <p><b>history</b> 30:9,10 31:7,13,15,18 65:20 77:6 86:8 93:5,10, 14 94:20 98:12 104:13 124:22</p> <p><b>hold</b> 34:15 75:2 123:17</p> <p><b>hyphen</b> 106:8</p> <p><b>hypothetical</b> 96:7</p> <p><b>hypotheticals</b> 95:17</p> <p><b>Hyvent</b> 52:7,13</p>	<hr/> <p style="text-align: center;"><b>I</b></p> <hr/> <p><b>i.e.</b> 110:21</p> <p><b>identified</b> 29:23 76:23 86:2</p> <p><b>identifies</b> 80:4</p> <p><b>identifying</b> 70:7</p> <p><b>illustration</b> 10:20,22 23:14</p> <p><b>illustrative</b> 74:24 75:12,18</p> <p><b>image</b> 13:14 14:23 15:3,5,12 16:2</p> <p><b>images</b> 15:15 121:25</p> <p><small>GREGORY W. HAGGQUIST, PH.D. - December 05, 2018</small></p> <p><b>impact</b> 47:1 51:19 53:5</p> <p><b>impermeable</b> 54:6,17</p> <p><b>important</b> 56:8,13</p> <p><b>impossibility--</b> 97:8</p> <p><b>improper</b> 13:25 96:7 104:10</p> <p><b>improve</b> 46:13 55:3</p> <p><b>in-depth</b> 56:19</p> <p><b>in-house</b> 6:11</p> <p><b>include</b> 21:4 53:24 78:5</p> <p><b>included</b> 83:24</p> <p><b>including</b> 30:14 31:21 48:9 59:11 60:5</p> <p><b>incomplete</b> 33:4,14 39:16 41:13</p>
---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<b>incorporate</b> 133:17	<b>instance</b> 18:14	14:8 29:20 72:15	13 84:1,16,23 85:3,6,22 86:23 87:2,9,15,23 88:3 90:8 91:16,23 92:16 93:1,8,18,25 94:8 95:2,8, 16 96:6,24 97:4,6,13,19 98:6,14,24 100:15,24 101:4,9,15 102:2,4,7,11, 16,19,24 103:18 104:4 107:2,9 108:2,20 109:12 111:3,17 112:20,25 113:7,23 114:12 115:1 116:22 117:18,23 118:1 123:3,9,15,21,25 124:8 125:22 128:14,25 129:3, 6,9,12 130:15 133:23
<b>incorrect</b> 33:14 121:13	<b>institute</b> 52:18	<b>invented</b> 51:18 52:24 54:7	<b>Jason</b> 6:15 122:18 129:9
<b>incorrectly</b> 73:8	<b>instruct</b> 100:16,24 101:5,14 102:22	<b>invention</b> 45:19 57:10 103:16	<hr/> <b>K</b> <hr/>
<b>increase</b> 48:14	<b>instructed</b> 112:23	<b>investigation</b> 81:21	<b>Kathleen</b> 6:13
<b>increased</b> 54:7	<b>instructing</b> 101:3 104:2	<b>involving</b> 103:12	<b>key</b> 53:18 54:5
<b>increases</b> 46:16 59:24	<b>instruction</b> 104:9	<b>ionomer</b> 35:13,22	<b>kind</b> 89:5,16
<b>increasing</b> 54:9	<b>intent</b> 84:25	<b>iphone</b> 51:25	<b>KMI</b> 116:10
<b>independent</b> 78:4	<b>intention</b> 47:21	<b>IPR</b> 7:1	<b>knit</b> 107:13 108:21,22
<b>Indhira</b> 6:11 72:1,6,11	<b>interact</b> 24:16,22	<b>issue</b> 49:18 84:22 93:23 94:1 102:1 103:24 127:25	<b>knits</b> 107:12
<b>indirectly</b> 57:24 103:23 123:13	<b>interaction</b> 17:22 24:11,14	<b>issued</b> 60:14,16 62:23	<b>knowledge</b> 29:21 56:19 124:22
<b>individual</b> 32:25	<b>interesting</b> 125:24	<b>issues</b> 59:24 101:6 102:23	<b>Kolon</b> 134:10
<b>industry</b> 32:16 49:19 51:2,20 52:2,4,17 53:23	<b>interests</b> 6:2	<hr/> <b>J</b> <hr/>	<hr/> <b>L</b> <hr/>
<b>infactual</b> 41:13	<b>interfering</b> 103:2 104:9	<b>jacket</b> 105:11	<b>lab</b> 71:7,21 74:3
<b>information</b> 26:8 37:5 59:2 75:12 77:7,8,9 81:22 86:9,10 87:11 90:21 96:13 97:15 115:11	<b>interior</b> 9:17,22 10:9 11:3,8 12:4	<b>Jackson</b> 6:7,15 9:13,24 10:17 11:9 12:5,14 13:3,12,23 14:7,11,14,24 15:9,14,19 16:10,19,25 17:7,18 18:23 19:12,23 20:2 22:2 24:25 25:18 26:24 27:10 28:1,16 29:7,13,19 30:22 31:11,23 32:3,12,22 34:8,18 36:12 37:14,22 38:5,13,18,20 39:2 40:7, 14 41:4,9,16,25 42:6,16 43:3,19 44:3,10,14,17, 22,25 45:7,13 50:5 51:5, 16 52:11 53:1 56:10,16 57:15 61:25 62:10,25 63:13,25 64:7,15,21 65:8,15 66:4,8 70:13 71:9,18,23 72:4,8,14 73:4,16,20 74:5 76:6,12, 21 77:3 78:1,25 79:3 80:12,24 81:2 82:6 83:8,	<b>labeled</b> 6:19 75:14 105:11
<b>informed</b> 25:4,23 111:25	<b>international</b> 47:15		<b>lack</b> 9:11
<b>infringement</b> 109:4,8,22 110:2	<b>interpreted</b> 110:20		<b>laid</b> 92:14 94:5 96:1,22
<b>ink</b> 48:24 110:12,19	<b>interrupt</b> 36:20 46:23		
<b>inorganic</b> 30:12 31:20 32:18 33:18	<b>interrupting</b> 65:21		
<b>input</b> 77:24 113:18	<b>introduced</b> 31:4		
<b>inquiring</b> 123:4	<b>introduces</b> 128:6		
	<b>introduction</b>		

<b>laminate</b> 60:13 108:25 128:6 <b>laminated</b> 53:25 55:21 <b>lamination</b> 55:22 <b>Langmuir</b> 88:15,18,21,22 90:1,15, 16,22 91:7 <b>language</b> 11:18 <b>Lapuma</b> 6:11 72:20 77:11 <b>large</b> 15:25 16:6,8,11,21 18:4, 5 20:16 23:6 25:12 26:2, 12,20 31:3 79:7 83:17,18 112:5 113:5 115:20,21 <b>larger</b> 16:16 23:14 39:22 54:23 55:2,9 78:9 115:9 <b>laser</b> 49:5 <b>launched</b> 61:2,15,16 <b>law</b> 56:19 57:18 63:6 125:1 <b>lawyer</b> 8:3 50:10 124:21 <b>lay</b> 87:6 92:23 <b>layer</b> 45:24 46:2,5 47:9,13,16, 23 49:7,16,20,22,23 53:18,22,24 54:4,5 55:17,19,20,25 56:2 57:24 58:11 73:15,17 76:5,8,10 82:16 87:7,13 88:9,16 89:4 91:3,5 105:4,17 110:4,12,19 119:10 120:3,8,9,15,21, 23 121:1,15,17,20,21 126:23 132:5,7 133:24, 25 134:2,23 <b>layers</b> 49:17 73:19 74:1,4 78:24 118:24	<b>laying</b> 87:13 <b>leading</b> 10:17 26:25 29:8,14 36:13 37:23 40:8 42:1,16 56:17 57:16 62:1 79:4 92:17 113:24 130:14 <b>leave</b> 100:8 125:17 <b>leaving</b> 131:24 <b>left</b> 13:17 72:20 119:18,19 <b>legal</b> 8:1,2 63:8 <b>legalese</b> 7:19 <b>Lego</b> 115:7 <b>Legos</b> 115:6,9 <b>length</b> 43:18 <b>level</b> 88:16 <b>Lexmark</b> 47:14 <b>license</b> 60:24 108:1 <b>licensed</b> 107:19,21 108:6,10 <b>likelihood</b> 127:9 <b>Likewise</b> 32:5 <b>limit</b> 61:14 113:10 <b>limitation</b> 10:23 96:4 <b>limitations</b> 109:23 110:4 <b>limited</b> 21:4 60:5 101:18 124:23 <b>lines</b> 20:6 33:12 68:22 83:15	<b>link</b> 122:8 <b>linking</b> 48:14 <b>liquid</b> 21:23,25 30:14 31:22 <b>liquid-</b> 54:16 <b>liquid-impermeable</b> 54:19 <b>list</b> 40:1 <b>listed</b> 19:9 20:7 22:7 <b>literature</b> 37:25 38:7 <b>litigation</b> 109:11 110:1 <b>living</b> 5:15 <b>LLC</b> 6:10,12 <b>located</b> 134:13 <b>long</b> 5:19 71:10 77:6 83:20 86:8 93:11 <b>looked</b> 31:14 90:2 <b>lost</b> 75:11 95:18 <b>lot</b> 37:4,5 77:7 86:9 93:11 119:12,22 122:25 130:8 <b>loved</b> 60:23 <b>low</b> 37:21 38:3 127:10 <b>lower</b> 61:13 <b>lunch</b> 66:9	<hr/> <b>M</b> <hr/> <b>made</b> 7:12,13 41:11 53:9 55:19 59:19 60:15,19 61:12,19 65:1 82:13 83:3,5 103:21 104:25 105:2,4,12,15,17, 18 <b>magnitude</b> 54:24 55:1 78:9 <b>make</b> 26:6 27:19 31:12 32:24 36:17 47:17 63:14,21 77:19 81:15 132:8 <b>maker</b> 22:6 <b>makes</b> 63:12 131:10 <b>making</b> 20:21 87:14 <small>JOHN D. HAGGQUIST, PH.D. - December 05, 2018</small> <b>manufacture</b> 125:25 134:16 <b>manufactured</b> 134:7 <b>manufacturers</b> 106:12 <b>manufacturing</b> 128:3 <b>mark</b> 14:5 19:22 <b>marked</b> 5:5 7:4 11:24 14:6,20 19:20 20:3 29:18 34:7,20 39:1,10 62:9 65:14,23 71:14,16 73:11 76:20 77:15 79:12 109:18,20 118:15 133:6 <b>marketplace</b> 51:10 <b>material</b> 10:19 11:3 17:5,22 21:11 22:5 23:19,21 24:4,8 25:17 26:5,19 30:12 31:20 32:18 41:23 42:5, 13 45:25 46:3 47:11,19 49:8,9,11 54:1,17,20 57:25 58:4 78:6 105:3
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------



107:14 113:11 116:18 125:25 130:22 131:25 134:6,16	20 79:2,24,25 80:10,22 82:3,14,15,17,18 83:7, 14,25 100:4 119:15 121:21 125:11 126:25 127:24 128:4,5,8,17 130:4 131:10 132:9 133:3,21,22 134:20,22, 25	132:10 <b>microns'</b> 100:4	<b>molecular</b> 22:16 48:15 88:15 91:9 113:10
<b>materials</b> 13:25 21:5 22:16,20 33:19 34:24 35:1,4 59:14		<b>mid</b> 121:3	<b>molecule</b> 24:11,12,16
<b>math</b> 50:13 92:6	<b>membranes</b> 46:14 48:2,4 53:20 54:7, 9 68:19,24 69:10,13 74:20,25 75:13,19 79:21, 22 81:9,15 82:13	<b>middle</b> 30:4	<b>molecules</b> 16:9,12
<b>mathematically</b> 92:7		<b>mil</b> 48:6,20 49:15,23,25 50:1 58:1,3,7,8,10,11 67:7 68:8 71:2,6 74:13,14 78:15 79:25 81:9 83:7, 14,25 90:12,16 91:10,13, 14,21 92:3,4,21	<b>moment</b> 11:20
<b>matter</b> 7:1 8:15 101:8	<b>mentioned</b> 38:16 50:15 90:22,24 91:25	<b>mind</b> 27:24	<b>monolayer</b> 91:9,15,21 92:12,14,24 94:6,17 96:2,22,23
<b>matured</b> 60:25	<b>Merchant</b> 6:13	<b>mineral</b> 105:5	<b>morning</b> 5:12
<b>maximum</b> 97:18,22 98:4	<b>met</b> 94:7 95:1,10	<b>minus</b> 57:5	<b>moval</b> 106:13
<b>meant</b> 18:3	<b>meter</b> 55:7,8	<b>minute</b> 122:11	<b>move</b> 49:20 122:23
<b>measure</b> 26:22 43:5,23 56:8,15, 19,24 57:13,22 126:22 127:23 128:1,3,4,5 131:5,18 132:3,17	<b>meters</b> 27:17 28:4,8 29:6 30:17 32:7 37:2 38:10,16 40:2, 4	<b>minutes</b> 72:12 74:17	<b>moved</b> 106:11 <small>Copyright © 2009 Epiq Court Reporting Solutions - Minneapolis, MN</small>
<b>measured</b> 132:10,14 134:21	<b>method</b> 47:17 48:25	<b>missed</b> 19:23	<b>movement</b> 46:20
<b>measurement</b> 28:9 126:20 128:13	<b>methodologies</b> 43:14	<b>mistake</b> 108:11	<b>multiple</b> 61:5,6
<b>measurements</b> 27:2 105:7 127:19,20 128:10	<b>methodology</b> 43:8 47:16 48:18 88:11, 19,25 89:3 96:12	<b>Mix</b> 130:1	<b>MVTR</b> 105:1,16,19 <small>Copyright © 2009 Epiq Court Reporting Solutions - Minneapolis, MN</small>
<b>measuring</b> 43:9,14 57:2	<b>methods</b> 43:7 60:3 91:1	<b>mixed</b> 49:15 55:18 67:15 69:3	<b>N</b>
<b>mechanism</b> 17:15	<b>metric</b> 28:3	<b>mixing</b> 87:4	<b>nanograms</b> 40:5
<b>meet</b> 95:14 96:4 104:25 105:15,18 121:6,10	<b>microclimate</b> 60:11	<b>mixture</b> 33:22 48:3,11 66:24 67:2,10,18,21 68:25 69:1,5,7,11 70:7,8,9,16, 20,22 79:22 88:7 130:3 131:2,3,7	<b>nanometer</b> 114:23 123:14
<b>meet all</b> 109:23 110:4	<b>micron</b> 56:24 92:13 94:12,14 95:13 96:3 114:14,16	<b>mixtures</b> 48:17 74:12,14,20,24 75:12,18	<b>nanometers</b> 37:3 40:3 110:14,22 111:1,2,6,7,10,12 112:13,14 114:19,24 123:22 127:12
<b>member</b> 6:2	<b>microns</b> 78:16 84:10 86:21 87:1 88:1,4 92:1,4,21,22 93:3, 6 94:5,9,13,15,21 95:12, 21 97:1,3,8,17 125:11 126:23,25 127:13,25	<b>moisture</b> 55:3,5 60:12	<b>nanometers'</b> 99:25 125:7
<b>membrane</b> 46:15 47:3,5 48:6,16 49:12 56:22,23 59:23,25 67:6,13,14 68:4,7,10,15 69:15 70:23 71:6 78:14,			<b>nanometers.'</b> 124:16
			<b>natural</b> 21:18 22:9,13
			<b>naturally</b> 18:13,20,25 20:14,24

21:8,12 <b>necessarily</b> 42:8 92:25 93:2 100:17 117:2 <b>negative</b> 46:25 47:1 <b>network</b> 117:1 <b>Neugeboren</b> 64:5 <b>nodded</b> 106:16 <b>nomenclature</b> 75:15 <b>nonprivileged</b> 101:7 <b>North</b> 52:7 60:15,19,22,25 61:4 107:1,8,25 109:11 110:12 119:4 121:5 <b>note</b> 34:14 <b>notebook</b> 71:21 73:21 74:3 <b>notebooks</b> 71:7 72:25 73:6,23 81:22 <b>noted</b> 34:13 <b>notice</b> 6:18 14:16 29:24 76:24 <b>number</b> 15:24 16:5 20:6 25:12 26:3,12 41:18 44:19 46:11 47:25 52:14 102:17 112:5 <b>numbers</b> 127:22 <b>numerous</b> 18:6 23:7 <b>nylon</b> 66:24 68:15	<b>oath</b> 5:9 33:6 77:21 126:17 <b>object</b> 9:13 14:7,15,24 16:19 24:25 28:16 29:20 31:11 32:22 34:9 41:4 50:5 62:11 65:16 70:13 72:15 73:16,20 76:6,21 77:3 80:12,15 82:6 84:16 85:3,6,22 86:23 87:2,9, 15,23 88:3 90:8 91:16,23 93:18,25 97:19 98:6,14 100:15 108:2 113:7,23 114:12 115:1 116:22 124:6 125:22 128:14 <b>objecting</b> 102:6 <b>objection</b> 9:24 10:17 11:9 12:5,14 13:3,23 15:9,19 16:10,25 17:7,18 18:23 19:12 22:2 25:18 26:24 27:10 28:1 29:7,13 30:22 31:23 32:3,12 36:12 37:14,22 39:3 40:7 41:9,16,25 42:6,16 43:3,19 44:3 51:16 52:11 53:1 56:10, 16 57:15 61:25 62:25 64:7,15 65:8 66:4 71:9 73:4 74:5 78:1,25 79:3 83:8,13 84:1 92:16 93:1, 8 94:8 95:2,8,16 96:6,24 97:4,6,13 102:18 103:3 107:2,9 108:20 109:12 111:3,17 112:19,20,22 113:2 130:14 133:19 <b>objectionable</b> 124:7 <b>objections</b> 13:12 15:14 27:6 34:13 38:5,13,18,21 40:14 44:10,14,17,22,25 45:7 63:13 77:23 <b>observable</b> 110:18 <b>obtain</b> 33:23 <b>occurring</b> 18:20 20:14,24 21:8,13 <b>offer</b> 34:10	<b>offering</b> 65:18 101:20 <b>offers</b> 35:12,21 <b>office</b> 64:13,14,19,20 65:2,7 77:1 80:9,21 83:12 85:12,14,24 86:6,17,21 97:10,12,15 <b>officer</b> 5:25 <b>one-layer</b> 82:16 <b>open</b> 16:15 43:17 <b>opened</b> 9:5,6,16 <b>operate</b> 33:19 <b>opined</b> 111:15 <b>order</b> 30:17 32:7 54:24 55:1 71:17 78:9 92:12 <b>ordered</b> 98:11 <b>ordinary</b> 8:8,14 87:18 <b>organic</b> 16:9,11 30:12 31:20 32:17 33:18 <b>original</b> 57:5,19 62:17 79:12 <b>originate</b> 51:15 <b>Ott</b> 6:13 72:13 76:16 99:3 <b>Outdoor</b> 6:10,12 <b>outer</b> 16:13 120:18 <b>outlined</b> 50:22 127:1 <b>outset</b> 85:19	<b>oven</b> 48:4 67:3,19 69:14 74:17 79:23 <b>overcome</b> 13:6 46:9,25 <b>overcomes</b> 59:23 <b>owned</b> 89:9,12 <b>owner</b> 6:1 <b>oxide</b> 20:23 21:1,9 22:8,11 110:13 <hr/> <b>P</b> <hr/> <b>p.m.</b> 66:10 99:2 122:17 129:8 <b>paper</b> 37:5 48:3,5 69:1,12,14 70:25 79:22,24 80:25 81:4 <b>papers</b> 45:3 <b>paragraph</b> 8:7,12 10:4 12:25 13:5, 10,14 17:24 18:24 25:3 30:11,24 31:9,25 32:2,15 33:25 36:1,4 39:13,17,18 45:18,23 46:6 50:21 53:7,16 59:17 60:8,23 68:19 69:8,10,18 70:21 78:14,19 79:15,16,20 80:3 81:10,11 82:21 84:4 99:8,15,18,21 103:11 104:20,21 105:6,9,21,23 109:3 111:23 112:7,12 115:18 118:5,8 122:3 133:10 <b>paragraphs</b> 69:9,20,21 70:4 81:25 122:5 <b>parentheses</b> 54:1 <b>part</b> 14:8 52:3 110:1 120:19, 20 122:7,9 134:21
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<b>partial</b> 106:14,15	63:6,7 64:6 65:3,19,20 66:13,14 70:12 73:3 74:9 82:22 84:18,20 85:12,14 86:21 91:12 97:10,12,15 103:7 124:21 125:1 129:15 133:13,17 134:7, 17	12:3 16:7	<b>polyurethane</b> 47:19 48:10,11,15 49:12 55:19,20,25 56:3 58:20, 23 59:13 66:19,23 67:10, 14,22 68:11 69:2 70:9,17 75:6,8,23 76:2,8 81:17 82:3,19 83:2,4,5 87:5 88:7 105:3 120:23 121:3 129:23 131:25
<b>participated</b> 64:10,11	<b>patentability</b> 101:24 124:3	<b>period</b> 77:6 83:20 86:8	<b>pore</b> 11:5 12:25 26:23 27:7, 12,17,25 28:3,5,6,21 38:3 39:22 40:21 41:3, 11,15 42:4,9,21 43:1,9, 11,12,14,17,25 44:6,16 45:4 114:6
<b>particle</b> 9:1,23 10:9 11:4,8 12:4 16:8,23 18:5,7,8,10,12 20:12 22:23 23:7,8 25:24 30:12 31:19 32:15 33:19, 20,21,23 37:3 38:23,24 39:22 40:3,5,11,13,16,18 42:15 84:9,14 86:20 94:10 96:2 99:23 100:8 112:8 114:11 115:5,6,7, 15,22 117:14 120:3,9,25 123:8,15 124:15 125:5, 16,24 126:3,4,5,6,12 127:4,12 132:7,20	<b>patentable</b> 123:20 124:5	<b>perjury</b> 33:7,18 34:3,5	<b>pores</b> 9:21 11:7 12:3,13 13:20, 22 15:18 18:6,7,13,17,25 19:4 23:7,8,14,20 24:9 25:13 26:3,13 42:23 112:5,10
<b>particles</b> 8:18 10:9,10,14 11:1 13:21 15:18 17:25 18:13, 15,21,24 19:1,11 20:7 21:4,6 23:2,6 25:5,6,25 30:16,18,25 31:3 32:7,9, 17 38:19 47:3 53:24 54:18,20 55:3 58:16 60:6 78:7 87:7,21,25 88:5,8,9 91:4,6,15 92:23 93:3 94:4,12,14,15,21,22 95:12,24 96:15,16,22,23 97:1,7 100:8,10 110:13, 14,19,20,23 111:1,6,9 112:1,17 113:21 114:23 115:18 120:24 122:9 123:14 125:17,18,20 126:21,22 127:11 129:25 130:2,7,16,21 131:13,16 132:1,10,13 133:24 134:1,5	<b>pattern</b> 49:2 121:20	<b>permeable</b> 53:20	<b>porosity</b> --- 9:6 10:1,2 18:9 23:13 26:20,21 27:2 79:25 113:9 114:3,11,15
<b>particulate</b> 25:10 112:2	<b>pause</b> 103:4	<b>person</b> 8:8,14 21:10 46:14 87:17	<b>porous</b> 11:2 25:17 26:5 41:23 42:5 122:9
<b>passage</b> 101:25 125:4	<b>pearl</b> 26:5 29:2,5,12 34:16 36:2,7,9 37:1,12,21,25 38:3,10	<b>perused</b> 7:8 70:14	<b>portion</b> 68:1 98:8 115:25
<b>passed</b> 93:12	<b>Pearls</b> 25:6,17,25 39:21 40:4 41:23 42:4 111:15 112:1	<b>Peter</b> 6:7,9 64:1 65:15,21 80:13,24 102:20 117:18 128:25	<b>POSITA</b> 8:7,10,18 17:25 18:12 23:1 25:9 45:3 112:2,7
<b>patent</b> 8:13,15,16 18:9 19:8,14 31:7,13 35:6 47:22,24 50:4,9,11,22 52:9 53:8, 24 56:18,19 57:17,18 59:18,20 60:4,16,21,25 61:11,13,22 62:3,17,22	<b>penalties</b> 33:7,18 34:3	<b>Petitioner</b> 6:10	<b>possession</b> 35:11,20
	<b>penalty</b> 34:5	<b>Petitioner's</b> 6:18	<b>possibility</b> 52:23 116:2
	<b>People</b> 117:10	<b>PH.D.</b> 5:6	<b>possibly</b> 69:6
	<b>percent</b> 58:6,13,14,18,19,25 59:1	<b>physical</b> 61:20 131:5,18 132:14	<b>postulate</b> 88:14
	<b>percentage</b> 58:12 96:25	<b>pick</b> 36:15	<b>powder</b> 35:11,20
	<b>Percival</b> 82:2	<b>picture</b> 119:17,19 120:5,6,10	<b>powdered</b> 48:13 78:20 84:8,9
	<b>perfect</b> 88:9 92:14,24 96:23	<b>pictures</b> 12:11	<b>practice</b> 81:8 101:18 102:21
	<b>perform</b> 81:9,16 89:6	<b>piece</b> 123:5	
	<b>performance</b> 59:24	<b>pieces</b> 65:1	
	<b>performed</b> 133:22	<b>plurality</b> 54:18,20 78:7 126:21,22	
	<b>perimeter</b> 9:15,18 10:11,15 11:2	<b>point</b> 18:19,25 45:8 52:5 126:19 127:23	
		<b>polyacrylic</b> 129:24 132:1	
		<b>polymer</b> 58:17,20 69:7 100:9,10 125:17,19 127:5 132:10	

<b>practices</b> 134:16 <b>practicing</b> 53:9 59:18 61:11 133:12 <b>pre-existed</b> 52:9 <b>pre-existing</b> 55:25 <b>predicting</b> 128:7 <b>preface</b> 124:25 <b>prefer</b> 120:5 134:3 <b>prepare</b> 7:23 13:24 22:6 29:16 41:12 44:7 51:17 74:25 75:13,19 109:7 <b>prepared</b> 7:25 12:9 15:2 26:7 28:18 31:5,14 39:16 51:7 62:5 63:4 73:9,12 74:20 77:9 79:9 83:21 86:11 90:9 <b>preparing</b> 64:13 65:6 66:3 77:2 85:2 86:6,17 <b>present</b> 99:3 110:23 <b>presented</b> 53:23 97:14 <b>press</b> 118:6 <b>prevalent</b> 117:9 <b>primarily</b> 110:14 <b>primary</b> 115:5,6,7 123:11 126:4 <b>print</b> 45:24 46:2,4 47:9,13,15, 23 53:18 54:4 55:23 57:1 59:23 83:4 105:4,17 119:25 121:2,20 126:23 132:5,9 133:25 134:23, 25	<b>printed</b> 47:18 49:9 53:25 56:3 82:14,16 133:22 <b>printer</b> 47:15 49:5 <b>printing</b> 48:18,25 49:1 <b>prior</b> 61:4,7 100:18,19,25 101:19,20,21 103:21 112:22 113:2 117:20 118:2 123:5 124:1 <b>priority</b> 71:22 <b>privilege</b> 101:6 102:23 <b>privileged</b> 101:8 <b>problem</b> 123:21 <b>Procedure</b> 5:3 <b>proceed</b> 51:5 65:22 117:24 <b>proceeding</b> 29:22 34:21 39:4 72:18 <b>proceedings</b> 5:1 103:4 <b>process</b> 13:15,16 19:3 20:21 33:22 69:19 89:6 116:21, 24 <b>processed</b> 25:12 112:5,9 <b>produce</b> 81:8 114:15 132:8 <b>produced</b> 48:3 68:20,25 69:11 79:21 134:23 <b>produces</b> 58:3,10 <b>product</b> 47:18 52:21 53:9 59:18 60:23 61:5,11 84:7 87:14 107:10 110:4 118:9 120:13 121:8,22 132:7	<b>production</b> 56:21,22 57:8 64:5 128:3 133:14 <b>products</b> 51:9 52:24 61:5 105:24 106:2,20 107:5 108:12 109:23 119:13 <b>program</b> 10:24 <b>proper</b> 15:23 16:4 34:12 62:13 65:20 72:19 101:11 <b>properties</b> 34:15 35:11,20 <b>property</b> 18:4 23:5 31:2 115:20 <b>prosecution</b> 30:10 31:13,14,18 65:18, 19 77:6,10 86:8 93:11 103:22 <b>protect</b> 71:17 <b>protectant</b> 130:7 <b>protected</b> 48:7,11 59:8 66:19,22 130:1,5 <b>protective</b> 68:2 71:17 130:6 <b>protocol</b> 102:13 <b>prototype</b> 104:22 <b>provide</b> 10:4 18:7 23:8 64:23 113:18 129:24,25 <b>provided</b> 18:5 20:11 23:6 59:2,3 60:10 85:16,17 104:21 105:10 116:10 <b>provisional</b> 57:19,21 60:21 <b>provisionally</b> 71:23 <b>PTO</b> 85:5 93:17,24 100:21	<b>publication</b> 34:23 37:20 38:2 57:20 <b>publications</b> 35:4 <b>published</b> 37:11,20 38:2 <b>pulled</b> 127:15 <b>pure</b> 75:23 120:14 <b>purports</b> 34:15 <b>purposes</b> 23:15 <b>pursuant</b> 5:2 <b>put</b> 40:11 83:20 88:6 115:8 121:9 <b>putting</b> 87:20 130:20 <hr/> <b>Q</b> <hr/> <b>question</b> 7:11 11:25 13:17 15:3 23:17 24:7,15,19,21 36:24 37:17,18 41:7 47:8,13 51:14,21 53:6 55:11 62:6 63:8,9,20 64:10 67:16 70:15 71:11 80:7,18,19 81:13 84:2 86:5,13,16 90:25 92:11 100:17,25 101:7 103:13 106:13 111:4 113:9,12 116:16 117:25 123:4,24 125:2 127:2,3 <b>questioning</b> 33:4 <b>questions</b> 27:5 33:12 36:23 44:7 63:10 77:22 101:14 103:10 112:17,23 113:1, 4 117:19 122:19 123:10, 13,18 124:4,6,8 125:2 129:4 <b>quote</b> 35:19 41:18
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

<b>R</b>	<b>recall</b> 14:9 44:19 45:8 60:19 61:7 66:5,6 71:5 78:2 84:25 87:3,16 88:19 89:19,21 90:19 91:24 93:16,22 94:1	82:21,22,24,25 116:9 118:10,25 131:3	126:19
<b>Raco</b> 134:10,11,13	<b>recess</b> 45:14 66:9 99:2 122:17 129:8	<b>refers</b> 59:10 69:18	<b>representation</b> 100:21 121:8,18,22,23 128:17
<b>range</b> 12:22 27:9 44:5,19 86:24 88:4 97:5,23 98:10 105:1,16,19 114:14,16, 23 119:13 126:25 128:7, 21 130:4	<b>recognize</b> 7:5 30:1,3,5 72:21 119:12	<b>reinventing</b> 46:12	<b>representative</b> 127:21 128:11,13
<b>ranges</b> 28:24 44:16 98:4	<b>recognized</b> 46:15	<b>reiterate</b> 77:18	<b>represented</b> 86:21 88:1 94:5
<b>rarely</b> 126:2	<b>recollect</b> 12:10	<b>relation</b> 33:16	<b>represents</b> 58:5 61:13
<b>rate</b> 55:5	<b>recollection</b> 44:21,24 45:6 55:14	<b>release</b> 48:3,5 68:25 69:11,14 70:25 79:22,24 118:6	<b>request</b> 65:25 80:14 124:12
<b>ratio</b> 45:24 46:2 47:9 50:2 53:17 54:2,4,7,11 55:9, 12,15 56:7,13 57:11 58:4 61:23 62:24 63:15,24 92:13,24 94:6,25 95:3,4, 14 96:4 100:21 101:24 103:16 105:1,16,19 121:11,14	<b>record</b> 5:13 14:9,11 29:19,22 34:8,14,22 39:2 62:10,15 65:16 66:1 72:15,18 77:15 94:19 102:1 103:5, 9,12 104:13	<b>reliable</b> 39:8	<b>requested</b> 18:10
<b>ratios</b> 63:12,22 81:17 84:21 121:24	<b>redirect</b> 129:10	<b>rely</b> 47:22	<b>requesting</b> 13:24
<b>read</b> 31:8 42:2 46:6 60:23 63:23 80:17,18 86:4,15 122:3,7	<b>reduced</b> 46:17	<b>remain</b> 58:5	<b>requires</b> 116:14
<b>reading</b> 16:1 53:15 118:18	<b>refer</b> 13:7 32:16 34:10 39:25 82:20 83:1	<b>reminded</b> 98:24	<b>research</b> 54:20
<b>reads</b> 53:21	<b>reference</b> 37:11 40:21 48:1 50:8 62:23 63:12,21,24 77:19 99:17 100:18 118:3 123:11,18	<b>remove</b> 130:5	<b>reside</b> 13:21
<b>ready</b> 122:21	<b>referenced</b> 14:16 29:21 39:4,5 50:17,23 62:11 65:17 72:16 81:25 118:17,21	<b>removed</b> 48:5 68:2 69:14 79:23	<b>resolved</b> 110:21
<b>reality</b> 97:11	<b>references</b> 35:6 36:18,22 37:6 45:3 65:19 109:3 113:2 117:20 131:13	<b>repeat</b> 11:25 67:16 70:15 75:4 86:13	<b>respect</b> 8:15 9:20 15:13,17 21:15 22:19 26:10 37:19 38:19 56:13 84:21 101:24 103:10 127:17
<b>realm</b> 114:5	<b>referred</b> 24:15 25:10 83:6 112:3	<b>rephrase</b> 80:13	<b>respond</b> 64:22
<b>reason</b> 26:17,18 106:25	<b>referring</b> 35:2 39:24 54:12 69:5,8	<b>replaced</b> 33:22	<b>responding</b> 64:19
<b>reasonable</b> 15:21		<b>reply</b> 5:9	<b>response</b> 65:6 77:1 80:9,20 83:11 85:23 86:5,16
		<b>report</b> 110:7,9,17 113:15	<b>responses</b> 64:14
		<b>reported</b> 38:9	<b>result</b> 18:4 23:5 31:2 33:24 115:21
		<b>REPORTER</b> 19:18 62:8 65:13 71:13 76:19 109:17 118:14	<b>resultant</b> 60:10
		<b>reports</b> 41:23	<b>resulting</b> 58:11 67:5 68:4
		<b>represent</b> 30:8 62:16,21 113:20	

<b>return</b> 103:24 <b>reveal</b> 64:8 <b>reveals</b> 39:21 <b>review</b> 33:5,10 62:3,4 65:2 83:22 84:4,5 98:7 113:14 118:8 122:8 <b>reviewed</b> 8:13 31:5 32:23 33:2,3, 13,15 34:20,23,24 35:6 36:14,21 38:6 39:15 62:4 63:3 73:6,22 77:5,8 79:8 82:12 83:18 85:8 86:7,10 90:21 93:11,13 98:2 118:11 124:21 <b>reviewing</b> 36:18 64:12,16,17,18 73:22 85:9,11 <b>rock</b> 18:13 19:1,6,7 <b>rod</b> 48:20 58:2,3,7,10 71:1,2 74:13,15 90:12,16 91:11, 13,14,22 <b>roll</b> 6:7 <b>roller</b> 134:23 <b>room</b> 43:16,18,21 72:1,20 77:12 <b>rule</b> 54:8 98:25 116:2 <b>rules</b> 5:2 14:17 <hr/> <p style="text-align: center;"><b>S</b></p> <hr/> <b>S.cafe</b> 106:5 <b>S.cafe.</b> 106:4 107:6 <b>Sam</b> 106:7,8	<b>sample</b> 75:13,14 76:5 104:21 105:2,10,12 128:18 <b>samples</b> 56:21 75:15 81:12 110:20 128:16 133:15, 16,23 <b>satisfy</b> 92:13 <b>schools</b> 116:13 <b>scientific</b> 35:4 37:10 <b>scope</b> 12:15 13:4,23 14:15,24 15:9,19 16:10,19,25 17:8 27:3,5 28:16 29:14 30:22 31:11,23 34:12 36:12 37:15,23 39:6 40:7 41:4, 9,16,25 42:7 44:4 51:16 52:11 53:1 62:13,25 64:7,15 65:8,21 66:4 71:9 72:19 73:5,16,20 77:3 78:1 80:15 82:6 83:8 84:1,16 85:3,6,22 86:23 87:2 88:3 90:8 91:16,23 92:16 93:1,9, 18,25 94:8 95:2,16 96:6, 24 97:6,13,20 98:6,14 100:15 101:1,4,11 102:8, 20,23 103:20 107:2,9 108:2,11,20 109:12 111:3,17 113:7,23 114:12 115:1 125:22 128:14 <b>searched</b> 37:24 <b>section</b> 39:19 55:9 79:8 <b>sections</b> 127:24 128:21 <b>seek</b> 104:5 <b>seeking</b> 103:19 <b>self-</b> 48:5 131:9 <b>self-supporting</b> 70:23 81:9,15 130:4	132:9 133:3 134:24 <b>self-sustaining</b> 133:21 <b>SEMS</b> 12:11 <b>send</b> 76:15 102:4,11 <b>sentence</b> 21:3 36:16,17 <b>sentences</b> 31:10 36:16 <b>served</b> 109:10 <b>set</b> 81:19 97:5 128:18 134:24 <b>shades</b> 120:12 <b>Shane</b> 82:2 <b>shape</b> 9:1 <b>shared</b> 59:19 61:12 <b>shares</b> 6:1,3 <b>sheet</b> 12:19 84:8 93:15 98:8,9, 10,12,13 <b>sheets</b> 45:4 98:16 <b>shoot</b> 72:13 <b>short</b> 66:7 123:2 <b>show</b> 7:3 10:8,10,13,14 12:2 14:3 60:3 76:1 <b>showed</b> 42:23 57:23 59:22 61:6 85:19 <b>showing</b> 11:7 98:9 121:5 <b>shown</b> 13:16,17 61:5 74:21	<b>shows</b> 14:21 74:24 75:5,8,12, 18,22 109:22 110:3 118:8 <b>sic</b> 24:15 61:11 106:14 <b>sic]</b> 8:16 125:11 <b>signature</b> 30:5 <b>signed</b> 7:21 32:25 34:4 <b>significance</b> 100:13 <b>silica</b> 21:7,9 <b>silicon</b> 110:12 <b>similar</b> 15:12 33:24 <b>similarly</b> 33:19 <b>simple</b> 63:20 <b>simply</b> 117:4 <b>single</b> 22:5 73:15,17 87:7,13 88:9 91:3,5 <b>sir</b> 5:12 6:17 7:5 14:19 20:4 30:8 31:6,16 32:1,14 33:17 34:19 35:8,25 36:9,19 38:8 45:15 51:13 54:10,25 55:16 56:7 59:15 62:14,21 63:11,17 64:4 65:23 66:11,15 70:6 72:21 73:10 74:8 76:25 77:13,24 81:24 86:5,16, 19 89:8 92:11 94:11 97:2 98:11 104:11 110:25 111:5 118:4 124:9 126:16 <b>size</b> 37:3 38:23,24 39:22 40:3,5,11,13,16,18 43:11 71:5 84:9,14 86:20 94:10 99:24 100:8 110:21
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

112:17 114:5,14 115:5,6, 7,8,10 121:17 123:14,15 124:16 125:6,16,20 126:1,3,4,5,13	<b>soot</b> 99:23 100:2 103:13,14 112:3,24 113:1,4,5,21 117:19 118:2 124:15 125:5,9 127:3	94:14,15,22 95:12	86:12 124:1 129:1
<b>sizes</b> 123:8 125:24	<b>soot'</b> 25:11	<b>standing</b> 112:18	<b>storage</b> 90:5
<b>skill</b> 8:8,14 87:18	<b>sort</b> 9:11 49:7	<b>start</b> 23:22 58:13	<b>strike</b> 122:23,24
<b>skilled</b> 46:14	<b>space</b> 46:21	<b>started</b> 46:12 53:3	<b>structure</b> 11:5
<b>skills</b> 117:10	<b>spacing</b> 121:17	<b>starting</b> 46:11 74:11 82:23 99:19	<b>subcontractor</b> 134:11
<b>slurry</b> 48:9,11 49:15 59:10,12 134:25	<b>specific</b> 12:17,19 22:5 23:21 33:12 36:23 41:18 49:19 50:12,19 56:6 74:24 75:12,18 82:20 85:18 96:13	<b>starts</b> 69:22,23	<b>subject</b> 8:15 27:5 77:22 101:8 114:8
<b>small</b> 16:8,11 40:6,9 98:8 100:3,8 111:16 113:21 114:4,24 115:5 125:10, 16,20 127:4,8,11	<b>specifically</b> 12:9 26:4 51:11 52:16 55:12 79:15 81:24 88:20 112:9	<b>state</b> 5:7,12 25:4 30:11 32:15 45:22 52:8 53:8,16 59:17 61:9 74:23 78:18 80:9, 21,25 82:2 84:7 98:10 100:2 105:10,23 114:14 115:2 116:14 117:17 125:15 126:2 131:20,22	<b>subjected</b> 48:8 59:9
<b>smaller</b> 39:21	<b>specification</b> 19:10 50:9 54:10,12,14 55:11,15 66:18 70:20	<b>stated</b> 33:17 34:2 47:2 55:8 57:12 68:18,24 75:3 78:3 81:6 83:15 94:19,20 117:16 124:14 125:9	<b>submits</b> 100:7 125:16
<b>society</b> 117:9	<b>specifics</b> 37:4	<b>statement</b> 8:22 26:6 30:21 31:9,13, 17 32:2,4,21 33:8 35:9, 15,19 55:10 78:23 91:12 99:22 100:14 107:23 108:9 109:25 125:25	<b>submitted</b> 6:25 34:25 62:15 65:25 93:17,23 103:14 111:15 113:15 124:11
<b>soda</b> 21:12,18	<b>speculate</b> 26:9,11 27:3,11 28:19,21 29:17 43:4 44:8,11,13 50:11 51:12 52:15 62:6 63:5,15 73:7,25 79:6 87:10 89:7 90:20 91:17 96:14	<b>statements</b> 32:25 77:25 103:21	<b>subset</b> 119:14
<b>sold</b> 106:23	<b>speed</b> 19:21	<b>states</b> 15:23 16:4 35:5 39:21 59:7 66:18 76:9 78:12, 14,19 91:13 100:7 106:13 122:8	<b>substance</b> 42:14 68:2 130:5
<b>solely</b> 11:2	<b>Spijkers</b> 99:17,24 100:3,19 101:1 123:7,11,16,18,20 124:5, 15 125:6,10	<b>stating</b> 52:18	<b>substances</b> 8:20 18:2,8,21 22:23,24 23:4 30:13,14 31:1,21 32:19 33:20 115:19 116:1
<b>solid</b> 25:5,24	<b>squared</b> 27:18 28:4,8 29:6 30:17 32:8 37:2 38:11,16 40:2, 4 55:7,8	<b>statistical</b> 96:19 127:7	<b>substantially</b> 15:12 33:23
<b>solids</b> 58:4,5,6,11,13,15,16 132:2	<b>stack</b> 87:21 88:10 96:16	<b>step</b> 20:21 72:7 129:23,25 131:6,12	<b>substrate</b> 48:16 67:6,14 68:5,14 130:3 131:3,4,6,9,17 132:4,5,24
<b>solution</b> 48:10 50:22 57:25 58:7, 19 59:1,13 66:24 78:6 87:21 88:7 129:24 130:2, 16,21 131:13,17,18,23 132:13,17,20,22 134:25	<b>stacked</b>	<b>stick</b> 11:2	<b>Subtracting</b> 132:5
<b>solutions</b> 66:19		<b>stop</b>	<b>sudden</b> 113:11 127:11
<b>solvent</b> 58:11 59:11,13 131:23 132:2			<b>sued</b> 107:1,8
			<b>suggest</b> 122:22
			<b>suggests</b> 80:13

<b>suitable</b> 22:20	<b>sworn</b> 5:7	<b>temperatures</b> 19:2 112:10	105:1,16,19 121:24 128:4,5,19,20 131:4,6,19 132:3,4,6,14,19,21,24,25 133:4,20,21 134:5,20,22
<b>sum</b> 57:4	<b>synthesized</b> 20:18,20,25 21:8,13,19, 22 22:13,17	<b>ten</b> 72:12	<b>thickness'</b> 53:25 54:2
<b>supplied</b> 106:9	<b>synthetic</b> 19:3	<b>tend</b> 87:7,21	<b>thicknesses</b> 45:24 46:2 47:9 49:18,21 56:9,14,20 57:13 61:24 62:24 63:12,21 105:7,20 127:18 128:2 130:10,12
<b>support</b> 71:7 78:12	<b>system</b> 90:15,17	<b>tens</b> 110:14 111:1,6 114:18, 23	<b>thing</b> 27:20
<b>supporting</b> 48:6 69:15 79:24 131:10	<hr/> <b>T</b> <hr/>	<b>term</b> 32:15 51:1,14,18,25 52:1,19 53:4,22 56:4 82:13 134:3	<b>things</b> 101:7 118:3 124:25 126:5 130:4
<b>supposed</b> 98:20	<b>table</b> 39:24,25 59:2	<b>terminology</b> 49:6 51:21	<b>thinking</b> 92:7 107:22
<b>surface</b> 8:20,24,25 9:4,7,9,12,15, 17 10:2 12:13 15:25 16:6,16,21 17:6,17,22 18:2,3,4,5,6 23:4,5,6,7 27:21,25 28:9 29:1,4,11 30:15,16,17 31:1,3 32:6, 8 33:20 35:10,11,20,21 36:10 37:2,19,21 38:10, 22,24 40:2,4,15,18 42:24 47:5 100:11 112:11 115:20,21 116:1 125:19 127:9	<b>tailoring</b> 35:10,19	<b>terms</b> 49:19,21 61:23	<b>thought</b> 19:25 20:2 92:1 99:15 116:13
<b>surface-active</b> 13:15 18:3 23:4 25:11,13 31:2 112:4,6 115:20	<b>Taiwan</b> 134:14	<b>test</b> 60:3	<b>thousand</b> 27:17 28:3 29:5
<b>surfaces</b> 116:11	<b>talk</b> 17:21 21:6 25:1 38:21 43:8 44:6 57:23,24 100:19 108:9 120:5	<b>testified</b> 5:8	<b>three-</b> 10:18
<b>surfactant</b> 58:24	<b>talked</b> 35:6	<b>testify</b> 6:23	<b>three-layer</b> 51:9 52:4
<b>Surfynol</b> 48:7,9 59:9,12 75:9 76:1 81:18	<b>talking</b> 27:20,21 33:25 45:19 46:17 47:21 101:2 102:20 117:19 118:2 124:2,3	<b>testimony</b> 14:15 34:10 38:17 39:5 65:18 77:9,19 83:21 84:17,23,24 86:3,14 98:20 100:18 101:21	<b>threw</b> 28:3
<b>surprise</b> 29:4	<b>talks</b> 76:5	<b>text</b> 76:15	<b>throw</b> 44:19
<b>suspended</b> 66:23	<b>technical</b> 5:25 12:19 37:5 63:9,10 123:24 124:4 125:2	<b>Textiles</b> 134:10,11,12,13	<b>tied</b> 40:18
<b>swell</b> 116:15	<b>technically</b> 37:8	<b>thick</b> 48:6 49:23,25 50:1 58:3, 11 79:25 81:9 83:7,14,25 121:21 127:4,9	<b>time</b> 7:21 33:1,2,3,6,9 37:11, 20 38:2 45:8 46:15 51:2, 6,8,22 52:5 57:10 60:14 61:19 71:10 72:8,20 77:6,11 81:13 83:20 86:9 99:3 103:6,18 104:4 106:19 123:1 128:23
<b>swelling</b> 116:14,19,25 117:2,5	<b>technique</b> 110:22	<b>thickness</b> 46:16,24,25 47:2,10,11 49:22,24 50:15,17 53:17 54:3,4,9,18,21,22,23 55:2 56:24,25 57:2,3,4,5, 6,22,23,24 58:8,12,14 59:24 60:5,6 67:7 68:7 69:16 71:6 78:6,8,9,15, 16 80:11,23 82:4,16 84:11,15,22 89:4 92:1, 20,21 95:5,13,20,23 96:3 100:4,21 101:24 103:17	<b>times</b> 43:18 54:22 55:9 61:17 92:8
<b>swells</b> 116:18	<b>technologies</b> 61:6		<b>timing</b> 51:23
<b>swore</b> 33:6	<b>technology</b> 50:23 52:17 53:22 57:1 60:24 61:1,21 105:24 106:2 118:9 133:13		
	<b>temperature</b> 18:16		



<b>titanium</b> 22:12,14	<b>treat</b> 71:23	7:20 9:1,10 10:22 36:14 45:1 46:10 49:4 52:20 58:25 63:6 67:21 87:24 96:11 103:9 107:13 108:14 109:1 116:9 117:11,16 119:6 120:7, 13 123:25 126:18 128:19 133:2	<b>VC</b> 35:13,22
<b>title</b> 5:24	<b>treated</b> 18:16 19:2		<b>veering</b> 101:19
<b>TNF</b> 59:20,22 60:5,23 61:2, 13,16 105:11,23 106:10 107:19 118:8 133:12	<b>treating</b> 104:8		<b>verbiage</b> 7:17
<b>today</b> 6:20,22 51:8 106:23 108:16 130:8	<b>trial</b> 101:18 102:21 103:7	<b>understanding</b> 73:23 113:8 129:18,21 134:15,18,19	<b>verifies</b> 134:22
	<b>trihydrate</b> 21:16	<b>understands</b> 8:18 17:25 18:12 23:2 25:9 30:24 112:2,8	<b>version</b> 132:22,23
<b>told</b> 97:10,12,17	<b>true</b> 9:20 21:15 22:19 33:2,11	<b>understood</b> 28:8 47:20 49:14 55:2 90:11 111:14 132:12	<b>VF</b> 6:10,12 25:5,23 34:22,25 64:3 77:15 111:25
<b>top</b> 16:8 28:23,25 29:3 41:10,17,21 44:18 48:22 49:24 54:1 55:21 56:3 87:22 89:2 94:14,16,22 95:12 96:3 121:3	<b>truth</b> 5:8	<b>undertaken</b> 29:11	<b>VF's</b> 62:15 109:23 110:3
<b>total</b> 32:24	<b>turn</b> 14:21 99:5,7 129:13,15 133:5,9	<b>uniform</b> 49:7	<b>view</b> 115:14
<b>touch</b> 60:11	<b>turning</b> 35:25	<b>unique</b> 15:24 16:5	<b>viewpoint</b> 35:10,19
<b>tough</b> 96:14	<b>twisted</b> 95:17	<b>units</b> 28:7	<b>viscosity</b> 48:10 96:13
<b>TPB</b> 102:12	<b>two-layer</b> 51:9 52:4	<b>unprotected</b> 130:1	<b>visual</b> 121:4
<b>trademark</b> 108:1	<b>type</b> 12:17 19:3 22:16 23:21 24:23 32:17 48:18 51:8 52:21 88:24	<b>unsure</b> 71:11	<b>visually</b> 48:22 121:10,12
<b>transferred</b> 77:7 86:9	<b>types</b> 74:1	<b>unveils</b> 122:8	<b>voice</b> 40:10
<b>transmission</b> 55:5	<b>typically</b> 12:13 96:17,22 119:24	<b>upper</b> 61:15 88:4	<b>volcanic</b> 18:13,25 19:6,7 105:5
<b>transport</b> 54:8 55:4 60:12	<b>U</b>	<b>utilized</b> 47:17 83:5 119:25	<b>volume</b> 26:23 27:7,13,17,25 28:3,5,6,9,11,21 38:4 39:22 40:21 41:3,12,15 42:4,10,13,21 43:1,9,12, 15,17,23 44:1,7,16 45:4
<b>trap</b> 8:20 18:2 22:24 23:3 30:13 31:1,21 32:19 115:19 116:1	<b>Uh-huh</b> 50:16 92:10 95:22,25	<b>V</b>	<b>W</b>
<b>trapped</b> 23:19 24:9,24	<b>unable</b> 93:16	<b>vague</b> 133:19	<b>Wait</b> 96:21
<b>trapping</b> 33:20	<b>uncertain</b> 85:21	<b>vapor</b> 23:23,24 24:2,3,10,12,16 46:16,21 47:4,6 54:8 55:3,5 60:12	<b>walk</b> 126:7
<b>traps</b> 22:23	<b>uncured</b> 131:20	<b>variety</b> 43:7	<b>walls</b> 9:8 113:10,12
	<b>underlying</b> 45:25 46:3 47:11		<b>wanted</b> 47:2 113:3 117:19
	<b>understand</b>		

122:10 124:25	<b>world</b> 37:12,24 38:3 57:14	
<b>water</b> 17:4,16 23:22,23,24,25 24:2,3,10,12,13,16 35:12,21 36:11 37:13 46:16,20 47:4,6 48:9,12 54:7 59:10,11 69:4,6,7 70:10,17 131:23,24	<b>woven</b> 66:24 107:14 108:18	
<b>water-proof</b> 54:16 55:6	<b>wovens</b> 107:12	
<b>water-vapor-</b> 53:19	<b>wrap</b> 122:21	
<b>water-water</b> 24:14	<b>wrapper</b> 97:3 98:15 101:21,25 112:16	
<b>waterproof</b> 53:19 54:8	<b>wrong</b> 99:9	
<b>waterproof-breathable</b> 46:12,14 54:6 108:24 119:14	<hr/> <b>Y</b> <hr/>	
<b>ways</b> 90:25	<b>year</b> 5:21 60:20	
<b>weight</b> 48:15 81:18 127:22	<b>years</b> 57:8 85:7 89:24	
<b>well-known</b> 15:8	<b>yes-or-no</b> 117:7	
<b>whiteish</b> 120:21	<b>yesterday</b> 107:25 108:8	
<b>wide</b> 12:22 44:5 119:13 130:3	<b>yielding</b> 48:5 69:15 79:24	
<b>width</b> 43:18	<hr/> <b>Z</b> <hr/>	
<b>window</b> 16:15 43:17 123:2	<b>zeolite</b> 19:7 20:15 21:2 22:15 116:8,15	
<b>windows</b> 9:5,16	<b>zeolites</b> 18:14 19:6,10 20:9,11 22:10 116:5,15 120:1	
<b>word</b> 17:9 26:17 46:4 47:7 48:17 49:19 54:11 55:12, 15,22,23 56:5 59:11 117:9 134:1	<b>zinc</b> 22:8,10	
<b>words</b> 16:11 17:13 47:12 50:12		
<b>work</b> 90:7 122:9		
<b>worked</b> 47:14 64:22 109:13		

## ERRATA SHEET

### DEPONENT'S CHANGES OR CORECTIONS

Deposition of: GREGORY HAGGQUIST


Case Title: VF Outdoor, LLC v. Cocona, Inc.

Date of Deposition: December 5, 2018

I, GREGORY HAGGQUIST, have the following changes or corrections to make to my deposition:

Page:Line	Changes or Corrections	Reasons for Changes or Corrections
9:3	Correction. "I was" should be changed to "if I was"	Corrected answer reflects what deponent said and intended answer
40:5	Correction. Nanograms should be changed to nanometers	Corrected answer reflects what deponent said and intended answer
116:6	Correction. What should be changed to who	Corrected answer reflects what deponent said and intended answer
116:14	Correction. Adsorption should be changed to absorption	Deponent said absorption, not adsorption; corrected answer reflects what deponent said and intended answer

DEPONENT'S SIGNATURE:



GREGORY HAGGQUIST

DATE: Jan 10, 2019\_\_\_\_\_

UNITED STATES PATENT AND TRADEMARK OFFICE  
BEFORE THE PATENT TRIAL AND APPEAL BOARD COLORADO

Case No. IPR2018-00190

Patent No: 8,945,287

---

DEPOSITION OF: GREGORY W. HAGGQUIST, PH.D.  
December 5, 2018

---

VF OUTDOOR, LLC,  
Petitioner,

v.

COCONA, INC.,  
Patent Owner.

---

**AFFIDAVIT**

I, Sandra L. Bray, hereby affirm under the penalties of perjury that the contents of the following Affidavit are true and correct and are based on personal knowledge and state as follows:

1. That I am a Court Reporter and Notary Public in and for the State of Colorado and the officer before whom the deposition of Gregory W. Haggquist, Ph.D. was conducted on **December 5, 2018** in the above-entitled matter.
2. That pursuant to the request of counsel, I have reviewed my stenographic notes and back-up audio tapes of the above-referenced proceeding.
3. That after review of my stenographic notes and the back-up audio tapes, I wish the following corrections be noted to the record:

Page 116, line 3 "adsorb" should read "absorb"  
Page 116, line 5 "adsorb" should read "absorb"  
Page 116, line 20 "adsorbing" should read "absorption"  
Page 116, line 25 "calling" should read "cause"  
Page 117, line 3 "adsorption" should read "absorption"  
Page 117, line 4 "adsorption" should read "absorption"  
Page 117, line 5 "adsorbing" should read "absorbing"

VF Outdoor, Inc. vs. Cocona, Inc.  
Page 2 of Affidavit

\* \* \* \*

Affidavit

I DO SOLEMNLY AFFIRM THAT THE CONTENTS OF THE AFOREGOING  
AFFIDAVIT ARE TRUE AND CORRECT AND ARE BASED ON PERSONAL  
KNOWLEDGE.

12/27/2018

DATE



Sandra L. Bray

VF Exhibit 1046 - 60/61  
IPR2018-00190

VF Exhibit 1046 - 61 of 62  
IPR2018-00190

CERTIFICATE OF SERVICE

Notice is hereby given that on the 27<sup>th</sup> day of December, a copy of the Affidavit of Sandra L. Bray, was sent via email to:

JASON S. JACKSON, ESQ.  
KUTAK ROCK  
[Jason.Jackson@KutakRock.com](mailto:Jason.Jackson@KutakRock.com)

PETER A. GERGELY, ESQ.  
KATHLEEN E. OTT, ESQ.  
MERCHANT & GOULD P.C.  
[pgergely@merchantgould.com](mailto:pgergely@merchantgould.com)  
[KOtt@merchantgould.com](mailto:KOtt@merchantgould.com)