

1
2 UNITED STATES DISTRICT COURT
3 EASTERN DISTRICT OF TEXAS
4 MARSHALL DIVISION
5 -----

6
7 GODO KAISHA IP BRIDGE 1,

8 Plaintiff,

9 -against-

Case No.

2:17-cv-00676-

INTEL CORPORATION,

RWS-RSP

Defendant.

10 June 8, 2018

11 9:36 a.m.

12
13 Deposition of DR. JOHN BRAVMAN, taken
14 by Plaintiff, pursuant to Notice, held at the
15 offices of Kirkland & Ellis LLP, 601 Lexington
16 Avenue, New York, New York, before Joseph R.
17 Danyo, a Shorthand Reporter and Notary Public
18 within and for the State of New York.
19
20
21
22
23

24 Job No. 143156
25

A P P E A R A N C E S :

ROPES & GRAY

Attorneys for Plaintiff

1211 Avenue of the Americas

New York, New York 10036

By: ALEXANDER MIDDLETON, ESQ.

KIRKLAND & ELLIS

Attorneys for Defendant and the Witness

601 Lexington Avenue

New York, New York 10022

By: JON CARTER, ESQ.

Also Present:

LAURA ZHU

~oOo~

1 Bravman

2 Dr. J O H N B R A V M A N, having been first
3 duly sworn by Joseph R. Danyo, a Notary Public,
4 was examined and testified as follows:

5 EXAMINATION BY MR. MIDDLETON:

6 Q. Good morning, Dr. Bravman.

7 A. Good morning.

8 Q. I am handing you Exhibit 1. Exhibit
9 1 is a piece of paper with Intel's construction
10 for the term "dielectric film" in this case?

11 A. That's correct.

12 (Bravman Exhibit 1, Piece of paper
13 with Intel's construction for term
14 "dielectric film", was so marked for
15 identification, as of this date.)

16 Q. Okay, and Intel's proposed
17 construction requires that the dielectric film
18 reduce capacitance between the wire and the
19 substrate. Is that right?

20 A. That is what the words say, yes.

21 Q. You agree with that construction?

22 A. Yes.

23 Q. Under this construction, what is the
24 structure that it is being compared with in order
25 to determine whether the capacitance between the

1 Bravman

2 wire and the substrate has been reduced?

3 A. The structure that would otherwise be
4 there as laid out in the prior elements of the
5 claim.

6 Q. So are you saying, for example, that
7 the layers without the same thickness in the
8 other structure?

9 A. Could I look at the patent? Can I
10 have a copy of the patent?

11 Q. You want to look at the claim?

12 A. Can I just look at the patent?

13 Q. You cannot answer that without
14 looking at the patent?

15 A. I prefer to just have the patent in
16 front of me.

17 Q. Well, I will give you --

18 MR. MIDDLETON: Let's mark this as
19 Exhibit 2.

20 (Bravman Exhibit 2, Text of claim 6,
21 was so marked for identification, as of
22 this date.)

23 MR. CARTER: I will note for the
24 record that Exhibit 2 is, it just appears
25 to be the text of claim 6, but it is not

1 Bravman

2 the complete patent or any other part of
3 the patent.

4 MR. MIDDLETON: I think that is a
5 fair characterization of Exhibit 2.

6 Q. So, Dr. Bravman, do you recognize
7 Exhibit 2 to be claim 6 of the '736 patent?

8 A. Yes, it appears to be that.

9 Q. Okay. So when you determined whether
10 a device has a dielectric film of claim 6, the
11 structure that it is being compared, would all
12 the layers have the same thicknesses in that
13 structure and just exclude the dielectric film?

14 A. The thicknesses of the layers here
15 along with many other characteristics of the
16 layers and the structures are not specifically
17 laid out here, and of course I read this claim
18 and all the claims and all the claim elements in
19 light of the teaching to a person of ordinary
20 skill of the whole patent, and so that is why I
21 asked for a copy of the patent, but these claims
22 here by themselves are of course silent on a very
23 large number of issues.

24 Q. Okay, so just looking at the claim,
25 though, itself, if you were to determine whether

1 Bravman

2 the device has the dielectric film, are you
3 saying that all these other layers that are in
4 claim 6 besides the dielectric film, they would
5 be the same in the device you are comparing it
6 to?

7 MR. CARTER: Objection to form.

8 A. Again, as I said before, in this
9 claim there are many things that are unspecified
10 including thicknesses that you posit.

11 Q. So if you were to look at a device,
12 how would you determine whether it has the
13 dielectric film of claim 6 based on the claim
14 language?

15 A. Well, I would look at things,
16 assuming I could look at things, such as process
17 flows and device -- teardown analyses, stuff I
18 did for a long time, and then that would be, that
19 would allow me to know what layers are present,
20 presumably, if I had the right information
21 presented to me, but again interpreting whether
22 the claim elements or the claim as a whole were
23 met would involve looking at the claim in the
24 context of the entire patent and then making my
25 best-faith determination about a given set of

1 Bravman

2 structures whether or not they were within the
3 elements of the claim in light of the patent
4 language.

5 Q. So you would need to look at the
6 patent to determine whether a claim limitation is
7 met, is that right?

8 A. Well, because the claim limitations
9 are expressed in words and words have meanings, I
10 understand that starting with the intrinsic
11 record of the entire patent and even the patent's
12 prosecution history is important for
13 understanding what the claim and claim elements
14 mean, and so I would have to have a fair -- I
15 would have to convince myself I understood what a
16 claim and its elements meant and then take that
17 understanding and apply it to a situation, a
18 structure, a device, assuming I had enough
19 information to compare the two.

20 Q. All right. I want you to assume that
21 all of the elements except for the dielectric
22 film are given a plain and ordinary meaning and
23 that the term "dielectric film" is given the
24 construction provided by Intel.

25 With that as background, would you

1 Bravman

2 need to look at the patent to determine whether a
3 claim limitation is met in a product?

4 A. I'm not sure that I fully understand
5 the implications of your question, but the
6 patents I think there is commonality of
7 understanding. The patent is aimed at improving
8 the performance of a chip, for increasing the
9 speed, increasing the rate of charge and
10 discharge. Those are all expressions of the same
11 thing. And in service of that overall purpose or
12 teaching of the patent, the patent goes on to
13 explain that there are certain ways of doing that
14 within the scope of this patent, and it is within
15 that context that this claim is written and
16 therefore properly understood as teaching a
17 reader what the patent actually teaches the
18 reader as a whole.

19 Q. So is that a no? I'm sorry.

20 A. So please repeat your question. I
21 will answer it if I can.

22 Q. I want you to assume that all of the
23 elements in claim 6 except for the dielectric
24 film are given their plain and ordinary meaning
25 and the term "dielectric film" is given the

1 Bravman

2 construction provided by Intel.

3 With that as background, would you
4 have to look at the patent in order to determine
5 whether a claim limitation is met in a product?

6 A. Yes. I would never want to say what
7 a claim element means without understanding the
8 context with which it -- in which it is embedded,
9 i.e., the patent as a whole.

10 Q. And claim 6 itself doesn't give
11 sufficient context?

12 A. There is a lot of information in
13 claim 6, and there is a lot more that is not
14 included, and so one needs to understand what
15 claim 6 actually is saying, and my understanding
16 is that I am to do that as a person of ordinary
17 skill would in the time frame of the patent in
18 light of what the specification, the claims
19 themselves and the file history suggests and at
20 times also bringing in, if I thought I needed to,
21 you know, other extrinsic evidence.

22 Q. So claim 6 itself doesn't give
23 sufficient context? Yes or no?

24 MR. CARTER: Objection to form.

25 A. Again, I think I have answered the

1 Bravman

2 question. My understanding is I am supposed to
3 read meaning and context in light of the patent
4 so I understand what has actually been claimed.

5 Q. So that is a no?

6 MR. CARTER: Same objection.

7 A. Yeah. I don't think it is a yes or
8 no question. I have given my answer. I have
9 said -- you said, I believe you asked me is the
10 context sufficient, and I am saying that I have
11 to interpret any claim in light of the entire
12 patent, and if I am understanding you correctly,
13 I guess then the answer is you could characterize
14 the answer as no, because reading this in the
15 abstract devoid of all other information, that is
16 not what I understand my job is in interpreting a
17 patent claim.

18 Q. Well, I want you to assume that the
19 claim has already been interpreted to use Intel's
20 construction for dielectric film and that the
21 court has instructed you to use plain and
22 ordinary meaning for all other terms in this
23 claim. Do you follow that?

24 A. Yes.

25 Q. Okay. Under those assumptions, what

1 Bravman

2 structure would you need to look at to determine
3 whether -- I will withdraw that.

4 Under those assumptions, when looking
5 at whether a structure has the claimed dielectric
6 film, what would you compare that structure to?

7 A. I would want to know what the thing
8 such as the reason why a dielectric film, and
9 this is why I agree with the construction, was
10 put in place as it was, assuming that it is
11 there, and to see if that comports with the claim
12 in light of the patent.

13 Dielectric films have many purposes.
14 They are well known. I am sure we agree that the
15 patentee is not claiming to have discovered their
16 uses, and so, in the context of this patent, it
17 is very clear about why this dielectric film is
18 in place, and to understand what the patentee has
19 a right to, I would want to understand the
20 language, and I believe the asserted claim
21 construction helps the reader to do that, but I'm
22 not a lawyer, but I don't think that inserting a
23 claim construction allows one then to take the
24 claim and sort of forget about the rest of the
25 patent. It just helps one and clarifies what

1 Bravman

2 some of the words mean.

3 Q. So in order to understand whether a
4 product has a dielectric film that satisfies
5 Intel's construction, you would need to know why
6 that dielectric film was included in the
7 structure?

8 A. Well, knowing whether or not
9 something physically exists is a different
10 question, and dielectric film, as I just said,
11 exists and are used for a number of reasons, so
12 there is ways to discern whether or not given
13 materials or structures of course are present,
14 but whether or not it is -- it comports with the
15 teaching of a claim or its elements, again, my
16 understanding is one does that looking carefully
17 at the claims in light of what the patent says
18 overall.

19 Q. So in order to understand whether a
20 product has a dielectric film that satisfies
21 Intel's constructions, would you need to know why
22 that dielectric film was included in the
23 structure?

24 A. Because dielectrics, that is what we
25 are talking about, have many purposes in light of

1 Bravman

2 understanding this patent, yes. The answer would
3 be yes.

4 Q. Now the construction for resistor
5 film proposed by Intel is the same as the
6 construction for dielectric film except that the
7 word "dielectric film" is replaced with "resistor
8 film." Is that right?

9 A. That is my recollection. Yes.

10 Q. And would your answers that you just
11 gave for dielectric film as to how you would
12 figure out whether a product has dielectric film,
13 would those same answers apply to resistor film?

14 A. Well, my answers with regard to how I
15 interpret a claim and a structure, et cetera, I
16 would hope I would be consistent with that
17 whenever I am reading a claim and looking at a
18 structure, yes, so yes, if I understand your
19 question correctly.

20 Q. So do you agree that the capacitance
21 of a capacitor is constant, because the
22 capacitance is a material property of the
23 dielectric material?

24 MR. CARTER: Objection to form.

25 A. Capacitance of a material is a fairly

1 Bravman

2 imprecisely used phrase. We know materials have
3 properties. They have relative dielectric
4 constants, which is multiplied by the
5 permittivity of free space to give you the
6 dielectric constant of a material. The
7 capacitance is usually that phrase, what is the
8 capacitance is usually the capacitance of a
9 structure with geometry such as distance between
10 plates and things such as that.

11 A worker of skill may often be able
12 to interpret what an author meant, but materials
13 have inherent properties that go into, of course,
14 helping to determine what the capacitance of a
15 structure using those materials would possess.

16 Q. What is your understanding of the
17 term "capacitance" as it is used in this context
18 of the '736 patent?

19 A. Well, I think the word appears in
20 many places, so it clearly is generally and
21 generically referring to the ability to store
22 energy or charge, a well-known feature of a
23 structure.

24 Q. So with that understanding of
25 capacitance from the '736 patent, do you agree

1 Bravman

2 that the capacitance of a capacitor with a given
3 geometry is constant, because the capacitance is
4 a material property of the dielectric material?

5 A. The capacitance of a capacitor, now
6 you are talking about a specific device with
7 specific materials and geometries, that is
8 generally, yeah, a correct statement.

9 (Bravman Exhibit 3, '736 patent, was
10 so marked for identification, as of this
11 date.)

12 Q. You have been handed Bravman Exhibit
13 3, which is a copy of the '736 patent.

14 A. Yes.

15 Q. Can you go to the last set of
16 figures.

17 A. Um-hum.

18 Q. Sheet 21 of 21.

19 A. I have it.

20 Q. Okay. Actually, I'm sorry. Figure
21 19. My apologies.

22 A. Okay.

23 MR. CARTER: Just to clarify, we are
24 on sheet 19 of 21?

25 MR. MIDDLETON: And also figure 19.

1 Bravman

2 Yes.

3 MR. CARTER: Thank you.

4 Q. Do you agree that looking at figure
5 19, that adding a dielectric film to the
6 structure in figure 19 of the '736 patent would
7 have increased the costs and complexity of
8 fabricating a semiconductor device in comparison
9 to the prior art device, because it would involve
10 multiple additional steps relating to deposition,
11 patterning and/or etching?

12 A. It could, yes, depending on where the
13 film was and what the other process flows were,
14 yes.

15 Q. It could or it will?

16 A. The costs in terms of upfront costs
17 would have to be considered, but there is also
18 costs of reliability, of additional metrology,
19 the whole lifecycle costs, so as a general
20 principle, I would say, as you make a circuit
21 more complicated, there is a good chance that
22 costs of one type or another could be incurred.

23 The industry is famous for not doing
24 anything unless there is a specific purpose for
25 doing it, and there is a number of reasons for

1 Bravman

2 that, and one of them can be lifecycle costs.

3 Q. And would your answer be the same
4 about if the question was relating to adding a
5 resistor film to that structure?

6 A. Yes. Again, it would be the same
7 based on the same general statements that the
8 industry doesn't do things unless it has to, and
9 one of the reasons it has that concern is
10 lifecycle costs.

11 Q. At the time of the filing of the '736
12 patent, the semiconductor industry had a
13 well-established culture of not adding or
14 subtracting process steps without a known direct
15 benefit. Is that right?

16 A. That is certainly a general principle
17 that I believe the industry adhered to long
18 before the patent was written.

19 Q. Now previously, not in this case, but
20 in connection with Intel, you provided opinions
21 in support of interparty's review petitions
22 against the '736 patent, is that right?

23 A. Yes. They have been filed is my
24 understanding.

25 Q. You provided two declarations in

1 Bravman

2 connection with those IPR petitions, is that
3 right?

4 A. I think that is correct. Yes.

5 Q. And one of the IPRs, sorry, let me
6 withdraw that. One of your declarations in
7 connection with those IPRs addressed claims 6 and
8 13 of the '736 patent, right?

9 A. Well, I would presume that it did,
10 because I know those are at issue. I have not
11 reviewed those in many months. I think I
12 finished my work on those at the end of last
13 year, but I know those claims are in dispute. So
14 I would assume that I did.

15 Q. You have previously provided expert
16 reports in other cases?

17 A. Yes.

18 Q. And you also provided testimony in
19 other cases?

20 A. Yes.

21 Q. Has a judge ever rejected your
22 opinions?

23 A. Not to my recollection, but that is
24 just a recollection. If you are asking me do I
25 recall on a stand or via writing, I suppose, that

1 Bravman

2 a judge said, no, you are wrong, I don't have a
3 recollection of that.

4 Q. Do you have a recollection of a judge
5 ever criticizing your opinions?

6 A. No. I have seen a live attorney
7 argument during a cross-exam or a direct where
8 the judge has often made a ruling about what I am
9 to use as an understanding and whether or not in
10 every instance that was consistent with or
11 somewhat different from what, the basis on which
12 I was arguing, again, I don't have a specific
13 memory either way, but that is certainly
14 possible.

15 Q. Do you recall a judge ever saying
16 that your testimony was inconsistent with an
17 understanding you were supposed to use?

18 A. Again, I don't have a recollection of
19 that, but I don't think I would have specific
20 recollection of anything a judge said to me in a
21 case.

22 Q. Has a judge ever adopted an opposing
23 expert's opinions over your opinions?

24 A. In humility, I would have to say
25 probably. I mean, again, I don't recall that

1 Bravman

2 specifically, but I'm not going to claim I bat a
3 thousand.

4 Q. But you are not aware of any specific
5 instances?

6 A. As I sit here, no, I can't recall.

7 (Bravman Exhibit 4, Declaration, was
8 so marked for identification, as of this
9 date.)

10 Q. You have been handed Exhibit 4. Is
11 this a copy of the declaration you submitted in
12 this case?

13 A. Yes.

14 Q. Can you turn to paragraph 10 of your
15 declaration.

16 A. Okay. I have it.

17 Q. So in forming your opinions on claim
18 construction, among other things you considered
19 the '736 patent?

20 A. Yes.

21 Q. You also considered the prosecution
22 history of the '736 patent?

23 A. Yes.

24 Q. You also considered the parties'
25 proposed claim constructions for certain terms in

1 Bravman

2 the patents?

3 A. Yes.

4 Q. Then you also mention any other
5 materials cited or referred to in this
6 declaration?

7 A. Yes.

8 Q. Did you consider the parties'
9 infringement or invalidity contentions?

10 A. No.

11 Q. Have you seen the parties'
12 infringement or invalidity contentions?

13 A. Maybe I did for the IPR, I can't
14 recall, but not in preparation for today's
15 proceedings. I have no recollection of that. I
16 certainly didn't review anything for today, but
17 maybe I did for the IPRs that you mentioned.

18 Q. When did you form the opinions that
19 are included in your declaration?

20 A. Oh, I can't remember the date when
21 this was submitted, but --

22 Q. Well, sorry to cut you off, but let's
23 go to the last page. That is your signature on
24 May 23rd?

25 A. Yes. So very recently I signed a

1 Bravman

2 final version of this document. I suppose I
3 worked on it over the previous month or so.

4 Q. Prior to commencing work on this
5 declaration, did you have an opinion as to what
6 the proper claim constructions of the terms
7 "dielectric film" and "resistor film" were?

8 A. In light of this patent, again, I
9 obviously read it carefully in preparation for
10 the IPR, so again, not having reviewed those
11 documents recently, but if I did, it would be
12 expressed most likely in that document.

13 Documents.

14 Q. When you say in that document, are
15 you referring to the IPR declaration?

16 A. Yes.

17 Q. And if there is no discussion of
18 claim construction in your declaration -- well, I
19 will withdraw that.

20 Now, generally speaking, outside the
21 context of the '736 patent, would the term
22 "dielectric film" be a term that could be
23 understood without clarification?

24 A. Certainly as a general proposition a
25 worker of skill would understand the phrase

1 Bravman

2 "dielectric film" to refer to a material in a
3 film-like configuration that had certain
4 electronic properties.

5 Q. What electronic properties?

6 A. Well, a dielectric film is an
7 insulator, but when you say dielectric as opposed
8 to insulator, you are referring to the property
9 of the material that allows it to sustain a
10 polarization, and that is what makes it useful in
11 capacitor-type devices.

12 Q. Just to clarify, is a dielectric film
13 an insulating film?

14 A. Yes, in that it is a very poor
15 conductor and usually only conducts upon a
16 breakdown phenomenon.

17 Q. Can you go to paragraph 32 of your
18 declaration.

19 A. Okay.

20 Q. In paragraph 32 there is an equation
21 in the middle of the paragraph. Do you see that?

22 A. Yes.

23 Q. Just to clarify, the epsilon naught
24 is a permittivity of free space, correct?

25 A. Yes.

1 Bravman

2 Q. Is that a constant?

3 A. Yes, it is an assumed constant in
4 physics in the natural world.

5 Q. And I don't see you defining A there,
6 but is A the area of the plates of the capacitor?

7 A. Yes. I apologize for that oversight.
8 D, as it says, is the distance between the
9 plates.

10 Q. So the capacitance is a function of
11 the distance between the plates, the dielectric
12 constant of the dielectric between the plates and
13 the area of the plates. Is that right?

14 A. Yes, for the configuration of a
15 capacitor, a device, as described in paragraph
16 32, this is the standard textbook formula that
17 engineers and physicists and others learn. It
18 assumes things such as very large plates compared
19 to the separation between them and what have you,
20 because that essentially renders immaterial edge
21 effects, but this is certainly the standard
22 formula for a parallel plate capacitor.

23 Q. In the context of the '736 patent, is
24 this the proper equation to consider for
25 capacitance?

1 Bravman

2 A. Well, the question would be the
3 capacitance of what, because there is, as we
4 know, capacitance built in deliberately into
5 structures such as capacitors in integrated
6 circuits, and then there is a whole range of
7 unwanted or parasitic capacitances, and the
8 calculation of the actual capacitance between say
9 a wire and a substrate is much more complicated
10 than this formula allows. It is a
11 three-dimensional phenomena.

12 A wire running over the surface of an
13 integrated circuit has parasitic effects with
14 many structures underneath it, and so there is no
15 way you can just simply apply area and distance
16 and those kinds of things, but this is the start
17 of any such simulation or calculation. It
18 depends on materials and geometries.

19 Q. Can you look at figure 19 of the '736
20 patent.

21 A. Yes.

22 Q. Consider the region. Do you see --
23 well, let me back up. There are two areas
24 labeled 9.

25 A. Yes.

1 Bravman

2 Q. In figure 19. Consider the leftmost
3 portion of the left figure 9 to the rightmost
4 portion of the right -- let me withdraw that.
5 Consider the leftmost portion of the element,
6 leftmost area labeled 9 to the rightmost portion
7 of the area labeled -- the rightmost area labeled
8 9.

9 A. We are not on video here, but if you
10 want to just annotate just so I know, that I see
11 what you are saying.

12 Q. Yeah. Maybe I'll annotate this.

13 A. I just want to understand your
14 question. Okay.

15 Q. Okay. So on figure 9 of Exhibit 1, I
16 have added two red marking points.

17 A. Yes.

18 Q. Okay. So consider the region between
19 those two red marks.

20 A. I understand.

21 Q. Okay. Can you use the equation in
22 paragraph 32 of your report or declaration to
23 determine the capacitance of that region?

24 A. No, because this is a two-dimensional
25 slice through a three-dimensional object, and

1 Bravman

2 there is no way to know what the area is. That
3 would require knowledge of the geometry in and
4 out of the page.

5 Q. Okay. Assume that the area where
6 there is 13 and the leftmost section 9, assume
7 that that is area A1 with overlap. Does that
8 make sense? The wire 13 comes in and out of the
9 page, correct?

10 A. Right. It has some finite width in
11 and out of the page.

12 Q. And the left number 9 in figure 19,
13 it has some area as well, correct?

14 A. Certainly.

15 Q. And if you go vertically from 9 to
16 13, there is some overlapping area between 9 and
17 13?

18 A. Yes.

19 Q. Okay. Assume that that is A1. The
20 area is A1.

21 A. Sorry. I'm not understanding your
22 question, because the area is still -- you asked
23 me could I calculate the capacitance.

24 Q. No. I just want you to -- do you
25 understand that assumption? Just focusing in on

Bravman

that region over 9.

A. Focusing on the region --

Q. On the left 9.

A. Within the two red marks?

Q. No. The region over the 9. The left 9.

A. This one right here?

Q. Yes.

A. Okay. The region straight up 9. We don't know the in and out of the page dimensions. We don't know if the structures 13, 12 and 9 are the same extent in and out of the page. I mean 9 is a piece of the whole substrate, so it is infinite in size effectively compared to micron scale structures. And then the dielectric 12 and the wire conductor 13, I don't know what their vertical extent in and out of the drawing of the page is.

Other than that, I do understand you are asking me to draw in my mind a vertical line like a football goalpost up over the leftmost region 9, and presumably in this drawing all structures shown in fact are in this plane.

Q. You say in this plane. You mean the

1 Bravman

2 plane left and right on the page?

3 A. That are up and down left and right
4 of the page. Yes.

5 Q. Now, when you consider also coming in
6 and out of the page, the left, the region on the
7 left labeled 9 and the wire 13, there is going to
8 be an overlapping area, correct?

9 A. Well, this diagram, since I have made
10 the assumption that all these structures are at
11 least in this page, a plane of the page, there is
12 some area that overlaps, yes.

13 Q. Okay. I want you to assume that
14 where there is overlap, the dielectric film 12 is
15 in between that overlap region. Does that make
16 sense?

17 A. Yes. Again, at least in this slice
18 of the page it is clearly directly above this
19 portion of region 9 and directly below that
20 particular portion of element 13.

21 Q. Okay. For that region where there is
22 overlap, can you determine what the capacitance
23 is due to that effect based on the equation in
24 paragraph 32 of your declaration?

25 A. I'm sorry, due to which effect?

1 Bravman

2 Q. The effect of the overlap -- well,
3 let me back up for a second. Would you agree
4 that there is a capacitor formed by the layers
5 13, 12 and 9 where 13 and 9 overlap vertically?

6 A. So in loose colloquial language
7 amongst persons of skill, one would understand
8 that phrase. In this case, however, when you
9 say, I think you asked me is there a capacitor
10 there, it is not a capacitor that is constructed
11 deliberately for the purpose of storing charge.
12 Those people in that colloquial sense would
13 understand likely, given the question you are
14 referring to the unwanted parasitic capacitance
15 between conductor 13 and the substrate 9, and
16 with those provisos, they would say sure there is
17 a capacitance there. That is unfortunate,
18 because it limits the performance of the device.

19 Q. So you would agree that there is a
20 parasitic capacitor formed by the layers 13, 12
21 and 9 where the layers 13 and 9 overlap
22 vertically?

23 A. Well, if I was speaking, I believe I
24 would try to be more precise and say there is a
25 parasitic capacitance and that this then can be

1 Bravman

2 modeled as the patent does making certain
3 assumptions, some of them not even explicated,
4 that we can model that as a capacitor just like
5 we can model the structure to the left or right,
6 which includes the trench as a capacitor, and we
7 can think about these in parallel or series and
8 come to understandings about the effect upon the
9 parasitic capacitance with these kinds of simple
10 models, but I would want to use the word
11 "capacitance" rather than capacitor.

12 Q. Okay. So you would agree there is a
13 parasitic capacitance formed by the layers 12, 13
14 and 9 where the layers 13 and 9 overlap
15 vertically?

16 A. Yes, and not just where they overlap
17 vertically, because that is the way parasitic
18 capacitance works, but certainly where they
19 overlap, yes.

20 Q. Can you determine that parasitic
21 capacitance using the equation in paragraph 32 of
22 your declaration?

23 A. So do you mean determine the
24 capacitance with actual numerical value?

25 Q. Well, assume that somebody was able

1 Bravman

2 to tell you what the dielectric constant of layer
3 12 was. They were able to tell you what the
4 thickness of layer 12 was between layers 9 and
5 13. And they were also able to tell you the area
6 of overlap between layers 9 and 13.

7 If you were given that information,
8 would you be able to determine the capacitance,
9 the parasitic capacitance, using the equation in
10 paragraph 32 of your declaration?

11 A. I would be able to estimate the
12 parasitic capacitance that line 13 would
13 experience in its totality as in this
14 hypothetical as it would be experiencing
15 contribution from that area.

16 If the hypothetical is I magically
17 cut out all other componentry and just now had
18 this little cube and I had all the right
19 dimensions and all the materials parameters with
20 nothing else outside of the area of overlap, the
21 formula would be adequate for getting a
22 reasonable estimate of what the parasitic
23 capacitance would be in that hypothetical.

24 Q. Okay, and similarly, would you be
25 able to use the equation in paragraph 32 of your

1 Bravman

2 declaration to determine the contribution of
3 parasitic capacitance from the region between
4 line 13 and below the trench isolation that is
5 between the two sections labeled 9 in figure 19?

6 A. Again, as a starting proposition,
7 that formula in paragraph 32 of my report is
8 correct. You know, in this case, we have a
9 little bit of added complexity immediately,
10 because of the, at least as illustrated in figure
11 19, trapezoidal shape of the trench, and since we
12 are talking about the capacitance between wire 13
13 and the substrate now directly underneath the
14 trench, if I am understanding your question
15 correctly, in my previous hypothetical, if you
16 took the smallest dimension at the bottom of the
17 trapezoid and in two dimensions, three
18 dimensions, cut out everything that is outside
19 those vertical lines, then it would be the same
20 answer.

21 You would have two different,
22 presumably at least the possibility of two
23 different dielectric constants for two different
24 structures, but yes, as a starting point, if you
25 hypothetically cut everything away, yes, that is

1 Bravman

2 how I would estimate it.

3 Q. Okay, and similarly for the region,
4 for the rightmost region labeled 9, you could use
5 the equation in paragraph 32 of your declaration
6 to calculate the contribution of parasitic
7 capacitance from that region?

8 A. I would give the same answer as I did
9 in totality for the leftmost region 9.

10 Q. And again, that capacitance would be
11 a function of the distance between the two,
12 sorry, the thickness of the insulating material
13 between the two regions of interest, the
14 overlapping area of the regions of interest and
15 the dielectric constants of the insulating
16 material?

17 A. In the hypothetical where you cut out
18 and removed everything else, yes. Then you would
19 have a simple parallel plate capacitor.

20 Q. Okay. If you go to paragraph 35 of
21 your declaration, that is what is being done in
22 figure 21A? Is that right?

23 A. Yes. The patentee in figure 21A and
24 B is drawing schematics of how in a simple model
25 you would compare the capacitance between element

1 Bravman

2 13 and substrate with these two different
3 structures, and it is subject to all the provisos
4 that we were just discussing.

5 It assumes, for instance, that you
6 are only talking about the regions directly
7 overlapping as you posited. It assumes from A to
8 B that areas haven't changed and things like
9 that, so a worker of skill understands it for
10 what it is, and it is illustrative, and it makes
11 the point of why different structures of these
12 two types will have different capacitances, all
13 things else being equal.

14 Q. And is it your understanding that in
15 the '736 patent, to the extent there is
16 discussion of reducing capacitance, this is what
17 they are using as a comparison?

18 MR. CARTER: Objection to form.

19 A. I think in the '736, again, it is
20 what the patentee is alleging is one solution to
21 increasing the speed of chips, and this was of
22 course well known as desirable, and parasitic
23 capacitances were well known as deleterious to
24 that effort, and with that understanding, he
25 correctly posits that the parasitic capacitances

1 Bravman

2 in the region of these isolation structures can
3 be reduced by two means and that the worker of
4 skill understands that, independent of everything
5 else that is going on everywhere else in this
6 chip with millions perhaps of transistors and
7 miles of wires, that the contribution made to the
8 overall speed of the chip could be improved by
9 addressing this issue in this particular region
10 of a chip.

11 Q. Can you assume for me that the area
12 of overlap where the capacitance CA1 is shown,
13 that that area of overlap is A1?

14 A. And so there would be three A1's
15 here?

16 Q. There would be three A1's, yes.

17 A. In figure 21A.

18 Q. Yes.

19 A. Okay. I understand your posit. I
20 don't know what area is, but I'll just assume
21 there is some area of overlap.

22 Q. Well, the area of overlap between 13
23 and -- between the wire 13 and the substrate.

24 A. Yes.

25 Q. As is relevant to the calculation,

1 Bravman

2 your formula.

3 A. Right.

4 Q. Okay. Can you also assume for me
5 that the distance between the wire 13 and the
6 substrate is D1 in that region?

7 A. The green insulator, yes.

8 Q. Yes. Okay, and assume that the
9 dielectric constant of the green insulator is
10 epsilon 1?

11 A. Okay.

12 (Bravman Exhibit 5, Blank piece of
13 paper, was so marked for identification,
14 as of this date.)

15 Q. This is a blank piece of paper.
16 Exhibit 5 is a blank piece of paper, correct?

17 A. Yes.

18 Q. Can you, with the assumptions we just
19 discussed about the capacitance in CA1, can you
20 write out what CA1 is.

21 With the assumptions we just
22 discussed about the area, distance and dielectric
23 constant, can you write out the formula for what
24 CA1 is using your equation in paragraph 32?

25 A. Well, I am only -- first of all, it

1 Bravman

2 is going to be such a calculation or an equation
3 for such a calculation always is going to have
4 certain assumptions built in, and if we build in
5 the assumption that again I'm magically cutting
6 everything out except the overlapping areas to
7 the left and right above and below the page, then
8 it is simply the formula that is in my report,
9 and it would be that formula.

10 Q. Can you just write that on the page,
11 please, for me.

12 A. Call it what? CA1?

13 Q. CA1.

14 A. So it is going to be CA1 equals
15 epsilon zero, and then epsilon 1, and then it is
16 over times A the area and the distance, and that
17 assumes no other materials present outside of, I
18 will put in quotes, projected area of overlap.

19 Q. And I think I said that the distance
20 we'll assume is D1, and the area of overlap is
21 A1. Okay.

22 What about CA2? Can you write the
23 formula for that, assuming that the distance
24 there is D2, and the area of overlap is A2.
25 Well, let's assume that the dielectric constant

1 Bravman

2 of region 8 and the dielectric constant of region
3 12 is the same, just to make it simple.

4 A. Okay.

5 Q. That also is epsilon 1 for the
6 dielectric.

7 A. Also epsilon 1. Yes. That is $\epsilon_0 A_2$.
8 $\epsilon_0 A_2$ equals permittivity of free space times again
9 epsilon 1 under our assumption and times the area
10 of overlap A_2 divided by D_2 with the same
11 assumptions as above and assuming the dielectric
12 constant of 12 and 8 are the same.

13 Q. Thank you for that. You are a
14 professor?

15 A. Yes.

16 Q. You teach classes right now?

17 A. I give guest lectures.

18 Q. When you say guest lectures, what do
19 you mean?

20 A. I give a lecture or two in classes
21 where I'm not the professor of record.

22 Q. So if the professor is unavailable,
23 then you will step in, perhaps?

24 A. No, if he asks me to give a class or
25 if she asks me to give a class on their behalf.

1 Bravman

2 Q. What subjects will professors ask you
3 to step in to give a class?

4 A. Materials science and specifically
5 related to the microelectronic industry.

6 Q. For a given capacitor, if you change
7 the voltage applied to the plates of the
8 capacitor, that won't change the actual
9 capacitance of the capacitor, will it?

10 A. Assuming that you haven't damaged the
11 capacitor by overloading it to very good order,
12 that is correct. It is a very good assumption.
13 That's correct.

14 Q. Is that a good assumption here in the
15 context of the '736 patent?

16 MR. CARTER: Objection to form.

17 A. There is no discussion of any
18 secondary effects of changing voltages, changing
19 the capacitance. That is not in play here.

20 Q. Just to be clear, you are saying that
21 it is a good assumption in the context of the
22 '736 patent that changing the voltage to the
23 plates of the capacitor doesn't change the
24 capacitance of the capacitor, is that right?

25 MR. CARTER: Objection.

1 Bravman

2 A. If you say of the capacitor and
3 that's probably understood, I would agree with
4 that. Under those assumptions, I would agree.
5 The capacitor has to be of course well defined,
6 hence, in the exercise you gave me, I was talking
7 about a hypothetical region of space.

8 Q. Is it a good assumption in the
9 context of the '736 patent that changing the
10 voltage to the wiring -- sorry, across -- let me
11 withdraw that.

12 In the context of the '736 patent, is
13 it a good assumption to assume that changing the
14 voltage between the wiring and the substrate does
15 not change the parasitic capacitance of the
16 structure?

17 A. The parasitic capacitance as properly
18 modeled would not be dependent on the voltage
19 most likely.

20 Q. Is your understanding that the
21 purpose of the dielectric film in the '736 patent
22 is to reduce the capacitance -- sorry -- the
23 parasitic capacitance between the wire and the
24 substrate?

25 A. It is -- that is one of the posited

1 Bravman

2 solutions to the problem, which the patent
3 addresses, which is parasitic capacitance in a
4 specific region in service of the overall goal of
5 improving the speed of the chip.

6 Q. So one of the posited solutions to
7 the problem, which the patent addresses, is to
8 reduce the capacitance between the wire and the
9 substrate using a dielectric film?

10 A. In the isolation regions, the patent,
11 as I recall, uses the phrase isolation regions or
12 just region as contributing to a reduction of the
13 overall parasitic capacitance experienced by
14 every conducting wire and the totality of the
15 substrate, and so it limits itself in my read to
16 the isolation regions, but in service of that
17 known problem.

18 Q. So one of the purposes of the
19 dielectric film in the context of the '736 patent
20 is to reduce parasitic capacitance in the
21 isolation region? Is that right?

22 A. Yes. The contribution of that region
23 of the chip or the device to the overall
24 calculation of parasitic capacitance between any
25 given wire that happens to run over an isolation

Bravman

region.

Q. And in particular, the particular purpose of the '736 patent dielectric film is to reduce the capacitance between the wire and the substrate in the isolation region, correct?

A. Right. The particular solution posited to the stated problem of increasing speeds is limited in my read to the isolation region.

Q. So a benefit of the dielectric film in the '736 patent is to reduce capacitance between the wire and the substrate, correct?

A. Yes, and particularly again the contribution of the parasitic capacitance between a wire and the substrate that is made by the wire over the substrate in the isolation region.

Q. And similarly, a benefit of the resistor film in the '736 patent is to reduce capacitance between the wire and the substrate, correct?

A. Yes. By reducing the capacitance of the wire substrate structure in the isolation region, it contributes to an overall reduction in the wire substrate capacitance and therefore

1 Bravman

2 increases -- contributes to increasing the speed
3 of the chip.

4 Q. So Intel's proposed construction of
5 dielectric film repeats the words "a dielectric
6 film" and then adds "a benefit of the dielectric
7 film" as used in the '736 patent as the
8 construction?

9 A. I'm sorry, could you ask that
10 question again. I thought the two posited
11 constructions were the same except for --

12 Q. Right. Yeah. Let's just focus on
13 dielectric film first. Okay?

14 A. Okay.

15 Q. I don't want to ask you about two
16 different things because you might have two
17 different answers.

18 A. Sure.

19 Q. So let's just focus on the dielectric
20 film.

21 A. Okay.

22 Q. Intel's proposed construction of a
23 dielectric film repeats the words "a dielectric
24 film" and then adds "a benefit of the dielectric
25 film" as used in the '736 patent. Is that right?

1 Bravman

2 A. So I don't see the word "benefit" in
3 the posited claim construction.

4 Q. Well, we just discussed a benefit of
5 the dielectric film in the '736 patent is to
6 reduce the capacitance between the wire and the
7 substrate. Right?

8 A. Yes. I'm sorry, I thought you were
9 saying that word "benefit."

10 Q. Oh, no. I didn't mean literally. I
11 just want to understand where the construction
12 comes from.

13 A. Right, and I think that is a very
14 important point, because again the patent is
15 speaking to making chips faster, and it does that
16 by addressing the capacitance of a wire and the
17 substrate, and then it further goes on to where a
18 wire is above a substrate in the isolation
19 region, here's two methods for reducing that
20 region's contribution to the overall parasitic
21 capacitance.

22 This is how a worker of skill reads
23 it, relative to the substrate in service of the
24 stated problem.

25 Q. So I think there is a bit of back and

1 Bravman

2 forth there. I want to make sure the record is
3 clear between the question and the answer.

4 Intel's proposed construction of a
5 dielectric film repeats the words "a dielectric
6 film," and then adds to it "a benefit of the
7 dielectric film" as used in the '736 patent, is
8 that right?

9 MR. CARTER: Objection to form.

10 A. Well, again, as you just said, not
11 literally. It says "a dielectric film" means "a
12 dielectric film for reducing the capacitance
13 between the wire and the substrate."

14 Q. And reducing the capacitance between
15 the wire and the substrate is a benefit of the
16 dielectric film in the '736 patent, correct?

17 A. Yes. It is a benefit in service of
18 the stated problem of chip speed.

19 (Recess taken from 10:42 a.m. to
20 10:56 a.m.)

21 BY MR. MIDDLETON:

22 Q. During the break, Dr. Bravman, did
23 you speak to counsel about the substance of your
24 testimony?

25 A. I did not.

1 Bravman

2 Q. Turning to paragraph 47 of your
3 declaration.

4 A. Okay.

5 Q. You state, the first sentence says
6 "There were well-known uses for dielectric films
7 in semiconductor fabrication processes before the
8 '736 patent that were unrelated to affecting
9 parasitic capacitance."

10 A. Yes.

11 Q. And then the second sentence says
12 "For example, dielectric films have commonly been
13 used between adjacent metal layers in a
14 semiconductor device to insulate those layers
15 from each other," right?

16 A. Yes.

17 Q. Wouldn't the dielectric film --
18 wouldn't that structure with the dielectric film
19 between adjacent metal layers have a parasitic
20 capacitance?

21 A. All structures and air and free space
22 have the potential of creating parasitic
23 capacitances, yes.

24 Q. And in this example that you have
25 given, the dielectric film that is used between

1 Bravman

2 adjacent metal layers in a semiconductor device
3 to insulate those layers from each other,
4 wouldn't that create parasitic capacitance?

5 A. Yes.

6 Q. So wouldn't that affect the parasitic
7 capacitance of the device?

8 A. It would, but what I am explaining
9 here is that dielectric films, as it says
10 well-known uses and in the employ of those
11 materials for those uses, parasitic capacitance
12 is well known to be a factor, but dielectrics are
13 used for building capacitors. They are used for
14 insulators, as I illustrate here. They are used
15 for other process steps. They are used for
16 hermetic seals on the top of a chip.

17 Like all materials, they have a
18 permittivity which affects parasitic capacitance
19 as well.

20 Q. If a dielectric film is used as an
21 insulator, does that mean it can't be the
22 dielectric film of the '736 patent?

23 A. The dielectric film or films in the
24 '736 has to be an insulator. Otherwise it
25 wouldn't serve the purposes that the '736 clearly

Bravman

articulates.

Q. And if the dielectric film of the '736 patent were used to assist in insulating between metal layers -- well, let me withdraw that.

If a dielectric film were used in part to insulate between the wiring and the substrate, would that dielectric film necessarily not -- sorry. I will withdraw that.

If an insulating film were used in part to insulate between the wiring and the substrate, would that insulating film necessarily not be a dielectric film as used in the '736 patent?

MR. CARTER: Objection to form.

A. Boy, I'm sorry. That is a convoluted question. Would it necessarily not be a dielectric film described as being added to a structure to reduce capacitance? There is many dielectric films present in the '736 patent, so I just want to make sure I am understanding the same of what you are speaking of precisely.

Q. So the term "dielectric film" in the '736 patent, the way that that term is used,

1 Bravman

2 okay, if an insulating film were used in part to
3 insulate between the wiring and the substrate,
4 could that insulating film be a dielectric film
5 as the term is used in the '736 patent?

6 MR. CARTER: Objection to form.

7 A. The dielectric film in the '736
8 patent is an insulator, and so it would
9 contribute. It would be part of providing an
10 insulating layer necessarily.

11 Q. Can you turn to paragraph 53 of your
12 declaration.

13 A. Yes. Okay.

14 Q. Can you explain what you mean by the
15 first sentence in paragraph 53?

16 A. That the patent is not claiming to
17 invent anything outside of the use of the known
18 properties of a dielectric for decreasing the
19 capacitance between the wire and the substrate in
20 an isolation region. That is what the claim is
21 about. What the patent is about.

22 It is teaching as it says there to
23 add the claimed dielectric film to an otherwise
24 standard structure, STI structure. I don't read
25 anywhere where he is making a claim that asserts

1 Bravman

2 a purpose for decreasing, sorry, a purpose for
3 adding the dielectric film other than for the
4 purpose as stated.

5 Q. Okay. So when you say that was
6 already known in the prior art, you are referring
7 to the STI structure only, not to the stated or
8 implied teaching to add the claimed dielectric
9 film?

10 A. The patent describes the alleged
11 invention in terms of an STI structure, and he is
12 not claiming the inclusion of this new dielectric
13 film structure for anything except as it says the
14 purpose of decreasing capacitance.

15 Q. What if a designer of a chip designs
16 it with a dielectric film that was added for a
17 different purpose, but unknown to the designer
18 because they weren't paying attention, it also
19 decreased capacitance compared to a previously
20 designed structure. Would that be a dielectric
21 film of the claim?

22 MR. CARTER: Objection to form.

23 A. Well, the physics of a structure is a
24 function of its materials and configurations.
25 The reader or the person of skill understands

1 Bravman

2 with that being true what the patent owner is
3 claiming as his invention in a well-articulated
4 narrow scope.

5 Q. I guess my question is a little bit
6 different, because we were talking about the
7 purpose for including dielectric film, and my
8 question is, if a dielectric film were added, not
9 for the purpose of affecting parasitic
10 capacitance, but in fact it did decrease
11 parasitic capacitance compared to a previously
12 designed structure, would that be a dielectric
13 film of the claim?

14 MR. CARTER: Objection to form.

15 A. I'm not sure how to answer that
16 because it sounds like to me again what you are
17 really creating is a hypothetical. Here is a
18 structure, and if I hear your question as, if the
19 structure is form A or form B, and form B
20 includes this thing that has been added, yeah, in
21 both cases you can calculate direct or parasitic
22 capacitances.

23 The teaching, however, of the patent,
24 which is what I understand is my obligation to
25 try and explicate, is the inclusion of another

1 Bravman

2 structure for the expressly stated purpose of
3 reducing parasitic capacitance.

4 Many people are involved, many
5 people, large numbers of people are involved in
6 designing and validating and building chips.

7 Q. So if you are looking at a product,
8 how do you determine if the purpose of including
9 the dielectric film in that product is to reduce
10 parasitic capacitance?

11 A. Well, I think a worker of skill, for
12 instance, would look at let's say starting with
13 figure 19, the prior art. A worker of skill is
14 going to know that layer 12, for instance, is
15 going to have to be designed such that it has a
16 given set of materials properties, its
17 permittivity, relative permittivity, and
18 dimensions and thickness, and if one saw that in
19 this isolation region, because that is what the
20 patent speaks about, that there was another
21 structure or 12 was thicker than it was outside,
22 where the designer is generally going to know it
23 is going to be the minimum thickness required to
24 within stated margins have the chip function as
25 best it can, I think a worker of skill would say,

1 Bravman

2 oh, it is thicker there, oh, there is another
3 structure there, why would it be there,
4 understand that that would map onto the patent.

5 Q. And what if the thickness didn't
6 change in the chip of this layer 12, could that
7 be a dielectric film in the context of the '736
8 patent?

9 MR. CARTER: Objection to form.

10 A. Layer 12 is stated to be a dielectric
11 film. It is an insulator. It therefore has
12 dielectric properties.

13 Q. Now what if a designer has a chip
14 that he decides he wants to sacrifice some sort
15 of operation, is willing to allow for a higher
16 parasitic capacitance, so he redesigns his chip
17 so that it has a dielectric film that underlies
18 an insulating film, but now he just decreased the
19 thickness of the dielectric film so that he can
20 maintain whatever purpose he wants for it. Could
21 that dielectric film be the dielectric film of
22 the '736 patent?

23 MR. CARTER: Objection to form.

24 A. If I am understanding your question
25 correctly, no, because you are saying he is

1 Bravman

2 reducing 12, the thickness of 12, while
3 implementing a dielectric film. Those two things
4 would seem to be running counter.

5 Q. Okay. Now suppose that you were
6 looking at the device, and you have no
7 information about how the designer came about
8 choosing that thickness for that layer. Then how
9 would you determine whether that dielectric film
10 is dielectric film in the context of the '736
11 patent?

12 MR. CARTER: Objection to form.

13 A. I think a worker of skill trying to
14 understand if the '736 patent read on the
15 observed structure would need to know if any
16 material present comported with the clear reading
17 of the claims of the patent in light of the
18 intrinsic record, because that is going to be a
19 legal question.

20 You are asking me I think in part a
21 legal question. Is it the film of the patent,
22 and the dielectric film of the patent as claimed
23 and described in the full intrinsic record a
24 worker of skill would understand had
25 characteristics to it, and so I think to answer

1 Bravman

2 your question in this legal context that is what
3 you would have to know.

4 Q. Generally speaking, the term
5 "resistor film," is that a term that would be
6 understood without clarification?

7 A. Yeah. It is a bit of, in my opinion,
8 it is a bit of an odd term, resistor film, but a
9 worker of skill understands that a structure for
10 the purposes of having a known resistance would
11 be covered by that kind of claim or that kind of
12 language. Yes.

13 Q. Can you turn to paragraph 56 of your
14 declaration.

15 A. Yes.

16 Q. In paragraph 56, you say that "The
17 resistor film is added to the prior art STI
18 structure to reduce the voltage applied to the
19 top and bottom faces of the insulating film and
20 therefore decrease wire-to-substrate
21 capacitance."

22 Correct? At the very end of the
23 block quote.

24 A. Yes.

25 Q. Now, in that block quote that you

Bravman

provide, there is no statement that the wire-to-substrate capacitance is decreased, correct?

A. So in the patent, my opinion, the proper read and a consistent read of the patent, the patentee includes the following: The patentee clearly is describing modifications to prior art structures in isolation regions, and at one point in the patent he clearly says there are two physically correct ways of doing this.

One is to in that region decrease the capacitance between the wire and the substrate in the isolation region or to reduce the charge stored in the capacitor structure in that region. Both of those reduce the wiring to substrate capacitance of the device, and throughout the patent starting at the very beginning the patentee talks about reducing the wiring-substrate capacitance to improve the performance of the device, and the worker of skill then goes on to read and understand that he provides two means of making a contribution to that, and that is to work within this isolation region with the two mechanisms I cited a moment

1 Bravman

2 ago, and that is why I agree with the claim
3 construction, because in terms of a semiconductor
4 device, which I take to read and I think a worker
5 of skill would understand is the point here. You
6 don't talk about increasing speed of the wiring
7 in isolation region. You talk about speed of the
8 device or certain circuits within, and that to me
9 is the consistent story the patent tells.

10 Q. In your opinion, when the resistor
11 film reduces the amount of charge accumulated in
12 the region of the substrate, sorry, of the
13 semiconductor device containing the resistor
14 film, that that necessarily reduces the wiring to
15 substrate capacitance in that region?

16 A. No, he doesn't say in that region.
17 He is very clear that in that region here are two
18 phenomena we can consider, and they are both in
19 service of reducing the parasitic capacitance of
20 the device, sorry, of increasing the speed of the
21 device by making a contribution to improved
22 parasitics. His physics is correct.

23 Q. So just yes or no ,do you agree or
24 disagree when the resistor film reduces the
25 amount of charge accumulated in the region of the

1 Bravman

2 semiconductor device containing the resistor film
3 that that necessarily reduces the
4 wiring-to-substrate capacitance in that region?
5 Yes or no?

6 A. I don't think that is a yes or no
7 question, but the patentee speaks to that.

8 Q. Well, let me withdraw that question.

9 A. Okay.

10 Q. Do you agree or disagree when the
11 resistor film reduces the amount of charge
12 accumulated in the region of the semiconductor
13 device containing the resistor film, that that
14 necessarily reduces the resistor to substrate,
15 sorry, the wiring-to-substrate capacitance in
16 that region? Yes or no?

17 A. No, the patentee says, and I take it
18 as a worker of skill he is saying, you know, even
19 when the capacitance in that region is not
20 changed but the charge stored is, that is a
21 contribution to the speed improvement, because
22 the charge buildup and draining can occur faster.

23 I mean he is right about that, but
24 that physical phenomenon that he cites to, when
25 the resistance film is included, contributes to a

1 Bravman

2 reduction overall in the parasitic capacitance of
3 the wires to the substrate, and again he is
4 talking about in the region.

5 The speed, however, the chip is
6 controlled by a myriad of factors, and he is
7 talking about improving the speed of the device,
8 and again here is a way to contribute to that.
9 That is how I read this patent.

10 Q. Now going back to paragraph 56 of
11 your declaration.

12 A. Yes.

13 Q. That quoted text. I want to make
14 sure that I have this right. The quoted text in
15 paragraph 56 does not say that the resistor film
16 is added to decrease wire-to-substrate
17 capacitance. Is that right?

18 A. In this quoted text here, no. Here
19 he is getting closer to the ultimate description
20 at the last clause, which is "The time required
21 for charging or discharging between the wiring
22 and high resistor is reduced resulting in the
23 semiconductor device operating at a higher
24 speed."

25 Again, that is the purpose of -- I

1 Bravman

2 read that is the purpose of the alleged invention
3 is to make that operative, and I know as a worker
4 of skill that that results from an overall
5 reduction in parasitic capacitances.

6 Q. One embodiment of the '736 patent is
7 illustrated in figure 12, right?

8 A. Yes.

9 Q. In figure 12, a wire 13 is formed on
10 an interlayer insulating film 12, correct?

11 A. Yes.

12 Q. And the interlayer insulating film 12
13 is formed on an underlying interlayer insulating
14 film 81, right?

15 A. Yes. Let me just look and make sure
16 that comports with my memory. Yes, it says that
17 in column 10, an underlying insulating film
18 formed beneath an interlayer insulating film.

19 Q. And the insulating film 81 is formed
20 on dummy semiconductor regions 9?

21 A. Yes. The figure illustrates that in
22 the cross-section.

23 Q. In figure 12 of the '736 patent, the
24 capacitor -- withdrawn. Then another embodiment
25 of the '736 patent is illustrated in figure 5,

1 Bravman

2 correct?

3 A. Figure 5 illustrates another
4 embodiment. That's right.

5 Q. In figure 5, a wire is formed on an
6 interlayer insulating film 12, correct?

7 A. Yes. That is structure 13.

8 Q. Okay, and the interlayer insulating
9 film 12 is formed on a resistor film 53?

10 A. That's correct.

11 Q. And in this structure the parasitic
12 capacitor is formed in which the wire 13 and the
13 resistor film 53 are the plates of the capacitor,
14 and the interlayer insulating film 12 forms a
15 dielectric between the plates?

16 A. Well, that is what was posited by Mr.
17 Healey in his report. The patent does not
18 explicitly say that to be the case. I understand
19 the patent, when it makes the assumptions that it
20 does, it is as Mr. Healey says, it disregards
21 other capacitances. It doesn't say any basis
22 that I'm saying he says explicitly 53 is the
23 second plate of a capacitor.

24 I don't recall seeing that in the
25 specification. It does describe it, however, in

1 Bravman

2 terms of materials as you stated.

3 Q. So you disagree that a capacitor is
4 formed by layers 13, 12 and 53 in figure 5?

5 A. No, I didn't say that. I am just
6 saying that, because the patent clearly says --
7 let's just -- it is sufficient I think is the
8 word it uses to consider 13, 12 and 53, but it
9 doesn't as a textbook might explain under what
10 conditions it is sufficient and why it is
11 sufficient.

12 If the entire capacitance of that
13 region is included or accounted for by 13 and 12
14 and 53, I don't know why you would use the word
15 "sufficient," and I don't know why he would talk
16 about the full voltage drop from wire 13 to the
17 substrate as occurring in these two pieces, the
18 two pieces being 13 to 53 and then 53 to the
19 substrate.

20 Q. I think I understand the issue now.
21 Okay. So I understand there is 52 and 51 there.
22 I want to work my way through this.

23 If you are looking at a problem like
24 this, would you agree that it makes sense to try
25 to figure out what the components are

1 Bravman

2 individually before you start looking at the --
3 trying to solve the whole problem?

4 A. Well, sure.

5 Q. Right, so let's focus in on 13, 12
6 and 53, recognizing that there are other
7 structures here. I'm not talking about the
8 entire wiring-to-substrate capacitance. I just
9 want to ask or focus in on 13, 12 and 53, and my
10 question is do you agree that layers 13, 12 and
11 53 form a parasitic capacitor?

12 A. If 12 is not floating freely
13 surrounded by dielectric, it would present a
14 parasitic capacitance. It is a little more
15 complicated if it is completely surrounded by
16 dielectric, but the elements of a capacitor two
17 plates conducting and is separated by a
18 dielectric are clearly there.

19 Q. You said if 12 is not floating
20 freely. Do you mean 12 or 53?

21 A. Thank you. 53.

22 Q. When you say that if 53 is not
23 floating freely, then it is a little bit more
24 complicated?

25 A. If it is completely surrounded by

1 Bravman

2 dielectric, if it is floating freely.

3 Q. Okay.

4 A. It could be a bit more complicated.
5 I haven't thought about that completely, but,
6 yes. It is like a gigantic EEPROM device with
7 the wrong dimensions to work.

8 Q. Is that because you don't know if or
9 how much charge might be within the region 53?

10 A. Yeah. I mean, if 53 is not connected
11 to anything else, electrically connected, the
12 only way to get charge on it is to tunnel it
13 through the insulator 12, and if insulator 12 is
14 thick, as this kind of indicates, you would not
15 expect a significant charge to accumulate there.

16 Q. Okay. Now the resistor film 53 is
17 formed on a silicon oxide film 52, correct?

18 A. Yes. Let me see if it says 52 is
19 oxide or just dielectric. I don't recall. Yes.
20 It says silicon oxide 52.

21 Q. And you would agree that the silicon
22 oxide film 52 is a dielectric film?

23 A. Yes.

24 Q. And the silicon oxide film 52 is
25 formed on a dummy gate 51?

1 Bravman

2 A. That is what it describes, yes.

3 Q. Now the resistor film 53, silicon
4 oxide film 52 and the dummy gate 51 formed a
5 capacitor, correct?

6 A. Well, again, the same types of
7 questions. It is not clear if 51 is electrically
8 connected to anything else that is conducting,
9 and so a capacitor, a device, I don't see here
10 that you can say unequivocally that it is or is
11 not a device, a capacitor.

12 One can model the capacitance between
13 53 and 51 with 52 intervening with the right
14 assumptions.

15 Q. What assumptions are those?

16 A. Well, again, is it really a plate, or
17 is it a free-floating dielectrically surrounded
18 metal plate, but the same answer as before. The
19 elements of a capacitor structure are present.

20 If I knew, for instance, that 51 and
21 53 were held at the same potential, the elements
22 of the capacitor are there, but there is no
23 charge storage, so those are the kind of details
24 you would want to answer especially in a legal
25 context what exactly is meant. The patent

1 Bravman

2 doesn't say one way or the other, to my reading.

3 Q. Is there a capacitance between layers
4 51 and 53?

5 A. Capacitance is a physical phenomenon,
6 and as drawn here, there is no indication that 51
7 and 53 are tied electrically. If they were tied
8 electrically, however, I guess I would say there
9 is no capacitance there, because you de facto
10 could not maintain a field, electric field,
11 across the gap, 51 to 53, that was occupied by
12 dielectric 52.

13 Q. If you had, if you went to a store
14 and bought a capacitor, and you were to look at
15 that structure, would you be able to tell me what
16 the capacitance of the capacitor was?

17 A. The capacitance again is a function
18 of the materials and the dimensions, as we have
19 talked about.

20 Q. Okay. So is that a yes or a no?

21 A. Well, if I had all the information
22 about the materials and geometries, yeah.

23 Q. Okay. Now, if I were to tie both
24 ends of that capacitor to the same voltage, would
25 that affect the capacitance of the capacitor?

1 Bravman

2 A. Well, that is an interesting
3 question, because the capacitance by the
4 formulas, the simple formulas we have been using,
5 sure, that would be unchanged, but, with the
6 wires, the two ends tied together, I would say,
7 well, under this configuration, the only way to
8 access that capacitance is to untie them, but the
9 dielectric constant doesn't change. The distance
10 doesn't change. I get that.

11 Q. So the capacitance itself wouldn't
12 change. It is just whether you can make use of
13 that capacitor's changes, right?

14 A. Inherent in that formula is the fact
15 that, and it is, first of all, we know that it
16 only obtains in the idealized case of parallel
17 plate capacitors, inherent in that, and if you
18 say that is the capacitance, I mean a worker of
19 skill understands that, if you don't have two
20 parallel plates that are separated by a
21 dielectric, if they are connected by a wire or to
22 each other, they are not separated by a
23 dielectric, not electrically.

24 Q. Let's ignore the wiring in and out.

25 A. Okay.

1 Bravman

2 Q. And just look at the structure of the
3 capacitor that you have purchased.

4 A. Yes.

5 Q. Does the fact that you tied the two
6 ends together affect the capacitance of the
7 capacitor that you purchased?

8 A. I understand your question clearly,
9 but it gets back to, if you don't have knowledge,
10 a worker of skill says, okay, here is the
11 capacitor, here is the materials, here is the
12 configurations. I can estimate the capacitance.
13 He or she is clearly assuming that the parallel
14 plates are not electrically connected. They are
15 separated by a dielectric, and so that formula,
16 as with all formulas and all models, is based
17 upon a set of assumptions, and the assumption is
18 the parallel plates are not electrically tied.
19 That is why, for instance, in the most simple
20 case, one starts learning about RC circuits,
21 because now they are tied with the resistance
22 between them, et cetera.

23 So that is the correct formula for
24 estimating capacitance in a set, a structure, but
25 there is assumptions behind it, and one is the

1 Bravman

2 plates are separated electrically and physically
3 by a dielectric.

4 Q. I am handing you Exhibit 6, another
5 blank paper. Can you draw the circuit diagram of
6 the capacitor that you purchased with its ends
7 connected by a wire.

8 (Bravman Exhibit 6, Blank piece of
9 paper, was so marked for identification,
10 as of this date.)

11 A. Well, schematically, because I don't
12 know what the configuration is, but the typical
13 circuit symbol for a capacitor is two parallel
14 lines, and assuming this is a wire, the two
15 plates are now electrically tied and therefore at
16 the same potential.

17 Q. Okay. Those two parallel lines you
18 drew, is that the schematic symbol for a
19 capacitor?

20 A. Yes.

21 Q. And what is the capacitance -- does
22 the capacitance of that capacitor that you
23 pointed to with the arrow, does that change
24 depending on whether the wires are connected?

25 A. Well, you have to understand then the

1 Bravman

2 context for the word "capacitance," because in
3 this configuration this device has no capacitance
4 electrically speaking, because if I connect this
5 to some other circuit out here, all this circuit
6 is going to see is a dead short across the
7 capacitor.

8 So in any sense that a worker of
9 skill would understand it, if you did this, that
10 capacitor has no capacitance.

11 Q. But it is still a capacitor?

12 A. It is still a capacitor.

13 Q. Okay. So let's go back to layers 53,
14 52 and 51. Regardless of how they are connected,
15 layers 53 and 52 and 51 in figure 5 form a
16 capacitor, correct?

17 A. They have the elements of a
18 capacitor. You know, one is an actual device.
19 All the elements again are present. In this
20 drawing, I clearly see two parallel conducting
21 structures separated by a dielectric. If they
22 are electrically tied, I wouldn't call it a
23 capacitor, because it has no ability to store
24 charge or energy.

25 Q. But when you drew it there in your

1 Bravman

2 circuit, you drew it as a capacitor. Why did you
3 draw it as a capacitor?

4 A. Because you instructed me to.

5 Q. I asked you to draw the setup as you
6 would in a schematic diagram. I didn't say that
7 you had to draw the capacitor, but you still drew
8 it there, didn't you?

9 MR. CARTER: Objection to form.

10 A. I drew the capacitor as I stated it
11 and as you correctly queried me about what's the
12 standard symbol for a capacitor.

13 Q. No, I asked you what that symbol was,
14 and you used the standard symbol for a capacitor.

15 MR. CARTER: I object to the form
16 again.

17 Q. Correct?

18 A. We can read back your exact question,
19 but it is the standard symbol for a capacitor.

20 Q. That is because the capacitor exists
21 regardless of how the ends are connected,
22 correct?

23 A. The capacitor exists.

24 Q. Right.

25 A. I have not destroyed it.

1 Bravman

2 Q. And in the same way, layers 51, 52
3 and 53 in figure 5 form a capacitor. Isn't that
4 right?

5 A. You said if I go down to the hardware
6 store and buy a capacitor. When I look at a
7 structure in a semiconductor device like this, I
8 would not necessarily give the same answer. You,
9 I believe, said you go and buy a capacitor, and I
10 have again said this has clearly in this
11 cross-section the elements of a capacitor for the
12 reasons I have said, but under the right
13 circumstances, it could store a charge, but there
14 is conditionality there.

15 Q. All right. Let's assume that layers
16 51 and 53 are not connected.

17 A. Okay.

18 Q. Layers 51, 52 and 53 form a
19 capacitor, correct?

20 A. There is a capacitance that would be
21 associated with that structure. Again, I don't
22 know if 51 is actually connected to anything or
23 free-floating, but under the right circumstances,
24 that region of the device would have the ability
25 to store a charge.

1 Bravman

2 Q. Regardless of whether layer 51 is
3 connected to anything, as long as it is not
4 connected to layer 53, there is a capacitance
5 between layers 1 and 53, correct?

6 MR. CARTER: Objection to form.

7 A. Between -- now you added 1. The
8 substrate?

9 Q. Layers 51 and 53.

10 A. Oh, okay. Yes. One could calculate
11 the capacitance. Again, if it was free-floating,
12 I think the calculation would be not as easy, but
13 again the elements of a capacitor are there, but
14 it still depends on what else is going on.

15 Q. So if the relative voltages between
16 53 and 51 -- well, let me withdraw that. If the
17 voltage difference between layers 53 and 51 were
18 some value -- let me withdraw that.

19 There are some disclosures in the
20 '736 patent that include a resistor film like
21 resistor film 53, but are not described as
22 including a silicon oxide film 52 and a dummy
23 gate 51. Is that right?

24 A. Yes. I think we just looked at one a
25 little while ago. The previous figure you

1 Bravman

2 directed me to.

3 Q. Is that figure 12?

4 A. Yes.

5 Q. Is it your understanding that layer
6 81 in figure 12 could be a resistor film?

7 A. Let me just clarify that. No.
8 Figure 12, 81, is an insulating film.

9 Q. If 81 were a resistor film, would it
10 satisfy the resistor film requirements in claim
11 13?

12 MR. CARTER: Objection to form.

13 A. I haven't thought about that, because
14 that is not within the scope of the patent. I
15 would not expect to see a resistor film laying on
16 top of a substrate as this is showing here.

17 Q. Can you look at claims --

18 (Bravman Exhibit 7, Claim 13 of '736
19 patent, was so marked for identification,
20 as of this date.)

21 Q. You have been handed Bravman Exhibit
22 7. On Exhibit 7 is claim 13 of the '736 patent.

23 A. Yes. That's right.

24 Q. And Exhibit 2, which I previously
25 handed to you, that is claim 6 of the '736

Bravman

patent.

A. Yes.

Q. Can you compare these claims, and let me know what the differences are between these two claims.

A. I believe the first five elements are the same, and in the final sixth element, we changed the asserted structure from a dielectric film to a resistor film, and it gives the configurations.

Q. Are the configurations of the resistor film and the dielectric film different?

A. Well, it uses somewhat different language. Interposed and formed under. So the language is not exactly the same. It doesn't say, for instance, directly under. One would not expect a resistor film to be contacting the wire.

So one assumes there is going to be an insulator dielectric between them, and dielectric film interposed between at least, so it has got some nuances, and it makes some assumptions, but a worker of skill can understand the configuration.

Q. Okay. Now, going back to figure 12,

1 Bravman

2 if you keep those claims in front of you as well,
3 figure 12 illustrates an embodiment of claim 6,
4 is that right?

5 A. Yes. The patent calls that in column
6 23 the eighth embodiment.

7 Q. And in figure 6, it has the first
8 five elements of claim 6, correct?

9 A. Figure 6 or figure 12?

10 Q. Let me withdraw that. In figure 12,
11 it has the first five elements of claim 6,
12 correct?

13 A. Yes.

14 Q. And figure 12 also has the first five
15 elements of claim 13, correct?

16 A. It has to, because the words are the
17 same.

18 Q. Okay. Figure 6 also has the
19 dielectric film, sorry, withdrawn. Figure 12
20 also has the dielectric film of claim 6, correct?

21 A. If 81 is a dielectric film, those
22 words describe the image. Yes.

23 Q. Okay. Now suppose 81 were a resistor
24 film.

25 A. Okay.

1 Bravman

2 Q. Would it satisfy the last limitation
3 of claim 13?

4 A. Yes. The words in 13 with the
5 elements in figure 12, described as they are in
6 the text, they would also describe that if 81 was
7 a resistor film.

8 Q. What structure would you compare
9 figure 12 to in order to determine whether the
10 dielectric film 81 reduces capacitance between
11 the wire and the substrate?

12 A. So the thought experiment would be
13 the structure of figure 12 with and without 81 in
14 place.

15 Q. And when you do that comparison, does
16 the thickness of layer 12 change?

17 A. In the first approximation, no, but
18 of course it is a variable, but if you want to
19 compare including a new structure in this case
20 81, you would at least start with not changing
21 other values, but of course you could.

22 Q. Well, how would you know what to do?

23 A. Well, I would assume that 12 is the
24 thickness, knowing how these chips are built,
25 that is specified for the purposes of serving as

Bravman

an insulating layer between conductors and other conducting elements below it, and I would assume that that thickness is specified. It is specified.

If the posit would be in just this region, 12 was change thickness, that could be built, but then I could see that, and I would want to understand why that was the case, assuming I had either the process recipes or good enough teardowns.

Q. What if you didn't have the process recipe?

A. Well --

Q. Or good enough teardowns?

A. Well, still, since we are talking in the context of this patent, I would compare it to the prior art, figure 19, and although I know figures, unless scaled, are not to scale or dispositive, the figure of the prior art, the figure of 12, the text of the patent, the teaching of the patent, the assertion of the patent clearly is in this instance the insertion of structure 81 into the chip differentiating it from the prior art for the clearly expressed

1 Bravman

2 purposes of the patent, knowing that in the real
3 world you don't insert 81.

4 You redesign the recipe, and you
5 build it there, but what the patentee is taking
6 the reader through is a set of exercises, a set
7 of embodiments that address a problem. So 81 is
8 clearly there for the purposes stated.

9 Q. Suppose that you are looking at a
10 device that has this exact structure, figure 12,
11 and you are not shown figure 19 for that device,
12 and you are not given figure 19 of the '736
13 patent. How would you determine whether that
14 structure has a dielectric film that satisfies
15 Intel's construction?

16 A. Well, either you have enough
17 information by one mechanism or not to answer a
18 question of a given level of detail. If you give
19 me the drawing of figure 12 and don't tell me
20 what the materials are, but said, hey, this
21 involves an isolation region, I think most
22 workers of skill would recognize this as a
23 portion of a chip where there were active
24 devices.

25 There were isolation regions

1 Bravman

2 separating active device from isolation regions,
3 that I see what almost certainly has to be an
4 insulating layer 12, because of its role in the
5 active device 3, and so one would go through
6 those steps, and then one would say, well, if I
7 just look at this drawing, I understand things
8 may not be included, but my first posit would be
9 that 81 covering the semiconductor portions of
10 region 7, the isolation region, you know, I would
11 not expect that to be a conductor.

12 I would expect that to be an
13 insulator, and so a worker of skill would start
14 making these assumptions, but if you hypothesize
15 pulling out one assumption after another, it ends
16 up being just lines on a page.

17 Q. All right. Let's assume that 13 is a
18 wire, 9 is dummy semiconductor regions, 8 is
19 isolation, 12 is an insulating film, and 81 is an
20 insulating material.

21 If you weren't given figure 19 of the
22 '736 patent, how would you determine whether
23 layer 81 is a dielectric film as that term is
24 construed by Intel?

25 A. Looking at this diagram and with the

1 Bravman

2 suppositions that you gave me, I would and I
3 think a worker of skill would say why is 81
4 there? Why would they bother doing the
5 lithography there? They have got 12 in place
6 just like a worker of skill would know figure 19
7 illustrates. Gee, why is 81 there? Oh, it is a
8 dielectric. Oh, capacitance, parasitic
9 capacitance is a problem. This is also well
10 known. The patent admits that easily.

11 So why is that extra dielectric
12 there? I don't think it is unreasonable at all
13 that a worker of skill would say, oh, it is to
14 address the well-known problem of parasitic
15 capacitance. I don't think that is a stretch at
16 all.

17 Q. Suppose layer 81 extended to the left
18 over the top of the structure of 3 and off to the
19 edge of that figure. In that instance, would
20 layer 81 be a dielectric film in the context of
21 Intel's construction?

22 MR. CARTER: Objection to form.

23 A. Very broad hypothetical, but, and
24 again there are lots of circumstances where it
25 may become less clear, just looking at a very

1 Bravman

2 limited set of facts, what it was, but one would
3 immediately understand that, but for the presence
4 of 81 in the isolation region, the capacitance
5 would be altered. That is a fact.

6 So I think in a legal context my
7 understanding is the worker of skill trying to
8 understand if a claim reads on a process or a
9 device or something else, I mean you are
10 obligated to understand just as much as you can,
11 and if you are saying, well, if I just take this
12 little slice, yeah, maybe you can box out an
13 unambiguous understanding, but I don't think that
14 is what we are trying to do.

15 We are trying to understand a claim
16 in terms of the intrinsic record and see if it
17 reads on a variety of structures.

18 There may come a time when that is
19 very easy, and other things that, no, I don't
20 have enough information. I can't say one way or
21 the other.

22 Q. You said that the presence of layer
23 81 alters the capacitance.

24 A. The parasitic capacitance between 13
25 and the substrate.

1 Bravman

2 Q. All right, so layer 81 is in figure
3 12, the '736 patent. Under the assumption that
4 layer 81 extends to the left side of the figure,
5 you say that it alters the parasitic capacitance,
6 and my question is alters it compared to what?

7 A. If it wasn't there.

8 Q. Well, if it wasn't there, are you
9 suggesting layer 12 would be identical to what is
10 in the current figure 12?

11 A. The claims talk about the wire, 13,
12 laying on said interlayer insulating film, which
13 is 12, and then goes on in the last element to
14 say now let's add. It also includes a dielectric
15 film. I mean it is a separate element, and here
16 is where it is. It is between at least said
17 dummy semiconductor portions of said isolation
18 region and said interlayer insulating film.

19 So that configuration is met by
20 element 81 in figure 12, and because it says at
21 least said dummy semiconductor portions, it could
22 in fact extend beyond the dummy semiconductor
23 portions. The claim 6 gives that latitude.

24 Q. I don't think you answered my
25 question. If it wasn't there, layer 12, are you

1 Bravman

2 suggesting that -- withdraw that.

3 If layer 81 wasn't there for the
4 purposes of your comparison, are you suggesting
5 that layer 12 would be identical to what is in
6 the current figure 12?

7 A. As I said before, at least as a first
8 step, if one is contemplating the change in
9 capacitance of this overall structure with 81
10 there or not, you would not change other
11 geometries, material parameters and what have you
12 and especially in light of reading claim element,
13 the last claim element of claim 6, there is no
14 direction to maintain or change the structures
15 and materials, and so, in context of the whole
16 intrinsic record, again, the patentee is telling
17 the reader to assume 81 now has been included to
18 alter the capacitance between 13 and the
19 substrate, the parasitic capacitance, and that is
20 the basis of -- one basis of the claimed
21 invention.

22 Q. Now you prefaced that with at least
23 as a first step. Can you imagine a second or
24 third step in determining whether layer 81 is a
25 dielectric film in the context of Intel's

Bravman

construction?

A. No. I am just answering your question that, you know, what calculation would you do, because if claim 6 clearly requires this dielectric film in a specific position between the dummy semiconductor portions and said interlayer insulating film, I don't see how a worker of skill doesn't read that as another structure, and if you say with it there, without it there, a worker of skill reads that last element and goes through the thought experiment that I just did.

(Lunch recess: 12:03 p.m.)

Bravman

Afternoon Session

12:42 p.m.

Dr. J O H N B R A V M A N, having been previously
duly sworn, was examined and testified further as
follows:

EXAMINATION (Continued)

BY MR. MIDDLETON:

Q. Good afternoon, Dr. Bravman.

A. Good afternoon.

Q. Did you speak with your attorneys
about the substance of your testimony during the
break?

A. No.

Q. Turning to figure 5 of the '736
patent.

A. Okay.

Q. Figure 5 illustrates an embodiment of
claim 13 of the '736 patent, is that right?

A. Yes. Let me just verify that. Yes.

Q. In figure 5, layer 53 is a resistor
film, correct?

A. That's what it's described as, yes.

Q. Layer 52 is an insulating film?

A. Yes.

1 Bravman

2 Q. And layer 51, that is a dummy gate?

3 A. Yes.

4 Q. Now claim 13 doesn't require layers
5 51 and 52, correct?

6 A. Correct.

7 Q. How does the resistor film 53 in
8 figure 5 reduce capacitance?

9 A. As the patentee explains, by reducing
10 the charge stored in the structure comprising
11 elements 13, 12 and 53. The capacitance of the
12 wire in its length, which extends beyond the
13 isolation region, otherwise it wouldn't be a
14 wire, as I said many times, is a contribution to
15 reducing that capacitance, parasitic capacitance.

16 Q. Now we were talking about before how
17 the capacitance is a material property of the
18 device, correct?

19 A. Yes. We have been talking about
20 that, and let me answer, please, but --

21 Q. I think you answered my question. I
22 just asked you if we were talking about, and you
23 said, yes, we had been talking about it.

24 A. Yes. We had been talking about it.

25 Q. So, just looking at the structure,

Bravman

how is it that the layer, the resistor film 53 reduces capacitance?

A. As the patent explains, by having the voltage drop between 13 and 53, which is a fraction of the total voltage drop, the charge stored in that region is reduced, which means that region's contribution to the parasitics of the wire 13 goes down.

Q. How is it that the resistor film 53 reduces capacitance between the wire 13 and the substrate?

A. Because the wire 13 extends outwardly, and as the patent explains, in this region with this configuration there is a supposition that the capacitance may not change or doesn't change. In his supposition, I think he is very clearly trying to build a structure or schematically build a structure here that comports with one of his two physics explanations of how to make a device faster.

One is by reducing the parasitic capacitance of wire substrate in this very region, which of course drops the parasitic effect of 13 as it extends and is part of the

1 Bravman

2 entire device, and/or he also explains that in
3 this region one can reduce the charge stored on
4 this capacitor, and that also increases the speed
5 of the device potentially.

6 Q. Isn't it true that because the wire
7 13 and the high resistor film 53 separated by
8 interlayer insulating film 12 acts as a capacitor
9 in series with the second capacitor comprising
10 high resistor film 53 and the substrate 1, that
11 that is why you think the overall capacitance
12 between the wire 13 and the substrate 1
13 decreases? Yes or no?

14 MR. CARTER: Objection to form.

15 A. I did not posit that. What I did
16 posit was to you this morning --

17 Q. Okay. Thank you.

18 A. I would like to answer.

19 Q. You did. You said "I did not posit
20 that."

21 A. That is not a total answer to your
22 question, but it is on the record now.

23 Q. What structure are you comparing
24 figure 5 with in order to determine if the
25 capacitance was reduced?

1 Bravman

2 A. Well, this would be the same types of
3 questions and answers I believe that we were
4 talking about just before our lunch break that
5 reading claim 13 in this instance, we have
6 already agreed that the first five elements are
7 the same, and neither party has posited that
8 there is anything inventive there.

9 It is the final element, which in
10 this case includes a resistor film, and it says
11 where it is just like it said where the
12 dielectric is within certain bounds, and the
13 worker of skill understands that the teaching of
14 the patent in light of the intrinsic record is to
15 implement, create and place this resistor film
16 where it says, and so if you are doing a
17 comparison, you would compare, you know, with and
18 without it to the purposes and actually not the
19 purposes, but the assertions and the teachings of
20 the patent.

21 Q. So are you comparing the figure 5
22 structure with a structure without layer 53, but
23 everything else being the same?

24 A. Well, layer 53 with and without would
25 certainly be a start. We know that this differs

1 Bravman

2 from the asserted prior art in other ways as
3 well, in particular, the inclusion of 51 and 52,
4 and a complete analysis of the effect on
5 parasitic capacitance would of course have to
6 include all those structures.

7 Q. What structure would you be comparing
8 figure 5 with in order to determine whether layer
9 53 is a resistor film under Intel's proposed
10 construction of resistor film?

11 A. Well, in this particular embodiment I
12 believe it would be again in that thought
13 experiment with and without 53. I mean that is
14 what the patent is describing in this particular
15 embodiment.

16 Q. Now, as you mentioned, 52 and 51
17 aren't required by the claim. Correct?

18 A. That's correct.

19 Q. Now assume that layers 52 and 51 --
20 well, actually there appears to be an insulating
21 film of some material below 51, correct?

22 A. Yes.

23 Q. All right. I want you to assume that
24 52, 51 and that other insulating film that,
25 instead of having those in that place, there is

1 Bravman

2 an insulating film formed of the same material as
3 layer 12.

4 A. In place of 52, 51 and that thin
5 oxide underneath?

6 Q. Yes.

7 A. Okay.

8 Q. In that structure, would layer 53 be
9 an insulating film?

10 A. Insulating or resistive?

11 Q. Oh, I'm sorry. Thank you. In that
12 structure, would layer 53 be a resistor film
13 under Intel's construction?

14 A. Yeah, it meets the geometric
15 requirements of the last element, and again we
16 have talked a lot about the meaning of these
17 elements in light of the whole patent, but it
18 gives enough geometric information. Yes.

19 Q. Okay, and would its inclusion reduce
20 the capacitance between the wire and the
21 substrate, because the resistor film is a common
22 plate between two capacitors in the series?

23 A. Well, we know in general two
24 capacitors in a series, all things else being
25 equal, you decrease the capacitance. Yes. That

1 Bravman

2 is just simple circuitry.

3 Q. Okay. So if you removed layer 53, it
4 will reduce capacitance between the wire and the
5 substrate, all else being equal?

6 MR. CARTER: Objection to form.

7 A. If I removed 53 --

8 Q. I'm sorry. Let me reask that
9 question. I think it is backwards. If you
10 remove layer 53, it would result in an increase
11 in capacitance between the wire and the
12 substrate, all else being equal. Is that right?

13 A. That would certainly be my first
14 assumption just because as you say all things
15 else being equal, 12 would be the same material.
16 The distance between the wire 13 and the
17 substrate would drop, and so I would expect an
18 increase in capacitance.

19 Q. Now can you turn to paragraph 36 of
20 your declaration.

21 A. Okay.

22 Q. So is that reduction in capacitance
23 because of the second formula that you illustrate
24 at the very bottom of page 11?

25 A. Yes. The capacitance of an in series

1 Bravman

2 set of capacitors is equal to the reciprocal of
3 the sum of the reciprocals.

4 Q. Okay. So let's look at, go back to
5 figure 5.

6 A. Okay.

7 Q. Let's just look at the portion -- I
8 marked Exhibit 8 a blank piece of paper.

9 (Bravman Exhibit 8, Blank piece of
10 paper, was so marked for identification,
11 as of this date.)

12 Q. Before you start putting anything on
13 the paper, so keeping in mind the assumption that
14 layers 52, 51 and the underlying insulating film,
15 they have been replaced with an insulating
16 material of the same composition as layer 12.

17 A. Okay.

18 Q. And using the same assumptions you
19 used when I think it was Exhibit 2 when you wrote
20 out the formula, can you and let's call this
21 everything like A prime. A prime, C prime.

22 A. Yes.

23 Q. What would be the capacitance in the
24 leftmost region 9 between the wiring 13 and the
25 substrate? And if you want to look at Exhibit 2

1 Bravman

2 just to remind yourself of the assumptions you
3 made there as well, that's fine.

4 A. It was actually Exhibit 5.

5 Q. I'm sorry, you're right. Exhibit 5.
6 Before you write down the next thing, what are
7 you going to put in place of 52, 51 and the
8 insulating material?

9 A. I am assuming 52 and 51 are replaced
10 by 12.

11 Q. Oh, okay. You're going to call it
12 12. Do you want to call it 12 prime?

13 A. 12 prime is fine. So figure 5 also I
14 believe includes this.

15 Q. Right, so that is part of number 12?

16 A. No. This region here.

17 Q. All right. Let's assume there is
18 no -- what is that?

19 A. Implant region. It is a different --

20 Q. Assume there is no implant region as
21 well.

22 A. No differing implant.

23 Q. Right. Just before you continue,
24 does the inclusion or exclusion of the implant
25 region affect whether claim 13 is infringed?

1 Bravman

2 A. No, it is speaking to another
3 embodiment. So 53, 12, and I will state on the
4 record I think we are both ignoring the very thin
5 layer underneath the current 51?

6 Q. Right. We can include that thin
7 layer as part of 12 prime, if you want.

8 A. Yeah. Right. 1 is the substrate.

9 Q. Right.

10 A. So these are in series. So you make
11 use of this formula here, so in this thought
12 experiment where you are looking just at this
13 perfect little cube you have cut out, you're not
14 worrying about anything else. So let's just call
15 this C1 and C2. Is that okay?

16 Q. That's fine. They will be different
17 exhibits. It is CA1 as well, so it is different.

18 A. Yeah. So C total.

19 Q. Yes.

20 A. Is given by the formula of 1 over 1
21 plus C1 plus 1 over C2 with assumptions as
22 before. Okay.

23 Q. Do you want to say in Exhibit 5?

24 A. Exhibit 5.

25 Q. To make sure it is clear. Okay. Now

1 Bravman

2 obviously there are distances there for each of
3 them. Is the area the same?

4 A. Well, I am doing this hypothetical
5 assuming we have got this equal areas.

6 Q. Right. So the areas are going to be
7 the same, and epsilon is going to be the same,
8 because it is the same material, correct?

9 A. 12, yes.

10 Q. So let's say that the one distance
11 for 12 is D1, and the other distance for 12 prime
12 is D2. Okay?

13 A. Sure.

14 Q. With those assumptions, can you
15 finish writing out the formula for C sub 1.

16 A. C total. So it is going to be
17 epsilon 12, because that's relative.

18 Q. Okay. That's fine.

19 A. Then it is A over D, and so then it
20 is C total equals epsilon naught epsilon 12. No,
21 sorry. My bad. Let me turn the page over, or
22 let me have another page, please. I will mark it
23 page 2 of Exhibit 8.

24 Q. Yes. Can you simplify that.

25 A. You can take out in the reciprocals

Bravman

here, I don't want to do it in my head.

Q. Okay. Well, if A_1 is equal to A_2 ?

A. Yeah, I can certainly write A_1 here.

Q. Okay.

A. And I can take A_1 out.

Q. Yeah. So make that A_1 . If you want to rewrite it as a new line.

A. I can live with that. I wrote it right here.

Q. Okay. Now can you take a common denominator from that denominator? Sorry. A common factor from the denominator?

A. Yes. It would be epsilon naught epsilon 1, 2, A_1 .

Q. Can you pull that out front in the denominator. Okay. Now can you form a common denominator on that bracket in the bottom. So it's D_1 times D_2 ?

A. Yes. You have to change the numerator then.

Q. Right. Can you do that and show what that equals to in a more simplified form?

A. Is that what you wanted?

Q. Right. Okay. Then, with that

Bravman

understanding, can you show the entire equation again, but with the common denominator, the full common denominator out in the numerator? So it should be I think -- actually I think it should just be D_1 plus D_2 .

A. I'm sorry.

Q. Wait a minute. That 1 over D_1 plus 1 over D_2 should also be under a 1 as well, right?

A. You are multiplying this by this. It is completely separate from that, right?

Q. Right, but the D_1 and D_2 are 1 over D_1 . Do you mind if I just -- you have a 1 on the top, and you have a 1 over top of this, but then this is also the same as 1 over 1 over 1 over D_1 , so there should be a 1 over top of that. 1 over D_1 plus 1 over D_2 should all be underneath the 1 . Correct?

A. Yes. That's correct.

Q. So that should all be under 1 .

A. Yes. Like that.

Q. Okay. So that is the same thing as D_1 times D_2 over D_1 plus D_2 , correct?

A. Right. It reverses. That's correct.

Q. Can you write on the next page CT

1 Bravman

2 equals that simplification.

3 A. So it is all going to be 1 over, and
4 this is equal to, right, times that, so.

5 Q. Can you simplify that?

6 A. Well, since this is 1 over multiplies
7 out, it inverted again, right?

8 Q. Yes.

9 MR. MIDDLETON: Let's just take a
10 short break.

11 (Recess taken from 1:11 p.m. to 1:17
12 p.m.)

13 BY MR. MIDDLETON:

14 Q. I think there is a mistake on the
15 second page. At the very bottom there where we
16 put back in that 1?

17 A. Yes.

18 Q. When we did that, we did that
19 improperly.

20 A. That's what I thought.

21 Q. Yeah. I think the 1 needs to be
22 there, but you have to put 1 over 1 over D1 plus
23 1 over 1 over D2, because in the previous
24 equation they were kept separately.

25 A. You are correct. That is why I was

Bravman

confused.

Q. Yes.

A. So --

Q. You can put an X through that and say it is a mistake.

A. This is correct though, right?

Q. No.

A. Okay. Sorry. So it is 1 over 1 over D1 plus 1 over 1 over D2.

Q. So that just becomes D1 plus D2, correct?

A. This?

Q. Yes.

A. Becomes what?

Q. D1 plus D2.

A. Yes. Of course.

Q. Right.

A. Yes.

Q. All right. So then what the equation really -- so that is a mistake too, because it is all based off of that.

A. Right. Okay.

Q. So what we have got is CT is equal to --

1 Bravman

2 A. This still comes out and over D1 plus
3 D2, which makes sense, given the assumptions we
4 made.

5 Q. Can you put a circle around the final
6 CT just so that there is no confusion. There was
7 a little bit of crossing out and so forth. Okay.

8 Now, in the structure in figure 5, if
9 layers 51, 52 and that insulating layer below it
10 were replaced with an insulating film with the
11 same material as layer 12, if you remove layer
12 53, what would be the capacitance between the
13 wiring and the substrate in region 9?

14 A. So if you assume the thickness of D3
15 since D1 and D2 are measured from the plate
16 surfaces, you have to assume that the thickness
17 of 53 was gone, and in that circumstance D1 and
18 D2, it would be the same.

19 Q. So the capacitance wouldn't change,
20 if you removed layer 53 from the structure in
21 figure 5, correct?

22 A. If you collapse the structure, yes,
23 by eliminating the thickness of 53.

24 Q. So when you say --

25 A. You basically have said you have got

1 Bravman

2 a capacitor with plate 13, plate 1 and a
3 thickness of dielectric 12. I mean you just have
4 rewritten it, so you get this intended result.

5 Q. The way I asked my question and the
6 answer may have been confusing. Just so the
7 record is clear, if you removed layer 53 from
8 figure 5?

9 A. Yes.

10 Q. And under the assumption that 51 and
11 52 are insulating material the same as layer 12,
12 then the removal of 53, would that affect the
13 capacitance in region 9?

14 MR. CARTER: Objection to the form.

15 A. And that is why I was trying to
16 understand. If 53 was left as a gap, the
17 capacitance would not be the final capacitance on
18 Exhibit 8, page 3. If I use the word "collapse,"
19 if the film 12 above the substrate took the place
20 of without increasing its total thickness in 53,
21 you would have a simple parallel plate capacitor
22 with those two measurements added together, D1
23 plus D2. You've just broken up the dielectric
24 into two regions.

25 Q. So if you remove 53 and don't put

1 Bravman

2 anything in its place, so there is a collapse,
3 then the capacitance would be the same as what
4 you show on page 3 of Exhibit 8, correct?

5 MR. CARTER: Objection to form.

6 A. Yeah, if I understand your question,
7 if the whole thing just collapsed, you would just
8 have a simple parallel plate capacitor, and
9 assuming that D1 plus D2 were specified such that
10 their sum is now the distance between the bottom
11 of 13 and the top of 1, that is just the parallel
12 plate formula.

13 MR. MIDDLETON: Thank you. I have no
14 further questions.

15 MR. CARTER: Nothing from me.

16 (Time noted: 1:23 p.m.)

17 _____
18 Dr. John Bravman

19 Subscribed and sworn to
20 before me this ____ day of _____, 2018.

21 _____
22
23
24
25

C E R T I F I C A T I O N

I, JOSEPH R. DANYO, a Shorthand Reporter
and Notary Public, within and for the State of New
York, do hereby certify:

That I reported the proceedings in the
within entitled matter, and that the within transcript
is a true record of such proceedings.

I further certify that I am not related, by
blood or marriage, to any of the parties in this
matter and that I am in no way interested in the
outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set
my hand this 13th day of June, 2018.

JOSEPH R. DANYO

I N D E X

Witness	Page
DR. JOHN BRAVMAN	3

E X H I B I T S

Bravman	Page
Exhibit 1 Piece of paper with Intel's construction for term "dielectric film"	3
Exhibit 2 Text of claim 6	4
Exhibit 3 '736 patent	15
Exhibit 4 Declaration	20
Exhibit 5 Blank piece of paper	37
Exhibit 6 Blank piece of paper	70
Exhibit 7 Claim 13 of '736 patent	75
Exhibit 8 Blank piece of paper	95

~oOo~

ERRATA SHEET

Case Name:

Deposition Date:

Deponent:

Pg.	No.	Now Reads	Should Read	Reason
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____

Signature of Deponent

SUBSCRIBED AND SWORN BEFORE ME

THIS _____ DAY OF _____, 2018.

(Notary Public) MY COMMISSION EXPIRES: _____