

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
3

4 INTUITIVE SURGICAL, INC.,
5 Petitioner,
6 v.
7 ETHICON, LLC,
8 Patent Owner.
9
10

11 Case IPR2018-01247
12 U.S. Patent No. 8,479,969
13

14 VOLUME I
15 DEPOSITION OF BRYAN KNODEL, PH.D.

16 April 3, 2019

17 9:00 a.m.

18 Fish & Richardson
19 One Marina Park Drive
20 Boston, Massachusetts
21
22
23
24

25 ---- Reporter: Julie Thomson Riley, RDR, CRR ---

1 APPEARANCES:

2 For the Petitioner:

3 Fish & Richardson, PC

4 by Steven R. Katz, Esquire

5 One Marina Park Drive

6 Boston, Massachusetts 02210-1878

7 (617) 521-7803

8 katz@fr.com

9

10 For the Patent Owner:

11 Weil, Gotshal & Manges, LLP

12 by Anish R. Desai, Esquire

13 767 Fifth Avenue

14 New York, New York 10153-0119

15 (212) 310-8730

16 anish.desai@weil.com

17

18 - and -

19

20 Weil, Gotshal & Manges, LLP

21 by Christopher T. Marando, Esquire

22 2001 M Street NW, Suite 600

23 Washington, District of Columbia 20036

24 (202) 682-7094

25 christopher.marando@weil.com

1 I N D E X

2	Deposition of:	Page
3	Bryan D. Knodel	
4	by Mr. Desai	4

5

6

7

8

9

10

11

12

13 E X H I B I T S

14	No.	Page
15	Exhibit 2004 United States Patent No. US	
16	7,473,258, dated January 6,	
17	2009.	28

18

19

20

21

22

23

24

25

1 -----

2 MORNING SESSION

3 9:03 a.m.

4 -----

5 * * *

6 BRYAN D. KNODEL, PH.D.

7 having been satisfactorily identified and duly sworn
8 by the Notary Public was examined and testified as
9 follows:

10 DIRECT EXAMINATION

11 BY MR. DESAI:

12 Q. Could you state your name for the record.

13 A. Bryan Knodel.

14 Q. Dr. Knodel, would you mind -- you have a
15 binder sitting in front of you. Would you mind just
16 quickly reading off the exhibit numbers. I'm
17 guessing they all start with the 100. If you could
18 just read off the exhibit numbers that you have in
19 that binder for the record.

20 A. Right. The first one does not have an
21 exhibit number.

22 Q. Do you want to just read what it is then.

23 A. It is the '969 patent.

24 Q. Okay.

25 A. The second one is Exhibit 1004 in Knodel

1 declaration; the third is Exhibit 1007, Cooper; and
2 then is 1008, Wallace; 1009, Tierney; 1010,
3 Anderson; 1011, Timm; 1012, Knodel.

4 Q. Thank you.

5 Dr. Knodel, where are you currently
6 employed?

7 A. I am a consultant. Self-employed.

8 Q. Is there any reason sitting here today
9 you're unable to testify truthfully?

10 A. None.

11 Q. Okay. And you have been deposed before;
12 is that right?

13 A. Yes.

14 Q. Was it just the one time before that
15 you've been deposed?

16 A. Yes.

17 Q. All right. So I'll just refresh kind of
18 the nature of a deposition quickly. The most
19 important thing is if you don't understand a
20 question I'm asking, just let me know, and I'll do
21 my best to rephrase the question. Okay?

22 A. Okay.

23 Q. If you need to take a break, just let me
24 know. We can take a break any time you want. Just
25 if there's a question pending, just we'll -- just

1 answer the question and then take our break. Okay?

2 A. Okay.

3 Q. Your attorney is probably going to object
4 to questions that I ask, and he'll state his
5 objections for the record; but you're still required
6 to answer the question unless he instructs you not
7 to answer, which is typically only for privilege.
8 Okay?

9 A. Okay.

10 Q. What did you do to prepare for the
11 deposition today?

12 A. I reread my deposition and the supporting
13 exhibits.

14 Q. You said "deposition." I think you
15 probably meant declaration?

16 A. Declaration. Sorry.

17 Q. That's okay.

18 You reviewed the '969 patent?

19 A. I did.

20 Q. It's a big one.

21 A. Yesterday I was on a sailboat in Puerto
22 Rico, so --

23 Q. All right.

24 A. It hasn't been a lot of time.

25 Q. Okay. How much time did you spend

1 preparing for the deposition today?

2 A. About six hours.

3 Q. Okay. On the sailboat?

4 A. No. Well, a little bit on the sailboat.

5 It's so distracting. Mostly just yesterday on the
6 flight, and once I got here.

7 Q. Okay. I got it.

8 Are there any corrections you feel like
9 you need to make to your declaration?

10 A. There was a typo here and there I found,
11 nothing of substance.

12 Q. Okay.

13 A. You know, a reference cited that was maybe
14 it looked like a copy/paste error, things like that.

15 Q. Do you have a specific one in mind?

16 A. Oh, boy. Give me a moment.

17 Q. Sure.

18 A. There was a place where we said Anderson,
19 when we -- it was clearly we were citing to Timm.

20 Q. I think I know what you're talking about.

21 A. Yeah, so I don't know that I could find it
22 quickly. If it's important, I'll -- okay. I'll
23 just -- I did find some things that were in reading
24 it here six months later that I was like oh, gosh, I
25 didn't catch that.

1 Q. You're talking about clerical errors
2 basically?

3 A. Basically, yes.

4 Q. Yeah. Are there any substantive
5 corrections you feel like you need to make to your
6 declaration?

7 A. No.

8 Q. Okay. Why don't you go to paragraph 78 of
9 your declaration.

10 In the first sentence, third line, it
11 refers to a quick disconnect feature of Anderson,
12 but the citation later is to Timm. Is this where
13 you think --

14 A. Yeah.

15 Q. -- it should have referred to Timm?

16 A. Yes.

17 Q. Okay.

18 A. That's the one. You found it.

19 Q. Yeah. Got it.

20 And then we might encounter some others as
21 we go through this today.

22 A. Okay.

23 Q. How long did you meet with the lawyers or
24 lawyer for preparation?

25 A. Approximately five hours.

1 Q. Five hours.

2 And was it just Mr. Katz you met with?

3 A. That's correct.

4 Q. You've submitted declarations, by my
5 count, in seven IPRs for Intuitive now; is that
6 right?

7 A. I don't recall the number.

8 Q. Yeah.

9 A. It sounds about right.

10 Q. Okay. There was the group that were
11 filed, I think, in early summer, late summer of last
12 year, and then there was one that was just recently
13 filed; is that right?

14 A. That seems to be what I recall, yes.

15 Q. Okay. And when did you start consulting
16 for Intuitive on these IPRs?

17 A. I don't consult to Intuitive. I consult
18 to Fish & Richardson.

19 Q. Sorry. When did you start consulting with
20 Fish & Richardson on these IPRs?

21 A. I don't recall the exact date, but I
22 believe it was -- I don't recall. Because we worked
23 on them for a while. I would say -- I don't recall.

24 Q. That's fair enough.

25 A. I'd just be making something up.

1 Q. Okay. We don't want you to do that.

2 A. Yeah.

3 Q. How did you get involved in consulting
4 with Fish & Richardson?

5 A. I received a phone call from someone from
6 Fish & Richardson asking me about my background, my
7 experience in staplers, and if I would be willing to
8 consider being an expert witness. I remember it
9 very clearly because it's kind of first I had ever
10 heard of them, and I said, well, I don't know if I'm
11 for you or against you; so, we started a conversation.

12 Q. All right. Okay. Had you ever consulted
13 in a patent matter before this one?

14 A. Yes, one other.

15 Q. Okay. What was that about?

16 A. It was relative to an endoscope.

17 Q. Okay.

18 MR. KATZ: Oh, and I just want to just
19 remind the witness this is going to be filed
20 publicly. If there's any confidential information,
21 just make sure to say that's confidential, and we'll
22 take it up.

23 MR. DESAI: We'll figure that out.

24 THE WITNESS: Okay.

25 MR. KATZ: Yep.

1 BY MR. DESAI:

2 Q. Have you ever worked or consulted for
3 Intuitive?

4 A. I have.

5 Q. Okay. When was -- when was that
6 consulting?

7 A. Approximately 2012 to 2014, off and on, to
8 the best of my recollection.

9 Q. Just during that time frame, not before or
10 after?

11 A. It could have been before. It is a
12 sporadic client of mine. They'd come in. They'd
13 want me to look at something. I'd look at it. They
14 were all very short duration. There was no products
15 that I developed. They were more consulting on
16 technical matters. So they were short, in and out.
17 So it's hard for me to say specifically when those
18 started.

19 Q. But you think it was roughly in the 2012
20 to 2014 time frame, roughly?

21 A. To the best of my recollection, that seems
22 about right. I don't -- I don't recall the
23 specifics of when that was.

24 Q. Okay. What was the nature of the work
25 that you did with Intuitive?

1 A. As I mentioned, it was design
2 considerations, one relative to it that I recall
3 relative to a suction irrigation device, some things
4 relative to cables, cable mountings and routings.
5 Generally speaking, that type of consulting.

6 Q. Did you know for each of the consulting
7 projects what tool or tools your work related to?

8 A. I would say sometimes it was some specific,
9 like, in the suction irrigator; sometimes it was of
10 a general nature, like cables. Minimizing cable
11 stretch is a big deal and thinking about constructions
12 that would help that, and things like that.

13 Q. What tools can you recall that you did
14 provide consulting of for Intuitive?

15 A. Suction irrigation device.

16 Q. Any others?

17 A. Not specifically, not by a tool.

18 Q. Okay. Besides suction -- besides the work
19 on suction irr -- the irrigation device, the cable
20 work that you mentioned --

21 A. Mm-hmm.

22 Q. -- anything else that you did for
23 Intuitive?

24 A. No. Like I say, I saw things, you know,
25 they would show me designs, but nothing that I, you

1 know, that I contributed to that I recall.

2 Q. Okay. When you said that they showed you
3 designs, what designs did they show you?

4 A. At the time -- at the time they
5 were -- one of the designs that they were looking at
6 was a stapler; so, I did see, oh, you're working on
7 a stapler.

8 Q. When was that?

9 A. I don't recall. I would say approximately
10 2012.

11 Q. Okay. And when you say "stapler," can you
12 be more specific what kind of device you're talking
13 about?

14 A. It was an endoscopic linear stapler.

15 Q. A device that both cuts and staples or
16 just staples?

17 A. It both cut and stapled.

18 Q. Okay.

19 A. Basically based on Power Medical, I think
20 there was an acquisition. I'm going a little bit by
21 old memory, but I think there was an acquisition. I
22 think they got into a business relationship, and it
23 was sort of a topic that was a buzz around when I
24 was there doing other work.

25 Q. Okay.

1 A. Yep.

2 Q. Do you have any financial interests in
3 Intuitive?

4 A. I own one share of stock.

5 Q. I don't know if that's a yes or a no.

6 A. It's virtually no.

7 Q. One whole share?

8 A. One whole share. It keeps going up.

9 Q. We're going to mark that as a yes. Just
10 kidding. Just kidding.

11 Have you ever been involved in the design
12 of a surgical tool for a robotic system?

13 A. Yes, in the sense -- yes, I have.

14 Q. And what was that involvement?

15 A. I can't disclose the clients or the
16 products that I worked on.

17 Q. Do you have a time frame?

18 A. For confidentiality?

19 Q. No --

20 A. Oh, when I did it?

21 Q. Yeah, when you did it. Yeah.

22 A. I've worked on robotic-related projects
23 for companies in 2015 through present.

24 Q. Okay. And so that involved designing a
25 surgical tool for a robotic system?

1 A. It did.

2 Q. Okay. What kind of tool?

3 A. I can't discuss the nature of the tool.

4 Q. You can't even discuss the type of tool,
5 like, what kind of tool it is? That's confidential?

6 A. I can tell you it's not a stapler.

7 Q. Okay.

8 A. It is actually confidential, yes. I have
9 a nondisclosure that says don't disclose who I work
10 for or the nature of the work I'm working on that I
11 signed. It's pretty boilerplate for consultants
12 like me.

13 Q. Okay. Aside from this one project that
14 you just mentioned, is there other instances where
15 you've designed a tool for a robotic system?

16 A. I have designed several tools for robotic
17 systems --

18 Q. Okay.

19 A. -- in the last three to four years.

20 Q. Okay. Other than the work that you've
21 done with designing tools for a robotic system in
22 the last three to four years, is there other
23 instances you can think of where you designed
24 surgical tools for a robotic system?

25 A. Not that I recall.

1 Q. Okay. So is it fair to say that prior to
2 2015, you had never designed a tool for a robotic
3 system?

4 A. No. I think I mentioned that I worked
5 for -- prior to that for Intuitive on a suction
6 irrigation device for a surgical robot.

7 Q. Okay. So your work with Intuitive on the
8 suction irrigation device involved you designing
9 that tool?

10 A. Aspects of it, yes.

11 Q. Okay.

12 A. The trumpet valve, how would you actuate
13 the trumpet valve piece of -- it was a subset of a
14 surgical instrument that's used on a robot.

15 Q. Okay. So prior to 2015, is it fair to say
16 that the only instance where you worked on the
17 design of a surgical tool for a robotic system was
18 the work on the suction irrigation device with
19 Intuitive?

20 A. No. What I'm saying is that I've consulted
21 to Intuitive in the period of roughly 2012 through
22 2014, roughly, and I consulted on a number of
23 aspects related to surgical -- robotically-controlled
24 surgical tools.

25 Q. Okay. What other tools besides the

1 suction irrigation device?

2 A. They weren't specific in nature. They
3 were surgical tools, but they weren't specific in
4 nature.

5 Q. I don't understand.

6 A. As I mentioned, they were cable driven.
7 They were dealing with high forces. They needed to
8 minimize stretch. They wanted to think of lower
9 cost ways to tension cables. They were four
10 surgical devices, but they weren't related to -- to
11 my recollection, they weren't related to a specific
12 product.

13 Q. Okay. I got you.

14 A. Okay.

15 Q. Have you ever taken a hand-held laparoscopic
16 tool and converted it for attachment to a robotic
17 system?

18 A. I have not.

19 Q. I think your C.V. is at the back of your
20 declaration; is that right?

21 A. It is.

22 Q. Yeah.

23 So you started working at Ethicon in 1990;
24 right?

25 A. Yes.

1 Q. Okay. And from 1990 to 1992, you worked
2 on the design of manufacturing equipment for
3 production and packaging of surgical sutures; is
4 that right?

5 A. That is correct.

6 Q. Okay. Did you work on anything else
7 during this time frame, '90 to '92, or was that your
8 focus?

9 A. It was my -- my focus was high speed --
10 trying to develop high speed automation for the
11 assembly of and packaging of surgical sutures, yes.

12 Q. And then from '92 to '97, you were a
13 principal engineer at Ethicon Endo-Surgery; is that
14 right?

15 A. Yes.

16 Q. Okay.

17 A. At the end I was a principal engineer. I
18 was promoted.

19 Q. Oh, okay. During that whole timeframe you
20 were not a principal engineer?

21 A. Not during the whole time. That was where
22 I ended up.

23 Q. That's where you ended up?

24 A. Yeah.

25 Q. Okay. And during this time frame, '92 to

1 '97, you were focused on development of an endoscopic
2 linear cutter product line?

3 A. I did.

4 Q. Okay. Did you work on any other products
5 during that time period?

6 A. I did.

7 Q. What other products?

8 A. Clip appliers, endoscopic rotating clip
9 appliers. I did some trocar work related to IP
10 around the seals. I did -- there's a wide variety
11 of staplers that are not endoscopic that I consulted
12 on internally as a technical design reviewer and an
13 IP reviewer.

14 So my expertise specialty there was around
15 the idea, around intellectual property, helping
16 understand the landscape and helping them navigate
17 designs that would not read on to the then titan US
18 Surgical.

19 Q. Okay.

20 A. So that put me in front of a lot of
21 different products for Ethicon.

22 Q. Okay. Trocar is T-R-O-C-A-R.

23 A. Sorry about that.

24 Q. No, that's okay.

25 And the focus of your C.V. description in

1 this time frame is the endoscopic linear cutter;

2 right?

3 A. That was my -- that was my main job.

4 Q. All right.

5 A. Then there was all these peripheral
6 activities that you would be drawn into because of
7 my expertise.

8 Q. And it says you had -- it resulted in 14
9 product codes?

10 A. Mm-hmm.

11 Q. That means those were products that were
12 commercially released?

13 A. That's correct.

14 Q. Did this endocutter have a trade name?

15 A. ETS.

16 Q. ETS. Just the Ethicon ETS or the Echelon?

17 A. ETS. Before Echelon.

18 Q. Before Echelon?

19 A. Yeah. So before Echelon, and then if you
20 go all the way back to the ELC 30 and the ELC 60,
21 everything in between there, that's what I did.

22 Q. And that was all referred to as ETS?

23 A. ETS. ATS was the articulating transecting
24 stapler that came in 35, 45, and 60-millimeter
25 lengths. Product codes also included the disposable

1 stapled -- the cartridges, so there were -- and
2 there were iterations of those products, EZ, the
3 EZ-35 came out, and, I think, that was supplanted by
4 the ETS.

5 So marketing was changing names and changing
6 features and changing things, but the construct of
7 that product line was my responsibility.

8 Q. I understand.

9 A. Yeah.

10 Q. Was this the first -- so this was not the
11 first endocutter that Ethicon had brought to market?

12 A. That is correct. The ELC-30 and the
13 ELC-60 predated my arrival there.

14 Q. How did the architecture of the ETS
15 endocutter differ from the previous version at a
16 high level?

17 A. Yeah, in every way that's important.

18 Q. Okay.

19 A. The part count was radically reduced.
20 They were -- at the time, Ethicon was losing money
21 on every one they sold and trying to make profit on
22 the reloads, cartridges.

23 When I did my redesign, we radically
24 reduced the part count, which made them profitable,
25 changed the manufacturing approach completely, and

1 even though I had never done it before, they sat me
2 in a room, and I figured it out and did it.

3 Q. Why did you leave Ethicon in '97?

4 A. My wife's father had a stroke.

5 Q. So you had to move?

6 A. I needed to -- I needed to relocate and
7 build ramps and put in hand grips, and he was in
8 Tucson, and we were in Cincinnati.

9 Q. I understand.

10 Have you done any consulting for Ethicon
11 since you left?

12 A. I have.

13 Q. Okay. Do you still consult for Ethicon?

14 A. No.

15 Q. No.

16 When did you stop consulting for Ethicon?

17 A. I think most of the consulting work I did
18 with Ethicon was pretty much right on the heels of
19 my departure, as I recall, so in that kind of 1999,
20 1998. I don't recall it going maybe even into 2000.
21 It was pretty much associated with stuff that
22 was -- I had some familiarity with, and they wanted
23 me to keep contributing to what they were trying to
24 do. I think there was a needle passer they were
25 trying to develop and a new lower cost scissors and

1 graspers and a whole handheld product line that I
2 consulted with them on, Ethicon Endo-Surgery.

3 Q. Okay. So it's been a pretty long time
4 since you consulted with Ethicon; is that right?

5 A. It has been, yeah.

6 Q. You have a fairly lengthy list of medical
7 device-related patents --

8 A. Yeah.

9 Q. -- in your C.V.?

10 A. There's a mistake in the beginning though
11 they pointed out last time in case you didn't find
12 it.

13 Q. I didn't.

14 A. So I made this -- I obviously created my
15 C.V. a long time ago --

16 Q. Okay.

17 A. -- and I did a search of patents with the
18 name "Knodel," and it turns out there was an
19 engineer at Ethicon that had the last -- same last
20 name, and so I ended up inadvertently listing his
21 patents. I think it was the first three on my C.V.

22 Q. So the first three on the C.V. are not
23 yours?

24 A. Yeah --

25 Q. Okay. That's fine.

1 A. -- I think those are not mine --

2 Q. Okay.

3 A. -- which is very nice of the last attorney
4 pointed that out. Actually he pointed out the first
5 one wasn't mine. I checked that and found the other
6 two also.

7 Q. All right.

8 A. But I think there's -- yeah, there's still
9 a substantial list, yeah.

10 Q. The list is still pretty long even with
11 that.

12 Now, you've used the word "stapler" quite
13 a few times since we've started this deposition, and
14 the word stapler is used, you know, in a fair number
15 of the titles of your patents here; right?

16 A. Yes. That is true.

17 Q. Okay.

18 A. I see that. I see stapler listed a lot.

19 Q. Yeah. Stapler does not necessarily refer
20 to an endocutter; is that right?

21 A. It doesn't -- it doesn't have to, no,
22 that's true.

23 Q. All right. So there are staplers that
24 just staple. They don't cut and staple tissue;
25 right?

1 A. The simple answer would be yes, but I want
2 to clarify. Endoscopic -- endoscopic staplers,
3 linear staplers, they're -- there's a subset, I
4 believe, that some companies have that they call a
5 no knife. But generally, devices that only staple
6 aren't endoscopic. They look like a C-clamp.
7 They're used in open procedures.

8 Q. Okay. So you're saying when somebody uses
9 the word "stapler" in the context of an endoscopic
10 device, it means a device that cuts and staples
11 tissue?

12 A. No, I'm not saying that either.

13 Q. Okay.

14 A. No. There's endoscopic staplers that just
15 apply a staple on the end of an endoscopic tube, for
16 example.

17 Q. Okay. So then like I said, so then it's
18 fair to say that the word stapler --

19 A. Yes.

20 Q. -- can mean a device that just applies a
21 staple, and it can also sometimes mean a device
22 that, you know, cuts and staples on both sides of
23 the cut points?

24 A. It can mean both of those things, yes.

25 Q. Okay. And some of the patents you have

1 here in your list that are related to surgical
2 stapling involve a device that just staples; right?

3 A. No. I don't believe so. I mean real
4 quick, I believe, some of them don't clarify; for
5 example, about ten down, endoscopic surgical stapler
6 with compact profile. That actually would have
7 nothing to -- wouldn't -- I don't think that that's
8 necessarily tied to transecting. I don't recall it
9 being tied to that. So cutting, but -- what was the
10 question?

11 Q. That's okay.

12 A. I mean, I'm just trying to --

13 Q. So I said some of the patents you have
14 here in your list that refer to surgical stapling
15 involve a device that just staples without cutting?

16 A. I think that's -- I think that could be
17 true.

18 Q. Yeah.

19 A. I'd have to look.

20 Q. I have an example.

21 A. Okay.

22 Q. Why don't we --

23 A. You do have an example? Okay.

24 MR. DESAI: Why don't we mark this as an
25 exhibit.

1 Do you know where we should start
2 exhibits?

3 We can just mark it Knodel Exhibit 1.
4 We'll put a Bates stamp on it if we file it.

5 MR. KATZ: But I mean shouldn't it be a
6 2000?

7 MR. DESAI: Yeah, but we can do that when
8 we file the exhibit.

9 MR. KATZ: Okay.

10 MR. DESAI: That's fine.

11 MR. KATZ: It's your deposition.

12 MR. DESAI: All right. I'm being lazy.
13 Hold on.

14 MR. KATZ: I think the Board wants kind of
15 you talking using the same exhibit numbers. There
16 could be --

17 MR. DESAI: That's a fair point. That's a
18 fair point.

19 MR. KATZ: Okay.

20 MR. DESAI: Give me a second. We're
21 connected to the Internet. I can figure this out
22 quickly.

23 MR. MARANDO: I'll figure it out.

24 MR. DESAI: I got it. No problem. I
25 don't think we're in a rush today.

1 I know we don't have that many exhibits.

2 Okay. We can start with 2004.

3 (Document was marked Exhibit No. 2004 for
4 identification.)

5 Q. Okay.

6 A. Oh, yes. I remember this.

7 Q. So this is a Patent No. US 7,473,258, that
8 we've marked as Exhibit 2004, and it is in your C.V.
9 in the list of patents. And you are the Bryan
10 Knodel --

11 A. I am --

12 Q. -- on this patent?

13 A. -- in fact, that Bryan Knodel. I'm not
14 sure if it's in my list. I may have forgot to put
15 it in.

16 Q. It's on the second page, page 104.

17 A. Okay. Yes. I see it. Mm-hmm.

18 Q. Okay. And this is -- again, you were
19 named an inventor on this patent; right?

20 A. Yes, I am.

21 Q. And this is an example of a stapler that
22 is an endoscopic stapler; right?

23 A. It is. Mm-hmm.

24 Q. And this stapler just applied staples;
25 correct?

1 A. That is correct.

2 Q. It does not cut tissue like an endocutter?

3 A. That is correct.

4 Q. Would you agree this is a vastly different
5 device than an endocutter?

6 A. It is a vastly different device.

7 Q. Okay. And is it fair to say that this
8 device that only staples requires fewer degrees of
9 freedom than an instrument that both cuts and
10 staples such as an endocutter?

11 A. It requires fewer degrees of freedom?

12 Q. Yeah.

13 A. I'd have to understand what you mean by
14 "degrees of freedom."

15 Q. Okay.

16 A. This particular device is not designed to
17 articulate.

18 Q. Okay.

19 A. But there are endoscopic linear staplers
20 that also don't articulate.

21 Q. Correct.

22 A. So the degrees of freedom could be the
23 same.

24 Q. Okay. Does this require clamping?

25 A. It does not.

1 Q. Okay. Do all endocutters require clamping?

2 A. Endocutters require clamping.

3 Q. And all endocutters require clamping and
4 firing; right?

5 A. Yes.

6 Q. Yeah.

7 A. In order to transect the tissue and form
8 the staples, they do an operation called firing --

9 Q. Okay.

10 A. -- and that follows always clamping. It's
11 done, and then you unclamp. So they're always
12 sequential.

13 Q. Okay. Explain to me how this stapler, for
14 example, applies to staplers at a high level -- I'm
15 sorry -- in Exhibit 2004?

16 A. Yes. So this staple you -- this stapler
17 in this patent, you place it against the tissue.
18 You actuate the trigger, and it takes a large --
19 there's a large form factor staple in there that's
20 actually pre-closed. It opens it, applies it to the
21 tissue, and then closes it again. So it's a similar
22 action to a skin stapler or an EMS was what
23 Ethicon's product code was for this.

24 Q. Okay. Do any staplers -- sorry. I have
25 to be more clear.

1 Going forward, I think we should, just to
2 be clear, I'm going to use the word "linear cutter"
3 to refer to a device that -- or endocutter to refer
4 to a device that both cuts and staples. Okay?

5 A. That will be fine.

6 Q. Okay. And when I'm referring to a device
7 that just staples, I'll call it -- is there a term
8 to use for that? Maybe staple applier, is that --

9 A. Yeah, staple applier would be nice.

10 Q. Okay. I'll use staple applier.

11 A. Okay.

12 Q. Are there staple appliers that require
13 clamping?

14 A. I'm not -- I'm not aware of one. There
15 may be, but I'm not aware of one.

16 Q. You've never come across a staple applier
17 that requires clamping?

18 A. I personally am not aware of one.

19 Q. Okay.

20 A. I'm not saying they don't exist.

21 Q. Can you think of a reason why a staple
22 applier would need to clamp?

23 A. If there's a stretcher -- I was actually
24 contemplating in my mind like in a bariatric
25 procedure where you may need to support the wall

1 that you're trying to staple. There may be a
2 necessity to actually hold the tissue adjacent to
3 the jaw when you're stapling it.

4 Q. But you're not aware of any device that
5 does that?

6 A. Old mind. I can't recall. I can't recall
7 one.

8 Q. Okay. Why don't you turn to the Anderson
9 patent.

10 All right. And you agree that this is a
11 patent directed to a harmonic tool for a robotic
12 surgical system?

13 A. One of the embodiments of the Anderson
14 patent is specific to a harmonic device, ultrasonic
15 device.

16 Q. Harmonic tool and ultrasonic tool mean the
17 same thing; is that right? At least that's my --

18 A. I think we can use that for today.

19 Q. Fair enough.

20 A. There may be some technical difference,
21 but we'll go with it.

22 Q. Okay. Is there an embodiment in this
23 patent that's not a harmonic tool?

24 A. I'm not sure. I haven't specifically
25 looked for it, but I, and so I don't want to

1 preclude that there could be.

2 Q. Okay. Sitting here today, you're not aware
3 of one though?

4 A. Not aware of one.

5 Q. Okay. You would agree that the focus of
6 this patent is a harmonic tool?

7 A. I would agree that the abstract says that
8 the surgical instrument for enhancing robotic surgery
9 generally includes a shaft and an ultrasonic probe.
10 An end effector or the distal end of the shaft --

11 THE COURT REPORTER: Hold on.

12 A. Sorry. I'm reading. I'll read it slowly.
13 Let me start again at abstract. "A surgical
14 instrument" --

15 Q. Are you going to read the whole abstract?

16 A. No, just the first part since there's
17 some -- just to clarify what this patent is about.

18 -- "for enhancing robotic surgery
19 generally includes an elongated shaft with an
20 ultrasonic probe," and so it's generally about that
21 and "an end effector at the distal end of the shaft
22 and a base at the proximal end of the shaft."

23 So I'll just stop there.

24 Q. Okay.

25 A. So it's generally about an ultrasonic

1 probe.

2 Q. All right. My question was do you agree
3 that the focus of this patent is a harmonic tool,
4 and I guess do you disagree with that statement?

5 A. I guess I don't understand.

6 Q. The title is -- the title of the patent is
7 "A Robotic Surgical Tool with Ultrasound Cauterizing
8 and Cutting Instrument."

9 A. Right. I am just saying -- all I'm saying
10 is that generally, the general nature of this,
11 that's what they're -- that's what it's about, but
12 the specifics of everything that's described and
13 disclosed may be applicable to other things.

14 Q. That wasn't my question. My question was
15 do you agree that the focus of this patent is a
16 harmonic tool? And you seem hesitant to answer
17 that.

18 A. I am hesitant to answer that.

19 Q. Okay. That's fine.

20 A. Because -- yes.

21 Q. Have you worked on a harmonic tool before?

22 A. In a technical advisory capacity.

23 Q. When was that?

24 A. At Ethicon.

25 Q. About what time frame?

1 A. They acquired Ultracision in, I think,
2 1996-ish.

3 Q. So are you familiar with the operation of
4 a harmonic tool?

5 A. I am.

6 Q. Okay. At a high level how does a harmonic
7 tool work?

8 A. There's a -- it attaches to a box that
9 generates a vibrating impulse. It's connected to
10 the device, and then that sets up a standing wave,
11 so it's (indicating). The longitudinal component is
12 actually vibrating. When it vibrates, it goes back
13 and forth; it generates heat, and that heat is used
14 for some function. It can -- they can do a lot of
15 different things with it.

16 Q. Is that the general operation of the
17 harmonic tool that's described in Anderson?

18 A. It doesn't really go into the harmonic
19 nature of it. As a matter of fact, they discuss
20 just using an OEM harmonic generator. They're not
21 developing -- I guess, that's why I was hesitant to
22 say it's not about ultrasonics. It's -- they
23 actually talk about it's using an OEM ultrasonic
24 probe that somebody else creates. This is --

25 Q. All right.

1 A. -- this is not an ultrasonic patent.

2 Q. Right. This is about the back end for an
3 ultrasonic tool; right?

4 A. For an -- this is about an ultra -- it's
5 about an ultrasonic tool in a very general sense.

6 Q. And, in your opinion, is a harmonic tool
7 similar to an endocutter?

8 A. There can be similar functions. There's
9 similarities and there are differences between an
10 endocutter and a harmonic. I guess, for example,
11 Ethicon contemplated replacing all endocutters with
12 ultrasonic tools. So clearly they thought there was
13 enough similarity to contemplate that, and that was
14 in the late '90s when I was there.

15 Q. Did they end up doing that?

16 A. They did not.

17 Q. Why not?

18 A. It turns out they're not as similar as
19 they thought, but they do coagulate. They do -- they
20 can cut. They can cut, and they can stop blood
21 flow, which is what an endocutter does.

22 Q. Okay.

23 A. It seals vessels, so they don't bleed, and
24 it cuts. So in that sense, they are quite similar.

25 Q. In the end result?

1 A. The result that they're trying to achieve
2 is very similar, yes.

3 Q. But the way they go about doing that is
4 vastly different?

5 A. Quite a bit different, yeah.

6 Q. Can a harmonic end effector and endocutter
7 end effector have the same drive system?

8 A. They can have similar drive elements but
9 not identical, no.

10 Q. In other words, the drive system for a
11 harmonic tool cannot be used to drive an endocutter?

12 A. You can't -- you can't unplug the end of
13 one and immediately substitute the other. That is
14 correct. It would take some skill to make that
15 adjustment.

16 Q. In Anderson, the first few figures, I
17 think it's Figures -- sorry. Let me try that again.

18 Figures 3 -- sorry. Let me start again.

19 Figures 4, 5, I think, 6, 7, 8, and 9 are
20 illustrating a prior art handheld harmonic, is that
21 right, tool?

22 A. The figures are labeled "Prior Art," so,
23 yes, I would agree with that statement.

24 Q. And then figures -- starting at Figure 11A,
25 do you see that?

1 A. Mm-hmm.

2 Q. That is, I'll call it, the back end of a
3 harmonic tool that's going to be attached to a
4 robotic system; is that right?

5 A. That is the back end, yes.

6 Q. So that's Figures 11A, B, and C?

7 A. C is not the back end.

8 Q. Sorry. Yeah, you're right. Figures 11A,
9 11B, also 12B, 12C, and 12A; right?

10 A. Those pictures, those figures depict the
11 proximal end of the instrument of Anderson.

12 Q. Right. All of the back end figures of the
13 robotic tool in Anderson are designed specifically
14 to drive a harmonic; is that right?

15 A. This is an arrangement of components that
16 can drive a harmonic. It can drive other things,
17 but it is an arrangement that can actuate a
18 harmonic.

19 Q. When you say "it can drive other things,"
20 what do you mean?

21 A. Well, this back end is designed to roll a
22 shaft. So any device that wants to have a shaft
23 rolled, you could use this. It's used to actuate a
24 linear actuator, which could actuate a grasper. It
25 could actuate a scissors. It could actuate -- so

1 this back end with the exception of where the
2 ultrasonic probe plugs in is not -- is not specific
3 to an ultrasonic device.

4 Q. Okay. I think I understand what you're
5 saying.

6 A. Okay.

7 Q. So the back end here performs a function
8 of shaft roll, which is applicable to other devices;
9 is that right?

10 A. Mm-hmm. That is correct.

11 Q. And it also performs a function of
12 gripping, which can be applicable to other devices?

13 A. It applies a linear actuation forward and
14 backwards, this mechanism does.

15 Q. Right.

16 A. And it could be applied to other devices.
17 It could be used to grip.

18 Q. Well, in this device, it is used to grip?

19 A. In this device it is used to grip, clamp.

20 Q. You think gripping and clamping are the
21 same?

22 A. They're -- they can be used pretty
23 interchangeably, but in my mind, they're slightly
24 different, yeah.

25 Q. How are they different?

1 A. I generally think of clamping as bigger
2 than gripping.

3 Q. Okay.

4 A. Like I can grip my bottle, but if I clamp
5 this bottle, I'm going to crush it.

6 Q. The harmonic tool in Figure 10 has a
7 gripper 82; right?

8 A. Figure 10?

9 Q. In Figure 10.

10 A. Sorry.

11 Q. Of Anderson?

12 A. I get all excited and -- it has a -- what
13 did you call it?

14 Q. It's called gripper 82. You can check the
15 patent if you want to see. I can point you to the
16 right place if you want.

17 A. Yeah, that would be great.

18 Q. It would be quicker.

19 A. I just want to make sure it's called
20 right -- that's what it's called. It could be
21 called a jaw. It could be --

22 Q. It's called a gripper. Here I'll show
23 you. It's on column 15, line 33.

24 A. Mm-hmm.

25 Thirty-three includes a shaft 84 covered

1 by a sheath.

2 Q. If you're saying it out loud, the court
3 reporter has to record it, so.

4 A. Sorry. Yes. Gripper 82.

5 Q. Okay. Are you familiar with the magnitude
6 of force required for this gripper in the harmonic
7 tool?

8 A. It depends on the function of -- that
9 you're trying to accomplish.

10 Q. In this harmonic tool?

11 A. I don't know.

12 Q. You don't know?

13 A. I don't know whether they're trying to
14 seal tissue or cut tissue. The magnitude of force
15 is much different for those two actions.

16 Q. Are you familiar with the magnitude of
17 forces that are typically used in a gripper and a
18 harmonic tool?

19 A. Generally.

20 Q. What are they?

21 A. Higher for -- higher for cutting, lower
22 for coagulation. I don't know magnitudes.

23 Q. You don't know them?

24 A. Mm-mmm.

25 Q. Did you know them at some point in your

1 career?

2 A. I'm certain that in technical design
3 reviews I may have been presented with the data as
4 to what they were trying to do. It would be hard to
5 imagine not having heard those numbers, but I don't
6 recall them.

7 Q. All right. Do you know how the magnitude
8 of the force required for a gripper in a harmonic
9 tool compares to the magnitude of force required for
10 clamping in an endocutter?

11 A. I think there's too many variables for me
12 to comment on that.

13 Q. Did you consider that in forming your
14 opinions in this case?

15 A. The -- I considered -- yes, the forces
16 that are required to clamp an endocutter, I
17 considered those. Endocutters come in different
18 lengths, different widths, four rows, six rows, 60,
19 30, as you'll see, you know, there's endocutters
20 that are very small; so, their magnitude of forces
21 are radically different.

22 Q. Did you consider how they compared to a
23 gripper in a harmonic tool?

24 A. They -- I considered the forces that were
25 required to clamp, yes.

1 Q. Okay. I feel like you're not answering my
2 question.

3 A. Okay. Let me try again.

4 Q. Did you consider how the forces for
5 clamping of an endocutter compare to the forces
6 required for a gripper in a harmonic tool in forming
7 your opinions?

8 A. Yes.

9 Q. Okay. How did you do that if you don't
10 know what the forces are for a harmonic tool?

11 A. Generally, so generally, I look at the
12 mechanism and can the mechanism -- there's
13 no -- there's no limitation implied in the art that
14 I'm citing here. Anderson doesn't say how much
15 force it is limited to produce or not produce. So
16 there's no -- so I didn't read into this or try to
17 make a limitation when no limitation was applied.

18 Q. So it's your opinion that because Anderson
19 doesn't specifically specify the forces for the
20 gripper, that they could be unlimited?

21 A. They -- it's -- they're within -- obviously
22 within engineering limitations. I wouldn't say
23 unlimited, but the mechanism, the device of Anderson
24 does not have -- is not described in a way that
25 limited its forces to a point that they would not be

1 able to be used in a stapler, endoscopic linear
2 stapler.

3 Q. The gripping mechanism in this harmonic
4 tool is cable driven; is that right?

5 A. Is there a particular figure you want
6 to -- I believe it is not cable driven. Well, it's
7 a combination of cables and mechanical.

8 Q. What do you mean by "mechanical"?

9 A. There are -- for example, if you look at
10 Figure -- let's just pick a good one where it shows
11 it pretty good.

12 Q. Probably Figure 13.

13 A. The gripping in Figure 13 is a mechanical
14 in that element 104 is not a cable, and it swings
15 about pivot 105 to affect clamping. So it's not
16 cable driven in the sense that it's not all cables.

17 Q. 104 is pulled back and forth by cables;
18 right?

19 A. 104 is -- 104 is moved in one embodiment
20 by a, I guess, that's a cable.

21 Q. Where is the other embodiment that doesn't
22 use the cable?

23 A. Let's see here. Yeah, my point was that
24 it's a combination. It's not purely cable. It's a
25 combination of cable and mechanism is what I'm

1 saying.

2 Q. Okay. But just -- sorry. I'm just going
3 by your answers here --

4 A. Okay.

5 Q. -- and you said 104 is moved in one
6 embodiment by, I guess, a cable. Okay. So --

7 A. By a cable, yes.

8 Q. So that implies that there's another
9 embodiment -- at least in my view, your answer
10 implies that there's another embodiment that doesn't
11 use a cable; so, if that's your testimony, I'd like
12 to know it.

13 A. Okay. So let me go back to the original
14 question. The original question was is the clamping
15 driven by a cable?

16 Q. No. That was not my question.
17 My question was the gripping.

18 A. Okay. Sorry. Is the gripping driven by a
19 cable?

20 Q. Yes.

21 A. And the answer was ha-ha, it's actually a
22 combination of, right, mechanical and cable. That's
23 what I said.

24 Q. Okay.

25 A. And I showed you where the mechanical was,

1 and you can see where the cable is.

2 Q. Okay. Do you believe this cable and
3 mechanical grip that's shown in Figure 13 would be
4 able to produce forces sufficient to clamp an
5 endocutter?

6 A. I think that it could be -- that the
7 person of skill in the art could clamp an endocutter
8 with the rotary drives of Anderson.

9 Q. That's not what I asked you.
10 I asked you if the mechanism for gripping
11 that is shown in Anderson at Figure 13 would produce
12 sufficient force to clamp an endocutter?

13 A. I don't think I have enough information
14 from the figures to be able to make that
15 determination.

16 Q. Okay. Do you have Wallace, Exhibit 1008?

17 A. Yes.

18 Q. Okay. Figure 2A and 2B illustrate two
19 types of end effectors. One of them is -- the first
20 one's 2A. It's a grasping jaw. And 2B is a cautery
21 isolation effector. I think you can confirm that by
22 looking at column 7, line 48 to 50.

23 A. 70 is the grasping jaws, and 72 is a
24 cautery isolation effector, yes.

25 MR. DESAI: Can we go off the record for a

1 moment.

2 (Discussion off the record.)

3 (Short break taken.)

4 BY MR. DESAI:

5 Q. Dr. Knodel, when we stopped, we were on
6 Wallace Figures 2A and 2B; is that right?

7 A. That is correct.

8 Q. Okay. And we had agreed that 2A is a
9 grasping jaws, and 2B is a cautery isolation
10 effector; right?

11 A. That's correct.

12 Q. Okay. What are the magnitude of forces
13 required for the grasping jaws shown in Figure 2A?

14 A. I cannot tell from that figure.

15 Q. Are you familiar with a surgical tool, a
16 grasping jaw surgical tool?

17 A. I am familiar with some grasping jaws as
18 surgical tools, yes.

19 Q. What are the typical forces required of a
20 grasping jaw surgical tool?

21 A. I haven't really thought about it.

22 Q. Okay. And what is a cautery isolation
23 effector shown in Figure 2B?

24 A. I'm not sure what that is.

25 Q. Why don't you go to Figure 11A --

1 A. Mm-hmm.

2 Q. -- and 11B. Do you see that?

3 A. I do see them, yes.

4 Q. Okay. Do you know what kind of end
5 effector that is?

6 A. It appears to be just a clevis.

7 Q. What is that?

8 A. A clevis, in general, is a U-shaped
9 structure that you can mount something into through
10 that -- do you see the hole up near the top?

11 Q. Mm-hmm.

12 A. From this image, just looking at the
13 drawing, 58 appears to be a clevis. Do you want me
14 to look up what it is called?

15 Q. Actually I'm taking a look right now. It
16 looks like in the patent, 58 is referred to as a
17 clevis, C-L-E-V-I-S.

18 A. Dude. Okay.

19 Q. Does a clevis include jaws that open and
20 close?

21 A. Possibly.

22 Q. Does this one?

23 A. I don't see a jaw in this picture.

24 Q. Okay. And then if we look at -- just keep
25 going through the figures here, if we go to Figure 17A

1 and 17B, I think that is also a clevis; is that
2 right?

3 A. No, I don't think that's a clevis.

4 Q. Okay. Let's see. Let's see what 158 is
5 referred to. It looks like it says in column 11
6 that 158 is a distal clevis.

7 A. Really?

8 Q. Yeah.

9 A. I don't know how it would actually ever
10 function as a clevis, but that's what they called
11 it.

12 Q. And then why do you think it wouldn't
13 function as a clevis? I'm just curious.

14 A. Well, a clevis is -- I don't have a
15 definition for a clevis. It just -- I think of a
16 clevis as a U-shaped structure, and that is clearly
17 not; so, it didn't from my -- in my world, I wouldn't
18 necessarily have used that term, but I don't have a
19 definition for clevis. So they may be perfectly
20 correct in calling it that.

21 Q. Okay. Is a clevis similar in nature to an
22 endocutter?

23 A. A clevis is a structure. Endocutters may
24 or may not use a clevis structure in them.

25 Q. Are you familiar --

1 A. If you mounted -- you could mount a jaw
2 across that clevis and use it in an endocutter.

3 Q. Have you ever seen something like that?

4 A. An endocutter with a clevis?

5 Q. (Nods.)

6 A. Have I ever seen an endocutter with a
7 clevis? I'm not aware. I don't recall. I haven't
8 thought really about that.

9 Q. Okay. Why don't you go to column 7 of
10 Wallace.

11 A. Okay.

12 Q. And I focus you on the sentence that
13 starts at line 50, and I'll read it when you're
14 ready.

15 A. Okay.

16 Q. Okay. It says, "It may be appreciated
17 however that any suitable end effector 66 may be
18 used, such as DeBakey forceps, microforceps, Potts
19 scissors, clip appliers, scalpels, or electrocautery
20 probes, to name a few."

21 Do you see that?

22 A. I do see that sentence, yes.

23 Q. Okay. For the ones that are specifically
24 identified there, are you familiar with the
25 magnitude of forces required for those types of

1 tools?

2 A. Generally.

3 Q. How do those compare to forces required of
4 an endocutter?

5 A. Generally they would be lower forces.

6 Q. How much lower?

7 A. It depends on the size of the endocutter
8 and the size of the forceps. A 12-millimeter
9 forceps that's 30 millimeters long, it has a lot of
10 force on it, compared to a 5-millimeter forceps
11 that's 10 millimeters long. You can't say by those
12 words what the magnitude of forces are.

13 Q. So it's your opinion that those tools that
14 are specifically mentioned could require the same
15 forces of an endocutter?

16 A. Generally, these -- as I said before,
17 generally the specific instruments on this list, in
18 my opinion, would have lower forces than an endocutter
19 generally.

20 Q. Okay. We also discussed how an endocutter
21 has the two, I guess, motions that -- clamping and
22 firing that are required; right?

23 A. We did discuss that, yes.

24 Q. Yeah. Do any of these tools have a
25 similar requirement of clamping and firing?

1 A. Yes.

2 Q. Which one?

3 A. The clip applier.

4 Q. So a clip applier requires both clamping
5 and firing?

6 A. It does.

7 Q. Okay.

8 A. Not in that sequence, but, yes. You fire
9 out the clip, and then you clamp.

10 Q. Got it.

11 So the firing is different than an
12 endocutter because in the clip applier, you're not
13 cutting tissue; right?

14 A. No, but, so the firing in an endocutter
15 deploys the fasteners; and the firing in a clip
16 applier deploys the fastener.

17 Q. Does the firing in a clip applier involve
18 cutting tissue?

19 A. There are clip appliers that were designed
20 that did transect in between. They applied two
21 clips, and they had a transection in between, yes.

22 Q. Okay. Do you recall the specific device
23 you were talking about there, the name?

24 A. I don't recall the name. Ethicon actually
25 was where it was being developed.

1 Q. Okay. So you're familiar with an Ethicon
2 clip applier that could clamp tissue and transect
3 tissue as well?

4 A. Could apply clips to clips and cut in
5 between.

6 Q. To clips to clips and cut in between.
7 Okay.

8 A. And I believe it was -- once, again,
9 this -- we're going back now to 1998. So we're
10 talking 20 years ago plus. There are designs of
11 clip appliers that were being developed that
12 combined both clip applying and cutting because
13 that's very typical is to clip, clip, cut. Right?
14 So they put it into a single device.

15 Q. Oh, are you -- do you know if there are
16 patents describing this device?

17 A. I don't know.

18 Q. Okay.

19 A. I would be shocked if there are not.

20 Q. So would I.

21 And Wallace is primarily about a -- the
22 focus of Wallace is about a wrist mechanism; is that
23 right?

24 A. Yes.

25 Q. The word for that is a type of articulation

1 mechanism; right?

2 A. It is. Wrist is a type of articulation.

3 Q. You would agree that a significant
4 consideration in the design of an articulation joint
5 or mechanism are the magnitude of forces that need
6 to be transmitted through the joint; right?

7 A. That is a design consideration, yes.

8 Q. Okay. And some articulation joint designs
9 cannot be used to transmit high forces required of
10 an endocutter; correct?

11 A. I haven't really thought about that, but I
12 suspect that there are some articulation designs
13 that may not be capable of doing that.

14 And, further, the articulation is just
15 happening in air. There is no -- to articulate
16 doesn't require force, just to be clear.

17 Q. Okay. Yeah, I don't think I was asking
18 whether the articulation requires force.

19 A. Oh, okay.

20 Q. Right. Did you understand my question was
21 about whether an articulation, you know, a design
22 consideration for an articulation joint is the force
23 that needs to be transmitted through the joint? You
24 understood what I meant there?

25 A. Yeah. I just want to clarify, yeah,

1 the -- but the articulation joint and the forces
2 that have to go through them, those can be quite
3 decoupled.

4 Q. What do you mean?

5 A. I haven't -- you know, just conceptually,
6 just thinking about it, if I'm pushing a
7 hydraulic -- if I have a hydraulic hose going
8 through an articulation joint in a mechanical
9 system, I can bend that hose very easily, but that
10 hydraulic hose can transmit a tremendous amount of
11 force hydraulically, as an example of why
12 articulation joints and the force that they're
13 delivering can be massively decoupled. Okay.

14 Q. Let me go back to Anderson.

15 A. Okay.

16 Q. And in column 10, starting at line 40,
17 there's a paragraph that is discussing a robotic
18 surgical system. Do you see that?

19 A. I do.

20 Q. Okay. And it specifically mentions that
21 one example of a robotic surgical system is the
22 da Vinci system.

23 Do you see that?

24 A. I see that.

25 Q. Okay. And this is a patent that was filed

1 in 2002; right?

2 A. Yes, it is.

3 Q. Okay. So this is referring to -- there
4 are variations of the da Vinci system today. Now
5 there's the da Vinci X and Xi system. You know
6 that; right?

7 A. I do not --

8 MR. KATZ: Objection. Beyond the scope.

9 A. -- know that.

10 Q. Oh, you don't know that.

11 MR. KATZ: Did you get my objection?

12 THE COURT REPORTER: (Nods.)

13 MR. KATZ: Okay.

14 BY MR. DESAI:

15 Q. Are you familiar with the da Vinci robotic
16 systems that are available?

17 A. I am not -- I am not familiar with the
18 names that they're called.

19 Q. Okay.

20 A. I know that there's a da Vinci robot that
21 is used. My friend just had a surgical procedure
22 done, a hernia repair done, with a da Vinci robot,
23 but I don't know their names.

24 Q. Okay. I understand.

25 Are you familiar with the da Vinci system

1 that's referenced here in Anderson?

2 A. I'm familiar that Intuitive has a system
3 they call the da Vinci that is a surgical robot,
4 yes.

5 Q. Are you familiar with the specific da Vinci
6 system that's referred to in Anderson that existed
7 in this time frame, the 2002 time frame?

8 A. No. Not -- let me -- I'm familiar with
9 that there was a da Vinci system, but I'm not
10 familiar -- I don't know it intimately. I didn't
11 design it. I've never operated it. I probably
12 haven't even seen it.

13 Q. Okay.

14 A. I just know it existed, yes.

15 Q. All right. So paragraph 33 of your
16 declaration --

17 A. Mm-hmm.

18 Q. -- there's a couple of things I want to
19 unpack in this paragraph.

20 A. Okay.

21 Q. There's a sentence you say, "The surgical
22 robot in Anderson is specifically designed to
23 interface with a variety of surgical instruments
24 such as surgical staplers."

25 Do you see that?

1 A. Yes.

2 Q. Okay. Do you believe the original da Vinci
3 system was designed to interface with an endocutter?

4 MR. KATZ: Objection. Beyond the scope.

5 A. I do not know.

6 Q. Okay.

7 A. Did you say paragraph 30?

8 Q. Thirty-three of your declaration.

9 A. Thirty-three.

10 Q. Yeah. And when you say surgical staplers
11 here, in your paragraph 33, are you referring to
12 endocutters?

13 A. I'm referring to what the patent said in
14 7, 6 to 25.

15 Q. Okay.

16 A. What the patent said is "To reduce costs
17 and for manufacturing convenience, the instrument
18 may include OEM parts. For example, the instrument
19 probe" --

20 Q. If you're going to read, you've got to
21 read slow.

22 A. Oh, I'm sorry.

23 Q. Do you want to read the whole paragraph or
24 are you -- do you want to just cite the line and
25 paragraph number or column number?

1 A. So this in 6 to 25, it describes a device.
2 The da Vinci robot was intended to be used with OEM
3 medical systems, in other words, original equipment
4 manufacturers medical systems, devices developed by
5 other people for adaption to this, to this robot.
6 That was what it was designed to do.

7 The breadth and how wide or long or
8 whatever, it doesn't say. It just says that they
9 intended for this to be used with other people's
10 devices.

11 Q. Does it include endocutters here?

12 A. They give a list. Stapler probes is one
13 of the listed items. It does not say specifically
14 endocutters. It talks about cutting probes and
15 stapling probes. "Likewise, the instrument probe
16 assembly may combine one or more of these medical
17 functions ..."

18 So it could be stapling and cutting by the
19 sentence on line 25 -- 24 -- 23, 24, and 25.

20 Q. Do you have an opinion as to whether a
21 person in the ordinary skill in the art would
22 understand that the da Vinci system was incapable of
23 driving an endocutter?

24 MR. KATZ: Objection. Beyond the scope.

25 MR. DESAI: I'm asking if he has an

1 opinion.

2 THE WITNESS: Can you rephrase the
3 question.

4 BY MR. DESAI:

5 Q. Do you have an opinion as to whether a
6 person of ordinary skill in the art would understand
7 whether or not the da Vinci system that's mentioned
8 in Anderson was capable or incapable of driving an
9 endocutter?

10 A. I believe that a person of ordinary skill
11 in the art would have to -- that there's nothing
12 fundamental about that robot that would preclude it
13 driving an endocutter.

14 Q. How do you know that?

15 A. Because robots do a lot of amazing things.

16 Q. But you're not specifically familiar with
17 the da Vinci system that's referenced here, are you?

18 A. No.

19 Q. So if somebody at Intuitive said that it's
20 incapable of doing it, you wouldn't have a reason to
21 disagree, would you?

22 MR. KATZ: And I'll say objection. Beyond
23 the scope.

24 You may answer.

25 A. I haven't thought about it.

1 Q. Okay. In your -- never mind.

2 You cite here column 11, lines 32 to 65 of
3 Anderson, so why don't we turn to that --

4 A. Mm-hmm.

5 Q. -- that portion of Anderson and let me
6 know when you're there.

7 A. I'm there.

8 Q. Okay. Towards, I think, around line 56,
9 it mentions a single pivot wrist and a multi-pivot
10 wrist. Do you see that?

11 A. Yes, I do see that.

12 Q. And it says they "may be included to provide
13 additional operational degrees of freedom to the end
14 effector"; right?

15 A. Yes, it does say that.

16 Q. Okay. Does that make it obvious in your
17 opinion to use a single pivot wrist or a multi-pivot
18 wrist with the harmonic end effector described in
19 Anderson?

20 A. I haven't thought about it.

21 Q. If we look at your declaration again,
22 paragraph 34.

23 A. Yes.

24 Q. Okay. And the sentence in this paragraph
25 says that the figures of the '969 patent have a

1 striking resemblance to those of the prior Anderson
2 reference and then you have a table with -- comparing
3 the figures; right?

4 A. I do. Mm-hmm.

5 Q. Okay. I want to focus on the last
6 comparison, which is Figure 26 of the '969 patent
7 and Figure 2 of Anderson.

8 A. Mm-hmm.

9 Q. Okay. When you say "they have a striking
10 resemblance," is it your opinion that Figure 2 of
11 Anderson is disclosing an endocutter?

12 A. No. My opinion is that there are
13 similarities in those two images.

14 Q. What are the similarities?

15 A. The handle -- the proximal end there
16 connects to the robot, the elongated shaft, and an
17 end effector.

18 Q. But the end effectors are completely
19 different; correct?

20 A. The end effectors are different.

21 Q. Okay. And what's inside the box at the
22 back end is completely different as well; right?

23 A. I can't tell. I can't see inside.

24 Q. Oh, really? Okay. Did you look at the
25 other figures of the '969 patent and the other

1 figures of Anderson?

2 A. I did.

3 Q. Okay. And you couldn't tell?

4 A. I'm just talking about the image that you
5 asked me to look at.

6 Q. Okay. So then you agree --

7 A. You asked me to look at Figure 2 and for
8 Figures 26. The back ends do not look substantially
9 different.

10 Q. Okay. But if we look at the figures that
11 show what's inside of them, we know that they are
12 substantially different; correct?

13 A. They are different, yes.

14 Q. So if you wanted to give the Board a
15 complete description about whether these are similar
16 devices or not, you would have been better off
17 showing them what's inside the back end; right?

18 A. I was just comparing two images. I wasn't
19 comparing what was inside. Just Figure 2 to
20 Figure 26 have a lot of similarities.

21 Q. Okay.

22 A. That's what I said.

23 Q. So if we look at Figure 13 of the '969
24 patent and compare it to Figure 4 of Anderson, let
25 me know when you have both of those together.

1 A. Figure 13 of '969.

2 Q. Yeah.

3 A. Okay.

4 Q. And Figure 4 of Anderson.

5 A. Yes.

6 Q. Okay. So applying your opinions with
7 respect to the other figures in your paragraph 34,
8 you would agree -- it would be your opinion that
9 Figure 13 and Figure -- Figure 13 of the '969 patent
10 and Figure 4 of Anderson have a striking
11 resemblance; right?

12 MR. KATZ: Objection. Beyond the scope.

13 A. I haven't thought about it.

14 Q. But they have a handle. They have a
15 shaft, and they have an end effector; right?

16 MR. KATZ: Objection. Beyond the scope.

17 A. I didn't put this Figure 13 and Figure 4
18 in my table of compared -- to compare figures that
19 resembled one another; so, it must not have struck
20 me as bearing a strong resemblance when I made my
21 table.

22 Q. Okay. Sitting here today, do you think
23 they have a striking resemblance?

24 MR. KATZ: Objection. Beyond the scope.

25 A. I haven't thought about it.

1 Q. All right. Okay. Paragraph 78 of your
2 declaration, we had briefly touched on this paragraph
3 earlier with the correction where you were replacing
4 Anderson with Timm; right?

5 A. Right. Mm-hmm. I remember that.

6 Q. Okay.

7 A. Okay.

8 Q. What is the quick disconnect feature of
9 Timm?

10 A. The -- let's just go to Timm 38.

11 Okay. 38, 25 through 33. "To
12 facilitate" -- I'm going to skip down a little bit.
13 "To facilitate quick detachment of a reload unit
14 4002 and reattachment of a new reload unit 4002,
15 without the use of tools, a quick disconnect joint
16 4008 may be employed. As used here in the term
17 'quick disconnect,' refers to the ability to detach
18 one component from another component without the use
19 of tools."

20 Q. Okay. So this is referred to as a
21 disposable reload unit that can be quickly
22 disconnected; right?

23 A. That is what it is discussing.

24 Q. Right. Did you have familiarity with
25 disposal reload units before working on this case?

1 A. Yes, I'm familiar with them.

2 Q. Right. And that's -- is that something in
3 the commercially available endocutters that Covidien
4 utilizes?

5 A. Yeah. They use a reload -- they call a
6 SULU, Single Use Reload Unit, that is replaceable
7 without the use of tools.

8 Q. Right.

9 So in your combination of Timm and
10 Anderson, it's your opinion that somebody would
11 utilize this disposable reload unit architecture?

12 A. You know, my opinion was that it may be
13 advantageous, I think, is what I said.

14 In order to take advantage, that it may
15 be -- "a person of skill in the art may have been
16 motivated to use Timm's gear-driven closure
17 mechanism, in combination with Anderson's system in
18 order to take advantage of the quick disconnect
19 feature of Anderson."

20 Q. You mean Timm?

21 A. And I meant Timm, yes. I still keep
22 making the same mistake.

23 Q. So in your combination, the combination
24 involves the use of the quick disconnect feature of
25 Timm?

1 A. I -- a person of skill in the art may want
2 to take advantage of that. They don't have -- I
3 don't believe they have to, but they may choose to.

4 Q. In paragraph 92 of your declaration, let's
5 go there.

6 A. Okay.

7 Q. Okay. And you say "Anderson teaches two
8 distinct embodiments: one that is cable driven; and
9 one that is gear driven but only depicts the former."

10 Do you see that?

11 A. Yes, I do.

12 Q. Okay. And I want to go through the cites
13 here that you have. So why don't we start at
14 column 15, lines 48 to 60.

15 A. Okay.

16 Q. Okay. And does column 15, lines 48 to 60
17 describe a gear-driven embodiment?

18 A. I have to read it.

19 Q. Go ahead.

20 (Pause.)

21 A. Okay. How far did that cite go to?

22 Q. Forty-eight to 60.

23 A. Okay. I'm done. It does not describe
24 whether it's cables or gears. It doesn't say.

25 Q. Okay. So let's go to columns, the next

1 cite, columns 16, 62, to column 17, line 9. All

2 right. Are you there?

3 A. I'm there.

4 Q. And the same question: Does this describe
5 a gear-driven embodiment?

6 (Pause.)

7 A. Mm-hmm.

8 (Pause.)

9 A. Okay. Again, what's the question again?

10 Q. Did that portion you just read, column 16,
11 line 62, to column 17, line 9 describe a gear-driven
12 embodiment?

13 A. No.

14 Q. Okay. So let's go to the last citation in
15 this string, and it's column 23, lines 25 to 36.

16 A. Okay.

17 Q. Okay. Take a moment to read that, and
18 I'll ask you a question.

19 (Pause.)

20 A. Sorry. Could you give me the citation one
21 more time.

22 Q. Yeah. Column 23, lines 25 to 36.

23 A. Okay.

24 (Pause.)

25 Q. Let me know when you're done reading it.

1 A. Yeah, I have to refer back to the figures,
2 because I'm trying to make sure I understand exactly
3 which part is turning which part.

4 (Pause.)

5 A. Okay.

6 Q. Okay.

7 A. To 26 to how far?

8 Q. Twenty-five to 36.

9 A. Okay. No, I'm not done.

10 Q. Okay.

11 (Pause.)

12 A. Okay. Now I'm ready.

13 Q. Okay. So my understanding here is at
14 least with respect to lines 26 to 30 that it's
15 referring to in Figure 21, you have this member 344.
16 Do you see that?

17 Do you see number 344?

18 A. I do now.

19 Q. Okay.

20 A. Yes.

21 Q. And that is a member to which cables are
22 affixed and is used to perform a shaft roll?

23 A. Yes.

24 Q. Okay. And the suggestion here in
25 paragraph 23 -- sorry -- column 23 at lines 25 or 26

1 to 30 is you can replace that with a right-angled
2 helical gear pair to perform a shaft roll; correct?

3 A. That is what it says. That's what
4 Anderson says, yes.

5 Q. When you perform a shaft roll, is any
6 component of the end effector moving relative to
7 another component of the end effector?

8 A. I believe that when you roll the shaft,
9 the components of the end effector would be moving
10 together.

11 Q. Okay.

12 A. Although it's not strictly, in thinking
13 about it further, I've seen mechanisms where you
14 roll the shaft to actuate the distal end. So not
15 necessarily. Sorry. I should never -- I should
16 think about it --

17 Q. Okay.

18 A. So not necessarily.

19 Q. What example? What is it you're thinking
20 of?

21 A. Well, I've seen a mechanism where the way
22 they actuated the end, they had a barrel cam and so
23 they rotated the tube, and the mechanism actuated.

24 Q. What was the device?

25 A. Oh, I don't recall. I'm just saying it's

1 technically possible to roll the shaft and actually
2 for the purpose of actuating an end effector.

3 Q. I understand.

4 A. Okay.

5 Q. Was that device you're referring to an
6 endocutter?

7 A. I don't recall.

8 Q. Have you ever seen an endocutter where you
9 roll the shaft in order to actuate the endocutter?

10 A. You roll -- yes, there are these thread
11 actuated -- threaded-actuated actuators for
12 clamping. You see them in a number of devices.

13 Q. You're talking about rolling a drive
14 shaft, not the -- I'm sorry. I'm talking about the
15 main shaft to roll the end effector.

16 A. Oh, yeah, no, I haven't seen that in an
17 endocutter.

18 Q. Okay. And then in the devices -- sorry --
19 the harmonic tool that's in Anderson, did the shaft
20 roll actuate the end effector?

21 A. No, not in this device.

22 Q. Look at paragraph 104 of your declaration.

23 A. Yeah. Yes, I'm there.

24 Q. Okay. Do you have any corrections to make
25 to this paragraph?

1 A. Yes. The references at the end of the
2 paragraph should have cited to Timm, not to
3 Anderson. That's a -- it looks like a cut/paste
4 error. This is one of them that I was referring to
5 earlier.

6 Q. Okay. Well, at the very end of the
7 paragraph, you have a string cite to Anderson.

8 A. Anderson, mm-hmm.

9 Q. And you're saying all of those citations
10 should actually be to Timm?

11 A. No. I'm saying if you look at about
12 line 6, you see Anderson's cited right there.

13 Q. Mm-hmm.

14 A. If you look at -- if you compare those two
15 strings, they're identical --

16 Q. Okay.

17 A. -- and I'm saying that is a typo.

18 Q. So what should those cites be?

19 A. Now they should cite to Timm. I haven't
20 looked up to see what they should have been.

21 Q. So --

22 A. I just found this when I was reviewing
23 this last night.

24 Q. Okay.

25 A. Yeah, I hadn't noticed it before.

1 Q. So sitting here today, you can't provide
2 us with the citations to Timm that should be there?

3 A. I -- I would have to -- I'd have to spend
4 some time and look at the section of Timm that talks
5 about the proximal and distal spines, and, yes,
6 that's correct.

7 MR. DESAI: Counsel, that seems to be a
8 fairly substantive correction to the declaration.
9 Do you have plans to file a motion to correct or
10 anything like that to his declaration or are
11 you -- I mean, what are we supposed to do about
12 that?

13 MR. KATZ: You mean the fact that he's not
14 providing a column line cite?

15 MR. DESAI: No, what I understand he's
16 saying is that that last sentence there where you
17 have a string cite to Anderson, that entire citation
18 is wrong.

19 MR. KATZ: Well, I don't think he said the
20 entire string. I think he said it's missing Timm's
21 citations.

22 BY MR. DESAI:

23 Q. So the citations to Anderson, are those
24 correct that are in that?

25 A. Yeah, the earlier citations to Anderson's

1 are correct.

2 Q. Okay. We're not on the same page or I'm
3 confused.

4 A. Okay.

5 Q. So on page 61 of your declaration --

6 A. Yes.

7 Q. -- there's a beginning of paragraph 104,
8 there's a string cite to Anderson; right?

9 A. That's correct.

10 Q. And that's fine. You have no changes to
11 that?

12 A. That's correct.

13 Q. Okay. Now we go to the end of the
14 paragraph, okay, and there is another string cite to
15 Anderson; right?

16 A. That's correct.

17 Q. Okay. Should -- if this -- if you wanted
18 to correct this, how would you do it?

19 A. Right.

20 Q. Would you delete the string cite to
21 Anderson --

22 A. Yes.

23 Q. -- and replace it with a string cite to
24 Timm?

25 A. I would delete the string cite to Anderson.

1 I'm not sure that I need a string cite -- what I
2 write is accurate. I'm comfortable with what I
3 wrote. There may be some citations to Timm that I
4 would want to add to that, but I don't have them in
5 mind right now.

6 Q. Okay. And then there's a reference to
7 Prisco?

8 A. Oh, yeah.

9 Q. Okay.

10 A. That was the other typo I found.

11 Q. Okay. Well, what should that be?

12 A. It would be -- let me see. Let me think
13 about it. I hadn't thought -- when I found this
14 error when I was reading over it, I had in my mind
15 whether it should be Timm or Anderson, but I have to
16 rethink about it right now.

17 (Pause.)

18 A. It would be Timm, I believe.

19 Q. That's your testimony it should be Timm?

20 A. I'm going to think a little bit more about
21 it now.

22 Q. Go ahead.

23 A. Thank you.

24 (Pause.)

25 A. Yeah, I'm sticking with Timm.

1 Q. Final answer. Locking it in.

2 A. I was going to phone a friend, but.

3 MR. DESAI: Why don't we take a quick
4 break. I think we might be able to wrap up in the
5 next session potentially.

6 MR. KATZ: Okay.

7 MR. DESAI: We'll see. Not have to hold
8 through lunch.

9 MR. KATZ: Okay.

10 (Short break taken.)

11 BY MR. DESAI:

12 Q. So, Dr. Knodel, do you know what -- do you
13 understand what passive articulation is?

14 A. I do.

15 Q. Okay. And column if you go to Timm. You
16 have Timm; right?

17 A. I do.

18 Q. Let's go to column 30. There's a lot of
19 figures.

20 A. Okay.

21 Q. Okay. Column 30, starting at line 44,
22 that whole paragraph there, that includes a brief
23 explanation of how a passive articulation joint
24 works; right?

25 A. Let me read it just a little bit --

1 Q. Yeah. Go ahead.

2 A. -- I'll be able to confirm that.

3 (Pause.)

4 A. Yes. That's a good description of passive
5 articulation.

6 Q. Okay. Have you ever used an endocutter
7 that passively articulates?

8 A. I have held one in my hand and played with
9 it.

10 Q. All right.

11 A. No surgeries.

12 Q. Do you understand that a surgeon having
13 feedback is an essential part of using a passive
14 articulation joint because it involves pressing
15 against internal parts of the patient?

16 A. I haven't --

17 MR. KATZ: Wait. Hold on one second.

18 Objection. Form. Beyond the scope.

19 Now you may answer.

20 A. I haven't thought about it.

21 Q. The passive articulation mechanism in
22 Timm, as I think described briefly here, and
23 possibly in the paragraph before, involves a locking
24 trigger supported in the handle assembly that is
25 activated by the clinician to hold the device, the

1 articulation joint in position once it's articulated
2 by pressing against an internal part of the body; is
3 that right?

4 MR. KATZ: Objection. Form. Beyond the
5 scope.

6 You may answer.

7 A. I'd have to look at this because it
8 mentions not just pressing against the body, also
9 using an outer tool. It does seem to be able to be
10 locked and unlocked, but I can't comment on exactly
11 what you said.

12 Q. Did you consider when you were forming
13 your opinions about Anderson and Timm the fact that
14 Timm has the locking trigger that's used to lock the
15 passive articulation joint?

16 A. I considered that Timm had articulation;
17 that the ability for the articulation to be passive
18 or active is not sort of -- in my mind, wasn't
19 fundamental to Timm.

20 Q. Okay. My question is when you were
21 considering Anderson or Timm, the combination, did
22 you consider the locking trigger that's used for the
23 passive articulation joint?

24 A. I wasn't -- no, I hadn't specifically
25 focused on that aspect.

1 Q. Okay. Is Intuitive -- are you aware --
2 sorry. Strike that again.

3 Has Intuitive ever used a passive
4 articulation joint on any of its robotic tools?

5 A. I don't know.

6 Q. Does the '969 patent describe a robotic
7 endocutter with a passive articulation joint?

8 A. I don't recall it mentioning a passive
9 articulation joint.

10 Q. Let me go to paragraph 123 of your
11 declaration.

12 Are you there?

13 A. I am.

14 Q. Okay. And this is the part of your
15 declaration that is addressing ground three, which
16 is your combination of Anderson, Timm, and Wallace;
17 right?

18 A. Yes, that's correct.

19 Q. Okay. And in this combination, as I
20 understand it, you're using -- you would have Timm's
21 end effector, which is the endocutter; a shaft that
22 includes Wallace's wrist articulation; and then a
23 back end tool mounting that would drive this, and
24 attached to a robotic system; right?

25 A. Yes, the combination of Wallace's

1 articulation. It could be -- Wallace's articulation
2 doesn't have to be a wrist. It can be one or two
3 axes as we read earlier.

4 Q. You might want to confirm that for
5 yourself, but --

6 A. Okay.

7 Q. -- I don't -- I think we were talking
8 about Anderson with the single pivot and multi-pivot
9 wrist, not Wallace.

10 A. Okay. But, yes, Wallace has an articulation
11 joint. Wristed articulation joints have the ability
12 to turn one way or the other or both.

13 Q. Right. So to clarify, your combination
14 here in ground three involves Timm's endocutter end
15 effector; Wallace's articulating wrist --

16 A. Right.

17 Q. -- and a back end tool mount that will
18 drive all of that?

19 A. Yes.

20 Q. Okay. And here in paragraph 123, you talk
21 about, at the end of the paragraph, Anderson's four
22 transmission members; right?

23 A. Yes, I do. Yes. Uh-huh.

24 Q. And then you have the figure illustrating
25 the back end of Wallace and the back end of Anderson;

1 right?

2 A. Yes, I do.

3 Q. And both of those have four -- both
4 Wallace and Anderson have four transmission members
5 in the back end; right?

6 A. They do. Mm-hmm.

7 Q. And that's because they're attaching to a
8 robotic system that has four independent motors;
9 right?

10 A. That is -- this system right here is
11 configured to connect to a robot that has four
12 driven elements, yes.

13 Q. Okay. And in your opinion here, in
14 paragraph 123, the four transmission members would
15 be used in the following fashion.

16 A. Mm-hmm.

17 Q. You would have one would perform shaft
18 roll; right? What you call rotating the end
19 effector; right?

20 A. Yes. Mm-hmm.

21 Q. And then one would open and close the
22 endocutter; right?

23 A. The end effector. Uh-huh.

24 Q. Which is Timm's endocutter; right?

25 A. No, I don't say that. I say one would

1 open and close the end effector.

2 Q. Okay. So are you -- is opening and
3 closing the end effector referring to Timm's
4 endocutter or not?

5 A. So in the case of this claim, we're
6 talking about a -- just a surgical tool; so, I
7 didn't make a distinction. I'm just referring to a
8 surgical tool here that it can open and close the
9 end effector.

10 Q. Okay. But your combination is involving
11 Timm's end effector; right?

12 A. I know, but it's not an ultrasonic probe
13 either. Anderson, Timm, and Wallace, I'm saying,
14 that combination, there's all different ways you
15 could slice and dice it. What is the end effector?
16 Is it the grasper or the -- you know, I'm just
17 saying for this claim, we're talking about a
18 surgical instrument. Right? So just reading the
19 claim, the surgical tool of claim 24 we're in said
20 "at least one gear-driven portion comprises an
21 articulation system interfacing with said distal
22 spine portion and said transmission assembly."

23 Q. So for this combination, I guess what
24 you're saying to me is the end effector, it could be
25 the harmonic; it could be the endocutter. It could

1 be -- let me finish before you answer -- the cautery
2 isolation tool. Your picking any one of those is
3 fine?

4 A. I'm saying -- yes, what I'm saying is
5 that I wasn't trying to map this completely to an
6 endocutter.

7 Q. Okay.

8 A. Right.

9 And I -- so I didn't call it the jaws of
10 an endocutter. It's just an end effector.

11 Q. Okay. Let's suppose it was an endocutter,
12 so one of the -- Timm's endocutter, one of the
13 transmission members in Anderson would be used to
14 open and close the endocutter; right?

15 A. Yes.

16 Q. Okay. And then you need two of those
17 transmission members to drive the articulation of
18 Wallace; right?

19 A. If you go with a full wrist, yes, that's
20 correct.

21 Q. Okay. And if now we are talking about
22 Timm's endocutter end effector, the analysis here
23 would not account for the motion required for
24 firing; correct?

25 A. If this was directed at a full endocutter,

1 then I would not have -- then this would not account
2 for that.

3 Q. Okay. So in order to make this work for
4 an endocutter, your combination would require
5 modification to the robotic system to add a new
6 transmission member?

7 A. Not necessarily.

8 Q. Okay. That would be one?

9 A. That's one possibility.

10 Q. That's one possibility.

11 Alternatively, the new design for this
12 design would require -- it would require a new
13 design, so that four transmission members could
14 drive the five motions?

15 A. That's one possibility.

16 Q. Okay.

17 A. There's others.

18 Q. What's another possibility?

19 A. Well, you could use single degree
20 articulation, which most articulating endocutters
21 only -- that I'm familiar with only articulate in
22 one direction. They don't articulate in two.

23 Q. Okay. But so you would then -- what
24 you're saying is you would reduce Wallace's
25 articulating wrist only do it in one direction?

1 A. Right. Wallace's articulation wrist can
2 do this direction, and it can do this direction
3 (indicating), but there's nothing about Wallace that
4 requires it to do all.

5 And there's a third option.

6 Q. Okay. Go ahead.

7 A. Because opening and closing, as I
8 mentioned earlier, are always sequential, it's
9 possible that you can clamp and then continue to use
10 that actuation to fire, retract the firing, and
11 open, all using a single control.

12 Q. Would that work with Timm's end effector?

13 A. Timm's design, without any modification,
14 wouldn't work that way, but I'm just saying in the
15 broad scheme of things what could you do? These are
16 things that someone of skill in the art could
17 consider.

18 Q. Does Anderson, Timm, or Wallace describe
19 a design for powering five motions with four
20 transmission members?

21 A. Yes, actually.

22 Q. Where?

23 A. If you go to Anderson -- let me see where
24 was that? About 11 -- no, sorry. Twelve, column 12.

25 Q. Yep.

1 A. If you look at line 20.

2 Q. Mm-hmm.

3 A. "Where more or fewer degrees of freedom
4 are desired the number of spools may be decreased or
5 increased."

6 Q. Okay. That's what we talked about earlier
7 adding another transmission member to the robotic
8 system; right?

9 A. Yeah. Yeah. So it does -- so Anderson
10 discloses --

11 Q. Right.

12 A. -- that if you wanted -- if you needed
13 another disk drive, go for it.

14 Q. No problem; right?

15 A. No problem.

16 Q. No problem for a POSITA?

17 A. Pardon me.

18 Q. No problem for a POSITA?

19 A. No, I don't see that as a problem.

20 Q. No, so my question, the other question
21 was different which is is there a description in
22 Anderson, Timm, or Wallace of powering five motions
23 when you only have four transmission members?

24 A. No. The ability to extend four to five is
25 not specifically covered in those references that

1 I'm aware of. I'll leave it open. I don't remember
2 every word.

3 MR. DESAI: Can we just take a couple
4 minute break.

5 MR. KATZ: Sure.

6 (Short break taken.)

7 MR. DESAI: We don't have any further
8 questions.

9 MR. KATZ: I don't have any.

10 THE WITNESS: Okay.

11 MR. DESAI: So we'll see you tomorrow.

12 THE WITNESS: All right.

13

14 (Deposition concluded at 11:58 a.m.)

15

16

17

18

19

20

21

22

23

24

25

1 CERTIFICATE OF REPORTER

2 I, Julie Thomson Riley, RDR, CRR, do certify that
3 the deposition of Bryan D. Knodel, Ph.D., in the
4 matter of Intuitive Surgical, Inc., vs. Ethicon, LLC,
5 on April 3, 2019, was stenographically recorded by
6 me; that the witness provided satisfactory evidence
7 of identification, as prescribed by Executive Order
8 455 (03-13), issued by the Governor of the
9 Commonwealth of Massachusetts, before being sworn by
10 me, a Notary Public in and for the Commonwealth of
11 Massachusetts; that the transcript produced by me is
12 a true and accurate record of the proceedings to the
13 best of my ability; that I am neither counsel for,
14 related to, nor employed by any of the parties to
15 the above action; and further that I am not a
16 relative or employee of any attorney or counsel
17 employed by the parties thereto, nor financially or
18 otherwise interested in the outcome of the action.

19
20 April 7, 2019

21 
22 Julie Thomson Riley, RDR, CRR
23
24
25

Notice Date: 04/08/2019

Deposition Date: 04/03/2019

Deponent: Bryan Knodel, Ph.D. Vol. I

Case Name: Intuitive Surgical v. Ethicon LLC

Page:Line	Now Reads	Should Read
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____

CERTIFICATE OF DEPONENT

I hereby certify that I have read and examined the foregoing transcript, and the same is a true and accurate record of the testimony given by me.

Any additions or corrections that I feel are necessary, I will attach on a separate sheet of paper to the original transcript.

Signature of Deponent

I hereby certify that the individual representing himself/herself to be the above-named individual, appeared before me this ____ day of _____, 20__, and executed the above certificate in my presence.

NOTARY PUBLIC IN AND FOR

County Name

MY COMMISSION EXPIRES: