

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

INTEL CORPORATION,)	Case No.
)	IPR2019-00047
Petitioner,)	IPR2019-00048
)	IPR2019-00049
vs.)	IPR2019-00128
)	IPR2019-00129
QUALCOMM INCORPORATED,)	
)	U.S. Patent No.
Patent Owner.)	9,154,356

DEPOSITION OF PATRICK FAY, PH.D.
South Bend, Indiana
Wednesday, August 7, 2019

Reported by:

RACHEL F. GARD, CSR, RPR, CLR, CRR

JOB NO. 165197

1 P. FAY, PH.D.

2
3
4 August 7, 2019

5 9:30 a.m.

6
7 Deposition of PATRICK FAY, PH.D., at
8 Morris Inn, 1399 North Notre Dame Avenue,
9 South Bend, Indiana, pursuant to notice before
10 Rachel F. Gard, Illinois Certified Shorthand
11 Reporter, Registered Professional Reporter,
12 Certified LiveNote Reporter, Certified Realtime
13 Reporter, Indiana Notary Public.
14
15
16
17
18
19
20
21
22
23
24
25

1 P. FAY, PH.D.

2 A P P E A R A N C E S:

3 WILMERHALE

4 Attorneys for Petitioner

5 1225 17th Street

6 Denver, Colorado 80202

7 BY: BENJAMIN FERNANDEZ, ESQ.

8
9 JONES DAY

10 Attorneys for Patent Owner

11 77 West Wacker Drive

12 Chicago, Illinois 60601

13 BY: THOMAS RITCHIE, ESQ.

P. FAY, PH.D.

I N D E X

WITNESS

PAGE

PATRICK FAY, PH.D.

Cross-examination by Mr. Ritchie

5

E X H I B I T S

INTEL EXHIBIT

PAGE

Exhibit 1002 Declaration in
IPR2019-00047

7

Exhibit 1102 Declaration in
IPR2019-00048

11

Exhibit 1202 Declaration in
IPR2019-00049

13

Exhibit 1302 Declaration in
IPR2019-00128

15

Exhibit 1402 Declaration in
IPR2019-00129

18

Exhibit 1001 '356 Patent

26

Exhibit 1003 U.S. Patent Application
Publication Number US
2011/0217945

33

Exhibit 1106 U.S. Patent Application
Publication Number US
2010/0237947

47

Exhibit 1004 3GPP TR 36.912
Version 9.1.0, (2009-12)

53

QUALCOMM EXHIBIT

Exhibit 2013 U.S. Patent 9,161,254

78

1 P. FAY, PH.D.

2 (Witness sworn.)

3 WHEREUPON:

4 PATRICK FAY, PH.D.,
5 called as a witness herein, having been first
6 duly sworn, was examined and testified as
7 follows:

8 CROSS-EXAMINATION

9 BY MR. RITCHIE:

10 Q. Would you state and spell your name
11 for the record, please.

12 A. Yes. I'm Patrick Fay.

13 P A T R I C K. Surname is Fay, F A Y.

14 Q. And what is your current address?

15 A. My home address is 16045 Baywood
16 Lane, Granger, Indiana.

17 Q. You've been deposed before?

18 A. I have.

19 Q. So is it fair to say that you
20 understand the deposition process?

21 A. At some level, yeah.

22 Q. You understand that you're
23 testifying under oath today?

24 A. I do.

25 Q. And is there any reason you cannot

1 P. FAY, PH.D.

2 testify fully and truthfully today?

3 A. No, there's no reason.

4 Q. Please let me know if you don't
5 understand a question and I will try to clarify
6 it for you. If you do answer, I'm going to
7 assume you understand -- understood my
8 question. Is that fair?

9 A. That's fair.

10 Q. If you need to take a break, just
11 let me know. We can take a break as long as
12 there's not a question pending. Okay?

13 A. Okay.

14 Q. You understand that this deposition
15 pertains to your declarations in five IPR
16 proceedings. Those matters are numbered:
17 IPR2019-00047, IPR2019-000489, IPR2019-00049,
18 IPR-00128 [sic], and IPR2019-00129.

19 You understand that this deposition
20 pertains to your declarations in those five
21 matters?

22 A. Yes.

23 Q. Now, I may refer to the patent,
24 which is the subject of your declarations,
25 which is U.S. Patent 9,154,356. I may refer to

1 P. FAY, PH.D.

2 that as the '356 Patent. When I say the '356
3 Patent, will you understand that I'm referring
4 to U.S. Patent 9,154,356?

5 A. Yes, I will.

6 Q. Thank you.

7 (INTEL Exhibit 1002 marked
8 for identification.)

9 Q. I've handed you what has been marked
10 as Exhibit INTEL 1002. Do you recognize
11 Exhibit INTEL 1002 as the declaration you
12 submitted in IPR2019-00047?

13 A. Yes, I recognize this document.

14 Q. And this document sets forth your
15 opinions in their entirety concerning the
16 validity of the '356 Patent in connection with
17 IPR2019-00047?

18 MR. FERNANDEZ: Objection. Form.

19 A. This document states my opinions
20 with regard to the validity of the '356 Patent
21 as related to the reference of Uehara and the
22 other cited references that are contained in
23 the declaration.

24 Q. Yes. And this is a -- this is the
25 complete set of your opinions with respect to

1 P. FAY, PH.D.

2 those references that are addressed in
3 IPR2019-00047?

4 MR. FERNANDEZ: Objection. Form.

5 A. With respect to the reference that I
6 cite by Uehara and the 3GPP Feasibility Study,
7 the reference by Parumana, the reference by
8 Youssef, and related combinations, this
9 document sets forth my opinions.

10 Q. And those are the only references
11 your declaration cites with respect to
12 IPR2019-00047; is that correct?

13 A. I'm sorry. Could you repeat the
14 question for me?

15 Q. You identified several references.
16 And my question was: Those are the only
17 references your declaration cites with respect
18 to IPR2019-00047, correct?

19 MR. FERNANDEZ: Objection. Form.

20 A. I believe the references I listed
21 previously --

22 Q. Let me retract that.

23 A. Okay.

24 Q. It's not trying to be a trick
25 question. For IPR2019-00047 that's on the

1 P. FAY, PH.D.

2 front, this is a complete statement of your
3 opinions for that matter? There are four other
4 matters. We're going to address those.

5 MR. FERNANDEZ: Objection. Form.

6 A. My opinions on the invalidity of the
7 '356 Patent with respect to the references
8 cited within this document are all listed here
9 in this declaration.

10 Q. Thank you. You've signed this
11 document; that's your signature at the end?
12 Page 121.

13 A. Yes, that is my signature.

14 Q. Are you aware of any mistakes or
15 errors in this document?

16 A. I have found a few typographical
17 errors but nothing that affects the substance
18 or that makes a material difference to the
19 rationale or the bases of the declaration.

20 Q. That's fair. Now, in Paragraphs 10
21 and 11, you identified that you -- materials
22 that you have reviewed for this declaration in
23 IPR2019-00047. That's a complete list of the
24 materials that you've reviewed for this
25 declaration?

1 P. FAY, PH.D.

2 A. Sorry. Did you say Page 10?

3 Q. Paragraphs 10 and 11.

4 A. Oh, excuse me. I thought you said
5 "page." Excuse me.

6 The references and documents
7 described in Paragraphs 10 and 11 are those on
8 which I rely for my declaration.

9 Q. My -- you substituted the word
10 "rely" for "review."

11 My question was: Did you review any
12 other materials in connection with preparing
13 this declaration?

14 MR. FERNANDEZ: Objection. Form.

15 A. I have reviewed and read a number of
16 other documents and things, but I don't rely on
17 them for this declaration.

18 Q. What does that mean, you don't rely
19 on them?

20 A. The rationale -- as you see in my
21 declaration, these are the ones that I cite to
22 that I quote that I find show various aspects.
23 Other things that I may have reviewed, I don't
24 rely on for the purposes of this declaration.

25 Q. What other materials did you review

1 P. FAY, PH.D.

2 in connection with this declaration?

3 A. I don't know that I have a list for
4 you. I read a bunch of things.

5 Q. Do you have them -- do you have a
6 list somewhere, just not with you today?

7 A. No. I'm not sure I have a list
8 even.

9 Q. So you don't know what other
10 materials you've reviewed in connection with
11 this declaration?

12 A. In connection with this declaration,
13 the content of this declaration comes from the
14 references that I've listed here. Anything
15 else was -- I found I did not need to rely upon
16 to form this declaration.

17 Q. You can set that aside for the
18 moment.

19 (INTEL Exhibit 1102 marked
20 for identification.)

21 Q. I'm handing you what's been marked
22 as INTEL 1102. Do you recognize Exhibit INTEL
23 1102 as the declaration you submitted in
24 IPR2019-00048?

25 A. Yes, this looks like the declaration

1 P. FAY, PH.D.

2 that I submitted in response to that petition.

3 Q. This document sets forth your
4 opinions in their entirety concerning
5 IPR2019-00048?

6 MR. FERNANDEZ: Objection. Form.

7 A. Yes. This document does set forth
8 my opinions as related to that, the IPR that
9 you quoted, the 00048, yes.

10 Q. And that's your signature at the end
11 on Page 116?

12 A. Yes, that is my signature.

13 Q. Other than typographical mistakes,
14 are you aware of any mistakes or errors in this
15 document?

16 A. No, other than typographical errors,
17 I am not aware of any material errors in this
18 document.

19 Q. In Paragraphs 10 and 11, you
20 identify materials that you have reviewed in
21 connection with this IPR. Is that a complete
22 list of materials that you reviewed for this
23 IPR?

24 MR. FERNANDEZ: Objection. Form.

25 A. Paragraphs ...

1 P. FAY, PH.D.

2 The list here in Paragraphs 10 and
3 11 are the documents on which I relied when I
4 formulated this declaration.

5 Q. And you reviewed other materials in
6 connection with this declaration that are not
7 listed here?

8 A. It is -- yes. Yes, I did.

9 Q. And as before, you don't have a list
10 of those materials?

11 A. I do not.

12 Q. You can set that aside for the
13 moment.

14 (INTEL Exhibit 1202 marked
15 for identification.)

16 Q. Handing you what has been marked as
17 INTEL 1202, do you recognize Exhibit INTEL 1202
18 as the declaration you submitted in Case
19 IPR2019-00049?

20 A. Yes, I recognize this document as
21 the declaration that I prepared in response to
22 this IPR.

23 Q. This document sets forth your
24 opinions in their entirety concerning
25 IPR2019-00049?

1 P. FAY, PH.D.

2 MR. FERNANDEZ: Objection. Form.

3 A. This document sets forth my opinions
4 with respect to the IPRs 2019-00049 as it
5 relates to the combination of Jeon, Xiong, and
6 the combination also with the 3GPP Feasibility
7 Study. And I guess I -- yes, yes.

8 Q. And this is the complete set of your
9 opinions with respect to those combinations
10 that you identified?

11 MR. FERNANDEZ: Objection. Form.

12 A. This declaration sets forth my
13 opinions with respect to these specific claims
14 and those specific references.

15 Q. And it's complete? You have no
16 other opinions on these references outside of
17 what you've identified in this document?

18 MR. FERNANDEZ: Objection. Form.

19 A. This document outlines the opinions
20 I have as of this time for these matters.

21 Q. That's your signature at the end on
22 Page 123?

23 A. Yes, that is my signature.

24 Q. And in Paragraphs 10 and 11, you
25 identify materials that you have reviewed for

1 P. FAY, PH.D.

2 your declaration in IPR2019-00049. Are the
3 materials that you have identified in
4 Paragraph 10 and 11 a complete list of the
5 materials that you reviewed for this
6 declaration?

7 MR. FERNANDEZ: Objection. Form.

8 A. The documents listed in
9 Paragraphs 10 and 11 are the -- is a complete
10 list of the documents upon which I relied when
11 I prepared this declaration.

12 Q. And you've reviewed additional
13 materials in preparing this declaration, but
14 you don't have a list of those?

15 A. I have reviewed additional
16 materials, but I don't rely on any of them for
17 purposes of this declaration.

18 Q. And you don't have a list of those
19 additional materials?

20 A. No, I do not.

21 (INTEL Exhibit 1302 marked
22 for identification.)

23 Q. Handing you what has been marked as
24 Exhibit INTEL 1302, do you recognize Exhibit
25 INTEL 1302 as the declaration you submitted in

1 P. FAY, PH.D.

2 Case IPR2019-00128?

3 A. Yes, I recognize this as the
4 declaration that I filed in association with
5 the IPR you quoted.

6 Q. This document sets forth your
7 opinions in their entirety concerning
8 IPR2019-00128?

9 MR. FERNANDEZ: Objection. Form.

10 A. This document sets forth my opinions
11 at the present time with respect to the '356
12 Patent and its obviousness and how -- and how
13 it's -- how should I say this? This document
14 sets forth my opinions at the present time with
15 respect to the anticipation and obviousness
16 analysis that I did with respect to the claims
17 listed here in view of Lee and the 3GPP
18 Feasibility Study.

19 Q. And that was done in connection with
20 IPR2019-00128?

21 A. Yes, that's correct.

22 Q. And that's your signature at the end
23 on Page 95?

24 A. Yes, that is my signature.

25 Q. Other than typographical errors, are

1 P. FAY, PH.D.

2 you aware of any mistakes or errors in this
3 document?

4 A. No. Other than those sorts of minor
5 errors that don't affect the substance of the
6 analysis, I'm not aware of any errors.

7 Q. In Paragraphs 10 and 11, you
8 identify materials that you reviewed in
9 connection with this declaration. Are the
10 materials identified in Paragraphs 10 and 11 a
11 complete list of the materials that you
12 reviewed in connection with this declaration?

13 MR. FERNANDEZ: Objection. Form.

14 A. The documents listed in Paragraphs
15 10 and 11 are the full set of documents that I
16 rely on for the declaration.

17 Q. In connection with this declaration,
18 you reviewed additional materials not
19 identified in Paragraphs 10 and 11?

20 A. I certainly read additional
21 materials not listed here, but those references
22 that are listed there are those on which I rely
23 for the declaration.

24 Q. And as before, you don't have a list
25 of those additional materials that you

1 P. FAY, PH.D.

2 reviewed?

3 A. I do not.

4 (INTEL Exhibit 1402 marked
5 for identification.)

6 Q. Handing you what has been marked as
7 INTEL 1402, do you recognize Exhibit INTEL 1402
8 as the declaration you submitted in Case
9 IPR2019-00129?

10 A. Yes. I recognize this document as
11 the declaration I submitted in relation to the
12 IPR, Case IPR2019-00129.

13 Q. And Exhibit INTEL 1402 sets forth
14 your opinions in their entirety in connection
15 with IPR2019-00129?

16 MR. FERNANDEZ: Objection. Form.

17 A. This declaration sets forth my
18 opinions at the present time with respect to
19 this IPR.

20 Q. And that's your signature at the end
21 on Page 103?

22 A. That is my signature.

23 Q. And other than typographical
24 mistakes, are you aware of any other mistakes
25 or errors in this document?

1 P. FAY, PH.D.

2 A. I am not aware of any mistakes
3 besides typographical-type errors.

4 Q. In Paragraphs 10 and 11 of Exhibit
5 INTEL 1402, you identify materials that you
6 have reviewed for this declaration. Do
7 Paragraphs 10 and 11 contain a complete list of
8 materials that you reviewed for this
9 declaration?

10 MR. FERNANDEZ: Objection. Form.

11 A. Paragraphs 10 and 11 list the
12 documents that I reviewed and rely on for the
13 declaration.

14 Q. But you did review additional
15 materials in connection with this declaration
16 that are not identified in Paragraphs 10 and
17 11?

18 A. I did review additional materials,
19 but the documents listed here in Paragraphs 10
20 and 11 are those on which I rely for the
21 declaration.

22 Q. And you don't have a list of those
23 additional materials, correct?

24 A. I do not.

25 Q. Now, in connection with these five

1 P. FAY, PH.D.

2 declarations that we've just discussed, did
3 anyone assist you in your research,
4 investigation, or testing?

5 MR. FERNANDEZ: Objection. Form.

6 A. Could you repeat the question for
7 me, please?

8 Q. In connection with these
9 declarations that you've prepared in these five
10 IPRs, did anyone assist you in your research,
11 investigation, or testing to prepare these
12 declarations?

13 MR. FERNANDEZ: Same objection.

14 A. Yes.

15 Q. Who assisted you in your research,
16 investigation, or testing in preparing these
17 declarations?

18 A. I received assistance from the
19 counsel for the petitioner.

20 Q. Did anyone assist you in formulating
21 your opinions in connection with these five
22 IPRs?

23 A. The opinions in these five -- that
24 are delineated in these five declarations are
25 my own.

1 P. FAY, PH.D.

2 Q. Did anyone assist you in preparing
3 these five declarations?

4 A. I prepared these five declarations,
5 but I did have assistance from staff at the
6 petitioner's counsel.

7 Q. You have in front of you Exhibit
8 INTEL 1402, I think?

9 A. Yes, that's correct.

10 Q. In Paragraph 56 of that declaration,
11 you identified the level of ordinary skill in
12 the art, correct?

13 A. Yes, that's right. At Paragraph 56,
14 I identify the level of ordinary skill in the
15 art.

16 Q. And one of the things you said in
17 that paragraph was a person of ordinary skill
18 in the art at the time of the alleged invention
19 would have had experience with the structure
20 and operation of RF transceivers and related
21 structures, correct?

22 MR. FERNANDEZ: Objection. Form.

23 A. That's not quite exactly what I
24 said.

25 Q. That was one of the things you said.

1 P. FAY, PH.D.

2 But you want to go ahead and correct me and
3 tell me what you did say there.

4 MR. FERNANDEZ: Objection. Form.

5 A. Paragraph 56 reads: A person of
6 ordinary skill in the art at the time of the
7 alleged invention would have had at least an
8 M.S. degree in electrical engineering or
9 equivalent experience and would have had at
10 least 2 years of experience with the structure
11 and operation of RF transceivers and related
12 structures or the equivalent.

13 Q. Thank you.

14 Dr. Fay, do you consider yourself an
15 expert in the structure and operation of RF
16 transceivers?

17 A. Yes.

18 Q. Are you familiar with LTE wireless
19 systems?

20 A. I am familiar with some aspects of
21 LTE wireless systems, yes.

22 Q. What aspects of LTE wireless systems
23 are you familiar with?

24 A. I am familiar with the hardware, the
25 supporting LTE communications systems, and in

1 P. FAY, PH.D.

2 particular in receivers.

3 Q. Do you consider yourself an expert
4 in the structure and operation of LTE wireless
5 systems?

6 A. That's very vague.

7 Q. And why is that vague?

8 A. "Systems" is a very vague word.

9 Q. Do you consider yourself an expert
10 in the structure and operation of LTE wireless
11 communications?

12 A. I don't understand the question.

13 Q. You said you were familiar with
14 hardware, in particular receivers, in LTE
15 systems. Is that right?

16 A. I am familiar with the hardware and,
17 in particular, receivers for wireless
18 communications such as LTE, that's right.

19 Q. Do you consider yourself an expert
20 in the hardware and, in particular, receivers
21 of wireless communications such as LTE?

22 A. Yes.

23 Q. Are there any other aspects of LTE
24 wireless communications that you consider
25 yourself an expert in?

1 P. FAY, PH.D.

2 MR. FERNANDEZ: Objection. Form.

3 A. As I sit here today, that sounds --
4 that hardware and -- hardware and, in
5 particular, receivers for wireless
6 communications I think is an accurate
7 description of my expertise, my relevant
8 expertise at least for the '356 Patent. I'd
9 have to think more about whether there are
10 other areas that are relevant to LTE where I
11 have expertise.

12 Q. Are you familiar with carrier
13 aggregation in LTE?

14 MR. FERNANDEZ: Object to form.

15 A. I am familiar with carrier
16 aggregation.

17 Q. Do you consider yourself an expert
18 in carrier aggregation with respect to LTE
19 wireless communications?

20 A. Yes. I consider myself an expert
21 with respect to carrier aggregation.

22 Q. Do you have any research experience
23 directed to carrier aggregation?

24 MR. FERNANDEZ: Objection. Form.

25 A. I have considerable research

1 P. FAY, PH.D.

2 experience and publications in devices and
3 circuits devoted to receivers and applicable to
4 receivers in wireless communication systems.

5 While my -- carrier aggregation has not been a
6 focus of any particular paper, the devices and
7 other research that I have done is applicable
8 to carrier-aggregated systems.

9 Q. Do you have any teaching experience
10 directed to carrier aggregation?

11 MR. FERNANDEZ: Objection to form.

12 A. I teach two circuit design courses
13 that, while we don't explicitly cover carrier
14 aggregation, we do cover at the undergraduate
15 level circuit design techniques for designing
16 low-noise amplifiers that would be perfectly
17 suitable for carrier-aggregated systems.

18 Q. Have you authored any publications
19 that explicitly reference carrier aggregation?

20 A. I would have to review them.
21 Sitting here today, I'm not certain if any of
22 them include that or not.

23 Q. Prior to being retained to offer
24 opinions relating to the '356 Patent, had you
25 heard the term "carrier aggregation"?

1 P. FAY, PH.D.

2 A. Yeah, I believe I had.

3 Q. And when would -- when did you first
4 hear the term carrier aggregation?

5 A. I'm not certain.

6 Q. Can you say approximately?

7 A. Not with any certainty, no.

8 (INTEL Exhibit 1001 marked
9 for identification.)

10 Q. Handing you what's been marked as
11 INTEL 1001. Do you recognize INTEL 1001 as the
12 '356 Patent?

13 A. Yes, I recognize this as the '356
14 Patent.

15 Q. And you reviewed and considered the
16 '356 Patent during your analysis?

17 A. Yes, I reviewed and considered the
18 '356 Patent for my analysis.

19 Q. And you reviewed and considered the
20 '356 Patent's prosecution history during your
21 analysis as well?

22 A. Yes. I did review the prosecution
23 history of the '356 Patent during the course of
24 my analysis.

25 Q. I'd like to direct your attention to

1 P. FAY, PH.D.

2 Figure 6A of the '356 Patent. Let me know when
3 you're there.

4 A. Yes, I'm there.

5 Q. Figure 6A shows a number of things.
6 But one of the things it shows is two amplifier
7 stages identified as 650a and 650b, correct?

8 A. Yes, the patent identifies 650a and
9 650b as amplifier stages.

10 Q. And in particular on the figure,
11 650a is identified as Amplifier Stage 1 and
12 650b is identified as Amplifier Stage 2,
13 correct?

14 A. I don't recall them making those
15 labels. I don't recall them being labeled as
16 Stage 1 and Stage 2.

17 Q. If you look at Figure 6A, do you
18 agree with me that --

19 A. Oh, I'm sorry.

20 Q. -- figure shows Amplifier Stage 1 as
21 650a and Amplifier Stage 2 as 650b?

22 A. Yes, on the figure. I now see what
23 you're pointing to, yes. Yeah, Amplifier Stage
24 1 in the Figure 6A is identified as 650a, and
25 650b is identified as Amplifier Stage 2.

1 P. FAY, PH.D.

2 Q. And Amplifier Stage 650a amplifies
3 an RF input signal and provides a first output
4 signal -- a first output RF signal, I'm sorry,
5 to a Load Circuit 690a, correct?

6 A. Not in all cases, no.

7 Q. In what cases does it provide an
8 output signal to Load Circuit 690a?

9 A. I'm sorry. I didn't catch your
10 question exactly. Could you rephrase it or
11 repeat it for me?

12 Q. You had responded "Not in all
13 cases." And my question was: In what cases
14 does Amplifier Stage 650a provide a first
15 output RF signal to Load Circuit 690a?

16 A. The Amplifier Stage 650a provides an
17 output signal to Load Circuit 690a when an RF
18 input signal is provided and when the amplifier
19 stage is enabled.

20 Q. And with respect to Amplifier
21 Stage 2, when it's enabled and when an RF input
22 signal is provided, it provides an output
23 signal -- an output RF signal to load circuit
24 690b, correct?

25 A. Your question was long enough, could

1 P. FAY, PH.D.

2 you just repeat it for me to make sure I have
3 it correctly?

4 Q. Sure.

5 Talking about Amplifier Stage 2.
6 And Amplifier Stage 2 amplifies an RF input
7 signal and provides an output RF signal to load
8 circuit 690b when it's enabled, correct?

9 A. Amplifier -- Amplifier Stage 2
10 provides an output signal to Load Circuit 690b
11 in response to the RF input signal when
12 Amplifier Stage 2 605b is enabled.

13 Q. According to the patent -- and I'm
14 looking at column 8, row 12. According to the
15 patent, Amplifier Stage 650a may be
16 independently enable or disabled via switch
17 658a. Correct?

18 A. Could you repeat your question for
19 me, please?

20 Q. According to the patent, Amplifier
21 Stage 650a may be independently enabled or
22 disabled via switch 658a?

23 A. In the particular embodiment shown
24 in Figure 6A, they show switch 658a configuring
25 the enablement or disablement of Amplifier

1 P. FAY, PH.D.

2 Stage 650a.

3 Q. And more specifically, the patent
4 says that that switch may be used to
5 independently enable or disable Amplifier
6 Stage 650a?

7 A. Yes, that switch may independently
8 enable or disable that Amplifier Stage 650a.

9 Q. And switch 658b may be used to
10 independently enable or disable Amplifier
11 Stage 650b?

12 A. Was there a question?

13 Q. Yes.

14 And do you agree that switch 658b
15 may be used to independently enable or disable
16 amplifier stage 650b?

17 A. The patent says it a little bit
18 differently. But my interpretation is that
19 switch 658b may be used to independently enable
20 or disable Amplifier Stage 658b.

21 Q. Amplifier Stage 650b, correct?

22 A. Did I misspeak? 650b is what I
23 meant to say for amplifiers, for the amplifier
24 stage.

25 Q. Now, if you turn to claim 1, which

1 P. FAY, PH.D.

2 is in column 20, and I'm looking at the first
3 limitation that starts at Line 44, and it
4 recites, and I'm going to read just a part of
5 that first limitation it recites -- claim 1 of
6 the '356 patent recites: A first amplifier
7 stage configured to be independently enabled or
8 disabled, comma, the first amplifier stage
9 further configured to receive and amplify an
10 input radio frequency, paren, RF, close paren,
11 signal and provide a first output RF signal to
12 a first load circuit when the first amplifier
13 stage is enabled.

14 Did I read that first part of that
15 limitation correctly?

16 A. I believe you read that first part
17 of that limitation correctly.

18 Q. You offered opinions in your
19 declarations about the prior art with respect
20 to that portion of the limitation, correct?

21 MR. FERNANDEZ: Objection. Form.

22 A. Yes. In my declaration, I offer --
23 I show where prior art discloses that
24 limitation.

25 Q. For the opinions that you offered in

1 P. FAY, PH.D.

2 these IPR proceedings, what was your
3 understanding of the meaning of the phrase
4 "independently enabled or disabled" in claim 1?

5 MR. FERNANDEZ: Objection. Form.

6 A. Could you repeat your question for
7 me?

8 Q. For the opinions that you offered in
9 these IPR proceedings, what was your
10 understanding of the meaning of the phrase
11 "independently enabled or disabled" in claim 1?

12 MR. FERNANDEZ: Same objection.

13 A. For the opinions I put forth, my
14 interpretation of "independently enabled or
15 disabled" meant that each -- the amplifier
16 stages had to be configured to allow them to
17 be -- to -- had to be configured to enable or
18 disable each amplifier stage independently of
19 whether or not any other amplifier stage is
20 enabled or disabled, as I say in my
21 declaration. That's in -- that particular
22 quote is in Paragraph 84 of my declaration
23 00048.

24 Q. Paragraph 84 of 00048?

25 A. Let me double-check. Yes,

1 P. FAY, PH.D.

2 Paragraph 84 in the 00048.

3 Q. And would you read me the sentence
4 or point me to the sentence that you're
5 referring to in particular?

6 A. Certainly. This is in a discussion
7 of obviousness combination of Jeon and Xiong,
8 and so the sentence in question is: In other
9 words, Jeon in view of Xiong teaches an
10 apparatus configured to enable or disable each
11 amplifier stage independently of whether or not
12 any other amplifier stage is enabled or
13 disabled.

14 (INTEL Exhibit 1003 marked
15 for identification.)

16 Q. Handing you what has been marked as
17 Exhibit INTEL 1003, and you recognize Exhibit
18 INTEL 1003 as U.S. Patent Application
19 Publication Number US 2011/0217945?

20 A. Yes. This is the patent application
21 you cited by Uehara.

22 Q. And you refer to the Exhibit INTEL
23 1003 as Uehara, correct?

24 A. Sure. I would recognize it by that
25 name.

1 P. FAY, PH.D.

2 Q. I direct your attention to Figure 2A
3 of Uehara. In Figure 2A of Uehara, transistors
4 203 and 204 receive differential input signals,
5 VN+ and VN- respectively, correct?

6 A. I want to make sure I caught your
7 entire question. Could you please repeat it?

8 Q. Sure. Transistors 203 and 204
9 receive differential input signals VN+ and VN-
10 respectively?

11 A. Yes, that's correct.

12 Q. What's your understanding of a
13 differential signal?

14 A. A differential signal is one in
15 which the signal is encoded in the voltage
16 difference between the two nodes, so the
17 difference between VN+ and VN- is the signal.

18 Q. And in Figure 2A of Uehara, voltage
19 VNAux, V N A U X, turns on four transistors:
20 209, 210, 211, and 212, correct?

21 MR. FERNANDEZ: Objection. Form.

22 A. In the specific embodiment depicted
23 in Figure 2A of Uehara, the signal VNAux is
24 supplied to the gates of transistors 209, 211,
25 210, and 212 and controls the gate voltage on

1 P. FAY, PH.D.

2 those four transistors.

3 Q. And when the gate voltage VNAux is
4 sufficient to 209, 210, 211, and 212, current
5 is enabled at both output ports, OUT1 and OUT2,
6 correct?

7 MR. FERNANDEZ: Objection. Form.

8 A. Could you please repeat the
9 question?

10 Q. The gate voltage VNAux may be used
11 to enable the transistors 209, 210, 211, and
12 212 such that current is provided at output
13 ports OUT1 and OUT2?

14 A. In some scenarios that might be
15 true, but not in all cases.

16 Q. Can you elaborate on which scenarios
17 that is correct and which scenarios it's not?

18 A. Yes, yes, I can.

19 Q. Would you, please?

20 A. The patent describes the transistors
21 209 through 212 as coupling the
22 transconductance stages made up of -- the
23 transconductance stage made of transistors 203
24 and 204 to output paths 1 and 2. So in the
25 case where the transconductance stage is

1 P. FAY, PH.D.

2 providing current, the VNAux controls whether
3 that current is coupled to those output paths
4 or not.

5 Q. Thank you.

6 And there's another pair of
7 transistors 201 and 202 that also receive
8 differential input signal VN+ and VN-
9 respectively, correct?

10 A. In Figure 2A of Uehara, transistor
11 201 and transistor 202 receive the same VN+ and
12 VN- as transistors 203 and 204 do.

13 Q. And a voltage VN1 determines whether
14 current from 201 and 202 is coupled to OUT1?

15 MR. FERNANDEZ: Objection. Form.

16 A. Could you repeat your question for
17 me, please?

18 Q. In Figure 2 of Uehara, a voltage VN1
19 determines whether current from 201 and 202 is
20 coupled to output OUT1?

21 MR. FERNANDEZ: Objection to form.

22 Q. Is that right?

23 A. In Figure 2A, signal VN1 allows
24 cascode transistors 205 and 207 to be
25 selectively turned on or off thereby coupling

1 P. FAY, PH.D.

2 or decoupling current from transistors 201 and
3 202 from output path OUT1. I have that in
4 Paragraph 86 of my declaration 00047. It's
5 also found in Paragraph 36 of the Uehara patent
6 application.

7 Q. Just to correct the spelling here,
8 get the spelling right from your statement, you
9 said signal VN1 allows cascode transistors 205
10 and 207?

11 A. Cascode transistors 205 and 207.

12 Q. Are you looking at your declaration
13 Exhibit INTEL 1002?

14 A. Yes.

15 Q. And on Page 51, I think it's about
16 where you were, you've illustrated Uehara
17 Figure 2A. Are you with me?

18 A. Yes. Page 51 has an annotated
19 version of Uehara's Figure 2A.

20 Q. In that figure, you've identified
21 input transistors 201 and 202 as forming part
22 of a first amplifier stage, correct?

23 A. Yes. As I discuss in Paragraphs 81
24 and 82 of my declaration, the 00047
25 declaration, transistors 201 and 202 make up

1 P. FAY, PH.D.

2 one transconductance stage which forms one-half
3 of the first amplifier stage.

4 Q. And when enabled, that first
5 amplifier stage provides an output signal to
6 OUT1?

7 A. Could you please repeat the
8 question?

9 Q. And when the first amplifier stage
10 is enabled, it provides an output signal to
11 OUT1?

12 MR. FERNANDEZ: Objection. Form.

13 A. When the first amplifier stage that
14 I've identified as consisting of transistors
15 201, 202, 205, and 207 are enabled, it
16 provides -- it couples currents to the output
17 path labeled OUT1, which is thereby providing
18 an output to the output path OUT1.

19 Q. And the output signal OUT1 is a
20 differential signal?

21 A. In the embodiment shown in
22 Figure 2A, the signal at output path 1 is a
23 differential signal.

24 Q. And on Page 60 of Exhibit INTEL
25 1002, same document, you've annotated Uehara

1 P. FAY, PH.D.

2 Figure 2A is illustrate a second amplifier
3 stage, correct?

4 A. Yes. On Page 60 of my 00047
5 declaration, I show the second amplifier stage
6 consisting of transistors 203, 204, 210, and
7 212, as annotated in that figure.

8 Q. And voltage VNAux determines whether
9 current from the second amplifier stage is
10 coupled to output paths OUT1 and OUT2, correct?

11 MR. FERNANDEZ: Objection. Form.

12 A. No, that's not correct.

13 Q. How is the second amplifier stage
14 enabled in Figure 2A?

15 A. The second amplifier stage in 2A, as
16 I've annotated it, is controlled by the VNAux
17 signal applied to the gates of transistors 210
18 and 212.

19 Q. And when VNAux is applied to the
20 gates of transistors 210 and 212, current is
21 coupled to output path OUT2, correct?

22 A. Could you repeat it for me? Sorry.

23 Q. And when the VNAux signal is applied
24 to the gates of transistors 210 and 212,
25 current is coupled to output path OUT2?

1 P. FAY, PH.D.

2 A. When a sufficiently high voltage is
3 applied to VNAux, the transistors 210 and 212
4 couple current from the transconductance stage
5 made up of transistors 203 and 204 to output
6 path OUT2.

7 Q. In Paragraph 39 of Uehara, Uehara
8 states, and I will read it: Specifically,
9 transconductance stage transistors 201-202 may
10 be configured to couple current to both output
11 paths OUT1 and OUT2 by providing VN1 and VN2 to
12 turn on transistors 205-208.

13 Did I read that correctly?

14 A. Yes, you did.

15 Q. And you agree with that?

16 A. In the context of the specification,
17 he's describing a particular embodiment. And
18 in the particular embodiment that this appears,
19 that sentence appears within the narrative of,
20 yes, I agree with that statement.

21 Q. And continuing: In this
22 configuration, VNAux is provided to turn on
23 transistors 209-212 so that transconductance
24 stage transistors 203-204 provide current to
25 both output paths OUT1 and OUT2.

1 P. FAY, PH.D.

2 Did I read that correctly?

3 A. Yes, you read that correctly.

4 Q. And you agree that that's what
5 Uehara discloses?

6 A. That's what he says here in this
7 particular embodiment that he's describing.

8 Q. With respect to the embodiment shown
9 in Figure 2A, does Uehara disclose that VNAux
10 is provided to turn on transistors such that
11 current is provided to only one of the output
12 ports?

13 MR. FERNANDEZ: Objection. Form.

14 Q. And if so, can you point me to that
15 disclosure?

16 MR. FERNANDEZ: Same objection.

17 A. Can you restate your question for me
18 or just repeat it?

19 Q. Sure. And I'll set the stage again.
20 I'm focused on that second sentence
21 of Paragraph 39 where it says: VNAux is
22 provided to turn on transistors such that
23 current is provided to both output ports. And
24 my question is: Does Uehara disclose an
25 embodiment where VNAux turns on transistors

1 P. FAY, PH.D.

2 such that current is provided to only one of
3 the output ports, not both?

4 MR. FERNANDEZ: Objection. Form.

5 A. Uehara discloses embodiments where
6 current is coupled to only one of the output
7 ports, just not with respect to VNAux.

8 Q. So with respect to transconductance
9 stage transistors 203 and 204, Uehara only
10 discloses providing current to both output
11 ports, OUT1 and OUT2, via VNAux?

12 MR. FERNANDEZ: Objection. Form.

13 A. With respect to the specific
14 embodiment shown in Figure 2A, the second GM
15 stage, which is made up of transistors 203 and
16 204, are shown coupled through the transistors
17 controlled by VNAux 209 through 212 to be
18 coupled to both out ports 1 and -- output paths
19 OUT1 and OUT2. However, Uehara discloses
20 elsewhere in the specification alternative
21 embodiments where that's not the case.

22 Q. Where are you reading from your
23 declaration?

24 A. I was summarizing my declaration,
25 but this -- the discussion appears in

1 P. FAY, PH.D.

2 Paragraphs 93 through 98.

3 Q. And where in Paragraphs 93 through
4 98, do you discuss alternative embodiments
5 where that's not the case?

6 A. Footnote 21 on Page 62.

7 Q. Specifically with respect to the
8 embodiment disclosed in Figure 2A in Uehara,
9 and I'm referring to Paragraph 39, Uehara
10 discloses that in Figure 2A, the embodiment of
11 2A, when the first amplifier stage is coupled
12 to both outputs, OUT1 and OUT2, the second
13 amplifier stage is also coupled to both
14 outputs, OUT1 and OUT2, correct?

15 MR. FERNANDEZ: Objection. Form.

16 A. You appear to have paraphrased
17 Paragraph 39.

18 Q. Did I paraphrase it correctly? Did
19 I summarize it correctly? Would you like to
20 summarize it differently?

21 MR. FERNANDEZ: Objection. Form.

22 A. I'm a little bit more comfortable if
23 we stick with the exact language in
24 Paragraph 39.

25 Q. And with respect to the embodiment

1 P. FAY, PH.D.

2 disclosed in Figure 2A in Uehara, Uehara only
3 discloses transistors 203 and 204 providing
4 current to both outputs, OUT1 and OUT2, when
5 enabled by VNAux?

6 MR. FERNANDEZ: Objection. Form.

7 A. For the particular embodiment
8 described -- shown in Figure 2A,
9 transconductance Stage 2, which is made up of
10 transistors 203 and 204, are -- coupled current
11 to both outputs, OUT1 and OUT2, under the
12 control of VNA Aux -- VNAux, sorry.

13 Q. And if you advance to the next
14 paragraph, Paragraph 40, in the -- this is in
15 the context of Figure 2A also, Uehara discloses
16 that when only one output path, OUT1 or OUT2,
17 is coupled to the transconductance stage
18 transistors 201 and 202 -- I believe this is a
19 typo. It says NVAux, but I believe that to
20 refer to VNAux -- VNAux is configured to turn
21 off transistors 209 to 212 to decouple current
22 from transconductance transistors 203 and 204
23 from output paths OUT1 and OUT2, correct?

24 MR. FERNANDEZ: Objection. Form.

25 A. Paragraph 40 describes a mode in

1 P. FAY, PH.D.

2 which only a single output is provided, OUT1 or
3 OUT2. And in the particular embodiment shown
4 in Figure 2A, Uehara achieves that by coupling
5 current from transistors 201 and 202 to either
6 one of output path OUT1 or OUT2, e.g., but not
7 both.

8 Q. And in that mode that you just
9 described, transistors 203 and 204 are
10 decoupled from output paths OUT1 and OUT2?

11 A. Yes, that is correct. For that
12 particular embodiment.

13 Q. And that's the embodiment of
14 Figure 2A?

15 MR. FERNANDEZ: Objection. Form.

16 A. That is one use case for the
17 embodiment in Figure 2A.

18 Q. That's the only use case Uehara
19 discloses with respect to Figure 2A, correct?

20 A. I -- I disagree with that a little
21 bit.

22 Q. And where in Uehara can you point me
23 to, Uehara's disclosure with respect to
24 Figure 2A, of a different mode of operation?

25 MR. FERNANDEZ: Objection. Form.

1 P. FAY, PH.D.

2 A. Uehara seeks to address a problem
3 that he lays out in the background section.
4 His alleged problem that he's solving is
5 inconsistent characteristics of amplifiers when
6 the loading conditions change. And he
7 describes in Paragraph 33 how by incorporating
8 one or more additional GM stages when driving
9 multiple output paths, the performance of
10 amplifier circuit 100 may be maintained across
11 different output loads.

12 One might well -- there are -- since
13 there are cases where one wants consistent
14 amplifier performance, one might also want
15 different amplifier performance. And in that
16 case, the embodiment of Figure 2A might well be
17 used in a manner different than described in
18 the very specific use case he outlines in the
19 Paragraphs 38 through 40 that we discussed
20 earlier.

21 Q. But Paragraphs 38 through 40,
22 Paragraphs 38 through 40 are the only use case
23 he outlines with respect to the embodiment of
24 Figure 2A, right?

25 MR. FERNANDEZ: Objection. Form.

1 P. FAY, PH.D.

2 A. I -- I don't fully agree with your
3 statement.

4 Q. Can you show me where with respect
5 to the embodiment of Figure 2A you disagree in
6 Uehara?

7 A. Sure. In Paragraph 47, describing
8 Figure 3, he --

9 Q. No, no, with respect to Figure 2A.

10 A. Figure 3, the discussion in
11 Paragraph 47 provides a discussion and it
12 says -- it gives me a current combiner circuit
13 that operate as described above, which I take
14 to mean encompassing Figure 2 and Figure 1.

15 MR. RITCHIE: Let's take a break.

16 THE WITNESS: Okay. Thank you.

17 (A short break was taken.)

18 (INTEL Exhibit 1106 marked
19 for identification.)

20 Q. Handing you what has been marked as
21 Exhibit INTEL 1106, do you recognize Exhibit
22 INTEL 1106 as U.S. Patent Application
23 Publication Number US 2010/0237947?

24 A. Yes, I recognize this Exhibit INTEL
25 1106 as the patent application you cited.

1 P. FAY, PH.D.

2 Q. And in your declaration, you
3 referred to this as Xiong, X I O N G?

4 A. Yes, I do.

5 Q. I'd like to direct your attention to
6 the embodiment disclosed in Figure 3 of Xiong.
7 Are you there?

8 A. Yes, I am. Yes, I'm here.

9 Q. And at a high level, Xiong's
10 Figure 3 illustrates an amplifier, correct?

11 MR. FERNANDEZ: Objection. Form.

12 A. Yes, Xiong describes Figure 3 as --
13 Figure 3 illustrates an implementation 300 of a
14 low noise amplifier, LNA, as I describe it more
15 fully.

16 Q. And Xiong goes on to describe that
17 the amplifier can operate in two gain modes, HL
18 mode and an LN mode, correct?

19 A. Yes. The discussion surrounding
20 Figure 3 indicates that that figure operates in
21 two gain modes, an HL mode and an LN mode.

22 Q. If we look at Figure 3, we can see
23 HL refers to high linearity, and LN refers to
24 low noise?

25 A. That's my understanding of his

1 P. FAY, PH.D.

2 abbreviations, yes.

3 Q. Now, again, still looking at
4 Figure 3 of Xiong, the input to the amplifier
5 is a differential signal?

6 A. Yes. The amplifier in Figure 3
7 operates on a differential input signal.

8 Q. And the output from the amplifier is
9 also a differential signal, correct? And it's
10 identified as going to loads 310 and 311?

11 MR. FERNANDEZ: Objection. Form.

12 A. I don't see that Xiong specifically
13 identifies the output signal as being
14 differential.

15 Q. You agree the input is differential
16 signal?

17 A. The input is a differential signal
18 per the discussion in Paragraph 25 of Xiong.

19 Q. Is it your understanding that the --
20 based on the illustration in Figure 3 that the
21 output is a differential signal as well?

22 A. The specific embodiment shown in
23 Figure 3 appears to be a differential
24 configuration, and so the output could be a
25 differential signal.

1 P. FAY, PH.D.

2 Q. And I'm referring to Exhibit INTEL
3 1102, your declaration. On Page 49, you
4 identified gain path 301 as a first amplifier
5 stage, correct?

6 MR. FERNANDEZ: Objection. Form.

7 A. In Paragraphs 81 through roughly --
8 and 82 of my declaration, 00048, I describe how
9 Xiong, how the annotated version on Page 49
10 shows a first amplifier stage in Xiong.

11 Q. And that first amplifier stage is
12 the element that's identified as gain path 301
13 in Figure 3?

14 A. The -- yes, what I identify as the
15 first amplifier stage in Xiong is the gain path
16 301 in Figure 3.

17 Q. And in Figure 3, the differential
18 input signal is coupled to the first amplifier
19 stage?

20 A. I'm sorry. I missed your question.
21 Could you please repeat it?

22 Q. In Figure 3, the differential input
23 signal is coupled to the first amplifier stage?

24 A. Yes. In Figure 3, the first
25 amplifier stage is coupled to -- or the input

1 P. FAY, PH.D.

2 RF signal is coupled to the first amplifier
3 stage.

4 Q. The differential input RF signal is
5 coupled to the first amplifier stage?

6 A. The -- yes. The input RF signal is
7 differential, and it's coupled to the first
8 amplifier stage.

9 Q. And when it is enabled, the first
10 amplifier stage outputs a signal to loads 310
11 and 311?

12 A. Yes.

13 MR. FERNANDEZ: Objection. Form.

14 A. When enabled, the first amplifier
15 stage provides an output to loads 310 and 311.

16 Q. And on Page 63, you identify gain
17 path 302 of Figure 3 as a second amplifier
18 stage?

19 A. Yes. On Page 63, the annotated
20 version of Figure 3 that I supply, as well as
21 the text in Paragraphs 95 and 96 describe how
22 what Xiong calls 302 in Figure 3 is a second --
23 a second amplifier stage.

24 Q. And a differential input RF signal
25 is coupled to the second amplifier stage?

1 P. FAY, PH.D.

2 A. The input RF signal, which is
3 differential, is coupled to the second input --
4 second amplifier stage.

5 Q. And when enabled, the second
6 amplifier stage of Xiong outputs a signal to
7 loads 310 and 311?

8 MR. FERNANDEZ: Objection to form.

9 A. Can you repeat your question for me,
10 please?

11 Q. The second amplifier stage of Xiong,
12 outputs a signal to loads 310 and 311 when it's
13 enabled?

14 MR. FERNANDEZ: Object to form.

15 A. I don't say that explicitly in my
16 declaration, no.

17 Q. Does the second amplifier stage of
18 Xiong Figure 3 amplify a signal?

19 A. Xiong Figure 3, it can under the
20 right conditions.

21 Q. When it's enabled, the second
22 amplifier stage amplifies a signal?

23 A. When the second amplifier stage is
24 enabled, it provided that that gain path is on
25 and it amplifies the signal.

1 P. FAY, PH.D.

2 Q. And where is that amplified signal
3 from the second amplifier stage shown on
4 Figure 3?

5 A. The output, the output is at the
6 terminals just below loads 310 and 311, the
7 little dots there.

8 Q. And the amplified signal from the
9 first amplifier stage in Xiong Figure 3 also
10 appears at the little dots just below loads 310
11 and 311, correct?

12 MR. FERNANDEZ: Objection. Form.

13 A. There's no distinction in Xiong with
14 respect to the output of the first or the
15 second amplifier stages in Xiong.

16 Q. Can you clarify? What do you mean
17 by "no distinction"?

18 A. There is one output in the Figure 3
19 of Xiong, so there is not an output from
20 amplifier stage 1 that is distinct from the
21 outputs of amplifier stage 2.

22 Q. You can set that aside.

23 (INTEL Exhibit 1004 marked
24 for identification.)

25 Q. Handing you what has been marked as

1 P. FAY, PH.D.

2 Exhibit INTEL 1004, do you recognize exhibit
3 INTEL 1004 as the document titled: 3GPP TR
4 36.912 Version 9.1.0, parentheses, 2009-12, end
5 parentheses?

6 A. Yes, I recognize this document as
7 the one you cited earlier.

8 Q. And in your declarations, you refer
9 to Exhibit INTEL 1004 as the Feasibility Study?

10 A. Yes, that's a shorthand value.

11 Q. Now, the acronym 3GPP is sometimes
12 used to refer to the Third Generation
13 Partnership Project?

14 A. That's what I know 3GPP to mean.

15 Q. And 3GPP is the standard -- is a
16 standards organization, generally, correct?

17 A. Yes, it is.

18 Q. And 3GPP is the standards
19 organization that promulgated the Feasibility
20 Study?

21 A. Yes, that's my understanding.

22 Q. Were you an author of the 3GPP
23 Feasibility Study?

24 A. No, I am not.

25 Q. Did you participate in the 3GPP

1 P. FAY, PH.D.

2 Feasibility Study?

3 A. No, I did not.

4 Q. The title of this document refers to
5 LTE Advanced. Did you participate in any of
6 the 3GPP proceedings with respect to LTE
7 Advanced?

8 A. I'm sorry. You got a little
9 garbled. Could you repeat it for me?

10 Q. Did you participate in any 3GPP
11 proceedings with respect to LTE Advanced?

12 A. No, I did not.

13 Q. Are you familiar with 3GPP?

14 A. I am.

15 Q. 3GPP doesn't develop Wi-Fi
16 standards, correct?

17 A. To the best of my recollection,
18 that's a different group.

19 Q. My understanding is IEEE is the
20 standards organization that oversees the Wi-Fi
21 standards?

22 A. I know that was true originally.
23 I'm not sure if it's true anymore.

24 Q. 3GPP doesn't develop Bluetooth
25 standards, correct?

1 P. FAY, PH.D.

2 A. My understanding is that Bluetooth
3 standards are developed by another group.

4 Q. According to the title page, the
5 Feasibility Study is related to -- it's a
6 Feasibility Study for further advancements for
7 E-UTRA LTE Advanced, correct?

8 A. Yes, it is a Feasibility Study for
9 further advancements for E-UTRA, parentheses,
10 LTE-Advanced, close parentheses.

11 Q. What does E-UTRA stand for?

12 A. E-UTRA stands for Evolved Universal
13 Terrestrial Radio Access.

14 Q. And 3GPP uses the acronym E-UTRA to
15 refer to standard specifications for LTE,
16 correct?

17 MR. FERNANDEZ: Objection. Form.

18 A. I don't think I understand your
19 question. I'm sorry.

20 Q. 3GPP develops standards for LTE
21 communications?

22 A. Yes, they do.

23 Q. And the acronym E-UTRA is used in
24 connection with 3GPP's LTE standards, correct?

25 A. The acronym E-UTRA is one of many

1 P. FAY, PH.D.

2 acronyms that are used in conjunction with this
3 standards development effort, yes.

4 Q. And specifically with respect to
5 LTE?

6 MR. FERNANDEZ: Objection. Form.

7 A. My understanding is that the acronym
8 E-UTRA refers to specific aspects of the LTE
9 Advanced.

10 Q. Now, the Feasibility Study does not
11 pertain to Wi-Fi, does it?

12 MR. FERNANDEZ: Objection. Form.

13 A. The 3GPP Feasibility Study that
14 we're referring to here does not relate
15 directly to Wi-Fi, no.

16 Q. And the 3GPP Feasibility Study that
17 we're referring to here does not relate to
18 Bluetooth either, does it?

19 MR. FERNANDEZ: Objection. Form.

20 A. The 3GPP Feasibility Study Intel --
21 Exhibit INTEL 1004 does not describe Bluetooth
22 operation.

23 Q. It's your testimony that carrier
24 aggregation means simultaneous operation on
25 multiple carriers, correct?

1 P. FAY, PH.D.

2 A. It's my opinion that in the context
3 of the analyses I did, that carrier aggregation
4 within the context of the '356 Patent means
5 simultaneous operation on multiple carriers,
6 which is how the author of the '356 Patent
7 describes it.

8 Q. And just so I can get -- sync up
9 with you, which document are you looking at?

10 A. I picked the IPR-00048. In that
11 particular one, it's Paragraph 61.

12 Q. And that's Exhibit INTEL 1102?

13 A. Yes.

14 Q. And continuing on in Exhibit INTEL
15 1102, in Paragraph 62 you also said that
16 carrier aggregation means sending data to or
17 from radio on multiple carriers at the same
18 time.

19 A. Paragraph 62 describes how a person
20 of skill in the art would understand those
21 statements to mean that -- or the construction
22 I describe in Paragraph 61 is consistent with
23 the understanding of persons having ordinary
24 skill in the art as described above. Carrier
25 aggregation is commonly understood to mean

1 P. FAY, PH.D.

2 sending data to or from a radio on multiple
3 carriers at the same time.

4 Q. And what is the basis for your
5 opinion that that is how carrier aggregation is
6 commonly understood?

7 MR. FERNANDEZ: Objection. Form.

8 A. It's my opinion that that is how
9 this is commonly understood.

10 Q. And what's the basis for that?

11 A. A person of ordinary skill in the
12 art working on receivers for wireless devices
13 understands that carrier aggregation as defined
14 to mean simultaneous operation on multiple
15 carriers. It's just a restatement of the same
16 definition.

17 Q. And so going back to Paragraph 61,
18 what is the basis for your understanding that
19 carrier aggregation means simultaneous
20 operation on multiple carriers in the context
21 of the '356 Patent?

22 A. That is how the '356 Patent
23 describes it explicitly. The author of the
24 '356 Patent uses the phrase "simultaneous
25 operation on multiple carriers." I can show

1 P. FAY, PH.D.

2 you the citations. They're all given here in
3 Paragraph 61.

4 Q. Do you have any other basis for your
5 opinion that that's how the term "carrier
6 aggregation" should be understood in the
7 context of the '356 Patent?

8 MR. FERNANDEZ: Objection. Form.

9 A. My understanding is that in a
10 patent, when -- the author is free to define
11 their own terms, be their own lexicographer,
12 and they have clearly defined the term in the
13 specification.

14 Q. And in Paragraph 61, you've cited I
15 think four, four examples to support your
16 opinion; is that right? Did I count right?

17 MR. FERNANDEZ: Objection. Form.

18 A. No, there are -- I cite six, and
19 there are some more. Oh, excuse me. One of
20 the citations -- no, that's right.

21 Q. Can you walk me through the six in
22 Paragraph 61?

23 A. Yes. There is the column 20 at row
24 49.

25 Q. I see. I'm with you now. I

1 P. FAY, PH.D.

2 understand.

3 A. Okay.

4 Q. I understand. I see.

5 So yes. So you've identified six
6 citations in Paragraph 61 to support your
7 opinion that carrier aggregation in the '356
8 Patent means simultaneous operation on multiple
9 carriers?

10 A. Yes, and there are actually more in
11 the document.

12 Q. And these six citations, plus the
13 additional ones that you can identify in the
14 document are the basis for your opinion carrier
15 aggregation in the '356 Patent means
16 simultaneous operation on multiple carriers?

17 MR. FERNANDEZ: Objection to form.

18 A. These citations show me that the
19 author of the '356 Patent meant simultaneous
20 operation on multiple carriers through their
21 definitions. And a person of ordinary skill in
22 the art would also understand it's consistent
23 with a person of ordinary skill of the art's
24 understanding of carrier aggregation. Those
25 are the bases.

1 P. FAY, PH.D.

2 Q. It may be in this one, but I've got
3 the cites for another one. Would you turn to
4 Exhibit INTEL 1302 and direct your attention to
5 Paragraph 81 of INTEL 1302.

6 A. Yes.

7 Q. And in the last sentence of
8 Paragraph 81, you said and I'll quote: For
9 example, receiving data over two 20-megahertz
10 carriers increases bandwidth to 40 megahertz as
11 shown in Figure 10 below.

12 A. That's the next-to-last sentence of
13 Paragraph 81.

14 Q. Fair enough. You're correct.

15 What is the basis for your opinion
16 that receiving data over two 20-megahertz
17 carriers increases bandwidth to 40 megahertz?

18 A. Figure 10 is an annotated version of
19 Figure 7 earlier in my declaration showing two
20 component carriers, in this case illustrated as
21 No. 2 and No. 3 highlighted in green, each
22 having a bandwidth of 20 megahertz. So under
23 simultaneous operation, simultaneous operation
24 on multiple carriers, CC No. 2 provides
25 20 megahertz of bandwidth. CC No. 3 provides

1 P. FAY, PH.D.

2 20 megahertz of band. So simultaneously
3 operating on CC No. 2 and CC No. 3 gives me a
4 total of 40 megahertz of bandwidth.

5 The patent further specifies that a
6 carrier is a -- I'm sure it's here. Within the
7 specification, the patent defines a carrier may
8 refer to a range of frequencies used for
9 communication. So it's showing each of these
10 carriers has a bandwidth.

11 Q. What do you mean by two 20-megahertz
12 carriers increases bandwidth? Increases it
13 from what?

14 MR. FERNANDEZ: Objection to form.

15 A. If one were receiving data on only
16 one carrier, the bandwidth in this example,
17 just as illustrative example in Figure 10, the
18 bandwidth of each carrier is shown as
19 20 megahertz. So operation on one carrier
20 would give you 20 megahertz of bandwidth.
21 Operating on two would give you 40 megahertz of
22 bandwidth.

23 Q. So two is an increase over one?

24 A. Yes.

25 Q. In Paragraph 83 of INTEL 1302, in

1 P. FAY, PH.D.

2 the last sentence you stated: Lee uses
3 multiple carriers to send different data, not
4 redundant data.

5 What is the basis for your opinion
6 that Lee discloses sending different data, not
7 redundant data?

8 A. That, what you quoted is an excerpt
9 from the last sentence on Paragraph 83. Lee
10 discloses simultaneous operation of a Wi-Fi
11 connection and a Bluetooth connection in the
12 same receiver and does not in any way suggest
13 that the data transmitted by the -- on the
14 Wi-Fi signal is related to the data transmitted
15 on the Bluetooth signal. That's very different
16 from Hirose, which is the part of the sentence
17 you omitted, where it was explicitly indicated
18 that the data on multiple carriers was
19 redundant.

20 Q. You said Lee does not in any way
21 suggest that the data transmitted on a Wi-Fi
22 signal is related to the data transmitted on a
23 Bluetooth signal, correct?

24 A. I'm not sure if that's exactly what
25 I said or not.

1 P. FAY, PH.D.

2 Q. Is that correct with what you were
3 intending to say?

4 MR. FERNANDEZ: Objection. Form.

5 A. Perhaps "related" maybe is not quite
6 the right word I should use. There is no
7 indication in Lee that the data transmitted by
8 the Wi-Fi signal and the data transmitted by
9 the Bluetooth signal are redundant.

10 Q. Is there any indication in Lee that
11 the data transmitted by the Wi-Fi signal and
12 the data transmitted by the Bluetooth signal
13 are different?

14 A. Lee does not expressly disclose
15 that. But a person of ordinary skill in the
16 art would understand that they would almost
17 certainly have to be different. Performance
18 characteristics of Wi-Fi and Bluetooth are so
19 different that it would be -- it wouldn't make
20 sense to try to send the redundant data that
21 way.

22 Q. In that same paragraph, Paragraph 83
23 of INTEL 1302, about the fifth line down, you
24 said, and I quote: Receiving data on two or
25 more carriers carrying non-redundant data

1 P. FAY, PH.D.

2 simultaneously increases the data rate to the
3 sum of the two carriers' data rates.

4 Did I read that correctly?

5 A. Yes, you did.

6 Q. What is the basis for your
7 statement?

8 MR. FERNANDEZ: Objection to form.

9 Q. What is the basis for that
10 statement?

11 A. This statement is just a statement
12 of how -- this is just a statement about how
13 data rates work when you have more than one
14 data stream at a time. There's an illustrative
15 example in the next sentence that perhaps may
16 help. For example, if the first carrier
17 transmits data at 50 megabits per second and
18 the second carrier transmits data at 25
19 megabits per second, transmitting data over
20 both of those carriers at the same time
21 increases the aggregated data rate to 75
22 megabits per second.

23 Q. So in that example, the data rate of
24 the first carrier is 50 megabits per second?

25 A. The data rate associated with the

1 P. FAY, PH.D.

2 first carrier's transmission, yes.

3 Q. And the data rate associated with
4 the second carrier's transmission is 25
5 megabits per second?

6 A. In this illustrative example, yes.

7 Q. In that example, it's your opinion
8 that the aggregated data rate associated with
9 those transmissions is 75 megabits per second,
10 correct?

11 A. Yes, that's right.

12 Q. Does that assume that the two
13 carriers are sending non-redundant data?

14 MR. FERNANDEZ: Objection. Form.

15 A. Yes, it does.

16 Q. So let's change your example. So
17 let's assume in your example that the second
18 carrier, excuse me, is transmitting redundant
19 data. So we have a data rate of a first
20 carrier, 50 megabits per second.

21 Are you with me?

22 A. Yes.

23 Q. We have a data rate of a second
24 carrier of 25 megabits per second, and it's
25 sending redundant data.

1 P. FAY, PH.D.

2 Are you with me?

3 A. Yes.

4 Q. What is the aggregated data rate in
5 that example?

6 A. That example is a little bit
7 difficult because the redundancy is not
8 entirely well defined. If the redundancy is
9 intended to be a hundred percent redundant,
10 then the data rate of the overall channel has
11 to be the smaller of the two.

12 Q. So in my hypothetical, if the
13 redundancy was a hundred percent, the
14 aggregated data rate would be 50 megabits per
15 second?

16 A. No. In your example, your second
17 channel was transmitting at 25 megabits per
18 second. If the same amount of data is in that
19 stream as was in the first carrier, then the --
20 and they are fully redundant, then the data
21 rate going into the wireless device under that
22 hypothetical scenario is 25 megabits per
23 second.

24 Q. So in your opinion, an aggregated
25 data rate is dependent on the type of data that

1 P. FAY, PH.D.

2 is being transmitted over the two carriers?

3 MR. FERNANDEZ: Object to the form.

4 A. In my opinion -- I'm sorry.

5 Actually I need you to say the question again
6 to make sure I got it.

7 Q. In your opinion, an aggregated data
8 rate is dependent on the type of data that is
9 being transmitted over the two carriers?

10 MR. FERNANDEZ: Same objection.

11 A. I think type of data is too vague
12 and doesn't capture my opinion.

13 Q. In your opinion -- let me try again.

14 In your opinion, an aggregated data
15 rate is dependent on whether the data being
16 transmitted over the two carriers is redundant
17 or not redundant?

18 MR. FERNANDEZ: Object to the form.

19 A. In my opinion, redundancy of the
20 data is one factor that might -- that might
21 influence the aggregated data rate.

22 Q. You said "might influence." Does it
23 definitely influence it? If redundant data is
24 being transmitted, is an aggregated data rate
25 over two carriers different than when not --

1 P. FAY, PH.D.

2 sorry. Let me start over.

3 Is an aggregated data rate dependent
4 on whether different data or redundant data is
5 being transmitted over the multiple carriers?

6 MR. FERNANDEZ: Objection to form.

7 A. I'm not sure what you mean by
8 "different data."

9 Q. So in Paragraph 83, for example, you
10 refer to Lee as sending different data, not
11 redundant data. Do you have an understanding
12 of what different data and redundant mean in
13 that context?

14 A. In this context, yes, I do.

15 Q. And what is your understanding of
16 different data and redundant data in that
17 context?

18 A. In that particular sentence that
19 we're pointed at now in Paragraph 83, I use
20 different data simply to mean not redundant
21 data.

22 Q. Okay. And so now back to our
23 discussion of aggregated data rates. In your
24 opinion, is an aggregated data rate dependent
25 on whether different data or redundant data is

1 P. FAY, PH.D.

2 being transmitted over the two carriers?

3 MR. FERNANDEZ: Objection to form.

4 A. I haven't offered an opinion on
5 that. And I would need to think about it a
6 little bit more because there are a number of
7 other things that might also factor into it,
8 and I want to be accurate.

9 Q. So in Paragraph 83 in your example,
10 back to your example, where you had two
11 carriers transmitting at 50 and 25, and you
12 concluded that they produced an aggregated data
13 rate of 75 megabits per second, are you saying
14 that that opinion would not hold in the event
15 that those carriers were transmitting redundant
16 data?

17 MR. FERNANDEZ: Objection. Form.

18 A. One would have to -- the details
19 matter. Under some conditions, that simple
20 addition that I describe would not necessarily
21 hold up if the data as carried by the first
22 carrier and carried by the second carrier were
23 redundant in some way.

24 I note, though, that in the sentence
25 right before that example, I explicitly mention

1 P. FAY, PH.D.

2 that those carriers carry non-redundant data.

3 Q. You would agree that a large data
4 file, for example, could be transmitted more
5 quickly using two aggregated carriers than just
6 one carrier, correct?

7 MR. FERNANDEZ: Objection. Form.

8 A. In a hypothetical -- under some
9 circumstances, wireless transmission of a large
10 file simultaneously over multiple carriers
11 could proceed more quickly than if one used a
12 single carrier.

13 Q. And why would the transmission of a
14 large file proceed more quickly over multiple
15 carriers than if just one was used?

16 A. Could you repeat your question for
17 me?

18 Q. Why would the transmission of a
19 large file proceed more quickly over multiple
20 carriers than if just a single carrier was
21 used?

22 A. Perhaps Paragraph 40 of my
23 declaration, this is in Exhibit INTEL 1302,
24 would help. In Paragraph 40, I note that
25 increasing download speeds is one of many uses

1 P. FAY, PH.D.

2 of carrier aggregation.

3 Q. So how -- is that your answer?

4 A. Yes, the increased download speed is
5 one of many uses of carrier aggregation.

6 Q. How does the simultaneous operation
7 on multiple carriers increase the download
8 speed?

9 A. Just as in the example that we were
10 discussing earlier at Paragraph 83, by using
11 two carriers, you increase the data rate -- at
12 least in the use case we're talking about for
13 downloads, increasing download speed, you
14 increase the data rate to the sum of the data
15 rates associated with each carrier.

16 Q. So for the use case we're talking
17 about, a large data file, part of the data file
18 would be sent down one carrier and part of the
19 data file would be sent down the other carrier;
20 is that correct?

21 A. That's one of a number of
22 possibilities.

23 Q. What are other possibilities?

24 MR. FERNANDEZ: Objection to form.

25 A. One other possibility would be that,

1 P. FAY, PH.D.

2 for example, every other word could be sent on
3 the different carriers or, I mean, there's a
4 bunch of ways that you could imagine, imagine
5 how that might work.

6 Q. But whether it's one word
7 alternating or multiple words alternating or
8 however that happened, part of that data that
9 was at the transmitter would go down one
10 carrier and another part of that data would go
11 down another carrier?

12 MR. FERNANDEZ: Objection. Form.

13 A. That's one way. There are other
14 ways where the data gets more intertwined.

15 Q. I don't understand.

16 A. I'm trying to think how I can
17 explain it.

18 Q. Can you explain it in the context of
19 your example of 50 megabits on one carrier and
20 25 on another?

21 A. Yes. Well, from the standpoint of
22 just adding up the data rates, I have a
23 larger -- it's equivalent to an analogy that
24 maybe people use is a pipe and I can put data
25 in the pipe. And if the pipe is bigger, I can

1 P. FAY, PH.D.

2 put more data through it, you know, in a given
3 unit of time.

4 Q. So the two carrier -- so the two
5 carriers provide a pipe, a bigger pipe from the
6 transmitter to the receiver?

7 MR. FERNANDEZ: Objection. Form.

8 A. This is a very crude simplistic
9 analogy, but it just gets at the summation of
10 data rates that that example in Paragraph 83
11 describes.

12 Q. Carrier aggregation provides a
13 combined higher bandwidth channel for
14 communication, right?

15 MR. FERNANDEZ: Objection. Form.

16 A. That is one of several use cases for
17 carrier aggregation.

18 Q. In carrier aggregation, multiple
19 carriers are combined?

20 MR. FERNANDEZ: Objection. Form.

21 A. I don't know the question.

22 Q. Do you agree that in carrier
23 aggregation, multiple carriers are combined?

24 MR. FERNANDEZ: Objection. Form.

25 Q. Do you agree that carrier

1 P. FAY, PH.D.

2 aggregation means that multiple carriers are
3 combined?

4 MR. FERNANDEZ: Objection. Form.

5 A. No, I do not. No, I do not.

6 Q. And what do you disagree with in
7 that statement?

8 A. As defined in the '356 Patent and
9 consistent with the understanding of a person
10 of ordinary skill in the art, carrier
11 aggregation is simultaneous operation on
12 multiple carriers. It doesn't imply any
13 particular combination or specific use case.

14 Q. Do you agree that combining those
15 multiple carriers and aggregations is how
16 download speeds are increased?

17 MR. FERNANDEZ: Objection. Form.

18 A. That's -- the carriers are never
19 combined.

20 Q. What's combined?

21 MR. FERNANDEZ: Objection. Form.

22 A. In the case -- in the use case of
23 increased download speeds, the data is
24 combined.

25 Q. In the use case of increased

1 P. FAY, PH.D.

2 download speeds, where is the data combined?

3 MR. FERNANDEZ: Objection. Form.

4 A. My understanding is that the data is
5 combined in the baseband processor after the RF
6 channel.

7 Q. And to clarify that further, the
8 data that is combined is the data from the two
9 carriers?

10 MR. FERNANDEZ: Objection. Form.

11 A. In the specific case of using
12 carrier aggregation to increase download speeds
13 where a single file is transmitted, that data
14 is -- the data received on each carrier is used
15 to reconstruct the file much further down in
16 the signal processing chain than the RF signal
17 path.

18 Q. Would you agree that carrier
19 aggregation acts to combine carriers as a
20 single virtual channel?

21 MR. FERNANDEZ: Object to form.

22 A. No, I wouldn't agree with that
23 statement. That does not embody the multiple
24 use cases for carrier aggregation.

25 Q. And why don't you agree with that

1 P. FAY, PH.D.

2 statement?

3 A. In my declaration of INTEL 1302, in
4 Paragraph 40, I delineate a number of other use
5 cases for carrier aggregation that are known by
6 people of state-of-the-art -- persons of
7 ordinary skill in the art that -- for which
8 that characterization would be inaccurate or
9 incomplete.

10 Q. Would you agree that carrier
11 aggregation serves to provide a higher
12 bandwidth channel?

13 MR. FERNANDEZ: Objection. Form.

14 A. That is one of the use cases for
15 carrier aggregation, but it does not capture
16 the full meaning of carrier aggregation.

17 Would it be possible to take a short
18 break?

19 MR. RITCHIE: Absolutely.

20 Absolutely.

21 (A short break was taken.)

22 (Qualcomm Exhibit 2013 marked
23 for identification.)

24 Q. Handing you what has been marked as
25 Exhibit Qualcomm 2013, Page 1 identifies

1 P. FAY, PH.D.

2 Qualcomm 2013 as U.S. Patent 9,161,254. Do you
3 see that?

4 A. Yes, I see that.

5 Q. And you can take a moment to get
6 familiar with this, if you like. But I want to
7 direct your attention specifically to a passage
8 in column 3 of the specification.

9 MR. FERNANDEZ: Objection.

10 Relevance.

11 Q. Just tell me when you're ready.

12 A. Where was the reference you wanted
13 me to look at?

14 Q. I'll read it to you. It starts at
15 Line 19.

16 A. I'm not sure what column you're on.

17 Q. I'm sorry, column 3.

18 A. I need to catch up, though.

19 Q. Okay.

20 (Witness reading document.)

21 A. So I've scanned this. It's quite
22 detailed. If you have a question, I'll try to
23 answer it.

24 Q. So at column 3, Line 19, let me know
25 when you're there.

1 P. FAY, PH.D.

2 A. Yes, I'm there.

3 Q. And at column 3, beginning at
4 Line 19, Qualcomm -- Exhibit Qualcomm 2013
5 states, and I'll read: One technique for
6 providing additional bandwidth capacity to
7 wireless devices is through the use carrier
8 aggregation of multiple smaller bandwidths to
9 form a virtual wide band channel at a wireless
10 device, parentheses, e.g., UE, end parentheses.

11 Did I read that sentence correctly?

12 MR. FERNANDEZ: Objection.

13 Relevance.

14 Counsel, I'd like to establish a
15 standing relevance objection to any
16 questions about Qualcomm Exhibit 2013.

17 MR. RITCHIE: Noted.

18 A. You read that sentence correctly.

19 Q. Do you agree with that sentence?

20 A. There's a grammar error which
21 impairs full understanding of what they mean.
22 It muddles it a bit.

23 Q. So do you not agree with that
24 statement?

25 A. The fact that it's grammatically

1 P. FAY, PH.D.

2 broken makes it difficult for me to say if I
3 agree or don't agree.

4 Q. So if we were to read that as -- and
5 insert the word "of" between use and carrier
6 aggregation, so if I read that sentence as:
7 One technique for providing additional
8 bandwidth capacity to wireless devices is
9 through the use of carrier aggregation of
10 multiple smaller bandwidths to form a virtual
11 wideband channel at a wireless device,
12 parentheses, e.g., UE, close parentheses, would
13 you agree with that statement?

14 A. That -- as I understand it, that
15 statement outlines one possible use case for
16 carrier aggregation.

17 Q. And so you agree that is a use case
18 for carrier aggregation?

19 A. Providing -- that sentence indicates
20 that providing additional bandwidth capacity to
21 wireless devices is one use case of carrier
22 aggregation.

23 Q. And you --

24 A. And that is consistent with my
25 understanding of one of the use cases for

1 P. FAY, PH.D.

2 carrier aggregation.

3 Q. And you read part of the sentence.
4 But just to be clear, I'm asking you if you
5 agree with the full sentence that it's through
6 the use of carrier aggregation of multiple
7 smaller bandwidths to form a virtual wideband
8 channel of wireless device.

9 Do you agree with that?

10 MR. FERNANDEZ: Objection to form.

11 A. The sentence as you corrected it for
12 grammar and clarity provides us with one
13 possible way in which carrier aggregation could
14 be used in a wireless device.

15 Q. And I'll go onto the next sentence,
16 and I'll read. It says: In carrier
17 aggregation, parentheses, CA, close
18 parentheses, multiple component carriers,
19 parentheses, CC, close parentheses, can be
20 aggregated and jointly used for transmission
21 to/from a single terminal.

22 Did I read that correctly?

23 A. You did read that sentence
24 correctly.

25 Q. And do you agree with that

1 P. FAY, PH.D.

2 statement?

3 A. This statement outlines one possible
4 way in which carrier aggregation can be used
5 for a wireless device.

6 Q. I'm going to go on to the next
7 sentence: Carriers can be signals in permitted
8 frequency domains onto which information is
9 placed.

10 Did I read that sentence correctly?

11 A. You did read that sentence
12 correctly.

13 Q. And do you agree with that
14 statement?

15 A. That use of "carrier" is somewhat
16 different than the use of carrier in -- well,
17 hmm. The use of "carriers" in this sentence
18 seems to be somewhat different than the use in,
19 for example, the '356 Patent. I understand
20 what they mean, but they're defining their
21 terms in a little different way.

22 Q. What do you understand the sentence
23 "Carriers can be signals and permitted
24 frequency domains onto which information is
25 placed" to mean?

1 P. FAY, PH.D.

2 A. That carriers are signals occupying
3 frequency ranges that contain information -- in
4 which information is placed is -- it's a little
5 bit puzzling exactly why they phrased it that
6 way. It's a bit unclear.

7 Q. Would you have said which
8 information is carried? Is the word "placed"
9 bothering you?

10 MR. FERNANDEZ: Object to form.

11 A. It's not -- "placed" isn't the
12 issue. The carrier signal, the structure --
13 their use of -- their use of "signal" to
14 characterize carrier is not -- is not entirely
15 consistent with the way we used it in other
16 contexts here in our deposition today.

17 Q. Is there a way that you could
18 reframe that sentence to make it consistent to
19 be a sentence that you would agree with?

20 MR. FERNANDEZ: Objection. Form.

21 A. I think the -- I think that the
22 definition provided in the '356 Patent of
23 carrier is more helpful in that it indicates
24 that a carrier is a range of frequencies and,
25 yeah, that's the -- I think that's a clearer

1 P. FAY, PH.D.

2 statement.

3 Q. Okay. Let me go to the next
4 sentence. Qualcomm 2013, beginning at Line 26
5 states: The amount of information that can be
6 placed on a carrier can be determined by the
7 aggregated carrier's bandwidth in the frequency
8 domain.

9 Did I read that correctly?

10 A. You did read that correctly.

11 Q. And do you agree with that sentence?

12 A. No, I don't agree with that
13 sentence.

14 Q. Why don't you agree with that
15 sentence?

16 A. The sentence tells us about a
17 single -- how much data can be placed on a
18 single carrier, and then goes on to talk about
19 an aggregated carrier's bandwidth. That's --
20 an aggregated carrier is more than one carrier.

21 Q. What determines the amount of
22 information that can be placed on a single
23 carrier?

24 MR. FERNANDEZ: Objection. Form.

25 A. There are many factors that enter

1 P. FAY, PH.D.

2 into how much information can be carried by a
3 single carrier, not just one factor.

4 Q. Is bandwidth one factor that
5 determines the amount of information that can
6 be placed on a single carrier?

7 A. Bandwidth is one of the factors that
8 control how much information can be carried by
9 a single carrier.

10 Q. The sentence refers to an aggregated
11 carrier. What do you understand an aggregated
12 carrier to mean?

13 MR. FERNANDEZ: Objection. Form.

14 A. In that sentence, it isn't clear to
15 me what they mean by aggregated carrier.

16 Q. If we look two sentences above that,
17 the sentence says that multiple component
18 carriers can be aggregated. So do you
19 understand -- with that in mind, do you
20 understand aggregated carrier to refer to
21 multiple component carriers?

22 MR. FERNANDEZ: Objection. Form.

23 A. Could you say your question again
24 for me, please?

25 Q. In the context of that paragraph

1 P. FAY, PH.D.

2 that states that multiple component carriers
3 can be aggregated, do you understand the term
4 aggregated carrier to refer to multiple
5 component carriers?

6 MR. FERNANDEZ: Objection. Form.

7 A. In this context, it isn't clear --
8 it isn't entirely clear to me if they mean a
9 single carrier that is among those that have
10 been aggregated or if they are talking about a
11 combination of them.

12 Q. I'm not sure I understood your
13 answer. I'm sorry.

14 So were you talking about -- the
15 sentence uses the words carrier, a carrier, and
16 the sentence uses the word aggregated carrier?

17 A. Yes, I was referring to the phrase
18 aggregated carrier in this sentence in this
19 context. At least as I'm reading this document
20 for the first time, it's not entirely clear to
21 me if they mean a single carrier that has been
22 aggregated or if they are referring to multiple
23 carriers that have been aggregated.

24 Q. Would it be fair to say that
25 aggregated carriers refers to one or more

1 P. FAY, PH.D.

2 carriers?

3 MR. FERNANDEZ: Object to the form.

4 A. They have an apostrophe in carriers
5 there which I'm not sure how best to interpret.

6 Q. So you said before you don't agree
7 with that sentence, and you want to stay with
8 that answer, right? Is that correct, you don't
9 agree with that sentence?

10 MR. FERNANDEZ: Objection. Form.

11 A. Can you read back to me exactly what
12 I did say because I have to admit, I don't
13 remember exactly what I said.

14 Q. You -- I said, Did you agree with
15 that sentence? You said, No, I don't agree
16 with that sentence. And then I said, Why? Do
17 you want me to go on?

18 A. Yes, please.

19 Q. You know what? I will just show you
20 right there. That's what you said right there,
21 if you want to clarify what you said.

22 A. Thank you.

23 MR. FERNANDEZ: And I'm sorry,
24 Counsel. Is there a question pending?

25 MR. RITCHIE: The question is, I was

1 P. FAY, PH.D.

2 just wanting to check again with Dr. Fay, I
3 had asked him if he had agreed with the
4 sentence that begins at Line 26 of column
5 3, and he said no. And I wanted to ask him
6 to confirm that he does not agree with that
7 sentence.

8 A. Yeah, I think that sentence is
9 inaccurate.

10 Q. Let's move down in column 3 of
11 Exhibit Qualcomm 2013 to Line 45. And the
12 sentence there states: Carrier aggregation,
13 parentheses, CA, enables multiple carrier
14 signals to be simultaneously communicated
15 between a user's wireless device and a node.
16 Did I read that sentence correctly?

17 A. Yes, you read that sentence
18 correctly.

19 Q. Do you agree with that sentence?

20 A. That sentence describes one way in
21 which carrier aggregation can be used.

22 Q. But you agree with that?

23 A. I agree that that sentence discloses
24 one way in which carrier aggregation can be
25 used.

1 P. FAY, PH.D.

2 Q. And I'm going to skip the next
3 sentence, and I'm going to go to Line 49. At
4 column 3, Line 49, Exhibit Qualcomm 2013
5 states: Carrier aggregation provides a broader
6 choice to the wireless device enabling more
7 bandwidth to be obtained.

8 Did I read that sentence correctly?

9 MR. FERNANDEZ: Objection to form.

10 A. No, you did not.

11 Q. Let me try again.

12 I'm at column 3, Line 49 of Exhibit
13 Qualcomm 2013, and the sentence there begins:
14 Carrier aggregation provides a broader choice
15 to the wireless devices enabling more bandwidth
16 to be obtained.

17 Did I read that correctly?

18 A. Yes, you did.

19 Q. Do you agree with that sentence?

20 A. That sentence describes one way in
21 which carrier aggregation can be used.

22 Q. And you agree with that?

23 A. That sentence discloses one way in
24 which carrier aggregation can be -- can be
25 used.

1 P. FAY, PH.D.

2 Q. And I'll go to the next sentence
3 that begins at Line 51 of column 3 in Exhibit
4 Qualcomm 2013, which states: The greater
5 bandwidth can be used to communicate
6 bandwidth-intensive operations such as
7 streaming video or communicating large data
8 files.

9 Did I read that correctly?

10 A. Yes, you read that correctly.

11 Q. And do you agree with that
12 statement?

13 A. That statement provides sort of an
14 end user perspective on this use case for
15 carrier aggregation that we have been
16 discussing up to now.

17 Q. And you agree with that?

18 A. It describes one way in which
19 carrier aggregation can be used.

20 Q. Dr. Fay, I asked you a number of
21 questions today. Did you provide complete and
22 truthful responses to each of my questions?

23 A. Yes, I did.

24 Q. Is there anything further that you
25 would like to clarify or correct any of your

1 P. FAY, PH.D.

2 responses?

3 A. Not that I can think of.

4 Q. During the breaks today, did you
5 have any discussions with Intel's counsel about
6 the substance of your declarations or your
7 testimony today?

8 A. No, I did not.

9 MR. RITCHIE: Subject to any
10 redirect from counsel, I have no further
11 questions.

12 MR. FERNANDEZ: I have no further
13 questions.

14 MR. RITCHIE: We're done. We're off
15 the record.

16 (Witness excused, 1:49.)

17
18 _____
19 PATRICK FAY, PH.D.

20
21 Subscribed and sworn to before me
22 this _____ day of _____ 2019.

23
24 _____
25 Notary Public

P. FAY, PH.D.

C E R T I F I C A T E

STATE OF ILLINOIS)

) ss.:

COUNTY OF COOK)

I, RACHEL F. GARD, CSR, RPR, CLR, CRR,
within and for the State of Illinois do hereby
certify:

That PATRICK FAY, PH.D., the witness whose
deposition is hereinbefore set forth, was
duly sworn by me and that such deposition
is a true record of the testimony given by
such witness.

I further certify that I am not
related to any of the parties to this
action by blood or marriage; and that I am
in no way interested in the outcome of this
matter.

IN WITNESS WHEREOF, I have hereunto
set my hand this 9th day of August, 2019.

Rachel F. Gard

RACHEL F. GARD, CSR, RPR, CLR, CRR

P. FAY, PH.D.

NAME OF CASE:

DATE OF DEPOSITION:

NAME OF WITNESS:

Reason Codes:

1. To clarify the record.

2. To conform to the facts.

3. To correct transcription errors.

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____

Page _____ Line _____ Reason _____

From _____ to _____