

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD

4
5 SZ DJI TECHNOLOGY CO., LTD.,

6 Petitioner,

7 v.

8 AUTEL ROBOTICS USA LLC,

9 Patent Owner.

10 Alfred Ducharme
July 13, 2020

11 Case IPR2019-00846

12 Patent 9,260,184

13 The discovery teleconference

14 deposition of ALFRED DUCHARME, called by the

15 Patent Owner, for examination, pursuant to

16 U.S. LEGAL SUPPORT
www.uslegalsupport.com

17 notice, taken remotely before LAURA MUKAHIRN,

18 CSR, RPR, CRR, within and for the County of Cook

19 and State of Illinois, on July 13, 2020,

20 scheduled to commence at 8:00 o'clock a.m. CST.

<p>Page 2</p> <p>1 A P P E A R A N C E S:</p> <p>2 KING & SPALDING, LLP</p> <p>3 BY: MR. STEVEN PETERS</p> <p>4 speters@kslaw.com</p> <p>5 1700 Pennsylvania Avenue NW</p> <p>6 2nd Floor</p> <p>7 Washington, D.C. 20006</p> <p>8 (202)737-0500</p> <p>9 Appeared on behalf of the Petitioner;</p> <p>10</p> <p>11 STEPTOE & JOHNSON LLP</p> <p>12 BY: MR. JOHN L. ABRAMIC</p> <p>13 jabramic@steptoe.com</p> <p>14 227 West Monroe Street</p> <p>15 Suite 4700</p> <p>16 Chicago, Illinois 60606</p> <p>17 (312)577-1300</p> <p>18 Appeared on behalf of the Patent Owner.</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>Alfred Ducharme July 13, 2020</p> <p>U.S. LEGAL SUPPORT www.uslegalsupport.com</p>	<p>Page 4</p> <p>1 THE COURT REPORTER: The attorneys</p> <p>2 participating in this deposition acknowledge</p> <p>3 that I am not physically present in the</p> <p>4 deposition room and that I will be reporting</p> <p>5 this deposition remotely. They further</p> <p>6 acknowledge that, in lieu of an oath</p> <p>7 administered in person, the witness will</p> <p>8 verbally declare his testimony in this matter is</p> <p>9 under penalty of perjury. The parties and their</p> <p>10 counsel consent to this arrangement and waive</p> <p>11 any objections to this manner of reporting.</p> <p>12 Please indicate your agreement by stating your</p> <p>13 name and your agreement on the record.</p> <p>14 MR. ABRAMIC: This is John Abramic on behalf</p> <p>15 of patent owner. I agree.</p> <p>16 MR. PETERS: Steven Peters on behalf of</p> <p>17 petitioner, I agree.</p> <p>18 (Witness sworn.)</p> <p>19 DR. ALFRED DUCHARME,</p> <p>20 called as a witness herein, having been first</p> <p>21 duly sworn, was examined and testified as</p> <p>22 follows:</p> <p>23 Examination</p> <p>24 By Mr. Abramic</p>
<p>Page 3</p> <p>1 I N D E X</p> <p>2 Examinations Page</p> <p>3 Examination 4</p> <p>4 By Mr. Abramic</p> <p>5 Examination 56</p> <p>6 By Mr. Peters</p> <p>7 Re-Examination 59</p> <p>8 By Mr. Abramic</p> <p>9</p> <p>10 E X H I B I T S</p> <p>11 No. Page</p> <p>12 Exhibit No. 1003 7</p> <p>13 Exhibit No. 0128 8</p> <p>14 Exhibit No. 1030 9</p> <p>15 Exhibit No. 1006 11</p> <p>16 Exhibit No. 2010 45</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p>	<p>Page 5</p> <p>1 Q. Good morning, Dr. Ducharme.</p> <p>2 A. Good morning.</p> <p>3 Q. Could you please state and spell your</p> <p>4 name for the record?</p> <p>5 A. Sure. It's -- First and last?</p> <p>6 Q. Yes, please.</p> <p>7 A. Alfred, A-L-F-R E-D; Ducharme,</p> <p>8 D-U-C-H-A-R-M-E.</p> <p>9 Q. Thank you. And have you been deposed</p> <p>10 before, Dr. Ducharme?</p> <p>11 A. I have.</p> <p>12 Q. Okay. So I'll spare you the ground</p> <p>13 rules. The only thing I'll ask is if you don't</p> <p>14 understand a question, just let me know, and I</p> <p>15 will try my best to rephrase so we have a mutual</p> <p>16 understanding. Is that all right?</p> <p>17 A. Okay.</p> <p>18 Q. If you need a break, just let me know.</p> <p>19 This is not going to be an endurance contest.</p> <p>20 A. Okay.</p> <p>21 Q. And it's my understanding that you have</p> <p>22 submitted three declarations in this proceeding.</p> <p>23 Does that sound correct to you?</p> <p>24 A. Yes.</p>

<p style="text-align: right;">Page 6</p> <p>1 Q. I am going to try to give you access to</p> <p>2 those three declarations right now.</p> <p>3 A. Okay.</p> <p>4 Q. And Steven did this successfully last</p> <p>5 time, so the pressure is on me.</p> <p>6 So your first declaration should have</p> <p>7 popped up Exhibit 1003. Did you receive that?</p> <p>8 A. Yes. I'm just going to take a moment</p> <p>9 to make a folder.</p> <p>10 Q. Sure. No problem.</p> <p>11 A. Okay.</p> <p>12 Q. And your next two also should have been</p> <p>13 sent over. The second one is Exhibit 1028 and</p> <p>14 the third is Exhibit 1030.</p> <p>15 (Whereupon, Dr. Ducharme</p> <p>16 Deposition Exhibit Nos. 1003,</p> <p>17 1028, and 1030 were remotely</p> <p>18 introduced and provided</p> <p>19 electronically to the <small>Alfred Ducharme</small></p> <p>20 reporter.) <small>July 13, 2020</small></p> <p>21 THE WITNESS: I knew I should have grabbed a</p> <p>22 second monitor. I can do that in a little</p> <p>23 while.</p> <p>24</p>	<p style="text-align: right;">Page 8</p> <p>1 point is confirm that this document, indeed, is</p> <p>2 your first declaration. And you can look at as</p> <p>3 much of it as you want. You may want to open</p> <p>4 the version that I sent you. But for your</p> <p>5 convenience, I'll pull it down to the final page</p> <p>6 on the screen here.</p> <p>7 For some reason it looks like -- there we</p> <p>8 go. The screen share doesn't appear to be</p> <p>9 working all that well. Would you mind opening</p> <p>10 Exhibit 1003 that I sent to you?</p> <p>11 A. I opened Exhibit 1003. I'm looking</p> <p>12 through it now. It does appear to be my first</p> <p>13 declaration.</p> <p>14 Q. Okay. Thank you. And then on Page 80,</p> <p>15 that's your signature; is that right?</p> <p>16 A. Yes.</p> <p>17 Q. Okay. And then let's do the same</p> <p>18 exercise with the next two declarations. First</p> <p>19 we'll start with Exhibit 0128.</p> <p>20 A. Yeah. For some reason I'm just having</p> <p>21 a little trouble with the files. Hold on. I'm</p> <p>22 opening 1028 now. I'm looking at it, looking</p> <p>23 through very briefly, just going through it to</p> <p>24 refresh my recollection of that particular</p>
<p style="text-align: right;">Page 7</p> <p>1 BY MR. ABRAMIC:</p> <p>2 Q. Well, I can share the screen here to</p> <p>3 have it pop up on the screen. Just let me know</p> <p>4 when you've received those. The main reason I'm</p> <p>5 doing this is to make sure the court reporter</p> <p>6 and Steve have access to them. <small>U.S. Legal Support</small></p> <p>7 A. Yes. I have received them and</p> <p>8 downloaded them, and I'm ready to open them if</p> <p>9 need be.</p> <p>10 Q. Okay. I'm going to start with your</p> <p>11 first declaration, which is Exhibit 1003, and</p> <p>12 I'm going to pull it up here on the screen, I</p> <p>13 believe.</p> <p>14 A. And I can see it, just to let you know.</p> <p>15 Q. Okay. Thank you. So we're looking at</p> <p>16 the first page of Exhibit 1003. Do you see</p> <p>17 that, Dr. Ducharme?</p> <p>18 A. I do.</p> <p>19 Q. And does that appear to be the first</p> <p>20 page of your first declaration that you</p> <p>21 submitted in this proceeding?</p> <p>22 A. It does appear to be the first</p> <p>23 declaration.</p> <p>24 Q. Okay. And all I want you to do at this</p>	<p style="text-align: right;">Page 9</p> <p>1 declaration.</p> <p>2 Q. Sure. Take your time.</p> <p>3 A. Yes. I believe Exhibit 1028 that you</p> <p>4 delivered to me is my second declaration.</p> <p>5 Q. And that's your signature on Page 31 of</p> <p>6 that document?</p> <p>7 A. It is.</p> <p>8 Q. Okay. Thank you. And let's do the</p> <p>9 same thing with Exhibit 1030.</p> <p>10 A. Okay. I have opened Exhibit 1030 that</p> <p>11 you delivered to me. Yes. And I believe that</p> <p>12 is my declaration. Exhibit 1030 is my third</p> <p>13 declaration.</p> <p>14 Q. Thank you. And then your signature is</p> <p>15 on Page 23 of that document?</p> <p>16 A. That's correct.</p> <p>17 Q. Okay. Thank you. And I would like to</p> <p>18 start with some questions about Exhibit 1003.</p> <p>19 So if you could go to that document and turn to</p> <p>20 Paragraph 64.</p> <p>21 A. I'm at Paragraph 64 of Exhibit 1003.</p> <p>22 Can I pause for a moment? I want to grab a</p> <p>23 mouse because scrolling is -- I realize I'm</p> <p>24 going to need to do that a lot.</p>

<p style="text-align: right;">Page 10</p> <p>1 Q. Absolutely.</p> <p>2 A. Can we hold just for one moment?</p> <p>3 Oh, you probably heard that. I let my</p> <p>4 wife know that the -- I thought it was going</p> <p>5 great. And I need a piece of paper.</p> <p>6 Okay. I'm at Paragraph 64.</p> <p>7 Q. Thank you. And if you take a look at</p> <p>8 Paragraph 64, would you agree that in this</p> <p>9 paragraph you are describing conventional</p> <p>10 threaded screw mechanisms for attaching UAV</p> <p>11 rotor blades?</p> <p>12 MR. PETERS: Object to form.</p> <p>13 THE WITNESS: Taking that paragraph alone, I</p> <p>14 agree it's describing typical threaded screws.</p> <p>15 There is nothing in that paragraph about UAVs.</p> <p>16 BY MR. ABRAMIC:</p> <p>17 Q. Okay. But there are -- It's your</p> <p>18 understanding that UAVs in the prior art have</p> <p>19 used conventional threaded screw-type connection</p> <p>20 mechanisms for connecting rotor blades, correct?</p> <p>21 A. I'm sorry. Can you repeat the</p> <p>22 question?</p> <p>23 Q. Sure. You're familiar with the fact</p> <p>24 that UAVs, some UAVs, use conventional threaded</p>	<p style="text-align: right;">Page 12</p> <p>1 BY MR. ABRAMIC:</p> <p>2 Q. Sure. Well, why don't I first</p> <p>3 establish that this is the actual Sasaki</p> <p>4 reference that you're familiar with. So take a</p> <p>5 look at Exhibit 1006. And it's my understanding</p> <p>6 that this document is an English translation of</p> <p>7 the Sasaki reference upon which you have based</p> <p>8 your opinions. Could you please take a look at</p> <p>9 that document and confirm that that is, indeed,</p> <p>10 the translation of the Sasaki reference?</p> <p>11 A. Yes. This -- What you delivered to me</p> <p>12 is -- Exhibit 1006 is the reference that I used</p> <p>13 in my declaration, the one that I'm familiar</p> <p>14 with.</p> <p>15 Q. Thank you. So the question I have is</p> <p>16 whether or not Sasaki discloses a quad rotor</p> <p>17 that utilized a conventional threaded screw</p> <p>18 mechanism for attaching rotor blades?</p> <p>19 A. Yes.</p> <p>20 Q. And if we go back to Paragraph 64 of</p> <p>21 Exhibit 1003, is that description of</p> <p>22 conventional screw mechanisms consistent with</p> <p>23 your understanding of the threaded screw</p> <p>24 mechanism disclosed in Sasaki?</p>
<p style="text-align: right;">Page 11</p> <p>1 screw connection mechanisms for fastening rotor</p> <p>2 blades, right?</p> <p>3 A. That is correct. Yes.</p> <p>4 Q. And you're familiar with the Sasaki</p> <p>5 reference; is that right?</p> <p>6 A. I am.</p> <p>7 Q. And Sasaki is one of the references on</p> <p>8 which you base your opinions in this case; is</p> <p>9 that right?</p> <p>10 A. Yes. That's correct.</p> <p>11 Q. And you would agree that Sasaki</p> <p>12 discloses a quadrotor UAV that uses a threaded</p> <p>13 screw connection mechanism for the rotor blades?</p> <p>14 A. Can you share that reference with me?</p> <p>15 Q. Sure. I have just sent you Exhibit No.</p> <p>16 1006.</p> <p>17 (Whereupon, Dr. Ducharme</p> <p>18 Deposition Exhibit No. 1006</p> <p>19 was remotely introduced and</p> <p>20 provided electronically to the</p> <p>21 reporter.)</p> <p>22 THE WITNESS: Okay. And then I'm sorry to</p> <p>23 ask, but can you then repeat the question?</p> <p>24</p>	<p style="text-align: right;">Page 13</p> <p>1 A. Yes.</p> <p>2 Q. So when a quad rotor uses a</p> <p>3 conventional threaded mechanism for attaching</p> <p>4 the propeller blades, the clockwise spinning</p> <p>5 driveshafts have a different thread direction</p> <p>6 than the counterclockwise spinning driveshafts,</p> <p>7 correct?</p> <p>8 A. Yes.</p> <p>9 Q. And so in that instance two of the</p> <p>10 driveshafts will have right-handed threads and</p> <p>11 two will have left-handed threads, right?</p> <p>12 A. I believe so. If you -- yeah. It gets</p> <p>13 confusing as to which is right-handed. I</p> <p>14 believe that's correct.</p> <p>15 Q. And you would agree that on a quad</p> <p>16 rotor that has a conventional threaded screw</p> <p>17 mechanism for attaching the propeller blades,</p> <p>18 that it is possible to screw a left-handed</p> <p>19 threaded propeller blade onto a right-hand</p> <p>20 threaded driveshaft?</p> <p>21 MR. PETERS: Objection. Incomplete</p> <p>22 hypothetical.</p> <p>23 THE WITNESS: I'm sorry. Could you either</p> <p>24 repeat the question, or are you changing it now?</p>

Page 14

1 BY MR. ABRAMIC:
2 Q. No. I'll repeat the question. So
3 would you agree that on a quad rotor that uses a
4 conventional threaded screw mechanism for
5 attaching the propeller blades, that it is
6 possible to screw a left-hand threaded propeller
7 blade onto a right-hand threaded driveshaft?
8 MR. PETERS: Same objection.
9 THE WITNESS: No.
10 BY MR. ABRAMIC:
11 Q. So you're saying it's impossible to do
12 it?
13 MR. PETERS: Mischaracterizes.
14 THE WITNESS: You have to -- You have to
15 define the bounds of what you consider to be --
16 I'm sorry. Can you repeat the first part of the
17 question? I want to make sure I use the same
18 term that you did.
19 BY MR. ABRAMIC:
20 Q. Sure. Would you agree that on a quad
21 rotor that has a conventional threaded screw
22 mechanism for attaching the propeller blades,
23 that it is possible to screw a left-hand
24 threaded propeller blade onto a right-hand

Page 15

1 threaded driveshaft?
2 A. Okay. I believe that you could take a
3 right-hand threaded propeller and damage it by
4 engaging the threads on a left-hand threaded
5 shaft. Is it possible to get that propeller
6 onto the shaft? Not without damage or
7 deformation or cross-threading, as I talked
8 about in my declaration.
9 Q. Okay. But with that damage or
10 deformation that you're talking about, it is
11 possible to get it on the shaft, correct?
12 MR. PETERS: Objection. Incomplete
13 hypothetical.
14 THE WITNESS: I really haven't -- yeah. I
15 really have no idea, because you're not defining
16 the kind of materials that you're using. I can
17 give an example. If you have one very hard
18 material -- say the shaft -- and one very soft
19 material -- say the propeller -- then it would
20 be possible to damage the threads and, you know,
21 mount that propeller onto the shaft.
22 If you -- In another example, if you had
23 two very hard materials, say two very hard
24 materials that it would be difficult for a

Page 16

1 typical man or woman to damage by mere hand
2 pressure, then I don't believe it would be
3 possible to screw that propeller onto that
4 shaft. So it is highly material dependent, tool
5 dependent, or person dependent as to whether or
6 not it's possible.
7 BY MR. ABRAMIC:
8 Q. Can we go to Exhibit 1028, and this is
9 your second declaration. Take your time.
10 A. Yep. I'm there.
11 Q. Okay. Bear with me one second.
12 A. I'm going to see if I can split screen.
13 It's a little difficult to look at the exhibit
14 while watching you, so it's -- I'm going to try
15 to get them both on the same screen here.
16 Q. If you go to Paragraph 49 of your
17 second declaration.
18 A. Okay.
19 Q. And the second sentence of that
20 paragraph states: Specifically, Dr. Reinholtz
21 provides a video of himself forcing a blade from
22 a UAV apparently utilizing a conventional
23 screw-on attachment mechanism onto the wrong
24 driveshaft. Do you see that?

Page 17

1 A. Yes.
2 Q. And in that sentence are you describing
3 a video that Dr. Reinholtz made of himself
4 screwing -- what I'll call misthreading a blade,
5 putting a blade on the wrong driveshaft?
6 A. Yes.
7 Q. And you reviewed that video?
8 A. Yes.
9 Q. And is it your opinion that that video
10 depicts a scenario that you had just described
11 where it is possible to misinstall a threaded
12 propeller onto a driveshaft?
13 A. Yes.
14 Q. Okay. And then if you look further on
15 down in Paragraph 49, there's a sentence that
16 starts with, "When a blade is forced"?
17 A. Yes.
18 Q. And that states: When a blade is
19 forced onto the driveshaft and the threads are
20 stripped, the blade is not and cannot be engaged
21 with the locked mechanism.
22 And then the next sentence says: Rather,
23 the metal threads on the shaft lock portion are
24 likely carving out new notches in the blade lock

<p style="text-align: right;">Page 18</p> <p>1 portion. Correct?</p> <p>2 A. Yes.</p> <p>3 Q. And so that second sentence that I just</p> <p>4 read where it says, "The metal threads on the</p> <p>5 shaft lock portion are likely carving out new</p> <p>6 notches in the blade lock portion," is that a</p> <p>7 description of what you believe occurred when</p> <p>8 Dr. Reinholtz misthreaded the blade in the</p> <p>9 video?</p> <p>10 A. Well, as I said in my declaration, I</p> <p>11 believe it's likely.</p> <p>12 Q. Okay. And so what -- I guess what I'm</p> <p>13 trying to understand are what are the other</p> <p>14 possible scenarios? Is it possible that new</p> <p>15 notches were not carved out and the blade was</p> <p>16 attached by some other mechanism?</p> <p>17 A. Are you referring specifically to the</p> <p>18 video? What possibly could have occurred in the</p> <p>19 video besides a carving out?</p> <p>20 Q. Sure. Yeah. One possibility, based on</p> <p>21 your declaration here, is that the threads</p> <p>22 carved out new notches in the blade lock</p> <p>23 portion. What are the other possible</p> <p>24 explanations, if there are any?</p>	<p style="text-align: right;">Page 20</p> <p>1 types of materials and the thread used. And</p> <p>2 that video, you know, it didn't really go into</p> <p>3 that detail, so.</p> <p>4 Q. Okay. Well, let's take the instance</p> <p>5 that you described when you -- and the instance</p> <p>6 that I'm thinking of is the instance where</p> <p>7 Dr. Reinholtz misthreaded a blade. And let's</p> <p>8 assume that what happened is what you've</p> <p>9 described in your declaration here as the</p> <p>10 threads on the shaft lock portion carved out new</p> <p>11 notches in the blade lock portion. Can we</p> <p>12 proceed based on that assumption?</p> <p>13 A. Okay.</p> <p>14 Q. And so in that instance where</p> <p>15 Dr. Reinholtz demonstrated misthreading a blade,</p> <p>16 you would at least agree that the blade is</p> <p>17 engaged in the lock mechanism, correct?</p> <p>18 A. I believe in this case the notches and</p> <p>19 lugs are the threads, and they're not engaged.</p> <p>20 Q. Okay. So it's your opinion that when a</p> <p>21 blade is misthreaded, like Dr. Reinholtz</p> <p>22 demonstrated in his video, that that blade is</p> <p>23 not engaged in the lock mechanism, right?</p> <p>24 A. Yes.</p>
<p style="text-align: right;">Page 19</p> <p>1 A. The only other -- The only scenario, in</p> <p>2 general, is that the notches and lugs, you know,</p> <p>3 the threads, are not engaged. There may be</p> <p>4 other ways that that propeller got onto that</p> <p>5 shaft, but all of the ways that I can conceive</p> <p>6 of, the threads are not engaged. The threads</p> <p>7 that were present on the propeller and on the</p> <p>8 shaft before the attachment was attempted are</p> <p>9 damaged. So I could -- yeah.</p> <p>10 Q. So, in your opinion, the threads had to</p> <p>11 have been damaged in order to attach the blade?</p> <p>12 A. I really don't know. As I've said in</p> <p>13 my declaration, it -- what occurs is very -- it</p> <p>14 relates very specifically to the materials that</p> <p>15 were used and the pitch of the thread, you know.</p> <p>16 Yeah. I'll leave it at that.</p> <p>17 Q. If we assume that the threads in the</p> <p>18 video, the prop threads were plastic and the</p> <p>19 shaft threads were metal, is it possible that</p> <p>20 instead of there being a carving out -- as you</p> <p>21 say in your declaration -- that the softer</p> <p>22 threads on the prop shaft were deformed during</p> <p>23 the rotating onto the driveshaft?</p> <p>24 A. I really don't know. It depends on the</p>	<p style="text-align: right;">Page 21</p> <p>1 Q. Okay. And what is your understanding</p> <p>2 of the word "engaged" in the context of the '184</p> <p>3 patent?</p> <p>4 A. I'm going to go ahead and refer to my</p> <p>5 declaration here. I'm just scrolling up to the</p> <p>6 engagement limitation.</p> <p>7 Q. Sure.</p> <p>8 A. Yeah. I mean my understanding of the</p> <p>9 engagement limitation comes from Claim 1,</p> <p>10 "Wherein the clockwise rotor blade is engageable</p> <p>11 only with the clockwise lock mechanism and</p> <p>12 cannot be engaged in the clockwise --</p> <p>13 counterclockwise lock mechanism, and the</p> <p>14 counterclockwise rotor blade is engageable only</p> <p>15 with the counterclockwise lock mechanism and</p> <p>16 cannot be engaged in the clockwise lock</p> <p>17 mechanism." I believe the plain and ordinary</p> <p>18 reading of that Claim No. 1 is the engagement</p> <p>19 limitation.</p> <p>20 Q. Okay. But I'm not asking about the</p> <p>21 engagement limitation. I'm asking about the</p> <p>22 word "engage." What is your understanding of</p> <p>23 the plain and ordinary meaning of the word</p> <p>24 "engage" in the context of the '184 patent?</p>

Page 22

1 A. Hold on one second. Let me just review
2 for a second.
3 Q. Sure.
4 A. I guess I would describe -- I would
5 describe engagement as the meeting of two
6 interlocking mechanical parts.
7 Q. Let's go back to the scenario that we
8 were talking about where a blade is misthreaded,
9 and, as you've described, the metal threads are
10 carving out new notches in the plastic threads,
11 that scenario. Can we talk about that?
12 A. Yes. Sure.
13 Q. In that instance, the threads -- Well,
14 in that instance, what's holding the propeller
15 blade onto the driveshaft?
16 A. I don't know. I didn't physically, you
17 know, interact with that plastic propeller and
18 that shaft, so I don't -- I don't know -- I
19 don't know.
20 Q. Okay. Well --
21 A. I could hypothetically create a
22 situation where I could imagine it, but is that
23 what you'd like me to do?
24 Q. Yes, please.

Page 23

1 A. If you were to take a soft plastic
2 propeller and misthread it onto a shaft, it's
3 really just the forces of deformation of the
4 softer material on the harder material.
5 Q. Okay. And so when you -- You misthread
6 the propeller blade and it's attached -- meaning
7 you can't pull it off -- what forces are keeping
8 you from being able to pull that blade off?
9 A. Well, as I've described in the
10 declaration, in the event that new notches had
11 been carved out, the -- you know, the new
12 forces -- well, let me take -- Let me start
13 again.
14 So in the case of the carved-out
15 threads, it's really the pressure of the sides
16 of the blade how, you know -- the mechanical
17 construct around where the hole for the threads
18 are, that material is pushing against the shaft.
19 The carving out can be a removal of material, or
20 it could be a -- I'm trying to think of the
21 right word -- like a bending of the material.
22 Q. Okay. And so --
23 A. And the force is -- The force is just
24 the pressure of the housing pushing against the

Page 24

1 shaft.
2 Q. Okay. And so there is going to be
3 interaction in that instance between the threads
4 of the shaft and the threads of the blade,
5 right?
6 A. Yes. I'm sorry. There's -- please
7 repeat that. Because you said an interaction
8 between the threads and the shaft and the
9 threads in the blade, correct?
10 Q. Correct.
11 A. And in this instance, depending on the
12 pitch, the size of the threads, I have no idea
13 what that interaction is. So what I described
14 as a cross-threading or a crossing of those,
15 that would be the interaction.
16 Q. You had talked about the engagement
17 limitation response to one of the previous
18 questions, and you had gone to your declaration,
19 one of your declarations. I want to ask you
20 about the engagement limitation, but I just want
21 to make sure I'm on the same page with you.
22 Which declaration did you turn to for that?
23 A. I believe I was just reading Claim 1.
24 So it could have been from any of them. But I

Page 25

1 was specifically reading from Exhibit 1028. It
2 just happened to be the one that was open.
3 Q. Let's go to Exhibit 1030, if you would.
4 A. Okay. I'm there.
5 Q. And if you would go to Paragraph 23.
6 A. Yes.
7 Q. Would you agree that Paragraph 23
8 recites the engagement limitation?
9 A. It recites Claim 1 in Paragraph 23.
10 Q. Well, Paragraph 23 is not the entirety
11 of the Claim 1, correct? That's just the
12 engagement limitation?
13 A. Yeah. That's correct, yes. It's an
14 excerpt in Claim 1.
15 Q. And the excerpt is what the parties
16 have been referring to as the engagement
17 limitation. Is that your understanding?
18 A. That is my understanding, yes.
19 Q. So in the context of the engagement
20 limitation, for a rotor blade to be engageable
21 with a lock mechanism, do lugs have to be
22 engageable with notches?
23 MR. PETERS: Object to scope. Calls for a
24 legal conclusion.

Page 26

1 THE WITNESS: Yeah. I really don't know how
2 to answer that question. I'm sorry.
3 BY MR. ABRAMIC:
4 Q. Do all UAV blade connection mechanisms
5 have to use lugs and notches?
6 MR. PETERS: Objection. Calls for
7 speculation.
8 THE WITNESS: I really don't know the answer
9 to that question either.
10 BY MR. ABRAMIC:
11 Q. Can you think of a UAV blade connection
12 mechanism that does not use lugs and notches?
13 A. I'm trying to think. I've seen a lot
14 of propellers in the last ten years. Yes.
15 Q. And what are you thinking of?
16 A. Similarly to the micro drones reference
17 that we use, there are some UAVs that utilize an
18 elastic, elastomeric-type like rubber band or
19 what's referred to as an O-ring to hold the
20 propeller on to the driveshaft with the --
21 without the lugs and notches.
22 Q. Okay. So -- Thank you very much.
23 That's a good example for us to use.
24 So let's imagine a UAV that uses

Page 27

1 O-rings to connect the rotor blades, and it does
2 not use lugs and notches. And now imagine that
3 the O-rings were designed somehow such that the
4 clockwise rotor blades were installed using one
5 type of O-ring and counterclockwise O-rings --
6 I'm sorry -- counterclockwise rotor blades were
7 installed with some other type of O-ring. This
8 is just a hypothetical scenario.
9 A. Okay.
10 Q. But that the clockwise rotor blades
11 could only be attached to clockwise driveshafts
12 and vice versa. Do you understand that
13 hypothetical?
14 A. Well, "can only be" requires someone,
15 you know, looking at it and making the
16 determination, right? There's nothing
17 mechanically that makes that decision in your
18 hypothetical, if I'm understanding it correctly.
19 Q. In the hypothetical, there -- I can't
20 describe the exact design of the O-ring, but the
21 O-rings, there are two different types of
22 O-rings: one for clockwise rotor blades, one
23 for counterclockwise rotor blades. And the
24 specific design of the O-rings ensures that the

Page 28

1 clockwise rotor blades can only be fastened to
2 the clockwise driveshafts, and the
3 counterclockwise rotor blades can only be
4 fastened to the counterclockwise driveshafts.
5 Do you understand that hypothetical?
6 A. Not really, because I can't -- I can't
7 envision -- because it's a hypothetical, I can't
8 really envision exactly what makes that
9 determination. For example, let's just say that
10 you said that the clockwise O-rings were green
11 and counterclockwise O-rings were red. That
12 doesn't make a mechanical determination.
13 Someone has to look at it. In that example that
14 I just used of red and green O-rings is
15 typically -- I mean for a long time, that's --
16 that's how people assured that they were getting
17 the correct propellers on the correct motors was
18 colors.
19 Q. Yeah. But in that instance, a color
20 difference wouldn't prevent the installation of
21 the wrong blade, right?
22 A. That's absolutely correct.
23 Q. Okay. And what I'm asking you is to
24 envision a scenario where the specific design of

Page 29

1 the O-ring for some reason did prevent
2 installation of the wrong blade. Are you saying
3 you just can't -- You can't imagine that
4 scenario?
5 A. Yeah. For some reason it needs to be
6 defined a little bit more for me to give an
7 opinion on it. I mean I can -- honestly I
8 can't -- I was going to say that I could think
9 of some reason and then -- but I really can't.
10 I can't envision what would be special about an
11 O-ring.
12 Q. All right. Well, then let's try a
13 different hypothetical. Let's assume a
14 connection mechanism that doesn't use O-rings
15 but uses magnets.
16 A. Okay.
17 Q. Okay. So can you imagine a connection
18 mechanism where a rotor blade was connected to
19 the driveshaft somehow with magnetic force where
20 there was no lugs and notches involved?
21 A. I'll accept the hypothetical. I can't
22 personally envision it.
23 Q. Okay. So accepting the hypothetical --
24 A. And I say that -- I say that just

<p style="text-align: right;">Page 30</p> <p>1 because that particular example that you use has</p> <p>2 very limited attraction force. So I -- you</p> <p>3 know, as an engineer, I have difficulty with it.</p> <p>4 Q. I understand. I understand. But let's</p> <p>5 assume you have enough attraction force to</p> <p>6 attach the blade using the magnets.</p> <p>7 A. Okay.</p> <p>8 Q. You use one polarity for the clockwise</p> <p>9 lock mechanism, another polarity for the</p> <p>10 counterclockwise lock mechanism, such that you</p> <p>11 can't attach a clockwise blade to a</p> <p>12 counter-clockwise driveshaft. Do you understand</p> <p>13 that?</p> <p>14 A. Okay. I do.</p> <p>15 Q. In that instance, would the rotor blade</p> <p>16 be engageable only with the clockwise lock</p> <p>17 mechanism in the context of the engagement</p> <p>18 limitation?</p> <p>19 MR. PETERS: Object to scope. Calls for</p> <p>20 speculation.</p> <p>21 THE WITNESS: Yeah. If you could -- I would</p> <p>22 need to understand mechanically where these</p> <p>23 magnets were. If the magnets are protruding and</p> <p>24 fitting into grooves in the motor then, you</p>	<p style="text-align: right;">Page 32</p> <p>1 mechanism in the context of the engagement</p> <p>2 limitation?</p> <p>3 MR. PETERS: Same objections.</p> <p>4 THE WITNESS: I don't believe so.</p> <p>5 BY MR. ABRAMIC:</p> <p>6 Q. And is that because it's your</p> <p>7 understanding that the engagement limitation</p> <p>8 requires the engagement of lugs and notches?</p> <p>9 A. Yes.</p> <p>10 Q. Can we turn to Exhibit 1003, your first</p> <p>11 declaration?</p> <p>12 A. Yes. I'm there.</p> <p>13 Q. Thank you. And I'm looking at</p> <p>14 Paragraph 45.</p> <p>15 A. Okay. I'm there at 45.</p> <p>16 Q. Thank you. And at the end of Paragraph</p> <p>17 45, there's a sentence that says: The</p> <p>18 well-understood concept drove manufacturers of</p> <p>19 commercial UAVs to "mistake proof" the coupling</p> <p>20 between the propeller assembly and the</p> <p>21 driveshaft to ensure users attached the</p> <p>22 propellers to the correct driveshaft.</p> <p>23 Do you see that?</p> <p>24 A. Yes.</p>
<p style="text-align: right;">Page 31</p> <p>1 know, that's a notch and a lug. So I --</p> <p>2 BY MR. ABRAMIC:</p> <p>3 Q. No. That --</p> <p>4 A. I can't answer based on what I know of</p> <p>5 the example.</p> <p>6 Q. Sure. So the hypothetical has no lugs</p> <p>7 and notches in the connection mechanism.</p> <p>8 That's -- And that's the point I'm trying to get</p> <p>9 at, which is whether or not the engagement</p> <p>10 limitation requires the engagement of lugs and</p> <p>11 notches. So in this hypothetical, there are no</p> <p>12 lugs and notches that assist in the connecting</p> <p>13 of the blade to the driveshaft. It's merely</p> <p>14 magnetic forces. And the only reason we're</p> <p>15 doing the hypothetical is so I can get your</p> <p>16 understanding of whether or not the engagement</p> <p>17 limitation requires the engagement of lugs and</p> <p>18 notches. So in that instance, the one we just</p> <p>19 described -- a hypothetical blade connection</p> <p>20 mechanism that used magnetic forces where there</p> <p>21 are no lugs and notches where a clockwise rotor</p> <p>22 blade cannot be attached to a counterclockwise</p> <p>23 driveshaft -- would the clockwise rotor blade be</p> <p>24 engageable only with the clockwise lock</p>	<p style="text-align: right;">Page 33</p> <p>1 Q. And by mistake proofing, you mean that</p> <p>2 manufacturers of commercial UAVs wanted to</p> <p>3 devise systems that would prevent attaching the</p> <p>4 wrong blades in the way that Dr. Reinholtz</p> <p>5 attached the wrong blade in his video, right?</p> <p>6 A. Yes.</p> <p>7 Q. When you say in this sentence that "the</p> <p>8 well-understood concept drove manufacturers of</p> <p>9 commercial UAVs to mistake proof," that -- when</p> <p>10 you say "the well-understood concept," what</p> <p>11 concept are you referring to? Isn't it the fact</p> <p>12 that if you put the wrong blade on, you're going</p> <p>13 to generate a down force as opposed to a</p> <p>14 vertical force?</p> <p>15 A. Yeah. I mean the sentence before that</p> <p>16 is -- you know, would not be controllable if</p> <p>17 the -- if a motor had an incorrect propeller.</p> <p>18 Q. How long were people of skill in the</p> <p>19 art aware of that concept? How far back, I</p> <p>20 guess -- I'm sorry. How far back would you say</p> <p>21 people of skill in the art were aware of that</p> <p>22 concept?</p> <p>23 A. Since the very first multi-rotor</p> <p>24 aircraft. One of the tenets of flight for a</p>

<p style="text-align: right;">Page 34</p> <p>1 quadcopter or a multi-rotor copter is that some 2 of the propellers spin one way and some of the 3 propellers spin the other way, and that's to 4 counteract the off force. So when you're 5 putting one of these kinds of aircraft together, 6 you have to make sure the right propellers go on 7 the right motors. There's other concepts 8 downstream as well. You have to make sure that 9 the motor is spinning in the correct direction, 10 you have to make sure that the flight controller 11 knows where that motor is. It's a lot of 12 details. But if the flight controller doesn't 13 know the rotations of all the motors, then it 14 can't control its flight, it can't control its 15 attitude. So since the very first aircraft. 16 Q. Can you put a date on that? I won't 17 hold -- I know it's going to be an 18 approximation, but. 19 A. 2010. 20 Q. And so it's your understanding that 21 2010 is the first time that a quad rotor aerial 22 vehicle was made? 23 A. No. It was a bit before that date, but 24 it was very sparse. And it wasn't until 2010</p>	<p style="text-align: right;">Page 36</p> <p>1 Q. When you say "they are in the art," are 2 you saying that they existed only on paper as 3 of, for example, 1991? 4 A. I really don't know. Well, I know that 5 they existed on paper, but I really don't know 6 when physical realizations were, you know, 7 available. That's why I mentioned the date of 8 2010, because that was really when you first 9 started to see these, you know, numerous people 10 using them. I realize in the art there's this 11 toy from Sasaki and -- but the question was when 12 did people -- when did I believe this concept 13 was -- well, I really believe, you know, it's -- 14 the concept of having the correct propeller on 15 the correct motor was something that -- was -- 16 I'm trying to say this the right way here -- was 17 something that was realized when quadcopters 18 became prevalent. That's what I'm trying to 19 say. 20 Q. So you're saying at the time of Sasaki, 21 a person of skill in the art of UAVs would not 22 have had an understanding of the need for 23 attaching the correct blades on the correct 24 driveshafts?</p>
<p style="text-align: right;">Page 35</p> <p>1 that commercial flight controllers were 2 available to build these crafts. So I really 3 think of the start of multi-rotor aircraft as 4 being, you know, the prevalence, I'll say, 2010. 5 Q. Okay. Could you go to Sasaki. That's 6 Exhibit 1006. 7 A. Yes. I'm there. 8 Q. And on the second page of that exhibit, 9 which is the first page of the patent, do you 10 see that there's an application date, May 31, 11 1989? 12 A. Yes. 13 Q. And the publication date of January 14 14 of 1991. Do you see that? 15 A. I do, yep. Mm-hmm. 16 Q. And Sasaki, as we already discussed, 17 discloses a quadrotor UAV, right? 18 A. Yes. 19 Q. And early on it talks about a propeller 20 for a flying toy. Do you see that? 21 A. Yes. 22 Q. Okay. So quadrotor UAVs were around 23 long before 2010, correct? 24 A. They are in the art, yes.</p>	<p style="text-align: right;">Page 37</p> <p>1 A. You know what, I -- referring to my 2 declaration, I really was considering a person 3 of skill -- having ordinary skill in the art 4 around the timeframe of 2013. So I really -- I 5 didn't render an opinion really on what person 6 of skill -- a POSITA would have had in 1989 or 7 1991. 8 Q. Okay. But I'm asking you for that 9 opinion right now. 10 A. I think that the inventors on this 11 patent understood, because they detail it in 12 their patent, that there was an importance for 13 having counter-rotating propellers and that they 14 would require different attachment mechanisms. 15 So I believe that at least these three people, 16 the three people -- two people had this 17 knowledge, but I don't know how many other 18 people had that knowledge at that time. Maybe 19 it doesn't matter. I guess these people 20 understood that there was an importance. 21 Q. So you then said when we talked about 22 the concept that drove people to mistake proof, 23 this well-understood concept that putting the 24 wrong blade on the wrong driveshaft will</p>

<p style="text-align: right;">Page 38</p> <p>1 generate the wrong -- or thrust in the wrong 2 direction or lift in the wrong direction, right? 3 A. Yes. 4 Q. And you had mentioned that that concept 5 would have been well understood by a person of 6 skill in the art dating back to the first time 7 that someone made a flying quadrotor, right? 8 A. That's true. I did say that. 9 Q. And do you have an understanding as to 10 when the first quadrotor vehicle that you can 11 imagine was made? 12 A. Do I know? 13 Q. Yes. 14 A. I mean -- yeah. Hold on one second. 15 I'm on the internet, if that's okay. I just 16 can't remember the person's name. Maybe it 17 doesn't really matter. I just am trying to be 18 correct. It was the turn of the century. It 19 was a Frenchman, L'Obertain (ph.), I believe his 20 name is. I mean it's very old, the first 21 quadcopter. There were counter-rotating 22 propellers, as I understand. There's very 23 little information on it. I guess my answer 24 should be: A long time ago.</p>	<p style="text-align: right;">Page 40</p> <p>1 usability because, quote, a user can assemble 2 and disassemble the propellers with only a half 3 turn as compared with three to four turns. 4 Do you see that? 5 A. Yes. 6 Q. And so the reference to three to four 7 turns is a reference to the conventional 8 threaded connection mechanisms we were talking 9 about earlier that are described in Sasaki where 10 you have to spin the propeller blade several 11 revolutions onto the shaft to get it connected, 12 right? 13 A. Yes. 14 Q. And this reference to only one half 15 turn is a reference to the fact that, in your 16 proposed combination of Sasaki and Sokn, you 17 would not have to rotate the blade a full 18 revolution. It would only be a half turn, 19 right? 20 A. Yes. 21 Q. And that difference, you were saying, 22 improves usability, right? 23 A. It has improved mechanical alignment. 24 Q. Right. And it also says --</p>
<p style="text-align: right;">Page 39</p> <p>1 Q. Can you go to Exhibit 1028? 2 A. Okay. I'm there. 3 Q. Paragraph 42. 4 A. Okay. 5 Q. And in the last sentence of that 6 paragraph you're talking about the -- what I'll 7 call is the quick-turn connection mechanism. So 8 it's your understanding that the currently 9 pending proposed amended claims include a 10 limitation requiring the rotation of the blade 11 to be less than a full revolution; is that 12 correct? 13 A. I'm going to refer to my third 14 declaration, just because I know that I have the 15 amended claims there. 16 Q. Sure. 17 A. And they've changed enough that it's 18 confusing now, so. 19 Yeah. It's bipartisan rotation. 20 Q. Okay. And then if you go back to 21 Paragraph 42 of Exhibit 1028. 22 A. Okay. 23 Q. You're talking here about a proposed 24 combination of Sasaki and Sokn improving</p>	<p style="text-align: right;">Page 41</p> <p>1 A. And improved usability. That's 2 correct. 3 Q. Okay. Why does it improve usability? 4 A. It's just quicker for a user to put a 5 propeller on a shaft. 6 Q. So it's more convenient, right? You 7 just pop it on there really quick instead of 8 spinning it around the shaft? 9 MR. PETERS: Object to form. 10 THE WITNESS: Yes. 11 BY MR. ABRAMIC: 12 Q. Was there a need in the UAV industry at 13 the time of the '184 patent invention to improve 14 usability of blade connection mechanisms? 15 MR. PETERS: Object to form. 16 THE WITNESS: What was the date that you 17 said? 2013? 18 BY MR. ABRAMIC: 19 Q. Yeah. At the time of the inventions of 20 the '184 patent. 21 A. Yes. Actually, can I strike that? I 22 don't know if I can strike that. 23 Before I say yes or no, can you define 24 "need"?</p>

Page 42

1 Q. Did people in the industry think that
2 that feature, improving that feature, mattered?
3 MR. PETERS: Object to form. Calls for
4 speculation.
5 THE WITNESS: I think in general people want
6 to make things easier to use.
7 BY MR. ABRAMIC:
8 Q. So in your opinion was there a need for
9 improving usability in the UAV industry at the
10 time of the '184 patent invention?
11 A. I really don't know if there was a need
12 for it.
13 MR. PETERS: Excuse me. I'm sorry for
14 interrupting, but can you guys hear me?
15 MR. ABRAMIC: Yes.
16 MR. PETERS: Okay. John, I lost your audio
17 for the last question, but I have the transcript
18 so I think we can continue.
19 MR. ABRAMIC: Okay. We've been going about
20 an hour; why don't we take a 10-minute break.
21 THE WITNESS: Okay.
22 (Short break taken.)
23 BY MR. ABRAMIC:
24 Q. Dr. Ducharme, I want to turn back to

Page 43

1 one of your answers. We were talking about the
2 advent of UAVs, and you had mentioned that you
3 viewed 2010 as sort of the start of the
4 prevalence of UAVs. And you made a reference to
5 commercial flight controllers. Could you
6 explain to me why you view 2010 as the start of
7 the prevalence of UAVs?
8 A. Yeah. I mean it's partly because of my
9 history. So I started my company in 2010, and
10 we made commercial flight controllers. So, you
11 know, when we had the idea for making these
12 things, these flight controllers, there wasn't
13 anywhere to go to really see. There was some
14 projects, but there were -- there weren't really
15 quadcopters or hexocopters or octocopters
16 around. So in my mind it asked -- I tend to
17 give the answer of 2010 because that's really
18 where it all started for me, but that's when I
19 saw, over the next couple of years from 2010,
20 the -- you know, the prevalence of UAVs, whereas
21 you really didn't see it before then. And I
22 realize in the art it predates that. But it was
23 maybe a bit of an off-the-hip answer, but in my
24 mind, the whole world of UAVs started in 2010

Page 44

1 when I started my company, so.
2 Q. Do you make a distinction in your mind
3 between what today we might call a drone and
4 what Sasaki called a flying toy?
5 A. Yeah, a bit. It has more to do with
6 the intelligence on board. So toys tend to be
7 controlled by a user, or they just fly around
8 randomly. I have a bunch of these little toys.
9 But a drone or -- what really everybody says is
10 a UAV, classifies as an unmanned aerial
11 vehicle -- is on demand and a bit autonomous.
12 So I tend to think of UAVs as being these smart
13 devices.
14 Q. So you wouldn't include flying toys
15 under the description of UAVs?
16 A. Well, I don't know. I don't -- Let me
17 just refer to my declaration and see if I made
18 any comments on that.
19 I mean I do refer to Sasaki as his UAV.
20 There's a level of abstraction I think here that
21 goes back and forth. UAV is any aircraft -- in
22 this case defined as a body with four arms
23 extending, you know, with motors and
24 propellers -- and we call that a UAV in this

Page 45

1 context.
2 Q. Okay. Thanks. I'm going to show --
3 attempt to share another exhibit with you.
4 (Whereupon, Dr. Ducharme
5 Deposition Exhibit No. 2010
6 was remotely introduced and
7 provided electronically to the
8 reporter.)
9 BY MR. ABRAMIC:
10 Q. Okay. I sent what is marked as
11 Exhibit 2010. Let me know when you get that
12 one.
13 A. Okay. I have that on my screen.
14 Q. And if we look at the first page of
15 Exhibit 2010. First of all, it's a little bit
16 confusing because there are two exhibit numbers.
17 But I'm referring to the one that's on the
18 bottom left. Do you see where it says
19 Exhibit 2010?
20 A. Yes. On the bottom, you're saying
21 bottom left.
22 Q. I'm sorry. Bottom right.
23 A. Yeah. I see a 2009, and then below
24 that a 2010.

Page 46

1 Q. Okay. And then the first page of this
2 document states that it's an expert report of
3 Dr. Juan J. Alonso. Do you see that?
4 A. Yes.
5 Q. Do you know Dr. Alonso?
6 A. The same sounds familiar, but I don't
7 know if I know him.
8 Q. You've never spoken with Dr. Alonso?
9 A. I really couldn't say. I -- I don't
10 remember doing so, but if I search through my
11 e-mails -- if he's in the UAV industry, there's
12 a chance I probably talked to him, but I don't
13 recall.
14 Q. Do you have an understanding that
15 Dr. Alonso was DJI's expert at an International
16 Trade Commission proceeding that related, at
17 least in part, to the '184 patent?
18 A. I -- not really, no.
19 Q. Okay. Well, I will represent to you
20 that this is an expert report from Dr. Alonso
21 submitted in an International Trade Commission
22 proceeding where the '184 patent was at issue.
23 Dr. Alonso was DJI's expert. And if I said this
24 was the expert report, I should clarify and say

Page 47

1 it's just excerpts. But if you go to Page 3 of
2 that document, and you may have to rotate the
3 view to see it.
4 A. Yes. I've rotated it.
5 Q. Okay. And in the second full paragraph
6 in that table there on Page 3 of the exhibit,
7 there's a sentence that starts with, "In
8 general." Do you see that?
9 A. I do.
10 Q. And do you see that there's a reference
11 to Sasaki in that sentence?
12 A. I see the word Sasaki, yes.
13 Q. I'm going to read that sentence. It
14 states: In general a POSITA would have
15 understood the need to overcome the limitations
16 of Sasaki to ensure simpler, more reliable, less
17 error-prone, and faster ways of attaching and
18 detaching the propellers of a UAV.
19 Do you see that statement?
20 A. I do.
21 Q. Do you think that that statement is
22 accurate?
23 MR. PETERS: Object to form.
24 THE WITNESS: I really have no idea. I

Page 48

1 haven't read this disclosure. "In general a
2 POSITA would have understood the need." I
3 see -- I understand the sentence. I believe
4 it's correct. When he says "understood the
5 need," I don't know exactly what need he's
6 referring to or if that's -- or if it's more --
7 it should be more of a desire, "would have
8 understood the desire to overcome the
9 limitations." I mean "desire" being a little
10 less strong. "Need" seems to imply that you
11 have to. "In order to fly UAV you have to
12 overcome these limitations," and that's maybe
13 not completely true.
14 BY MR. ABRAMIC:
15 Q. Okay. So let's -- Dr. Alonso
16 identifies a number of, what he calls,
17 limitations of Sasaki in this sentence. Let's
18 break it down to make it easier. One of the
19 limitations he refers to, the language he uses
20 is "less error prone." Do you see that?
21 A. No. We're both looking at Appendix
22 A2, still, right?
23 Q. Yes. And it's the same sentence that I
24 just read.

Page 49

1 A. Oh, less error prone. Yeah. I'm
2 sorry. I was looking below it, and I couldn't
3 find -- I was looking for the word prone. Okay.
4 Yes. And what was the question again? I
5 apologize.
6 Q. I was -- I wanted to direct you to
7 acknowledge that you see that Dr. Alonso has
8 identified that as a limitation in Sasaki.
9 A. Yes.
10 Q. And would you agree that a person of
11 ordinary skill in the art, when viewing Sasaki,
12 would have recognized a desire to come up with a
13 less error-prone connection mechanism?
14 A. Not necessarily. It really depends on
15 the materials that are used.
16 Q. So it's your opinion that there was not
17 a need to come up with a design that was less
18 error prone than Sasaki?
19 MR. PETERS: Object to form.
20 THE WITNESS: Yeah. I mean it really depends
21 on the materials, as I said in my declaration.
22 And I say that because the industry used, you
23 know, counter-threaded shafts and propellers for
24 a long time, and it met the need and

Page 50

1 satisfied -- the propellers and motors being
2 less error prone. I mean they weren't error
3 prone. Unless you forced a soft material onto a
4 harder material and damaged the propeller or the
5 shaft, it wasn't a problem. I mean if they're
6 both, prop and shaft, made of hard materials --
7 and there's plenty of UAVs that still utilize
8 this technique -- you can't put the wrong
9 propeller on the wrong motor. It's not error
10 prone.
11 BY MR. ABRAMIC:
12 Q. So you're saying the design in Sasaki
13 is not error prone at all?
14 A. I'm saying that it's not error prone
15 given the correct materials.
16 Q. What are the correct materials -- Is it
17 error prone in the event that you have metal
18 threads on the driveshaft and plastic threads on
19 the propeller blade?
20 MR. PETERS: Objection. Calls for
21 speculation.
22 THE WITNESS: Yeah. It really -- you'd
23 really need to define the materials better.
24 There are some very hard plastics.

Page 51

1 BY MR. ABRAMIC:
2 Q. Using any materials that you can come
3 up with, is it your opinion that Sasaki was not
4 error prone at all?
5 MR. PETERS: Objection. Form.
6 THE WITNESS: It could be error prone if
7 the -- if incorrect materials were used.
8 BY MR. ABRAMIC:
9 Q. And so you would agree then that there
10 would have been a desire to make the design in
11 Sasaki less error prone, correct?
12 A. Well, no, because it requires more
13 explanation than that. I don't think there's a
14 desire to make Sasaki less error prone unless
15 the wrong materials are used.
16 Q. Okay. So there is -- There was no need
17 to make Sasaki less error prone at the time of
18 the invention of the '184 patent; is that
19 correct?
20 A. That's correct. But -- Well, I'll
21 leave it at "that's correct." There was no
22 desire to make it less error prone. I mean it's
23 undefinable because I -- go on.
24 Q. Looking at the revised amended claims,

Page 52


1 we talked already about one particular feature,
2 which I called the quick twist, and that is this
3 concept of connecting the propeller blade by
4 rotating less than a full revolution, right?
5 A. Yes.
6 Q. And then you would also agree that the
7 revised amended claims include the other feature
8 of mistake proofing, right?
9 MR. PETERS: Object to form.
10 THE WITNESS: Can you just give me a moment?
11 Yeah. And mistake proofing is considered
12 to be the wrong propeller on the wrong motor.
13 So I would say yes.
14 BY MR. ABRAMIC:
15 Q. Okay. So thinking about those two
16 particular features, I want to try to get a
17 sense of your understanding of the UAV market
18 and the current state of the UAV market and
19 which drones have those features. So are you
20 familiar with the -- with current drones that
21 are on the market?
22 A. Yes.
23 Q. And so can you think of a DJI drone
24 that has those two features: quick twist and

Page 53

1 mistake proofing?
2 MR. PETERS: Object to --
3 THE WITNESS: I'd have to --
4 MR. PETERS: Object to scope and calls for
5 speculation.
6 THE WITNESS: I can think of lots of
7 different propellers. It really -- I mean
8 things change so quickly in the market. If you
9 can present a picture to me, I could give an
10 opinion on if I thought it met those
11 requirements.
12 BY MR. ABRAMIC:
13 Q. Okay. And so you can't think of any
14 off the top of your head that has those
15 features?
16 MR. PETERS: Object to scope.
17 THE WITNESS: No, I can't.
18 BY MR. ABRAMIC:
19 Q. And during the course of your
20 investigation in this proceeding, did you seek
21 out any information from DJI about the number of
22 their products that have those features versus
23 the number that don't?
24 A. I don't recall doing that.

<p style="text-align: right;">Page 54</p> <p>1 Q. Did you do any independent 2 investigation on your own to determine that? 3 A. I think since the case started, I maybe 4 looked at propellers more closely because it was 5 on my mind. I did do some research after 6 watching Dr. Reinholtz's video where he puts the 7 propeller incorrectly onto a DJI aircraft. And 8 I did do some research on that to determine that 9 it was a problem that people were having where 10 they were damaging the threads of the propellers 11 by forcibly attaching them, as Dr. Reinholtz did 12 in his video. And I think that's all I did. 13 Q. Sure. And when you say that you did 14 some research after watching that video that 15 confirmed what Dr. Reinholtz demonstrated, what 16 research was that? Was it like YouTube videos 17 or something like that? 18 A. I think I just did a general Google 19 search for, you know, problems, misthreading 20 propellers, maybe those search terms, something 21 like that. I don't recall exactly. I don't 22 believe I -- I didn't document it, but. 23 Q. Did you attempt to misthread a 24 propeller blade that utilized a threaded</p>	<p style="text-align: right;">Page 56</p> <p>1 conventional threaded-type connection for the 2 propeller blade? 3 A. That's a good question. I'll say yes. 4 MR. ABRAMIC: Okay. Steve, I have no further 5 questions. 6 MR. PETERS: All right. Can I take about 15 7 minutes and be back on the record at about 8 10:50? 9 MR. ABRAMIC: Sure. 10 MR. PETERS: Thank you. 11 (Short break taken.) 12 Examination 13 By Mr. Peters 14 Q. Dr. Ducharme, do you recall Mr. Abramic 15 asking you about a hypothetical where blades are 16 attached to a driveshaft using magnets? 17 A. Yes. 18 Q. Do you recall answering that the magnet 19 hypothetical would not meet the engagement 20 limitation? 21 A. Yes. 22 Q. Do you recall answering that the reason 23 that the magnetic hypothetical would not meet 24 the engagement limitation was because the</p>
<p style="text-align: right;">Page 55</p> <p>1 connection to try to recreate what Dr. Reinholtz 2 had done? 3 A. No. Specifically in an attempt to 4 recreate what happened in the video? 5 Q. Right. 6 A. Or what I saw in the video? No. 7 Q. Do you own any drones? 8 A. Yes. 9 Q. What drones do you own? 10 A. Well, I -- I own all the drones. I 11 guess you could say that the ones I have been 12 flying lately are, you know, hand built -- I 13 guess you could say -- go with flight 14 controllers and motors and propellers, built 15 from scratch. It's sort of -- they're 16 production units for my company from the past, 17 but ones that I built, because we built all the 18 drones. So I'm not sure that's the answer you 19 were looking for. 20 Q. You -- 21 A. I don't own a DJI drone. 22 Q. You do not own a DJI drone? 23 A. No. 24 Q. Do you own any drones that have a</p>	<p style="text-align: right;">Page 57</p> <p>1 engagement limitation requires engagement of 2 lugs and notches? 3 A. Yes. 4 Q. All right. Can you turn to Page 67 of 5 Exhibit 1003? 6 A. Yes. 7 Q. Do you see portion of Claim 1 on this 8 page? 9 A. Exhibit 1003? 10 Q. Yes. 11 A. Paragraph 67? 12 Q. Page 67. 13 A. I'm sorry. I'm on Page 67. 14 Q. And do you see the Claim 1 limitations 15 on this page? 16 A. I do. 17 Q. Which limitation -- or do any 18 limitations on this page require engagement of 19 lugs and notches? 20 A. No. 21 Q. So which limitations are the engagement 22 limitation on this page? 23 A. 1H. Hold on one second. 1G and 1H. 24 Q. And in 1I do you -- Is there any</p>

<p style="text-align: right;">Page 58</p> <p>1 recitation of lugs and notches in 1I?</p> <p>2 A. Yeah. I forgot about that part. Yes.</p> <p>3 The -- it indicates that the shaft lock portion</p> <p>4 defining notches configured to engage</p> <p>5 corresponding to lugs in the blade lock portion.</p> <p>6 Q. So would you like to expand on your</p> <p>7 previous answer to the question of whether the</p> <p>8 engagement limitation requires engagement of</p> <p>9 lugs and notches?</p> <p>10 A. Yeah. The engagement limitation,</p> <p>11 Claim 1, 1G and 1H as it's shown on Exhibit 103</p> <p>12 on Page 67, does not include locks and</p> <p>13 notches -- I'm sorry -- lugs and notches.</p> <p>14 Q. So when Mr. Abramic asked you about</p> <p>15 whether the magnetic hypothetical would not meet</p> <p>16 the engagement limitation because engagement</p> <p>17 limitation requires engagement of lugs and</p> <p>18 notches, why did you answer yes to that</p> <p>19 question?</p> <p>20 A. I was incorrect at that moment. I was</p> <p>21 thinking of just the word "engage" and thinking</p> <p>22 of mechanical parts fitting together and knowing</p> <p>23 that the magnetic hypothetical, which wasn't</p> <p>24 well defined, would need something sticking out</p> <p style="text-align: right;">Alfred Ducharme July 13, 2020 www.uslegalsupport.com</p>	<p style="text-align: right;">Page 60</p> <p>1 his questioning?</p> <p>2 MR. PETERS: Objection. Privileged.</p> <p>3 Instruct the witness not to answer.</p> <p>4 MR. ABRAMIC: I'm not asking for the</p> <p>5 substance of any of the communications. I'm</p> <p>6 just asking if the subject matter of the</p> <p>7 questioning was discussed.</p> <p>8 MR. PETERS: Same objection. Instruct the</p> <p>9 witness not to answer.</p> <p>10 BY MR. ABRAMIC:</p> <p>11 Q. What did you do to prepare for your</p> <p>12 deposition today, Dr. Ducharme?</p> <p>13 A. I reviewed the exhibits that were used</p> <p>14 in my declaration and -- declarations, and read</p> <p>15 through my declarations.</p> <p>16 Q. And did you confer with counsel?</p> <p>17 A. I talked to counsel. We had phone</p> <p>18 meetings, okay.</p> <p>19 Q. Okay. No more questions. Thank you,</p> <p>20 Doctor.</p> <p style="text-align: right;">(Witness excused.) * * * * *</p>
<p style="text-align: right;">Page 59</p> <p>1 and something that it would go into, like a lug</p> <p>2 and a notch. And so I was thinking it didn't</p> <p>3 meet the entire definition of Claim 1, but it</p> <p>4 meets -- it does engage only with -- the</p> <p>5 clockwise only engages with the clockwise rotor</p> <p>6 and vice versa for counterclockwise. It does</p> <p>7 engage those because it fits together only with</p> <p>8 those. I guess I should add: And, therefore,</p> <p>9 meets the engagement limitation.</p> <p>10 Q. I have no further questions.</p> <p>11 MR. ABRAMIC: I have a couple of questions.</p> <p style="text-align: center;">Re-Examination By Mr. Abramic</p> <p>14 Q. Dr. Ducharme, prior to Mr. Peters'</p> <p>15 questioning today, did you confer with him at</p> <p>16 all?</p> <p>17 A. Yes.</p> <p>18 Q. And did that occur over the most recent</p> <p>19 break prior to his questioning?</p> <p>20 A. Yes.</p> <p>21 Q. And was that a phone call you had?</p> <p>22 A. Yes.</p> <p>23 Q. And did you generally discuss the</p> <p>24 questions and answers that would occur during</p>	<p style="text-align: right;">Page 61</p> <p>1 STATE OF ILLINOIS)</p> <p style="text-align: right;">) SS.</p> <p>2 COUNTY OF COOK)</p> <p>3</p> <p>4 I, LAURA MUKAHIRN, Certified</p> <p>5 Shorthand Reporter and Notary Public in and for</p> <p>6 the County of Cook, State of Illinois, do hereby</p> <p>7 certify that on July 13, 2020, the deposition of</p> <p>8 the witness, ALFRED DUCHARME, called by the</p> <p>9 Patent Owner, was taken before me, reported</p> <p>10 stenographically, and was thereafter reduced to</p> <p>11 typewriting under my direction.</p> <p>12 The said deposition was taken</p> <p>13 remotely, and there were present counsel as</p> <p>14 previously set forth.</p> <p>15 The said witness, ALFRED DUCHARME,</p> <p>16 was first duly sworn to tell the truth, the</p> <p>17 whole truth, and nothing but the truth, and was</p> <p>18 then examined upon oral interrogatories.</p> <p>19 I further certify that the foregoing</p> <p>20 is a true, accurate, and complete record of the</p> <p>21 questions asked of and answers made by the said</p> <p>22 witness, ALFRED DUCHARME, at the time and place</p> <p>23 hereinabove referred to.</p> <p>24 The undersigned is not interested in</p>

<p>Page 62</p> <p>1 the within case, nor of kin or counsel to any of</p> <p>2 the parties.</p> <p>3 Witness my official signature in and</p> <p>4 for the County of Cook, State of Illinois, on</p> <p>5 this 15th day of July A.D., 2020.</p> <p>6</p> <p>7</p> <p>8</p> <p>9</p> <p>10 </p> <p>LAURA MUKAHIRN, CSR, RPR, CRR</p> <p>11 CSR NO. 084-003592</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19 Alfred Ducharme July 13, 2020</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p>	
<p>U.S. LEGAL SUPPORT www.uslegalsupport.com</p>	