

CONFIDENTIAL - DEAN NEIKIRK, PH.D.

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

CASE IPR 2020-00475
U.S. Patent No. 9,186,052

-----X
SAMSUNG ELECTRONICS CO., LTD, and SAMSUNG
ELECTRONICS AMERICA, INC.,

Petitioners,
v.

CELLECT, LLC,
Patent Owner.

-----X

CONFIDENTIAL DEPOSITION
VIA ZOOM VIDEOCONFERENCING
OF
DEAN NEIKIRK, PH.D.
Thursday, October 8, 2020

Reported By:

LINDA J. GREENSTEIN

JOB NO. 1040

AMG Reporting LLC 917-969-4493

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.

2 UNITED STATES PATENT AND TRADEMARK OFFICE
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD

4 CASE IPR 2020-00475

U.S. Patent No. 9,186,052

-----X
5 SAMSUNG ELECTRONICS CO., LTD, and SAMSUNG
6 ELECTRONICS AMERICA, INC.,

Petitioners,

7 v.

8 CELLECT, LLC,

Patent Owner.

9 -----X

10
11 CONFIDENTIAL DEPOSITION

12 VIA ZOOM VIDEOCONFERENCING

13 OF

14 DEAN NEIKIRK, PH.D.

15 Thursday, October 8, 2020

16
17
18
19
20
21
22
23 Reported By:

24 LINDA J. GREENSTEIN

25 JOB NO. 1040

<p>Page 2</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 October 8, 2020 3 10:59 A.M. EST 4 5 6 7 Confidential Deposition held via 8 Zoom Videoconferencing of Dean Neikirk, 9 Ph.D., taken by Respective Parties, before 10 Linda J. Greenstein, a Certified Shorthand 11 Reporter and Notary Public of the State of 12 New York. 13 14 15 16 17 18 19 20 21 22 23 24 25</p>	<p>Page 3</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A P P E A R A N C E S : 3 (All parties appearing via Zoom 4 Videoconferencing) 5 6 7 ROPES & GRAY, L.L.P. 8 Counsel for Petitioners 9 1900 University Avenue 10 6th Floor 11 East Palo Alto, California 12 94303-2284 13 BY: JAMES L. DAVIS, JR., ESQ. 14 650.617.4794 15 james.l.davis@ropesgray.com 16 17 18 KRAMER LEVIN NAFTALIS & FRANKEL, L.L.P. 19 Counsel for Patent Owner Collect, LLC 20 1177 Avenue of the Americas 21 New York, New York 10036 22 BY: JONATHAN S. CAPLAN, ESQ. 23 212.715.9488 24 jcaplan@kramerlevin.com 25 26 27 Also Present: 28 ROPES & GRAY, L.L.P. 29 Carolyn Redding, Esq. 30 31 32 33 34 35</p>
<p>Page 4</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 THE COURT REPORTER: Good 3 morning. 4 We're here in the Matter of 5 Samsung versus Collect. 6 Today is Thursday, October 8, 7 2020. 8 Counsel, do you agree that the 9 witness will be sworn today remotely? 10 MR. CAPLAN: Yes, we do. 11 Jonathan Caplan on behalf of 12 Collect. 13 MR. DAVIS: Yes. This is Jim 14 Davis on behalf of petitioners. 15 THE COURT REPORTER: Thank you. 16 You may proceed. 17 DEAN NEIKIRK, PH.D., 18 having been first duly sworn, was examined 19 and testified as follows: 20 EXAMINATION BY 21 MR. CAPLAN: 22 Q. Good morning, Dr. Neikirk. 23 Nice to see you this morning. 24 A. Good morning. 25 Q. Good morning.</p>	<p>Page 5</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So just a couple kind of 3 administrative matters similar to when we 4 went through this last week a little bit. 5 We'll generally go for about an 6 hour. If you need a break at any time -- 7 obviously we will break about every hour, 8 but if something comes up in between, just 9 let me know. 10 Just when you're answering your 11 questions, do give your counsel a chance to 12 object and so that the court reporter can 13 get it all down so we don't talk over each 14 other. 15 MR. CAPLAN: And, Jim, I assume 16 it's okay with you, but since Dr. Neikirk's 17 background I assume hasn't changed since 18 last week, I'll just forego those questions 19 because we've covered that already, so 20 we'll just move on from that. 21 Okay? 22 MR. DAVIS: That's fine on our 23 end. 24 MR. CAPLAN: Okay. Great. 25 BY MR. CAPLAN:</p>

<p style="text-align: right;">Page 6</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Okay, Dr. Neikirk, today we're 3 going to be talking about the IPR, it's -- 4 I'm trying to get the right number -- it is 5 IPR 2020-00475, and it involves U.S. Patent 6 9,186,052. 7 Does that sound familiar? 8 A. Yes, I understand that. 9 Q. I believe you have your papers 10 that you put in your declaration and the 11 petition and the related references and 12 exhibits? 13 A. Yes. 14 Q. Okay. 15 A. Yes, I did. 16 Q. So certainly we're going to use 17 Exhibit -- I think it's 1001. Let me just 18 make sure. Yes, Exhibit 1001. Actually, 19 the '052 patent, so we're making some 20 reference to that so you may want to pull 21 that out and then we'll go through a couple 22 of the other references. 23 MR. CAPLAN: And, Linda, I know 24 we did this last week, but we will be using 25 the exhibit numbers from the IPR which are</p>	<p style="text-align: right;">Page 7</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 in the record. 3 I will identify the particular 4 exhibit numbers for the record. 5 THE WITNESS: Yes. That's fine. 6 BY MR. CAPLAN: 7 Q. So, Dr. Neikirk, if you just 8 pull out the '052 patent, Exhibit 1001, 9 just so we'll have that, we'll be referring 10 to it periodically. 11 A. I have it in front of me. 12 (Exhibit 1001 for 13 identification, U.S. Patent 9,186,052, 14 shown to witness.) 15 BY MR. CAPLAN: 16 Q. And the '052 patent, 17 Exhibit 1001, that is the subject of your 18 declaration in this IPR proceeding; right? 19 A. Yes, it is. 20 Q. Okay. In particular, your 21 testimony was made in connection with 22 Claims 1, 3 and 7 of the '052 patent; is 23 that right? 24 A. So I did one declaration and so 25 I could keep track of which was in which</p>
<p style="text-align: right;">Page 8</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 petition. 3 Q. I understand, sure. 4 A. My declaration covered Claims 1, 5 3, 7 and 2. I believe 2 is the other 6 petition, though, if that's correct. 7 So, yes, 1, 3 and 7 are 8 certainly covered by my declaration. 9 Q. Great. Okay. 10 Now, I'm also going to be making 11 reference to -- we'll start off today with 12 Exhibit 1015, that's the Suzuki patent, so 13 if you can pull -- 14 A. And I do have that in front of 15 me as well. 16 MR. CAPLAN: Just for the 17 record, the Suzuki patent, it's 18 Exhibit 1015 from the IPR, and it's U.S. 19 Patent 5,233,426. 20 (Exhibit 1015 for 21 identification, U.S. Patent 5,233,426, 22 shown to witness.) 23 BY MR. CAPLAN: 24 Q. Okay. To start generally, Dr. 25 Neikirk, can you describe kind of your</p>	<p style="text-align: right;">Page 9</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 understanding of what is shown in the 3 Suzuki patent, which is used -- which you 4 used in connection with your testimony in 5 this IPR. 6 MR. DAVIS: Objection to form. 7 A. So I considered Suzuki only in 8 combination with Swift and Ricquier for 9 Claim 2, so I'd have to -- I would actually 10 go to my discussions under Claim 2 in terms 11 of I have a background discussion of -- of 12 Suzuki there. That's in my declaration. 13 Q. Okay. Before we do that, I just 14 want to make sure. Why don't you pull out 15 your declaration, which I'm assuming you 16 have there, Exhibit 1004. We'll be 17 referring to that as well today. That's 18 your declaration. 19 (Exhibit 1004 for 20 identification, Declaration of Dean 21 Neikirk, Ph.D., shown to witness.) 22 BY MR. CAPLAN: 23 Q. Do you have that? 24 A. I do. 25 Q. And I believe -- and I'm looking</p>

<p style="text-align: right;">Page 10</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 at the table of contents, but there is a 3 Ground -- Ground 1. 4 Is Ground 1, which you have, and 5 it says -- I'm looking at page 3 of 256 of 6 Exhibit 1004. 7 A. Yes. 8 Q. You do rely on Suzuki in 9 combination with Wakabayashi and Ackland. 10 Do you see that? 11 A. Yes. That is correct. I 12 misspoke. I was looking down to Section B. 13 But, yes, for the table of 14 contents, it's labeled under Section B, and 15 its Claim 1 and it's Wakabayashi in view of 16 Ackland and Suzuki, that is correct. 17 Q. Okay. And, again, if you can 18 just provide your understanding of what the 19 Suzuki patent is describing as it relates 20 to you using it in this IPR. 21 MR. DAVIS: Objection to form. 22 A. If we look at Suzuki itself, so 23 Exhibit 1015, page 1, as the first sentence 24 in the abstract says that "the invention 25 provides a compact camera head for</p>	<p style="text-align: right;">Page 11</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 solid-state image pickup device," so 3 certainly in terms of the area of the 4 patent, that is I think a reasonable 5 description of it. 6 If we go to page -- the page 7 numbers in my declaration, the table of 8 contents lists the page numbers from the -- 9 from the document and not the exhibit 10 numbers, so there's -- but there's no page 11 number at the bottom, so let me find them, 12 so they're off just a little bit. 13 So it's supposed to be page 96 14 of the document, but that's actually page 15 99 of the exhibit number. 16 So if we went to page 99, you 17 can see there in paragraph 150, for 18 instance, I pointed out that Suzuki 19 discloses this compact camera head of a 20 given size, and I discussed that further on 21 the next page, page 100 in paragraph 152, 22 and, in particular, noting that the 23 solid-state image pickup chip is 24 8.5 millimeters and that the outer diameter 25 of the camera head is 10 millimeters.</p>
<p style="text-align: right;">Page 12</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So in terms of what Suzuki 3 taught that is relevant for Claim 1, he 4 taught sizes of chips, sizes of boards that 5 have to fit inside camera heads. 6 Q. Do you know what kind of 7 solid-state imaging device is described by 8 Suzuki; in other words, the particular type 9 of solid-state imager? 10 MR. DAVIS: Objection to form. 11 A. So Suzuki refers to a 12 solid-state image pickup chip in Column 2 13 at line -- what is that -- line 38. 14 Flipping further, I think Suzuki 15 generally refers simply to a solid-state 16 image pickup device and does not further 17 narrow that. 18 Q. It doesn't say the particular 19 type of solid-state imager technology it 20 uses, does it? 21 A. No. It says that it's a 22 solid-state image pickup device. 23 Q. If you look at the first page of 24 the Suzuki patent, Exhibit 1015, do you see 25 there's some boxes on the top left?</p>	<p style="text-align: right;">Page 13</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 There's a box 22 and a box 30. 3 Do you see those? 4 A. I'm sorry, which page are you 5 looking at? 6 Q. The first page of Suzuki. 7 A. Okay. I'm there. 8 And the question concerned? 9 Q. Do you see on the top left 10 there's some numbered boxes and you see one 11 box says "22" in it in brackets, and it 12 says "Filed." 13 Do you see that? 14 A. I see that. 15 Q. Okay. And then underneath that 16 you see there's a bracketed number 30. 17 Do you see that? 18 A. I do. 19 Q. So is it your understanding that 20 the Suzuki patent was originally filed in 21 Japan on December 28th of 1990? 22 Is that your understanding? 23 A. That would be how I would read 24 that line, yes. 25 Q. And it was filed -- the Suzuki</p>

Page 14

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 patent, as an application, before it became
3 a patent, was then filed in the U.S.
4 December 12th of 1991.
5 Is that your understanding?
6 **A. I believe that's what that line**
7 **would mean, yes.**
8 Q. All right.
9 And earlier you explained or you
10 had pointed to the abstract also on page 1
11 of Suzuki, where you said it relates -- the
12 Suzuki patent relates to a compact camera
13 head for a solid-state image pickup device.
14 Do you recall that?
15 **A. Yes, that's what I said.**
16 Q. Were you familiar with the
17 camera head market in 1991?
18 MR. DAVIS: Objection.
19 Form.
20 **A. In a broad sense, I was**
21 **purchasing cameras to put on microscopes in**
22 **my teaching lab and research laboratories.**
23 Q. What kind of microscopes were
24 those?
25 **A. The microscopes were Nikons, but**

Page 16

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 because I was working in focal point
3 arrays.
4 Again, as I think I've described
5 in my background, about my background, I
6 was primarily interested in the infrared as
7 opposed to the visible, but in order to
8 understand how people were building focal
9 point arrays, I made myself generally aware
10 of both visible and infrared technologies.
11 Q. So the focus of your study was
12 or your research was infrared, which is not
13 visible; is that right?
14 MR. DAVIS: Objection to form.
15 **A. So at the time, of course in a**
16 **research environment, typically we're**
17 **trying to do the problems that industry is**
18 **not yet ready to tackle. The problems that**
19 **they knew as, at least at the time,**
20 **insurmountable. That's what we do in a**
21 **laboratory research -- university research**
22 **laboratory.**
23 **The infrared wavelengths were**
24 **harder than the visible wavelengths.**
25 **That's why we were working on them.**

Page 15

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 the cameras were not.
3 Q. Well, what were you using those
4 Nikon microscopes for?
5 **A. To examine, inspect and take**
6 **pictures of integrated circuits.**
7 Q. Were those Nikon cameras kind of
8 high-end or high-quality imaging devices?
9 I assume you needed a quality
10 image; is that right?
11 **A. They were Nikon cameras. I've**
12 **forgotten honestly what brand they were.**
13 **They were aftermarket attachments, and they**
14 **were I think of typical quality.**
15 **I don't know whether they were**
16 **classified as high-performance or not.**
17 Q. And for that purpose, you just
18 were purchasing cameras to work with those
19 Nikon devices?
20 **A. That's correct.**
21 Q. Were you studying the particular
22 cameras you were purchasing at that time?
23 **A. Well, I was certainly aware -- I**
24 **was certainly well-aware of a lot of the**
25 **technical material in the general area**

Page 17

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 But, yes, I was concentrating on
3 infrared as opposed to visible.
4 Q. Okay. And when you were
5 acquiring these cameras to -- let me just
6 go back here and make sure -- when you were
7 purchasing cameras to put on your
8 microscope at that time, we're talking
9 about 19 -- I think I said 1991 was the
10 time frame -- the cameras you purchased at
11 that time, do you know what type of image
12 sensor they used?
13 **A. I don't recall.**
14 Q. Okay. Is that the sort of thing
15 you would have looked at, though?
16 **A. No, honestly not.**
17 **We wanted to make sure it would**
18 **interface with the microscope and that the**
19 **price was acceptable to our research**
20 **grants.**
21 Q. Uh-huh. So as you sit here
22 today, you can't recall if it was a CCD
23 image array in the cameras that you
24 purchased, can you?
25 **A. No. I don't recall.**

<p style="text-align: right;">Page 18</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. And you can't remember if it was 3 a CMOS image array in those cameras either 4 then? 5 A. As I said, I don't recall what 6 kind of sensor it was. 7 Q. And I assume you didn't have an 8 understanding the year earlier, in December 9 of 1990, as to what was the state of the 10 camera head market at that point; is that 11 right? 12 MR. DAVIS: Objection to form. 13 A. I don't think I'd characterize 14 it that way. 15 Again, as I explained, I was 16 broadly familiar, and in some cases in some 17 greater detail, about how people were 18 making focal point arrays for use both in 19 the infrared and the visible wavelengths. 20 As to what was inside the camera 21 head that I bought -- that we bought for 22 those microscopes, as I just told you, I 23 wasn't paying attention to that, but that 24 doesn't mean I wasn't aware of the field. 25 Q. If you were aware of the field</p>	<p style="text-align: right;">Page 19</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 then, what was the -- kind of the standard 3 type of pixel array that was used in the 4 camera you would have bought at that time? 5 MR. DAVIS: Objection to form. 6 A. Well, again, I don't recall 7 exactly what was commercially available. 8 In terms of what was being 9 discussed in the literature at the time, 10 certainly CCDs were well-established and 11 CMOS pixels were becoming established at 12 that time, but in the -- in 1990, I don't 13 really recall what was commercially 14 available. 15 Q. In Suzuki -- I'll use your 16 declaration. I'm looking at paragraph 152 17 of your declaration where you're describing 18 Suzuki. 19 A. I have that in front of me. 20 Q. Okay, great. 21 In paragraph 152, you walk 22 through some of the components in Suzuki. 23 A. That's correct. 24 Q. Okay. So I just want to go 25 through some of these and make sure we</p>
<p style="text-align: right;">Page 20</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 understand. 3 So there's reference to a 4 solid-state image pickup chip 221. 5 Do you see that in paragraph 6 152? 7 A. I do. 8 Q. Okay. So what is your 9 understanding of that component in Suzuki? 10 MR. DAVIS: Objection to form. 11 A. Exactly what you said. It's a 12 solid-state image pickup chip. That would 13 be a focal point array that's just 14 fabricated and uses solid-state devices to 15 convert light to an electronic signal. 16 Q. And so that focal point array, 17 that's where the array of pixels would be 18 located; right? 19 A. Yes, that's correct. 20 Q. Okay. Then there is -- and 21 you're quoting, I understand you're quoting 22 from the Sazuki patent, but in paragraph 23 152, you quote the part that says: "The 24 solid-state image pickup chip 221 is bonded 25 to the chip connecting board 226."</p>	<p style="text-align: right;">Page 21</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 What is the function of the chip 3 connecting board 226? 4 MR. DAVIS: Objection to form. 5 A. Well, I wasn't relying on any 6 functionality of 226 from Suzuki in my 7 discussion of Claim 1. 8 I believe that if you look at 9 Suzuki itself, it describes 226 as a chip 10 connecting board. 11 I don't know that it further 12 describes what circuitry is or is not 226. 13 It simply describes it as a chip 14 connecting board. 15 Q. Do you have an understanding of 16 what circuitry would be on that chip 17 connecting board 226? 18 MR. DAVIS: Objection to form. 19 A. I think it's merely a design 20 choice for the designer. There may be -- I 21 really -- I think they can put what they 22 want there. 23 I wasn't relying on the 24 teachings of Suzuki, though, as to what may 25 or may not be in that board, but I think</p>

Page 22

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **one of ordinary skill in the art would**
3 **recognize they can place a variety of**
4 **circuits on that board.**
5 Q. What type of circuits go on that
6 board?
7 **A. Any kind I suspect that they**
8 **needed in the camera. I didn't certainly**
9 **try to make a list.**
10 Q. As you sit here today, can you
11 identify any circuits that would go on that
12 circuit board 226?
13 MR. DAVIS: Objection to form.
14 **A. As I pointed out, I was not**
15 **relying on Suzuki in terms of any**
16 **particular functionality on any particular**
17 **board.**
18 I was looking more at dimensions
19 that it gave and just generalities of
20 boards. Inasmuch as this is a design
21 choice, I believe it would depend on what
22 circuitry the designer wanted to use.
23 I think they can put it pretty
24 much on any of those boards they wanted. I
25 think that would be just a simple design

Page 24

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **analysis.**
3 Again, as I've just said, I
4 think those are design choices. Those
5 happen to be design choices that Suzuki
6 made, but there could have been others,
7 other design choices equally obvious.
8 Q. Okay. Let me just take a look
9 -- I'm going to talk to you a little bit
10 more about the part in Suzuki you just
11 identified in column 2 between lines 50 and
12 55.
13 And as you read, it says,
14 "circuit board 227, including a circuit for
15 driving the solid-state image pickup 221."
16 Do you see that?
17 **A. I do.**
18 Q. So what is a circuit -- why does
19 it need a circuit for driving the
20 solid-state image pickup 221?
21 **A. Well, there are many sorts of**
22 **driving circuitry.**
23 **Driving circuitry, sometimes**
24 **people use that phrase to describe timing.**
25 **Sometimes they use that phrase to describe**

Page 23

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **choice.**
3 Q. Then you also identify in your
4 same paragraph 152, and you were talking
5 about 3a and 3d in Figure 2, and you list a
6 number of components, and we talked about
7 chip 221 and board 226, and then you make
8 reference to a circuit board 227.
9 Do you know what's the function
10 of circuit board 227?
11 MR. DAVIS: Objection to form.
12 **A. So Suzuki says in column 2 --**
13 **let's see if it's right here in this**
14 **particular location.**
15 I'm not sure it's right there in
16 my discussion in my declaration, but if you
17 look at column 2 of Suzuki at line 52, it
18 says: "A circuit board 27 including a
19 circuit for driving the solid-state image
20 pickup chip 221 and a circuit for
21 amplifying the video signal from
22 solid-state image pickup 221." That's what
23 it says.
24 But, again, I did not rely on
25 those teachings in terms of any of my

Page 25

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **control. Sometimes they simply use -- they**
3 **use that phrase to describe providing**
4 **power. All of those things are, broadly**
5 **speaking, referred to as driving circuitry.**
6 I don't think that in -- this
7 disclosure is not really saying anything in
8 particular. It just says driving circuitry
9 for the solid-state image pickup chip.
10 **That's all it says.**
11 Q. And what is your understanding
12 of what Suzuki says and what we're looking
13 at? What is the function of the driving
14 circuit in Suzuki that's described in
15 column 2 there?
16 MR. DAVIS: Objection to form.
17 **A. Exactly what he's said. It's**
18 **driving circuitry. I just gave you some**
19 **examples. There's undoubtedly others, but**
20 **I don't think Suzuki is providing any**
21 **specific requirements there.**
22 It's a broad-based statement
23 about putting driving circuitry on circuit
24 board 227 -- yes. Yes, 227, circuit board
25 227.

<div>Page 26</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. So it's your testimony that a 3 driving circuit could do anything a 4 designer wanted it to do? There's no 5 constraint based on what Suzuki says; is 6 that right? 7 MR. DAVIS: Objection to form. 8 A. No, it's not. That is not what 9 I said. I gave you specific examples of 10 things that are sometimes referred to as 11 driving. Power supply can be referred to 12 as driving. Timing and control could be 13 referred to as driving circuitry. 14 Other types of access signals, 15 reset signals, all those things, broadly 16 speaking, are in the category of driving 17 circuitry. 18 So, no, I didn't say that no one 19 has any idea what they are. There are a 20 lot of examples, though, and Suzuki has not 21 further narrowed it or said what kind. 22 It's just a broad general statement. 23 What you put where is an option 24 available to the -- to a designer. 25 Q. So it's your testimony that</div>	<div>Page 27</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 given the context of what's described in 3 Suzuki, there's no particular constraint on 4 the options for the driving circuit and the 5 functions that it would perform in Suzuki; 6 is that right? 7 MR. DAVIS: Objection. 8 Form. 9 A. I don't think that's how I 10 phrased it. I think that Suzuki says what 11 they say. That there is driving circuitry 12 -- a circuit for driving solid-state image 13 pickup 221. That could be many different 14 things. I gave you several examples. I 15 think it's a design choice. 16 Q. Now, a driving circuit that 17 provides power, is directed to power, that 18 would be a different circuit than a driving 19 circuit that is directed to timing control. 20 Would you agree with that? 21 A. I think each of those, there 22 would be a number of circuits that could 23 fulfill that function. But, yes, I believe 24 those are different functions. 25 Q. So those would be different</div>
<div>Page 28</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuits for -- you identified timing, 3 control and power, right, those were the 4 three options you identified? 5 MR. DAVIS: Objection to form. 6 A. I identified timing and control, 7 I identified power as examples, not that 8 that's an exhaustive list of things that 9 some people might refer to as driving 10 circuitry. 11 I mentioned a couple of other 12 examples -- reset signals -- but those -- 13 sometimes people refer to those as control 14 signals. 15 There's a lot of different names 16 for similar functions and many circuits 17 that can provide those functions. 18 Q. So the timing and control 19 circuit would be different from a power 20 circuit -- let me rephrase that. 21 We're talking about at least two 22 types of driving circuits: One for power 23 and another for timing and control. 24 Those would be two separate 25 circuits; is that right?</div>	<div>Page 29</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 MR. DAVIS: Objection. 3 Form. 4 A. I would expect that circuitry 5 used for providing power would be of a 6 different sort of circuitry than one that 7 would be broadly referred to as providing 8 timing or as providing control signals. 9 Q. Okay. And you previously 10 testified that -- I believe it was board 11 for the pickup chip 221 -- that's where the 12 pixel array would be located; right? 13 A. I'm just trying -- yes, 221. 14 I'm just trying to make sure I've got my 15 numbers right. 16 Yes, that's correct. 17 Q. Okay. And with respect to 221, 18 circuit board 227 in Suzuki would be 19 characterized as off-chip then; is that 20 right? 21 A. Well, the circuit board 227 and 22 circuits made on that board, I would say 23 yes, that's not on the chip; and, hence, is 24 off-chip. 25 Q. And there's also reference in</div>

Page 30

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 your declaration to connector board 228.
3 Again, I'm referring to
4 paragraph 152.
5 Do you see that?
6 **A. Yes, on page 100 of my**
7 **declaration, I quoted the section where**
8 **they're talking about chip connecting board**
9 **226, circuit board 227 and then connector**
10 **board 228 are arranged in such a manner,**
11 **and then from there it goes on to talk**
12 **about an arrangement, yes, that's correct.**
13 Q. What's your understanding of the
14 term "connector board"? What does that
15 mean?
16 MR. DAVIS: Objection.
17 Form.
18 **A. So Suzuki refers to 228 I**
19 **believe that one time and provides no other**
20 **particular discussion as to what other**
21 **circuitry may or may not be on that board,**
22 **that it is a connector board.**
23 If you look at page -- at Figure
24 2, for instance, of Suzuki, and you see
25 228, it contains what would appear to be

Page 32

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 MR. DAVIS: Objection to form.
3 **A. Every solid-state imaging device**
4 **I know requires a power supply, and so a**
5 **CCD would, a CMOS would, a microbolometer**
6 **would, a photo diode would.**
7 They all require some source --
8 well, with the possible exception of the
9 simplest photo diode, which generates a
10 photo current, all of them typically
11 require bias; in other words, a power
12 supply.
13 Q. You would agree that a CCD pixel
14 array would use an off-chip driving circuit
15 for power, wouldn't you?
16 MR. DAVIS: Objection to form.
17 **A. Well, a CCD, as well as every**
18 **other or pretty much every other**
19 **solid-state imaging device I know, requires**
20 **power. That power is -- unless the battery**
21 **is somehow integrated into the chip and**
22 **that power is off-chip and has to be**
23 **provided to the chip, and any circuitry**
24 **involved in that power supply might be**
25 **located off-chip.**

Page 31

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **some components mounted on the surface of**
3 **it, but I don't know that that's what they**
4 **are.**
5 But if you look at what emerges
6 from the right, you see 228P, which he
7 describes as external lead pins. And at
8 column 2 at line 60, he says the external
9 lead pins 228 are mounted on the connector
10 board 228 and are adapted to be connected
11 to the plug 4 so as to send the signals to
12 the camera control unit 3.
13 Other than that, I assume he
14 refers to the connector board because it
15 has pins designed to connect to something
16 externally as discussed in that section.
17 The sketch that he shows, I
18 don't know what those other boxes are on
19 the other side of 228. Whether that's
20 circuitry, not circuitry, he doesn't say.
21 Q. Now, a CCD pixel array -- let me
22 rephrase it.
23 A CCD pixel array would require
24 an external driving circuit for power,
25 wouldn't it?

Page 33

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 I suppose it could be located
3 on-chip, but it wouldn't be unusual to
4 locate it off-chip.
5 Q. A CMOS pixel array would not
6 require an off-chip driving circuit for
7 power, would it?
8 MR. DAVIS: Objection to form.
9 **A. No. No, that's not correct.**
10 The transistors in a CMOS pixel,
11 in order to operate, require power
12 supplies. They don't operate in the
13 absence of power. They don't operate.
14 So a CMOS pixel array certainly
15 also requires a power supply.
16 Q. Right. But a CMOS pixel array
17 that's manufactured on a CMOS manufacturing
18 line could run off the same voltage for the
19 whole device; isn't that correct?
20 MR. DAVIS: Objection to form.
21 **A. It depends on how it's designed.**
22 If it's CMOS, you need more than
23 one bias, because the N channel transistors
24 operate one way and the P channel
25 transistors operate another, so you need

<p style="text-align: right;">Page 34</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 two voltages at a minimum. 3 Q. But you wouldn't require an 4 off-chip driving circuit for power for a 5 CMOS pixel array device, would you? 6 MR. DAVIS: Objection to form. 7 A. No, I wouldn't agree with that. 8 They require off-chip supplies 9 of power and inasmuch as that is driving 10 the transistors on-chip, that might well be 11 characterized as driving circuitry, and 12 that's required for a CMOS just like it is 13 for a CCD. The details might be different, 14 but they both require it. 15 Q. How are the details different 16 between CCD and CMOS? 17 MR. DAVIS: Objection to form. 18 A. It depends on the chip. It 19 depends on what logic levels were used for 20 the CMOS, when -- 5 volts, 3.3 volts. It 21 depends on the technology node. 22 CCDs, similarly, it just depends 23 on the design of the chip in terms of what 24 voltages it's designed to operate on. 25 Q. You would agree that a CCD pixel</p>	<p style="text-align: right;">Page 35</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 array device requires higher voltage to 3 operate than a CMOS pixel array device, 4 wouldn't you? 5 MR. DAVIS: Objection to form. 6 A. I don't think that's -- excuse 7 me -- I don't know that that's universally 8 true. 9 It would not be unusual for them 10 to use different voltages, but I couldn't 11 say that there is no CCD in existence that 12 could not operate from, for instance, a 13 5-volt power supply. 14 Q. Are you aware of any? 15 A. I haven't done the research on 16 that. There was no need for this -- for 17 the purposes of this declaration, that was 18 not a relevant topic for me so I haven't 19 looked. 20 Q. Going back to the mid '90s, 21 let's just talk about 1997, in particular, 22 was it your understanding that a CCD imager 23 device or -- strike that. 24 In 1997, was it your 25 understanding that a CCD pixel array device</p>
<p style="text-align: right;">Page 36</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 had the same power requirement as a CMOS 3 pixel array device? 4 A. In terms of their power 5 requirements or voltage requirements? 6 I'm sorry, power requirements, I 7 honestly don't know which was lower power 8 or higher power. I think CMOS was 9 generally viewed as being lower power 10 consumption than CCD in terms of the 11 voltage levels that were required. 12 I honestly don't know what was 13 available for the CCDs at that particular 14 point in time, standard CMOS logic -- '97 15 -- I don't know whether we dropped the 16 3.3 volts yet or not or whether it was 17 still 5 volts. I honestly don't recall. 18 Q. But in 1997, the way you would 19 read out a CCD pixel array, sometimes 20 referred to as a bucket brigade, but you 21 had to push the charge across all of the 22 pixels and the CCD array to get the image 23 data out; is that correct? 24 MR. DAVIS: Objection to form. 25 A. So depending on how the CCD was</p>	<p style="text-align: right;">Page 37</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 designed, whether it was -- you can design 3 so that there's some parallelism, but, 4 typically, yes, you have to push them at 5 least across everything in one row or one 6 column. That's true. 7 Q. Okay. And in 1997, a CCD pixel 8 array to be read out in the way you just 9 described required more voltage than what a 10 readout of a CMOS pixel array at that time; 11 is that right? 12 A. I don't know that that was a 13 requirement. I'm sure you can find 14 examples that were higher voltage, but I 15 don't know that there aren't examples where 16 they were similar. I honestly don't know. 17 Q. Do you have an understanding of 18 how the camera head -- let's talk about the 19 solid-state image pickup device in Suzuki. 20 What's your understanding of how 21 that device would have been manufactured in 22 1991? 23 MR. DAVIS: Objection to form. 24 A. I don't think Suzuki cares. 25 It just says it's a solid-state</p>

<p style="text-align: right;">Page 38</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 image pickup head. In terms -- 3 Q. I'm asking you -- I'm sorry. 4 A. In 1991, I'm sure it was done 5 with integrated circuit techniques. 6 Q. Do you know what kind would have 7 been used at that time, what kind of 8 manufacturing technique would have been 9 used to manufacture the image pickup device 10 221 at that time? 11 MR. DAVIS: Objection. 12 Form. 13 A. I imagine it involved oxidation, 14 diffusion, ion implantation, 15 photolithography, metal and potentially 16 polysilicon depositions, etching. 17 All of those manufacturing steps 18 would have been used. 19 Q. That would have been a process 20 that was tailored to manufacturing that 21 particular chip; right? 22 MR. DAVIS: Objection. 23 Form. 24 A. So I think that as we discussed 25 before other articles, there are certain --</p>	<p style="text-align: right;">Page 39</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 for instance, substrate requirements for 3 CCDs that are different than for CMOS. 4 And, yes, the processes were 5 probably optimized for one or the other. 6 So, yes, I think the fabrication 7 process for a CCD is different than for a 8 CMOS chip. 9 Q. Do you know what was the average 10 size of a CCD pixel in 1997? 11 MR. DAVIS: Objection to form. 12 A. No, not for CCD specifically. 13 In terms of general IC 14 fabrication in '97, I honestly don't recall 15 what technology node we were at -- '97. 16 I think in '97 we were probably 17 under one micron for lithographic feature 18 sizes, but that doesn't determine the pixel 19 sizes and I don't know. I don't recall. 20 Q. And do you have an understanding 21 of what was the pixel size -- and micron, 22 it sounds like what you were using, for a 23 CMOS active pixel sensor at that time, in 24 1997? 25 MR. DAVIS: Same objection.</p>
<p style="text-align: right;">Page 40</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I don't recall with any great 3 specificity. I think it was on the order 4 of a few microns, but I don't really recall 5 with any more specificity than that. 6 Q. In your declaration, in 7 paragraph 153, you talk about some of the 8 size measurements that are provided or the 9 size details provided in Suzuki. 10 Do you see that? 11 A. I do. 12 Q. I'm sorry, do you see that, 13 paragraph 153? 14 A. Yes, I do. 15 Q. Okay. And then you highlight a 16 portion of Suzuki where it talks about the 17 solid-state imager pickup chip being 18 one-third of an inch, which is 19 8.5 millimeters as used. 20 Do you see that? 21 A. I do. 22 Q. Did you do any calculations in 23 terms of the number of pixels that would 24 fit into -- what could be generated with an 25 image pickup chip of that size?</p>	<p style="text-align: right;">Page 41</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. No, I did not. 3 Q. Do you have an understanding of 4 how many -- the number of pixels that could 5 be generated with an image pickup sensor or 6 an image pickup chip of that size? 7 A. I wasn't counting pixels, and 8 so, no, I haven't done any -- any 9 calculation of that. 10 Q. Do you have an understanding of 11 how many pixels do you think would fit in 12 an image sensor of that size in 1991? 13 MR. DAVIS: Objection to form. 14 A. So for an 8.5-millimeter size 15 chip, no, I don't know. 16 Q. If you could determine the 17 number of pixels that would fit or could be 18 generated by an image pickup chip at that 19 time, would it be different for CCD pixel 20 array versus a CMOS pixel array in terms of 21 the number of pixels? 22 A. I don't know. 23 MR. DAVIS: Object to form. 24 A. Perhaps, but I don't know. 25 It's not -- it was not an issue</p>

Page 42

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 in my inquiry. Nowhere in the claims that
3 were considered was there any limitation
4 related to number of pixels, so I didn't
5 look at that.
6 Q. What's your understanding of how
7 many pixels you would have needed in 1997
8 to have an image of acceptable quality
9 generated by this image pickup chip?
10 MR. DAVIS: Objection.
11 Form.
12 A. I think it very much depend on
13 who was defining acceptable.
14 I don't know. No number in
15 particular is in my head.
16 Q. We previously spoke about the
17 consumer camera market. We talked about
18 the Wakabayashi reference.
19 Do you have an understanding of
20 what would be acceptable for a consumer
21 camera like it's described in Wakabayashi?
22 MR. DAVIS: Objection to form.
23 A. I don't think Wakabayashi
24 indicated a particular array per pixel
25 count. And, again, that was not something

Page 44

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 knowledge at the time that a size of -- and
3 to use the claim language was between 2 and
4 12 millimeters. Suzuki illustrates a chip
5 that's 8.5 millimeters and that they want
6 this to fit inside a camera head. They
7 specify the outer diameter as being
8 10 millimeters, I believe.
9 Which means all of the
10 components that go inside have to be
11 smaller than 10. And the chip is given as
12 8-1/2. That's bigger than 2 millimeters.
13 So I think what Suzuki teaches
14 one of ordinary skill is that having a chip
15 between 2 and 12 millimeters was known at
16 the time.
17 Q. So your testimony, at least in
18 connection with Suzuki, isn't based on the
19 particular type of pixel array that's in
20 Suzuki; is that right?
21 MR. DAVIS: Objection.
22 Form.
23 A. Suzuki discloses a camera head
24 that uses a solid-state image pickup
25 device. Very clearly. That's all he

Page 43

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 that I looked into. I don't recall off the
3 top of my head.
4 It certainly has changed over
5 the years, but what it was considered to be
6 in '90 or '95 or '97, I don't recall
7 precisely.
8 Q. And so it's your testimony that
9 the Suzuki reference is really only used by
10 you in this IPR for the size of the -- this
11 pickup, the image pickup chip; is that
12 right?
13 A. So for Claim 1, as I've
14 discussed starting on page 99, my
15 declaration, the teachings that I thought
16 were relevant -- first, that it's for a
17 miniature -- to use his words, a compact
18 camera head for a solid-state image pickup
19 device.
20 All of these references I've
21 looked at are for solid-state imaging
22 devices and they all are interested in
23 size.
24 Suzuki I think shows one of
25 ordinary skill that using available

Page 45

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 restricted it to, which could be many
3 different things. He gives sizes.
4 Beyond that, no, I don't -- I'm
5 not looking to Suzuki for any more details
6 about the imager itself because he's open.
7 He says it's solid state. He didn't say
8 what kind.
9 Q. So it's your testimony that
10 Suzuki could be any type of solid state --
11 any kind of solid-state pixel array, it
12 doesn't matter.
13 That's your testimony; right?
14 MR. DAVIS: Objection to form.
15 A. I don't think that's how I said
16 it.
17 Suzuki simply requires a --
18 let's see -- to use an image pickup, so a
19 solid-state image pickup chip.
20 In terms of what that would
21 teach to one of ordinary skill in the art,
22 that could encompass a number of different
23 types. That's what's Suzuki teaches and I
24 believe that's what one of ordinary skill
25 in the art would take away.

Page 46

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. And what types could it be then?
3 A. Well, it could be, as examples,
4 an active pixel CMOS device. It could be a
5 CCD pixel device. It could be a photo
6 diode pixel device.
7 Actually, it could be a
8 microbolometer pixel. That's a solid-state
9 device too.
10 **Suzuki doesn't limit it.**
11 Q. So it doesn't matter what kind
12 of solid-state imager device it is in
13 connection with your testimony in this case
14 on Suzuki?
15 MR. DAVIS: Objection.
16 Form.
17 A. Yes, I wouldn't -- Suzuki says
18 -- Suzuki teaches sizes. In terms of what
19 one of ordinary skill in the art would
20 learn, be taught by reading this, they
21 would be shown that something in the size
22 range of 2 to 10 -- 12 millimeters, and
23 more specifically around 8-1/2 millimeters,
24 the chip itself was something that could be
25 done. That's what this teaches.

Page 48

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **THE WITNESS: I have 1038. I'm**
3 **going to have to dig for 1033.**
4 MR. CAPLAN: Why don't we take a
5 short break here and let Dr. Neikirk look
6 for that, and then we'll come back in
7 10 minutes or so.
8 Is that all right?
9 **THE WITNESS: That's fine.**
10 (A recess was taken.)
11 BY MR. CAPLAN:
12 Q. Dr. Neikirk, we were about to
13 switch to the Ricquier reference, to move
14 our discussion onto that.
15 And there are two exhibits,
16 Exhibit 1033 and Exhibit 1038.
17 Do you have both of those?
18 A. I do not. I have 1038.
19 Q. Okay.
20 A. And I was looking at my
21 declaration at page 194, is I think the
22 only place where I mention 1033, and that
23 was just in whether it was publicly
24 available.
25 But reading that at paragraphs

Page 47

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **That the outer diameter of the**
3 **housing is 10 millimeters. That everything**
4 **could fit inside a 10-millimeter space.**
5 **That's what this teaches.**
6 **It is obvious based on this that**
7 **a size between 2 and 12 millimeters would**
8 **have been available to one of ordinary**
9 **skill.**
10 Q. So in terms of the size then, if
11 -- strike that.
12 Why don't we move onto the next
13 reference I want to talk about, which is
14 the Ricquier reference.
15 I think it's going to be
16 Exhibit 1033.
17 (Discussion held off the
18 record.)
19 **THE WITNESS: My exhibit number**
20 **is labeled 1038. I'm not sure whether I**
21 **heard the right exhibit number.**
22 MR. CAPLAN: There's actually
23 two Ricquiers. There's 1033 and 1038.
24 Did you have both of those, Dr.
25 Neikirk?

Page 49

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **307 and 308 on page 196, it seems to**
3 **indicate they were both in the same volume.**
4 **I don't have 1033 in my -- in my stack of**
5 **documents.**
6 Q. Okay. Well, I do have a couple
7 of questions -- let me just back up.
8 So the article itself, the
9 Ricquier reference itself I believe is the
10 same in both of those.
11 There's just the kind of
12 qualifiers or -- not qualifiers, but
13 information about access and publication,
14 which is different.
15 So I'll ask you a couple of
16 questions on things that are in 1033 and
17 we'll see how it goes, but I understand
18 that you don't have the reference.
19 MR. CAPLAN: Is that something
20 we can get over to Dr. Neikirk? Can we --
21 MR. DAVIS: I think it was sent
22 to Dr. Neikirk, but we can talk about that
23 at our next break.
24 MR. CAPLAN: All right.
25 MR. DAVIS: It --

<p style="text-align: right;">Page 50</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 MR. CAPLAN: I don't think it's 3 going to be an issue. We'll see how it 4 goes. 5 BY MR. CAPLAN: 6 Q. If you can pull out then 7 Exhibit 1038, that is what's been referred 8 to as the Ricquier reference. 9 (Exhibit 1038 for 10 identification, Ricquier reference, shown 11 to witness.) 12 BY MR. CAPLAN: 13 A. Yes, I have it. 14 Q. So I'm going to ask you some 15 questions about that. 16 But beforehand, or as a start, 17 if you go to, it's page 3 of 16. I believe 18 that's kind of the first cover page. 19 And this is -- it talks about -- 20 it's proceedings from -- I don't know if 21 it's "SPIE" or "SPEE," I don't know how you 22 pronounce it, the International Society for 23 Optical Engineering. 24 A. Yes, that's correct. 25 Q. So first question is, what's</p>	<p style="text-align: right;">Page 51</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 your understanding of the SPIE 3 organization? 4 A. Well, I was a member of the SPIE 5 starting in probably the mid '80s and up 6 through perhaps the mid 2000s. I don't 7 recall when my membership lapsed. 8 But it was a technical society, 9 as its name implies, it was for the -- the 10 "P" actually stands for photo optical 11 engineers. The original SPIE was Society 12 of Photo -- I think actually it was Photo 13 Instrumentation Engineers. 14 As you can see by that page you 15 just referenced, the name -- the SPIE was 16 still the initials, but they just wrote it 17 as "International Society for Optical 18 Engineering." 19 It was a technical society where 20 research and a variety of material was 21 presented at conferences and published in 22 conference proceedings, very broadly 23 related to all sorts of optical systems. 24 Q. Was it focused on research work? 25 A. Not necessarily. There were</p>
<p style="text-align: right;">Page 52</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 people from industry, many people from 3 industry, as well as from academia. 4 It usually was about things that 5 were considered at least at the time to be 6 cutting edge, so if it was a commercial 7 product, it would have typically been a new 8 one. Not something that had been available 9 for five or 10 or 20 years usually. 10 Q. You testified you were a member 11 of the organization for a time period. 12 Did that time period include the 13 1990s? 14 A. As I recall, yes. I was a 15 member for I think over 20 years off and 16 on. I may have let my membership lapse 17 occasionally, so in any given year I 18 couldn't tell you for sure. 19 Q. Sure. And was it a source -- 20 were the SPIE publications a source of 21 information that you regularly looked at, 22 reviewed, considered? 23 A. Yes, it was. A fairly large 24 fraction of my conference publications were 25 published -- were presented at and then</p>	<p style="text-align: right;">Page 53</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 published -- presented at SPIE conferences 3 and then published in SPIE conferences' 4 Proceedings. So, yes, that was a very -- 5 in fact, probably more common for myself 6 and my research group than the other 7 professional society, the IEEE. 8 I also attended and made 9 presentations there, but I'd say I did at 10 least as much or more at SPIE. 11 Q. Okay. And it's called, at least 12 the title or heading, is "Proceedings." 13 Is that just like a summary of 14 articles? I just want to make sure -- I'm 15 not sure exactly what that is describing. 16 A. So in order to present at an 17 SPIE conference, you had to submit an 18 abstract and that would be reviewed by the 19 program committee. I was on several 20 program committees over the years, for 21 instance. 22 The abstracts were reviewed for 23 technical content and relevance to the 24 session. There would typically be many 25 sessions.</p>

<p style="text-align: right;">Page 54</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 If you were accepted, then you 3 would attend and present, but you would 4 also submit a Conference Proceedings Paper, 5 which would contain a written record of the 6 things that you were going to present on. 7 Frequently, given that many of 8 the oral presentations were limited to 10 9 to 15 minutes, the Conference Proceedings 10 Papers usually had much greater detail than 11 the oral presentation did. 12 But the Proceedings were 13 published then. It was fairly common that 14 your -- you had to submit the Conference 15 Proceedings Paper before the actual 16 conference occurred, and when attendees 17 arrived, they would be given a copy of the 18 Proceedings. 19 That's -- that changed over the 20 years when they shifted to more electronic 21 submission, then I think you usually got it 22 after, but the Proceedings was the written 23 documentation that went along with the 24 conference talks. 25 Q. You testified about your</p>	<p style="text-align: right;">Page 55</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 involvement with the organization. 3 Did your colleagues in academia 4 kind of across the country also have a 5 similar level of interest in SPIE, 6 certainly at that time? 7 A. Yes. Again, the two -- really, 8 there were only two societies that covered 9 a lot of these kind of systems and devices. 10 One was the IEEE and the other was the 11 SPIE. 12 Q. So those skilled in the art at 13 the time would likely be looking at those 14 two organizations and their publications 15 about developments in the field for image 16 arrays, the pixel arrays that we've been 17 talking about; is that right? 18 A. Yes. I think that is a -- you 19 would look -- the IEEE publishes a number 20 of what are frequently called archival 21 journals that are not necessarily 22 associated with oral presentation at 23 conferences. 24 And the SPIE, although I think 25 they had a monthly proceedings journal, but</p>
<p style="text-align: right;">Page 56</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 mostly their publications were connected to 3 the oral presentations at conferences. 4 Q. Now, I'm going to ask you a 5 question, and this is from Exhibit 1033, 6 which I understand you don't have. We'll 7 work on trying to get you one, but I'll ask 8 you the question anyway since we're here 9 and we're on the topic. 10 In Exhibit 1033, and I'm looking 11 at page 8 of 18, there's a page that talks 12 about a conference committee, and it 13 identifies a conference chair and then a 14 program committee. 15 And one of the -- on the program 16 committee is one Joseph Carbone. I don't 17 know if that's a name that you recognize. 18 It says "CID Technologies." 19 A. No. I don't -- don't recall the 20 name. 21 Q. And how about CID Technologies, 22 is that a company that you were aware of at 23 the time? 24 A. Again, I don't recall it, no. 25 Q. Then there's also a James S.</p>	<p style="text-align: right;">Page 57</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Flores on the program committee from Ball 3 Aerospace Systems Group. 4 Are you familiar with Mr. 5 Flores? 6 A. I don't recall Mr. Flores. 7 I certainly do recall Ball 8 Aerospace. 9 Q. What is Ball Aerospace? 10 A. One of many, many defense 11 contractors. They were very active in 12 imaging systems. 13 Q. What kind of imaging systems? 14 A. Imaging systems ranging from the 15 far infrared to the ultraviolet, so lots of 16 different kinds of imaging systems. 17 Q. Did you do work with Ball 18 Aerospace? 19 A. I don't think I did any work 20 with Ball, no. 21 Q. But it's your testimony that 22 that was a known company with expertise in 23 the imager art; is that right? 24 MR. DAVIS: Objection to form. 25 A. I couldn't say off the top of my</p>

<p style="text-align: right;">Page 58</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 head what their primary expertise was in. 3 It wouldn't surprise me, but I just don't 4 recall. 5 Q. So turning back to Exhibit 1038, 6 the Ricquier article. 7 (Previously marked 8 Exhibit 1038 for identification shown to 9 witness.) 10 BY MR. CAPLAN: 11 Q. You can turn to the start of the 12 article, which should be on page 8 of 16 13 for Exhibit 1038. 14 A. So in my document for 1038, the 15 start of the article itself is on page 8 of 16 16. 17 Q. Correct. Okay. 18 So let's start with, what is 19 your understanding of what's being 20 described in the Ricquier article? 21 MR. DAVIS: Objection to form. 22 A. Well, so as -- so I will -- my 23 discussion in my declaration begins on page 24 108. 25 Q. Okay.</p>	<p style="text-align: right;">Page 59</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. And I have an overview. 3 The title of his article was: 4 "The CIVIS sensor: A Flexible Smart Imager 5 with Programmable Resolution." 6 The first sentence in his 7 abstract says: "For time critical 8 industrial machine vision applications, a 9 dedicated imager has been developed." 10 And then he goes on to describe 11 "a square 256-by-256 imager was fabricated 12 in the standard 1.5 CMOS technology." 13 So this was for a solid-state 14 imager made using the CMOS technology with 15 some specific characteristics that he found 16 or his group was particularly interested 17 in. 18 I think he identified their 19 group as IMEC, which is in Belgium, and if 20 I recall, IMEC is an academic as opposed to 21 an industry laboratory, if I remember 22 correctly. 23 Q. Just going back to the title of 24 the article that you read, it talks about 25 this -- or the CIVIS sensors, the</p>
<p style="text-align: right;">Page 60</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 C-I-V-I-S, and that's defined at the 3 bottom, it looks like page 8 of 16, 4 computer-integrated vision system. 5 Are you familiar with that CIVIS 6 project or system? 7 A. So this was a project that was 8 funded -- co-funded, it says, by the 9 Commission of the European Union. 10 I don't recall this specific 11 one. There were, I'm sure, many, and this 12 particular name, I don't recall. 13 Q. And then it also mentions in 14 that same line at the bottom the Espy II 15 Project (ph.) 16 Does that have any meaning to 17 you? 18 A. No, I don't recall that meaning. 19 Q. So you were looking at the 20 abstract when we first started talking 21 about it, and in those first couple of 22 lines -- let's start with the beginning. 23 It talks about "industrial 24 machine vision applications." 25 What's your understanding of</p>	<p style="text-align: right;">Page 61</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 machine vision applications at this time, 3 which is -- what are we talking about -- 4 1994? 5 MR. DAVIS: Objection to form. 6 BY MR. CAPLAN: 7 A. I'll -- 8 Q. Go ahead. I'm sorry. 9 A. Go ahead. 10 Q. I'll reframe the question just 11 so it's clear. 12 So in 1994, what's your 13 understanding of industrial vision machine 14 applications? 15 MR. DAVIS: Objection. 16 A. So "machine vision" is a term -- 17 was a term and still is a term that broadly 18 refers to acquiring, and then, in some 19 cases, further processing images by 20 machines as opposed to people. 21 Here he says "industrial machine 22 vision," so I assume the applications that 23 he was more interested in -- or this 24 particular project -- this was a European 25 Union-funded project, which is similar to</p>

<p style="text-align: right;">Page 62</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 the National Science Foundation. 3 Usually funding programs have 4 some broad theme, and then under that theme 5 a lot of specific work is done. 6 I would assume that the broad 7 theme probably for this was to look at 8 focal plane arrays, solid-state devices 9 that would be suitable for use in capturing 10 images, so that makes it machine vision, 11 and I assume that they were interested in 12 using that in industrial rather than 13 scientific applications, so presumably not 14 for a telescope, but for a robot or a part 15 recognition tool or any number of things. 16 Q. And so your testimony where you 17 were talking about you're assuming the 18 applications they were interested in and 19 the broad theme, those are your personal 20 assumptions; right? That's not based on 21 any express description of that in 22 Ricquier, is it? 23 MR. DAVIS: Objection to form. 24 A. Well, in the third paragraph of 25 the introduction, so on page 8 of</p>	<p style="text-align: right;">Page 63</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Exhibit 1038, the second sentence -- what 3 is it -- third sentence: "This is a 4 valuable asset because speed is important 5 in a lot of industrial applications." 6 So he identifies it, industrial 7 applications. 8 If you go on to page 9, the 9 first -- well, it's a continuation of a 10 sentence that started on page 8: 11 "Imitating human visual functions using 12 analog CMOS structures." 13 He's discussing -- there's more 14 background. There's a lot of references 15 here. So he's identifying things that were 16 of interest to the research community and 17 the industry at the time. 18 Q. I just want to make sure I see 19 the part on page 8 that you were just 20 referring to. 21 A. If you look at the third 22 paragraph under "Introduction." 23 Q. I got it. Okay. 24 A. And the third sentence. 25 Q. Got it.</p>
<p style="text-align: right;">Page 64</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. The next sentence gives a 3 specific example, and he's talking about 4 inspection tasks, which was a -- certainly 5 of great interest to industry at the time, 6 were ways of inspecting parts that usually 7 or might well come by very quickly. Many 8 parts passing by the vision system. 9 Q. Going back to the abstract, if 10 you look at the second line, I believe it's 11 the third sentence, it talks -- well, I'll 12 just go through it from the beginning: 13 "For time critical industrial 14 machine vision applications, dedicated 15 imagers were developed. A camera can be 16 programmed to operate in several 17 resolutions. This is done by binning the 18 signal charges of neighboring pixels on the 19 sensor plane itself." 20 Do you see that last sentence? 21 A. I do. 22 Q. What is meant by that term 23 "binning" or "binning the signal charges"? 24 A. Let me look forward to see if he 25 explains how he was using it.</p>	<p style="text-align: right;">Page 65</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So if you look -- this refers to 3 neighboring pixels, so let's see. 4 Q. Do you have an understanding of 5 binning in general, and then I'll ask you 6 in the context of the Ricquier reference? 7 MR. DAVIS: Objection. 8 A. Binning can be used in a number 9 of different ways. That's why I was 10 looking to see how he used it. 11 You can -- sometimes people 12 refer to storing signals -- storing signals 13 as binning. Storing them temporarily in 14 groups sometimes is referred to as binning. 15 Another use is where you only 16 collect data from a small subset of all of 17 your data. Sometimes that's referred to as 18 binning. 19 And given that he's doing a 20 number of these things, I was trying to 21 determine if he's -- he talks about making 22 the readout window programmable, and if you 23 look at page 13, he shows you some 24 different zooms, if you will, and he's 25 talking about collecting data that</p>

<p style="text-align: right;">Page 66</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 potentially changes quickly. 3 So if you only collect data from 4 a subset of all of the pixels, you can 5 usually do that more quickly than if you 6 try to collect all. 7 Again, binning can be used in a 8 lot of different ways, so I was trying to 9 determine how he was using it. 10 So if you look on page 10 of 11 Exhibit 1038. 12 Q. Okay. 13 A. And you look at the second full 14 paragraph on the page that begins: 15 "By using the K reset switches 16 and the G reset switch in an appropriate 17 way..." 18 Q. Yes. 19 A. "...it is possible to accumulate 20 charge packets of different pixels or 21 different column amplifiers on the 22 integration capacitors according to 23 different patterns." And then he talks 24 about "by controlling the clocking scheme, 25 the resolution of the imager can be</p>	<p style="text-align: right;">Page 67</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 altered." 3 So this is examples of 4 collecting subsets of data, and although I 5 don't think he uses binning there, I would 6 think that that's, broadly speaking, part 7 of what he's thinking. 8 Q. Is binning a function that's 9 implemented in commercial products that 10 have pixel arrays? 11 A. So as I just discussed, it can 12 be used in many different ways. 13 And commercial products when? 14 At this time or -- 15 Q. 19 -- we'll talk in the mid 16 '90s; in particular, 1997. 17 A. So I honestly don't recall 18 whether there were commercially available 19 sensors that allowed you to select a subset 20 of pixels and only acquire data from those 21 while ignoring all the rest. It might have 22 been. I don't know. 23 To complete an earlier answer 24 about binning, that same paragraph I was 25 reading on page 10, if you go to that</p>
<p style="text-align: right;">Page 68</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 second full paragraph, last sentence, he 3 talks about: "To limit the complexity of 4 the timing controller realized in an 5 off-chip driving unit, only timing 6 configurations of some common patterns are 7 provided, apart from the normal resolution 8 1 star 1. 9 "Also, the addition of pixel 10 charges in 2 star 2, 4 star 4 and 8 star 8 11 neighborhoods was foreseen." 12 I believe what he's referring to 13 there is the ability to either collect the 14 data from each pixel individually, that's 1 15 star 1; or add together the signals on 2 16 star 2. So four pixels. And then collect 17 that, if you will, average signal over the 18 four and so on. That is a form of binning 19 as well. 20 Q. So in that sentence you were 21 just reading on page 10 of 16, there was a 22 phrase you read and it's in the 23 parenthetical, "realized in an off-chip 24 driving unit." 25 Do you see that?</p>	<p style="text-align: right;">Page 69</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. And I believe it's referring to 4 the timing controller that's realized in an 5 off-chip driving unit. 6 Is that your understanding? 7 A. That's what it says, "the timing 8 controller (realized in an off-chip driving 9 unit.)" 10 Q. So what is the timing controller 11 in Ricquier? 12 MR. DAVIS: Objection. 13 Form. 14 A. Well, the function he's 15 discussing in the rest of that sentence are 16 functions that relate to addressing and 17 timing of acquiring data from pixels. 18 So it's timing and control; 19 "timing controller" specifically is what he 20 called it. Controller because it impacts 21 which pixels you look at. Timing, because 22 it impacts the timing of when you collect 23 data. 24 There are many circuits that 25 could do that, although the general</p>

Page 70

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 function would be clear I think to one of
3 ordinary skill by looking at, for instance,
4 Figure 1 of Ricquier, which is shown on
5 page 9.
6 Q. And what does Figure 1 show in
7 connection with the timing control?
8 **A. So Figure 1 is labeled --**
9 MR. DAVIS: Objection.
10 Form. Sorry, go ahead.
11 **A. Figure 1 on page 9 is labeled:**
12 **"Schematic Representation of the Sensor**
13 **Architecture."**
14 You see his pixels illustrated
15 above. He happens to have drawn four. And
16 then he indicates certain control signals,
17 K reset and G reset, which he mentioned on
18 page 10 in the sentence I read to you,
19 which are used to both time and determine
20 which pixels to read data from.
21 Q. Okay. So it's your testimony
22 that looking at Figure 1 of Ricquier on
23 page 9 of 16 that there are four pixels
24 shown at the top portion of Figure 1; is
25 that right?

Page 72

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. And is the timing shown in that
3 Figure 1 on page 9?
4 MR. DAVIS: Objection.
5 Form.
6 **A. Well, on Figure 1 on page 9, the**
7 **circuitry to provide G reset and K reset is**
8 **not illustrated at all, so neither -- the**
9 **circuitry necessary to do timing and**
10 **control is not actually illustrated.**
11 **He's illustrating where the**
12 **control signals are applied to the focal**
13 **point array.**
14 Q. So in that Figure 1 that we're
15 talking about, let's just talk about the K
16 reset. There's an arrow under the K reset.
17 Do you see that?
18 **A. I do.**
19 Q. And what is that arrow pointing
20 to?
21 **A. That's the input to the switch,**
22 **so that's the control signal. So he**
23 **illustrates these as simple switches.**
24 **Well, you don't build in -- he**
25 **says that this was done in standard 1-1/2**

Page 71

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **A. He's illustrated four on the**
3 **top, yes.**
4 Q. Okay. And then at the bottom of
5 Figure 1, the lower portion of Figure 1,
6 there's a K reset and a G reset and you
7 referred to those as control signals;
8 correct?
9 **A. He describes on page 10 that**
10 **using -- I think it's in that paragraph.**
11 **Let me find it.**
12 **So it's actually at the**
13 **beginning of that paragraph. Second full**
14 **paragraph on page 10:**
15 **"By using the K reset switches**
16 **and the G reset switch in an appropriate**
17 **way, it is possible to accumulate charge**
18 **packets of different pixels or of different**
19 **column amplifiers on the integration**
20 **capacitors according to different**
21 **patterns."**
22 **So those are control. They have**
23 **to be timed as well. These are not only**
24 **things you just simply set and never change**
25 **ever again.**

Page 73

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **micron CMOS technology.**
3 **The schematic circuit diagram**
4 **figure he showed is the classic presumably**
5 **single pole, single throw switch.**
6 **Well, that's not implemented as**
7 **a light switch with a mechanical flip in**
8 **CMOS.**
9 **This would be either a or**
10 **several transistors on the actual chip.**
11 Q. And this Figure 1 is the same
12 Figure 1 that you've used in a highlighted
13 form in your declaration on page 109; is
14 that correct?
15 **A. That is correct.**
16 Q. Okay. In particular, in
17 paragraph 163, which actually starts on
18 page 108, you talk about the Ricquier's
19 CMOS photo diode array.
20 Do you see that?
21 **A. Yes, I talk about his array is**
22 **256-by-256 square array, yes.**
23 Q. And then you also say in that
24 paragraph 163 "using the timing controller
25 implemented on an off-chip driving

<p style="text-align: right;">Page 74</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuit." 3 Right? 4 A. That is correct. 5 Q. Okay. Now, what is the "driving 6 circuit" that you're referring to there? 7 MR. DAVIS: Objection to form. 8 A. So Ricquier refers to a timing 9 controller. The claim language is "timing 10 and control circuitry." 11 So from the '052 patent, the 12 claim language is "timing and control 13 circuitry." Ricquier uses the phrase 14 "timing controller." 15 In neither situation is a 16 specific circuit given. It's a general, I 17 think one of ordinary skill would 18 understand there are many ways to 19 implement. They'd understand the function, 20 and seeing Ricquier would see the same 21 function, timing and control. 22 Q. Is it your testimony that 23 Ricquier does not describe the actual 24 timing controller? 25 MR. DAVIS: Objection.</p>	<p style="text-align: right;">Page 75</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Form. 3 A. Ricquier, as I read to you on 4 page 10, specifies that the timing 5 controller is realized in an off-chip 6 driving unit. 7 He identifies things it does, 8 such as provide K reset and -- operate K 9 reset switch and G reset switches, so he 10 gives a functional description, but he 11 doesn't give a specific circuit. 12 Again, one of ordinary skill 13 would understand there are numerous ways to 14 implement a circuit with that function. 15 Q. So the timing controller 16 function described by name in Ricquier, if 17 that's how you pronounce it, is a 18 well-known feature that a person of skill 19 in the art would know how to implement 20 based on what Ricquier -- or "Ricquier" -- 21 says? 22 MR. DAVIS: Objection. 23 Form. 24 A. So they would understand that 25 the functions of the timing controller that</p>
<p style="text-align: right;">Page 76</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 are needed. Ricquier describes those. 3 They would also understand there 4 are numerous different circuits that could 5 be used to provide that. 6 Q. So it's your -- it's your 7 testimony that the description and function 8 provided in Ricquier for the timing 9 controller is sufficient for a person of 10 skill in the art to understand and 11 implement that feature; is that right? 12 MR. DAVIS: Objection. 13 Form. 14 A. I don't -- I think one of 15 ordinary skill in the art would recognize 16 there are many structures that could 17 provide a function like that. 18 Q. So -- 19 A. Numerous different circuits. 20 Q. And so a person of skill in the 21 art could implement one of them; is that 22 right? 23 MR. DAVIS: Objection. 24 Form. 25 A. I don't know that they'd know</p>	<p style="text-align: right;">Page 77</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 which one. They might. 3 I think they have options, yes. 4 Q. So if they understood it could 5 be several and they understood the 6 differences between the several options, 7 they could implement any one of them as 8 they desired. 9 Is that your testimony? 10 MR. DAVIS: Objection. 11 Form. 12 A. You know, in the context of my 13 declaration and examining this claim 14 language, the plain and ordinary meaning, 15 that's not something I've tried to -- to -- 16 I have not rendered an opinion on that. 17 I haven't really thought through 18 all of the possibilities related to that so 19 I couldn't say as I sit here right now. 20 Q. In Figure 1 that we've been 21 looking at in Ricquier, would you agree 22 that the circuitry that's all shown in 23 Figure 1 is all on the same chip; is that 24 right? 25 A. So the circuitry illustrated in</p>

<p style="text-align: right;">Page 78</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Figure 1, I don't know that he explicitly 3 states -- well, let's see. He has some 4 amplifiers illustrated. Let's see if he 5 explicitly states those amplifiers are 6 on-chip. 7 So he talks about his actual -- 8 what he called practical realization, 9 starting on page 11. 10 He clearly discusses the pixels 11 themselves and he does talk about 12 integration capacitors at the output 13 amplifier. 14 Q. I'm sorry, just where are you 15 reading from? 16 A. I'm sorry. It's the second full 17 paragraph from the bottom. 18 He states in the -- I believe 19 it's the fourth sentence: 20 "The second poly layer was used 21 exclusively for the realization of the 22 compact linear capacitors, the integration 23 capacitors at the output amplifier, the 24 coupled capacitors, C couple, and the load 25 capacitors, C load."</p>	<p style="text-align: right;">Page 79</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So the component shown in Figure 3 1 that correspond to those, he is clearly 4 saying that they use the second poly layer 5 of the chip to do that -- that circuitry. 6 So that would indicate it is 7 on-chip. 8 Q. You've already testified that 9 the timing controller that is referred -- 10 that is identified on page 10 of 16 is not 11 actually described or illustrated in 12 Ricquier. 13 MR. DAVIS: Object to form. 14 A. So I don't believe Ricquier has 15 a figure with a circuit diagram. 16 He has a functional description 17 in that paragraph we were discussing 18 earlier, and he identifies the timing 19 controller as being realized in an off-chip 20 driving unit. 21 Q. In the same Figure 1 that you've 22 illustrated in your declaration at page 109 23 and you've highlighted, you've highlighted 24 in yellow information that corresponds to 25 signals from a circuit board, including</p>
<p style="text-align: right;">Page 80</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 timing and control circuitry thereon; is 3 that right? 4 A. That's how I labeled them, yes. 5 Q. So it's your testimony that the 6 K reset signal coming into the structure 7 shown on Figure 1 is part of the timing and 8 control circuitry; correct? 9 A. That's exactly what Ricquier 10 teaches, yes. 11 Q. Okay. 12 A. He calls it timing controller. 13 Q. So all that structure we just 14 went over, you just testified, is all 15 on-chip; correct? 16 MR. DAVIS: Objection. 17 Form. 18 A. No, that's not at all what I 19 said. 20 The structure that's on-chip is 21 C load. Not K reset. 22 K reset is a signal line that's 23 provided from the off-chip timing 24 controller. That's clearly discussed on 25 page 10 of Ricquier in the second full</p>	<p style="text-align: right;">Page 81</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 paragraph. 3 K reset is a signal being 4 applied. It's not on the chip. 5 Q. I'm going to come back to that, 6 but just something about the pixels 7 themselves in that Figure 1. 8 Are those passive pixels or 9 active pixels? 10 A. In Ricquier, he uses a photo 11 diode, and I think these would generally be 12 considered to be passive pixels because 13 there is no amplifier located in each 14 pixel. 15 Q. There's an amplifier for the 16 whole column; is that right? 17 A. Yes, there are two amplifiers 18 that he shows. He has column amplifiers 19 and then he has a global amplifier. 20 Q. Okay. So we were talking about 21 the K reset and the G reset and those 22 signals, and I think we were talking about 23 this section before. 24 On page 10, which we've been 25 talking about, in that second full</p>

<p style="text-align: right;">Page 82</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 paragraph, in fact, you even read this into 3 the record before, it makes reference to 4 the K reset switches and the G reset 5 switch. 6 Do you see that in the first 7 line? 8 A. I do. 9 Q. That is the structure shown on 10 or in Figure 1; correct? 11 MR. DAVIS: Objection to form. 12 A. The switch is shown and the 13 control signal has a name: K reset or G 14 reset. 15 The control signal is provided 16 by the timing controller, which Ricquier 17 states is realized in an off-chip driving 18 unit. 19 So the switches are certainly 20 shown as a structure in Figure 1, although 21 it's a schematic circuit diagram and isn't 22 implemented in CMOS as an electromechanical 23 switch. 24 Q. So what's shown in Ricquier in 25 this Figure 1 is not implemented in CMOS.</p>	<p style="text-align: right;">Page 83</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Is that your testimony? 3 MR. DAVIS: Objection. 4 Form. 5 A. No, absolutely that is not what 6 I said. 7 Ricquier says that he used 8 standard 1-1/2 micron CMOS technology. 9 All I was pointing out is the 10 symbol he shows in Figure 1 is a schematic 11 circuit diagram signal. That is not a 12 physical object. 13 The implementation of that in 14 CMOS would be done with CMOS transistors. 15 Not with an electromechanical switch. 16 Q. All right. 17 But then just to wrap up this 18 line of questioning then, that the K reset 19 switch and the G reset switch, in contrast 20 to the K reset signal and the G reset 21 signal, but those switches are on-chip with 22 the pixel ring; is that correct? 23 MR. DAVIS: Objection to form. 24 A. Given the description of the 25 fabrication on page 11, yes, I believe that</p>
<p style="text-align: right;">Page 84</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 the implementation of that switch is 3 on-chip. 4 The control signals, and hence 5 the signals generated by timing and control 6 circuitry as described by Ricquier as a 7 timing controller, are provided from an 8 off-chip location. 9 Q. If you turn back to page 8 of 16 10 in Ricquier -- and I apologize if I'm 11 mispronouncing -- but on page 8 of 12 Exhibit 1038 in the -- it's the fourth 13 paragraph on the page, the last line. 14 It says: "A CMOS technology was 15 chosen because it offers the selective 16 addressability together with the 17 possibility of further co-integration of 18 processing electronics on the same chip." 19 Do you see that sentence? 20 A. I do. 21 Q. A CMOS pixel array was 22 understood to be addressable, the pixels 23 were addressable; isn't that right? 24 A. The way Ricquier's design and 25 many others were set up using rogue column</p>	<p style="text-align: right;">Page 85</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 addressability, yes. 3 Q. And that was understood -- so 4 let's talk about the time in '97 -- to be a 5 feature of a CMOS pixel array; correct? 6 MR. DAVIS: Objection. 7 A. I think, broadly speaking, yes, 8 that was seen as an -- a feature that was 9 easily implemented in CMOS. 10 Q. And it was also understood at 11 that time -- and let's talk about in the 12 '90s, but, in particular, 1997 -- that 13 another benefit of using CMOS technology 14 for pixel arrays was to have co-integration 15 of electronics on the same chip with the 16 pixel array; isn't that right? 17 MR. DAVIS: Objection. 18 Form. 19 A. So I can't -- the way Ricquier 20 phrased it, which is a good way to phrase 21 it, "the possibility of further 22 co-integration." It is an option. It's a 23 possibility. You might choose to do it. 24 You might choose to not do it. It's a 25 design choice.</p>

<p style="text-align: right;">Page 86</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. But, in fact, that was the trend 3 in 1997, was to have integration of all the 4 components on a chip for the CMOS pixel 5 array devices; right? 6 MR. DAVIS: Objection. 7 Form. 8 A. I don't think I'd agree that it 9 was necessarily the trend to integrate all. 10 Depends on what you mean by "all." 11 Some? Certainly. I don't know 12 what -- you said "all electronics." I 13 don't know what you mean to include in all. 14 Q. You would agree to -- I'll 15 rephrase it. 16 Then you would agree in 1997 it 17 was the trend in the industry to integrate 18 many circuitry components on the CMOS pixel 19 array all on-chip with the array; is that 20 right? 21 MR. DAVIS: Objection. 22 Form. 23 A. Again, I don't think I could 24 agree to that. You said "many." 25 That it was recognized that</p>	<p style="text-align: right;">Page 87</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 because you're using standard CMOS you have 3 the opportunity to build other CMOS 4 circuitry on-chip. I think that was widely 5 recognized. 6 In terms of the design choices 7 as to how much to put on-chip versus 8 off-chip, I believe that was viewed as a 9 design choice. 10 And some people might try to 11 integrate more. Some people might choose 12 to integrate less. So I don't really know 13 what you meant by "many." 14 Some? You know, there are many 15 pixels, obviously, so there are many 16 transistors on there. 17 I think it was recognized that 18 you had the option to add other circuitry 19 on-chip, but you also had the option to 20 leave it off. 21 Q. And you were aware of the term 22 "camera-on-a-chip" back in the mid '90s and 23 '97, in particular, weren't you? 24 A. I think camera-on-a-chip has 25 been a term that's been used for quite a</p>
<p style="text-align: right;">Page 88</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 while, and certainly at that particular 3 time. I don't think it had a precise 4 definition. 5 Q. Dr. Fossum often wrote about 6 camera-on-a-chip in his articles when he 7 was describing his work with CMOS pixel 8 array devices, didn't he? 9 MR. DAVIS: Objection. 10 Form. 11 A. I think he frequently used that 12 term. I don't know who introduced it to 13 the -- to the world, but I think he did, 14 yes, frequently use that in his 15 publications. 16 Q. And one of the reasons that it 17 was of interest is because if you have a 18 CMOS manufacturing fab, facility, and you 19 can integrate all of the components on the 20 same production line, that would be a 21 benefit in terms of reducing costs for 22 manufacturing; is that right? 23 MR. DAVIS: Objection. 24 Form. 25 A. Again, you used the phrase</p>	<p style="text-align: right;">Page 89</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 "all." There are frequently components 3 used. I believe the '052 illustrates the 4 components that they use for doing 5 conversion to video that were not 6 compatible with CMOS integration, and I 7 believe those skilled in the art at the 8 time, those working in the field recognize 9 that some things were relatively easy to 10 put on on-chip, other things were not. 11 And, again, it's a design 12 choice. Once you start realizing that you 13 probably are going to have to place some 14 components in different places, then you 15 start looking at whether you want to put 16 more in one place or less. 17 Q. Would you agree, though, that 18 the cost would be lowered if you could put 19 more components on a particular chip that's 20 manufactured in a CMOS process than if you 21 had to separate out the functions across 22 multiple chips? 23 MR. DAVIS: Objection. 24 Form. 25 A. Not necessarily.</p>

Page 90

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 As long as there are some
3 components you have to have -- then let's
4 call it your component count. At some
5 level, you reduce it. You not going to go
6 to one, perhaps. Then it starts to become
7 a design choice.
8 It may very well be that some of
9 the components that you wish to use are
10 commodity items and are very low cost. You
11 might choose to put it on-chip. There
12 would be some costs associated with it
13 because the dye size goes up. You might
14 choose to put it off-chip. There would be
15 a different set of costs associated with
16 that. Purchase component mounting.
17 But I don't think it's -- it's
18 not simple -- as simple as saying "put as
19 many things as you can on-chip." It's
20 actually never that simple.
21 Q. What's the impact of having the
22 timing controller either on-chip or
23 off-chip on the operation of the pixel
24 array?
25 MR. DAVIS: Objection to form.

Page 92

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 I think in his example he was
3 concerned about speed. It's clear that
4 placing the timing controller off-chip did
5 not have a negative impact apparently in
6 achieving high speeds as he desired, so
7 there's an example of trying to see whether
8 moving something off caused anything bad to
9 happen.
10 But to do these trade-offs you
11 have to pick a metric. For Ricquier, I
12 think he was identifying speed as a
13 potential metric and then evaluate pros and
14 cons.
15 Q. Is there any portion of Ricquier
16 that you can identify where there was a
17 comparison between an on-chip timing
18 controller versus an off-chip? Because you
19 testified that there was no deleterious
20 effect from having it off-chip, but I'd
21 like to understand what your basis is for
22 that.
23 MR. DAVIS: Objection to form.
24 A. He achieved the speeds he
25 apparently desired. In particular, he

Page 91

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 A. Well, I think in Ricquier's
3 example, apparently there was no
4 deleterious consequence of putting it
5 off-chip because they put it off-chip.
6 It is worth noting one of his
7 objectives was to operate -- for instance,
8 on page 10 he said that he wanted to do row
9 selection at 2-1/2 megahertz. I think he
10 mentioned some speed information. Where
11 else does he do that.
12 On page 14, he talks about
13 getting -- the last paragraph -- 125 full
14 images per second, data rates of
15 10 megahertz and so on.
16 So I think his -- I think in the
17 introduction -- I'm trying to find where he
18 mentioned this. In the abstract he talks
19 about "imager operated at data rates up to
20 10 megahertz providing about 125 full
21 frames per second."
22 In the middle of the fourth full
23 paragraph on page 8 he talks about "a
24 valuable asset because speed is important
25 in a lot of industrial applications."

Page 93

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 identified speeds of, in his abstract, 125
3 full frames per second, data rates up to
4 10 megahertz. He did that with an off-chip
5 controller.
6 If the off-chip controller was
7 causing it to be operate too slowly to
8 achieve what he stated, it might be
9 possible that putting it on-chip would
10 improve it. It might not. I don't know.
11 But clearly he was able to do it
12 with off-chip controller.
13 Q. It doesn't say why they did not
14 have an on-chip timing controller, does it?
15 A. No. It says that he was able to
16 realize it in an off-chip driving circuit.
17 Q. Does the location of the timing
18 controller have an impact on the
19 integration period for the pixel array?
20 MR. DAVIS: Objection.
21 Form.
22 A. Well, the integration period
23 that some of the timing and control
24 information necessary to determine that is
25 provided by the timing and control

<p style="text-align: right;">Page 94</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuitry. Whether it's on-chip or 3 off-chip, again, I think it's a design 4 choice. 5 Again, in Ricquier, he could 6 achieve -- in order to get 125 full frames 7 a second and a data rate of 10 megahertz, 8 that would suggest his integration time was 9 relatively short, and accomplishing that 10 using an off-chip timing controller 11 apparently was possible. That's what he 12 shows. 13 Q. If you turn to page 9 of 14 Exhibit 1038. 15 A. I'm there. 16 Q. Okay. It's the first paragraph, 17 it's not the first full paragraph, but it 18 talks about -- let me see -- in the middle 19 of that paragraph, it talks about "our work 20 is closely related to this research, 21 emphasizing the development of a 22 multi-purpose flexible sensor architecture 23 that can be used as a module in further 24 sensor systems on a single chip." 25 Do you see that?</p>	<p style="text-align: right;">Page 95</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. Okay. So what is your 4 understanding of what's being described 5 here in Ricquier? 6 A. Well, you didn't read the 7 previous sentence: 8 "In other CMOS sensors, 9 processing capacity was integrated in the 10 edges of the photo diode array, exploiting 11 the possibility to access a whole row of 12 data at once." 13 So he's indicating that it was 14 -- it would be possible in CMOS, as we 15 discussed, to choose to put some circuitry 16 on. 17 They're dealing with one row. 18 He didn't talk about what specifically they 19 were going to do with that. 20 I don't really think it's saying 21 much other than what it did. There's an 22 interest in multipurpose flexible sensor 23 architectures. 24 Well, he's illustrating a 25 flexible sensor in that he built the</p>
<p style="text-align: right;">Page 96</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 256-by-256 imager, but if in a given 3 application he only needed 4-by-4, he could 4 do it. That's a flexible sensor 5 architecture. He did that without placing 6 the timing and controller on-chip, for 7 instance. 8 So flexible sensor architecture 9 is a very broad phrase. It depends on how 10 you're going to use it. 11 Do you want to be able to do 12 high-speed subframes, do you want to be 13 able to do just -- do you want to be able 14 to average 4 pixels together because the 15 scene you're viewing is in some sense low 16 resolution, so you might as well average 6 17 -- that's what he means by flexible sensor 18 architecture, I think. 19 You do have choices. 20 Q. And when he talks about a module 21 in further sensor systems on a single chip, 22 that's describing all the circuitry for the 23 CMOS pixel array and imager device being on 24 a single chip; right? 25 MR. DAVIS: Objection.</p>	<p style="text-align: right;">Page 97</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Form. 3 A. I would take this to indicate 4 that that might be a possibility. I don't 5 think it says -- and I think that anybody 6 building these recognizes that you can 7 rarely put everything in the same place. 8 If you need to process an analog 9 signal into video and you need a band-pass 10 filter, for instance, certainly at this 11 time frame you are not going to put that 12 band-pass filter on-chip. 13 On-chip inductors were very poor 14 in performance. People built their 15 band-pass filters off-chip, so one in the 16 art would recognize that almost certainly 17 you are going to have some components 18 elsewhere. But it becomes a design choice 19 as to how much you put where. 20 Q. But on a single chip doesn't 21 mean on a single chip in Ricquier? 22 MR. DAVIS: Objection. 23 Form. 24 A. He was talking about a 25 multipurpose flexible sensor architecture,</p>

<p style="text-align: right;">Page 98</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 so I don't think he's saying that every 3 single component necessary, for instance, 4 to produce a video output that you could 5 connect to a TV set was going to 6 necessarily be all on one chip. 7 This is -- this is not a 8 necessity. It's certainly indicating that 9 because you're using standard CMOS you have 10 options that are easier than they might 11 otherwise have been, but it's not something 12 you have to exercise all of those on a 13 single chip. 14 MR. CAPLAN: Can we take a 15 15-minute break here. 16 (A recess was taken.) 17 BY MR. CAPLAN: 18 Q. We are talking about the 19 Ricquier reference, or the "Ricquier." I'm 20 going to have to find out how to pronounce 21 it. 22 Do you have happen to know that 23 individual? Have you ever meet him? 24 A. No, I have not, and I don't know 25 how to pronounce it properly either. I</p>	<p style="text-align: right;">Page 99</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 certainly can't pronounce it properly. 3 Q. It sounded certainly very 4 elegant when you did it with an accent, so 5 I'm unnerved but... 6 So we were talking about the 7 location of the timing controller, which 8 you've testified Ricquier or Ricquier says 9 is off-chip. 10 And one question I have, there's 11 a Section 4.6 in Ricquier, which I believe 12 is on page 14, and you've kind of looked at 13 this before, but on page 14, Section 4.6. 14 A. Yes, I see that. 15 Q. Does the location of the timing 16 controller, whether it's on-chip or 17 off-chip, affect the speed or the readout 18 that is referred to in Section 4.6? 19 A. Well, these are the rates that 20 he achieved using an off-chip timing 21 controller, so I think this certainly 22 demonstrates it doesn't decrease the time 23 below these speeds. 24 Whether it would be different, I 25 don't know, depending on where he might put</p>
<p style="text-align: right;">Page 100</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 it, but it worked for this. 3 Q. Is it your understanding that 4 the location of the timing controller 5 on-chip versus off-chip would affect the 6 speed? 7 MR. DAVIS: Object to the form. 8 A. I don't know. I haven't done 9 any kind of analysis for that. 10 That wasn't an issue in my 11 examination of any of the claim terms or 12 the art. 13 Q. Okay. Also on page 14, the 14 Section 4.5 under the heading "Temporal 15 Noise," do you see that? 16 A. I do. 17 Q. What is temporal noise, so we 18 understand? 19 A. Well, his first sentence says, 20 under that section: "This type of noise 21 manifests itself as variations of the 22 output signal of one particular pixel." 23 So he defines what he's going to 24 talk about that. He talks down below a 25 little bit further about the spectral</p>	<p style="text-align: right;">Page 101</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 distribution of the noise, which is talking 3 about the frequencies at which different 4 types, amplitudes, are present. 5 In that sense, it's temporal. 6 If you make the measurements in the 7 frequency domain then it's not really 8 temporal. 9 But if you're looking at a time 10 varying signal, then you can do a -- 11 transform into the frequency domain. I 12 think he shows response -- that's Figure 4. 13 Actually, I don't think he shows 14 any graphs for this. But he does talk 15 about doing the spectral distribution, to 16 try to determine whether there's 1 over F 17 noise or not. 18 Q. And in that same section, 4.5, 19 in the second paragraph, the last sentence 20 in that paragraph, it says: 21 "To obtain the same 22 signal-to-noise ratio for the 1-to-1 23 resolution, the parasitic capacitance 24 always present at the output amplifier 25 should be further reduced."</p>

Page 102

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 What's your understanding of
3 that sentence and what's it describing here
4 in the context of Ricquier?
5 **A. I have not looked at this.**
6 **It was, again, not relevant to**
7 **my analysis. It says what it says, that**
8 **there's a parasitic capacitance at the**
9 **output amplifier.**
10 **The last paragraph says:**
11 **"The dominant noise source was**
12 **related to column amplifiers."**
13 **So look at that entire**
14 **paragraph. He talks about "best results**
15 **for the 2-by-2 resolution."**
16 **It says, "this lies in the**
17 **availability of an optimal capacitance**
18 **value at the output amplifier," but these**
19 **four resolutions are 1-by-1, 2-by-2, 4-by-4**
20 **and 8-by-8.**
21 **So presumably he's suggesting**
22 **that it was -- well, he says there's an**
23 **optimal capacitance value at, and depending**
24 **on how you group these, their capacitance**
25 **changes. I assume that's what he's**

Page 104

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 What is the "smear effect," and
3 if you're familiar with the smear effect,
4 what is it?
5 **A. Well, I think he's explaining**
6 **what it is. The multiple pixels may --**
7 **there may be signals on some pixels that**
8 **are not representative of light falling on**
9 **those pixels.**
10 Q. And at the end of 4.2, the
11 next-to-last sentence, it says that:
12 "Measurements have shown that
13 with a spot illumination exceeding five
14 times the dynamic range, the smear effect
15 becomes prominent."
16 Do you see that?
17 **A. I do.**
18 Q. What's your understanding of
19 that part of the Ricquier description in
20 4.2?
21 **A. Exactly what it says.**
22 **If the spot illumination is by**
23 **dynamic range, I assume that says maximum,**
24 **5 times larger, then the smear is**
25 **important.**

Page 103

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **discussing about. I really haven't looked**
3 **at this carefully.**
4 Q. So as you sit here today, you
5 don't have any further understanding of
6 that, that portion of Ricquier; is that
7 right?
8 MR. DAVIS: Objection.
9 Form.
10 **A. Again, it had no relevance to my**
11 **analysis. I really haven't tried to parse**
12 **this out, no.**
13 Q. If you turn back to page 12 of
14 Exhibit 1038, there's a heading 4.2:
15 "Behavior Under High Illumination
16 Conditions."
17 Do you see that?
18 **A. I do.**
19 Q. In the first sentence it says:
20 "When increasing the
21 illumination more and more, a smear effect
22 can be seen on columns that contain highly
23 illuminated parts. These columns turn
24 completely white," including the second
25 sentence there.

Page 105

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **Again, it's not -- was not part**
3 **of my analysis. I think it says what it**
4 **says.**
5 Q. So it's describing that when you
6 get more illumination on the CMOS pixel
7 array that Ricquier is describing, you run
8 into a problem with the smear effect; isn't
9 that right?
10 MR. DAVIS: Objection.
11 Form.
12 **A. Well, he -- earlier in the**
13 **paragraph he discusses general**
14 **characteristics of devices in the pixels,**
15 **and that if you have enough photon-induced**
16 **charges that those charges can diffuse, and**
17 **that will affect what happens -- the**
18 **electrical signal that you can see in other**
19 **pixels. That's what I think he's**
20 **discussing. I think that's true for many**
21 **solid-state image sensors.**
22 Q. But it's the case for the CMOS
23 pixel array that is described in
24 Exhibit 1038 that the more illumination you
25 get, the greater problem you have with this

<p style="text-align: right;">Page 106</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 smear effect; right? 3 MR. DAVIS: Objection. 4 Form. 5 A. Well, I think he's -- he states 6 that it's when it's five times the dynamic 7 range, so if it's big enough, there's a 8 smear effect. 9 You -- typically below some 10 threshold, there is none. 11 Q. Are you familiar -- besides from 12 its use in this article, are you familiar 13 with that term "smear effect"? 14 A. Well, the physics that he's 15 describing, which is that photo-generated 16 charges in one location can affect 17 electrical properties of devices at another 18 location, I am certainly familiar with 19 that, and that is a common problem in all 20 solid-state imaging devices. 21 Depending on how they're laid 22 out and in what intensities, the number of 23 photo-generated carriers you generate, 24 there's always some risk if you generate 25 enough that those carriers will diffuse to</p>	<p style="text-align: right;">Page 107</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 a location you don't want them in. 3 Q. Is there a term for that in the 4 industry or in the field? 5 A. I don't know whether there's 6 only one term for that. I don't know. 7 Q. If you turn to page 15 of 16 of 8 Exhibit 1038 in the "Conclusion" section, 9 heading 5. 10 A. I have it in front of me. 11 Q. Okay. The last sentence, it 12 says: "Compared to CCD imagers, the S/N is 13 quite bad, but for the intended industrial 14 applications, it is sufficient." 15 Do you see that? 16 A. I do. 17 Q. And "S/N" is referring to 18 signal-to-noise; right? 19 A. Yes, that stands for 20 signal-to-noise ratio. 21 Q. So the conclusion from 22 Exhibit 1038 is that there is -- well, let 23 me rephrase it. 24 What is your understanding of 25 that statement in the conclusion of</p>
<p style="text-align: right;">Page 108</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Exhibit 1038? 3 A. Exactly what it says. 4 Compared to CCD imagers, the 5 signal-to-noise ratio is quite bad. But 6 for the intended industrial application, it 7 is sufficient. 8 In other words, whether a given 9 signal-to-noise ratio is bad or not depends 10 on whether you care. 11 Here he's saying it was worse 12 than CCD, but it wasn't so bad that it made 13 the sensor not useful for its intended 14 application. 15 Q. And that intended application 16 was machine vision; right? 17 MR. DAVIS: Objection. 18 Form. 19 A. So earlier in the discussion, he 20 was talking about a machine vision 21 application, yes. 22 Q. And we previously talked about 23 the Wakabayashi reference, which you 24 referred to as a consumer camera; right? 25 A. I may very well has used that</p>	<p style="text-align: right;">Page 109</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 phrase. 3 Q. Okay. Is a -- 4 MR. DAVIS: My objection got cut 5 off again. Objection to form. Thank you. 6 BY MR. CAPLAN: 7 Q. Let me just rephrase the 8 question. 9 A consumer camera is not an 10 industrial application that's contemplated 11 in Exhibit 1038, is it? 12 MR. DAVIS: Objection. 13 Form. 14 A. I don't know. The context of 15 the high-speed discussion he's given, I'd 16 say that he was looking at things that were 17 a lot harder than consumer cameras. 18 Q. A lot harder how? 19 A. Well, he identifies a frame rate 20 of 125 full images per second and a data 21 rate of 10 megahertz. 22 I don't think that's -- that 23 would be something I would not necessarily 24 expect to be required for a consumer video 25 camera in the mid '90s.</p>

<p style="text-align: right;">Page 110</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Is that really based on the 3 speed or the frequency, the 10 megahertz 4 and 125 images per second, that's what 5 you're talking about? It's a higher 6 requirement than a consumer camera based on 7 that frequency? 8 A. Yes. Standard video is 30 9 frames per second. He's achieving about 10 four times that. 11 Q. And what about the quality of 12 the image in terms of the clarity of the 13 image, or I guess in the past you've talked 14 about in the context of signal-to-noise? 15 A. I don't know that he's specified 16 other than that his signal-to-noise was, 17 for the intended application, sufficient. 18 I don't know, you know -- many 19 industrial applications are fairly 20 stringent, I guess, in terms of image 21 quality, trying to tell one small part from 22 another small part, but I don't know. It's 23 not something I've considered. 24 Again, it was not related to any 25 claims that I've analyzed.</p>	<p style="text-align: right;">Page 111</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. You don't have any basis in 3 Exhibit 1038 for the particular 4 requirements for the image quality for 5 their industrial applications, do you? 6 MR. DAVIS: Objection. 7 Form. 8 A. He specified that it was 9 sufficient for intended industrial 10 applications. That's all he said. 11 And I didn't -- certainly didn't 12 do any independent inquiry. It's not 13 relevant to any claim language and I didn't 14 -- there's nothing I looked at for my 15 declaration. 16 Q. So you don't have a basis in 17 Exhibit 1038 to say that one of the 18 industrial applications is a consumer 19 camera, do you? 20 MR. DAVIS: Objection. 21 Form. 22 A. I -- whether it could or could 23 not include something that could be used 24 for a consumer camera, I don't know. 25 I think what he shows is</p>
<p style="text-align: right;">Page 112</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 something that, at least with respect to 3 frame rate, would exceed the performance of 4 what I would expect to be a consumer 5 camera. But, again, I don't see that 6 that's a relevant -- I did not conduct any 7 inquiry based on that because consumer 8 camera is not claim language. 9 Q. And what does it mean in that 10 conclusion language that we talked about 11 that the signal-to-noise is quite bad for 12 the Exhibit 1038 imager compared to a CCD 13 imager? 14 MR. DAVIS: Objection. 15 Form. 16 A. Only what it says. I don't know 17 what else he means by that. Quite bad. 18 That's all he said. 19 Q. It's worse than a CCD imager of 20 that era; correct? 21 A. Well, he didn't actually say 22 which CCD imagers -- excuse me. 23 MR. DAVIS: I just said 24 objection to form. Thanks. 25 A. He didn't say which ones. I</p>	<p style="text-align: right;">Page 113</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 don't know. Again, not part of my inquiry. 3 Q. You didn't investigate what were 4 the overall signal-to-noise qualities of 5 CCD imagers in 1997 versus CMOS imagers in 6 1997, did you? 7 MR. DAVIS: Same objection. 8 A. There was no claim language 9 related to noise at all. There was nothing 10 to suggest that that was an inquiry I 11 needed to conduct, so, no, I did not. 12 My general knowledge is -- we've 13 discussed before that generally CCDs were 14 usually viewed as being lower noise than 15 CMOS, but that's a generic or general 16 statement. Given applications require 17 different things. 18 Q. How big is the imager device 19 that's described in Exhibit 1038? 20 A. So here it says it's a square 21 256-by-256 imager. I don't believe -- I'm 22 trying to see whether he actually gives a 23 pixel size. 24 I'm not sure he gives the 25 overall dimensions, but he calls it a</p>

Page 114

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **square imager. That much, he does state.**
3 Q. He does call it a square imager?
4 Where does he say that?
5 **A. On page 8 in the abstract.**
6 **"This square 256 star 256 imager**
7 **was fabricated."**
8 Q. Do you know if it was perfectly
9 square?
10 **A. Well, he described it --**
11 MR. DAVIS: Objection to form.
12 **A. Ricquier described it as square.**
13 **As one of ordinary skill in the**
14 **art reading what he said, I think one would**
15 **take it as it's stated. That it is square.**
16 Q. So it would be approximately
17 square, at least?
18 MR. DAVIS: Objection.
19 Form.
20 **A. Well, there is claim language**
21 **related to something being -- let's see --**
22 **I want to get the modifier correct.**
23 **There's a dependant claim to**
24 **that effect -- actually, it's faster if I**
25 **just look at the patent.**

Page 116

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 (Exhibit 1041 for
3 identification, Dierickx reference, shown
4 to witness.)
5 BY MR. CAPLAN:
6 Q. Dierickx is describing a --
7 well, why don't I ask you.
8 What is the Exhibit 1041
9 describing?
10 **A. Well, the title --**
11 MR. DAVIS: Objection to form.
12 **A. The title is "Circuit Pixel**
13 **Device and Method for Reducing**
14 **Fixed-Pattern Noise in Solid-State Imaging**
15 **Devices," so, once again, this is about**
16 **solid-state imaging devices, and there are**
17 **many teachings in Dierickx, but among them**
18 **is having an amplifier in each pixel, so,**
19 **for instance, as illustrated, I believe, in**
20 **Figure 6.**
21 Q. Okay. Are you all set? I
22 didn't know if you were looking for
23 something.
24 **A. No, no, I was going -- that's**
25 **discussed on page 110 of my declaration,**

Page 115

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **So the claim language, I**
3 **believe, is "generally square," and, again,**
4 **I was using plain and ordinary. I've not**
5 **done a claim construction inquiry.**
6 **I think one of ordinary skill in**
7 **the art would recognize that square is**
8 **certainly within the category of generally**
9 **square.**
10 Q. Okay. I want to turn now to --
11 I guess, I don't know, it feels ironic, but
12 the next reference, the -- I'm not sure how
13 to pronounce that one either. It's
14 Exhibit 1041. I don't know if anybody else
15 knows how to pronounce it: "Dierickx,"
16 D-i-e-r-i-c-k-x.
17 Did you know Mr. "Dierick" or
18 "Dierickx," Dr. Neikirk?
19 **A. No, I do not.**
20 Q. How do you pronounce it?
21 **A. I've been saying "Deerix."**
22 Q. Good enough for me.
23 Do you have a copy of that
24 Exhibit 1041?
25 **A. I do. It's in front of me.**

Page 117

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **paragraphs 166 through 168.**
3 Q. Okay. Just going back to the
4 title when you read it, part of it refers
5 to fixed-pattern noise.
6 What is fixed-pattern noise?
7 **A. So Dierickx itself -- again, I'm**
8 **just looking through the first page,**
9 **paragraph 6 and following, to see if he**
10 **describes it.**
11 **So I think there are line**
12 **numbers -- it's in paragraph 6.**
13 Q. Okay.
14 **A. About two-thirds of the way**
15 **down, and he discusses, for MOS image**
16 **sensors, the applications requiring high**
17 **image quality, that their output signal has**
18 **an additional nonuniformity caused by the**
19 **statistical spread on the characteristics**
20 **of the electronic components composing the**
21 **active pixel."**
22 **So I think down a little bit**
23 **later, the last sentence:**
24 **"If no precautions are taken,**
25 **this nonuniformity called fixed-pattern**

<p style="text-align: right;">Page 118</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 noise or FPN is seen as a snow-like shape 3 over the image being taken with a CMOS or 4 MOS image sensor with active pixels." 5 So I think I described it the 6 other day when we were discussing this, is 7 if you uniformly illuminated all pixels in 8 the array, the apparent signal would not be 9 the same at every pixel in the array. 10 That's fixed-pattern noise. 11 Q. Well, in Dierickx, it's 12 describing an active pixel sensor, isn't 13 it? 14 A. So certainly the embodiment 15 illustrated in Figure 6, where there's an 16 amplifier in the box labeled "1 pixel," 17 that's certainly an active pixel. 18 I think everywhere -- certainly 19 there are other figures where he draws a 20 box and says it is an active pixel. 21 So I'm just looking -- I don't 22 know whether he ever discloses one that is 23 not, but certainly with most of them, if 24 not all, are active pixels, yes. 25 Q. So this is -- Dierickx is</p>	<p style="text-align: right;">Page 119</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 describing -- just kind of turn to I guess 3 page 1, we'll use the paragraph numbers. 4 In paragraph 2, it says that 5 Dierickx "relates to a solid-state imaging 6 device manufactured using CMOS or MOS 7 technology." Right? Do you agree with 8 that? 9 A. That's what it says, yes. 10 Q. What's the difference between -- 11 it says "CMOS or MOS." 12 What's the difference between 13 the two? 14 I know there's a "C" that's 15 different, but what is the difference in 16 meaning between those two? 17 A. In CMOS, you build both P 18 channel and N channel transistors on the 19 same chip, in the same chip. 20 And in MOS, without the "C," you 21 either build all P channel or all N 22 channel. 23 Q. In paragraph 5, it talks about 24 CCDs compared to CMOS. 25 Do you see that?</p>
<p style="text-align: right;">Page 120</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. And do you agree that at least 4 at the time of Dierickx, CCD-based camera 5 systems provided a better image quality 6 than CMOS-based camera systems? 7 MR. DAVIS: Objection to form. 8 A. Well, more specifically, what he 9 said is that CCD-based camera systems have 10 less noise fluctuation in the image 11 compared to CMOS or MOS-based camera 12 systems, and then the following, the next 13 sentence, "Therefore, CCD-based camera 14 systems are nowadays preferred in 15 applications wherein a high image quality 16 is required," and he gives two examples, 17 "such as video or still camera 18 applications." 19 So he's certainly identifying 20 some -- under certain circumstances, CCD 21 can have advantages. 22 Q. Do you agree with that at the 23 time, 1996-1997? 24 MR. DAVIS: Objection. 25 Form.</p>	<p style="text-align: right;">Page 121</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I don't know that it would 3 necessarily be right in every possible 4 video application in the world or every 5 possible still camera application. 6 Certainly in high-performance 7 situations, scientific ones, astronomy, 8 there's no question in my mind that CCD was 9 superior. 10 For a video camera used for 11 teleconferencing or something like that, I 12 don't know whether I would necessarily 13 agree or disagree. 14 Q. So in Dierickx, it says that 15 CCD-based camera systems are preferred for 16 video applications. 17 Do you agree with that or not? 18 A. He says "a high image quality is 19 required such as video or still camera 20 applications." 21 So I would read that as 22 indicating that in a video or still camera 23 application where a high image quality is 24 required, and he doesn't define how high is 25 high.</p>

<p style="text-align: right;">Page 122</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 But if it has to be the highest 3 possible, I think he's saying that CCD 4 would be a potentially better choice. 5 Q. What's your understanding of 6 high image quality as it's used in 7 Dierickx? 8 A. I don't think he ever says 9 anything further and I haven't tried to do 10 any -- I can't read behind -- between the 11 lines. 12 You know, I can only speak to 13 applications that I was familiar with. I 14 think I've used astronomy on many occasions 15 where low light noise is critical. So, in 16 fact, in that application, a cooled CCD was 17 the best choice and not even room 18 temperature, but actually cooled. 19 Q. But my question is, what's your 20 understanding of a high image quality for 21 -- let me rephrase that. 22 What's your understanding of a 23 high image quality for a video application 24 as used in Dierickx? 25 MR. DAVIS: Object to form.</p>	<p style="text-align: right;">Page 123</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. And I believe I answered. I 3 don't know what Dierickx means. 4 Q. Okay. How about, what's your 5 understanding of a high image quality for a 6 still camera application as described in 7 Dierickx? 8 MR. DAVIS: Objection. 9 Form. 10 A. Well, again, I don't know what 11 he's thinking. I told you about one camera 12 application that I can think of, which was 13 for astronomy, astronomical purposes, 14 that's a very highly specialized one. 15 But Dierickx doesn't say -- he 16 doesn't give an example, I don't believe, 17 of what he considers to be high image 18 quality. 19 Q. Is there anything in Dierickx 20 that leads you to believe that the Dierickx 21 reference is referring to cameras used for 22 astronomy purposes? 23 MR. DAVIS: Objection. 24 Form. 25 A. No, I don't think there's</p>
<p style="text-align: right;">Page 124</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 anything one way or the other, although its 3 general objective is to improve performance 4 of CMOS or MOS technologies. That's the 5 whole point, is to reduce one of things he 6 identifies as a problem. 7 Q. Is a still camera application 8 something akin to the Wakabayashi patent 9 that we've been talking about? 10 MR. DAVIS: Objection. 11 Form. 12 A. Wakabayashi describes outputting 13 video. Video is not generally what you'd 14 call a still camera output. 15 Q. Okay. Is Wakabayashi an example 16 of a video application that Dierickx is 17 describing? 18 MR. DAVIS: Objection. 19 Form. 20 A. I have no idea whether it's the 21 type that Dierickx is describing. 22 Q. Okay. 23 A. It is -- they are both related 24 to solid-state imaging devices. There's no 25 question about that.</p>	<p style="text-align: right;">Page 125</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. But as you've testified before, 3 there are a lot of different 4 implementations of solid-state imaging 5 devices; right? 6 A. That's correct, and one of 7 ordinary skill in the art would be aware of 8 the literature, the patents on all of 9 those. 10 Q. But if you were holding a device 11 which has a CCD imager pixel array in it, 12 you wouldn't call that a device with a CMOS 13 pixel array imager, would you? 14 MR. DAVIS: Objection. 15 Form. 16 A. So if I understand your 17 question, you're asking me if I would call 18 a CMOS device a CCD? 19 Q. Correct. 20 A. No, I would not. 21 Q. In Dierickx -- or "Dierickx," I 22 don't remember how I'm saying it -- in 23 Exhibit 1041, where is the timing and 24 control circuitry with regard to the pixel 25 array?</p>

<p style="text-align: right;">Page 126</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I certainly didn't look to 3 Dierickx or any teachings related to timing 4 and control in my analysis, as set forth, 5 for instance, on page 110 of my 6 declaration. I don't know that he 7 discusses timing and control specifically. 8 I have not looked to that. 9 Q. You would agree that Dierickx 10 describes a CMOS image array with active 11 pixel sensor -- with active pixels; right? 12 A. I'm sorry, could you repeat the 13 question again? 14 Q. Sure. You would agree that 15 Dierickx describes a CMOS image array with 16 active pixels; right? 17 A. Yes. Dierickx is describing an 18 array and they are made up of active CMOS 19 or MOS pixels, apparently. 20 Q. Okay. And can you -- let me 21 rephrase it. 22 Based on your review of the 23 Dierickx application or Dierickx reference, 24 where is the timing and control circuitry 25 that would work with those active pixels in</p>	<p style="text-align: right;">Page 127</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 the Dierickx description? 3 MR. DAVIS: Objection. 4 Form. 5 A. So as I just testified, I was 6 not relying on Dierickx for that so I 7 really didn't examine it. 8 There is one discussion of 9 timing sequence in paragraph 66 on page 5, 10 referencing Figure 7, but I see nothing in 11 that discussion that would indicate whether 12 that timing sequence would be on-chip or 13 off-chip. 14 Q. Okay. If you please look at 15 paragraph 11. It's on page 14 of 20 of 16 Exhibit 1041 in paragraph 11. 17 A. I'm there. 18 Q. And starting the fourth line 19 down, it says: 20 "This device comprises a matrix 21 of active pixels as well as electronic 22 components or circuits located by 23 preference at the edges or border of the 24 matrix of pixels." 25 Do you see that?</p>
<p style="text-align: right;">Page 128</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. What's your understanding of 4 that description in Dierickx with regard to 5 other circuitry, and, in particular, timing 6 and control circuitry relative to the pixel 7 array? 8 MR. DAVIS: Objection to form. 9 A. If you keep reading in the 10 paragraph, he says: "At the expense of 11 forming an image sensor with an unusual 12 large area said electronic components or 13 circuits can also be integrated in said 14 pixel," which would not be timing and 15 control. 16 He further says: "Said 17 electronic components or circuits comprise 18 at least one amplifying circuit, which is 19 common to a group of pixels, such as a 20 column or row." 21 So he gives examples of such 22 circuitry. The circuitry that he 23 specifically uses examples are amplifiers. 24 And at least in the rest of that 25 paragraph, continuing onto page 2, I see</p>	<p style="text-align: right;">Page 129</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 nothing that would suggest other circuitry. 3 Maybe it is. Maybe it isn't. 4 But the only examples he gives are 5 amplifiers, I believe. 6 Q. Now, active pixels versus 7 passive pixels, that's described a little 8 bit in paragraph 6 of Dierickx. 9 And my question is, do you agree 10 that passive pixels have less functionality 11 than active pixels; is that correct? 12 MR. DAVIS: Objection to form. 13 A. No, I wouldn't agree with that 14 phrasing. 15 Q. What is the difference between 16 passive pixels and active pixels in the 17 CMOS imager? 18 A. An active pixel has an amplifier 19 integrated with the pixel. A passive one 20 does not. 21 Q. So then the active pixel, as you 22 just testified, has an additional component 23 which is the amplifier with it; right? 24 A. Yes. Actually, maybe more than 25 one component, but in some cases, in fact,</p>

<p style="text-align: right;">Page 130</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 I believe other ones I pointed to in my 3 declaration, it's the addition of a single 4 transistor, but, yes, additional components 5 comprising an amplifier. 6 Q. So the active pixel has 7 additional components and functionality 8 over a passive pixel; is that right? 9 A. If by functionality it 10 provides -- 11 MR. DAVIS: Objection. 12 Form. 13 THE WITNESS: Excuse me. 14 A. If by functionality you mean it 15 provides amplification, yes, it certainly 16 does, and I believe I already told you 17 that, yes, there are additional components 18 compared to a simple passive. 19 I'm not -- I suppose there could 20 be some passive pixel that had extra 21 components in it. But in general terms, 22 I'd expect an active pixel to have more 23 components than a passive one. 24 Q. For the same reason, an active 25 pixel would be more expensive to</p>	<p style="text-align: right;">Page 131</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 manufacture than a passive pixel; right? 3 MR. DAVIS: Objection. 4 Form. 5 A. Not necessarily. 6 Manufacturing costs track more 7 closely dye area than transistor count. 8 Q. But the more functionality you 9 put into the dye, the more expensive it is 10 to manufacture. 11 Isn't that the case? 12 MR. DAVIS: Objection. 13 Form. 14 A. Not necessarily. Sometimes, but 15 it depends a lot on dye area. 16 Q. Is it your testimony that the 17 passive pixel would be less expensive -- 18 strike that. 19 So it's your testimony that an 20 active pixel would be less expensive to 21 manufacture than a passive pixel? 22 A. No. I said you can't 23 necessarily say the active is more 24 expensive than the passive. That's what I 25 said.</p>
<p style="text-align: right;">Page 132</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Is there a difference in the 3 size area that's occupied by a passive 4 pixel versus an active pixel? 5 A. There may or may not be. It 6 depends on how one chooses to lay out the 7 pixels. 8 Q. So it's completely up to the 9 designer? 10 A. Well, yes, actually. You could 11 lay out large pixels. You can't make it 12 irreducibly small because you have a line 13 limit to your fabrication process, but you 14 have choices as to, for instance, how much 15 of the pixel actually absorbs light, the 16 fill factor. You have choices as to how to 17 use size, the other components. So, yes, 18 it is largely by the designer. 19 Q. So is it your testimony that the 20 active pixels have additional components in 21 them over passive pixels, but you don't 22 know whether or not it would be more 23 expensive to manufacture active pixels 24 versus passive pixels; isn't that right? 25 MR. DAVIS: Objection.</p>	<p style="text-align: right;">Page 133</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Form. 3 A. What I said was is that 4 manufacturing costs tends to track dye size 5 more than it tracks transistor count. 6 If you built a passive pixel 7 with a large fill factor and it had a 8 certain size pixel, you perhaps -- a design 9 choice would be to build an equivalent size 10 pixel that was active, but where the photo 11 detector has a smaller fill factor. 12 In that case, the total dye area 13 would stay the same. And then it's not 14 clear that one would be more expensive than 15 the other. 16 Q. You didn't investigate the 17 relative costs between manufacturing active 18 and passive pixels in connection with your 19 opinions in this matter, did you? 20 MR. DAVIS: Objection. 21 Form. 22 A. I have been teaching CMOS 23 fabrication since 1985, and as an important 24 part of my class, I cover -- it is about 25 manufacturing, and part of what we talk</p>

<p style="text-align: right;">Page 134</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 about are costs. 3 And in the industry you usually 4 specify cost per wafer, not -- because 5 that's the manufacturing process. You 6 handle wafers, so it's called wafer starts 7 per week. You don't count the number of 8 chips per week. You count the number of 9 wafers. 10 So that's why I said the 11 manufacturing cost tends to track the area 12 of the dye because on one wafer, the larger 13 the dye, the fewer you get. 14 It was the wafer cost that drove 15 -- the wafer manufacturing cost that drove 16 things, if you were dyeing, then each dye 17 is more expensive. So it's area of the dye 18 that tends to drive. Not the only factor 19 for sure, but it tends to be one of the 20 important factors. 21 Q. But you haven't expressed an 22 opinion in this case about the cost to 23 manufacture a standard passive CMOS pixel 24 array in 1997 versus an active CMOS pixel 25 array in 1997; right?</p>	<p style="text-align: right;">Page 135</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 MR. DAVIS: Objection. 3 Form. 4 A. No claim had the word "cost" or 5 anything related to cost. 6 I did analyze whether it would 7 have been obvious to combine the references 8 I did. They're in the same field, 9 solid-state cameras. 10 So, no, I did not render any 11 opinion about cost because it wasn't 12 relevant to my analysis. I do know about 13 costs. 14 Q. And you don't have any opinion 15 about the average size of a dye for a CMOS 16 passive pixel array versus a CMOS active 17 pixel array in 1997, do you? 18 MR. DAVIS: Objection. 19 Form. 20 A. Again, I did not perform any 21 analysis concerning an average size. 22 I did point to a specific 23 reference that gave a size, 8-1/2 24 millimeters, in particular. 25 But I didn't try to find an</p>
<p style="text-align: right;">Page 136</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 average size because, again, that's not 3 relevant to my analysis. 4 Q. Okay. 5 MR. CAPLAN: This is probably a 6 good point to break for lunch, at least on 7 the East Coast. I'm not sure if it's okay 8 for you. 9 But you want to come back at 10 3 o'clock or noon Pacific? 11 THE WITNESS: So that would be 12 about 50 minutes. 13 MR. CAPLAN: Is that all right? 14 Okay. Great. Thank you. 15 (A luncheon recess was taken 16 from 2:09 p.m. EST to 3:00 p.m. EST.) 17 18 19 20 21 22 23 24 25</p>	<p style="text-align: right;">Page 137</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 AFTERNOON SESSION 3 (Time Resumed: 3:00 p.m. EST) 4 DEAN NEIKIRK, PH.D., 5 having been previously duly sworn, resumed 6 and testified as follows: 7 CONTINUED EXAMINATION 8 BY MR. CAPLAN: 9 Q. Dr. Neikirk, we were in 10 Exhibit 1041, the Dierickx reference, and 11 if you could turn there to page 15 of 20; 12 in particular, paragraph 19. 13 A. You said the exhibit, page 15. 14 Paragraph 19? 15 Q. Paragraph 19. 16 A. I have that in front of me. 17 Q. If you go down, it's the fourth 18 line in paragraph 19, the sentence that 19 starts "an example." 20 Do you see that? 21 A. I do. 22 Q. So it says: "An example of such 23 camera system is a video or still camera or 24 camera integrated in a multimedia device 25 such as a personal computer equipped with</p>

<p style="text-align: right;">Page 138</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 video functionality or with video and 3 speech functionality." 4 Do you see that? 5 A. I do. 6 Q. So does that give you or what is 7 your understanding of the video or still 8 camera described in Dierickx in light of 9 what's described in paragraph 19? 10 MR. DAVIS: Objection. 11 Form. 12 A. Again, exactly what it says. 13 A multimedia device such as a 14 personal computer, and I would take it to 15 indicate that he's stating that using his 16 inventions you can achieve something with 17 high enough performance presumably at lower 18 cost than the CCD system. 19 Q. I'm just not sure I understood 20 your answer because it looked like your 21 answer was in the context of CCD systems. 22 A. No, I said the inventions of 23 Dierickx for MOS or CMOS provided a 24 performance that was high enough for the 25 application he identified, and given that</p>	<p style="text-align: right;">Page 139</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 that was the focus of his -- his stated 3 focus was reducing fixed-pattern noise. 4 Clearly he was trying to improve 5 the image performance of CMOS or MOS 6 arrays. 7 MR. DAVIS: John, I think it was 8 a transcription error. It says "in" 9 instead of "than." 10 (Discussion held off the 11 record.) 12 BY MR. CAPLAN: 13 Q. In the same paragraph 19, Dr. 14 Neikirk, at the bottom of that paragraph, 15 it says: "As is well-understood by a 16 technologist in the relevant field, for 17 this purpose the integrated circuit 18 containing the pixel matrix and the 19 peripheral circuits are packaged and 20 mounted inside a camera housing with the 21 lens at the same place where a photographic 22 film would be located." 23 Do you see that? 24 A. I do. 25 Q. What's your understanding of</p>
<p style="text-align: right;">Page 140</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 what's being described in this part of 3 Dierickx? 4 A. Again, exactly what it says. 5 Using the solid-state imaging 6 device in a camera in the location that 7 normally photographic film would be placed. 8 Exactly what it says. 9 Q. And it's also saying that the 10 pixel array and other circuitry are all 11 located in the same location; right? 12 A. Well, actually what it says is 13 that the pixel matrix and the peripheral 14 circuits are packaged, which doesn't 15 preclude the possibility that they were two 16 chips in a common package, but of course 17 the peripheral circuitry that I believe 18 Dierickx focuses on relates to amplifiers, 19 and he didn't really discuss other 20 peripheral circuitry. Perhaps it's 21 on-chip, perhaps it's not. 22 But it certainly does say that 23 the pixel matrix is packaged and mounted 24 inside a camera. 25 (Reporter clarification.)</p>	<p style="text-align: right;">Page 141</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 BY MR. CAPLAN: 3 Q. So is it your testimony that the 4 peripheral circuits referred to in this 5 paragraph 19 are referring to the 6 amplifiers that are used in the active 7 pixels of Dierickx? 8 A. So I don't think Dierickx 9 specifies any particular details of 10 peripheral circuitry except as what you 11 might see in column -- in Figure 2, for 12 instance, which related to the amplifiers, 13 or, for instance, in Figure 5, also related 14 to amplifiers. 15 Other than that, I don't think 16 he really gives much in the way of details 17 about possible examples of peripheral 18 circuitry. 19 Q. Well, you identified Figure 2 in 20 Exhibit 1041. If you can just turn to that 21 just so I understand on the record what's 22 shown here. 23 It looks on the top -- the upper 24 portion of Figure 2 there are four active 25 pixels; is that correct?</p>

<div>Page 142</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. Yes, I believe that's what he's 3 trying to -- yes. 4 Q. And those active pixels would 5 include the amplifier that makes it an 6 active pixel; is that correct? 7 A. They would include amplifiers in 8 the pixel, but as shown below, there is 9 another amplifier that he shows which is 10 number 11. 11 Q. And what is the purpose of that 12 amplifier 11? 13 A. So in -- so it's the exhibit 14 page 16 in paragraph 33. 15 Q. Yes. 16 A. He says that: "In this figure 17 an arbitrary array of 2-by-2 active pixels 18 is drawn with one column amplifier in this 19 text, also referred to as the amplifying 20 circuit." That's it. 21 Q. Is that column amplifier the 22 amplifier for the active pixel? 23 A. No, it's a column amplifier. 24 Q. So it's different? 25 A. Yes, it's different.</div>	<div>Page 143</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Just so to be complete, then 3 there's additional circuitry shown on the 4 lower portion of Figure 2. 5 Can you just describe the other 6 circuitries there, and I'm talking in 7 particular it looks like elements 12 and 9, 8 in particular. 9 A. So in column 41 on page 16 of 10 the exhibit he discusses Figure 2. He 11 discusses switches. He discusses that the 12 output current of sub-circuit is the 13 current source 12, and using various 14 switches, it's used to calibrate. 15 So the very first sentence in 16 that paragraph is another method consisting 17 of calibration of current sources. 18 Remember that his overall goal 19 is to reduce fixed-pattern noise which is 20 due to variations from one region to 21 another. And so, for instance, being able 22 to calibrate that out is a way of trying to 23 do that. I don't know that that's 24 specifically what he's talking about here. 25 Q. That was my next question, is</div>
<div>Page 144</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 that other circuitry we're looking at in 3 Figure 2, would that be an example of the 4 peripheral circuits that are referred to in 5 paragraph 19? 6 A. It may be, it may not. I don't 7 know that he used anything that explicitly 8 says that that's the only possible 9 peripheral circuitry or that it has to be 10 on-chip, but it's the only example I see of 11 something that's connected to, for 12 instance, an entire column as opposed to 13 being inside individual pixels. 14 Q. If you could turn back in 15 Dierickx, back to paragraph 6 on page 14. 16 A. I'm there. 17 Q. In the middle of that paragraph 18 it talks about some of the features and 19 functions of active pixels, and it says, it 20 starts with -- a third of the way down, the 21 sentence starts "for this reason," and so 22 I'll read it for the record: 23 "For this reason, active pixel 24 image sensors are potentially less 25 sensitive to noise fluctuations than</div>	<div>Page 145</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 passive pixels." 3 Do you see that? 4 A. I do. 5 Q. Do you agree with that? 6 A. Well, we should back up and read 7 what the reasons were. 8 The previous couple of 9 sentences, second sentence in paragraph 6, 10 "An active pixel is configured in the means 11 integrated in the pixel to amplify the 12 charge that is collected on the light 13 sensitive element." 14 And then it says: 15 "Passive pixels do not have said 16 means and require a charge sensitive 17 amplifier that is not integrated in the 18 pixel and is connected with a long line 19 towards the pixel." 20 So presumably -- he doesn't 21 really identify which reason in the 22 numerous -- is it just because there's an 23 amplifier in the pixel? Is it because 24 there is a long line connected in the 25 pixel? I haven't really analyzed this to</div>

<p style="text-align: right;">Page 146</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 try to decide what I think he's 3 specifically trying to address. 4 Q. And as you sit here today, can 5 you -- my question is, do you agree with 6 the statement that active pixel sensors are 7 potentially less sensitive to noise 8 fluctuations than passive pixels? 9 A. Well, he says "potentially." 10 Lots of things are potentially 11 less sensitive. 12 I would think it would depend on 13 what the source of the noise fluctuation 14 was, as to whether adding an amplifier 15 would reduce sensitivity to that or 16 increase sensitivity to that. 17 Q. In the next sentence in that 18 paragraph 6, it says: 19 "Due to the additional 20 electronics in the active pixel, an active 21 pixel image sensor may be equipped to 22 execute more sophisticated functions which 23 can be advantageous for performance of the 24 camera system." 25 Do you see that?</p>	<p style="text-align: right;">Page 147</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. Do you agree with that 4 statement? 5 A. Well, let's look at the 6 functions he identified. 7 "Said functions can include 8 filtering operation at higher speed or 9 operation in more extreme illumination 10 conditions." 11 He did not identify what kind of 12 circuitry he's going to add to the pixels 13 to do those things. That there might be 14 something you could add, I suppose. 15 So I haven't really looked at 16 that, and I can think of pros and cons, so 17 I don't know whether I agree or disagree. 18 I suspect under some 19 circumstances I would agree. There might 20 be other circumstances under which I would 21 disagree. 22 Q. As you sit here today, you can't 23 say "yes, I agree with that statement in 24 paragraph 6"; is that right? 25 MR. DAVIS: Objection.</p>
<p style="text-align: right;">Page 148</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Form. 3 A. As I sit here today, I think 4 that this is a very complicated topic and 5 so there might be circumstances where I'd 6 agree with it and other circumstances where 7 I wouldn't. 8 I have not done the kind of 9 analysis that would be required to try to 10 determine whether I would always agree or 11 always disagree with such a statement. 12 Q. You were relying on Exhibit 1041 13 for your opinions in this matter; correct? 14 A. For very specific teachings, of 15 which this is not one. 16 Q. But you looked at the whole 17 document before you relied on a portion of 18 it; right? 19 A. Of course. 20 MR. DAVIS: Objection. 21 Form. 22 BY MR. CAPLAN: 23 Q. Why don't we turn to the 24 Wakabayashi reference, which is 25 Exhibit 1027.</p>	<p style="text-align: right;">Page 149</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 (Exhibit 1027 for 3 identification, Wakabayashi reference, 4 shown to witness.) 5 BY MR. CAPLAN: 6 A. I have it. 7 Q. I know we've talked about this 8 exhibit before so we'll get to some 9 particular new questions. 10 We can turn to Figure 7 of the 11 Wakabayashi patent, just as an example. 12 A. I have Figure 7 in front of me. 13 Q. Okay. Just so -- I'm jumping 14 off point for this discussion. 15 Can you just explain the 16 functions and relationships of the imager 17 device 27, the camera circuit board 29 and 18 the circuit board 39 in Wakabayashi shown 19 in Figure 7? 20 MR. DAVIS: Objection to form. 21 A. Well, so as an example, I 22 discussed this and illustrated on 42 of my 23 declaration, and the image sensor 27, a 24 generally square shape on first plane; 29, 25 a first circuit board with a length and</p>

<p style="text-align: right;">Page 150</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 width defining a first plane; and 39, a 3 second circuit board having a length and a 4 width defining a second plane. 5 Those are some of the features 6 that are illustrated by Figure 7. 7 Q. And the image sensor 27 includes 8 the photo detector array; is that right? 9 A. I believe Wakabayashi always 10 refers to it as a -- I want to use his 11 words -- always refers to it as a solid 12 state -- I just want to use -- I don't want 13 to be loose about his wording. 14 He calls 27 -- I'm trying to 15 find the first spot for -- an imager 16 device. That's in column 5 at line 57. 17 But he refers to his -- sorry, 18 I'm still trying to find it. He always 19 refers to it as a solid state -- and I 20 don't remember whether he calls it imager, 21 imaging device -- that's all I was trying 22 to find. 23 But element 27 is where that 24 would be present, yes. 25 Q. And we never really talked about</p>	<p style="text-align: right;">Page 151</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 the claims yet, we'll get to that, the 3 claims of the '052 patent, but in the 4 claims it does talk about circuitry to 5 convert pre-video signals to post-video 6 signals. 7 Do you recall that? 8 A. Yes, I believe it may be more 9 than one claim, yes. 10 Q. Sure. And it's your testimony 11 in this matter that board 39 is where that 12 pre-video to post-video circuitry is 13 located; right? 14 A. So in my analysis in my 15 declaration, let me find that element with 16 respect to Wakabayashi. So that is 17 discussed beginning on page 82 of my 18 declaration as an example, "Element 1.f" 19 is how I labeled it, and that does include 20 reference to a second circuit board 21 defining a second plane, and that circuit 22 board including circuitry thereon to 23 convert pre-video signal to post-video. 24 In my analysis of that, which I 25 believe -- it's both here and it's earlier.</p>
<p style="text-align: right;">Page 152</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 This particular -- so if you look at 3 paragraph 128 of my declaration, I actually 4 point us back -- sorry, it's to Section 5 VIII.A, and in that particular discussion 6 back at VIII.A, let's go there, I do 7 discuss my opinion that it's obvious to 8 locate that circuitry on circuit board 39, 9 so that's in VIII.A. it starts at page 28. 10 So really I think the 11 appropriate discussion is roughly pages 45 12 of my declaration, paragraph 71 up through 13 74, and in paragraph 71, 72, 73, I try to 14 go over where Wakabayashi has identified 15 locations for various things. 16 And in paragraph 74, I am -- it 17 is my opinion that it would be obvious to 18 put that conversion from pre-video to 19 post-video on 39, and then on page 48 give 20 some of the reasons why I think one of 21 ordinary skill would be motivated to do 22 that. 39 is bigger, there's more space, 23 easier to put it on 39 than 29 and so on. 24 Q. But board 39 is not where the 25 pre-video to post-video conversion</p>	<p style="text-align: right;">Page 153</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuitry is located in Wakabayashi, is it? 3 A. That's correct. 4 As I state in the middle of page 5 48, furthermore, by mounting this 6 processing circuitry on circuit board 39 7 instead of circuit board 29, the size of 8 circuit board 29 is advantageously reduced 9 and the video unit -- video camera unit 10 that rotates is made smaller. 11 So, yes, it is -- in 12 Wakabayashi, he shows one configuration and 13 he puts it on 29. 14 It's my opinion that one of 15 ordinary skill would recognize that you can 16 move it to 39 and that there would be 17 advantages to do so. In fact, putting it 18 either place is simply an obvious design 19 choice. 20 Q. But board 29 in Wakabayashi is 21 where the pre-video to post-video 22 conversion circuitry is located in your 23 opinion; correct? 24 A. I -- so I don't think it's in my 25 opinion.</p>

Page 154

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **Wakabayashi discusses the**
3 **various circuits. He talks about the block**
4 **diagram of Figure 22, for instance, and**
5 **based on that discussion, Wakabayashi does**
6 **show an embodiment or implementation where**
7 **he places the pre-video to post-video**
8 **conversion circuitry, which I believe is in**
9 **block 102 of Figure 22, he places a dotted**
10 **line around 100 and 102, and I think it's**
11 **somewhere around column 5 -- I'm not sure**
12 **exactly now where it is -- he identifies**
13 **that those components would be both the**
14 **solid-state imaging chip and circuit board**
15 **29.**
16 **I think that's simply a design**
17 **choice. That's one obvious place to put**
18 **the conversion from pre-video to**
19 **post-video, one obvious place to put it is**
20 **in 29. The other obvious place to put it**
21 **is 39.**
22 **Q. It's not the obvious place.**
23 **It's where Wakabayashi actually**
24 **put it; isn't that right?**
25 **A. Well, I think that there are two**

Page 156

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **that that pre-video to post-video**
3 **conversion circuitry could be on board 39,**
4 **couldn't it be on a different yet other**
5 **board or location than Wakabayashi?**
6 **A. Well, Wakabayashi only has two**
7 **circuit boards, 29 and 39. That**
8 **Wakabayashi could have chosen to have three**
9 **boards, yes, that's a possibility.**
10 **Again, I think it's just a**
11 **design choice. But what he does disclose**
12 **is two.**
13 **Q. If you were to put the pre- to**
14 **post-video conversion circuitry on board**
15 **29, would that affect the cost of**
16 **manufacturing the Wakabayashi device?**
17 **A. I would not expect it to.**
18 **I have discussed in paragraph 74**
19 **a number of things that I do believe it**
20 **would impact. For instance, the size of**
21 **circuit board 29, which would have an**
22 **impact on the potential size of the video**
23 **camera unit. That's the piece that moves.**
24 **Making that smaller or lighter,**
25 **he goes to great length talking about**

Page 155

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **obvious places to put it. Wakabayashi gave**
3 **one embodiment and he chose to put it on**
4 **29.**
5 **I discuss in paragraph 74 of my**
6 **declaration why it's my opinion that one of**
7 **ordinary skill would have found it obvious**
8 **and been motivated to consider and, in**
9 **fact, place it on 39 as opposed to to 29**
10 **because -- just because Wakabayashi showed**
11 **it on 29 doesn't mean that's the only place**
12 **it could be. There's got to be two places,**
13 **29 or 39. I think they're both obvious**
14 **choices.**
15 **Q. Wakabayashi doesn't say**
16 **alternatively, stick it, stick the**
17 **circuitry that's on 29 onto board 39, does**
18 **it?**
19 **A. I don't believe he does, but he**
20 **also doesn't say that he expressly limits**
21 **the circuitry to be only on 29.**
22 **There's no express teaching that**
23 **says it must be on 29. That is not in**
24 **here.**
25 **Q. Okay. If it's your testimony**

Page 157

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **making sure that the hinges don't have to**
3 **be terribly strong. He talks about a lot**
4 **of those things that relate to the size and**
5 **weight of that video camera unit, which is,**
6 **if you look at Figure 2, I don't know**
7 **whether that's 5 or whether it's 17,**
8 **honestly -- I think it's 5 -- but the video**
9 **camera unit is the piece that moves.**
10 **Q. Is it your testimony that by**
11 **moving the pre-video to post-video -- well,**
12 **strike that.**
13 **If you moved the pre-video to**
14 **post-video circuitry to board 39, what is**
15 **the purpose of board 29 at that point?**
16 **A. Well, board 29 supports the**
17 **image sensor, and board 29 can support**
18 **other circuitry. For instance, it could**
19 **support timing and control.**
20 **There are -- I don't remember**
21 **what else -- let's see, what else does he**
22 **say in his disclosure about 29.**
23 **But there could be filter**
24 **capacitors. There could be any number of**
25 **components.**

Page 158

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. So then you would agree that if
3 you were to move the pre-video to
4 post-video conversion circuitry from board
5 29 to board 39, it would require
6 redesigning other aspects of the
7 Wakabayashi device; right?
8 MR. DAVIS: Objection.
9 Form.
10 A. No, I wouldn't phrase it that
11 way. The other circuitry might very well
12 require no redesign whatsoever. The output
13 from the sensor goes into the pre-video to
14 post-video conversion circuitry. It's just
15 a question of getting that output to that
16 circuitry.
17 Well, the wiring is already
18 present on the board. Would the layout of
19 the board be different? Sure. But, again,
20 it's just a design choice.
21 Q. Did you analyze what changes
22 would need to be made to Wakabayashi if you
23 moved the pre-video to post-video circuitry
24 from board 29 to board 39?
25 A. Well, only in the general

Page 160

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 skill in the art reading the art has to use
3 their own knowledge and creativity. And
4 one of those things would be Wakabayashi
5 doesn't identify specific circuitry or how
6 that would be represented by components
7 with a specific layout of a printed circuit
8 board. You'd have to do that design either
9 way, whether the video -- pre-video to
10 post-video conversion is done on 29,
11 whether it's done on 39.
12 One of ordinary skill would have
13 to do the design, yes, but they'd have to
14 do both designs. Wakabayashi doesn't
15 provide a design, a layout, a component
16 selection process.
17 Q. So you agree, though, that
18 Wakabayashi illustrates a design where the
19 pre-video to post-video conversion is on
20 board 29; right? You agree with that?
21 A. So in terms of block level
22 functionality, Wakabayashi discloses a
23 video -- pre-video to post-video conversion
24 placed on board 29.
25 He doesn't provide with great

Page 159

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 context, as I've stated in my opinion, that
3 I think that is an obvious design choice,
4 that relocating that circuitry would lead
5 to things that would be well within the
6 skill of one of ordinary skill with great
7 expectation of success.
8 It's just rearranging traces on
9 a board. They had to arrange them one way.
10 You arrange them another way. It's just a
11 design choice.
12 Q. So then you agree if it's just a
13 design choice, you would still have to
14 change the design to accommodate that move
15 from circuitry on board 29 to board 39;
16 right?
17 A. Well --
18 MR. DAVIS: Objection to form.
19 A. Wakabayashi doesn't provide a
20 layout for board 29 to start with, so one
21 of ordinary skill in implementing
22 Wakabayashi, as he happened to have
23 disclosed it, would also have to have done
24 a design.
25 In either case, one of ordinary

Page 161

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 details about what those components are,
3 how to lay out the printed circuit board,
4 where to solder what component, but at the
5 block level, he does identify that that
6 circuitry would reside on 29, and in my
7 opinion it's obvious to one of ordinary
8 skill that could have equally well decided
9 to put it on 39.
10 Q. It's your testimony that you
11 don't know what else is on board 29 besides
12 the pre-video to post-video conversion
13 circuitry; right?
14 MR. DAVIS: Objection to form.
15 A. I'm not sure that's exactly how
16 I said it.
17 I don't think Wakabayashi
18 details -- well, he does detail a few
19 things. Let me look.
20 So in column 9 starting at line
21 9, he's generally talking about Figure 22,
22 and he states that the camera circuit 102
23 includes a camera signal processing
24 circuit, synchronization signal generator
25 circuit and so on," generates --

Page 162

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **So he identifies in broad terms**
3 **two things. He also says "and so on," so**
4 **whatever other components the designer felt**
5 **were necessary.**
6 Q. So it's your testimony that it's
7 obvious to move and so on from board 29 to
8 board 39 as well; is that right?
9 MR. DAVIS: Objection to form.
10 **A. Not and so on.**
11 **It would be obvious that you can**
12 **move circuitry from one to another. That's**
13 **a design choice.**
14 Q. Would you agree then if you
15 start moving circuitry from one board to
16 another -- strike that.
17 Would you agree that if you
18 start with a design at the outset which has
19 certain components or circuitry on one
20 board and you want to start moving some of
21 that circuitry and components to another
22 board, that would entail redesigning the
23 overall product?
24 MR. DAVIS: Objection to form.
25 **A. So if one did the design work to**

Page 164

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. Is there anything in Wakabayashi
3 that suggests you should move some
4 circuitry or components on board 29 onto
5 board 39?
6 **A. Well, Wakabayashi doesn't**
7 **actually give the circuitry details for**
8 **anything that he discloses. There's no**
9 **question that he discloses one embodiment**
10 **where the video -- pre-video to post-video**
11 **would be on board 29. There's nothing that**
12 **says that you are expressly limited to**
13 **that.**
14 **So I think, again, it's a design**
15 **choice that one of ordinary skill in the**
16 **art would be able to make. Put it one**
17 **place, put it the other. It's got to be on**
18 **one of the two boards.**
19 Q. Would you be able to eliminate
20 board 29 all together in the design of
21 Wakabayashi?
22 MR. DAVIS: Objection to form.
23 **A. Well, the solid-state imaging**
24 **device 16 would have to be mounted to**
25 **something, and I think the most obvious**

Page 163

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **place the components one place and they**
3 **have to do that, they weren't given a**
4 **design by Wakabayashi, then the design**
5 **placing the components on a different board**
6 **is a different design, but in either case,**
7 **one of ordinary skill had to do the design,**
8 **and it's my opinion that that design is not**
9 **substantially different. They're just two**
10 **obvious places to put it.**
11 **So in your hypothetical the**
12 **premise was you already had the design.**
13 **Well, I don't agree that you already had**
14 **the design. Somebody had to do that, and**
15 **Wakabayashi didn't do it.**
16 Q. So is it your testimony then
17 that if you moved the pre-video to
18 post-video conversion circuitry from board
19 29 to 39, you would still have other
20 circuitry remaining on board 29 even after
21 you made that change?
22 **A. That's not what I testified.**
23 **I said you might. Depends on**
24 **the overall design, what the requirements**
25 **were for the various pieces of that design.**

Page 165

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **thing to do is the way Wakabayashi did it,**
3 **with 29, where you solder the device into**
4 **that board. There has to be electrical and**
5 **physical support for that chip.**
6 **Would it be possible in the**
7 **universe of all things to come up with a**
8 **way to do it without using a circuit board,**
9 **sufficient such as illustrated by 29? I**
10 **don't know. I suppose, maybe, but I don't**
11 **know.**
12 Q. So it's your testimony that
13 Wakabayashi would be combined with the
14 Ackland reference? And so the record is
15 clear, Ackland, which you've spoken about
16 in the past, is Exhibit 1006.
17 **A. So I have -- my testimony or my**
18 **opinion is that for certain of the claim**
19 **limitations in the '052, that the**
20 **combination of those two would render those**
21 **obvious, yes.**
22 Q. I have a question about your
23 declaration.
24 In your declaration, I believe
25 you referred to the imager -- I was looking

Page 166

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 for something in particular. Give me one
3 second here.
4 Go back, actually, to what you
5 were just talking about, with the move of
6 circuitry from board 29 to 39.
7 If you were to move the pre- to
8 post-video conversion circuitry from board
9 29 to 39, how much would that reduce the
10 size of board 29?
11 MR. DAVIS: Objection to form.
12 **A. Sol I have not tried to put a**
13 **percentage reduction on it to that.**
14 **It would depend on the size of**
15 **the various components used to do that.**
16 **If we were to use Figure 7 --**
17 **well, actually, cross-section would be**
18 **better. So if you look at page 74 of my**
19 **declaration, I've got Figure 3 from**
20 **Wakabayashi reproduced with some labeling,**
21 **the image sensor and the first circuit**
22 **board 27 and 29.**
23 Q. Okay.
24 **A. And with the reduction of**
25 **components necessary to be placed on 29**

Page 168

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 considered that because Wakabayashi
3 discloses the chip that 27 is mounted and
4 soldered to 29.
5 Could you come up with another
6 way to do it? Again, I think I testified
7 just a few minutes ago in the universe of
8 all possibilities, maybe.
9 Q. Is there any component you could
10 not move to board 39?
11 **A. Again, it's not --**
12 MR. DAVIS: Objection to form.
13 BY MR. CAPLAN:
14 Q. I'm sorry, I didn't hear that.
15 I think there was an objection
16 and I didn't hear an answer.
17 **A. It's not something I've**
18 **considered. I haven't -- I haven't tried**
19 **to decide -- Wakabayashi discloses "and so**
20 **on." I haven't tried to establish what**
21 **"and so on" might or might not include.**
22 Q. So you don't know, actually, as
23 far as that goes?
24 MR. DAVIS: Object to form.
25 **A. As I sit here right now, I've**

Page 167

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 while still mounting the image sensor 27 on
3 it in the same manner as Wakabayashi
4 illustrates -- what is that -- a reduction
5 in area of -- I don't know -- maybe a
6 third, I haven't put a ruler to it.
7 Q. How do you know that?
8 **A. Well, I'm looking at the**
9 **approximate cross-sectional drawing shown**
10 **in Figure 3.**
11 Q. But you testified that
12 Wakabayashi doesn't actually show you the
13 components that are actually used; is that
14 right?
15 **A. That's correct. And that's why**
16 **I said potentially as much as. Assuming**
17 **that you solder 27 to 29 in this same way**
18 **it's illustrated.**
19 Q. Why would you have to do that?
20 Why would you have to keep it
21 the same way? If you change other things,
22 why keep that?
23 **A. I think I testified just a few**
24 **moments ago that I don't know that you**
25 **would have to do it that way. I haven't**

Page 169

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 not done any such analysis.
3 Q. Is there any component described
4 in Wakabayashi or needed for Wakabayashi
5 that you couldn't move to board 29?
6 MR. DAVIS: Objection to form.
7 **A. Well, I'm going to paragraph or**
8 **column 9, where he describes circuitry**
9 **involved in the camera circuit 102 and**
10 **specifies some things that it includes.**
11 **And considering he said, once**
12 **again, "and so on," I have not tried to do**
13 **an analysis to determine whether everything**
14 **included in "and so on" could be moved or**
15 **not. I just haven't done any such**
16 **analysis.**
17 Q. Do you know how large the
18 components or the boards are in
19 Wakabayashi, particularly boards 29 and 39?
20 **A. Not with any specificity other**
21 **than the descriptions shown, the size --**
22 **the approximate sizing, and I have**
23 **discussed in my declaration about what I**
24 **believe one of ordinary skill in the art**
25 **would expect this -- I always forget**

<p style="text-align: right;">Page 170</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 whether it's 5 or -- whether it's -- the 3 moveable part, approximately what its size 4 would be. 5 Q. So is it your testimony -- well, 6 let me just... 7 Could you do away with board 29 8 all together? 9 MR. DAVIS: Objection. 10 Form. 11 A. I have not considered that 12 situation. I don't know. I haven't done 13 the analysis. 14 Q. Is there an optimal location of 15 the circuitry and components for boards 29 16 -- restate. 17 For board 29, is there an 18 optimal location for the circuitry and 19 components on board 29? 20 MR. DAVIS: Objection to form. 21 A. Again, Wakabayashi only points 22 to things such as synchronization signal 23 generator circuit, the camera signal 24 processing circuit and so on. 25 And until you have a specific</p>	<p style="text-align: right;">Page 171</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 design, I don't think you can decide about 3 optimal locations. 4 I do believe, as I've stated, 5 that it would be obvious to locate the 6 pre-video to post-video conversion 7 circuitry to 39. I've given you a number 8 of reasons in my declaration why one of 9 ordinary skill in the art would consider 10 that, and I don't see anything that would 11 teach one of ordinary skill that they must 12 put it on 29. 13 Q. But you agree that Wakabayashi 14 gives an exemplary -- not exemplary -- 15 gives an actual arrangement for its device 16 which has imager device 27 and circuit 17 board 29 and circuit board 39, and it 18 explains the functions for those. That's 19 what Wakabayashi actually teaches. 20 Do you agree with that? 21 MR. DAVIS: Objection to form. 22 A. What Wakabayashi teaches is 23 arrangements physically of the various 24 boards and the imager device. 25 He discusses circuitry in a</p>
<p style="text-align: right;">Page 172</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 block form, so he doesn't actually give any 3 circuitry at all. He gives a block 4 description. And he does identify places 5 to locate certain of those functions either 6 on 29 or 39. 7 So, yes, I would agree he does 8 show an example. I didn't see any place 9 where he says "this is the only way you can 10 do it." 11 I believe one of ordinary skill 12 in the art would recognize that these are 13 design choices. And, for instance, the 14 pre-video to post-video conversion 15 circuitry, it has to be somewhere. It's 16 either got to be on 29 or it's got to be on 17 39. There are only two choices. 18 Wakabayashi showed it on 29. 19 He taught nothing that said you cannot put 20 it on 39. I think they're both obvious 21 choices. He chose to disclose one of the 22 two. 23 Q. There's nowhere on Wakabayashi 24 that you can identify, though, that says 25 "put any particular component somewhere</p>	<p style="text-align: right;">Page 173</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 else" that is described in Wakabayashi, is 3 there? 4 MR. DAVIS: Objection to form. 5 A. I think it's correct that 6 Wakabayashi does not provide any -- what's 7 the right phrase -- any explicit 8 restriction as to where to put components. 9 Q. And you have no opinion as to 10 the optimal location of components that are 11 described in Wakabayashi, do you? 12 MR. DAVIS: Objection to form. 13 A. Well, the only component that I 14 was considering in this case is the 15 pre-video to post-video conversion 16 circuitry, and in terms of optimal, 17 deciding whether something is optimal 18 depends on what your design goal is. 19 So there's an implicit 20 assumption there which you haven't 21 specified. 22 I've given a number of reasons 23 why I believe one of ordinary skill in the 24 art would recognize that moving it could 25 lead to a smaller moveable camera, and that</p>

Page 174

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 given Wakabayashi, that might be desirable.
3 I would agree that he taught --
4 Wakabayashi -- apparently he could get it
5 small enough for his purposes by putting it
6 on 29, but that doesn't mean someone else
7 might not want to make it smaller still.
8 Q. So you would agree there's
9 nothing in Wakabayashi that says "take this
10 information that we described for our
11 device for the purposes we describe, and
12 amend it or change it further to get
13 further new aspects of any particular
14 feature," there's nothing in Wakabayashi
15 like that, is there?
16 MR. DAVIS: Objection to form.
17 A. There's nothing in Wakabayashi
18 that says "you must put it on 29." He
19 never says "you must put it on 29, you may
20 not put it anywhere else."
21 And by not providing an express
22 statement that says "you cannot move it,"
23 one of ordinary skill should use their
24 ordinary creativity and their ordinary
25 understandings of circuit designs and

Page 176

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 looks like lines 9 through 14.
3 A. Yes, thank you.
4 I see a sentence there that says
5 "a camera head 100, which includes a wide
6 receiving lens, a solid-state imager
7 device, a circuit for driving the
8 solid-state imager device and so on
9 generates an optoelectrically converted
10 signal 101," and in that case he's talking
11 about functionally what he called 100,
12 which he describes there as a camera head.
13 And he does mention driving -- circuit for
14 driving, but, of course, again he says "and
15 so on," so it could be a variety of
16 different things. It could be there.
17 Q. So is that driving circuit,
18 circuitry or component you could move off
19 of board 29 based on what's shown in
20 Wakabayashi?
21 A. He certainly I don't believe
22 anywhere says "you cannot move it," and by
23 -- he gives a disclosure where in general
24 it's located on or -- on 29.
25 But I don't think -- in fact,

Page 175

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 layouts.
3 And in my opinion, they would
4 recognize that you could put the pre-video
5 to post-video conversion circuitry on 39.
6 Wakabayashi teaches nowhere that
7 you are not allowed to do that.
8 Q. Wakabayashi also has a driving
9 circuit; right?
10 A. At least one, yes. He talks
11 about driving circuit or circuits. I don't
12 remember whether it was plural or not.
13 Q. And that's driving circuits on
14 board 29, isn't it?
15 A. So I have to find -- until I
16 find it, I don't remember whether it's on
17 29 or 39.
18 Again, it's got to be one place
19 or the other. So driving circuitry --
20 let's see. I'm looking at column 9 right
21 now, trying to see whether that's where it
22 describes -- uses anything including the
23 word "driver" or "driving."
24 Q. Well, in the same portion of
25 column 9 that we've looked at before, it

Page 177

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 I'm confident he doesn't say "you must
3 place it on 29."
4 Now, I didn't consider, I don't
5 believe, that it's an issue as to whether
6 one might move it to 39. I didn't consider
7 that issue because the circuitry at issue
8 was the location of the video -- the
9 pre-video to post-video conversion
10 circuitry.
11 Q. If board 29 fits in its current
12 location in Wakabayashi, why would you --
13 what's the motivation to reduce the size of
14 that board if it works as set forth in
15 Wakabayashi?
16 MR. DAVIS: Objection to form.
17 A. Well, I think Wakabayashi might
18 suggest that it works. It doesn't suggest
19 that it couldn't be better.
20 This is the embodiment he
21 presented. He didn't say there is no other
22 embodiment that might match my claims, for
23 instance. Now that would be claims.
24 But I don't think this would
25 tell one of ordinary skill in the art to

<p style="text-align: right;">Page 178</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 never consider the possibility of making 3 this moveable head even smaller or even 4 lighter. 5 Q. But that's not what Wakabayashi 6 did. Wakabayashi did what it shows; is 7 that right? 8 MR. DAVIS: Objection to form. 9 A. Well, Wakabayashi disclosed what 10 it disclosed. It didn't limit it to that 11 and I don't know whether he ever did 12 anything else, but certainly in this 13 patent, that's what he illustrates. 14 Q. So if you want to reduce size, 15 why not just move -- if you're trying to 16 reduce the size of a Wakabayashi device, if 17 that's your view of what you can do with 18 Wakabayashi, why not move all of the 19 circuitry off of board 29? 20 MR. DAVIS: Objection to form. 21 A. I think it's a design choice. 22 You might choose to move some 23 and make it somewhat smaller. You might 24 choose to move more. 25 At some point, it probably isn't</p>	<p style="text-align: right;">Page 179</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 going to be smaller than 27, the image 3 sensor. So at some point making it smaller 4 is now set by that as opposed to circuitry 5 on 29. I think these are simply design 6 choices -- 7 Q. So you can -- 8 A. -- one of ordinary skill in the 9 art would be capable of making. These are 10 just design choices. 11 Q. So you can move any of the 12 components or boards just for the sake of 13 moving it; is that right? 14 MR. DAVIS: Objection to form. 15 A. I did not say that you moved 16 them just for the sake of moving them. I 17 gave you motivations. Making it smaller, 18 making it lighter. 19 Q. Okay. 20 MR. CAPLAN: We've gone for 21 about an hour so take a break here? 22 15 minutes good? 23 THE WITNESS: Fine. 24 MR. CAPLAN: Thank you. 25 (A recess was taken.)</p>
<p style="text-align: right;">Page 180</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 BY MR. CAPLAN: 3 Q. Dr. Neikirk, kind of picking up 4 with the topic we were talking about with 5 Wakabayashi, if you can turn in your 6 declaration, it's page 47, Exhibit 1004, 7 and I believe it starts in paragraph 74. 8 A. I'm there. 9 Q. And you were talking before the 10 break about some of these issues about your 11 testimony as to what's obvious or not. 12 And, in particular, paragraph 13 74, you're talking about motivation about 14 how a person ordinary skill would be 15 motivated, find it obvious to put that pre- 16 to post-video conversion circuitry on board 17 39; right? 18 A. That's correct. 19 Q. Okay. And you give a reason 20 there, and one of the reasons you give is 21 because board 39 is the larger of the two 22 boards, and therefore there's more space. 23 Do you see that? 24 A. Yes, that's at the top of page 25 48, yes.</p>	<p style="text-align: right;">Page 181</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Right. So first of all, how 3 much larger is board 39 than board 29? 4 A. I haven't tried to calculate a 5 multiplier. I would have to figure -- 6 Figure 5 of Wakabayashi, in particular, I'm 7 looking at page 33 of my declaration. 8 Q. Page 33? 9 A. 33. And I have added colors. 10 In particular, the color for circuit board 11 39 in green, and you can see that it 12 occupies a substantial fraction of the 13 space in the housing. 14 Q. And do you know what circuitry 15 is located on board 39? 16 A. Well, I believe that back in 17 paragraph 74 on page 47 of my declaration, 18 I culled out from Wakabayashi, that's in 19 column 6 -- is that right -- I'm trying to 20 find -- there's a quotation there: 21 "Circuit board 39 includes a 22 signal processing circuit, a driver 23 circuit, a systems circuit, a power supply 24 circuit and so on." 25 And -- let's see. Yes, so you</p>

Page 182

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 can see that I believe the citation is in
3 column 6, lines 5 through 10 of
4 Wakabayashi, and that discusses things on
5 29.
6 Q. And do you know how much of
7 circuit board 39 is occupied by the
8 circuitry and components identified in
9 Wakabayashi?
10 **A. No --**
11 MR. DAVIS: Objection.
12 Form.
13 **A. Wakabayashi doesn't give any**
14 **specific actual circuitry components. He**
15 **gives general functional description.**
16 He provide no layout, no express
17 design for how to arrange components on a
18 board, how to interconnect those components
19 using traces on the board and so on. It's
20 a generic description.
21 I think that one of ordinary
22 skill in the art using their ordinary skill
23 would recognize that they have design
24 flexibility and, in fact, more with
25 locating components on 39 because it is

Page 184

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 When looking at Wakabayashi, I
3 think it's clear that he wants the size of
4 the moveable camera head -- I always think
5 of it as being element 5, I'm not sure
6 that's really quite the right element
7 number. But if you look at most of the
8 figures, it's clear I'm talking about the
9 moveable part.
10 But that has to be small and
11 light to accomplish the mechanics that he
12 wishes to have. The rest of the housing,
13 so I think broadly it is 2b, which contains
14 the circuit board 39, he places no
15 particular guidance as to whether that
16 might be desirably slightly larger,
17 slightly smaller. He's, I think,
18 completely silent about that.
19 So one of ordinary skill,
20 understanding they have to do a design no
21 matter what, I think would recognize that
22 they might gain design flexibility by
23 placing the conversion, video conversion
24 circuitry on 39, and, in particular, the
25 ability to reduce the size and weight of

Page 183

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 larger.
3 Q. But in the actual design of
4 Wakabayashi or what's shown of Wakabayashi,
5 you don't know what limitations are -- let
6 me rephrase that.
7 Is it your testimony that there
8 is space available on board 39 to move the
9 pre-video to post-video circuitry, and if
10 that is your position, how do you know
11 that?
12 **A. Well, as I've stated --**
13 MR. DAVIS: Objection.
14 Form.
15 **A. -- it's obvious to one of**
16 **ordinary skill in the art that you could**
17 **relocate.**
18 Wakabayashi doesn't provide any
19 express statement that you may not. He
20 doesn't say "you must place it on 29."
21 And similarly, he's rather vague
22 about what's on the rest of 29 and 39. He
23 uses "and so on."
24 One of ordinary skill in the art
25 would have to do a design regardless.

Page 185

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 the moveable camera head.
3 Q. The moveable camera head is not
4 a feature of the '052 patent, though, is
5 it?
6 **A. No, it's not.**
7 Q. So when you're looking at
8 Wakabayashi with respect to the claims of
9 the '052 patent, the moveable camera head
10 doesn't really focus into it, does it?
11 **A. Well --**
12 MR. DAVIS: Objection.
13 Form.
14 **A. It factors in, as I've pointed**
15 **out in paragraph 74, page 47 to 48 of my**
16 **declaration, this design would fit**
17 **Wakabayashi's own goals.**
18 One of ordinary skill in the art
19 reading Wakabayashi, I believe, it is my
20 opinion that they would have recognized
21 that they could go even further. They're
22 not required to. But, again, there are two
23 design choices. If you put the video
24 conversion circuitry on 29, you put it on
25 39.

<p style="text-align: right;">Page 186</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Two obvious choices, both well 3 within the -- within the skill of one of 4 ordinary skill, and I'm simply pointing out 5 that if you pick the obvious choice of 6 putting it on 39, then that discloses the 7 claim limitations of the '052, or certain 8 claim limitations. 9 Q. I think you may have broken up 10 for a minute there. 11 THE COURT REPORTER: Did you say 12 "or certain claim limitations"? 13 THE WITNESS: Yes. I think I 14 may have said before that something like 15 "the claims of the '052." 16 Well, obviously it's not all of 17 the claims. It's limitations of the '052. 18 BY MR. CAPLAN: 19 Q. So it's your testimony that 20 there is no place in Wakabayashi other than 21 board 29 or board 39 to put the pre-video 22 to post-video conversion circuitry? 23 A. Well, I think Wakabayashi 24 discloses two boards and a battery. 25 I don't think one of ordinary</p>	<p style="text-align: right;">Page 187</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 skill in the art would normally put circuit 3 on a battery. I suppose they might be able 4 to. That they could have added a third 5 board. Wakabayashi I don't think says you 6 cannot add a third board. 7 Again, there's no express 8 statement that you are never allowed to add 9 another board. 10 Q. Why not just use a 11 camera-on-a-chip design in Wakabayashi? 12 MR. DAVIS: Objection to form. 13 A. If there was a camera-on-a-chip 14 design available at the time we're talking 15 about that included video conversion 16 circuitry, I'd say that's another obvious 17 choice. So now -- I apologize -- there's 18 not just two places. Perhaps there are 19 three places you could put it. 20 Q. And part of the reason also in 21 your paragraph 74 is, you say: "By 22 mounting the process and circuitry on board 23 39 instead of 29, the size of the circuit 24 board is advantageously reduced in the 25 video camera unit that rotates is made</p>
<p style="text-align: right;">Page 188</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 smaller, number 1" -- I'm sorry -- "and the 3 video camera unit that rotates is made 4 smaller." 5 Right? Do you see that? 6 A. Yes. That's about the middle of 7 the paragraph. It's on page -- about in 8 the middle of the page, yes. 9 Q. And then you cite two examples 10 from or language from Wakabayashi that 11 those were features; right? 12 It says: "A video camera unit 13 made compact to realize reduction in 14 weight." 15 Do you see that? 16 A. Yes, that's in his abstract. 17 I would say that that's an 18 identification by Wakabayashi of something 19 that he's trying to accomplish. 20 Q. And then further down, you also 21 quote, and it's underlined, it says, "since 22 the video camera unit is composed of 23 minimally necessary parts." 24 Do you see that? 25 A. I do.</p>	<p style="text-align: right;">Page 189</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. So that was the design of 3 Wakabayashi, to have -- to use minimally 4 necessary parts. 5 Do you agree with that? 6 A. Well, I think that that is -- 7 again, this is all in the abstract. I 8 believe that's in the abstract. It might 9 have been in column 2. 10 But, again, as general goals, I 11 think that's what he's identifying. He 12 hasn't given a component-by-component 13 design anywhere. One of ordinary skill is 14 still going to have to do that. 15 So the definition of "minimally 16 necessary parts" is rather dependant on the 17 exact design. 18 Q. But you would agree that 19 Wakabayashi at the outset was interested in 20 compact -- being compact to realize a 21 reduction in weight and composed of 22 minimally necessary parts; right? You 23 agree with that? 24 A. I think what he's pointing out 25 is that he is trying to make a camera unit</p>

Page 190

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 made compact. He's pointing out things to
3 try to do.
4 I don't think he's given any
5 express statement that you must do this and
6 not do something else.
7 These are goals. I think he
8 presents a design where he feels that his
9 selection satisfies the general
10 constraints.
11 But I don't believe that that in
12 any way, shape or form suggests that, for
13 instance, moving the video conversion
14 circuitry, the pre to post-video, is
15 precluded.
16 In fact, minimally necessary
17 parts would suggest moving it. But
18 apparently he felt that his version was
19 acceptable leaving it where it was.
20 I don't think any of that is
21 incompatible with his teachings.
22 Q. But the Wakabayashi teaching was
23 exactly what's in Wakabayashi; right?
24 A. No. That was an embodiment.
25 He provided no teaching that

Page 192

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 circuit board 29, yes.
3 Q. You've talked a little bit about
4 Ackland today, the Ackland patent,
5 Exhibit 1006. I want to turn to that for a
6 little bit.
7 (Previously marked
8 Exhibit 1006 for identification, Ackland
9 patent, shown to witness.)
10 BY MR. CAPLAN:
11 Q. On page 39 of -- not page --
12 paragraph 39 of your declaration, it's page
13 19, if you'll turn to that.
14 A. I'm there.
15 Q. The beginning of paragraph 39
16 talks about the Ackland reference, and you
17 cite Ackland for the feature of a pixel
18 array with timing and control circuitry
19 separate from the processing circuitry.
20 And my question here is, where
21 does Ackland show timing and control
22 circuitry separate from the processing
23 circuitry?
24 A. Well, in Figure 3, he shows a
25 block labeled "signal timing and control

Page 191

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 says that the minimally necessary parts
3 requires the video conversion circuitry.
4 He didn't define this expressly
5 anywhere. I think that's up to the
6 designer to think about.
7 And he showed a design where he
8 could accomplish at least enough of his
9 goals to be designed and considered
10 acceptable.
11 Had he believed that the
12 minimally necessary parts required the
13 video conversion circuitry, then I would
14 have thought he would have said you must
15 place it on circuit board 29. He never
16 said that.
17 Q. Okay. You do agree that circuit
18 board 29 is where he actually put the pre-
19 to post-video conversion circuitry; right?
20 A. In the discussions that he's
21 provided about the circuit functional boxes
22 labeled in Figure 22, including 100 and
23 102, I believe that he is showing that the
24 video conversion circuitry is inside 102,
25 inside that dotted box, and that includes

Page 193

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 190," which is separate from the pixel,
3 obviously.
4 In Figure 6, he shows a dotted
5 box labeled "active pixel imaging system"
6 and inside of that box is "timing and
7 control," and he has an arrow pointing out
8 of that that says "to processing
9 circuitry."
10 Q. Okay. Were you done? I'm
11 sorry, I didn't want to --
12 A. I reference Figure 6, and I just
13 described it in my text on page 20, I
14 pointed to Figure 6.
15 Now, if you look at Figure 6,
16 you see timing and control, which is
17 separate from processing circuitry.
18 Q. When you say it's separate from
19 the processing circuitry, what do you mean
20 by "separate from"?
21 A. Exactly as it's shown. It's not
22 the same as. It's separate from the
23 processing circuitry.
24 Q. Is it -- I'm sorry?
25 A. No, no, go ahead.

<p style="text-align: right;">Page 194</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. Is it on-chip or is the timing 3 and control circuitry on-chip with the 4 processing circuitry in Ackland? 5 A. I'm sorry, would you repeat the 6 question? 7 Q. Is the timing and control 8 circuitry on-chip with the processing 9 circuitry in Ackland? 10 A. I think Ackland discloses that 11 the processing circuitry is on-chip. 12 I don't think Ackland says the 13 processing circuitry is on-chip. 14 Q. Does it say it's on-chip or 15 off-chip? 16 A. I don't think it says it's 17 on-chip. Given Figure 6 -- let's see what 18 he says. I have to look at the text for 19 processing circuitry. 20 So he says in column 8 at line 21 40 -- I think that's line 48: 22 "Output signals from the 23 amplifiers 18 are provided to the common 24 output line 19 in serial fashion based on 25 timing and control signals from timing</p>	<p style="text-align: right;">Page 195</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 control 20. The output signals are routed 3 to suitable processing circuitry not 4 shown." He indicates these are output 5 signals. 6 I see nothing there that would 7 suggest to me that the suitable processing 8 circuitry that is not shown is on-chip. 9 Q. Do you see anything in Ackland 10 that says that the suitable processing 11 circuitry is off-chip? 12 A. I don't know that he ever uses 13 the words "on-chip" or "off-chip" in 14 Ackland itself. 15 He does describe Figure 6 at 16 column -- well, it starts at column 59, but 17 he describes -- it says that "exemplary 18 imaging system 1 shown in Figure 6." 19 Q. I'm sorry, make sure I'm in the 20 right spot. You said -- 21 A. Column 7. 22 Q. Oh, column 7. 23 A. Column 7, sorry. And the 24 paragraph starts at paragraph 59, where he 25 describes a plurality of single poly</p>
<p style="text-align: right;">Page 196</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 silicon active pixels 36. 3 He proceeds to say that 4 "exemplary imaging system 1 is shown in 5 Figure 6." "System 1" -- I'm not sure he 6 ever uses the "number 1" -- but looking at 7 Figure 6, again, as I pointed out, the "to 8 processing circuitry" is clearly separate 9 from timing and control. 10 Q. And what are the components that 11 make up the timing controller 20 that's 12 shown in Figure 6? 13 A. So I think we had this 14 discussion the other day. 15 There are numerous circuits that 16 could be used for the signal timing 17 controller. Ackland itself, this reference 18 doesn't give you a specific circuit. 19 Q. And it was your testimony that a 20 person skilled in the art would understand 21 there would be a number of options and a 22 person of skill in the art would be able to 23 implement some of them; correct? 24 MR. DAVIS: Objection to form. 25 A. I believe that I stated my</p>	<p style="text-align: right;">Page 197</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 opinion that one of ordinary skill in the 3 art would recognize there are many circuits 4 that could provide this functionality. 5 Given the specific details of 6 the rest of the array, I believe they could 7 implement it in more than one way, but they 8 would be capable of implementing. 9 Q. In Ackland itself, referring to 10 Figure 6, there is a description in Ackland 11 of the operation of the pixel array, and 12 that -- would that also inform a person of 13 skill in the art as to how to implement the 14 timing and control circuitry? 15 MR. DAVIS: Objection to form. 16 A. It would partially inform that. 17 He has a row decoder 10, which suggests a 18 bus control, the double-headed arrow. 19 I don't provide -- I don't think 20 he says anything more than functionally 21 it's a row decoder 10. Again, this could 22 be implemented I think with numerous -- 23 many different circuits. 24 The circuit choices for row 25 decoder would influence the circuit choices</p>

<p>Page 198</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 for the timing controller. 3 Q. Okay. Anything else in Ackland 4 that would influence the design or 5 components used for the timing and control 6 circuitry? 7 MR. DAVIS: Objection. 8 Form. 9 A. Well, influence, yes. I think 10 -- let's see. 18 are his -- what phrase 11 did he use for component 18 -- he describes 12 it as a plurality of output amplifiers. 13 He does describe this as 14 providing a single analog output on line 15 19. 16 Q. What column are you in? I'm 17 sorry? 18 A. Oh, 19 is an amplifier that is 19 in column -- I'm sorry, I found it and now 20 I've lost it again. Give me one moment. 21 18. I was looking for 18. 22 So if you look in Column 7 at 23 line 65, it describes a row decoder 10 and 24 a plurality of output amplifiers 18, and it 25 further discloses somewhere that it</p>	<p>Page 199</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 produces a single analog output on line 19. 3 There would have to be circuitry 4 that interacts with the timing controller 5 to make sure that those amplifiers are in 6 some sense multiplexed. They aren't all on 7 at the same time. 8 Q. So is it your testimony that 9 what's described in Ackland would, in fact, 10 provide the information a person of skill 11 in the art would need to implement one of 12 the options available to one of skill in 13 the art for the timing and control 14 circuitry? 15 MR. DAVIS: Objection. 16 Form. 17 A. I think that one of ordinary 18 skill in the art would have many circuit 19 choices to make for the row decoder 10, the 20 timing controller 20 and the amplifier 21 blocks 18, and depending on any specific 22 one of those, say, choices in 10 and in 18, 23 would influence the variety of choices that 24 would work for 20. 25 In other words, there are many</p>
<p>Page 200</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuit combinations here. The block 3 functionality I think they would recognize 4 generally and have numerous circuits to 5 work with. 6 Q. There is no driving circuit 7 described in Ackland, is there? 8 MR. DAVIS: Objection. 9 Form. 10 A. So one of the advantages that 11 Ackland specifies is discussed in a 12 paragraph in Column 7, and right around 20 13 he says: "This is effectively the same 14 timing if the transfer gate 113 were a 15 clock. Neither a clock nor its associated 16 driving circuitry is required." 17 So this is a specific part of 18 operating the pixels. He's saying by going 19 to the single polysilicon approach you 20 would not need, that is not presumably the 21 only driving circuitry or the only timing 22 circuitry. 23 You have to operate the 24 transistor switches in the pixels that he 25 illustrates. That requires driving</p>	<p>Page 201</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuitry, for instance, but he is 3 eliminating some. 4 Q. You testified previously that 5 Wakabayashi, the imager device in 6 Wakabayashi has and requires a driving 7 circuit. 8 Do you recall that? 9 A. I don't think that's how I 10 phrased it. 11 Wakabayashi certainly discloses 12 having drivers. He doesn't disclose the 13 specifics of those drivers because he is 14 open to any solid-state imaging device and 15 the specifics of those drivers would 16 depend, among other things, on that. 17 Q. But Wakabayashi, in fact, 18 describes an imager device which requires a 19 driving circuit; correct? 20 A. No, I don't think -- what he 21 said was that there were drivers. 22 He doesn't say -- and he did 23 say, I believe, drivers for. 24 Ackland doesn't say there are no 25 drivers. He illustrates a specific one</p>

Page 202

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **that you don't need because of a single**
3 **polysilicon.**
4 **All circuits require some drive.**
5 Q. We talked about this earlier
6 today, but in Wakabayashi, in the
7 Exhibit 1027, in column 9, it was at line
8 12, it does describe a circuit for driving
9 a solid-state imager device.
10 Do you recall that?
11 A. I believe you quoted it
12 accurately. He doesn't describe it. He
13 says it exists. And does not say what that
14 circuit is. Provided no details.
15 Q. Okay. You admit that
16 Wakabayashi describes an image device with
17 a circuit for driving the solid-state
18 imager device; right?
19 A. So can you point me to the
20 specific location in Wakabayashi again?
21 Q. Sure. In column 9, if you look,
22 it should be between lines 10 and 14.
23 A. Yes. What he describes is a
24 camera head 100, which includes a wide
25 receiving lens, a solid-state imager

Page 204

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **find -- there's more than one location**
3 **that's relevant to this. Where was that --**
4 **I'm sorry, I had it just a moment ago.**
5 Q. That's all right.
6 A. I'm trying to find it again.
7 There. So he specifically -- this is in
8 Column 7, and the paragraph starts at line
9 17.
10 And he specifically talks about
11 biasing the transfer -- the transistor
12 gate 108 to VSS causes the transistor 113
13 to be off all the time except when
14 electrons are transferred from under the
15 photo gate 101 into the diffusion node 115.
16 So he's stating that, for
17 instance, there is a transfer for gate,
18 108, which would have to be driven by VSS,
19 but not all the time.
20 He further states that this is
21 effectively the same timing as if the
22 transfer gate 113 were clocked, "neither a
23 clock for its associated driving
24 circuitry." The clock's associated driving
25 circuitry.

Page 203

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **device, a circuit for driving the**
3 **solid-state imager device and so on**
4 **generates an optoelectrically converted**
5 **signal 101 which is input into camera**
6 **circuit 102. That's the complete citation.**
7 He certainly mentions a circuit
8 for driving the solid-state imager,
9 certainly. But he gives no further details
10 as to what kind of circuits he might
11 classify as drivers because he didn't
12 specify what the solid-state imager was.
13 Q. But you agree the Wakabayashi
14 patent does describe a driving circuit for
15 the solid-state imager; right?
16 A. With -- yes, without specifying
17 any details about what that driving circuit
18 may or may not be.
19 Q. You also agree that the Ackland
20 reference describes a pixel array, an
21 imager, a CMOS imager pixel array that does
22 not require a driving circuit; right?
23 MR. DAVIS: Objection to form.
24 A. No. What he said was -- let's
25 get the whole statement. So I'm trying to

Page 205

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 He didn't say the driving
3 circuitry of the pixel. He said neither a
4 clock nor its associated driving circuitry
5 is required.
6 So, in fact, that sentence is
7 talking about the clock and the clock's
8 driving circuitry.
9 The beginning of that paragraph
10 is illustrating that, as an example, gate
11 108 must be driven by VSS for part of the
12 time and not by VSS at other times.
13 But he called out the driver,
14 driving circuitry, but it was in reference
15 to the clock.
16 Q. The Ackland CMOS pixel array
17 does not require a driving circuit, does
18 it?
19 A. No. What he said was it doesn't
20 require a clock driving circuit. That's
21 not the same statement at all.
22 Q. I'm asking you, Dr. Neikirk, is
23 a driving circuit required for the Ackland
24 CMOS pixel array?
25 MR. DAVIS: Objection to form.

Page 206

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **A. What Ackland discloses is what**
3 **Ackland discloses.**
4 **He discloses that there is no**
5 **driving circuitry for a clock required.**
6 **He also discloses that you must**
7 **connect the transfer gate on a way to VSS**
8 **at certain times and not to others.**
9 **Applying a voltage is driving**
10 **something. Now, he didn't call that driver**
11 **circuitry, but his only reference where he**
12 **did describe something as driving circuitry**
13 **was specific to a clock. Not to the pixels**
14 **at all. So I would not agree that nothing**
15 **in Ackland's design could possibly be**
16 **characterized as driving circuitry.**
17 **Wakabayashi was open as to what**
18 **one might consider to be driving circuitry.**
19 **He didn't exclude one thing and include**
20 **another.**
21 **The only thing that Ackland**
22 **excluded was clock driving circuitry.**
23 **Q. But you can't identify a driver**
24 **circuit expressly described in Ackland, can**
25 **you?**

Page 208

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **MR. DAVIS: Objection.**
3 **Form.**
4 **A. No, I wouldn't agree with that**
5 **at all.**
6 **Q. Do you agree that CCD pixel**
7 **array imaging devices require off-chip**
8 **driving circuits?**
9 **MR. DAVIS: Same objection.**
10 **A. Well, we haven't defined what**
11 **all the possibilities for driving circuitry**
12 **is.**
13 **I believe that CCDs have**
14 **circuitry that would be viewed as driving**
15 **circuitry. You probably can find an**
16 **implementation where it's on -- off-chip.**
17 **I don't know that you can't find one that's**
18 **on-chip.**
19 **Q. What would you have to change in**
20 **Wakabayashi to put the Ackland CMOS pixel**
21 **array into the Wakabayashi design?**
22 **MR. DAVIS: Object to form.**
23 **A. This is not an exercise in**
24 **unplugging one component and plugging**
25 **another.**

Page 207

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **MR. DAVIS: Objection.**
3 **Form.**
4 **A. I believe I just gave you an**
5 **example in paragraph -- in column 7,**
6 **paragraph beginning at line 17, he's**
7 **talking about a transfer gate 108.**
8 **Well, that's illustrated in**
9 **Figure 3, and he discusses that it needs to**
10 **be connected to VSS, but he also says not**
11 **all the time. He says all of the time**
12 **except when electrons are transferred.**
13 **Which means that you have to**
14 **have circuitry that either provides VSS or**
15 **turns it off, and I believe that one of**
16 **ordinary skill in the art would recognize**
17 **that that falls into the general category**
18 **of driver circuitry.**
19 **Ackland provides no express**
20 **statement what is and is not drivers,**
21 **driving circuitry, and neither does**
22 **Wakabayashi.**
23 **Q. In general, CMOS pixel array**
24 **imagers do not require off-chip driving**
25 **circuits, do they?**

Page 209

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **One of ordinary skill in the art**
3 **is going to have to use their skill to**
4 **implement any of this. Wakabayashi gives**
5 **very generic descriptions of functional**
6 **block circuitry with no details. He**
7 **doesn't tell you how to build that circuit.**
8 **One of ordinary skill in the**
9 **art, I believe, could -- and Ackland**
10 **similarly doesn't give details of how to --**
11 **the actual circuitry contained in his**
12 **blocks 10, 20 or 18.**
13 **One of ordinary skill in the art**
14 **is going to have to use their knowledge to**
15 **implement all of that circuitry.**
16 **So I couldn't point to one thing**
17 **in Wakabayashi and say "unplug this and**
18 **plug this in in Ackland." That's not the**
19 **exercise. It requires -- design**
20 **regardless.**
21 **(Reporter clarification.)**
22 **BY MR. CAPLAN:**
23 **Q. Is it your testimony that**
24 **Wakabayashi is just essentially a blank**
25 **canvas to design a device under the general**

<p style="text-align: right;">Page 210</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 guidelines of Wakabayashi? 3 MR. DAVIS: Objection to form. 4 A. I certainly never used the words 5 a blank canvas. Clearly Wakabayashi is not 6 a blank canvas. It has numerous teachings. 7 Q. And which of them are you 8 following and which of them are you not 9 following in your combination with 10 Wakabayashi and Ackland? 11 MR. DAVIS: Objection to form. 12 A. I don't think it's a case of not 13 following. 14 It's a case of what would be 15 obvious design choices to one of ordinary 16 skill. 17 Wakabayashi discloses explicitly 18 an image sensor and two circuit boards, 19 batteries, housings. Certainly he 20 discloses those. 21 Exactly how to implement those, 22 I believe that's -- Wakabayashi doesn't 23 tell you where to put a capacitor on a 24 given board. That's within one of ordinary 25 skill in the art's skill.</p>	<p style="text-align: right;">Page 211</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So it's not a question of, "oh, 3 I'm going to ignore this and keep that." 4 It's what it teaches, what one 5 of ordinary skill in the art would know. 6 And, in many cases, in looking at specific 7 claims, one of ordinary skill in the art is 8 aware of all of this literature -- Ackland, 9 Wakabayashi, Swift -- all of those, because 10 they're all in the same fields, and would 11 recognize that some details that are more 12 one place than another. But there's never 13 every detail, so they're going to have to 14 do their own design no matter what, but 15 that's not a blank canvas. 16 Q. But, again, you testified 17 previously there is nothing in Wakabayashi 18 that says look at the teachings of 19 Wakabayashi as a jumping off point to 20 modify the location of every component 21 that's described in Wakabayashi, is there? 22 MR. DAVIS: Object to the form. 23 A. I never testified -- I never 24 used the word "jumping off point," so I 25 didn't testify to that.</p>
<p style="text-align: right;">Page 212</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. So you agree that Ackland, the 3 teachings of Ackland don't represent a 4 module that you would just plug into an 5 existing product that's described by 6 Wakabayashi; is that correct? 7 MR. DAVIS: Object to form. 8 A. I think I said this is not an 9 exercise in unplugging one thing and 10 plugging it into another. 11 All of these references require 12 additional design to implement and one of 13 ordinary skill in the art would be capable 14 of doing that. 15 It's an obvious thing to combine 16 and they would have the skill necessary to 17 produce the rest of the functionality. 18 Now, there are many circuit 19 choices. There's not just one, but there 20 are many. That's not an unplug one thing 21 and plug in another. 22 Q. If you were implementing 23 Wakabayashi as it described, would you have 24 to change the process for implementing 25 Wakabayashi as it's described if you were</p>	<p style="text-align: right;">Page 213</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 going to then implement Wakabayashi 3 combined with Ackland? 4 MR. DAVIS: Objection to form. 5 A. Well, Wakabayashi requires 6 certain block functionality, and most -- in 7 fact, I think virtually all, if not all of 8 that block functionality is either separate 9 from Ackland or is also described in 10 Ackland in block functional form; for 11 instance, timing and control. 12 So you're doing it for both of 13 them. Neither gives you the circuit level, 14 schematic circuit diagram of every 15 functional block. So I'm not sure I'd say 16 you're necessarily modifying anything in 17 Wakabayashi. 18 Q. But it's your testimony, Dr. 19 Neikirk, that you're not modifying an 20 existing Wakabayashi design to accommodate 21 a merger with the Ackland CMOS pixel array, 22 is it? 23 A. No, I don't think I said that. 24 Wakabayashi is silent as to the 25 type of solid-state imaging. Ackland is</p>

Page 214

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **CMOS.**
3 **Now, that combination I believe**
4 **is obvious. But Ackland clearly provides**
5 **further information about the solid-state**
6 **imaging device, which Wakabayashi expresses**
7 **no -- no preference one way or the other.**
8 **Never says.**
9 Q. But Wakabayashi is not silent on
10 the type of solid-state imager device.
11 It actually shows a particular
12 structure that Wakabayashi describes,
13 doesn't it? There is a -- let me rephrase
14 it.
15 There is a proposed design for a
16 device shown in the Wakabayashi patent,
17 isn't there?
18 MR. DAVIS: Objection to form.
19 **A. Which identifies a focal point**
20 **array as a solid-state imaging device.**
21 **That's all it says.**
22 Q. But there are --
23 **A. There are many -- and there are**
24 **many such solid-state imaging devices.**
25 **Wakabayashi did not express a**

Page 216

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **that. But other than that, I don't think**
3 **he's provided anything that would tell me**
4 **one type or another.**
5 Q. So it's your opinion and it's
6 your testimony today that you don't have
7 any further view on the actual type of
8 solid-state imager that is represented by
9 Wakabayashi other than it's just
10 generically a solid-state imager; is that
11 correct?
12 MR. DAVIS: Objection to form.
13 **A. So Wakabayashi discloses that**
14 **it's a solid-state imager. He discloses**
15 **other circuitry, but none of that circuitry**
16 **tells me -- none of that circuitry -- he**
17 **only gives block functionally. He doesn't**
18 **give me a circuit.**
19 **There's nothing there that**
20 **allows me to reverse engineer to a specific**
21 **type of solid-state imager. At least I see**
22 **nothing there.**
23 Q. Okay.
24 MR. CAPLAN: Well, I'm not sure
25 when we started this session, but I

Page 215

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **preference for one type of solid-state**
3 **imager sensor with respect to another.**
4 Q. So is it your testimony as you
5 sit here today that there's nothing in
6 Wakabayashi that tells you the particular
7 type of solid-state imager device it
8 contemplates using?
9 **A. Wakabayashi describes that focal**
10 **point array as a solid-state imaging**
11 **device. That's all he says.**
12 Q. And there's nothing else in
13 Wakabayashi that informs you as to what
14 particular type of solid-state imager that
15 would be that's contemplated for use in
16 Wakabayashi?
17 **A. Well, Wakabayashi indicates a**
18 **pre-video output. He indicates pre-video**
19 **-- he indicates conversion to video format.**
20 **So -- well, he just says that's**
21 **somewhere in the camera block.**
22 **I don't -- I don't know that he**
23 **does. He calls it a solid-state imaging**
24 **device, that it has to convert optical**
25 **signals into electrical ones. He describes**

Page 217

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **certainly need a break now, so break now**
3 **for 15 minutes.**
4 **THE WITNESS: Okay.**
5 MR. CAPLAN: Thank you.
6 (A recess was taken.)
7 BY MR. CAPLAN:
8 Q. Turning in your declaration to
9 page 111.
10 **A. I'm there.**
11 Q. And under the heading for
12 "Motivation to Combine Wakabayashi and
13 Ricquier."
14 **A. Yes.**
15 Q. So I want to start here.
16 We've been talking about the
17 Wakabayashi reference, and you agree that
18 the Wakabayashi does not provide the
19 details -- strike that.
20 It's your testimony that
21 Wakabayashi doesn't provide details on the
22 type of solid-state imager that's described
23 in Wakabayashi; isn't that right?
24 MR. DAVIS: Objection.
25 Form.

Page 218

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **A. I don't know that that's the way**
3 **I said it. I believe Wakabayashi was**
4 **silent as to which type of solid-state**
5 **imaging sensor could be used within**
6 **Wakabayashi.**
7 **That certainly includes not**
8 **giving all the details because if you don't**
9 **-- if you haven't even specified which**
10 **type, you haven't given all the details.**
11 Q. Now, you testified earlier that
12 Wakabayashi in your view was a consumer
13 camera; is that right?
14 MR. DAVIS: Objection.
15 Form.
16 **A. It is -- I think I referred in**
17 **sort of general terms that it looked like**
18 **Wakabayashi was describing something that**
19 **was a consumer camera, yes, I believe I**
20 **used that phrase.**
21 Q. Okay. And a consumer camera
22 would be a device that the expectation of
23 the consumer would be it would generate an
24 image at a quality or a signal-to-noise
25 level that would be worth looking at, would

Page 220

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. You are right. I picked up the
3 wrong one, so thank you.
4 **A. I'm sorry. I just didn't know**
5 **whether we were talking about Ricquier**
6 **or --**
7 Q. No, no. You were right.
8 We spoke earlier about it, and
9 Ricquier, or "Ricquier," whatever it is, is
10 -- it's a passive pixel array; isn't that
11 right?
12 **A. Yes, Ricquier shows photo diodes**
13 **without an amplifier in each pixel.**
14 Q. So that's referred to as a
15 passive pixel?
16 **A. I think the broad classification**
17 **that much of the art that we're taught**
18 **discussing used an active pixel is the one**
19 **that contains the amplifier and the passive**
20 **is one that does not.**
21 Q. Okay. So what's the motivation
22 or the reason to combine Wakabayashi with
23 Ricquier and its passive pixel array?
24 **A. Well, Wakabayashi, as I've**
25 **stated in paragraph 169, does not expressly**

Page 219

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 be good enough for people to take pictures
3 and be able to look at them and appreciate
4 them.
5 Would you agree with that?
6 MR. DAVIS: Objection to form.
7 **A. You know, I certainly haven't --**
8 **in some broad sense, but I think there's an**
9 **enormous range that would fall into that.**
10 Q. Well, what kind of range would
11 it be for a consumer camera, as you
12 referred to Wakabayashi?
13 **A. Well, some photographers might**
14 **be exceedingly demanding. Others might be**
15 **using a videoconference link like we are,**
16 **where the quality of the video is not all**
17 **that grand and -- but it still suits the**
18 **purpose. I think it just depends on what**
19 **that consumer wanted.**
20 Q. Now, the Ricquier reference --
21 I'll get the number. It's 1041 -- 1041.
22 Ricquier we talked about
23 earlier, and you agreed that described a --
24 **A. Ricquier is not Exhibit 1041.**
25 **It's Exhibit 1038.**

Page 221

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **state that its imager device 27 includes an**
3 **array of CMOS pixels.**
4 **One of ordinary skill looking to**
5 **implement, as Wakabayashi describes it,**
6 **let's use -- from his title, for instance,**
7 **"Imager Apparatus with Rotatable Camera**
8 **Head," would be clearly motivated to seek**
9 **other information about solid-state**
10 **imagers, and CMOS imagers are certainly one**
11 **type.**
12 **Ricquier is a specific example**
13 **that provides a number of details. I think**
14 **they'd be aware of both Ricquier and I**
15 **point out Dierickx and other references.**
16 **So in paragraph 170, I pointed**
17 **out that Ricquier is in the same field, in**
18 **this field of art, image sensors for use in**
19 **cameras. It's pertinent to the problems of**
20 **Wakabayashi, for instance, a solid-state**
21 **image sensor.**
22 **Ricquier points out specific**
23 **advantages of this type, so that would be**
24 **one reason to pick selective**
25 **addressability, multiple resolutions.**

<p style="text-align: right;">Page 222</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 So all of those things would be 3 reasons why one of ordinary skill in the 4 art would look to Wakabayashi in light of 5 Ricquier. 6 Q. So the first reason you gave, or 7 one of the first reasons you gave for 8 combining Wakabayashi and Ricquier is that 9 they're both in the same field because 10 they're both solid-state imagers; is that 11 right? That's one of the reasons? 12 A. Yes. 13 Q. So under that rationale, you 14 would suggest combining Wakabayashi with 15 any other solid-state imager; is that 16 correct? 17 A. There are many obvious 18 combinations that would lead to a variety 19 of different features. They're all 20 possibilities. 21 Q. And how would you decide which 22 one -- which of all the possibilities that 23 would be appropriate to combine with 24 Wakabayashi? 25 A. Well, if it's obvious -- they</p>	<p style="text-align: right;">Page 223</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 could look at all of them. They're all 3 possibilities. They're all obvious. 4 It doesn't mean that you discard 5 one because of another. They're both 6 obvious, or all three. I've done other 7 combinations of Wakabayashi with Ackland. 8 That's also an obvious combination. 9 They're both obvious. 10 It's not exclusive. You don't 11 -- it's not that you just if you have one 12 you cannot have the other. They're both 13 obvious. 14 Q. It says in your paragraph 170, 15 you say that: "Like Wakabayashi, Ricquier 16 is in the same field of art and is 17 analogous art to the '052 patent. All are 18 in the same field related to active CMOS 19 image sensors for use in cameras." 20 Is that right? 21 A. Yes, that's what I said. 22 Q. Okay. Now, we just established 23 that Ricquier, in fact, is not an active 24 pixel array, is it? 25 A. No, that's correct. They're all</p>
<p style="text-align: right;">Page 224</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 -- so Wakabayashi is also not an active 3 pixel. 4 They are in the same general 5 field. They're related to image -- 6 solid-state imaging sensors for cameras. 7 It's analogous art to the '052 8 and they are related. They're related to 9 -- Ricquier to CMOS, Wakabayashi to 10 solid-state image sensors is. 11 But, you're correct, Ricquier is 12 not an active CMOS device and Wakabayashi 13 is not limited to CMOS. It's any 14 solid-state imaging sensor. 15 Q. And you go on in paragraph 170, 16 and you say that, "Ricquier is reasonably 17 referring to the alleged problems 18 identified in the '052," and the first one 19 you identify is "minimizing the size of the 20 device." 21 Do you see that? 22 A. I do. 23 Q. Now, we were talking about this 24 a little bit earlier with Wakabayashi and 25 board 29 and 39, and I believe you</p>	<p style="text-align: right;">Page 225</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 testified that one reason that you could 3 move from pre- to post-video signal 4 converting circuitry from 29 to 39 is that 5 you could make board 29 smaller. 6 Do you recall that? 7 A. I believe I did say that both in 8 testimony and in my deposition -- my 9 declaration, excuse me. 10 Q. And how much smaller would a 11 person of skill in the art try to make 12 board 29 from where it's described in 13 Wakabayashi to have it then changed? 14 MR. DAVIS: Objection to form. 15 A. I think you asked me before how 16 much smaller could it be. I gave you some 17 examples. 18 But I don't think that this is a 19 -- a question of a precise 25 percent 20 smaller, 10 percent. 21 These are general goals and I 22 believe that this art relates to those 23 general goals. 24 I don't think the '052 specifies 25 that you need to make it 10 percent smaller</p>

Page 226

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **or 20 percent smaller either.**
3 Q. And you also identify low-cost
4 as a factor; right? That part of the
5 problem you're trying to solve.
6 So how would modifying
7 Wakabayashi with Ricquier address the
8 low-cost factor?
9 **A. Well, I think one of ordinary**
10 **skill in the art recognize that different**
11 **solid-state image sensors typically had**
12 **different costs.**
13 **As we discussed at some length,**
14 **CMOS has the advantage of not requiring the**
15 **specialized fabrication lines; hence, cost**
16 **-- the barrier to enter the market is**
17 **lower. And I think people widely**
18 **recognized or expected that CMOS image**
19 **sensors would likely be lower cost than**
20 **other alternatives. I mean, that was**
21 **pretty -- a common expectation of**
22 **knowledge.**
23 **But in terms of a specific**
24 **amount lower, again, I don't think the '052**
25 **says you need to reduce the cost 10 percent**

Page 228

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. Your declaration says that
3 Ricquier is pertinent to one of the
4 problems that are identified in the '052
5 patent, one of those problems being
6 low-cost.
7 My question is, what did you
8 determine about combining Ricquier with
9 Wakabayashi that affected the cost of the
10 resultant product?
11 **A. And I believe I answered that**
12 **question. I pointed out that, one, it's**
13 **well-known to, I believe, those of ordinary**
14 **skill at the time. The expectation was**
15 **that CMOS sensors would cost less than**
16 **other alternatives. So selecting CMOS for**
17 **use in Wakabayashi would cost less than**
18 **other choices.**
19 **Most likely less than a CCD, but**
20 **certainly less than a gallium arsenide**
21 **array, which has greater optical**
22 **efficiency, but substantially greater**
23 **manufacturing costs.**
24 Q. When you mentioned other
25 alternatives, and I know you identified CCD

Page 227

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **or 20 percent. You're just generally**
3 **selecting things that you think will impact**
4 **that.**
5 Q. Did you determine that combining
6 Wakabayashi with Ricquier would reduce the
7 cost of producing an imaging device as
8 opposed to just following the initial
9 teachings of Wakabayashi?
10 MR. DAVIS: Objection to form.
11 **A. Wakabayashi doesn't teach not to**
12 **use a CMOS sensor. Your question seems to**
13 **suggest that.**
14 **Following Wakabayashi you could**
15 **select any solid-state image sensor.**
16 **In terms of would the choice of**
17 **CMOS as opposed to a gallium arsenide base**
18 **focal point array, would that be expected**
19 **to reduce the cost. Yes, one of ordinary**
20 **skill in the art would expect a silicon**
21 **device to be less than a gallium arsenide**
22 **device, but I don't think either of those**
23 **is failing to follow the teaching.**
24 **You are open to choices in**
25 **Wakabayashi for the device.**

Page 229

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **and gallium arsenide, any other**
3 **alternatives that would be in that category**
4 **by where you would select CMOS and it would**
5 **be less expensive?**
6 **A. Mercury cadmium telluride arrays**
7 **were available at the time. They were very**
8 **expensive because the material was very**
9 **exotic and very expensive --**
10 **(Reporter clarification.)**
11 **A. -- to manufacture with, and I**
12 **can't give you an exhaustive list. I**
13 **didn't try to form one. I think, as I just**
14 **pointed out, it was pretty common knowledge**
15 **that CMOS promised lower manufacturing**
16 **costs than non-CMOS alternatives.**
17 Q. One of those non-CMOS
18 alternatives would be a CCD-based device;
19 correct?
20 **A. Yes, I would agree that that's a**
21 **non-CMOS alternative.**
22 Q. And what in Wakabayashi
23 suggested a combination with Ricquier? In
24 particular, you mentioned the ability to
25 change resolution in Ricquier.

Page 230

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 And my question is, what in
3 Wakabayashi suggested that that was a
4 problem that needed to be addressed in
5 Wakabayashi and warranted combining it with
6 Ricquier?
7 MR. DAVIS: Objection to form.
8 **A. Yes, I think you misunderstand**
9 **my argument or my opinions here.**
10 **It's not a question of what**
11 **Wakabayashi detail by detail specifically**
12 **discloses. This is a combination that's**
13 **obvious to one of ordinary skill.**
14 **The fact that Ricquier provides**
15 **other features compared to some other**
16 **choice for focal point array is a choice,**
17 **and potentially one that would be**
18 **advantageous in some use. It might not be**
19 **in some other. It doesn't mean it's not an**
20 **obvious combination.**
21 **You could select many different**
22 **CMOS focal point arrays. They're all**
23 **obvious. Ricquier is an example. Ricquier**
24 **happens to provide some advantages of their**
25 **CMOS over some other CMOS arrays.**

Page 232

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **A. Well, as I say in that sentence,**
3 **it adds flexibility and selection from**
4 **several design choices for fitting the**
5 **components into the available space and**
6 **placement of circuitry to allow for a more**
7 **compact camera head.**
8 **So what this teaches is that**
9 **this is a choice one of ordinary skill in**
10 **the art. You either put it on-chip or you**
11 **put it off-chip.**
12 **What Ricquier shows you is that**
13 **you can certainly put it off-chip and**
14 **expect that it will function. That gives**
15 **you a design choice. I mean, there are**
16 **only two: On-chip or off.**
17 **Ricquier is showing that of the**
18 **two choices, both of which in my opinion**
19 **are obvious, Ricquier shows that you have**
20 **every reason to expect to succeed if you**
21 **put it off-chip.**
22 Q. Ricquier, though, when we
23 discussed Ricquier earlier, was directed to
24 machine vision; right?
25 MR. DAVIS: Objection to form.

Page 231

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. But, again, you can't identify
3 anything in Wakabayashi that suggests
4 there's a problem in the design of
5 Wakabayashi that needs to be addressed by,
6 let's say, Ricquier?
7 MR. DAVIS: Objection to form.
8 **A. I don't think Wakabayashi says**
9 **one way or the other. I don't think it**
10 **suggests that there is not a situation**
11 **where adjustable -- let's see -- let's use**
12 **the multiple resolutions would be -- I**
13 **don't think he teaches that that would not**
14 **ever be an advantage. Neither does it say**
15 **he would be. He just doesn't address that**
16 **particular feature. But I don't think that**
17 **means that it's not an obvious combination.**
18 Q. You also make reference -- and
19 we're still in paragraph 170 -- about
20 Ricquier has a timing controller that's
21 located off-chip. Do you see that? It's
22 on page 113, but it's in paragraph 170.
23 **A. Yes, I see that.**
24 Q. Why is that a factor in
25 combining Ricquier with Wakabayashi?

Page 233

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **A. This specific example he used**
3 **was developed for use in industrial machine**
4 **vision applications. That's what he says**
5 **in the first -- second paragraph on page 8**
6 **of Exhibit 1038.**
7 **The sponsors were clearly**
8 **interested in Vision Systems, that's the**
9 **name of the project that was provided**
10 **funding for this, so that was the specific**
11 **example certainly that Ricquier mentioned.**
12 Q. So it's your testimony that
13 you're not actually modifying the design of
14 Wakabayashi with teachings from Ricquier;
15 is that right?
16 MR. DAVIS: Objection.
17 Form.
18 **A. I don't think I said it that way**
19 **at all.**
20 **Wakabayashi has a number of**
21 **teachings, certain block specifications and**
22 **so on. It's a question of what would be**
23 **obvious to one of ordinary skill in the art**
24 **in light of teachings of Ricquier in trying**
25 **to build a system.**

Page 234

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 They're not trying to copy
3 Wakabayashi beginning to end. But
4 Wakabayashi discloses options, design
5 choices. Two circuit boards, for instance.
6 And I think it's a question of
7 these combinations show one of ordinary
8 skill in the art that there are a set of --
9 a limited set, small collection -- of
10 design choices at the functional block
11 level, and that it suggests to them that
12 they would have a reasonable expectation of
13 success.
14 Q. Now, would your opinion be
15 different if Wakabayashi was, in fact, a
16 CCD, had a CCD pixel array?
17 MR. DAVIS: Objection to form.
18 A. I certainly haven't done any
19 such analysis because Wakabayashi didn't
20 disclose a CCD so far as I can see.
21 It's a solid-state image sensor
22 that one of ordinary skill in the art,
23 given the very high level of functional
24 description in Wakabayashi, recognized that
25 the functional blocks might be similar for

Page 236

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Do you see that claim language?
3 A. I do.
4 Q. So I want to know, what are you
5 referring to when you're talking about,
6 when you use this phrase "Wakabayashi's
7 solid-state imager device"? What is that
8 referring to?
9 MR. DAVIS: Objection.
10 Form.
11 A. Well, Wakabayashi points to 27,
12 a device that converts optical light into
13 an electrical signal.
14 Now, Wakabayashi -- and I'd have
15 to go back to check -- he uses -- he uses a
16 terminology to discuss the rotating camera
17 head. I don't recall whether he calls that
18 a camera head.
19 When he refers to the
20 solid-state imager device, I believe he's
21 always referring to the focal point array,
22 which he usually refers in his drawings was
23 element 27.
24 Q. So it's your testimony, your
25 opinion in this matter, that there's a

Page 235

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 the two, or even the same.
3 I suppose that's a possibility,
4 but it's not an analysis I've done.
5 Q. And you previously testified
6 that a CCD, a pixel or a device is a type
7 of solid-state imager; correct?
8 A. Yes, I did.
9 Q. But you didn't analyze the
10 combination of Wakabayashi with any
11 reference on the basis that Wakabayashi was
12 a CCD or had a CCD pixel array, did you?
13 MR. DAVIS: Objection to form.
14 A. I would have had no reason to do
15 such an analysis.
16 Wakabayashi doesn't limit it to
17 CCD and CCDs are not at issue here. CMOS
18 sensors are.
19 Q. In paragraph 171, I'm just going
20 to pick this language, but in paragraph
21 171, you make a reference, it's in the
22 fourth line -- you talk about -- the third
23 and fourth line -- you talk about
24 "implementing Wakabayashi's solid-state
25 imager device."

Page 237

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 motivation to combine Wakabayashi with
3 Ricquier, which we've been talking about in
4 paragraphs 169 to 171; right?
5 A. Yes, it certainly is. That's
6 exactly what I stated in paragraphs 169
7 through 171 of my declaration.
8 Q. Now, in paragraph 172, you start
9 addressing a further combination, where
10 it's your view or it's your opinion that
11 you have a combination of Wakabayashi and
12 Ricquier, and that combination itself,
13 there's a reason to further combine that
14 with the Dierickx reference; is that right?
15 A. That is correct.
16 Q. So what is the shortcoming of
17 the combination of Wakabayashi and Ricquier
18 that gives rise to a need to combine that
19 combination with another reference?
20 A. No, I think that's a false
21 premise. It's not a shortcoming. They are
22 different.
23 Both combinations are obvious.
24 A combination of Wakabayashi with Dierickx
25 is obvious. The combination of Wakabayashi

<p style="text-align: right;">Page 238</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 with Ricquier and Dierickx are obvious. 3 It's not a question that one has 4 to be deficient for the other to become 5 obvious. They're both obvious. They do 6 lead to different collections of features, 7 but all of those collections of features in 8 either case are obvious. 9 Q. Before I go on from this, when 10 you give an opinion that you're combining 11 Wakabayashi with Ricquier, you would agree 12 that that combination does not describe an 13 active pixel CMOS array; right? 14 A. I believe I stated that with 15 specificity, yes. 16 Q. Okay. So if you were to combine 17 Wakabayashi with Ricquier, and Ricquier has 18 passive pixels, why would you then take 19 that combination and add the Dierickx 20 reference to it? 21 A. So, again, you're asking me to 22 assume that one had -- that one of ordinary 23 skill had one and not the other, and that's 24 not true. 25 One of ordinary skill would have</p>	<p style="text-align: right;">Page 239</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 them both. One of ordinary skill in the 3 art would recognize that combining 4 Wakabayashi with Ricquier would provide a 5 certain set of characteristics. Combining 6 Wakabayashi with Dierickx would provide a 7 different set of characteristics. 8 With a combination of Dierickx, 9 you get the additional characteristic of an 10 active pixel. 11 Now, would one of ordinary skill 12 in the art decide you could never use the 13 passive because you can use the active? 14 That's not what I said. 15 They would see that you gain 16 certain features, which might well be very 17 advantageous in certain circumstances, but 18 Ricquier demonstrated that without the 19 amplifiers he could get desirable 20 performance in other circumstances. 21 Both combinations are obvious. 22 One does not eliminate the other. They're 23 just both obvious combinations each leading 24 to separate sets of features. 25 Each of those sets of features</p>
<p style="text-align: right;">Page 240</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 would have its own collection of advantages 3 and disadvantages. They'd be different, 4 but it doesn't mean that one is obvious and 5 the other is not. 6 Q. If Ricquier -- you agree that 7 Ricquier describes a passive pixel CMOS 8 array; right? 9 A. Yes. That's correct. 10 Q. And you agree that Dierickx 11 describes an active pixel CMOS array; 12 right? 13 A. Yes, that's correct. 14 Q. So why would you combine an 15 active and passive pixel CMOS array and an 16 active pixel CMOS array? 17 A. I think I just addressed this. 18 There's no reason not to. 19 There's no teaching in one that says you 20 can't do the other. 21 I do think that those of 22 ordinary skill saw that under certain 23 circumstances having amplifiers in the 24 pixels could lead to certain advantages. 25 They might lead to potentially</p>	<p style="text-align: right;">Page 241</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 other disadvantages. The reference -- yes, 3 it's Dierickx, for instance. 4 He is particularly concerned 5 with fixed-pattern noise. The 6 incorporation of amplifiers into each pixel 7 provides more components that could 8 contribute to fixed-pattern noise if the 9 gain in each amplifier is slightly 10 different than another. Ricquier doesn't 11 do that. 12 So one of ordinary skill in the 13 art would recognize that there are pros and 14 cons of each combination, but I don't 15 believe that that means that they would 16 dismiss one and not -- and only do the 17 other. 18 They each have advantages, they 19 each have disadvantages, as every 20 implementation does. 21 Q. So paragraph 173 you talk about 22 motivation to apply Dierickx in 23 implementing Wakabayashi's imager 24 apparatus. 25 Do you see that?</p>

<div>Page 242</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. I do. 3 Q. Okay. So one question is, when 4 you reference the quote-unquote "imager 5 apparatus," what are you referring to when 6 you talk about Wakabayashi's imager 7 apparatus? 8 A. Well, so the teachings of 9 Ricquier and Wakabayashi -- I mean Ricquier 10 and Dierickx relate to Wakabayashi's focal 11 point array -- I'll use that phrase -- 27. 12 They also relate to, for 13 instance, where to place certain circuitry. 14 So the imager apparatus can be a 15 little bit bigger than just the focal point 16 array. And I believe that, for instance, 17 in -- let's see -- well, Dierickx places 18 the amplifier there -- let's see -- 19 Ricquier -- actually, I'm thinking of a 20 different reference where it for instance 21 pointed to -- was it timing and control -- 22 I think it was image processing separate 23 from timing and control. 24 But in any case, each of these 25 references will teach things about the</div>	<div>Page 243</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 focal point array. At least Ricquier and 3 Dierickx are providing details about the 4 focal point array, which impacts how the 5 rest of the circuitry is done. 6 Wakabayashi calls out certain 7 classes of circuitry functional blocks. 8 All of that acts together in arriving at a 9 final actual design of a working circuit. 10 Q. So when -- but my question is, 11 when you refer to Wakabayashi's 12 quote-unquote "imager apparatus," what part 13 of Wakabayashi are you talking about? 14 Is it the entirety of the 15 Wakabayashi disclosure? 16 MR. DAVIS: Objection. 17 Form. 18 A. Well, it's certainly related to, 19 and I believe I just said this, to the 20 image sensor 27 -- let me try to use the 21 right numbers, excuse me. And I risk using 22 different words than what Wakabayashi used. 23 There is a solid-state image 24 sensor 27. There's electronics on 29 and 25 39, and the teachings in Ricquier and</div>
<div>Page 244</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Dierickx are -- influence what is done 3 elsewhere; for instance, on circuit board 4 29. 5 So that's why I used imager 6 apparatus. It's not just the chip 27 only. 7 That relates to other things in 8 Wakabayashi. 9 Q. So imager apparatus, as you used 10 it in your declaration, is the disclosure 11 in Wakabayashi -- I think it was Figure 7 12 with the imager 27, circuit board 29 and 13 the other circuit board 39, that's what 14 you're referring to? 15 MR. DAVIS: Objection. 16 Form. 17 A. No, I think that's -- that's 18 taking it to the extreme. 19 I think I am referring to 20 broadly Wakabayashi's desire to build an 21 imager apparatus and his disclosures of or 22 their disclosures of certain functional 23 blocks that will be used in conjunction 24 with the focal point array. That's what I 25 mean by imager apparatus.</div>	<div>Page 245</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. But can you identify in 3 Wakabayashi the components or the blocks 4 that are the imager apparatus as you're 5 using it in this declaration? 6 MR. DAVIS: Objection to form. 7 A. So Wakabayashi, for instance, 8 points in Figure 22 to blocks 100 and 102 9 contained in a dotted box and specifically 10 says that constitutes the video camera. 11 So that would be a partial 12 example. But in that -- so I think 13 actually the only thing missing -- the next 14 thing Wakabayashi does is pre-video to 15 post-video -- no, he doesn't do that in 16 there. 17 So I think broadly this 18 certainly impacts everything in that dotted 19 line box, although it has its greatest 20 impact on the camera head. 21 But circuit board -- so 102 22 includes camera signal processing, 23 synchronization signal generator and so on, 24 and they -- those, as well as the "and so 25 on," which is a very broad statement, is</div>

Page 246

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **impacted by teachings about the focal**
3 **point, which is given by Ricquier and**
4 **Dierickx.**
5 Q. So the imager apparatus, it's a
6 quoted term in the paragraph 173 of your
7 declaration on page 114, that is referring
8 to the dotted line components in Figure 22
9 of Wakabayashi.
10 That's your starting point; is
11 that correct?
12 MR. DAVIS: Objection.
13 Form.
14 **A. No, I wouldn't characterize that**
15 **as necessarily a starting point.**
16 **I would say that generally that**
17 **phrasing is -- and the teachings of**
18 **Ricquier and Dierickx are mainly at issue**
19 **in that dotted line box.**
20 **But I didn't sit down and write**
21 **a list that says only this is there and**
22 **nothing else is.**
23 **I think that broadly the**
24 **components that would fall within the**
25 **dotted box in 22 that include camera head**

Page 248

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **Dierickx on top of that; is that correct?**
3 **A. I don't think I'd phrase it as**
4 **"on top of." It's the combination of the**
5 **three, yes.**
6 Q. So how are you combining
7 Ricquier and Dierickx to modify
8 Wakabayashi?
9 **A. Well, I've explained how**
10 **Wakabayashi doesn't provide any details**
11 **about what kind of focal point array is**
12 **present.**
13 **Ricquier provides details of a**
14 **focal point array with adjustable**
15 **resolution, for instance.**
16 **Dierickx points out advantages**
17 **of placing an amplifier in each pixel --**
18 **actually points out the potential**
19 **disadvantages with fixed-pattern noise, but**
20 **that's what he's discussing, is trying to**
21 **mitigate against that.**
22 **So one of ordinary skill in the**
23 **art reading these three references together**
24 **I think would see it as an obvious solution**
25 **to use an active pixel CMOS focal point**

Page 247

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **100 and camera circuit 102 are related to**
3 **what are the teachings of Ricquier and**
4 **Dierickx are going to provide.**
5 Q. I'm just asking to understand
6 what is the imager apparatus in Wakabayashi
7 that you're referring to, and it sounds
8 like you're focused on that dotted line in
9 Figure 22 containing a circuit, or the box
10 is 100 and 102; is that correct?
11 MR. DAVIS: Objection to form.
12 **A. I don't think I used that word.**
13 **I don't think I used the word**
14 **"focus," but I did say that I think the**
15 **things that are largely influenced for**
16 **Ricquier and Dierickx would fall generally**
17 **in that block.**
18 Q. Okay. And in paragraph 173, you
19 start off with that imager apparatus of
20 Wakabayashi would be modified by the
21 teachings of Ricquier; correct?
22 That's the parenthetical
23 statement; right?
24 **A. Yes.**
25 Q. And then you're applying

Page 249

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **array with Wakabayashi.**
3 Q. But you -- I'm sorry.
4 **A. And one that, in terms of some**
5 **general and even more specific**
6 **characteristics, are described by Ricquier**
7 **and Dierickx.**
8 **There are other references that**
9 **would also be obvious combinations. There**
10 **are numerous obvious combinations that lead**
11 **to different sets of features.**
12 **Wakabayashi and Ricquier**
13 **together is one. Wakabayashi, Ricquier and**
14 **Dierickx is another.**
15 **Both obvious. Different**
16 **features.**
17 Q. What are the advantages of
18 active pixels -- I'm sorry -- what are the
19 advantages of passive pixels over active
20 pixels?
21 **A. They don't have --**
22 MR. DAVIS: Objection to form.
23 **A. They don't have amplifiers so**
24 **you don't have to worry about amplifier**
25 **variability from pixel to pixel.**

Page 250

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 They have fewer component counts
3 per pixel, which, in principle, might lead
4 to a smaller pixel size.
5 There are pros and there are
6 cons.
7 Q. And what are the advantages of
8 active pixels over passive pixels?
9 A. Well, among other things, active
10 pixels have gain in the pixel, so if you
11 have a problem where you want to overcome
12 loading of interconnects, then the
13 amplifier can help.
14 But you will also now have more
15 components in each pixel. There are pros
16 and there are cons to each of these
17 choices.
18 Q. And why would you modify
19 Wakabayashi with both, with active pixels
20 and passive pixels?
21 A. Again, it's not -- it would be
22 obvious to one of ordinary skill in the art
23 to look to CMOS and to look to active
24 pixels.
25 These are simply two examples of

Page 252

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 lose others.
3 Ricquier also teaches designing
4 it, doing it in such a way that you have
5 the ability to bend to use lower or higher
6 resolution. You can implement that with
7 active pixels. There's nothing that would
8 stop you from doing that. The design would
9 be somewhat different, but there are other
10 things about Ricquier's teachings.
11 What you would gain from
12 Dierickx is the ability to overcome loading
13 effects due to interconnects. That's one
14 of things the amplifier does.
15 So you get some things, you have
16 more component counts, you lose those
17 things, but Ricquier has to be careful
18 about loading of interconnects.
19 Ricquier provides advantages in
20 terms of doing sub-array scanning and
21 binning, which aren't discussed in
22 Dierickx. It doesn't mean you can't do
23 them.
24 Q. Are you done? I'm sorry.
25 A. I'm done.

Page 251

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 art that teaches some of the things you
3 need to know to do it. There are others.
4 They're all obvious.
5 But just because there's one
6 obvious combination doesn't mean the other
7 combinations don't exist or aren't obvious.
8 Each one leads to a different feature set.
9 Some of them would lead to
10 feature sets that are different from the
11 '052. Some of them lead to feature sets
12 that disclose everything in various claims
13 of the '052. Both of those were obvious.
14 The only question I'm addressing
15 is, is the '052 patent obvious. I'm not
16 going to look at all of the other obvious
17 combinations because if they don't -- that
18 doesn't mean they're not obvious.
19 Q. If you can combine an active
20 pixel sensor with a passive pixel sensor,
21 you would lose the benefit of the passive
22 pixels if you made that combination,
23 wouldn't you?
24 MR. DAVIS: Objection to form.
25 A. You gain certain things, you

Page 253

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. You can't have a pixel that is
3 both passive and active at the same time,
4 can you?
5 A. No, I never --
6 MR. DAVIS: Objection to form.
7 A. I never said you did.
8 Q. Okay. So you can't combine an
9 active pixel array with a passive pixel
10 array, can you?
11 MR. DAVIS: Objection to form.
12 A. No, I wouldn't say it that way
13 at all.
14 You can modify one. Now, that
15 means it's not a passive pixel array
16 anymore. But that doesn't mean it's not a
17 functional and obvious combination.
18 It's -- it's silly to say that
19 you cannot add an amplifier to a passive
20 pixel. That's exactly what an active pixel
21 is. It's a passive pixel plus an
22 amplifier.
23 Q. And how is the combination of
24 Wakabayashi with Ricquier and Dierickx a
25 low-cost -- a low-cost advantage by the

<p style="text-align: right;">Page 254</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 combination? 3 A. Well, Ricquier says that he used 4 standard CMOS technology, which one of 5 ordinary skill in the art would expect to 6 be low-cost in the sense that the 7 fabrication facilities, their costs are 8 amortized over many products, including 9 products that aren't optical sensors. 10 Dierickx is also a MOS, that for 11 CMOS sensor, which means you could, again, 12 use a standard CMOS process to fabricate. 13 That's where you would expect to 14 see potential advantages in terms of cost 15 of the imaging chips. 16 Q. Wakabayashi includes more than 17 just an image sensor, though; right? 18 The image sensor is only one 19 component of Wakabayashi; is that correct? 20 A. Wakabayashi certainly contains 21 more than just the device that converts 22 light to an electrical signal. We'd want 23 to call that the imaging chip. Certainly 24 it contains more than that, yes. 25 Q. So if you change the image</p>	<p style="text-align: right;">Page 255</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 sensor in Wakabayashi, you're going to have 3 to make other changes in Wakabayashi to 4 work with the changed image sensor; is that 5 correct? 6 MR. DAVIS: Objection to form. 7 A. Well, Wakabayashi never picked 8 one to start with, so you suggest that 9 changing it leads to change in the 10 circuitry. 11 That presumes there was already 12 a circuit design, which is not there in 13 Wakabayashi. Wakabayashi didn't specify 14 which kind of solid-state image sensor. 15 So once you pick one, you 16 develop the rest of your circuit design. 17 You don't -- you're making it 18 sound like there already existed a complete 19 circuit design. Well, that would only 20 exist if you pick the sensor, which 21 Wakabayashi doesn't pick. 22 Q. Wakabayashi, you would agree 23 Wakabayashi describes a design for, as you 24 call it, a consumer camera that 25 incorporates an image sensor and other</p>
<p style="text-align: right;">Page 256</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 circuitry and boards to work with that 3 image sensor; correct? 4 MR. DAVIS: Objection to form. 5 A. Wakabayashi is -- Wakabayashi is 6 presenting at the functional block level 7 and at the physical level of boards, 8 mechanical arrangements, hinges, connecting 9 means, mechanical -- he discloses all of 10 these things. And that is ultimately to 11 lead to a complete system. 12 But, again, the choice of a 13 specific focal point array technology would 14 be one that would have impacts on the exact 15 implementation of the circuitry necessary 16 to provide the functional blocks that 17 Wakabayashi picks. 18 He doesn't give you a circuit 19 board layout and a component list. It's 20 not -- it's not a, you know, build it in 21 your house -- that's a bad -- it's not a 22 list at the part level and a layout level 23 of the electrical layout. He doesn't give 24 a schematic circuit diagram. 25 One of ordinary skill would</p>	<p style="text-align: right;">Page 257</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 understand that selecting a focal point 3 array technology, that then there are a 4 variety of circuits, many circuits that 5 could produce each of the functions 6 described in Wakabayashi. 7 But broadly, those would all be 8 necessary to produce the overall system 9 that Wakabayashi describes. 10 Q. If Wakabayashi just said it was 11 a camera with a solid-state imager, would 12 that change any of your opinions in this 13 matter? 14 MR. DAVIS: Objection. 15 Form. 16 A. I'm not sure I understand your 17 hypothetical. 18 What aspects of Wakabayashi are 19 you suggesting would be not in the 20 disclosure? 21 Q. I'm just saying, you've 22 testified it's your understanding that 23 Wakabayashi appears to be a consumer 24 camera; right? 25 A. That was a rough</p>

<p style="text-align: right;">Page 258</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 characterization. I don't -- 3 Q. But I'm just saying if the 4 Wakabayashi patent itself said it's a 5 consumer -- it's just a camera and 6 everything else was the same with the 7 solid-state imager, would that change your 8 opinions in this matter? 9 MR. DAVIS: Objection to form. 10 A. You said everything else is the 11 same. The disclosure of two circuit 12 boards, the disclosure of the lens, all of 13 those components? 14 Q. Yes. 15 MR. DAVIS: Objection to form. 16 A. And what is the change to 17 Wakabayashi that you're suggesting? I just 18 don't understand the question. I'm sorry. 19 Q. No, if it was just characterized 20 -- it's been a discussion point or it's 21 been your testimony that your view was that 22 Wakabayashi is a consumer camera. 23 I'm asking if Wakabayashi said, 24 in fact, this is a consumer camera and the 25 rest of the disclosure was the same, would</p>	<p style="text-align: right;">Page 259</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 that change your opinion? 3 MR. DAVIS: Objection to form. 4 Misstates testimony. 5 A. So if -- I mean, I said that 6 Wakabayashi is probably something like a 7 consumer camera, but if you're saying if he 8 disclosed it was a consumer camera and that 9 every other piece of the disclosure was 10 identical to what it is, I don't see that 11 that would have any impact on my -- well, 12 it actually would support some of my 13 arguments even more strongly because cost 14 would be an even -- would be an absolute 15 issue, but, no, I don't think it would 16 change any of my opinions. 17 Q. And is it your testimony that 18 there's no disclosure of what's on boards 19 29 and 39 in Wakabayashi? 20 A. No, I never said that. 21 Q. Okay. 22 MR. CAPLAN: Why don't we take a 23 break now. It's been almost an hour. 24 15 minutes? Thank you. 25 (A recess was taken.)</p>
<p style="text-align: right;">Page 260</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 BY MR. CAPLAN: 3 Q. On page 87 of your declaration 4 -- I'm sorry, paragraph 87, page 59. 5 A. Yes, I'm there. 6 Q. You state that Wakabayashi does 7 not detail timing and control circuitry in 8 the imager device 27. 9 Do you see that? 10 A. I do. 11 Q. Okay. So that is your 12 testimony, that the timing and control 13 circuitry is not described in Wakabayashi; 14 is that correct? 15 A. What I said is that Wakabayashi 16 does not detail. Wakabayashi refers to 17 synchronization signal generating circuits, 18 refers to signal processing circuits. 19 He refers to -- those are just 20 examples. Those are in column 9. 21 He doesn't provide a detailed 22 circuit. He describes functional block 23 performance, or functionality, if you will. 24 Sorry. 25 Q. And that's in column 9.</p>	<p style="text-align: right;">Page 261</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 What in particular are you 3 referring to in column 9 for the timing and 4 control circuitry? 5 MR. DAVIS: Objection. 6 Form. 7 A. Well, he mentions 8 synchronization signal generator circuit 9 and so on. Flip back -- I don't recall 10 that Wakabayashi specifically used the 11 phrase "timing and control." 12 That's why I believe one of -- 13 one of the reasons that one of ordinary 14 skill would look to Ackland in terms of 15 understanding the details -- more of the 16 details of how to obtain an electrical 17 signal from the focal point array as 18 required by Wakabayashi. 19 Q. So in combining Wakabayashi with 20 Ackland, is it your testimony that you 21 would put the Ackland CMOS pixel array, 22 including timing and control circuitry, 23 into the design in Wakabayashi? 24 MR. DAVIS: Objection. 25 Form.</p>

<p style="text-align: right;">Page 262</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. Again, you repeatedly seem to 3 suggest that you unplug something and plug 4 something else in. 5 Wakabayashi describes sort of 6 functional level blocks. He doesn't give 7 specifics of the circuitry, as I stated at 8 the beginning of paragraph 87. So I don't 9 believe it's an exercise of plugging 10 Ackland into Wakabayashi or unplugging 11 something from Wakabayashi. 12 It is a combination. One of 13 ordinary skill in the art would understand 14 that they have to actually design the 15 circuitry to provide the functions 16 Wakabayashi describes. 17 They would understand that the 18 type of focal point array would influence 19 the specifics with circuitry. 20 Ackland provides greater detail 21 in terms of timing controls, row decoders 22 and so on. So it's not a question of 23 plugging it into Wakabayashi. 24 You're combining the two 25 references. One of ordinary skill in the</p>	<p style="text-align: right;">Page 263</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 art would understand they need to do an 3 actual design of circuitry and the details 4 provided by Ackland provide greater 5 information on how to do that. 6 Q. So is it your testimony then 7 that a person who is skilled in the art or 8 -- rephrase it. 9 Is it your testimony that your 10 analysis is based on looking at Wakabayashi 11 and Ackland for that combination and 12 looking at both of those references and 13 designing an imager apparatus that has 14 particular features based on what you learn 15 from both references? 16 MR. DAVIS: Objection. 17 Form. 18 A. Wakabayashi discloses certain 19 characteristics. Two circuit boards, for 20 instance. In order to build functional 21 circuit boards one of ordinary skill in the 22 art needs to go further. 23 Ackland provides information 24 about what that signal will look like, as 25 well as what needs to be provided in terms</p>
<p style="text-align: right;">Page 264</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 of timing and control. 3 What is obvious is that if you 4 combine these, you will -- you will have a 5 collection of features. 6 Those features happen to be the 7 same ones the '052 requires. 8 You could combine other 9 references, you'd get other collections of 10 features. But I still -- you seem to keep 11 asking or suggesting it's unplugging one 12 thing and plugging in another, and that's 13 not how I understand an obviousness 14 argument, for obviousness to work. 15 One of ordinary skill is aware 16 of all of this art plus their own skill and 17 creativity. 18 Q. So when you were talking about 19 the grounds in your declaration that you 20 rely, let's start with Wakabayashi and 21 Ackland, what is your starting point for 22 that combination? What are you looking at 23 in Wakabayashi to start your analysis? 24 MR. DAVIS: Objection. 25 Form.</p>	<p style="text-align: right;">Page 265</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. Again, I'm not sure I would 3 agree with characterizing it as a starting 4 point. 5 However, Wakabayashi has a 6 variety of descriptions: Boards, 7 mechanical arrangements, electrical 8 functionality. 9 And certainly in order to 10 produce that electrical functionality, one 11 of ordinary skill is going to have to do 12 some actual circuit design. 13 That circuit design will be 14 influenced by the choice of focal point 15 array, as I have testified repeatedly. And 16 Ackland is a teaching about a type of focal 17 point array. 18 Wakabayashi just says use a 19 solid-state imager or solid state focal 20 point array. You need more details. 21 I'm still not sure I would call 22 that the starting point, but broadly 23 speaking, the teachings of Wakabayashi 24 augmented by teachings from other 25 references are needed to build a complete</p>

<p>Page 266</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 functional circuit. That's why one of 3 ordinary skill in the art would know to 4 combine these. 5 Q. So then it is your testimony 6 that you looked to the teachings of 7 Wakabayashi with an imager device 27 and 8 the two circuit boards, 29 and 39, and then 9 to work with Ackland you're saying you 10 would have to make certain changes to what 11 Wakabayashi describes; is that correct? 12 A. That is not correct. 13 MR. DAVIS: Objection to form 14 and asked and answered repeatedly. 15 Counsel, we've been over this 16 same line of questions a number of times. 17 You're just repeating the same 18 thing over and over again. 19 A. I never said that you changed 20 Wakabayashi. 21 Q. So you wouldn't change the 22 design of Wakabayashi then for that 23 combination? 24 MR. DAVIS: Objection. 25 Form.</p>	<p>Page 267</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. Wakabayashi provides a variety 3 of general characteristics, provides some 4 details, illustrates that a design choice 5 would be to use two circuit boards in 6 specific mechanical alignment. 7 It doesn't mean that there 8 aren't other obvious arrangements of 9 circuit boards, but he does provide those. 10 And using Ackland to inform one 11 of ordinary skill in the art about how to 12 build circuitry to provide functionalities 13 that are discussed in Wakabayashi, that's 14 not a change. 15 Q. Let's move to your claim 16 analysis. We'll start with Claim 1. It's 17 around paragraph 103. 18 A. I'm at paragraph 73. It's on 19 page 71 of Exhibit 1004. 20 Q. Let's go to element 1.f, which I 21 believe is paragraph 123. 22 A. So element 1.f? 23 Q. Yes, paragraph 123. 24 A. I'm there. 25 Q. So for element 1.f, I just want</p>
<p>Page 268</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 to make sure we are clear in terms of what 3 element in the art satisfies the -- let me 4 step back. 5 Element 1.f refers to the second 6 circuit board feature. 7 Do you see that? It's on page 8 82 to 83. 9 A. I see that. 10 Q. It's a second circuit board that 11 has a length and a width that defines a 12 second plane, and that second circuit board 13 has the circuitry for the pre-video to 14 post-video conversion and is offset from 15 the first board, and also the planes are 16 parallel, the first and second planes, 17 right, that's element 1.f? 18 A. Paraphrased, yes, but I believe 19 that covered all of the requirements of 20 1.f. 21 Q. I just want to confirm that it's 22 your testimony that the second circuit 23 board feature is satisfied by element or 24 circuit board 39 in Wakabayashi; is that 25 correct?</p>	<p>Page 269</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. To be careful, it's my 3 testimony, my statement in my declaration 4 is that Wakabayashi renders this element 5 obvious. 6 For the requirements of a second 7 circuit board electrically coupled to first 8 circuit board, I certainly point to 39 of 9 Wakabayashi. For a length and a width 10 defining a second plane, I also point to 39 11 of Wakabayashi. That the second plane is 12 parallel to first plane, I point to 39 and 13 29 of Wakabayashi. 14 The last element is that it 15 contain the conversion -- that circuitry to 16 convert pre-video to post-video is also 17 required, as I pointed out in paragraph 18 127, Wakabayashi does disclose the circuit 19 board include signal processing there, but 20 I refer back to Section VIII.A in paragraph 21 128 for the discussion of why it will be 22 obvious for one of ordinary skill to place 23 the pre-video to post-video circuitry on 24 circuit board 39. 25 Q. And so it is your testimony that</p>

Page 270

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 that second circuit board element is not
3 actually shown in Wakabayashi, but it will
4 be obvious; correct?
5 MR. DAVIS: Objection.
6 Form.
7 **A. So I believe as I just tried to**
8 **go through, I pointed to all of the**
9 **requirements of element 1.f that are**
10 **expressly disclosed by Wakabayashi: Figure**
11 **7, Figure 5, Figure 3.**
12 **However, the location of the**
13 **conversion circuitry from pre-video to**
14 **post-video is not expressly disclosed on**
15 **39, but is my opinion it would be obvious**
16 **to place it on 39.**
17 Q. Okay. And element 1.f requires
18 that the second circuit board is offset
19 from the first circuit board; right?
20 **A. Yes, it does require that.**
21 Q. Okay. And it's your testimony
22 that that's demonstrated by the first
23 circuit board 29, or -- circuit board 29
24 and circuit board 39 in Wakabayashi; is
25 that correct?

Page 272

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 39 in this perspective, and so I think one
3 of ordinary skill would not -- using plain
4 and ordinary meaning would not view that as
5 being stacked.
6 Q. Now, for element 1.k, I want to
7 actually go to paragraph starting with 149
8 in your declaration. This is where you
9 testify about Wakabayashi with Ackland and
10 Suzuki.
11 Do you see that?
12 **A. I do.**
13 Q. And we discussed Suzuki earlier
14 today.
15 And my question is, how do you
16 combine Wakabayashi with Ackland and
17 Suzuki? How would a person of skill in the
18 art make that combination?
19 **A. Well, Wakabayashi, for instance,**
20 **discloses two circuit boards, various ways**
21 **of doing things. Suzuki provides a**
22 **different arrangement. But for Claim 1,**
23 **the requirement that we're discussing**
24 **relates to the size.**
25 **And Suzuki provides information**

Page 271

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 **A. Yes. Looking at Figures 5 and**
3 **Figure 3, you could see that the second**
4 **circuit board 39 is offset from the first**
5 **circuit board 29.**
6 Q. And in paragraph 126 of your
7 declaration, talking about the same element
8 1.f, it is your testimony that circuit
9 board 39 is not stacked behind circuit
10 board 29; correct?
11 **A. So stacking is not a claim term**
12 **in this claim. It is in other claims.**
13 **In paragraph 126 I specifically**
14 **stated it is offset, which it clearly is.**
15 **In the sentence that covers --**
16 **that moves on to page 86, I state**
17 **additionally the two figures also show that**
18 **circuit board 39 -- there it does say -- is**
19 **not stacked behind circuit board 29, but**
20 **rather is arranged in an offset position.**
21 **If you look at Figure 5, for**
22 **instance, you can see that 39 is located --**
23 **there is no place where -- seen from that**
24 **view.**
25 **29 in some sense overlaps with**

Page 273

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 about the size of the image pickup chip.
3 Now, Wakabayashi -- let me find
4 the claim -- the actual language.
5 The actual language is:
6 "Wherein the largest dimension
7 of said image sensor along said first plane
8 is between 2 and 12 millimeters."
9 That would correspond to the
10 dimensions of the circuit board 29 in
11 Wakabayashi because that defines the first
12 plane.
13 Suzuki provides disclosure of a
14 focal plane array. In Suzuki, he labeled
15 that 2 -- let me get the right one -- 221.
16 In Wakabayashi, that's 27.
17 Suzuki similarly connects that
18 to circuit boards. Wakabayashi connects it
19 to circuit board 29.
20 Suzuki is showing that it's
21 obvious that you could make the circuit
22 board an imager of a size of less than
23 10 millimeters, and that's all the teaching
24 that I was looking for, that one of
25 ordinary skill in the art looking for

<div>Page 274</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 further information about how big chips 3 were and how big circuit boards were. 4 Q. My question -- let's step back. 5 Do you agree that this section 6 of your declaration, heading B, right 7 before paragraph 149, is directed to -- it 8 says: "Claim 1 is Obvious Under 103 Over 9 Wakabayashi in View of Ackland and Suzuki." 10 That's the basis of your 11 testimony in this section; correct? 12 A. Paragraph 150 is very clear, and 13 it states that to the extent that it is 14 argued that further disclosure is required 15 with respect to the limitation 1.k reciting 16 a largest dimension, that this limitation 17 will be obvious in view of Suzuki's 18 teaching. 19 It is my opinion that Claim 1 is 20 already obvious with the combination of 21 Wakabayashi and Ackland. 22 I'm simply going further to 23 point out that if it were to be argued or 24 determined that the disclosures in 25 Wakabayashi and Ackland are not sufficient</div>	<div>Page 275</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 to determine a size limitation for circuit 3 board 29 -- I'm sorry -- yes, 29, that 4 Suzuki clearly shows that focal point 5 arrays and associated circuit boards that 6 are of a size that falls in the range of 7 between 2 and 12 millimeters. 8 That's what one of ordinary 9 skill in the art would see and understand 10 that they could do it in that size range. 11 Q. And my question is that a person 12 of skill in the art having looked at 13 Wakabayashi and Ackland, why would they 14 further look to Suzuki to additionally 15 augment the combination of Wakabayashi and 16 Ackland? 17 A. So it is my opinion, as I've 18 stated, that the size is already obvious 19 from just Wakabayashi in view of Ackland. 20 But if one of ordinary skill was 21 interested in finding more information 22 about exemplary chip -- focal point arrays 23 and associated circuit boards and their 24 sizes, they would find Suzuki, and Suzuki 25 clearly discloses a size in this range.</div>
<div>Page 276</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 What I'm taking from Suzuki is 3 that it's smaller than 10 millimeters. The 4 chip is 8-1/2 millimeters, which would tell 5 one of ordinary skill that the circuit 6 board is somewhere between 8-1/2 7 millimeters and 10 millimeters. 8 That makes it -- that happens to 9 fall within the range specified by the '052 10 patent. They said 2 to 12. Well, it's 11 shown in the art an example of, let's say, 12 8-1/2 to 10. 13 Q. Your testimony was just now that 14 even without Suzuki, that this particular 15 element, 1.k, was obvious, and you address 16 some of that in paragraph 148? 17 A. That's correct. 18 Q. Okay. And you state in 19 paragraph 148 that a circuit board having 20 the largest dimension between 2 and 21 12 millimeters were well-known at the time. 22 Were boards of that size known 23 for CCD imagers at that time? 24 A. Well, for use with image 25 sensors, I think. I mean, Suzuki shows you</div>	<div>Page 277</div> <div>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 an example. 3 Boards of size somewhat larger 4 than the image sensor chip were certainly 5 well-known. 6 Image sensor chips on the order 7 of 1 centimeter in size were well-known, 8 so, yes, I believe that it was well-known. 9 Q. And Suzuki just says 10 "solid-state imager"; right? Suzuki does 11 not identify the particular type of 12 solid-state imager; right? 13 A. Suzuki itself, I believe that is 14 correct. Suzuki describes it as a 15 solid-state image pickup device, although 16 that actually -- that includes his lens, 17 camera head and so on. 18 But I believe he describes it as 19 a "solid-state image pickup chip," 20 "solid-state image pickup semiconductor 21 device" are phrases he uses in column 1. 22 Q. So I asked you just now if you 23 were aware, again, referring to language in 24 paragraph 148, that boards of this 25 particular size, the 2 to 12-millimeter</div>

Page 278

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 size, were known for CCD, and now I want to
3 ask you if boards of that size were known
4 for CMOS imagers.
5 **A. I think that there were chips**
6 **that were well-known of size about**
7 **10 millimeters or smaller, CMOS chips, and**
8 **I believe we have an example elsewhere of**
9 **such a chip, and that a board would be of**
10 **size comparable to the size of the image**
11 **sensor itself, again, I believe is an**
12 **obvious result.**
13 Q. Do you know the size of the
14 board that's required for different size of
15 pixel arrays? Like, is there a
16 correspondence between millimeters for the
17 image sensor of the overall sensor and the
18 number of pixels in the array?
19 MR. DAVIS: Objection.
20 Form.
21 **A. You'd have to specify the pixel**
22 **size and the pixel count to get at least an**
23 **estimate of the size of the dye.**
24 **Then you place that in a package**
25 **and mount that on a board.**

Page 280

1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 is a CMOS -- has a CMOS pixel array?
3 **A. No, I never said that. I never**
4 **suggested that.**
5 **Suzuki is a solid-state imaging**
6 **device. That solid-state imaging device of**
7 **the size he identified would also include**
8 **CMOS devices. I believe that would be**
9 **obvious to one of ordinary skill at the**
10 **time.**
11 I'm sorry, I'm looking to other
12 sections of my declaration where size is
13 discussed. This was related to whether
14 it's square or not, so I'm in the wrong
15 place.
16 But Ricquier illustrates a
17 256-by-256 and gives a pixel pitch. That's
18 on page 121 of my declaration.
19 Q. Do you know what the size of
20 that array is, though, what's the size of
21 that image sensor?
22 **A. 256 times 19 microns would be**
23 **something a little bit bigger than 2**
24 **millimeters. It probably would be closer**
25 **to -- this is almost 20 times 250. That's**

Page 279

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. So the pixel size and the pixel
3 count do relate to the size of the image
4 sensor, the dimensions of the image sensor;
5 correct?
6 **A. Certainly, yes.**
7 Q. And is there a difference in
8 pixel size for active pixels versus passive
9 pixels?
10 **A. There may be or there may not**
11 **be. It depends on how someone decided to**
12 **lay it out.**
13 **If you wish to have a larger**
14 **photo sensitive area in a passive pixel,**
15 **you can do that. You'll have a lower fill**
16 **factor in the active device. It just**
17 **depends on how you decide to lay it out.**
18 Q. So do you have any support for
19 your opinions of CMOS image sensors in this
20 particular -- you have in these dimensions,
21 the 2 to 12?
22 MR. DAVIS: Objection to form.
23 **A. So Suzuki gives an example of a**
24 **solid-state image sensor.**
25 Q. Is it your testimony that Suzuki

Page 281

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 **5 millimeters.**
3 Q. That's Ricquier, though; right?
4 So that's a passive array; is
5 that correct? Those are passive pixels?
6 **A. That is Ricquier and that is --**
7 **that's the pixel it is.**
8 I would point out Ricquier says
9 they used a 1-1/2 micron process with
10 pixels on a 19-micron pitch. You could
11 build quite a few transistors inside that
12 area.
13 So implementing an active
14 device, adding an amplifier consisting of
15 only one transistor, you can fit it into
16 that pitch. You would have less area
17 absorbing light. That's one of the
18 trade-offs between active and passive.
19 Q. But you don't have a basis in
20 your declaration setting forth the size of
21 the image sensor for a CMOS pixel array
22 with active pixels, do you?
23 MR. DAVIS: Objection to form.
24 **A. Well, paragraph 148 I stated**
25 **that I believe it's obvious.**

<p style="text-align: right;">Page 282</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 In section -- it starts on page 3 99, which is Suzuki, again, I've stated 4 that I believe it's obvious and there is 5 nothing that would suggest I think one of 6 ordinary skill in the art that this size 7 given by Suzuki, for instance, would 8 preclude that that would be an active pixel 9 CMOS array. 10 Q. Do you have any basis to 11 understand that Suzuki is an active pixel 12 CMOS array? 13 A. I never said it was. 14 Suzuki says a solid-state image 15 pickup semiconductor device. 16 An active pixel CMOS array would 17 be in that category, and what I am saying 18 is that it would be obvious to one of 19 ordinary skill in the art that given the 20 technology of the time, here just being an 21 example, they would understand that pixel 22 sizes would be such that it would be 23 expected that you could build an imaging 24 chip somewhere in the range of 2 to 25 12 millimeters.</p>	<p style="text-align: right;">Page 283</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. And it is your testimony that a 3 person of skill in the art would look at 4 Wakabayashi along with Ackland and Suzuki 5 to understand that teaching from the art; 6 is that correct? 7 A. I've testified to two things. 8 One -- and my declaration states two 9 things. That even without Suzuki, I 10 believe it's obvious. 11 But with Suzuki, I believe it is 12 certainly obvious because Suzuki actually 13 gives a dimension for a solid-state imaging 14 chip. 15 Q. I'm going to move forward a 16 little bit to paragraph 180. 17 A. I'm there. 18 Q. This is in Claim 3. 19 And, in particular, element 3.b, 20 this is the image sensor on a planar 21 substrate or an image sensor including a 22 planar substrate, a length and a width, and 23 that length and width defined a first plane 24 and it includes the array of CMOS pixels. 25 Right? That's element 3.b?</p>
<p style="text-align: right;">Page 284</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. Yes, it includes an array of 3 CMOS pixels thereon, yes. 4 Q. What is the element -- what are 5 you relying on for the image sensor for 6 element 3.b? 7 A. So Ricquier discloses that he 8 built a CMOS array using standard 9 1.5 micron -- standard 1.5 micron CMOS 10 technology. 11 Standard 1.5 micron CMOS 12 technology uses a silicon substrate which 13 is plane, which is flat. It is planar. 14 It's a clear disclosure. 15 Q. Is that manufacturing technique 16 one would that be used with Wakabayashi? 17 A. It's a manufacturing technique 18 in terms of using planar semiconductor 19 substrates, it would be used for virtually 20 all semiconductor devices. 21 I have done work on non-planar 22 substrates. It is exceedingly difficult 23 and is exceedingly rare. 24 Virtually all solid-state 25 semiconductor devices are on a planar</p>	<p style="text-align: right;">Page 285</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 substrate, and one of ordinary skill in the 3 art would know that. 4 Q. I want to move on to 3.d, 5 element 3.d. this talks about the first 6 circuit board element. 7 So this is the first circuit 8 board with a length and a width, it defines 9 a second plane and this first circuit board 10 includes timing and control circuitry 11 thereon. 12 Do you see that? 13 A. I do. 14 Q. Okay. So what element are you 15 relying on to meet this feature of element 16 3.d? 17 MR. DAVIS: Objection to form. 18 A. As I discuss, that it is my 19 opinion in Wakabayashi in view of Ricquier 20 renders this obvious. 21 Wakabayashi discloses image 22 sensors for circuit boards that have 23 lengths and widths. 24 The other requirement that -- 25 just has to have a length and a width</p>

<p style="text-align: right;">Page 286</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 defining a second plane, and Wakabayashi 3 certainly discloses that in circuit board 4 29. 5 Ricquier discusses off-chip 6 driving circuitry, including timing and 7 control, because he talks about timing 8 controller -- the time and control, the 9 drive, row selection and count selection 10 that is coupled to the CMOS array. 11 And it is my opinion that it is 12 obvious that that circuitry would either be 13 -- so this timing and control circuitry is 14 what has to be thereon the first circuit 15 board. 16 If it is on-chip, then it is 17 thereon on the circuit board. If it is on 18 the board and not on-chip, it is also 19 thereon. 20 So in either -- either view, the 21 timing and control circuitry would be -- 22 would satisfy the limitation 3.d. 23 Q. You previously testified that 24 Wakabayashi does not provide details about 25 the timing and control circuitry; right?</p>	<p style="text-align: right;">Page 287</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 A. That is correct. 3 Q. So for this element 3.d, you're 4 looking to Ricquier for the timing and 5 control circuitry; correct? 6 A. That is correct. 7 Q. And Ricquier -- you testified 8 previously that Ricquier had an off-chip 9 driving unit; correct? 10 A. That is correct. 11 Q. I think it was a timing 12 controller that was off-chip? 13 A. Yes, that's discussed in 14 paragraph 196 of my declaration and is 15 clearly quoted on page 127. 16 Q. But like Wakabayashi, Ricquier 17 does not show or disclose the actual timing 18 circuits, does it? 19 A. Ricquier does not provide all of 20 the details of timing and control 21 circuitry. Although, as I pointed out on 22 page 196, he goes through the 23 functionalities that are necessary; for 24 instance, the row select, K reset and G 25 reset. That's all discussed in paragraph</p>
<p style="text-align: right;">Page 288</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 196, which would provide -- he also states 3 that he wants to be able to do one by one, 4 two by two, four by four and eight by eight 5 neighborhoods. 6 All of those would provide one 7 of ordinary skill greater information about 8 how to design the circuitry. This is 9 certainly more detail than Wakabayashi 10 provides. 11 And I would observe that in 12 paragraph 198, I further discuss the 13 location of that circuitry, which Ricquier 14 discloses as being off-chip, and it is my 15 opinion it would be obvious to place that 16 on circuit board 29. That's in paragraph 17 198. 18 MR. CAPLAN: If we can take a 19 short break here and let me just go through 20 the items I have left so I can figure out 21 what we still have to get through. Okay? 22 (A recess was taken.) 23 MR. CAPLAN: Dr. Neikirk, I 24 thank you for today. 25 I don't have any more questions</p>	<p style="text-align: right;">Page 289</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 for today. 3 THE WITNESS: Thank you. 4 MR. DAVIS: I have a couple of 5 questions. 6 EXAMINATION BY 7 MR. DAVIS: 8 Q. Dr. Neikirk, can you open up 9 Exhibit 1004 to page 115. 10 A. So Exhibit 1004 is -- oh, I'm 11 sorry, it's my declaration. I'm sorry. 12 Where in the declaration? 13 Q. To page 115. 14 A. Page 115. And I'm there. 15 Q. Do you see paragraph 174? 16 A. I do. 17 Q. In the fourth line down, do you 18 see the reference to -- a reference to 19 "high image quality" in quotes? 20 A. I do. 21 Q. And do you remember discussing 22 that quoted passage in paragraph 19 23 regarding high image quality with Mr. 24 Caplan? 25 A. I believe so, yes.</p>

Page 290

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. How did that passage inform your
3 opinion in paragraph 174?
4 MR. CAPLAN: Objection as to
5 form.
6 A. So both Ricquier and, for
7 instance, Dierickx discussed image quality.
8 Ricquier was talking about
9 abilities to bin and so on.
10 Dierickx was focusing on ways of
11 hoping -- in active pixel sensor arrays to
12 reduce fixed-pattern noise.
13 As I've discussed in the rest of
14 that paragraph, there are known advantages
15 for using the amplifiers.
16 I think that is what would
17 motivate one to combine these references to
18 achieve a high image quality.
19 Q. Later in that paragraph you
20 reference -- this is about two-thirds of
21 the way down, do you see the quoted
22 language "less sensitive to noise
23 fluctuations"?
24 A. I'm just trying to find this.
25 Yes. So it's a discussion -- so

Page 292

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 to, and it's in your declaration, that
3 implements a specific timing function
4 that's unique to Dierickx, doesn't it?
5 MR. DAVIS: Objection to form.
6 A. I don't think I characterize it
7 that way.
8 Dierickx is concerned about
9 fixed-pattern noise. He discusses a number
10 of different amplifier configurations, not
11 just those in the pixels themselves, and
12 ways of mitigating against offset. That's
13 illustrated in Figure 2, for instance.
14 You asked me about the current
15 source 12 in Figure 2 earlier today, and
16 that circuitry is trying to address some of
17 these fixed-pattern noise issues, I
18 believe.
19 It's -- he does show a timing
20 diagram in Figure 7. I don't know that
21 that is exclusively how he's trying to
22 prevent fixed-pattern noise.
23 He does say that it is timing of
24 the method for eliminating fixed-pattern
25 noise. That's part of it, yes.

Page 291

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Dierickx is explaining that active pixels
3 have an advantage in terms of amplifying
4 the charge collected on the elements and
5 that makes them less sensitive to noise
6 fluctuations.
7 If there's more charge, you're
8 generally less susceptible to fluctuations
9 in that charge.
10 Q. You cite there paragraph 6 of
11 Dierickx.
12 Do you rely on paragraph 6 of
13 Dierickx?
14 A. So in Dierickx itself, paragraph
15 6 is in the "Background" section,
16 discussing CMOS, identifying active pixels
17 in comparison to passive pixels and their
18 advantages, so, yes, I did rely on that.
19 MR. DAVIS: No further
20 questions.
21 FURTHER EXAMINATION
22 BY MR. CAPLAN:
23 Q. Dr. Neikirk, in Dierickx,
24 Exhibit 1041, the reference to the high
25 image quality that Mr. Davis pointed you

Page 293

1 **CONFIDENTIAL - DEAN NEIKIRK, PH.D.**
2 Q. So, in fact, Dierickx provides
3 particular timing and control circuitry
4 that's unique to its particular desired
5 feature; correct?
6 MR. DAVIS: Objection.
7 A. No. He produces -- and I
8 believe we discussed this earlier -- he
9 shows a timing diagram on Figure 7.
10 He illustrates where the various
11 signals would be applied, but he actually
12 gives no details on the circuitry to
13 produce that timing and control. Again,
14 it's more of a functional description.
15 Q. But in Dierickx, including --
16 prior to paragraph 19 that you've been
17 looking at and that's referenced in your
18 declaration, it describes in paragraph 17
19 and 18 the particulars, particular details
20 about how to accomplish its goal, its
21 amplifying circuit in adjusting the signal
22 for the fixed-pattern noise, right, so it
23 is --
24 MR. DAVIS: Objection.
25 Form. I'm not sure if there was

<p style="text-align: right;">Page 294</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 a question. 3 A. My apologies, I jumped in. 4 Q. So there is a functional 5 description here of the timing and control 6 circuitry and the particular requirements 7 for it to accomplish what the Dierickx 8 reference is trying to describe? 9 MR. DAVIS: Objection. 10 Form. 11 A. So -- and I think this is 12 exactly what I said. 13 There's a functional 14 description. Now, most of paragraph 18 is 15 discussing not timing but control 16 requirements, and there is a functional 17 description. That's not a circuit diagram. 18 One of ordinary skill in the art 19 would know that there are numerous 20 circuits, many circuits, but, yes, I would 21 agree that he's providing more information 22 about the characteristics of that control 23 circuitry, the functional requirement, that 24 will help one of ordinary skill to select 25 various circuits that provide that</p>	<p style="text-align: right;">Page 295</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 function, yes. 3 Q. And Dierickx does not have a 4 particular circuit diagram for the timing 5 and control. It just has that functional 6 description; right? 7 A. That's correct. 8 Q. And you wouldn't use the timing 9 and control circuitry from Ricquier with 10 Dierickx, would you? 11 MR. DAVIS: Objection to form. 12 A. Well, I haven't really looked at 13 that. I don't know. 14 Now, Dierickx has a specific set 15 of control signals for his column 16 amplifiers and -- I'm sorry, let me get 17 these in the right order. 18 Ricquier has circuit control 19 signals called out. The switching 20 requirements in Dierickx, you know, I 21 haven't looked. 22 There are clearly some 23 similarities. There might as well be 24 differences. I haven't really tried to do 25 a one-to-one comparison.</p>
<p style="text-align: right;">Page 296</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 Q. But Ricquier, you testified 3 already that Ricquier has passive pixels 4 and Dierickx has active pixels; right? 5 A. Yes, certainly. 6 Q. And Ricquier, you already 7 testified, does not disclose circuitry for 8 timing and control, does it? 9 MR. DAVIS: Objection. 10 Form. 11 A. Ricquier, as does Dierickx, 12 provides a functional description of what's 13 necessary in timing and control. Neither 14 provides a specific schematic circuit 15 diagram. 16 Q. But that FPN, right, 17 fixed-pattern noise issue in Dierickx, it 18 relies on that functional description of 19 the timing in Dierickx to achieve the 20 improvement or the alleged improvement in 21 the fixed-pattern noise; correct? 22 MR. DAVIS: Objection to form. 23 A. In part, yes. Dierickx also 24 discloses compensation amplifiers, as well 25 as the description that we were just</p>	<p style="text-align: right;">Page 297</p> <p>1 CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2 discussing, which is in paragraph 18, which 3 refers primarily to control steps. 4 MR. CAPLAN: I don't have any 5 further questions. 6 Thank you, Dr. Neikirk. 7 MR. DAVIS: We can go off the 8 record. 9 (Time noted: 7:30 p.m. EST) 10 11 <hr style="width: 100%; border: 0.5px solid black; margin-bottom: 5px;"/>DEAN NEIKIRK, PH.D. 12 13 Subscribed and sworn to before me 14 this ____ day of ____, 2020. 15 16 17 18 19 20 21 22 23 24 25</p>

<div>Page 298</div> <div>1CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2CERTIFICATE 3 4I, Linda J. Greenstein, Professional 5Shorthand Reporter and Notary Public in and 6for the State of New York, do hereby 7certify that, DEAN NEIKIRK, PH.D., the 8witness whose deposition is hereinbefore 9set forth, was duly sworn and that such 10deposition is a true record of the 11testimony given by the witness to the best 12of my skill and ability. 13I further certify that I am neither 14related to or employed by any of the 15parties in or counsel to this action, nor 16am I financially interested in the outcome 17of this action. 18IN WITNESS WHEREOF, I have hereunto set 19my hand this 15th day of October 2020. 20 21Linda Greenstein 22Linda J. Greenstein 23 24My commission expires: January 31, 2021 25</div>	<div>Page 299</div> <div>1CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2INDEX 3 4WITNESS EXAMINED BY PAGE 5DEAN NEIKIRK, Mr. Caplan 4, 291 6PH.D. Mr. Davis 289 7 8----- INFORMATION REQUESTS ----- 9DIRECTIONS: 10RULINGS: 11TO BE FURNISHED: 12REQUESTS: 13MOTIONS: 14----- EXHIBITS ----- 15NO. PAGE 16 17(Exhibit 1001 for identification, 7 18U.S. Patent 9,186,052, shown to 19witness.) 20(Exhibit 1015 for identification, 8 21U.S. Patent 5,233,426, shown to 22witness.) 23(Exhibit 1004 for identification, 9 24Declaration of Dean Neikirk, 25Ph.D., shown to witness.) 26(Exhibit 1038 for identification, 50 27Ricquier reference, shown to 28witness.) 29(Previously marked Exhibit 1038 58 30for identification shown to 31witness.) 32 33 34 35</div>
<div>Page 300</div> <div>1CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2CONTINUED 3INDEX: 4----- EXHIBITS ----- 5NO. PAGE 6 7(Exhibit 1041 for identification, 116 8Dierickx reference, shown to 9witness.) 10(Exhibit 1027 for identification, 149 11Wakabayashi reference, shown to 12witness.) 13(Previously marked Exhibit 1006 192 14for identification, Ackland 15patent, shown to witness.) 16 17(Exhibits retained by counsel) 18 19 20 21 22 23 24 25</div>	<div>Page 301</div> <div>1CONFIDENTIAL - DEAN NEIKIRK, PH.D. 2DEPOSITION ERRATA SHEET 3 4Our Assignment No.: 1040 5Case Caption: Samsung v. Collect, LLC 6 7DECLARATION UNDER PENALTY OF PERJURY 8 9I declare under penalty of 10perjury that I have read the entire 11transcript of my Deposition taken in the 12captioned matter or the same has been read 13to me, and the same is true and accurate, 14save and except for changes and/or 15corrections, if any, as indicated by me on 16the DEPOSITION ERRATA SHEET hereof, with 17the understanding that I offer these 18changes as if still under oath. 19 20DEAN NEIKIRK, PH.D. 21Subscribed and sworn to on the ____ day of 22_____, 20 ____ before me. 23 24Notary Public, 25in and for the State of _____.</div>

Page 302	Page 303
1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.	1 CONFIDENTIAL - DEAN NEIKIRK, PH.D.
2 DEPOSITION ERRATA SHEET	2 DEPOSITION ERRATA SHEET
3 Page No.____Line No.____Change to:_____	3 Page No.____Line No.____Change to:_____
4 _____	4 _____
5 Reason for change:_____	5 Reason for change:_____
6 Page No.____Line No.____Change to:_____	6 Page No.____Line No.____Change to:_____
7 _____	7 _____
8 Reason for change:_____	8 Reason for change:_____
9 Page No.____Line No.____Change to:_____	9 Page No.____Line No.____Change to:_____
10 _____	10 _____
11 Reason for change:_____	11 Reason for change:_____
12 Page No.____Line No.____Change to:_____	12 Page No.____Line No.____Change to:_____
13 _____	13 _____
14 Reason for change:_____	14 Reason for change:_____
15 Page No.____Line No.____Change to:_____	15 Page No.____Line No.____Change to:_____
16 _____	16 _____
17 Reason for change:_____	17 Reason for change:_____
18 Page No.____Line No.____Change to:_____	18 Page No.____Line No.____Change to:_____
19 _____	19 _____
20 Reason for change:_____	20 Reason for change:_____
21 Page No.____Line No.____Change to:_____	21 _____
22 _____	22 _____
23 Reason for change:_____	23 SIGNATURE:_____DATE:_____
24 _____	24 DEAN NEIKIRK, PH.D.
25 _____	25 _____

<hr/> <p style="text-align: center;">0</p> <hr/>	<p>1038 47:20,23 48:2,16,18 50:7,9 58:5, 8,13,14 63:2 66:11 84:12 94:14 103:14 105:24 107:8,22 108:2 109:11 111:3,17 112:12 113:19 219:25 233:6</p>	<p>163 73:17,24 166 117:2 168 117:2</p>
<p>052 6:19 7:8,16,22 74:11 89:3 151:3 165:19 185:4,9 186:7,15,17 223:17 224:7,18 225:24 226:24 228:4 251:11, 13,15 264:7 276:9</p>	<p>1041 115:14,24 116:2,8 125:23 127:16 137:10 141:20 148:12 219:21, 24 291:24</p>	<p>169 220:25 237:4,6 17 157:7 204:9 207:6 293:18</p>
<hr/> <p style="text-align: center;">1</p> <hr/>	<p>108 58:24 73:18 204:12,18 205:11 207:7</p>	<p>170 221:16 223:14 224:15 231:19,22 171 235:19,21 237:4,7</p>
<p>1 7:22 8:4,7 10:3,4,15,23 12:3 14:10 21:7 43:13 68:8,14,15 70:4,6,8,11,22, 24 71:5 72:3,6,14 73:11,12 77:20,23 78:2 79:3,21 80:7 81:7 82:10,20,25 83:10 101:16 118:16 119:3 188:2 195:18 196:4,5,6 267:16 272:22 274:8,19 277:7,21</p>	<p>109 73:13 79:22 11 78:9 83:25 127:15,16 142:10,12 110 116:25 126:5 111 217:9 113 200:14 204:12,22 231:22 114 246:7 115 204:15 289:9,13,14 12 44:4,15 46:22 47:7 103:13 143:7,13 202:8 273:8 275:7 276:10,21 279:21 282:25 292:15</p>	<p>172 237:8 173 241:21 246:6 247:18 174 289:15 290:3 18 56:11 194:23 198:10,11,21,24 199:21,22 209:12 293:19 294:14 297:2</p>
<p>1-1/2 72:25 83:8 281:9</p>	<p>114 246:7</p>	<p>180 283:16</p>
<p>1-by-1 102:19</p>	<p>115 204:15 289:9,13,14</p>	<p>19 17:9 67:15 137:12,14,15,18 138:9</p>
<p>1-to-1 101:22</p>	<p>12 44:4,15 46:22 47:7 103:13 143:7,13 202:8 273:8 275:7 276:10,21 279:21 282:25 292:15</p>	<p>139:13 141:5 144:5 192:13 194:24 198:15,18 199:2 280:22 289:22 293:16</p>
<p>1.5 59:12 284:9,11</p>	<p>12-millimeter 277:25</p>	<p>19-micron 281:10</p>
<p>1.f 151:18 267:20,22,25 268:5,17,20 270:9,17 271:8</p>	<p>121 280:18</p>	<p>190 193:2</p>
<p>1.k 272:6 274:15 276:15</p>	<p>123 267:21,23</p>	<p>194 48:21</p>
<p>10 11:25 44:8,11 46:22 47:3 48:7 52:9 54:8 66:10 67:25 68:21 70:18 71:9,14 75:4 79:10 80:25 81:24 91:8,15,20 93:4 94:7 109:21 110:3 182:3 197:17, 21 198:23 199:19,22 202:22 209:12 225:20,25 226:25 273:23 276:3,7,12 278:7</p>	<p>125 91:13,20 93:2 94:6 109:20 110:4</p>	<p>196 49:2 287:14,22 288:2</p>
<p>10-millimeter 47:4</p>	<p>126 271:6,13</p>	<p>198 288:12,17</p>
<p>100 11:21 30:6 154:10 176:5,11 191:22 202:24 245:8 247:2,10</p>	<p>127 269:18 287:15</p>	<p>1985 133:23</p>
<p>1001 6:17,18 7:8,12,17</p>	<p>128 152:3 269:21</p>	<p>1990 13:21 18:9 19:12</p>
<p>1004 9:16,19 10:6 180:6 267:19 289:9,10</p>	<p>12th 14:4</p>	<p>1990s 52:13</p>
<p>1006 165:16 192:5,8</p>	<p>13 65:23</p>	<p>1991 14:4,17 17:9 37:22 38:4 41:12</p>
<p>101 176:10 203:5 204:15</p>	<p>14 91:12 99:12,13 100:13 127:15 144:15 176:2 202:22</p>	<p>1994 61:4,12</p>
<p>1015 8:12,18,20 10:23 12:24</p>	<p>148 276:16,19 277:24 281:24</p>	<p>1996-1997 120:23</p>
<p>102 154:9,10 161:22 169:9 191:23,24 203:6 245:8,21 247:2,10</p>	<p>149 272:7 274:7</p>	<p>1997 35:21,24 36:18 37:7 39:10,24 42:7 67:16 85:12 86:3,16 113:5,6 134:24,25 135:17</p>
<p>1027 148:25 149:2 202:7</p>	<p>15 54:9 107:7 137:11,13 179:22 217:3 259:24</p>	<hr/> <p style="text-align: center;">2</p> <hr/>
<p>103 267:17 274:8</p>	<p>15-minute 98:15</p>	<p>2 8:5 9:9,10 12:12 23:5,12,17 24:11 25:15 30:24 31:8 44:3,12,15 46:22 47:7 68:10,15,16 119:4 128:25 141:11,19,24 143:4,10 144:3 157:6 189:9 273:8,15 275:7 276:10,20 277:25 279:21 280:23 282:24 292:13, 15</p>
<p>1033 47:16,23 48:3,16,22 49:4,16 56:5,10</p>	<p>16 50:17 58:12,16 60:3 68:21 70:23 79:10 84:9 107:7 142:14 143:9 164:24</p>	

2-1/2 91:9		48 152:19 153:5 180:25 185:15 194:21
2-by-2 102:15,19 142:17	3	
20 52:9,15 127:15 137:11 193:13 195:2 196:11 199:20,24 200:12 209:12 226:2 227:2 280:25	3 7:22 8:5,7 10:5 31:12 50:17 136:10 166:19 167:10 192:24 207:9 270:11 271:3 283:18	5
2000s 51:6	3.3 34:20 36:16	5 34:20 36:17 104:24 107:9 119:23 127:9 141:13 150:16 154:11 157:7,8 170:2 181:6 182:3 184:5 270:11 271:2,21 281:2
2020 4:7 297:12	3.b 283:19,25 284:6	5,233,426 8:19,21
2020-00475 6:5	3.d 285:4,5,16 286:22 287:3	5-volt 35:13
22 13:2,11 154:4,9 161:21 191:22 245:8 246:8,25 247:9	30 13:2,16 110:8	50 24:11 136:12
221 20:4,24 23:7,20,22 24:15,20 27:13 29:11,13,17 38:10 273:15	307 49:2	52 23:17
226 20:25 21:3,6,9,12,17 22:12 23:7 30:9	308 49:2	55 24:12
227 23:8,10 24:14 25:24,25 29:18,21 30:9	33 142:14 181:7,8,9	57 150:16
228 30:2,10,18,25 31:9,10,19	36 196:2	59 195:16,24 260:4
228P 31:6	38 12:13	6
25 225:19	39 149:18 150:2 151:11 152:8,19,22, 23,24 153:6,16 154:21 155:9,13,17 156:3,7 157:14 158:5,24 159:15 160:11 161:9 162:8 163:19 164:5 166:6,9 168:10 169:19 171:7,17 172:6,17,20 175:5,17 177:6 180:17,21 181:3,11,15,21 182:7,25 183:8,22 184:14,24 185:25 186:6,21 187:23 192:11,12,15 224:25 225:4 243:25 244:13 259:19 266:8 268:24 269:8,10, 12,24 270:15,16,24 271:4,9,18,22 272:2	6 96:16 116:20 117:9,12 118:15 129:8 144:15 145:9 146:18 147:24 181:19 182:3 193:4,12,14,15 194:17 195:15, 18 196:5,7,12 197:10 291:10,12,15
250 280:25	3:00 136:16 137:3	60 31:8
256 10:5 114:6 280:22	3a 23:5	65 198:23
256-by-256 59:11 73:22 96:2 113:21 280:17	3d 23:5	66 127:9
27 23:18 149:17,23 150:7,14,23 166:22 167:2,17 168:3 171:16 179:2 221:2 236:11,23 242:11 243:20,24 244:6,12 260:8 266:7 273:16	4	7
28 152:9	4 31:11 68:10 96:14 101:12	7 7:22 8:5,7 127:10 149:10,12,19 150:6 166:16 195:21,22,23 198:22 200:12 204:8 207:5 244:11 270:11 292:20 293:9
28th 13:21	4-by-4 96:3 102:19	71 152:12,13 267:19
29 149:17,24 152:23 153:7,8,13,20 154:15,20 155:4,9,11,13,17,21,23 156:7,15,21 157:15,16,17,22 158:5,24 159:15,20 160:10,20,24 161:6,11 162:7 163:19,20 164:4,11,20 165:3,9 166:6,9,10,22,25 167:17 168:4 169:5, 19 170:7,15,17,19 171:12,17 172:6, 16,18 174:6,18,19 175:14,17 176:19, 24 177:3,11 178:19 179:5 181:3 182:5 183:20,22 185:24 186:21 187:23 191:15,18 192:2 224:25 225:4,5,12 243:24 244:4,12 259:19 266:8 269:13 270:23 271:5,10,19,25 273:10,19 275:3 286:4 288:16	4.2 103:14 104:10,20	72 152:13
2:09 136:16	4.5 100:14 101:18	73 152:13 267:18
2b 184:13	4.6 99:11,13,18	74 152:13,16 155:5 156:18 166:18 180:7,13 181:17 185:15 187:21
	40 194:21	7:30 297:9
	41 143:9	8
	42 149:22	8 4:6 56:11 58:12,15 60:3 62:25 63:10, 19 68:10 84:9,11 91:23 114:5 194:20 233:5
	45 152:11	
	47 180:6 181:17 185:15	

8-1/2 44:12 46:23 135:23 276:4,6,12	171:2 175:11 176:11 179:21 180:4,10,13 183:22 184:8,18 187:15 188:6,7 191:6,21 192:3,16 202:5 203:17 204:10 205:7 207:7 214:5 217:16 219:22 220:5,8 221:9 224:23 228:8 231:19 235:22,23 236:5 237:3 241:21 242:6,25 243:3,13 246:2 248:11 249:24 252:10,18 263:24 264:18 265:16 267:11 271:7 272:9 273:2 274:2 275:22 278:6 285:5 286:7,24 288:7 290:8,20 292:8,14 293:20 294:22	201:24 203:19 205:16,23 206:2,3,21,24 207:19 208:20 209:9,18 210:10 211:8 212:2,3 213:3,9,10,21,25 214:4 223:7 261:14,20,21 262:10,20 263:4,11,23 264:21 265:16 266:9 267:10 272:9,16 274:9,21,25 275:13,16,19 283:4
8-by-8 102:20		
8.5 11:24 40:19 44:5		
8.5-millimeter 41:14		
80s 51:5		
82 151:17 268:8		Ackland's 206:15
83 268:8		acquire 67:20
86 271:16		acquiring 17:5 61:18 69:17
87 260:3,4 262:8		across 36:21 37:5 55:4 89:21
<hr/>		
9		
<hr/>		
9 63:8 70:5,11,23 72:3,6 94:13 143:7 161:20,21 169:8 175:20,25 176:2 202:7,21 260:20,25 261:3	above 70:15	active 39:23 46:4 57:11 81:9 117:21 118:4,12,17,20,24 126:10,11,16,18,25 127:21 129:6,11,16,18,21 130:6,22,24 131:20,23 132:4,20,23 133:10,17 134:24 135:16 141:6,24 142:4,6,17,22 144:19,23 145:10 146:6,20 193:5 196:2 220:18 223:18,23 224:2,12 238:13 239:10,13 240:11,15,16 248:25 249:18,19 250:8,9,19,23 251:19 252:7 253:3,9,20 279:8,16 281:13,18,22 282:8,11,16 290:11 291:2,16 296:4
9,186,052 6:6 7:13	absence 33:13	acts 243:8
90 43:6	absolute 259:14	actual 54:15 73:10 74:23 78:7 171:15 182:14 183:3 209:11 216:7 243:9 263:3 265:12 273:4,5 287:17
90s 35:20 67:16 85:12 87:22 109:25	absolutely 83:5	actually 6:18 9:9 11:14 46:7 47:22 51:10,12 71:12 72:10 73:17 79:11 90:20 101:13 112:21 113:22 114:24 122:18 129:24 132:10,15 140:12 152:3 154:23 164:7 166:4,17 167:12,13 168:22 171:19 172:2 191:18 214:11 233:13 242:19 245:13 248:18 259:12 262:14 270:3 272:7 277:16 283:12 293:11
95 43:6	absorbing 281:17	adapted 31:10
96 11:13	absorbs 132:15	add 68:15 87:18 147:12,14 187:6,8 238:19 253:19
97 36:14 39:14,15,16 43:6 85:4 87:23	abstract 10:24 14:10 53:18 59:7 60:20 64:9 91:18 93:2 114:5 188:16 189:7,8	added 181:9 187:4
99 11:15,16 43:14 282:3	abstracts 53:22	adding 146:14 281:14
<hr/>		
A		
<hr/>		
abilities 290:9	academia 52:3 55:3	addition 68:9 130:3
ability 68:13 184:25 229:24 252:5,12	academic 59:20	additional 117:18 129:22 130:4,7,17 132:20 143:3 146:19 212:12 239:9
able 93:11,15 96:11,13 143:21 164:16,19 187:3 196:22 219:3 288:3	accent 99:4	additionally 271:17 275:14
about 5:5,7 6:3 16:5 17:9 18:17 23:5,6 24:10 25:23 28:21 30:8,12 35:21 37:18 40:7,16 42:16,17 45:6 47:13 48:12 49:13,22 50:15,19 52:4 54:25 55:15,17 56:12,21 59:24 60:21,23 61:3 62:17 64:3 65:21,25 66:24 67:24 68:3 72:15 73:18,21 78:7,11 81:6,20,22,25 85:4,11 88:5 91:12,19,20,23 92:3 94:18,19 95:18 96:20 97:24 98:18 99:6 100:24,25 101:3,15 102:14 103:2 108:20,22 110:5,9,11,14 112:10 116:15 117:14 119:23 123:4,11 124:9,25 133:24 134:2,22 135:11,12,15 136:12 141:17 143:24 144:18 149:7 150:13,25 151:4 154:3 156:25 157:3,22 161:2,21 165:15,22 166:5 169:23	acceptable 17:19 42:8,13,20 190:19 191:10	address 146:3 226:7 231:15 276:15 292:16
	accepted 54:2	
	access 26:14 49:13 95:11	
	accommodate 159:14 213:20	
	accomplish 184:11 188:19 191:8 293:20 294:7	
	accomplishing 94:9	
	according 66:22 71:20	
	accumulate 66:19 71:17	
	accurately 202:12	
	achieve 93:8 94:6 138:16 290:18 296:19	
	achieved 92:24 99:20	
	achieving 92:6 110:9	
	Ackland 10:9,16 165:14,15 192:4,8,16,17,21 194:4,9,10,12 195:9,14 196:17 197:9,10 198:3 199:9 200:7,11	

addressability 84:16 85:2 221:25	191:17 203:13,19 206:14 208:4,6	220:13,19 241:9 242:18 248:17
addressable 84:22,23	212:2 217:17 219:5 229:20 238:11	249:24 250:13 252:14 253:19,22
addressed 230:4 231:5 240:17	240:6,10 255:22 265:3 274:5 294:21	281:14 292:10
addressing 69:16 237:9 251:14	agreed 219:23	amplifiers 66:21 71:19 78:4,5 81:17,
adds 232:3	ahead 61:8,9 70:10 193:25	18 102:12 128:23 129:5 140:18 141:6,
adjustable 231:11 248:14	akin 124:8	12,14 142:7 194:23 198:12,24 199:5
adjusting 293:21	alignment 267:6	239:19 240:23 241:6 249:23 290:15
administrative 5:3	alleged 224:17 296:20	295:16 296:24
admit 202:15	allow 232:6	amplify 145:11
advantage 226:14 231:14 253:25	allowed 67:19 175:7 187:8	amplifying 23:21 128:18 142:19
291:3	allows 216:20	291:3 293:21
advantageous 146:23 230:18	almost 97:16 259:23 280:25	amplitudes 101:4
239:17	along 54:23 273:7 283:4	analog 63:12 97:8 198:14 199:2
advantageously 153:8 187:24	already 5:19 79:8 130:16 158:17	analogous 223:17 224:7
advantages 120:21 153:17 200:10	163:12,13 255:11,18 274:20 275:18	analysis 24:2 100:9 102:7 103:11
221:23 230:24 240:2,24 241:18	296:3,6	105:3 126:4 135:12,21 136:3 148:9
248:16 249:17,19 250:7 252:19	also 8:10 14:10 23:3 29:25 33:15 53:8	151:14,24 169:2,13,16 170:13 234:19
254:14 290:14 291:18	54:4 55:4 56:25 60:13 68:9 73:23 76:3	235:4,15 263:10 264:23 267:16
Aerospace 57:3,8,9,18	85:10 87:19 100:13 128:13 140:9	analyze 135:6 158:21 235:9
affect 99:17 100:5 105:17 106:16	141:13 142:19 155:20 159:23 162:3	analyzed 110:25 145:25
156:15	175:8 187:20 188:20 197:12 203:19	another 28:23 33:25 65:15 85:13
affected 228:9	206:6 207:10 213:9 223:8 224:2 226:3	106:17 110:22 142:9 143:16,21
after 54:22 163:20	231:18 242:12 249:9 250:14 252:3	159:10 162:12,16,21 168:5 187:9,16
aftermarket 15:13	254:10 268:15 269:10,16 271:17	206:20 208:25 211:12 212:10,21
again 10:17 16:4 18:15 19:6 23:24	280:7 286:18 288:2 296:23	215:3 216:4 223:5 237:19 241:10
24:3 30:3 42:25 55:7 56:24 66:7 71:25	altered 67:2	249:14 264:12
75:12 86:23 88:25 89:11 94:3,5 102:6	alternative 229:21	answer 67:23 138:20,21 168:16
103:10 105:2 109:5 110:24 112:5	alternatively 155:16	answered 123:2 228:11 266:14
113:2 115:3 116:15 117:7 123:10	alternatives 226:20 228:16,25 229:3,	answering 5:10
126:13 135:20 136:2 138:12 140:4	16,18	any 5:6 21:5 22:7,11,15,16,24 23:25
156:10 158:19 164:14 168:6,11	although 55:24 67:4 69:25 82:20	25:20 26:19 32:23 35:14 40:2,5,22
169:12 170:21 175:18 176:14 185:22	124:2 245:19 277:15 287:21	41:8 42:3 45:5,10,11 52:17 57:19
187:7 189:7,10 196:7 197:21 198:20	always 101:24 106:24 148:10,11	60:16 62:15,21 77:7 92:15 100:9,11
202:20 204:6 211:16 226:24 231:2	150:9,11,18 169:25 184:4 236:21	101:14 103:5 110:24 111:2,12,13
238:21 250:21 254:11 256:12 262:2	amend 174:12	112:6 122:10 126:3 135:10,14,20
265:2 266:18 277:23 278:11 282:3	among 116:17 201:16 250:9	141:9 157:24 168:9 169:2,3,15,20
293:13	amortized 254:8	172:2,8,25 173:6,7 174:13 179:11
against 248:21 292:12	amount 226:24	182:13 183:18 190:4,12,20 199:21
ago 167:24 168:7 204:4	amplification 130:15	201:14 203:17 209:4 216:7 222:15
agree 4:8 27:20 32:13 34:7,25 77:21	amplifier 78:13,23 81:13,15,19	224:13 227:15 229:2 234:18 235:10
86:8,14,16,24 89:17 119:7 120:3,22	101:24 102:9,18 116:18 118:16	242:24 248:10 257:12 259:11,16
121:13,17 126:9,14 129:9,13 145:5	129:18,23 130:5 142:5,9,12,18,21,22,	279:18 282:10 288:25 297:4
146:5 147:3,17,19,23 148:6,10 158:2	23 145:17,23 146:14 198:18 199:20	anybody 97:5 115:14
159:12 160:17,20 162:14,17 163:13		anymore 253:16
171:13,20 172:7 174:3,8 189:5,18,23		anything 25:7 26:3 92:8 122:9 123:19

213:16 216:3 231:3	89:2,13 90:2,9,10 97:11,17 98:10,18	223:24 227:18 228:21 230:16 234:16
anyway 56:8	99:19 101:4 102:19 104:8 106:11,12	235:12 236:21 238:13 240:8,11,15,16
anywhere 174:20 176:22 189:13	110:19 116:16,21 117:11,24 118:19,	242:11,16 243:2,4 244:24 248:11,14
191:5	24 120:14 121:15 124:23 125:3	249:2 253:9,10,15 256:13 257:3
apart 68:7	126:18 128:23 129:4 130:17 134:2	261:17,21 262:18 265:15,17,20
apologies 294:3	139:19 140:10,14 141:5,6,24 144:4,24	273:14 278:18 280:2,20 281:4,21
apologize 84:10 187:17	146:6,10 150:5,6 154:25 157:20 161:2	282:9,12,16 283:24 284:2,8 286:10
apparatus 221:7 241:24 242:5,7,14	164:12 167:13 169:18 172:12,17	arrays 16:3,9 18:18 55:16 62:8 67:10
243:12 244:6,9,21,25 245:4 246:5	173:10 175:7 179:5,9 183:5 185:22	85:14 139:6 229:6 230:22,25 275:5,22
247:6,19 263:13	187:8,18 190:7 194:23 195:2,4	278:15 290:11
apparent 118:8	196:10,15 197:3 198:10,16 199:5,25	arrived 54:17
apparently 91:3 92:5,25 94:11	201:24 204:14 207:12 210:7,8 211:11	arriving 243:8
126:19 174:4 190:18	212:18,20 214:22,23 219:15 220:2	arrow 72:16,19 193:7 197:18
appear 30:25	221:10 222:17 223:17 224:4,8 225:21	arsenide 227:17,21 228:20 229:2
appears 257:23	227:24 228:4 232:15,19 234:8 235:17,	art 22:2 45:21,25 46:19 55:12 57:23
application 14:2 96:3 108:6,14,15,21	18 236:4 237:21,23 238:2,8 239:21	75:19 76:10,15,21 89:7 97:16 100:12
109:10 110:17 121:4,5,23 122:16,23	241:13 242:5 243:3,13 244:2 245:4	114:14 115:7 125:7 160:2 164:16
123:6,12 124:7,16 126:23 138:25	246:18 247:2,3,4,15 248:6 249:6,8,10,	169:24 171:9 172:12 173:24 177:25
applications 59:8 60:24 61:2,14,22	17,18 250:5,7,15,16,25 251:3,10	179:9 182:22 183:16,24 185:18 187:2
62:13,18 63:5,7 64:14 91:25 107:14	252:9,24 254:7 257:3,18 260:19,20	196:20,22 197:3,13 199:11,13,18
110:19 111:5,10,18 113:16 117:16	261:2 264:22 265:25 267:13 268:2,15	207:16 209:2,9,13 211:5,7 212:13
120:15,18 121:16,20 122:13 233:4	270:9 274:25 275:6 277:21 281:5	220:17 221:18 222:4 223:16,17 224:7
applied 72:12 81:4 293:11	284:4,25 285:14 287:23 290:14	225:11,22 226:10 227:20 232:10
apply 241:22	294:19 295:22	233:23 234:8,22 239:3,12 241:13
applying 206:9 247:25	area 11:3 15:25 128:12 131:7,15	248:23 250:22 251:2 254:5 262:13
appreciate 219:3	132:3 133:12 134:11,17 167:5 279:14	263:2,7,22 264:16 266:3 267:11 268:3
approach 200:19	281:12,16	272:18 273:25 275:9,12 276:11 282:6,
appropriate 66:16 71:16 152:11	aren't 37:15 199:6 251:7 252:21	19 283:3,5 285:3 294:18
222:23	254:9 267:8	art's 210:25
approximate 167:9 169:22	argued 274:14,23	article 49:8 58:6,12,15,20 59:3,24
approximately 114:16 170:3	argument 230:9 264:14	106:12
arbitrary 142:17	arguments 259:13	articles 38:25 53:14 88:6
architecture 70:13 94:22 96:5,8,18	around 46:23 154:10,11 200:12	ask 49:15 50:14 56:4,7 65:5 116:7
97:25	267:17	278:3
architectures 95:23	arrange 159:9,10 182:17	asked 225:15 266:14 277:22 292:14
archival 55:20	arranged 30:10 271:20	asking 38:3 125:17 205:22 238:21
are 6:25 8:7 13:4 24:4,21 25:4 26:10,	arrangement 30:12 171:15 272:22	247:5 258:23 264:11
16,19 27:24 30:10 31:4,9,10,18 34:15	arrangements 171:23 256:8 265:7	aspects 158:6 174:13 257:18
35:14 38:25 39:3 40:8 43:21,22 48:15	267:8	asset 63:4 91:24
49:16 55:20,21 57:4 60:5 61:3 62:19	array 17:23 18:3 19:3 20:13,16,17	associated 55:22 90:12,15 200:15
68:6 69:15,24 70:19,23 71:22,23	29:12 31:21,23 32:14 33:5,14,16 34:5	204:23,24 205:4 275:5,23
72:12 74:18 75:13 76:2,4,16 78:5,14	35:2,3,25 36:3,19,22 37:8,10 41:20	assume 5:15,17 15:9 18:7 31:13
81:8,17 82:19 83:21 84:7 87:14,15	42:24 44:19 45:11 72:13 73:19,21,22	61:22 62:6,11 102:25 104:23 238:22
	84:21 85:5,16 86:5,19 88:8 90:24	assuming 9:15 62:17 167:16
	93:19 95:10 96:23 105:7,23 118:8,9	assumption 173:20
	125:11,13,25 126:10,15,18 128:7	assumptions 62:20
	134:24,25 135:16,17 140:10 142:17	
	150:8 192:18 197:6,11 203:20,21	
	205:16,24 207:23 208:7,21 213:21	
	214:20 215:10 220:10,23 221:3	

astronomical 123:13	became 14:2	261:12 262:9 267:21 268:18 270:7 277:8,13,18 278:8,11 280:8 281:25 282:4 283:10,11 289:25 292:18 293:8
astronomy 121:7 122:14 123:13,22	because 5:19 16:2 31:14 33:23 45:6 63:4 69:20,21 81:12 84:15 87:2 88:17 90:13 91:5,24 92:18 96:14 98:9 112:7 132:12 134:4,12 135:11 136:2 138:20 145:22,23 155:10 168:2 177:7 180:21 182:25 201:13 202:2 203:11 211:9 218:8 222:9 223:5 229:8 234:19 239:13 251:5,17 259:13 273:11 283:12 286:7	believed 191:11
attachments 15:13		below 99:23 100:24 106:9 142:8
attend 54:3		bend 252:5
attended 53:8		benefit 85:13 88:21 251:21
attendees 54:16		besides 106:11 161:11
attention 18:23		best 102:14 122:17
augment 275:15	become 90:6 238:4	better 120:5 122:4 166:18 177:19
augmented 265:24	becomes 97:18 104:15	between 5:8 24:11 34:16 44:3,15 47:7 77:6 92:17 119:10,12,16 122:10 129:15 133:17 202:22 273:8 275:7 276:6,20 278:16 281:18
availability 102:17	becoming 19:11	Beyond 45:4
available 19:7,14 26:24 36:13 43:25 47:8 48:24 52:8 67:18 183:8 187:14 199:12 229:7 232:5	been 4:18 24:6 37:21 38:7,8,18,19 47:8 50:7 52:7,8 55:16 59:9 67:22 77:20 81:24 87:25 98:11 115:21 124:9 133:22 135:7 137:5 155:8 189:9 217:16 237:3 258:20,21 259:23 266:15 293:16	bias 32:11 33:23
average 39:9 68:17 96:14,16 135:15, 21 136:2	before 9:13 14:2 38:25 54:15 81:23 82:3 99:13 113:13 125:2 148:17 149:8 175:25 180:9 186:14 225:15 238:9 274:7 297:11	biassing 204:11
aware 15:23 16:9 18:24,25 35:14 56:22 87:21 125:7 211:8 221:14 264:15 277:23	beforehand 50:16	big 106:7 113:18 274:2,3
away 45:25 170:7	beginning 60:22 64:12 71:13 151:17 192:15 205:9 207:6 234:3 262:8	bigger 44:12 152:22 242:15 280:23
<hr/>		
B		
<hr/>		
back 17:6 35:20 48:6 49:7 58:5 59:23 64:9 81:5 84:9 87:22 103:13 117:3 136:9 144:14,15 145:6 152:4,6 166:4 181:16 236:15 261:9 268:4 269:20 274:4	begins 58:23 66:14	bin 290:9
background 5:17 9:11 16:5 63:14 291:15	behalf 4:11,14	binning 64:17,23 65:5,8,13,14,18 66:7 67:5,8,24 68:18 252:21
bad 92:8 107:13 108:5,9,12 112:11,17 256:21	Behavior 103:15	bit 5:4 11:12 24:9 100:25 117:22 129:8 192:3,6 224:24 242:15 280:23 283:16
Ball 57:2,7,9,17,20	behind 122:10 271:9,19	blank 209:24 210:5,6 211:15
band-pass 97:9,12,15	being 19:8 36:9 40:17 44:7 58:19 79:19 81:3 95:4 96:23 113:14 114:21 118:3 140:2 143:21 144:13 184:5 189:20 228:5 272:5 282:20 288:14	block 154:3,9 160:21 161:5 172:2,3 192:25 200:2 209:6 213:6,8,10,15 215:21 216:17 233:21 234:10 247:17 256:6 260:22
barrier 226:16	Belgium 59:19	blocks 199:21 209:12 234:25 243:7 244:23 245:3,8 256:16 262:6
base 227:17	believe 6:9 8:5 9:25 14:6 21:8 22:21 27:23 29:10 30:19 44:8 45:24 49:9 50:17 64:10 68:12 69:3 78:18 79:14 83:25 87:8 89:3,7 99:11 113:21 115:3 116:19 123:2,16,20 129:5 130:2,16 140:17 142:2 150:9 151:8,25 154:8 155:19 156:19 165:24 169:24 171:4 172:11 173:23 176:21 177:5 180:7 181:16 182:2 185:19 189:8 190:11 191:23 196:25 197:6 201:23 202:11 207:4,15 208:13 209:9 210:22 214:3 218:3,19 224:25 225:7,22 228:11,13 236:20 238:14 241:15 242:16 243:19	board 20:25 21:3,10,14,17,25 22:4,6, 12,17 23:7,8,10,18 24:14 25:24 29:10, 18,21,22 30:2,8,9,10,14,21,22 31:10, 14 79:25 149:17,18,25 150:3 151:11, 20,22 152:8,24 153:6,7,8,20 154:14 155:17 156:3,5,14,21 157:14,15,16,17 158:4,5,18,19,24 159:9,15,20 160:8, 20,24 161:3,11 162:7,8,15,20,22 163:5,18,20 164:4,5,11,20 165:4,8 166:6,8,10,22 168:10 169:5 170:7,17, 19 171:17 175:14 176:19 177:11,14 178:19 180:16,21 181:3,10,15,21 182:7,18,19 183:8 184:14 186:21 187:5,6,9,22,24 191:15,18 192:2

210:24 224:25 225:5,12 244:3,12,13 245:21 256:19 268:6,10,12,15,23,24 269:7,8,19,24 270:2,18,19,23,24 271:4,5,9,10,18,19 273:10,19,22 275:3 276:6,19 278:9,14,25 285:6,8,9 286:3,15,17,18 288:16	built 95:25 97:14 133:6 284:8 bus 197:18	239:13 242:14 245:2 250:13 251:19 252:6 253:4,10,14 271:22 279:15 281:15 288:18,20 289:8 297:7
boards 12:4 22:20,24 156:7,9 164:18 169:18,19 170:15 171:24 179:12 180:22 186:24 210:18 234:5 256:2,7 258:12 259:18 263:19,21 265:6 266:8 267:5,9 272:20 273:18 274:3 275:5,23 276:22 277:3,24 278:3 285:22	<hr/> C <hr/>	can't 17:22 18:2 85:19 99:2 122:10 131:22 132:11 147:22 206:23 208:17 229:12 231:2 240:20 252:22 253:2,8
bonded 20:24	C-I-V-I-S 60:2	cannot 172:19 174:22 176:22 187:6 223:12 253:19
border 127:23	cadmium 229:6	canvas 209:25 210:5,6 211:15
both 16:10 18:18 34:14 47:24 48:17 49:3,10 70:19 119:17 124:23 151:25 154:13 155:13 160:14 172:20 186:2 213:12 221:14 222:9,10 223:5,9,12 225:7 232:18 237:23 238:5 239:2,21, 23 249:15 250:19 251:13 253:3 263:12,15 290:6	calculate 181:4	capable 179:9 197:8 212:13
bottom 11:11 60:3,14 71:4 78:17 139:14	calculation 41:9	capacitance 101:23 102:8,17,23,24
bought 18:21 19:4	calculations 40:22	capacitor 210:23
box 13:2,11 118:16,20 191:25 193:5,6 245:9,19 246:19,25 247:9	calibrate 143:14,22	capacitors 66:22 71:20 78:12,22,23, 24,25 157:24
boxes 12:25 13:10 31:18 191:21	calibration 143:17	capacity 95:9
bracketed 13:16	call 90:4 114:3 124:14 125:12,17 206:10 254:23 255:24 265:21	Caplan 4:10,11,21 5:15,24,25 6:23 7:6,15 8:16,23 9:22 47:22 48:4,11 49:19,24 50:2,5,12 58:10 61:6 98:14, 17 109:6 116:5 136:5,13 137:8 139:12 141:2 148:22 149:5 168:13 179:20,24 180:2 186:18 192:10 209:22 216:24 217:5,7 259:22 260:2 288:18,23 289:24 290:4 291:22 297:4
brackets 13:11	called 53:11 55:20 69:20 78:8 117:25 134:6 176:11 205:13 295:19	capturing 62:9
brand 15:12	calls 80:12 113:25 150:14,20 215:23 236:17 243:6	Carbone 56:16
break 5:6,7 48:5 49:23 98:15 136:6 179:21 180:10 217:2 259:23 288:19	camera 10:25 11:19,25 12:5 14:12,17 18:10,20 19:4 22:8 31:12 37:18 42:17, 21 43:18 44:6,23 64:15 108:24 109:9, 25 110:6 111:19,24 112:5,8 120:4,6,9, 11,13,17 121:5,10,15,19,22 123:6,11 124:7,14 137:23,24 138:8 139:20 140:6,24 146:24 149:17 153:9 156:23 157:5,9 161:22,23 169:9 170:23 173:25 176:5,12 184:4 185:2,3,9 187:25 188:3,12,22 189:25 202:24 203:5 215:21 218:13,19,21 219:11 221:7 232:7 236:16,18 245:10,20,22 246:25 247:2 255:24 257:11,24 258:5, 22,24 259:7,8 277:17	care 108:10
brigade 36:20	camera-on-a-chip 87:22,24 88:6 187:11,13	careful 252:17 269:2
broad 14:20 26:22 62:4,6,19 96:9 162:2 219:8 220:16 245:25	cameras 14:21 15:2,7,11,18,22 17:5, 7,10,23 18:3 109:17 123:21 135:9 221:19 223:19 224:6	carefully 103:3
broad-based 25:22	can 5:12 8:13,25 10:17 11:17 17:24 21:21 22:3,10,23 26:11 28:17 37:2,13 49:20,22 50:6 51:14 58:11 64:15 65:8, 11 66:4,7,25 67:11 88:19 90:19 92:16 94:23 97:6 98:14 101:10 103:22 105:16,18 106:16 120:21 122:12 123:12 126:20 128:13 138:16 141:20 143:5 146:4,23 147:7,16 149:10,15 153:15 157:17 162:11 171:2 172:9,24 178:17 179:7,11 180:5 181:11 182:2 202:19 206:24 208:15 232:13 234:20	cares 37:24
broadly 18:16 25:4 26:15 29:7 51:22 61:17 67:6 85:7 184:13 244:20 245:17 246:23 257:7 265:22		carriers 106:23,25
broken 186:9		case 46:13 105:22 131:11 133:12 134:22 159:25 163:6 173:14 176:10 210:12,14 238:8 242:24
bucket 36:20		cases 18:16 61:19 129:25 211:6
build 72:24 87:3 119:17,21 133:9 209:7 233:25 244:20 256:20 263:20 265:25 267:12 281:11 282:23		category 26:16 115:8 207:17 229:3 282:17
building 16:8 97:6		caused 92:8 117:18
		causes 204:12
		causing 93:7
		CCD 17:22 31:21,23 32:5,13,17 34:13, 16,25 35:11,22,25 36:10,19,22,25 37:7 39:7,10,12 41:19 46:5 107:12 108:4,12 112:12,19,22 113:5 120:20 121:8 122:3,16 125:11,18 138:18,21

208:6 228:19,25 234:16,20 235:6,12,
17 276:23 278:2

CCD-BASED 120:4,9,13 121:15
229:18

CCDS 19:10 34:22 36:13 39:3 113:13
119:24 208:13 235:17

Collect 4:5,12

centimeter 277:7

certain 38:25 70:16 120:20 133:8
162:19 165:18 172:5 186:7,12 206:8
213:6 233:21 239:5,16,17 240:22,24
242:13 243:6 244:22 251:25 263:18
266:10

certainly 6:16 8:8 11:3 15:23,24
19:10 22:8 33:14 43:4 55:6 57:7 64:4
82:19 86:11 88:2 97:10,16 98:8 99:2,
3,21 106:18 111:11 115:8 118:14,17,
18,23 120:19 121:6 126:2 130:15
140:22 176:21 178:12 201:11 203:7,9
210:4,19 217:2 218:7 219:7 221:10
228:20 232:13 233:11 234:18 237:5
243:18 245:18 254:20,23 265:9 269:8
277:4 279:6 283:12 286:3 288:9 296:5

chair 56:13

chance 5:11

change 71:24 159:14 163:21 167:21
174:12 208:19 212:24 229:25 254:25
255:9 257:12 258:7,16 259:2,16
266:21 267:14

changed 5:17 43:4 54:19 225:13
255:4 266:19

changes 66:2 102:25 158:21 255:3
266:10

changing 255:9

channel 33:23,24 119:18,21,22

characteristic 239:9

characteristics 59:15 105:14 117:19
239:5,7 249:6 263:19 267:3 294:22

characterization 258:2

characterize 18:13 246:14 292:6

characterized 29:19 34:11 206:16
258:19

characterizing 265:3

charge 36:21 66:20 71:17 145:12,16
291:4,7,9

charges 64:18,23 68:10 105:16
106:16

check 236:15

chip 11:23 12:12 20:4,12,24,25 21:2,
9,13,16 23:7,20 25:9 29:11,23 30:8
32:21,23 34:18,23 38:21 39:8 40:17,
25 41:6,15,18 42:9 43:11 44:4,11,14
45:19 46:24 73:10 77:23 79:5 81:4
84:18 85:15 86:4 89:19 94:24 96:21,
24 97:20,21 98:6,13 119:19 154:14
165:5 168:3 244:6 254:23 273:2
275:22 276:4 277:4,19 278:9 282:24
283:14

chips 12:4 89:22 134:8 140:16 254:15
274:2 277:6 278:5,7

choice 21:20 22:21 23:2 27:15 85:25
87:9 89:12 90:7 94:4 97:18 122:4,17
133:9 153:19 154:17 156:11 158:20
159:3,11,13 162:13 164:15 178:21
186:5 187:17 227:16 230:16 232:9,15
256:12 265:14 267:4

choices 24:4,5,7 87:6 96:19 132:14,
16 155:14 172:13,17,21 179:6,10
185:23 186:2 197:24,25 199:19,22,23
210:15 212:19 227:24 228:18 232:4,
18 234:5,10 250:17

choose 85:23,24 87:11 90:11,14
95:15 178:22,24

chooses 132:6

chose 155:3 172:21

chosen 84:15 156:8

CID 56:18,21

circuit 22:12 23:8,10,18,19,20 24:14,
18,19 25:14,23,24 26:3 27:4,12,16,18,
19 28:19,20 29:18,21 30:9 31:24
32:14 33:6 34:4 38:5 73:3 74:2,6,16
75:11,14 79:15,25 82:21 83:11 93:16
116:12 128:18 139:17 142:20 149:17,
18,25 150:3 151:20,21 152:8 153:6,7,
8 154:14 156:7,21 160:7 161:3,22,24,
25 165:8 166:21 169:9 170:23,24
171:16,17 174:25 175:9,11 176:7,13,
17 181:10,21,22,23,24 182:7 184:14
187:2,23 191:15,17,21 192:2 196:18
197:24,25 199:18 200:2,6 201:7,19
202:8,14,17 203:2,6,7,14,17,22
205:17,20,23 206:24 209:7 210:18
212:18 213:13,14 216:18 234:5 243:9
244:3,12,13 245:21 247:2,9 255:12,
16,19 256:18,24 258:11 260:22 261:8

263:19,21 265:12,13 266:2,8 267:5,9
268:6,10,12,22,24 269:7,8,18,24
270:2,18,19,23,24 271:4,5,8,9,18,19
272:20 273:10,18,19,21 274:3 275:2,
5,23 276:5,19 285:6,7,9,22 286:3,14,
17 288:16 293:21 294:17 295:4,18
296:14

circuitries 24:22 143:6

circuitry 21:12,16 22:22 24:23 25:5,
8,18,23 26:13,17 27:11 28:10 29:4,6
30:21 31:20 32:23 34:11 72:7,9 74:10,
13 77:22,25 79:5 80:2,8 84:6 86:18
87:4,18 94:2 95:15 96:22 125:24
126:24 128:5,6,22 129:2 140:10,17,20
141:10,18 143:3 144:2,9 147:12
151:4,12,22 152:8 153:2,6,22 154:8
155:17,21 156:3,14 157:14,18 158:4,
11,14,16,23 159:4,15 160:5 161:6,13
162:12,15,19,21 163:18,20 164:4,7
166:6,8 169:8 170:15,18 171:7,25
172:3,15 173:16 175:5,19 176:18
177:7,10 178:19 179:4 180:16 181:14
182:8,14 183:9 184:24 185:24 186:22
187:16,22 190:14 191:3,13,19,24
192:18,19,22,23 193:9,17,19,23
194:3,4,8,9,11,13,19 195:3,8,11 196:8
197:14 198:6 199:3,14 200:16,21,22
201:2 204:24,25 205:3,4,8,14 206:5,
11,12,16,18,22 207:14,18,21 208:11,
14,15 209:6,11,15 216:15,16 225:4
232:6 242:13 243:5,7 255:10 256:2,15
260:7,13 261:4,22 262:7,15,19 263:3
267:12 268:13 269:15,23 270:13
285:10 286:6,12,13,21,25 287:5,21
288:8,13 292:16 293:3,12 294:6,23
295:9 296:7

circuits 15:6 22:4,5,11 27:22 28:2,16,
22,25 29:22 69:24 76:4,19 127:22
128:13,17 139:19 140:14 141:4 144:4
154:3 175:11,13 196:15 197:3,23
200:4 202:4 203:10 207:25 208:8
257:4 260:17,18 287:18 294:20,25

circumstances 120:20 147:19,20
148:5,6 239:17,20 240:23

citation 182:2 203:6

cite 188:9 192:17 291:10

CIVIS 59:4,25 60:5

claim 9:9,10 10:15 12:3 21:7 43:13
44:3 74:9,12 77:13 100:11 111:13
112:8 113:8 114:20,23 115:2,5 135:4
151:9 165:18 186:7,8,12 236:2
267:15,16 271:11,12 272:22 273:4

<p>274:8,19 283:18</p> <p>claims 7:22 8:4 42:2 110:25 151:2,3,4 177:22,23 185:8 186:15,17 211:7 251:12 271:12</p> <p>clarification 140:25 209:21 229:10</p> <p>clarity 110:12</p> <p>class 133:24</p> <p>classes 243:7</p> <p>classic 73:4</p> <p>classification 220:16</p> <p>classified 15:16</p> <p>classify 203:11</p> <p>clear 61:11 70:2 92:3 133:14 165:15 184:3,8 268:2 274:12 284:14</p> <p>clearly 44:25 78:10 79:3 80:24 93:11 139:4 196:8 210:5 214:4 221:8 233:7 271:14 275:4,25 287:15 295:22</p> <p>clock 200:15 204:23 205:4,7,15,20 206:5,13,22</p> <p>clock's 204:24 205:7</p> <p>clocked 204:22</p> <p>clocking 66:24</p> <p>closely 94:20 131:7</p> <p>closer 280:24</p> <p>CMOS 18:3 19:11 32:5 33:5,10,14,16, 17,22 34:5,12,16,20 35:3 36:2,8,14 37:10 39:3,8,23 41:20 46:4 59:12,14 63:12 73:2,8,19 82:22,25 83:8,14 84:14,21 85:5,9,13 86:4,18 87:2,3 88:7,18 89:6,20 95:8,14 96:23 98:9 105:6,22 113:5,15 118:3 119:6,11,17, 24 120:11 124:4 125:12,18 126:10,15, 18 129:17 133:22 134:23,24 135:15, 16 138:23 139:5 203:21 205:16,24 207:23 208:20 213:21 214:2 221:3,10 223:18 224:9,12,13 226:14,18 227:12, 17 228:15,16 229:4,15 230:22,25 235:17 238:13 240:7,11,15,16 248:25 250:23 254:4,11,12 261:21 278:4,7 279:19 280:2,8 281:21 282:9,12,16 283:24 284:3,8,9,11 286:10 291:16</p> <p>CMOS-BASED 120:6</p> <p>co-funded 60:8</p> <p>co-integration 84:17 85:14,22</p>	<p>Coast 136:7</p> <p>colleagues 55:3</p> <p>collect 65:16 66:3,6 68:13,16 69:22</p> <p>collected 145:12 291:4</p> <p>collecting 65:25 67:4</p> <p>collection 234:9 240:2 264:5</p> <p>collections 238:6,7 264:9</p> <p>color 181:10</p> <p>colors 181:9</p> <p>column 12:12 23:12,17 24:11 25:15 31:8 37:6 66:21 71:19 81:16,18 84:25 102:12 128:20 141:11 142:18,21,23 143:9 144:12 150:16 154:11 161:20 169:8 175:20,25 181:19 182:3 189:9 194:20 195:16,21,22,23 198:16,19,22 200:12 202:7,21 204:8 207:5 260:20, 25 261:3 277:21 295:15</p> <p>columns 103:22,23</p> <p>combination 9:8 10:9 165:20 210:9 214:3 223:8 229:23 230:12,20 231:17 235:10 237:9,11,12,17,19,24,25 238:12,19 239:8 241:14 248:4 251:6, 22 253:17,23 254:2 262:12 263:11 264:22 266:23 272:18 274:20 275:15</p> <p>combinations 200:2 222:18 223:7 234:7 237:23 239:21,23 249:9,10 251:7,17</p> <p>combine 135:7 212:15 217:12 220:22 222:23 237:2,13,18 238:16 240:14 251:19 253:8 264:4,8 266:4 272:16 290:17</p> <p>combined 165:13 213:3</p> <p>combining 222:8,14 227:5 228:8 230:5 231:25 238:10 239:3,5 248:6 261:19 262:24</p> <p>come 48:6 64:7 81:5 136:9 165:7 168:5</p> <p>comes 5:8</p> <p>coming 80:6</p> <p>commercial 52:6 67:9,13</p> <p>commercially 19:7,13 67:18</p> <p>Commission 60:9</p> <p>committee 53:19 56:12,14,16 57:2</p>	<p>committees 53:20</p> <p>commodity 90:10</p> <p>common 53:5 54:13 68:6 106:19 128:19 140:16 194:23 226:21 229:14</p> <p>community 63:16</p> <p>compact 10:25 11:19 14:12 43:17 78:22 188:13 189:20 190:2 232:7</p> <p>company 56:22 57:22</p> <p>comparable 278:10</p> <p>compared 107:12 108:4 112:12 119:24 120:11 130:18 230:15</p> <p>comparison 92:17 291:17 295:25</p> <p>compatible 89:6</p> <p>compensation 296:24</p> <p>complete 67:23 143:2 203:6 255:18 256:11 265:25</p> <p>completely 103:24 132:8 184:18</p> <p>complexity 68:3</p> <p>complicated 148:4</p> <p>component 20:9 79:2 90:4,16 98:3 129:22,25 160:15 161:4 168:9 169:3 172:25 173:13 176:18 198:11 208:24 211:20 250:2 252:16 254:19 256:19</p> <p>component-by-component 189:12</p> <p>components 19:22 23:6 31:2 44:10 86:4,18 88:19 89:2,4,14,19 90:3,9 97:17 117:20 127:22 128:12,17 130:4, 7,17,21,23 132:17,20 154:13 157:25 160:6 161:2 162:4,19,21 163:2,5 164:4 166:15,25 167:13 169:18 170:15,19 173:8,10 179:12 182:8,14, 17,18,25 196:10 198:5 232:5 241:7 245:3 246:8,24 250:15 258:13</p> <p>composed 188:22 189:21</p> <p>composing 117:20</p> <p>comprise 128:17</p> <p>comprises 127:20</p> <p>comprising 130:5</p> <p>computer 137:25 138:14</p> <p>computer-integrated 60:4</p> <p>concentrating 17:2</p> <p>concerned 13:8 92:3 241:4 292:8</p>
---	--	--

concerning 135:21	275:1 276:1 277:1 278:1 279:1 280:1	contemplated 109:10 215:15
conclusion 107:8,21,25 112:10	281:1 282:1 283:1 284:1 285:1 286:1	contemplates 215:8
conditions 103:16 147:10	287:1 288:1 289:1 290:1 291:1 292:1	content 53:23
conduct 112:6 113:11	293:1 294:1 295:1 296:1 297:1	contents 10:2,14 11:8
conference 51:22 52:24 53:17 54:4, 9,14,16,24 56:12,13	configuration 153:12	context 27:2 65:6 77:12 102:4 109:14 110:14 138:21 159:2
conferences 51:21 53:2 55:23 56:3	configurations 68:6 292:10	continuation 63:9
conferences' 53:3	configured 145:10	CONTINUED 137:7
confident 177:2	confirm 268:21	continuing 128:25
CONFIDENTIAL 4:1 5:1 6:1 7:1 8:1 9:1 10:1 11:1 12:1 13:1 14:1 15:1 16:1 17:1 18:1 19:1 20:1 21:1 22:1 23:1 24:1 25:1 26:1 27:1 28:1 29:1 30:1 31:1 32:1 33:1 34:1 35:1 36:1 37:1 38:1 39:1 40:1 41:1 42:1 43:1 44:1 45:1 46:1 47:1 48:1 49:1 50:1 51:1 52:1 53:1 54:1 55:1 56:1 57:1 58:1 59:1 60:1 61:1 62:1 63:1 64:1 65:1 66:1 67:1 68:1 69:1 70:1 71:1 72:1 73:1 74:1 75:1 76:1 77:1 78:1 79:1 80:1 81:1 82:1 83:1 84:1 85:1 86:1 87:1 88:1 89:1 90:1 91:1 92:1 93:1 94:1 95:1 96:1 97:1 98:1 99:1 100:1 101:1 102:1 103:1 104:1 105:1 106:1 107:1 108:1 109:1 110:1 111:1 112:1 113:1 114:1 115:1 116:1 117:1 118:1 119:1 120:1 121:1 122:1 123:1 124:1 125:1 126:1 127:1 128:1 129:1 130:1 131:1 132:1 133:1 134:1 135:1 136:1 137:1 138:1 139:1 140:1 141:1 142:1 143:1 144:1 145:1 146:1 147:1 148:1 149:1 150:1 151:1 152:1 153:1 154:1 155:1 156:1 157:1 158:1 159:1 160:1 161:1 162:1 163:1 164:1 165:1 166:1 167:1 168:1 169:1 170:1 171:1 172:1 173:1 174:1 175:1 176:1 177:1 178:1 179:1 180:1 181:1 182:1 183:1 184:1 185:1 186:1 187:1 188:1 189:1 190:1 191:1 192:1 193:1 194:1 195:1 196:1 197:1 198:1 199:1 200:1 201:1 202:1 203:1 204:1 205:1 206:1 207:1 208:1 209:1 210:1 211:1 212:1 213:1 214:1 215:1 216:1 217:1 218:1 219:1 220:1 221:1 222:1 223:1 224:1 225:1 226:1 227:1 228:1 229:1 230:1 231:1 232:1 233:1 234:1 235:1 236:1 237:1 238:1 239:1 240:1 241:1 242:1 243:1 244:1 245:1 246:1 247:1 248:1 249:1 250:1 251:1 252:1 253:1 254:1 255:1 256:1 257:1 258:1 259:1 260:1 261:1 262:1 263:1 264:1 265:1 266:1 267:1 268:1 269:1 270:1 271:1 272:1 273:1 274:1	connect 31:15 98:5 206:7	contractors 57:11
	connected 31:10 56:2 144:11 145:18,24 207:10	contrast 83:19
	connecting 20:25 21:3,10,14,17 30:8 256:8	contribute 241:8
	connection 7:21 9:4 44:18 46:13 70:7 133:18	control 25:2 26:12 27:19 28:3,6,13, 18,23 29:8 31:12 69:18 70:7,16 71:7, 22 72:10,12,22 74:10,12,21 80:2,8 82:13,15 84:4,5 93:23,25 125:24 126:4,7,24 128:6,15 157:19 192:18, 21,25 193:7,16 194:3,7,25 195:2 196:9 197:14,18 198:5 199:13 213:11 242:21,23 260:7,12 261:4,11,22 264:2 285:10 286:7,8,13,21,25 287:5,20 293:3,13 294:5,15,22 295:5,9,15,18 296:8,13 297:3
	connector 30:2,9,14,22 31:9,14	controller 68:4 69:4,8,10,19,20 73:24 74:9,14,24 75:5,15,25 76:9 79:9,19 80:12,24 82:16 84:7 90:22 92:4,18 93:5,6,12,14,18 94:10 96:6 99:7,16,21 100:4 196:11,17 198:2 199:4,20 231:20 286:8 287:12
	connects 273:17,18	controlling 66:24
	cons 92:14 147:16 241:14 250:6,16	controls 262:21
	consequence 91:4	conversion 89:5 152:18,25 153:22 154:8,18 156:3,14 158:4,14 160:10, 19,23 161:12 163:18 166:8 171:6 172:14 173:15 175:5 177:9 180:16 184:23 185:24 186:22 187:15 190:13 191:3,13,19,24 215:19 268:14 269:15 270:13
	consider 155:8 171:9 177:4,6 178:2 206:18	convert 20:15 151:5,23 215:24 269:16
	considered 9:7 42:3 43:5 52:5,22 81:12 110:23 168:2,18 170:11 191:9	converted 176:9 203:4
	considering 169:11 173:14	converting 225:4
	considers 123:17	converts 236:12 254:21
	consisting 143:16 281:14	cooled 122:16,18
	constitutes 245:10	copy 54:17 115:23 234:2
	constraint 26:5 27:3	
	constraints 190:10	
	construction 115:5	
	consumer 42:17,20 108:24 109:9,17, 24 110:6 111:18,24 112:4,7 218:12, 19,21,23 219:11,19 255:24 257:23 258:5,22,24 259:7,8	
	consumption 36:10	
	contain 54:5 103:22 269:15	
	contained 209:11 245:9	
	containing 139:18 247:9	
	contains 30:25 184:13 220:19 254:20,24	

correct 8:6 10:11,16 15:20 19:23
20:19 29:16 30:12 33:9,19 36:23
50:24 58:17 71:8 73:14,15 74:4 80:8,
15 82:10 83:22 85:5 112:20 114:22
125:6,19 129:11 141:25 142:6 148:13
153:3,23 167:15 173:5 180:18 196:23
201:19 212:6 216:11 222:16 223:25
224:11 229:19 235:7 237:15 240:9,13
246:11 247:10,21 248:2 254:19 255:5
256:3 260:14 266:11,12 268:25 270:4,
25 271:10 274:11 276:17 277:14
279:5 281:5 283:6 287:2,5,6,9,10
293:5 295:7 296:21

correctly 59:22

correspond 79:3 273:9

correspondence 278:16

corresponds 79:24

cost 89:18 90:10 134:4,11,14,15,22
135:4,5,11 138:18 156:15 226:15,19,
25 227:7,19 228:9,15,17 254:14
259:13

costs 88:21 90:12,15 131:6 133:4,17
134:2 135:13 226:12 228:23 229:16
254:7

could 7:25 24:6 26:3,12 27:13,22
33:2,18 35:12 40:24 41:4,16,17 45:2,
10,22 46:2,3,4,5,7,24 47:4 69:25 76:4,
16,21 77:4,7 86:23 89:18 94:5 96:3
98:4 111:22,23 126:12 130:19 132:10
137:11 144:14 147:14 155:12 156:3,8
157:18,23,24 161:8 168:5,9 169:14
170:7 173:24 174:4 175:4 176:15,16,
18 183:16 185:21 187:4,19 191:8
196:16 197:4,6,21 206:15 209:9 218:5
223:2 225:2,5,16 227:14 230:21
239:12,19 240:24 241:7 254:11 257:5
264:8 271:3 273:21 275:10 281:10
282:23

couldn't 35:10 52:18 57:25 77:19
156:4 169:5 177:19 209:16

counsel 4:8 5:11 266:15

count 42:25 90:4 131:7 133:5 134:7,8
278:22 279:3 286:9

counting 41:7

country 55:4

counts 250:2 252:16

couple 5:2 6:21 28:11 49:6,15 60:21
78:24 145:8 289:4

coupled 78:24 269:7 286:10

course 16:15 140:16 148:19 176:14

court 4:2,15 5:12 186:11

cover 50:18 133:24

covered 5:19 8:4,8 55:8 268:19

covers 271:15

creativity 160:3 174:24 264:17

critical 59:7 64:13 122:15

cross-section 166:17

cross-sectional 167:9

culled 181:18

current 32:10 143:12,13,17 177:11
292:14

cut 109:4

cutting 52:6

D

D-I-E-R-I-C-K-X 115:16

data 36:23 65:16,17,25 66:3 67:4,20
68:14 69:17,23 70:20 91:14,19 93:3
94:7 95:12 109:20

Davis 4:13,14 5:22 9:6 10:21 12:10
14:18 16:14 18:12 19:5 20:10 21:4,18
22:13 23:11 25:16 26:7 27:7 28:5 29:2
30:16 32:2,16 33:8,20 34:6,17 35:5
36:24 37:23 38:11,22 39:11,25 41:13,
23 42:10,22 44:21 45:14 46:15 49:21,
25 57:24 58:21 61:5,15 62:23 65:7
69:12 70:9 72:4 74:7,25 75:22 76:12,
23 77:10 79:13 80:16 82:11 83:3,23
85:6,17 86:6,21 88:9,23 89:23 90:25
92:23 93:20 96:25 97:22 100:7 103:8
105:10 106:3 108:17 109:4,12 111:6,
20 112:14,23 113:7 114:11,18 116:11
120:7,24 122:25 123:8,23 124:10,18
125:14 127:3 128:8 129:12 130:11
131:3,12 132:25 133:20 135:2,18
138:10 139:7 147:25 148:20 149:20
158:8 159:18 161:14 162:9,24 164:22
166:11 168:12,24 169:6 170:9,20
171:21 173:4,12 174:16 177:16 178:8,
20 179:14 182:11 183:13 185:12
187:12 196:24 197:15 198:7 199:15
200:8 203:23 205:25 207:2 208:2,9,22
210:3,11 211:22 212:7 213:4 214:18
216:12 217:24 218:14 219:6 225:14

227:10 230:7 231:7 232:25 233:16
234:17 235:13 236:9 243:16 244:15
245:6 246:12 247:11 249:22 251:24
253:6,11 255:6 256:4 257:14 258:9,15
259:3 261:5,24 263:16 264:24 266:13,
24 270:5 278:19 279:22 281:23
285:17 289:4,7 291:19,25 292:5
293:6,24 294:9 295:11 296:9,22 297:7

day 118:6 196:14 297:12

dealing 95:17

Dean 4:1,17 5:1 6:1 7:1 8:1 9:1,20
10:1 11:1 12:1 13:1 14:1 15:1 16:1
17:1 18:1 19:1 20:1 21:1 22:1 23:1
24:1 25:1 26:1 27:1 28:1 29:1 30:1
31:1 32:1 33:1 34:1 35:1 36:1 37:1
38:1 39:1 40:1 41:1 42:1 43:1 44:1
45:1 46:1 47:1 48:1 49:1 50:1 51:1
52:1 53:1 54:1 55:1 56:1 57:1 58:1
59:1 60:1 61:1 62:1 63:1 64:1 65:1
66:1 67:1 68:1 69:1 70:1 71:1 72:1
73:1 74:1 75:1 76:1 77:1 78:1 79:1
80:1 81:1 82:1 83:1 84:1 85:1 86:1
87:1 88:1 89:1 90:1 91:1 92:1 93:1
94:1 95:1 96:1 97:1 98:1 99:1 100:1
101:1 102:1 103:1 104:1 105:1 106:1
107:1 108:1 109:1 110:1 111:1 112:1
113:1 114:1 115:1 116:1 117:1 118:1
119:1 120:1 121:1 122:1 123:1 124:1
125:1 126:1 127:1 128:1 129:1 130:1
131:1 132:1 133:1 134:1 135:1 136:1
137:1,4 138:1 139:1 140:1 141:1
142:1 143:1 144:1 145:1 146:1 147:1
148:1 149:1 150:1 151:1 152:1 153:1
154:1 155:1 156:1 157:1 158:1 159:1
160:1 161:1 162:1 163:1 164:1 165:1
166:1 167:1 168:1 169:1 170:1 171:1
172:1 173:1 174:1 175:1 176:1 177:1
178:1 179:1 180:1 181:1 182:1 183:1
184:1 185:1 186:1 187:1 188:1 189:1
190:1 191:1 192:1 193:1 194:1 195:1
196:1 197:1 198:1 199:1 200:1 201:1
202:1 203:1 204:1 205:1 206:1 207:1
208:1 209:1 210:1 211:1 212:1 213:1
214:1 215:1 216:1 217:1 218:1 219:1
220:1 221:1 222:1 223:1 224:1 225:1
226:1 227:1 228:1 229:1 230:1 231:1
232:1 233:1 234:1 235:1 236:1 237:1
238:1 239:1 240:1 241:1 242:1 243:1
244:1 245:1 246:1 247:1 248:1 249:1
250:1 251:1 252:1 253:1 254:1 255:1
256:1 257:1 258:1 259:1 260:1 261:1
262:1 263:1 264:1 265:1 266:1 267:1
268:1 269:1 270:1 271:1 272:1 273:1
274:1 275:1 276:1 277:1 278:1 279:1

280:1 281:1 282:1 283:1 284:1 285:1
286:1 287:1 288:1 289:1 290:1 291:1
292:1 293:1 294:1 295:1 296:1 297:1,
10
December 13:21 14:4 18:8
decide 146:2 168:19 171:2 222:21
239:12 279:17
decided 161:8 279:11
deciding 173:17
declaration 6:10 7:18,24 8:4,8 9:12,
15,18,20 11:7 19:16,17 23:16 30:2,7
35:17 40:6 43:15 48:21 58:23 73:13
77:13 79:22 111:15 116:25 126:6
130:3 149:23 151:15,18 152:3,12
155:6 165:23,24 166:19 169:23 171:8
180:6 181:7,17 185:16 192:12 217:8
225:9 228:2 237:7 244:10 245:5 246:7
260:3 264:19 269:3 271:7 272:8 274:6
280:12,18 281:20 283:8 287:14
289:11,12 292:2 293:18
decoder 197:17,21,25 198:23 199:19
decoders 262:21
decrease 99:22
dedicated 59:9 64:14
Deerix 115:21
defense 57:10
deficient 238:4
define 121:24 191:4
defined 60:2 208:10 283:23
defines 100:23 268:11 273:11 285:8
defining 42:13 150:2,4 151:21 269:10
286:2
definition 88:4 189:15
deleterious 91:4 92:19
demanding 219:14
demonstrated 239:18 270:22
demonstrates 99:22
depend 22:21 42:12 146:12 166:14
201:16
dependant 114:23 189:16
depending 36:25 99:25 102:23
106:21 199:21
depends 33:21 34:18,19,21,22 86:10
96:9 108:9 131:15 132:6 163:23
173:18 219:18 279:11,17
deposition 225:8
depositions 38:16
describe 8:25 24:24,25 25:3 59:10
74:23 143:5 174:11 195:15 198:13
202:8,12 203:14 206:12 238:12 294:8
described 12:7 16:4 25:14 27:2 37:9
42:21 58:20 75:16 79:11 84:6 95:4
105:23 113:19 114:10,12 118:5 123:6
129:7 138:8,9 140:2 169:3 173:2,11
174:10 193:13 199:9 200:7 206:24
211:21 212:5,23,25 213:9 217:22
219:23 225:12 249:6 257:6 260:13
describes 21:9,12,13 31:7 71:9 76:2
117:10 124:12 126:10,15 169:8
175:22 176:12 195:17,25 198:11,23
201:18 202:16,23 203:20 214:12
215:9,25 221:5 240:7,11 255:23 257:9
260:22 262:5,16 266:11 277:14,18
293:18
describing 10:19 19:17 53:15 88:7
96:22 102:3 105:5,7 106:15 116:6,9
118:12 119:2 124:17,21 126:17
218:18
description 11:5 62:21 75:10 76:7
79:16 83:24 104:19 127:2 128:4 172:4
182:15,20 197:10 234:24 293:14
294:5,14,17 295:6 296:12,18,25
descriptions 169:21 209:5 265:6
design 21:19 22:20,25 24:4,5,7 27:15
34:23 37:2 84:24 85:25 87:6,9 89:11
90:7 94:3 97:18 133:8 153:18 154:16
156:11 158:20 159:3,11,13,14,24
160:8,13,15,18 162:13,18,25 163:4,6,
7,8,12,14,24,25 164:14,20 171:2
172:13 173:18 178:21 179:5,10
182:17,23 183:3,25 184:20,22 185:16,
23 187:11,14 189:2,13,17 190:8 191:7
198:4 206:15 208:21 209:19,25
210:15 211:14 212:12 213:20 214:15
231:4 232:4,15 233:13 234:4,10 243:9
252:8 255:12,16,19,23 261:23 262:14
263:3 265:12,13 266:22 267:4 288:8
designed 31:15 33:21 34:24 37:2
191:9
designer 21:20 22:22 26:4,24 132:9,
18 162:4 191:6
designing 252:3 263:13
designs 160:14 174:25
desirable 174:2 239:19
desirably 184:16
desire 244:20
desired 77:8 92:6,25 293:4
detail 18:17 54:10 161:18 211:13
230:11 260:7,16 262:20 288:9
detailed 260:21
details 34:13,15 40:9 45:5 141:9,16
161:2,18 164:7 197:5 202:14 203:9,17
209:6,10 211:11 217:19,21 218:8,10
221:13 243:3 248:10,13 261:15,16
263:3 265:20 267:4 286:24 287:20
293:12,19
detector 133:11 150:8
determine 39:18 41:16 65:21 66:9
70:19 93:24 101:16 148:10 169:13
227:5 228:8 275:2
determined 274:24
develop 255:16
developed 59:9 64:15 233:3
development 94:21
developments 55:15
device 11:2 12:7,16,22 14:13 32:3,19
33:19 34:5 35:2,3,23,25 36:3 37:19,21
38:9 43:19 44:25 46:4,5,6,9,12 96:23
113:18 116:13 119:6 125:10,12,18
127:20 137:24 138:13 140:6 149:17
150:16,21 156:16 158:7 164:24 165:3
171:15,16,24 174:11 176:7,8 178:16
201:5,14,18 202:9,16,18 203:2,3
209:25 214:6,10,16,20 215:7,11,24
218:22 221:2 224:12,20 227:7,21,22,
25 229:18 235:6,25 236:7,12,20
254:21 260:8 266:7 277:15,21 279:16
280:6 281:14 282:15
devices 15:8,19 20:14 43:22 55:9
62:8 86:5 88:8 105:14 106:17,20
116:15,16 124:24 125:5 208:7 214:24
280:8 284:20,25
diagram 73:3 79:15 82:21 83:11
154:4 213:14 256:24 292:20 293:9
294:17 295:4 296:15
diameter 11:24 44:7 47:2

did 6:15,24 7:24 23:24 40:22 41:2
47:24 52:12 53:9 54:11 55:3 57:17,19
88:13 92:4 93:4,13 95:21 96:5 99:4
112:6 113:6,11 115:17 133:19 135:6,
8,10,20,22 147:11 158:21 162:25
165:2 178:6,11 179:15 186:11 198:11
201:22 206:12 214:25 225:7 227:5
228:7 235:8,12 247:14 253:7 290:2
291:18

didn't 18:7 22:8 26:18 42:4 45:7 88:8
95:6,18 111:11,13 112:21,25 113:3
116:22 126:2 127:7 133:16 135:25
140:19 163:15 168:14,16 172:8 177:4,
6,21 178:10 191:4 193:11 203:11
205:2 206:10,19 211:25 220:4 229:13
234:19 235:9 246:20 255:13

Dierick 115:17

Dierickx 115:15,18 116:3,6,17 117:7
118:11,25 119:5 120:4 121:14 122:7,
24 123:3,7,15,19,20 124:16,21 125:21
126:3,9,15,17,23 127:2,6 128:4 129:8
137:10 138:8,23 140:3,18 141:7,8
144:15 221:15 237:14,24 238:2,19
239:6,8 240:10 241:3,22 242:10,17
243:3 244:2 246:4,18 247:4,16 248:2,
7,16 249:7,14 252:12,22 253:24
254:10 290:7,10 291:2,11,13,14,23
292:4,8 293:2,15 294:7 295:3,10,14,
20 296:4,11,17,19,23

difference 119:10,12,15 129:15
132:2 279:7

differences 77:6 295:24

different 27:13,18,24,25 28:15,19
29:6 34:13,15 35:10 39:3,7 41:19
45:3,22 49:14 57:16 65:9,24 66:8,20,
21,23 67:12 71:18,20 76:4,19 89:14
90:15 99:24 101:3 113:17 119:15
125:3 142:24,25 156:4 158:19 163:5,
6,9 176:16 197:23 222:19 226:10,12
230:21 234:15 237:22 238:6 239:7
240:3 241:10 242:20 243:22 249:11,
15 251:8,10 252:9 272:22 278:14
292:10

difficult 284:22

diffuse 105:16 106:25

diffusion 38:14 204:15

dig 48:3

dimension 273:6 274:16 276:20
283:13

dimensions 22:18 113:25 273:10
279:4,20

diode 32:6,9 46:6 73:19 81:11 95:10

diodes 220:12

directed 27:17,19 232:23 274:7

disadvantages 240:3 241:2,19
248:19

disagree 121:13 147:17,21 148:11

discard 223:4

disclose 156:11 172:21 201:12
234:20 251:12 269:18 287:17 296:7

disclosed 159:23 178:9,10 259:8
270:10,14

discloses 11:19 44:23 118:22 160:22
164:8,9 168:3,19 186:6,24 194:10
198:25 201:11 206:2,3,4,6 210:17,20
216:13,14 230:12 234:4 256:9 263:18
272:20 275:25 284:7 285:21 286:3
288:14 296:24

disclosure 25:7 157:22 176:23
243:15 244:10 257:20 258:11,12,25
259:9,18 273:13 274:14 284:14

disclosures 244:21,22 274:24

discuss 140:19 152:7 155:5 236:16
285:18 288:12

discussed 11:20 19:9 31:16 38:24
43:14 67:11 80:24 95:15 113:13
116:25 149:22 151:17 156:18 169:23
200:11 226:13 232:23 252:21 267:13
272:13 280:13 287:13,25 290:7,13
293:8

discusses 78:10 105:13 117:15
126:7 143:10,11 154:2 171:25 182:4
207:9 286:5 292:9

discussing 63:13 69:15 79:17 103:2
105:20 118:6 220:18 248:20 272:23
289:21 291:16 294:15 297:2

discussion 9:11 21:7 23:16 30:20
47:17 48:14 58:23 108:19 109:15
127:8,11 139:10 149:14 152:5,11
154:5 196:14 258:20 269:21 290:25

discussions 9:10 191:20

dismiss 241:16

distribution 101:2,15

document 11:9,14 58:14 148:17

documentation 54:23

documents 49:5

domain 101:7,11

dominant 102:11

dotted 154:9 191:25 193:4 245:9,18
246:8,19,25 247:8

double-headed 197:18

down 5:13 10:12 100:24 117:15,22
127:19 137:17 144:20 188:20 246:20
289:17 290:21

Dr 4:22 5:16 6:2 7:7 8:24 47:24 48:5,
12 49:20,22 88:5 115:18 137:9 139:13
180:3 205:22 213:18 288:23 289:8
291:23 297:6

drawing 167:9

drawings 236:22

drawn 70:15 142:18

draws 118:19

drive 134:18 202:4 286:9

driven 204:18 205:11

driver 175:23 181:22 205:13 206:10,
23 207:18

drivers 201:12,13,15,21,23,25 203:11
207:20

driving 23:19 24:15,19,22,23 25:5,8,
13,18,23 26:3,11,12,13,16 27:4,11,12,
16,18 28:9,22 31:24 32:14 33:6 34:4,
9,11 68:5,24 69:5,8 73:25 74:5 75:6
79:20 82:17 93:16 175:8,11,13,19,23
176:7,13,14,17 200:6,16,21,25 201:6,
19 202:8,17 203:2,8,14,17,22 204:23,
24 205:2,4,8,14,17,20,23 206:5,9,12,
16,18,22 207:21,24 208:8,11,14 286:6
287:9

dropped 36:15

drove 134:14,15

due 143:20 146:19 252:13

duly 4:18 137:5

dye 90:13 131:7,9,15 133:4,12
134:12,13,16,17 135:15 278:23

dyeing 134:16

dynamic 104:14,23 106:6

E	161:11 173:2 174:6,20 178:12 190:6 198:3 215:12 246:22 258:6,10 262:4	every 5:7 32:3,17,18 98:2 118:9 121:3,4 211:13,20 213:14 232:20 241:19 259:9
earlier 14:9 18:8 67:23 79:18 105:12 108:19 151:25 202:5 218:11 219:23 220:8 224:24 232:23 272:13 292:15 293:8	elsewhere 97:18 244:3 278:8	everything 37:5 47:3 97:7 169:13 245:18 251:12 258:6,10
easier 98:10 152:23	embodiment 118:14 154:6 155:3 164:9 177:20,22 190:24	everywhere 118:18
easily 85:9	emerges 31:5	exact 189:17 256:14
East 136:7	emphasizing 94:21	exactly 19:7 20:11 25:17 53:15 80:9 104:21 108:3 138:12 140:4,8 154:12 161:15 190:23 193:21 210:21 237:6 253:20 294:12
easy 89:9	encompass 45:22	examination 4:20 100:11 137:7 289:6 291:21
edge 52:6	end 5:23 104:10 234:3	examine 15:5 127:7
edges 95:10 127:23	engineer 216:20	examined 4:18
effect 92:20 103:21 104:2,3,14 105:8 106:2,8,13 114:24	Engineering 50:23 51:18	examining 77:13
effectively 200:13 204:21	engineers 51:11,13	example 64:3 91:3 92:2,7 123:16 124:15 137:19,22 144:3,10 149:11,21 151:18 172:8 205:10 207:5 221:12 230:23 233:2,11 245:12 276:11 277:2 278:8 279:23 282:21
effects 252:13	enormous 219:9	examples 25:19 26:9,20 27:14 28:7, 12 37:14,15 46:3 67:3 120:16 128:21, 23 129:4 141:17 188:9 225:17 250:25 260:20
efficiency 228:22	enough 105:15 106:7,25 115:22 138:17,24 174:5 191:8 219:2	exceed 112:3
eight 288:4	entail 162:22	exceeding 104:13
either 18:3 68:13 73:9 90:22 98:25 115:13 119:21 153:18 159:25 160:8 163:6 172:5,16 207:14 213:8 226:2 227:22 232:10 238:8 286:12,20	enter 226:16	exceedingly 219:14 284:22,23
electrical 105:18 106:17 165:4 215:25 236:13 254:22 256:23 261:16 265:7,10	entire 102:13 144:12	except 141:10 204:13 207:12
electrically 269:7	entirety 243:14	exception 32:8
electromechanical 82:22 83:15	environment 16:16	exclude 206:19
electronic 20:15 54:20 117:20 127:21 128:12,17	equally 24:7 161:8	excluded 206:22
electronics 84:18 85:15 86:12 146:20 243:24	equipped 137:25 146:21	exclusive 223:10
electrons 204:14 207:12	equivalent 133:9	exclusively 78:21 292:21
elegant 99:4	era 112:20	excuse 35:6 112:22 130:13 225:9 243:21
element 145:13 150:23 151:15,18 184:5,6 236:23 267:20,22,25 268:3,5, 17,23 269:4,14 270:2,9,17 271:7 272:6 276:15 283:19,25 284:4,6 285:5,6,14,15 287:3	error 139:8	execute 146:22
elements 143:7 291:4	Espry 60:14	exemplary 171:14 195:17 196:4 275:22
eliminate 164:19 239:22	essentially 209:24	exercise 98:12 208:23 209:19 212:9 262:9
eliminating 201:3 292:24	EST 136:16 137:3 297:9	exhaustive 28:8 229:12
else 91:11 112:17 115:14 157:21	establish 168:20	
	established 19:11 223:22	
	estimate 278:23	
	etching 38:16	
	European 60:9 61:24	
	evaluate 92:13	
	even 82:2 122:17 163:20 178:3 185:21 218:9 235:2 249:5 259:13,14 276:14 283:9	
	ever 71:25 98:23 118:22 122:8 178:11 195:12 196:6 231:14	

exhibit 6:17,18,25 7:4,8,12,17 8:12,18,20 9:16,19 10:6,23 11:9,15 12:24 47:16,19,21 48:16 50:7,9 56:5,10 58:5,8,13 63:2 66:11 84:12 94:14 103:14 105:24 107:8,22 108:2 109:11 111:3,17 112:12 113:19 115:14,24 116:2,8 125:23 127:16 137:10,13 141:20 142:13 143:10 148:12,25 149:2,8 165:16 180:6 192:5,8 202:7 219:24,25 233:6 267:19 289:9,10 291:24	external 31:7,8,24	224:5
exhibits 6:12 48:15	externally 31:16	fields 211:10
exist 251:7 255:20	extra 130:20	figure 23:5 30:23 70:4,6,8,11,22,24 71:5 72:3,6,14 73:4,11,12 77:20,23 78:2 79:2,15,21 80:7 81:7 82:10,20,25 83:10 101:12 116:20 118:15 127:10 141:11,13,19,24 142:16 143:4,10 144:3 149:10,12,19 150:6 154:4,9 157:6 161:21 166:16,19 167:10 181:5,6 191:22 192:24 193:4,12,14,15 194:17 195:15,18 196:5,7,12 197:10 207:9 244:11 245:8 246:8 247:9 270:10,11 271:3,21 288:20 292:13,15,20 293:9
existed 255:18	extreme 147:9 244:18	
existence 35:11	<hr/> F <hr/>	
existing 212:5 213:20	fab 88:18	figures 118:19 184:8 271:2,17
exists 202:13	fabricate 254:12	filed 13:12,20,25 14:3
exotic 229:9	fabricated 20:14 59:11 114:7	fill 132:16 133:7,11 279:15
expect 29:4 109:24 112:4 130:22 156:17 169:25 227:20 232:14,20 254:5,13	fabrication 39:6,14 83:25 132:13 133:23 226:15 254:7	film 139:22 140:7
expectation 159:7 218:22 226:21 228:14 234:12	facilities 254:7	filter 97:10,12 157:23
expected 226:18 227:18 282:23	facility 88:18	filtering 147:8
expense 128:10	fact 53:5 82:2 86:2 122:16 129:25 153:17 155:9 176:25 182:24 190:16 199:9 201:17 205:6 213:7 223:23 230:14 234:15 258:24 293:2	filters 97:15
expensive 130:25 131:9,17,20,24 132:23 133:14 134:17 229:5,8,9	factor 132:16 133:7,11 134:18 226:4,8 231:24 279:16	final 243:9
expertise 57:22 58:2	factors 134:20 185:14	find 11:11 37:13 71:11 91:17 98:20 135:25 150:15,18,22 151:15 175:15,16 180:15 181:20 204:2,6 208:15,17 273:3 275:24 290:24
explain 149:15	failing 227:23	finding 275:21
explained 14:9 18:15 248:9	fairly 52:23 54:13 110:19	fine 5:22 7:5 48:9 179:23
explaining 104:5 291:2	fall 219:9 246:24 247:16 276:9	first 4:18 10:23 12:23 13:6 43:16 50:18,25 59:6 60:20,21 63:9 82:6 94:16,17 100:19 103:19 117:8 143:15 149:24,25 150:2,15 166:21 181:2 222:6,7 224:18 233:5 268:15,16 269:7,12 270:19,22 271:4 273:7,11 283:23 285:5,7,9 286:14
explains 64:25 171:18	falling 104:8	fit 12:5 40:24 41:11,17 44:6 47:4 185:16 281:15
explicit 173:7	falls 207:17 275:6	fits 177:11
explicitly 78:2,5 144:7 210:17	false 237:20	fitting 232:4
exploiting 95:10	familiar 6:7 14:16 18:16 57:4 60:5 104:3 106:11,12,18 122:13	five 52:9 104:13 106:6
express 62:21 155:22 174:21 182:16 183:19 187:7 190:5 207:19 214:25	fashion 194:24	fixed-pattern 116:14 117:5,6,25 118:10 139:3 143:19 241:5,8 248:19 290:12 292:9,17,22,24 293:22 296:17,21
expressed 134:21	faster 114:24	flat 284:13
expresses 214:6	feature 39:17 75:18 76:11 85:5,8 174:14 185:4 192:17 231:16 251:8,10,11 268:6,23 285:15 293:5	
expressly 155:20 164:12 191:4 206:24 220:25 270:10,14	features 144:18 150:5 188:11 222:19 230:15 238:6,7 239:16,24,25 249:11,16 263:14 264:5,6,10	
extent 274:13	feels 115:11 190:8	
	felt 162:4 190:18	
	fewer 134:13 250:2	
	field 18:24,25 55:15 89:8 107:4 135:8 139:16 221:17,18 222:9 223:16,18	

flexibility 182:24 184:22 232:3	178:8,20 179:14 182:12 183:14	16,23 295:5 296:12,18
flexible 59:4 94:22 95:22,25 96:4,8, 17 97:25	185:13 187:12 190:12 196:24 197:15 198:8 199:16 200:9 203:23 205:25 207:3 208:3,22 210:3,11 211:22 212:7 213:4,10 214:18 216:12 217:25 218:15 219:6 225:14 227:10 229:13 230:7 231:7 232:25 233:17 234:17 235:13 236:10 243:17 244:16 245:6 246:13 247:11 249:22 251:24 253:6, 11 255:6 256:4 257:15 258:9,15 259:3 261:6,25 263:17 264:25 266:13,25 270:6 278:20 279:22 281:23 285:17 290:5 292:5 293:25 294:10 295:11 296:10,22	functionalities 267:12 287:23 functionality 21:6 22:16 129:10 130:7,9,14 131:8 138:2,3 160:22 197:4 200:3 212:17 213:6,8 260:23 265:8,10 functionally 176:11 197:20 216:17 functions 27:5,24 28:16,17 63:11 69:16 75:25 89:21 144:19 146:22 147:6,7 149:16 171:18 172:5 257:5 262:15 funded 60:8 funding 62:3 233:10 further 11:20 12:14,16 21:11 26:21 61:19 84:17 85:21 94:23 96:21 100:25 101:25 103:5 122:9 128:16 174:12,13 185:21 188:20 198:25 203:9 204:20 214:5 216:7 237:9,13 263:22 274:2, 14,22 275:14 288:12 291:19,21 297:5 furthermore 153:5
flipping 12:14	format 215:19	
Flores 57:2,5,6	forming 128:11	
fluctuation 120:10 146:13	forth 126:4 177:14 281:20	
fluctuations 144:25 146:8 290:23 291:6,8	forward 64:24 283:15	
focal 16:2,8 18:18 20:13,16 62:8 72:12 214:19 215:9 227:18 230:16,22 236:21 242:10,15 243:2,4 244:24 246:2 248:11,14,25 256:13 257:2 261:17 262:18 265:14,16,19 273:14 275:4,22	Fossum 88:5	
focus 16:11 139:2,3 185:10 247:14	found 59:15 155:7 198:19	
focused 51:24 247:8	Foundation 62:2	
focuses 140:18	four 68:16,18 70:15,23 71:2 102:19 110:10 141:24 288:4	
focusing 290:10	fourth 78:19 84:12 91:22 127:18 137:17 235:22,23 289:17	
follow 227:23	FPN 118:2 296:16	
following 117:9 120:12 210:8,9,13 227:8,14	fraction 52:24 181:12	
follows 4:19 137:6	frame 17:10 97:11 109:19 112:3	
forego 5:18	frames 91:21 93:3 94:6 110:9	
foreseen 68:11	frequencies 101:3	
forget 169:25	frequency 101:7,11 110:3,7	
forgotten 15:12	frequently 54:7 55:20 88:11,14 89:2	
form 9:6 10:21 12:10 14:19 16:14 18:12 19:5 20:10 21:4,18 22:13 23:11 25:16 26:7 27:8 28:5 29:3 30:17 32:2, 16 33:8,20 34:6,17 35:5 36:24 37:23 38:12,23 39:11 41:13,23 42:11,22 44:22 45:14 46:16 57:24 58:21 61:5 62:23 68:18 69:13 70:10 72:5 73:13 74:7 75:2,23 76:13,24 77:11 79:13 80:17 82:11 83:4,23 85:18 86:7,22 88:10,24 89:24 90:25 92:23 93:21 97:2,23 100:7 103:9 105:11 106:4 108:18 109:5,13 111:7,21 112:15,24 114:11,19 116:11 120:7,25 122:25 123:9,24 124:11,19 125:15 127:4 128:8 129:12 130:12 131:4,13 133:2, 21 135:3,19 138:11 148:2,21 149:20 158:9 159:18 161:14 162:9,24 164:22 166:11 168:12,24 169:6 170:10,20 171:21 172:2 173:4,12 174:16 177:16	front 7:11 8:14 19:19 107:10 115:25 137:16 149:12 fulfill 27:23 full 66:13 68:2 71:13 78:16 80:25 81:25 91:13,20,22 93:3 94:6,17 109:20 function 21:2 23:9 25:13 27:23 67:8 69:14 70:2 74:19,21 75:14,16 76:7,17 232:14 292:3 295:2 functional 75:10 79:16 182:15 191:21 209:5 213:10,15 234:10,23,25 243:7 244:22 253:17 256:6,16 260:22 262:6 263:20 266:2 293:14 294:4,13,	gain 184:22 239:15 241:9 250:10 251:25 252:11 gallium 227:17,21 228:20 229:2 gate 200:14 204:12,15,17,22 205:10 206:7 207:7 gave 22:19 25:18 26:9 27:14 135:23 155:2 179:17 207:4 222:6,7 225:16 general 15:25 26:22 39:13 65:5 69:25 74:16 105:13 113:12,15 124:3 130:21 158:25 176:23 182:15 189:10 190:9 207:17,23 209:25 218:17 224:4 225:21,23 249:5 267:3 generalities 22:19 generally 5:5 8:24 12:15 16:9 36:9 81:11 113:13 115:3,8 124:13 149:24 161:21 200:4 227:2 246:16 247:16 291:8 generate 106:23,24 218:23 generated 40:24 41:5,18 42:9 84:5 generates 32:9 161:25 176:9 203:4 generating 260:17 generator 161:24 170:23 245:23
		G

261:8		190:2,4 191:20 200:18 204:16 207:6 216:3 236:20 248:20 292:21 294:21
generic 113:15 182:20 209:5	H	
generically 216:10	handle 134:6	head 10:25 11:19,25 14:13,17 18:10, 21 37:18 38:2 42:15 43:3,18 44:6,23 58:2 176:5,12 178:3 184:4 185:2,3,9 202:24 221:8 232:7 236:17,18 245:20 246:25 277:17
give 5:11 75:11 123:16 138:6 152:19 164:7 166:2 172:2 180:19,20 182:13 196:18 198:20 209:10 216:18 229:12 238:10 256:18,23 262:6	happen 24:5 92:9 98:22 264:6	heading 53:12 100:14 103:14 107:9 217:11 274:6
given 11:20 27:2 44:11 52:17 54:7,17 65:19 74:16 83:24 96:2 108:8 109:15 113:16 138:25 163:3 171:7 173:22 174:2 189:12 190:4 194:17 197:5 210:24 218:10 234:23 246:3 282:7,19	happened 159:22	heads 12:5
gives 45:3 64:2 75:10 113:22,24 120:16 128:21 129:4 141:16 171:14, 15 172:3 176:23 182:15 203:9 209:4 213:13 216:17 232:14 237:18 279:23 280:17 283:13 293:12	happens 70:15 105:17 230:24 276:8	hear 168:14,16
giving 218:8	harder 16:24 109:17,18	heard 47:21
global 81:19	he 12:3 31:6,8,13,17,20 44:25 45:3,7 59:10,15,18 61:21,23 63:6 64:24,25 65:10,21,23 66:9,23 67:5 68:2 69:19 70:15,16,17 71:9 72:22,24 73:4 75:7, 9,10 78:2,3,4,7,8,10,11,18 79:3,16,18 80:12 81:10,18,19 83:7,10 88:6,8,11, 13 91:8,9,11,12,17,18,23 92:2,6,12, 24,25 93:4,8,11,15 94:5,11 95:18,25 96:3,5,17,20 97:24 99:20,25 100:23, 24 101:12,13,14 102:14,22 105:12,13 106:5 108:19 109:16,19 111:8,10,25 112:17,18,21,25 113:22,24,25 114:2, 3,4,10,14 117:9,15 118:19,22 120:8, 16 121:18,24 122:8 123:15,17 124:5 126:6 128:10,16,21,22 129:4 138:25 139:4 140:19 141:16 142:9,16 143:10, 11 144:7 145:20 146:9 147:6,11 150:14,17,18,20 153:12,13 154:3,7,9, 12 155:3,19,20 156:11,25 157:3,21 159:22 160:25 161:5,18,22 162:2,3 164:8,9 169:8,11 171:25 172:2,3,4,7, 9,19,21 174:3,4,18 175:10 176:11,12, 13,14,21,23 177:2,20,21 178:11,13 182:14,16 183:19,22 184:3,11,14 189:11,25 190:7,8,18,25 191:4,7,11, 14,15,18,23 192:24 193:4,7 194:18,20 195:4,12,15,17,24 196:3,5 197:17,20 198:11,13 200:13,24 201:2,12,13,20, 22,25 202:12,23 203:7,9,10,11,24 204:7,10,20 205:2,3,13,19 206:4,6,10, 11,19 207:9,10,11 209:6 210:19 215:11,18,19,20,22,23,25 216:14,16, 17 231:13,15 233:2,4 236:15,17,19,22 239:19 241:4 245:15 254:3 256:9,18, 23 259:7 260:19,21,22 261:7 262:6 267:9 273:14 277:18,21 280:7 284:7 286:7 287:22 288:2,3 292:9,19,23 293:7,8,10,11	held 47:17 139:10
goal 143:18 173:18 293:20		help 250:13 294:24
goals 185:17 189:10 190:7 191:9 225:21,23		hence 29:23 84:4 226:15
good 4:2,22,24,25 85:20 115:22 136:6 179:22 219:2		here 4:4 17:6,21 22:10 23:13 48:5 56:8 61:21 63:15 77:19 95:5 98:15 102:3 103:4 108:11 113:20 141:22 143:24 146:4 147:22 148:3 151:25 155:24 166:3 168:25 179:21 192:20 200:2 215:5 217:15 230:9 235:17 282:20 288:19 294:5
grand 219:17		high 92:6 103:15 117:16 120:15 121:18,23,24,25 122:6,20,23 123:5,17 138:17,24 234:23 289:19,23 290:18 291:24
grants 17:20		high-end 15:8
graphs 101:14		high-performance 15:16 121:6
great 5:24 8:9 19:20 40:2 64:5 136:14 156:25 159:6 160:25		high-quality 15:8
greater 18:17 54:10 105:25 228:21,22 262:20 263:4 288:7		high-speed 96:12 109:15
greatest 245:19		higher 35:2 36:8 37:14 110:5 147:8 252:5
green 181:11		highest 122:2
Ground 10:3,4		highlight 40:15
grounds 264:19		highlighted 73:12 79:23
group 53:6 57:3 59:16,19 102:24 128:19		highly 103:22 123:14
groups 65:14		him 98:23
guess 110:13,20 115:11 119:2	he's 25:17 45:6 63:13,15 64:3 65:19, 21,24 67:7 68:12 69:14 71:2 72:11 95:13,24 98:2 100:23 102:21,25 104:5 105:19 106:5,14 108:11 109:15 110:9, 15 120:19 122:3 123:11 138:15 142:2 143:24 146:2 147:12 161:21 176:10 183:21 184:17 188:19 189:11,24	hinges 157:2 256:8
guidance 184:15		his 43:17 59:3,6,16 70:14 73:21 78:7 88:6,7,14 91:6,16 92:2 93:2 94:8 100:19 110:16 138:15 139:2 143:18 150:10,13,17 157:22 174:5 188:16 190:8,18,21 191:8 198:10 206:11 209:11 221:6 236:22 244:21 277:16
guidelines 210:2		

AMG Reporting LLC 917-969-4493

individual 98:23 144:13	integrated 15:6 32:21 38:5 95:9 128:13 129:19 137:24 139:17 145:11, 17	Joseph 56:16
individually 68:14		journal 55:25
inductors 97:13	integration 66:22 71:19 78:12,22 86:3 89:6 93:19,22 94:8	journals 55:21
industrial 59:8 60:23 61:13,21 62:12 63:5,6 64:13 91:25 107:13 108:6 109:10 110:19 111:5,9,18 233:3	intended 107:13 108:6,13,15 110:17 111:9	jumped 294:3
industry 16:17 52:2,3 59:21 63:17 64:5 86:17 107:4 134:3	intensities 106:22	jumping 149:13 211:19,24
influence 197:25 198:4,9 199:23 244:2 262:18	interacts 199:4	<hr/> K <hr/>
influenced 247:15 265:14	interconnect 182:18	keep 7:25 128:9 167:20,22 211:3 264:10
inform 197:12,16 267:10 290:2	interconnects 250:12 252:13,18	kind 5:2 8:25 12:6 14:23 15:7 18:6 19:2 22:7 26:21 38:6,7 45:8,11 46:11 49:11 50:18 55:4,9 57:13 99:12 100:9 119:2 147:11 148:8 180:3 203:10 219:10 248:11 255:14
information 49:13 52:21 79:24 91:10 93:24 174:10 199:10 214:5 221:9 263:5,23 272:25 274:2 275:21 288:7 294:21	interest 55:5 63:16 64:5 88:17 95:22	kinds 57:16
informs 215:13	interested 16:6 43:22 59:16 61:23 62:11,18 189:19 233:8 275:21	knew 16:19
infrared 16:6,10,12,23 17:3 18:19 57:15	interface 17:18	know 5:9 6:23 12:6 15:15 17:11 21:11 23:9 31:3,18 32:4,19 35:7 36:7,12,15 37:12,15,16 38:6 39:9,19 41:15,22,24 42:14 50:20,21 56:17 67:22 75:19 76:25 77:12 78:2 86:11,13 87:12,14 88:12 93:10 98:22,24 99:25 100:8 107:5,6 109:14 110:15,18,22 111:24 112:16 113:2 114:8 115:11,14,17 116:22 118:22 119:14 121:2,12 122:12 123:3,10 126:6 132:22 135:12 143:23 144:7 147:17 149:7 157:6 161:11 165:10,11 167:5,7,24 168:22 169:17 170:12 178:11 181:14 182:6 183:5,10 195:12 208:17 211:5 215:22 218:2 219:7 220:4 228:25 236:4 251:3 256:20 266:3 278:13 280:19 285:3 292:20 294:19 295:13,20
initial 227:8	International 50:22 51:17	knowledge 44:2 113:12 160:3 209:14 226:22 229:14
initials 51:16	introduced 88:12	known 44:15 57:22 276:22 278:2,3 290:14
input 72:21 203:5	introduction 62:25 63:22 91:17	knows 115:15
inquiry 42:2 111:12 112:7 113:2,10 115:5	invention 10:24	<hr/> L <hr/>
inside 12:5 18:20 44:6,10 47:4 139:20 140:24 144:13 191:24,25 193:6 281:11	inventions 138:16,22	lab 14:22
inspect 15:5	investigate 113:3 133:16	labeled 10:14 47:20 70:8,11 80:4 118:16 151:19 191:22 192:25 193:5 273:14
inspecting 64:6	involved 32:24 38:13 169:9	labeling 166:20
inspection 64:4	involvement 55:2	
instance 11:18 30:24 35:12 39:2 53:21 70:3 91:7 96:7 97:10 98:3 116:19 126:5 132:14 141:12,13 143:21 144:12 154:4 156:20 157:18 172:13 177:23 190:13 201:2 204:17 213:11 221:6,20 234:5 241:3 242:13, 16,20 244:3 245:7 248:15 263:20 271:22 272:19 282:7 287:24 290:7 292:13	involves 6:5	
instead 139:9 153:7 187:23	ion 38:14	
Instrumentation 51:13	IPR 6:3,5,25 7:18 8:18 9:5 10:20 43:10	
insurmountable 16:20	ironic 115:11	
integrate 86:9,17 87:11,12 88:19	irreducibly 132:12	
	issue 41:25 50:3 100:10 177:5,7 235:17 246:18 259:15 296:17	
	issues 180:10 292:17	
	items 90:10 288:20	
	<hr/> J <hr/>	
	James 56:25	
	Japan 13:21	
	Jim 4:13 5:15	
	John 139:7	
	Jonathan 4:11	

laboratories 14:22	less 87:12 89:16 120:10 129:10 131:17,20 144:24 146:7,11 227:21 228:15,17,19,20 229:5 273:22 281:16 290:22 291:5,8	283:16
laboratory 16:21,22 59:21		load 78:24,25 80:21
laid 106:21		loading 250:12 252:12,18
language 44:3 74:9,12 77:14 111:13 112:8,10 113:8 114:20 115:2 188:10 235:20 236:2 273:4,5 277:23 290:22	level 55:5 90:5 160:21 161:5 213:13 218:25 234:11,23 256:6,7,22 262:6	locate 33:4 152:8 171:5 172:5
lapse 52:16	levels 34:19 36:11	located 20:18 29:12 32:25 33:2 81:13 127:22 139:22 140:11 151:13 153:2, 22 176:24 181:15 231:21 271:22
lapsed 51:7	lies 102:16	locating 182:25
large 52:23 128:12 132:11 133:7 169:17	light 20:15 73:7 104:8 122:15 132:15 138:8 145:12 184:11 222:4 233:24 236:12 254:22 281:17	location 23:14 84:8 93:17 99:7,15 100:4 106:16,18 107:2 140:6,11 156:5 170:14,18 173:10 177:8,12 202:20 204:2 211:20 270:12 288:13
largely 132:18 247:15	lighter 156:24 178:4 179:18	locations 152:15 171:3
larger 104:24 134:12 180:21 181:3 183:2 184:16 277:3 279:13	like 34:12 39:22 42:21 53:13 60:3 76:17 92:21 121:11 138:20 143:7 174:15 176:2 186:14 218:17 219:15 223:15 247:8 255:18 259:6 263:24 278:15 287:16	logic 34:19 36:14
largest 273:6 274:16 276:20	likely 55:13 226:19 228:19	long 90:2 145:18,24
last 5:4,18 6:24 64:20 68:2 84:13 91:13 101:19 102:10 107:11 117:23 269:14	limit 46:10 68:3 132:13 178:10 235:16	look 10:22 12:23 21:8 23:17 24:8 30:23 31:5 42:5 48:5 55:19 62:7 63:21 64:10,24 65:2,23 66:10,13 69:21 102:13 114:25 126:2 127:14 147:5 152:2 157:6 161:19 166:18 184:7 193:15 194:18 198:22 202:21 211:18 219:3 222:4 223:2 250:23 251:16 261:14 263:24 271:21 275:14 283:3
later 117:23 290:19	limitation 42:3 274:15,16 275:2 286:22	looked 17:15 35:19 43:2,21 52:21 99:12 102:5 103:2 111:14 126:8 138:20 147:15 148:16 175:25 218:17 266:6 275:12 295:12,21
lay 132:6,11 161:3 279:12,17	limitations 165:19 183:5 186:7,8,12, 17	looking 9:25 10:5,12 13:5 19:16 22:18 25:12 45:5 48:20 55:13 56:10 60:19 65:10 70:3,22 77:21 89:15 101:9 109:16 116:22 117:8 118:21 144:2 165:25 167:8 175:20 181:7 184:2 185:7 196:6 198:21 211:6 218:25 221:4 263:10,12 264:22 271:2 273:24,25 280:11 287:4 293:17
layer 78:20 79:4	limited 54:8 164:12 224:13 234:9	looks 60:3 141:23 143:7 176:2
layout 158:18 159:20 160:7,15 182:16 256:19,22,23	limits 155:20	loose 150:13
layouts 175:2	Linda 6:23	lose 251:21 252:2,16
lead 31:7,9 159:4 173:25 222:18 238:6 240:24,25 249:10 250:3 251:9, 11 256:11	line 12:13 13:24 14:6 23:17 31:8 33:18 60:14 64:10 80:22 82:7 83:18 84:13 88:20 117:11 127:18 132:12 137:18 145:18,24 150:16 154:10 161:20 194:20,21,24 198:14,23 199:2 202:7 204:8 207:6 235:22,23 245:19 246:8,19 247:8 266:16 289:17	lost 198:20
leading 239:23	linear 78:22	lot 15:24 26:20 28:15 55:9 62:5 63:5, 14 66:8 91:25 109:17,18 125:3 131:15 157:3
leads 123:20 251:8 255:9	lines 24:11 60:22 122:11 176:2 182:3 202:22 226:15	lots 57:15 146:10
learn 46:20 263:14	link 219:15	low 90:10 96:15 122:15
least 16:19 28:21 37:5 44:17 52:5 53:10,11 112:2 114:17 120:3 128:18, 24 136:6 175:10 191:8 216:21 243:2 278:22	list 22:9 23:5 28:8 229:12 246:21 256:19,22	low-cost 226:3,8 228:6 253:25 254:6
leave 87:20	lists 11:8	
leaving 190:19	literature 19:9 125:8 211:8	
left 12:25 13:9 288:20	lithographic 39:17	
length 149:25 150:3 156:25 226:13 268:11 269:9 283:22,23 285:8,25	little 5:4 11:12 24:9 100:25 117:22 129:7 192:3,6 224:24 242:15 280:23	
lengths 285:23		
lens 139:21 176:6 202:25 258:12 277:16		

lower 36:7,9 71:5 113:14 138:17
143:4 226:17,19,24 229:15 252:5
279:15

lowered 89:18

lunch 136:6

luncheon 136:15

M

machine 59:8 60:24 61:2,13,16,21
62:10 64:14 108:16,20 232:24 233:3

machines 61:20

made 7:21 16:9 24:6 29:22 53:8 59:14
108:12 126:18 153:10 158:22 163:21
187:25 188:3,13 190:2 251:22

mainly 246:18

make 6:18 9:14 17:6,17 19:25 22:9
23:7 29:14 53:14 63:18 101:6 132:11
164:16 174:7 178:23 189:25 195:19
196:11 199:5,19 225:5,11,25 231:18
235:21 255:3 266:10 268:2 272:18
273:21

makes 62:10 82:3 142:5 276:8 291:5

making 6:19 8:10 18:18 65:21 156:24
157:2 178:2 179:3,9,17,18 255:17

manifests 100:21

manner 30:10 167:3

manufacture 38:9 131:2,10,21
132:23 134:23 229:11

manufactured 33:17 37:21 89:20
119:6

manufacturing 33:17 38:8,17,20
88:18,22 131:6 133:4,17,25 134:5,11,
15 156:16 228:23 229:15 284:15,17

many 24:21 27:13 28:16 41:4,11 42:7
45:2 52:2 53:24 54:7 57:10 60:11 64:7
67:12 69:24 74:18 76:16 84:25 86:18,
24 87:13,14,15 90:19 105:20 110:18
116:17 122:14 197:3,23 199:18,25
211:6 212:18,20 214:23,24 222:17
230:21 254:8 257:4 294:20

marked 58:7 192:7

market 14:17 18:10 42:17 226:16

match 177:22

material 15:25 51:20 229:8

matrix 127:20,24 139:18 140:13,23

matter 4:4 45:12 46:11 133:19 148:13
151:11 184:21 211:14 236:25 257:13
258:8

matters 5:3

maximum 104:23

maybe 129:3,24 165:10 167:5 168:8

me 5:9 6:17 7:11 8:15 11:11 17:5
19:19 24:8 28:20 31:21 35:7,18 49:7
58:3 64:24 71:11 94:18 107:10,23
109:7 112:22 115:22,25 122:21
125:17 126:20 130:13 137:16 149:12
151:15 161:19 166:2 170:6 183:6
195:7 198:20 202:19 214:13 216:3,16,
18,20 225:9,15 238:21 243:20,21
268:3 273:3,15 288:19 292:14 295:16
297:11

mean 14:7 18:24 30:15 86:10,13
97:21 112:9 130:14 155:11 174:6
193:19 223:4 226:20 230:19 232:15
240:4 242:9 244:25 251:6,18 252:22
253:16 259:5 267:7 276:25

meaning 60:16,18 77:14 119:16
272:4

means 44:9 96:17 112:17 123:3
145:10,16 207:13 231:17 241:15
253:15 254:11 256:9

meant 64:22 87:13

measurements 40:8 101:6 104:12

mechanical 73:7 256:8,9 265:7
267:6

mechanics 184:11

meet 98:23 285:15

megahertz 91:9,15,20 93:4 94:7
109:21 110:3

member 51:4 52:10,15

membership 51:7 52:16

mention 48:22 176:13

mentioned 28:11 70:17 91:10,18
228:24 229:24 233:11

mentions 60:13 203:7 261:7

Mercury 229:6

merely 21:19

merger 213:21

metal 38:15

method 116:13 143:16 292:24

metric 92:11,13

microbolometer 32:5 46:8

micron 39:17,21 73:2 83:8 281:9
284:9,11

microns 40:4 280:22

microscope 17:8,18

microscopes 14:21,23,25 15:4 18:22

mid 35:20 51:5,6 67:15 87:22 109:25

middle 91:22 94:18 144:17 153:4
188:6,8

might 28:9 32:24 34:10,13 64:7 67:21
77:2 85:23,24 87:10,11 90:11,13 93:8,
10 96:16 97:4 98:10 99:25 141:11
147:13,19 148:5 158:11 163:23
168:21 174:2,7 177:6,17,22 178:22,23
184:16,22 187:3 189:8 203:10 206:18
219:13,14 230:18 234:25 239:16
240:25 250:3 295:23

millimeters 11:24,25 40:19 44:4,5,8,
12,15 46:22,23 47:3,7 135:24 273:8,
23 275:7 276:3,4,7,21 278:7,16
280:24 281:2 282:25

mind 121:8

miniature 43:17

minimally 188:23 189:3,15,22 190:16
191:2,12

minimizing 224:19

minimum 34:2

minute 186:10

minutes 48:7 54:9 136:12 168:7
179:22 217:3 259:24

mispronouncing 84:11

missing 245:13

misspoke 10:12

Misstates 259:4

misunderstand 230:8

mitigate 248:21

mitigating 292:12

modified 247:20

modifier 114:22	moves 156:23 157:9 271:16	55:1 56:1 57:1 58:1 59:1 60:1 61:1
modify 211:20 248:7 250:18 253:14	moving 92:8 157:11 162:15,20 173:24 179:13,16 190:13,17	62:1 63:1 64:1 65:1 66:1 67:1 68:1
modifying 213:16,19 226:6 233:13		69:1 70:1 71:1 72:1 73:1 74:1 75:1
module 94:23 96:20 212:4	multi-purpose 94:22	76:1 77:1 78:1 79:1 80:1 81:1 82:1
moment 198:20 204:4	multimedia 137:24 138:13	83:1 84:1 85:1 86:1 87:1 88:1 89:1
moments 167:24	multiple 89:22 104:6 221:25 231:12	90:1 91:1 92:1 93:1 94:1 95:1 96:1
monthly 55:25	multiplexed 199:6	97:1 98:1 99:1 100:1 101:1 102:1
more 22:18 24:10 33:22 37:9 40:5 45:5 46:23 53:5,10 54:20 61:23 63:13 66:5 87:11 89:16,19 103:21 105:6,24 120:8 129:24 130:22,25 131:6,8,9,23 132:22 133:5,14 134:17 146:22 147:9 151:8 152:22 178:24 180:22 182:24 197:7,20 204:2 211:11 232:6 241:7 249:5 250:14 252:16 254:16,21,24 259:13 261:15 265:20 275:21 288:9, 25 291:7 293:14 294:21	multiplier 181:5	103:1 104:1 105:1 106:1 107:1 108:1
	multipurpose 95:22 97:25	109:1 110:1 111:1 112:1 113:1 114:1
	<hr/> N <hr/>	115:1,18 116:1 117:1 118:1 119:1
	name 51:9,15 56:17,20 60:12 75:16 82:13 233:9	120:1 121:1 122:1 123:1 124:1 125:1
	names 28:15	126:1 127:1 128:1 129:1 130:1 131:1
	narrow 12:17	132:1 133:1 134:1 135:1 136:1 137:1, 4,9 138:1 139:1,14 140:1 141:1 142:1
	narrowed 26:21	143:1 144:1 145:1 146:1 147:1 148:1
	National 62:2	149:1 150:1 151:1 152:1 153:1 154:1
	necessarily 51:25 55:21 86:9 89:25 98:6 109:23 121:3,12 131:5,14,23 213:16 246:15	155:1 156:1 157:1 158:1 159:1 160:1
	necessary 72:9 93:24 98:3 162:5 166:25 188:23 189:4,16,22 190:16 191:2,12 212:16 256:15 257:8 287:23 296:13	161:1 162:1 163:1 164:1 165:1 166:1
	necessity 98:8	167:1 168:1 169:1 170:1 171:1 172:1
	need 5:6 24:19 33:22,25 35:16 97:8,9 158:22 199:11 200:20 202:2 217:2 225:25 226:25 237:18 251:3 263:2 265:20	173:1 174:1 175:1 176:1 177:1 178:1
	needed 15:9 22:8 42:7 76:2 96:3 113:11 169:4 230:4 265:25	179:1 180:1,3 181:1 182:1 183:1
	needs 207:9 231:5 263:22,25	184:1 185:1 186:1 187:1 188:1 189:1
	negative 92:5	190:1 191:1 192:1 193:1 194:1 195:1
	neighborhoods 68:11 288:5	196:1 197:1 198:1 199:1 200:1 201:1
	neighboring 64:18 65:3	202:1 203:1 204:1 205:1,22 206:1
	Neikirk 4:1,17,22 5:1 6:1,2 7:1,7 8:1, 25 9:1,21 10:1 11:1 12:1 13:1 14:1 15:1 16:1 17:1 18:1 19:1 20:1 21:1 22:1 23:1 24:1 25:1 26:1 27:1 28:1 29:1 30:1 31:1 32:1 33:1 34:1 35:1 36:1 37:1 38:1 39:1 40:1 41:1 42:1 43:1 44:1 45:1 46:1 47:1,25 48:1,5,12 49:1,20,22 50:1 51:1 52:1 53:1 54:1	207:1 208:1 209:1 210:1 211:1 212:1
		213:1,19 214:1 215:1 216:1 217:1
		218:1 219:1 220:1 221:1 222:1 223:1
		224:1 225:1 226:1 227:1 228:1 229:1
		230:1 231:1 232:1 233:1 234:1 235:1
		236:1 237:1 238:1 239:1 240:1 241:1
		242:1 243:1 244:1 245:1 246:1 247:1
		248:1 249:1 250:1 251:1 252:1 253:1
		254:1 255:1 256:1 257:1 258:1 259:1
		260:1 261:1 262:1 263:1 264:1 265:1
		266:1 267:1 268:1 269:1 270:1 271:1
		272:1 273:1 274:1 275:1 276:1 277:1
		278:1 279:1 280:1 281:1 282:1 283:1
		284:1 285:1 286:1 287:1 288:1,23
		289:1,8 290:1 291:1,23 292:1 293:1
		294:1 295:1 296:1 297:1,6,10
		Neikirk's 5:16
		neither 72:8 74:15 200:15 204:22 205:3 207:21 213:13 231:14 296:13
		never 71:24 90:20 150:25 174:19 178:2 187:8 191:15 210:4 211:12,23 214:8 239:12 253:5,7 255:7 259:20 266:19 280:3 282:13
		new 52:7 149:9 174:13
		next 11:21 47:12 49:23 64:2 115:12 120:12 143:25 146:17 245:13
		next-to-last 104:11
move 5:20 47:12 48:13 153:16 158:3 159:14 162:7,12 164:3 166:5,7 168:10 169:5 174:22 176:18,22 177:6 178:15, 18,22,24 179:11 183:8 225:3 267:15 283:15 285:4		
moveable 170:3 173:25 178:3 184:4, 9 185:2,3,9		
moved 157:13 158:23 163:17 169:14 179:15		

Nice 4:23
Nikon 15:4,7,11,19
Nikons 14:25
node 34:21 39:15 204:15
noise 100:15,17,20 101:2,17 102:11 113:9,14 116:14 117:5,6 118:2,10 120:10 122:15 139:3 143:19 144:25 146:7,13 241:5,8 248:19 290:12,22 291:5 292:9,17,22,25 293:22 296:17, 21
non-cmos 229:16,17,21
non-planar 284:21
none 106:10 216:15,16
nonuniformity 117:18,25
noon 136:10
nor 200:15 205:4
normal 68:7
normally 140:7 187:2
noted 297:9
nothing 111:14 113:9 127:10 129:2 164:11 172:19 174:9,14,17 195:6 206:14 211:17 215:5,12 216:19,22 246:22 252:7 282:5
noting 11:22 91:6
nowadays 120:14
number 6:4 11:11,15 13:16 23:6 27:22 40:23 41:4,17,21 42:4,14 45:22 47:19,21 55:19 62:15 65:8,20 106:22 134:7,8 142:10 156:19 157:24 171:7 173:22 184:7 188:2 196:6,21 219:21 221:13 233:20 266:16 278:18 292:9
numbered 13:10
numbers 6:25 7:4 11:7,8,10 29:15 117:12 119:3 243:21
numerous 75:13 76:4,19 145:22 196:15 197:22 200:4 210:6 249:10 294:19

O

o'clock 136:10
object 5:12 41:23 79:13 83:12 100:7 122:25 168:24 208:22 211:22 212:7

objection 9:6 10:21 12:10 14:18 16:14 18:12 19:5 20:10 21:4,18 22:13 23:11 25:16 26:7 27:7 28:5 29:2 30:16 32:2,16 33:8,20 34:6,17 35:5 36:24 37:23 38:11,22 39:11,25 41:13 42:10, 22 44:21 45:14 46:15 57:24 58:21 61:5,15 62:23 65:7 69:12 70:9 72:4 74:7,25 75:22 76:12,23 77:10 80:16 82:11 83:3,23 85:6,17 86:6,21 88:9,23 89:23 90:25 92:23 93:20 96:25 97:22 103:8 105:10 106:3 108:17 109:4,5,12 111:6,20 112:14,24 113:7 114:11,18 116:11 120:7,24 123:8,23 124:10,18 125:14 127:3 128:8 129:12 130:11 131:3,12 132:25 133:20 135:2,18 138:10 147:25 148:20 149:20 158:8 159:18 161:14 162:9,24 164:22 166:11 168:12,15 169:6 170:9,20 171:21 173:4,12 174:16 177:16 178:8, 20 179:14 182:11 183:13 185:12 187:12 196:24 197:15 198:7 199:15 200:8 203:23 205:25 207:2 208:2,9 210:3,11 213:4 214:18 216:12 217:24 218:14 219:6 225:14 227:10 230:7 231:7 232:25 233:16 234:17 235:13 236:9 243:16 244:15 245:6 246:12 247:11 249:22 251:24 253:6,11 255:6 256:4 257:14 258:9,15 259:3 261:5,24 263:16 264:24 266:13,24 270:5 278:19 279:22 281:23 285:17 290:4 292:5 293:6,24 294:9 295:11 296:9,22

objective 124:3
objectives 91:7
observe 288:11
obtain 101:21 261:16
obvious 24:7 47:6 135:7 152:7,17 153:18 154:17,19,20,22 155:2,7,13 159:3 161:7 162:7,11 163:10 164:25 165:21 171:5 172:20 180:11,15 183:15 186:2,5 187:16 210:15 212:15 214:4 222:17,25 223:3,6,8,9,13 230:13,20,23 231:17 232:19 233:23 237:23,25 238:2,5,8 239:21,23 240:4 248:24 249:9,10,15 250:22 251:4,6,7, 13,15,16,18 253:17 264:3 267:8 269:5,22 270:4,15 273:21 274:8,17,20 275:18 276:15 278:12 280:9 281:25 282:4,18 283:10,12 285:20 286:12 288:15
obviously 5:7 87:15 186:16 193:3
obviousness 264:13,14

occasionally 52:17
occasions 122:14
occupied 132:3 182:7
occupies 181:12
occurred 54:16
October 4:6
off-chip 29:19,24 32:14,22,25 33:4,6 34:4,8 68:5,23 69:5,8 73:25 75:5 79:19 80:23 82:17 84:8 87:8 90:14,23 91:5 92:4,18,20 93:4,6,12,16 94:3,10 97:15 99:9,17,20 100:5 127:13 194:15 195:11,13 207:24 208:7,16 231:21 232:11,13,21 286:5 287:8,12 288:14
offers 84:15
offset 268:14 270:18 271:4,14,20 292:12
on-chip 33:3 34:10 78:6 79:7 80:15, 20 83:21 84:3 86:19 87:4,7,19 89:10 90:11,19,22 92:17 93:9,14 94:2 96:6 97:12,13 99:16 100:5 127:12 140:21 144:10 194:2,3,8,11,13,14,17 195:8, 13 208:18 232:10,16 286:16,18
once 89:12 95:12 116:15 169:11 255:15
one 7:24 13:10 22:2 26:18 28:22 29:6 30:19 33:23,24 37:5 39:5,17 43:24 44:14 45:21,24 46:19 47:8 52:8 55:10 56:7,15,16 57:10 60:11 70:2 74:17 75:12 76:14,21 77:2,7 88:16 89:16 90:6 91:6 95:17 97:15 98:6 99:10 100:22 106:16 107:6 110:21 111:17 114:13,14 115:6,13 118:22 123:11,14 124:2,5 125:6 127:8 128:18 129:19,25 130:23 132:6 133:14 134:12,19 142:18 143:20 148:15 151:9 152:20 153:12,14 154:17,19 155:3,6 159:6,9, 20,25 160:4,12 161:7 162:12,15,19,25 163:2,7 164:9,15,16,18 166:2 169:24 171:8,11 172:11,21 173:23 174:23 175:10,18 177:6,25 179:8 180:20 182:21 183:15,24 184:19 185:18 186:3,25 189:13 197:2,7 198:20 199:11,12,17,22 200:10 201:25 204:2 206:18,19 207:15 208:17,24 209:2,8, 13,16 210:15,24 211:4,7,12 212:9,12, 19,20 214:7 215:2 216:4 220:3,18,20 221:4,10,24 222:3,7,11,22 223:5,11 224:18 225:2 226:9 227:19 228:3,5,12 229:13,17 230:13,17 231:9 232:9 233:23 234:7,22 238:3,22,23,25

239:2,11,22 240:4,19 241:12,16 242:3 248:22 249:4,13 250:22 251:5,8 252:13 253:14 254:4,18 255:8,15 256:14,25 261:12,13 262:12,25 263:21 264:11,15 265:10 266:2 267:10 269:22 272:2 273:15,24 275:8, 20 276:5 280:9 281:15,17 282:5,18 283:8 284:16 285:2 288:3,6 290:17 294:18,24	optoelectrically 176:9 203:4 oral 54:8,11 55:22 56:3 order 16:7 33:11 40:3 53:16 94:6 263:20 265:9 277:6 295:17 ordinary 22:2 43:25 44:14 45:21,24 46:19 47:8 70:3 74:17 75:12 76:15 77:14 114:13 115:4,6 125:7 152:21 153:15 155:7 159:6,21,25 160:12 161:7 163:7 164:15 169:24 171:9,11 172:11 173:23 174:23,24 177:25 179:8 180:14 182:21,22 183:16,24 184:19 185:18 186:4,25 189:13 197:2 199:17 207:16 209:2,8,13 210:15,24 211:5,7 212:13 221:4 222:3 226:9 227:19 228:13 230:13 232:9 233:23 234:7,22 238:22,25 239:2,11 240:22 241:12 248:22 250:22 254:5 256:25 261:13 262:13,25 263:21 264:15 265:11 266:3 267:11 269:22 272:3,4 273:25 275:8,20 276:5 280:9 282:6,19 285:2 288:7 294:18,24 organization 51:3 52:11 55:2 organizations 55:14 original 51:11 originally 13:20 other 5:14 6:22 8:5 12:8 24:7 26:14 28:11 30:19,20 31:13,18,19 32:11,18 38:25 39:5 53:6 55:10 87:3,18 89:10 95:8,21 105:18 108:8 110:16 118:6,19 124:2 128:5 129:2 130:2 132:17 133:15 140:10,19 141:15 143:5 144:2 147:20 148:6 154:20 156:4 157:18 158:6,11 162:4 163:19 164:17 167:21 169:20 175:19 177:21 186:20 196:14 199:25 201:16 205:12 214:7 216:2,9, 15 221:9,15 222:15 223:6,12 226:20 228:16,18,24 229:2 230:15,19,25 231:9 238:4,23 239:20,22 240:5,20 241:2,17 244:7,13 249:8 250:9 251:6, 16 252:9 255:3,25 259:9 264:8,9 265:24 267:8 271:12 280:11 285:24 others 24:6 25:19 84:25 206:8 219:14 251:3 252:2 otherwise 98:11 our 5:22 17:19 48:14 49:23 94:19 174:10 out 6:21 7:8 9:14 11:18 22:14 36:19, 23 37:8 50:6 83:9 89:21 98:20 103:12 106:22 132:6,11 143:22 161:3 181:18 185:15 186:4 189:24 190:2 193:7	196:7 205:13 221:15,17,22 228:12 229:14 243:6 248:16,18 269:17 274:23 279:12,17 281:8 287:21 288:20 295:19 outer 11:24 44:7 47:2 output 78:12,23 98:4 100:22 101:24 102:9,18 117:17 124:14 143:12 158:12,15 194:22,24 195:2,4 198:12, 14,24 199:2 215:18 outputting 124:12 outset 162:18 189:19 over 5:13 43:4 49:20 52:15 53:20 54:19 68:17 80:14 101:16 118:3 130:8 132:21 152:14 230:25 249:19 250:8 254:8 266:15,18 274:8 overall 113:4,25 143:18 162:23 163:24 257:8 278:17 overcome 250:11 252:12 overlaps 271:25 overview 59:2 own 160:3 185:17 211:14 240:2 264:16 oxidation 38:13
<hr/>		
P		
<hr/>		
p.m. 136:16 137:3 297:9		
Pacific 136:10		
package 140:16 278:24		
packaged 139:19 140:14,23		
packets 66:20 71:18		
page 10:5,23 11:6,8,10,13,14,16,21 12:23 13:4,6 14:10 30:6,23 43:14 48:21 49:2 50:17,18 51:14 56:11 58:12,15,23 60:3 62:25 63:8,10,19 65:23 66:10,14 67:25 68:21 70:5,11, 18,23 71:9,14 72:3,6 73:13,18 75:4 78:9 79:10,22 80:25 81:24 83:25 84:9, 11,13 91:8,12,23 94:13 99:12,13 100:13 103:13 107:7 114:5 116:25 117:8 119:3 126:5 127:9,15 128:25 137:11,13 142:14 143:9 144:15 151:17 152:9,19 153:4 166:18 180:6, 24 181:7,8,17 185:15 188:7,8 192:11, 12 193:13 217:9 231:22 233:5 246:7 260:3,4 267:19 268:7 271:16 280:18 282:2 287:15,22 289:9,13,14		

pages 152:11	particularly 59:16 169:19 241:4	perspective 272:2
Paper 54:4,15	particulars 293:19	pertinent 221:19 228:3
papers 6:9 54:10	parts 64:6,8 103:23 188:23 189:4,16, 22 190:17 191:2,12	petition 6:11 8:2,6
paragraph 11:17,21 19:16,21 20:5,22 23:4 30:4 40:7,13 62:24 63:22 66:14 67:24 68:2 71:10,13,14 73:17,24 78:17 79:17 81:2 82:2 84:13 91:13,23 94:16,17,19 101:19,20 102:10,14 105:13 117:9,12 119:3,4,23 127:9,15, 16 128:10,25 129:8 137:12,14,15,18 138:9 139:13,14 141:5 142:14 143:16 144:5,15,17 145:9 146:18 147:24 152:3,12,13,16 155:5 156:18 169:7 180:7,12 181:17 185:15 187:21 188:7 192:12,15 195:24 200:12 204:8 205:9 207:5,6 220:25 221:16 223:14 224:15 231:19,22 233:5 235:19,20 237:8 241:21 246:6 247:18 260:4 262:8 267:17,18,21,23 269:17,20 271:6,13 272:7 274:7,12 276:16,19 277:24 281:24 283:16 287:14,25 288:12,16 289:15,22 290:3,14,19 291:10,12,14 293:16,18 294:14 297:2	passage 289:22 290:2	petitioners 4:14
paragraphs 48:25 117:2 237:4,6	passing 64:8	ph 60:15
parallel 268:16 269:12	passive 81:8,12 129:7,10,16,19 130:8,18,20,23 131:2,17,21,24 132:3, 21,24 133:6,18 134:23 135:16 145:2, 15 146:8 220:10,15,19,23 238:18 239:13 240:7,15 249:19 250:8,20 251:20,21 253:3,9,15,19,21 279:8,14 281:4,5,18 291:17 296:3	Ph.d. 4:1,17 5:1 6:1 7:1 8:1 9:1,21 10:1 11:1 12:1 13:1 14:1 15:1 16:1 17:1 18:1 19:1 20:1 21:1 22:1 23:1 24:1 25:1 26:1 27:1 28:1 29:1 30:1 31:1 32:1 33:1 34:1 35:1 36:1 37:1 38:1 39:1 40:1 41:1 42:1 43:1 44:1 45:1 46:1 47:1 48:1 49:1 50:1 51:1 52:1 53:1 54:1 55:1 56:1 57:1 58:1 59:1 60:1 61:1 62:1 63:1 64:1 65:1 66:1 67:1 68:1 69:1 70:1 71:1 72:1 73:1 74:1 75:1 76:1 77:1 78:1 79:1 80:1 81:1 82:1 83:1 84:1 85:1 86:1 87:1 88:1 89:1 90:1 91:1 92:1 93:1 94:1 95:1 96:1 97:1 98:1 99:1 100:1 101:1 102:1 103:1 104:1 105:1 106:1 107:1 108:1 109:1 110:1 111:1 112:1 113:1 114:1 115:1 116:1 117:1 118:1 119:1 120:1 121:1 122:1 123:1 124:1 125:1 126:1 127:1 128:1 129:1 130:1 131:1 132:1 133:1 134:1 135:1 136:1 137:1,4 138:1 139:1 140:1 141:1 142:1 143:1 144:1 145:1 146:1 147:1 148:1 149:1 150:1 151:1 152:1 153:1 154:1 155:1 156:1 157:1 158:1 159:1 160:1 161:1 162:1 163:1 164:1 165:1 166:1 167:1 168:1 169:1 170:1 171:1 172:1 173:1 174:1 175:1 176:1 177:1 178:1 179:1 180:1 181:1 182:1 183:1 184:1 185:1 186:1 187:1 188:1 189:1 190:1 191:1 192:1 193:1 194:1 195:1 196:1 197:1 198:1 199:1 200:1 201:1 202:1 203:1 204:1 205:1 206:1 207:1 208:1 209:1 210:1 211:1 212:1 213:1 214:1 215:1 216:1 217:1 218:1 219:1 220:1 221:1 222:1 223:1 224:1 225:1 226:1 227:1 228:1 229:1 230:1 231:1 232:1 233:1 234:1 235:1 236:1 237:1 238:1 239:1 240:1 241:1 242:1 243:1 244:1 245:1 246:1 247:1 248:1 249:1 250:1 251:1 252:1 253:1 254:1 255:1 256:1 257:1 258:1 259:1 260:1 261:1 262:1 263:1 264:1 265:1 266:1 267:1 268:1 269:1 270:1 271:1 272:1 273:1 274:1 275:1 276:1 277:1 278:1 279:1 280:1 281:1 282:1 283:1 284:1 285:1 286:1 287:1 288:1 289:1 290:1 291:1 292:1 293:1 294:1 295:1 296:1 297:1, 10
parallelism 37:3	past 110:13 165:16	
Paraphrased 268:18	patent 6:5,19 7:8,13,16,22 8:12,17, 19,21 9:3 10:19 11:4 12:24 13:20 14:2,3,12 20:22 74:11 114:25 124:8 149:11 151:3 178:13 185:4,9 192:4,9 203:14 214:16 223:17 228:5 251:15 258:4 276:10	
parasitic 101:23 102:8	patents 125:8	
parenthetical 68:23 247:22	patterns 66:23 68:6 71:21	
parse 103:11	paying 18:23	
part 20:23 24:10 62:14 63:19 67:6 80:7 104:19 105:2 110:21,22 113:2 117:4 133:24,25 140:2 170:3 184:9 187:20 200:17 205:11 226:4 243:12 256:22 292:25 296:23	people 16:8 18:17 24:24 28:9,13 52:2 61:20 65:11 87:10,11 97:14 219:2 226:17	
partial 245:11	percent 225:19,20,25 226:2,25 227:2	
partially 197:16	percentage 166:13	
particular 7:3,20 11:22 12:8,18 15:21 22:16 23:14 25:8 27:3 30:20 35:21 36:13 38:21 42:15,24 44:19 60:12 61:24 67:16 73:16 85:12 87:23 88:2 89:19 92:25 100:22 111:3 128:5 135:24 137:12 141:9 143:7,8 149:9 152:2,5 166:2 172:25 174:13 180:12 181:6,10 184:15,24 214:11 215:6,14 229:24 231:16 261:2 263:14 276:14 277:11,25 279:20 283:19 293:3,4,19 294:6 295:4	perfectly 114:8	
	perform 27:5 135:20	
	performance 97:14 112:3 124:3 138:17,24 139:5 146:23 239:20 260:23	
	perhaps 41:24 51:6 90:6 133:8 140:20,21 187:18	
	period 52:11,12 93:19,22	
	periodically 7:10	
	peripheral 139:19 140:13,17,20 141:4,10,17 144:4,9	
	person 75:18 76:9,20 180:14 196:20, 22 197:12 199:10 225:11 263:7 272:17 275:11 283:3	
	personal 62:19 137:25 138:14	

photo 32:6,9,10 46:5 51:10,12 73:19
81:10 95:10 133:10 150:8 204:15
220:12 279:14

photo-generated 106:15,23

photographers 219:13

photographic 139:21 140:7

photolithography 38:15

photon-induced 105:15

phrase 24:24,25 25:3 68:22 74:13
85:20 88:25 96:9 109:2 158:10 173:7
198:10 218:20 236:6 242:11 248:3
261:11

phrased 27:10 85:20 201:10

phrases 277:21

phrasing 129:14 246:17

physical 83:12 165:5 256:7

physically 171:23

physics 106:14

pick 92:11 186:5 221:24 235:20
255:15,20,21

picked 220:2 255:7

picking 180:3

picks 256:17

pickup 11:2,23 12:12,16,22 14:13
20:4,12,24 23:20,22 24:15,20 25:9
27:13 29:11 37:19 38:2,9 40:17,25
41:5,6,18 42:9 43:11,18 44:24 45:18,
19 273:2 277:15,19,20 282:15

pictures 15:6 219:2

piece 156:23 157:9 259:9

pieces 163:25

pins 31:7,9,15

pitch 280:17 281:10,16

pixel 19:3 29:12 31:21,23 32:13 33:5,
10,14,16 34:5,25 35:3,25 36:3,19
37:7,10 39:10,18,21,23 41:19,20
42:24 44:19 45:11 46:4,5,6,8 55:16
67:10 68:9,14 81:14 83:22 84:21 85:5,
14,16 86:4,18 88:7 90:23 93:19 96:23
100:22 105:6,23 113:23 116:12,18
117:21 118:9,12,16,17,20 125:11,13,
24 126:11 128:6,14 129:18,19,21
130:6,8,20,22,25 131:2,17,20,21
132:4,15 133:6,8,10 134:23,24
135:16,17 139:18 140:10,13,23 142:6,
8,22 144:23 145:10,11,18,19,23,25
146:6,20,21 192:17 193:2,5 197:11
203:20,21 205:3,16,24 207:23 208:6,
20 213:21 220:10,13,15,18,23 223:24
224:3 234:16 235:6,12 238:13 239:10
240:7,11,15,16 241:6 248:17,25
249:25 250:3,4,10,15 251:20 253:2,9,
15,20,21 261:21 278:15,21,22 279:2,
8,14 280:2,17 281:7,21 282:8,11,16,
21 290:11

pixels 19:11 20:17 36:22 40:23 41:4,
7,11,17,21 42:4,7 64:18 65:3 66:4,20
67:20 68:16 69:17,21 70:14,20,23
71:18 78:10 81:6,8,9,12 84:22 87:15
96:14 104:6,7,9 105:14,19 118:4,7,24
126:11,16,19,25 127:21,24 128:19
129:6,7,10,11,16 132:7,11,20,21,23,
24 133:18 141:7,25 142:4,17 144:13,
19 145:2,15 146:8 147:12 196:2
200:18,24 206:13 221:3 238:18
240:24 249:18,19,20 250:8,10,19,20,
24 251:22 252:7 278:18 279:8,9
281:5,10,22 283:24 284:3 291:2,16,17
292:11 296:3,4

place 22:3 48:22 89:13,16 97:7
139:21 153:18 154:17,19,20,22 155:9,
11 163:2 164:17 172:8 175:18 177:3
183:20 186:20 191:15 211:12 242:13
269:22 270:16 271:23 278:24 280:15
288:15

placed 140:7 160:24 166:25

placement 232:6

places 89:14 154:7,9 155:2,12 163:10
172:4 184:14 187:18,19 242:17

placing 92:4 96:5 163:5 184:23
248:17

plain 77:14 115:4 272:3

planar 283:20,22 284:13,18,25

plane 62:8 64:19 149:24 150:2,4
151:21 268:12 269:10,11,12 273:7,12,
14 283:23 284:13 285:9 286:2

planes 268:15,16

please 127:14

plug 31:11 209:18 212:4,21 262:3

plugging 208:24 212:10 262:9,23
264:12

plural 175:12

plurality 195:25 198:12,24

plus 253:21 264:16

point 16:2,9 18:10,18 20:13,16 36:14
72:13 124:5 135:22 136:6 149:14
152:4 157:15 178:25 179:3 202:19
209:16 211:19,24 214:19 215:10
221:15 227:18 230:16,22 236:21
242:11,15 243:2,4 244:24 246:3,10,15
248:11,14,25 256:13 257:2 258:20
261:17 262:18 264:21 265:4,14,17,20,
22 269:8,10,12 274:23 275:4,22 281:8

pointed 11:18 14:10 22:14 130:2
185:14 193:14 196:7 221:16 228:12
229:14 242:21 269:17 270:8 287:21
291:25

pointing 72:19 83:9 186:4 189:24
190:2 193:7

points 170:21 221:22 236:11 245:8
248:16,18

pole 73:5

poly 78:20 79:4 195:25

polysilicon 38:16 200:19 202:3

poor 97:13

portion 40:16 70:24 71:5 92:15 103:6
141:24 143:4 148:17 175:24

position 183:10 271:20

possibilities 77:18 168:8 208:11
222:20,22 223:3

possibility 84:17 85:21,23 95:11
97:4 140:15 156:9 178:2 235:3

possible 32:8 66:19 71:17 93:9 94:11
95:14 121:3,5 122:3 141:17 144:8
165:6

possibly 206:15

post-video 151:5,12,23 152:19,25
153:21 154:7,19 156:2,14 157:11,14
158:4,14,23 160:10,19,23 161:12
163:18 164:10 166:8 171:6 172:14
173:15 175:5 177:9 180:16 183:9
186:22 190:14 191:19 225:3 245:15
268:14 269:16,23 270:14

potential 92:13 156:22 248:18 254:14

potentially 38:15 66:2 122:4 144:24
146:7,9,10 167:16 230:17 240:25

power 25:4 26:11 27:17 28:3,7,19,22
29:5 31:24 32:4,11,15,20,22,24 33:7,

11,13,15 34:4,9 35:13 36:2,4,6,7,8,9 181:23	printed 160:7 161:3	properties 106:17
practical 78:8	prior 293:16	proposed 214:15
pre 190:14	probably 39:5,16 51:5 53:5 62:7 89:13 136:5 178:25 208:15 259:6 280:24	pros 92:13 147:16 241:13 250:5,15
pre- 156:13 166:7 180:15 191:18 225:3	problem 105:8,25 106:19 124:6 226:5 230:4 231:4 250:11	provide 10:18 28:17 72:7 75:8 76:5, 17 159:19 160:15,25 173:6 182:16 183:18 197:4,19 199:10 217:18,21 230:24 239:4,6 247:4 248:10 256:16 260:21 262:15 263:4 267:9,12 286:24 287:19 288:2,6 294:25
pre-video 151:5,12,23 152:18,25 153:21 154:7,18 156:2 157:11,13 158:3,13,23 160:9,19,23 161:12 163:17 164:10 171:6 172:14 173:15 175:4 177:9 183:9 186:21 215:18 245:14 268:13 269:16,23 270:13	problems 16:17,18 221:19 224:17 228:4,5	provided 32:23 40:8,9 68:7 76:8 80:23 82:15 84:7 93:25 120:5 138:23 190:25 191:21 194:23 202:14 216:3 233:9 263:4,25
precautions 117:24	proceed 4:16	provides 10:25 27:17 30:19 130:10, 15 207:14,19 214:4 221:13 230:14 241:7 248:13 252:19 262:20 263:23 267:2,3 272:21,25 273:13 288:10 293:2 296:12,14
precise 88:3 225:19	proceedings 50:20 51:22 53:4,12 54:4,9,12,15,18,22 55:25	providing 25:3,20 29:5,7,8 91:20 174:21 198:14 243:3 294:21
precisely 43:7	proceeds 196:3	publication 49:13
preclude 140:15 282:8	process 38:19 39:7 89:20 97:8 132:13 134:5 160:16 187:22 212:24 254:12 281:9	publications 52:20,24 55:14 56:2 88:15
precluded 190:15	processes 39:4	publicly 48:23
preference 127:23 214:7 215:2	processing 61:19 84:18 95:9 153:6 161:23 170:24 181:22 192:19,22 193:8,17,19,23 194:4,8,11,13,19 195:3,7,10 196:8 242:22 245:22 260:18 269:19	published 51:21 52:25 53:2,3 54:13
preferred 120:14 121:15	produce 98:4 212:17 257:5,8 265:10 293:13	publishes 55:19
premise 163:12 237:21	produces 199:2 293:7	pull 6:20 7:8 8:13 9:14 50:6
present 53:16 54:3,6 101:4,24 150:24 158:18 248:12	producing 227:7	Purchase 90:16
presentation 54:11 55:22	product 52:7 162:23 212:5 228:10	purchased 17:10,24
presentations 53:9 54:8 56:3	production 88:20	purchasing 14:21 15:18,22 17:7
presented 51:21 52:25 53:2 177:21	products 67:9,13 254:8,9	purpose 15:17 139:17 142:11 157:15 219:18
presenting 256:6	professional 53:7	purposes 35:17 123:13,22 174:5,11
presents 190:8	program 53:19,20 56:14,15 57:2	push 36:21 37:4
presumably 62:13 73:4 102:21 138:17 145:20 200:20	programmable 59:5 65:22	put 6:10 14:21 17:7 21:21 22:23 26:23 87:7 89:10,15,18 90:11,14,18 91:5 95:15 97:7,11,19 99:25 131:9 152:18, 23 154:17,19,20,24 155:2,3 156:13 161:9 163:10 164:16,17 166:12 167:6 171:12 172:19,25 173:8 174:18,19,20 175:4 180:15 185:23,24 186:21 187:2, 19 191:18 208:20 210:23 232:10,11, 13,21 261:21
presumes 255:11	programmed 64:16	puts 153:13
pretty 22:23 32:18 226:21 229:14	programs 62:3	putting 25:23 91:4 93:9 153:17 174:5
prevent 292:22	project 60:6,7,15 61:24,25 233:9	
previous 95:7 145:8	prominent 104:15	
previously 29:9 42:16 58:7 108:22 137:5 192:7 201:4 211:17 235:5 286:23 287:8	promised 229:15	
price 17:19	pronounce 50:22 75:17 98:20,25 99:2 115:13,15,20	
primarily 16:6 297:3	properly 98:25 99:2	
primary 58:2		
principle 250:3		

186:6	read 13:23 24:13 36:19 37:8 59:24 68:22 70:18,20 75:3 82:2 95:6 117:4 121:21 122:10 144:22 145:6	139:11 141:21 144:22 165:14 297:8
Q	reading 46:20 48:25 67:25 68:21 78:15 114:14 128:9 160:2 185:19 248:23	redesign 158:12
qualifiers 49:12	readout 37:10 65:22 99:17	redesigning 158:6 162:22
qualities 113:4	ready 16:18	reduce 90:5 124:5 143:19 146:15 166:9 177:13 178:14,16 184:25 226:25 227:6,19 290:12
quality 15:9,14 42:8 110:11,21 111:4 117:17 120:5,15 121:18,23 122:6,20, 23 123:5,18 218:24 219:16 289:19,23 290:7,18 291:25	realization 78:8,21	reduced 101:25 153:8 187:24
question 13:8 50:25 56:5,8 61:10 99:10 109:8 121:8 122:19 124:25 125:17 126:13 129:9 143:25 146:5 158:15 164:9 165:22 192:20 194:6 211:2 225:19 227:12 228:7,12 230:2, 10 233:22 234:6 238:3 242:3 243:10 251:14 258:18 262:22 272:15 274:4 275:11 294:2	realize 93:16 188:13 189:20	reducing 88:21 116:13 139:3
questioning 83:18	realized 68:4,23 69:4,8 75:5 79:19 82:17	reduction 166:13,24 167:4 188:13 189:21
questions 5:11,18 49:7,16 50:15 149:9 266:16 288:25 289:5 291:20 297:5	realizing 89:12	refer 28:9,13 65:12 243:11 269:20
quickly 64:7 66:2,5	really 19:13 21:21 25:7 40:4 43:9 55:7 77:17 87:12 95:20 101:7 103:2,11 110:2 127:7 140:19 141:16 145:21,25 147:15 150:25 152:10 184:6 185:10 295:12,24	reference 6:20 8:11 20:3 23:8 29:25 42:18 43:9 47:13,14 48:13 49:9,18 50:8,10 65:6 82:3 98:19 108:23 115:12 116:3 123:21 126:23 135:23 137:10 148:24 149:3 151:20 165:14 192:16 193:12 196:17 203:20 205:14 206:11 217:17 219:20 231:18 235:11, 21 237:14,19 238:20 241:2 242:4,20 289:18 290:20 291:24 294:8
quite 87:25 107:13 108:5 112:11,17 184:6 281:11	rearranging 159:8	referenced 51:15 293:17
quotation 181:20	reason 130:24 144:21,23 145:21 180:19 187:20 220:22 221:24 222:6 225:2 232:20 235:14 237:13 240:18	references 6:11,22 43:20 63:14 135:7 212:11 221:15 242:25 248:23 249:8 262:25 263:12,15 264:9 265:25 290:17
quote 20:23 188:21	reasonable 11:4 234:12	referencing 127:10
quote-unquote 242:4 243:12	reasonably 224:16	referred 25:5 26:10,11,13 29:7 36:20 50:7 65:14,17 71:7 79:9 99:18 108:24 141:4 142:19 144:4 165:25 218:16 219:12 220:14
quoted 30:7 202:11 246:6 287:15 289:22 290:21	reasons 88:16 145:7 152:20 171:8 173:22 180:20 222:3,7,11 261:13	referring 7:9 9:17 30:3 63:20 68:12 69:3 74:6 107:17 123:21 141:5 197:9 224:17 236:5,8,21 242:5 244:14,19 246:7 247:7 261:3 277:23
quotes 289:19	recall 14:14 17:13,22,25 18:5 19:6,13 36:17 39:14,19 40:2,4 43:2,6 51:7 52:14 56:19,24 57:6,7 58:4 59:20 60:10,12,18 67:17 151:7 201:8 202:10 225:6 236:17 261:9	refers 12:11,15 30:18 31:14 61:18 65:2 74:8 117:4 150:10,11,17,19 236:19,22 260:16,18,19 268:5 297:3
quoting 20:21	receiving 176:6 202:25	reframe 61:10
R	recess 48:10 98:16 136:15 179:25 217:6 259:25 288:22	regard 125:24 128:4
range 46:22 104:14,23 106:7 219:9, 10 275:6,10,25 276:9 282:24	reciting 274:15	regarding 289:23
ranging 57:14	recognition 62:15	regardless 183:25 209:20
rare 284:23	recognize 22:3 56:17 76:15 89:8 97:16 115:7 153:15 172:12 173:24 175:4 182:23 184:21 197:3 200:3 207:16 211:11 226:10 239:3 241:13	region 143:20
rarely 97:7	recognized 86:25 87:5,17 185:20 226:18 234:24	regularly 52:21
rate 94:7 109:19,21 112:3	recognizes 97:6	relate 69:16 157:4 242:10,12 279:3
rates 91:14,19 93:3 99:19	record 7:2,4 8:17 47:18 54:5 82:3	
ratio 101:22 107:20 108:5,9		
rationale 222:13		

related 6:11 42:4 51:23 77:18 94:20
102:12 110:24 113:9 114:21 124:23
126:3 135:5 141:12,13 223:18 224:5,8
243:18 247:2 280:13

relates 10:19 14:11,12 119:5 140:18
225:22 244:7 272:24

relationships 149:16

relative 128:6 133:17

relatively 89:9 94:9

relevance 53:23 103:10

relevant 12:3 35:18 43:16 102:6
111:13 112:6 135:12 136:3 139:16
204:3

relied 148:17

relies 296:18

relocate 183:17

relocating 159:4

rely 10:8 23:24 264:20 291:12,18

relying 21:5,23 22:15 127:6 148:12
284:5 285:15

remaining 163:20

remember 18:2 59:21 125:22 143:18
150:20 157:20 175:12,16 289:21

remotely 4:9

render 135:10 165:20

rendered 77:16

renders 269:4 285:20

repeat 126:12 194:5

repeatedly 262:2 265:15 266:14

repeating 266:17

rephrase 28:20 31:22 86:15 107:23
109:7 122:21 126:21 183:6 214:13
263:8

reporter 4:2,15 5:12 140:25 186:11
209:21 229:10

represent 212:3

Representation 70:12

representative 104:8

represented 160:6 216:8

reproduced 166:20

require 31:23 32:7,11 33:6,11 34:3,8,
14 113:16 145:16 158:5,12 202:4
203:22 205:17,20 207:24 208:7
212:11 270:20

required 34:12 36:11 37:9 109:24
120:16 121:19,24 148:9 185:22
191:12 200:16 205:5,23 206:5 261:18
269:17 274:14 278:14

requirement 36:2 37:13 110:6
272:23 285:24 294:23

requirements 25:21 36:5,6 39:2
111:4 163:24 268:19 269:6 270:9
294:6,16 295:20

requires 32:4,19 33:15 35:2 45:17
191:3 200:25 201:6,18 209:19 213:5
264:7 270:17

requiring 117:16 226:14

research 14:22 16:12,16,21 17:19
35:15 51:20,24 53:6 63:16 94:20

reset 26:15 28:12 66:15,16 70:17
71:6,15,16 72:7,16 75:8,9 80:6,21,22
81:3,21 82:4,13,14 83:18,19,20
287:24,25

reside 161:6

resolution 59:5 66:25 68:7 96:16
101:23 102:15 229:25 248:15 252:6

resolutions 64:17 102:19 221:25
231:12

respect 29:17 112:2 151:16 185:8
215:3 274:15

response 101:12

rest 67:21 69:15 128:24 183:22
184:12 197:6 212:17 243:5 255:16
258:25 290:13

restate 170:16

restricted 45:2

restriction 173:8

result 278:12

resultant 228:10

results 102:14

resumed 137:3,5

reverse 216:20

review 126:22

reviewed 52:22 53:18,22

Ricquier 9:8 47:14 48:13 49:9 50:8,
10 58:6,20 62:22 65:6 69:11 70:4,22
74:8,13,20,23 75:3,16,20 76:2,8 77:21
79:12,14 80:9,25 81:10 82:16,24 83:7
84:6,10 85:19 92:11,15 94:5 95:5
97:21 98:19 99:8,11 102:4 103:6
104:19 105:7 114:12 217:13 219:20,
22,24 220:5,9,12,23 221:12,14,17,22
222:5,8 223:15,23 224:9,11,16 226:7
227:6 228:3,8 229:23,25 230:6,14,23
231:6,20,25 232:12,17,19,22,23
233:11,14,24 237:3,12,17 238:2,11,17
239:4,18 240:6,7 241:10 242:9,19
243:2,25 246:3,18 247:3,16,21 248:7,
13 249:6,12,13 252:3,17,19 253:24
254:3 280:16 281:3,6,8 284:7 285:19
286:5 287:4,7,8,16,19 288:13 290:6,8
295:9,18 296:2,3,6,11

Ricquier's 73:18 84:24 91:2 252:10

Ricquiers 47:23

right 6:4 7:18,23 14:8 15:10 16:13
18:11 20:18 23:13,15 26:6 27:6 28:3,
25 29:12,15,20 31:6 33:16 37:11
38:21 43:12 44:20 45:13 47:21 48:8
49:24 55:17 57:23 62:20 70:25 74:3
76:11,22 77:19,24 80:3 81:16 83:16
84:23 85:16 86:5,20 88:22 96:24
103:7 105:9 106:2 107:18 108:16,24
119:7 121:3 125:5 126:11,16 129:23
130:8 131:2 132:24 134:25 136:13
140:11 147:24 148:18 150:8 151:13
154:24 158:7 159:16 160:20 161:13
162:8 167:14 168:25 173:7 175:9,20
178:7 179:13 180:17 181:2,19 184:6
188:5,11 189:22 190:23 191:19
195:20 200:12 202:18 203:15,22
204:5 217:23 218:13 220:2,7,11
222:11 223:20 226:4 232:24 233:15
237:4,14 238:13 240:8,12 243:21
247:23 254:17 257:24 268:17 270:19
273:15 274:6 277:10,12 281:3 283:25
286:25 293:22 295:6,17 296:4,16

ring 83:22

rise 237:18

risk 106:24 243:21

robot 62:14

rogue 84:25

room 122:17

Rotatable 221:7

rotates 153:10 187:25 188:3
rotating 236:16
rough 257:25
roughly 152:11
routed 195:2
row 37:5 91:8 95:11,17 128:20
197:17,21,24 198:23 199:19 262:21
286:9 287:24
ruler 167:6
run 33:18 105:7

S

S/n 107:12,17
said 14:11,15 17:9 18:5 20:11 24:3
25:17 26:9,21 45:15 80:19 83:6 86:12,
24 91:8 111:10 112:18,23 114:14
120:9 128:12,13,16 131:22,25 133:3
134:10 137:13 138:22 145:15 147:7
161:16 163:23 167:16 169:11 172:19
186:14 191:14,16 195:20 201:21
203:24 205:3,19 212:8 213:23 218:3
223:21 233:18 239:14 243:19 253:7
257:10 258:4,10,23 259:5,20 260:15
266:19 273:7 276:10 280:3 282:13
294:12
sake 179:12,16
same 23:4 33:18 36:2 39:25 49:3,10
60:14 67:24 73:11 74:20 77:23 79:21
84:18 85:15 88:20 97:7 101:18,21
113:7 118:9 119:19 130:24 133:13
135:8 139:13,21 140:11 167:3,17,21
175:24 193:22 199:7 200:13 204:21
205:21 208:9 211:10 221:17 222:9
223:16,18 224:4 235:2 253:3 258:6,
11,25 264:7 266:16,17 271:7
Samsung 4:5
satisfied 268:23
satisfies 190:9 268:3
satisfy 286:22
saw 240:22
say 12:18 26:18 27:11 29:22 31:20
35:11 45:7 53:9 57:25 73:23 77:19
93:13 109:16 111:17 112:21,25 114:4
123:15 131:23 140:22 147:23 155:15,
20 157:22 177:2,21 179:15 183:20
186:11 187:16,21 188:17 193:18

194:14 196:3 199:22 201:22,23,24
202:13 205:2 209:17 213:15 223:15
224:16 225:7 231:6,14 232:2 246:16
247:14 253:12,18 271:18 276:11
292:23
saying 25:7 79:4 90:18 95:20 98:2
108:11 115:21 122:3 125:22 140:9
200:18 257:21 258:3 259:7 266:9
282:17
says 10:5,24 12:21 13:11,12 20:23
23:12,18,23 24:13 25:8,10,12 26:5
27:10 31:8 37:25 45:7 46:17 56:18
59:7 60:8 61:21 69:7 72:25 75:21 83:7
84:14 93:15 97:5 99:8 100:19 101:20
102:7,10,16,22 103:19 104:11,21,23
105:3,4 107:12 108:3 112:16 113:20
118:20 119:4,9,11 121:14,18 122:8
127:19 128:10,16 137:22 138:12
139:8,15 140:4,8,12 142:16 144:8,19
145:14 146:9,18 155:23 162:3 164:12
172:9,24 174:9,18,19,22 176:4,14,22
187:5 188:12,21 191:2 193:8 194:12,
16,18,20 195:10,17 197:20 200:13
202:13 207:10,11 211:18 214:8,21
215:11,20 223:14 226:25 228:2 231:8
233:4 240:19 245:10 246:21 254:3
265:18 274:8 277:9 281:8 282:14
Sazuki 20:22
scanning 252:20
scene 96:15
schematic 70:12 73:3 82:21 83:10
213:14 256:24 296:14
scheme 66:24
Science 62:2
scientific 62:13 121:7
second 63:2 64:10 66:13 68:2 71:13
78:16,20 79:4 80:25 81:25 91:14,21
93:3 94:7 101:19 103:24 109:20
110:4,9 145:9 150:3,4 151:20,21
166:3 233:5 268:5,10,12,16,22 269:6,
10,11 270:2,18 271:3 285:9 286:2
section 10:12,14 30:7 31:16 81:23
99:11,13,18 100:14,20 101:18 107:8
152:4 269:20 274:5,11 282:2 291:15
sections 280:12
see 4:23 10:10 11:17 12:24 13:3,9,10,
13,14,16,17 20:5 23:13 24:16 30:5,24
31:6 40:10,12,20 45:18 49:17 50:3
51:14 63:18 64:20,24 65:3,10 68:25

70:14 72:17 73:20 74:20 78:3,4 82:6
84:19 92:7 94:18,25 99:14 100:15
103:17 104:16 105:18 107:15 112:5
113:22 114:21 117:9 119:25 127:10,
25 128:25 137:20 138:4 139:23
141:11 144:10 145:3 146:25 157:21
171:10 172:8 175:20,21 176:4 180:23
181:11,25 182:2 188:5,15,24 193:16
194:17 195:6,9 198:10 216:21 224:21
231:11,21,23 234:20 236:2 239:15
241:25 242:17,18 248:24 254:14
259:10 260:9 268:7,9 271:3,22 272:11
275:9 285:12 289:15,18 290:21
seeing 74:20
seek 221:8
seem 262:2 264:10
seems 49:2 227:12
seen 85:8 103:22 118:2 271:23
select 67:19 227:15 229:4 230:21
287:24 294:24
selecting 227:3 228:16 257:2
selection 91:9 160:16 190:9 232:3
286:9
selective 84:15 221:24
semiconductor 277:20 282:15
284:18,20,25
send 31:11
sense 14:20 96:15 101:5 199:6 219:8
254:6 271:25
sensitive 144:25 145:13,16 146:7,11
279:14 290:22 291:5
sensitivity 146:15,16
sensor 17:12 18:6 39:23 41:5,12 59:4
64:19 70:12 94:22,24 95:22,25 96:4,8,
17,21 97:25 108:13 118:4,12 126:11
128:11 146:21 149:23 150:7 157:17
158:13 166:21 167:2 179:3 210:18
215:3 218:5 221:21 224:14 227:12,15
234:21 243:20,24 251:20 254:11,17,
18 255:2,4,14,20,25 256:3 273:7
277:4,6 278:11,17 279:4,24 280:21
281:21 283:20,21 284:5 290:11
sensors 59:25 67:19 95:8 105:21
117:16 144:24 146:6 221:18 223:19
224:6,10 226:11,19 228:15 235:18
254:9 276:25 279:19 285:22
sent 49:21

sentence 10:23 59:6 63:2,3,10,24 64:2,11,20 68:2,20 69:15 70:18 78:19 84:19 95:7 100:19 101:19 102:3 103:19,25 104:11 107:11 117:23 120:13 137:18 143:15 144:21 145:9 146:17 176:4 205:6 232:2 271:15	21 97:9 100:22 101:10 105:18 117:17 118:8 151:23 161:23,24 170:22,23 176:10 181:22 192:25 196:16 203:5 225:3 236:13 245:22,23 254:22 260:17,18 261:8,17 263:24 269:19 293:21	sketch 31:17
sentences 145:9	signal-to-noise 101:22 107:18,20 108:5,9 110:14,16 112:11 113:4 218:24	skill 22:2 43:25 44:14 45:21,24 46:19 47:9 70:3 74:17 75:12,18 76:10,15,20 114:13 115:6 125:7 152:21 153:15 155:7 159:6,21 160:2,12 161:8 163:7 164:15 169:24 171:9,11 172:11 173:23 174:23 177:25 179:8 180:14 182:22 183:16,24 184:19 185:18 186:3,4 187:2 189:13 196:22 197:2,13 199:10,12,18 207:16 209:2,3,8,13 210:16,25 211:5,7 212:13,16 221:4 222:3 225:11 226:10 227:20 228:14 230:13 232:9 233:23 234:8,22 238:23, 25 239:2,11 240:22 241:12 248:22 250:22 254:5 256:25 261:14 262:13, 25 263:21 264:15,16 265:11 266:3 267:11 269:22 272:3,17 273:25 275:9, 12,20 276:5 280:9 282:6,19 283:3 285:2 288:7 294:18,24
separate 28:24 89:21 192:19,22 193:2,17,18,20,22 196:8 213:8 239:24 242:22	signals 26:14,15 28:12,14 29:8 31:11 65:12 68:15 70:16 71:7 72:12 79:25 81:22 84:4,5 104:7 151:5,6 194:22,25 195:2,5 215:25 293:11 295:15,19	skilled 55:12 89:7 196:20 263:7
sequence 127:9,12	silent 184:18 213:24 214:9 218:4	slightly 184:16,17 241:9
serial 194:24	silicon 196:2 227:20 284:12	slowly 93:7
session 53:24 216:25	silly 253:18	small 65:16 110:21,22 132:12 174:5 184:10 234:9
sessions 53:25	similar 5:3 28:16 37:16 55:5 61:25 234:25	smaller 44:11 133:11 153:10 156:24 173:25 174:7 178:3,23 179:2,3,17 184:17 188:2,4 225:5,10,16,20,25 226:2 250:4 276:3 278:7
set 71:24 84:25 90:15 98:5 116:21 126:4 177:14 179:4 234:8,9 239:5,7 251:8 295:14	similarities 295:23	Smart 59:4
sets 239:24,25 249:11 251:10,11	similarly 34:22 183:21 209:10 273:17	smear 103:21 104:2,3,14,24 105:8 106:2,8,13
setting 281:20	simple 22:25 72:23 90:18,20 130:18	snow-like 118:2
several 27:14 53:19 64:16 73:10 77:5,6 232:4	simplest 32:9	societies 55:8
shape 118:2 149:24 190:12	simply 12:15 21:13 25:2 45:17 71:24 153:18 154:16 179:5 186:4 250:25 274:22	society 50:22 51:8,11,17,19 53:7
shifted 54:20	since 5:16,17 56:8 133:23 188:21	Sol 165:17 166:12
short 48:5 94:9 288:19	single 73:5 94:24 96:21,24 97:20,21 98:3,13 130:3 195:25 198:14 199:2 200:19 202:2	solder 161:4 165:3 167:17
shortcoming 237:16,21	sit 17:21 22:10 77:19 103:4 146:4 147:22 148:3 168:25 215:5 246:20	soldered 168:4
should 58:12 101:25 145:6 164:3 174:23 202:22	situation 74:15 170:12 231:10	solid 45:7,10 150:11,19 265:19
show 70:6 154:6 167:12 172:8 192:21 234:7 271:17 287:17 292:19	situations 121:7	solid-state 11:2,23 12:7,9,12,15,19, 22 14:13 20:4,12,14,24 23:19,22 24:15,20 25:9 27:12 32:3,19 37:19,25 40:17 43:18,21 44:24 45:11,19 46:8, 12 59:13 62:8 105:21 106:20 116:14, 16 119:5 124:24 125:4 135:9 140:5 154:14 164:23 176:6,8 201:14 202:9, 17,25 203:3,8,12,15 213:25 214:5,10, 20,24 215:2,7,10,14,23 216:8,10,14, 21 217:22 218:4 221:9,20 222:10,15
showed 73:4 155:10 172:18 191:7	size 11:20 39:10,21 40:8,9,25 41:6,12, 14 43:10,23 44:2 46:21 47:7,10 90:13 113:23 132:3,17 133:4,8,9 135:15,21, 23 136:2 153:7 156:20,22 157:4 166:10,14 169:21 170:3 177:13 178:14,16 184:3,25 187:23 224:19 250:4 272:24 273:2,22 275:2,6,10,18, 25 276:22 277:3,7,25 278:2,3,6,10,13, 14,22,23 279:2,3,8 280:7,12,19,20 281:20 282:6	
showing 191:23 232:17 273:20	sizes 12:4 39:18,19 45:3 46:18 275:24 282:22	
shown 7:14 8:22 9:2,21 46:21 50:10 58:8 70:4,24 72:2 77:22 79:2 80:7 82:9,12,20,24 104:12 116:3 141:22 142:8 143:3 149:4,18 167:9 169:21 176:19 183:4 192:9 193:21 195:4,8,18 196:4,12 214:16 270:3 276:11	sizing 169:22	
shows 31:17 43:24 65:23 81:18 83:10 94:12 101:12,13 111:25 142:9 153:12 178:6 192:24 193:4 214:11 220:12 232:12,19 275:4 276:25 293:9		
side 31:19		
signal 20:15 23:21 64:18,23 68:17 72:22 80:6,22 81:3 82:13,15 83:11,20,		

224:6,10,14 226:11 227:15 234:21
235:7,24 236:7,20 243:23 255:14
257:11 258:7 265:19 277:10,12,15,19,
20 279:24 280:5,6 282:14 283:13
284:24
solution 248:24
solve 226:5
some 6:19 12:25 13:10 18:16 19:22,
25 25:18 28:9 31:2 32:7 37:3 40:7
50:14 59:15 61:18 62:4 65:23 68:6
78:3 86:11 87:10,11,14 89:9,13 90:2,
4,8,12 91:10 93:23 95:15 96:15 97:17
104:7 106:9,24 120:20 129:25 130:20
144:18 147:18 149:8 150:5 152:20
162:20 164:3 166:20 169:10 178:22,
25 179:3 180:10 196:23 199:6 201:3
202:4 211:11 219:8,13 225:16 226:13
230:15,18,19,24,25 249:4 251:2,9,11
252:15 259:12 265:12 267:3 271:25
276:16 292:16 295:22
Somebody 163:14
somehow 32:21
someone 174:6 279:11
something 5:8 31:15 42:25 46:21,24
49:19 52:8 77:15 81:6 92:8 98:11
109:23 110:23 111:23 112:2 114:21
116:23 121:11 124:8 138:16 144:11
147:14 164:25 166:2 168:17 173:17
186:14 188:18 190:6 206:10,12
218:18 259:6 262:3,4,11 280:23
sometimes 24:23,25 25:2 26:10
28:13 36:19 65:11,14,17 131:14
somewhat 178:23 252:9 277:3
somewhere 154:11 172:15,25
198:25 215:21 276:6 282:24
sophisticated 146:22
sorry 13:4 36:6 38:3 40:12 61:8 70:10
78:14,16 126:12 150:17 152:4 168:14
188:2 193:11,24 194:5 195:19,23
198:17,19 204:4 220:4 249:3,18
252:24 258:18 260:4,24 275:3 280:11
289:11 295:16
sort 17:14 29:6 218:17 262:5
sorts 24:21 51:23
sound 6:7 255:18
sounded 99:3
sounds 39:22 247:7
source 32:7 52:19,20 102:11 143:13
146:13 292:15
sources 143:17
space 47:4 152:22 180:22 181:13
183:8 232:5
speak 122:12
speaking 25:5 26:16 67:6 85:7
265:23
specialized 123:14 226:15
specific 25:21 26:9 59:15 60:10 62:5
64:3 74:16 75:11 135:22 148:14
160:5,7 170:25 182:14 196:18 197:5
199:21 200:17 201:25 202:20 206:13
211:6 216:20 221:12,22 226:23 233:2,
10 249:5 256:13 267:6 292:3 295:14
296:14
specifically 39:12 46:23 69:19 95:18
120:8 126:7 128:23 143:24 146:3
204:7,10 230:11 245:9 261:10 271:13
specifications 233:21
specificity 40:3,5 169:20 238:15
specifics 201:13,15 262:7,19
specified 110:15 111:8 173:21 218:9
276:9
specifies 75:4 141:9 169:10 200:11
225:24
specify 44:7 134:4 203:12 255:13
278:21
specifying 203:16
spectral 100:25 101:15
SPEE 50:21
speech 138:3
speed 63:4 91:10,24 92:3,12 99:17
100:6 110:3 147:8
speeds 92:6,24 93:2 99:23
SPIE 50:21 51:2,4,11,15 52:20 53:2,3,
10,17 55:5,11,24
spoke 42:16 220:8
spoken 165:15
sponsors 233:7
spot 104:13,22 150:15 195:20
spread 117:19
square 59:11 73:22 113:20 114:2,3,6,
9,12,15,17 115:3,7,9 149:24 280:14
stack 49:4
stacked 271:9,19 272:5
stacking 271:11
standard 19:2 36:14 59:12 72:25
83:8 87:2 98:9 110:8 134:23 254:4,12
284:8,9,11
stands 51:10 107:19
star 68:8,10,15,16 114:6
start 8:11,24 50:16 58:11,15,18 60:22
89:12,15 159:20 162:15,18,20 217:15
237:8 247:19 255:8 264:20,23 267:16
started 60:20 63:10 216:25
starting 43:14 51:5 78:9 127:18
161:20 246:10,15 264:21 265:3,22
272:7
starts 73:17 90:6 134:6 137:19
144:20,21 152:9 180:7 195:16,24
204:8 282:2
state 18:9 45:7,10 114:2 150:12,19
153:4 221:2 260:6 265:19 271:16
276:18
stated 93:8 114:15 139:2 159:2 171:4
183:12 196:25 220:25 237:6 238:14
262:7 271:14 275:18 281:24 282:3
statement 25:22 26:22 107:25
113:16 146:6 147:4,23 148:11 174:22
183:19 187:8 190:5 203:25 205:21
207:20 245:25 247:23 269:3
states 78:3,5,18 82:17 106:5 161:22
204:20 274:13 283:8 288:2
stating 138:15 204:16
statistical 117:19
stay 133:13
step 268:4 274:4
steps 38:17 297:3
stick 155:16
still 36:17 51:16 61:17 120:17 121:5,
19,22 123:6 124:7,14 137:23 138:7
150:18 159:13 163:19 167:2 174:7
189:14 219:17 231:19 264:10 265:21
288:21
stop 252:8

storing 65:12,13	suitable 62:9 195:3,7,10	symbol 83:10
strike 35:23 47:11 131:18 157:12 162:16 217:19	suits 219:17	synchronization 161:24 170:22 245:23 260:17 261:8
stringent 110:20	summary 53:13	system 60:4,6 64:8 137:23 138:18 146:24 193:5 195:18 196:4,5 233:25 256:11 257:8
strong 157:3	superior 121:9	systems 51:23 55:9 57:3,12,13,14,16 94:24 96:21 120:5,6,9,12,14 121:15 138:21 181:23 233:8
strongly 259:13	supplies 33:12 34:8	
structure 80:6,13,20 82:9,20 214:12	supply 26:11 32:4,12,24 33:15 35:13 181:23	
structures 63:12 76:16	support 157:17,19 165:5 259:12 279:18	
study 16:11	supports 157:16	
studying 15:21	suppose 33:2 130:19 147:14 165:10 187:3 235:3	T
sub-array 252:20	supposed 11:13	table 10:2,13 11:7
sub-circuit 143:12	sure 6:18 8:3 9:14 17:6,17 19:25 23:15 29:14 37:13 38:4 47:20 52:18, 19 53:14,15 60:11 63:18 113:24 115:12 126:14 134:19 136:7 138:19 151:10 154:11 157:2 158:19 161:15 184:5 195:19 196:5 199:5 202:21 213:15 216:24 257:16 265:2,21 268:2 293:25	tackle 16:18
subframes 96:12	surface 31:2	tailored 38:20
subject 7:17	surprise 58:3	take 15:5 24:8 45:25 48:4 97:3 98:14 114:15 138:14 174:9 179:21 219:2 238:18 259:22 288:18
submission 54:21	susceptible 291:8	taken 48:10 98:16 117:24 118:3 136:15 179:25 217:6 259:25 288:22
submit 53:17 54:4,14	suspect 22:7 147:18	taking 244:18 276:2
Subscribed 297:11	Suzuki 8:12,17 9:3,7,12 10:8,16,19, 22 11:18 12:2,8,11,14,24 13:6,20,25 14:11,12 19:15,18,22 20:9 21:6,9,24 22:15 23:12,17 24:5,10 25:12,14,20 26:5,20 27:3,5,10 29:18 30:18,24 37:19,24 40:9,16 43:9,24 44:4,13,18, 20,23 45:5,10,17,23 46:10,14,17,18 272:10,13,17,21,25 273:13,14,17,20 274:9 275:4,14,24 276:2,14,25 277:9, 10,13,14 279:23,25 280:5 282:3,7,11, 14 283:4,9,11,12	talk 5:13 24:9 30:11 35:21 37:18 40:7 47:13 49:22 67:15 72:15 73:18,21 78:11 85:4,11 95:18 100:24 101:14 133:25 151:4 235:22,23 241:21 242:6
subset 65:16 66:4 67:19	Suzuki's 274:17	talked 23:6 42:17 108:22 110:13 112:10 149:7 150:25 192:3 202:5 219:22
subsets 67:4	Swift 9:8 211:9	talking 6:3 17:8 23:4 28:21 30:8 55:17 60:20 61:3 62:17 64:3 65:25 72:15 81:20,22,25 97:24 98:18 99:6 101:2 108:20 110:5 124:9 143:6,24 156:25 161:21 166:5 176:10 180:4,9, 13 184:8 187:14 205:7 207:7 217:16 220:5 224:23 236:5 237:3 243:13 264:18 271:7 290:8
substantial 181:12	switch 48:13 66:16 71:16 72:21 73:5, 7 75:9 82:5,12,23 83:15,19 84:2	talks 40:16 50:19 54:24 56:11 59:24 60:23 64:11 65:21 66:23 68:3 78:7 91:12,18,23 94:18,19 96:20 100:24 102:14 119:23 144:18 154:3 157:3 175:10 192:16 204:10 285:5 286:7
substantially 163:9 228:22	switches 66:15 71:15 72:23 75:9 82:4,19 83:21 143:11,14 200:24	tasks 64:4
substrate 39:2 283:21,22 284:12 285:2	switching 295:19	taught 12:3,4 46:20 172:19 174:3 220:17
substrates 284:19,22	sworn 4:9,18 137:5 297:11	teach 45:21 171:11 227:11 242:25
succeed 232:20		teaches 44:13 45:23 46:18,25 47:5 80:10 171:19,22 175:6 211:4 231:13
success 159:7 234:13		
such 30:10 75:8 120:17 121:19 128:19,21 137:22,25 138:13 148:11 165:9 169:2,15 170:22 214:24 234:19 235:15 252:4 278:9 282:22		
sufficient 76:9 107:14 108:7 110:17 111:9 165:9 274:25		
suggest 94:8 113:10 129:2 177:18 190:17 195:7 222:14 227:13 255:8 262:3 282:5		
suggested 229:23 230:3 280:4		
suggesting 102:21 257:19 258:17 264:11		
suggests 164:3 190:12 197:17 231:3, 10 234:11		

232:8 251:2 252:3	testify 211:25 272:9	225:15,18,24 226:9,17,24 227:3,22 229:13 230:8 231:8,9,13,16 233:18 234:6 237:20 240:17,21 242:22 244:11,17,19 245:12,17 246:23 247:12,13,14 248:3,24 259:15 272:2 276:25 278:5 282:5 287:11 290:16 292:6 294:11
teaching 14:22 133:22 155:22 190:22,25 227:23 240:19 265:16 273:23 274:18 283:5	testimony 7:21 9:4 26:2,25 43:8 44:17 45:9,13 46:13 57:21 62:16 70:21 74:22 76:7 77:9 80:5 83:2 131:16,19 132:19 141:3 151:10 155:25 157:10 161:10 162:6 163:16 165:12,17 170:5 180:11 183:7 186:19 196:19 199:8 209:23 213:18 215:4 216:6 217:20 225:8 233:12 236:24 258:21 259:4,17 260:12 261:20 263:6, 9 266:5 268:22 269:3,25 270:21 271:8 274:11 276:13 279:25 283:2	thinking 67:7 123:11 242:19
teachings 21:24 23:25 43:15 116:17 126:3 148:14 190:21 210:6 211:18 212:3 227:9 233:14,21,24 242:8 243:25 246:2,17 247:3,21 252:10 265:23,24 266:6	text 142:19 193:13 194:18	third 62:24 63:3,21,24 64:11 144:20 167:6 187:4,6 235:22
technical 15:25 51:8,19 53:23	theme 62:4,7,19	thought 43:15 77:17 191:14
technique 38:8 284:15,17	thereon 80:2 151:22 284:3 285:11 286:14,17,19	three 28:4 156:8 187:19 223:6 248:5, 23
techniques 38:5	thing 17:14 165:2 206:19,21 209:16 212:9,15,20 245:13,14 264:12 266:18	threshold 106:10
technologies 16:10 56:18,21 124:4	things 25:4 26:10,15 27:14 28:8 45:3 49:16 52:4 54:6 62:15 63:15 65:20 71:24 75:7 89:9,10 90:19 109:16 113:17 124:5 134:16 146:10 147:13 152:15 156:19 157:4 159:5 160:4 161:19 162:3 165:7 167:21 169:10 170:22 176:16 182:4 190:2 201:16 222:2 227:3 242:25 244:7 247:15 250:9 251:2,25 252:10,14,15,17 256:10 272:21 283:7,9	through 5:4 6:21 19:22,25 51:6 64:12 77:17 117:2,8 152:12 176:2 182:3 237:7 270:8 287:22 288:19,21
technologist 139:16	think 6:17 11:4 12:14 15:14 16:4 17:9 18:13 21:19,21,25 22:23,25 24:4 25:6, 20 27:9,10,15,21 35:6 36:8 37:24 38:24 39:6,16 40:3 41:11 42:12,23 43:24 44:13 45:15 47:15 48:21 49:21 50:2 51:12 52:15 54:21 55:18,24 57:19 59:18 67:5,6 70:2 71:10 74:17 76:14 77:3 81:11,22 85:7 86:8,23 87:4,17,24 88:3,11,13 90:17 91:2,9,16 92:2,12 94:3 95:20 96:18 97:5 98:2 99:21 101:12,13 104:5 105:3,19,20 106:5 109:22 111:25 114:14 115:6 117:11,22 118:5,18 122:3,8,14 123:12,25 139:7 141:8,15 146:2,12 147:16 148:3 152:10,20 153:24 154:10,16,25 155:13 156:10 157:8 159:3 161:17 164:14,25 167:23 168:6, 15 171:2 172:20 173:5 176:25 177:17, 24 178:21 179:5 182:21 184:3,4,13, 17,21 186:9,13,23,25 187:5 189:6,11, 24 190:4,7,20 191:5,6 194:10,12,16, 21 196:13 197:19,22 198:9 199:17 200:3 201:9,20 210:12 212:8 213:7,23 216:2 218:16 219:8,18 220:16 221:13	throw 73:5
teleconferencing 121:11		Thursday 4:6
telescope 62:14		time 5:6 15:22 16:15,19 17:8,10,11 19:4,9,12 30:19 36:14 37:10 38:7,10 39:23 41:19 44:2,16 52:5,11,12 55:6, 13 56:23 59:7 61:2 63:17 64:5,13 67:14 70:19 85:4,11 88:3 89:8 94:8 97:11 99:22 101:9 120:4,23 137:3 187:14 199:7 204:13,19 205:12 207:11 228:14 229:7 253:3 276:21,23 280:10 282:20 286:8 297:9
tell 52:18 110:21 177:25 209:7 210:23 216:3 276:4		timed 71:23
tells 215:6 216:16		times 104:14,24 106:6 110:10 205:12 206:8 266:16 280:22,25
telluride 229:6		timing 24:24 26:12 27:19 28:2,6,18, 23 29:8 68:4,5 69:4,7,10,17,18,19,21, 22 70:7 72:2,9 73:24 74:8,9,12,14,21, 24 75:4,15,25 76:8 79:9,18 80:2,7,12, 23 82:16 84:5,7 90:22 92:4,17 93:14, 17,23,25 94:10 96:6 99:7,15,20 100:4 125:23 126:3,7,24 127:9,12 128:5,14 157:19 192:18,21,25 193:6,16 194:2, 7,25 196:9,11,16 197:14 198:2,5 199:4,13,20 200:14,21 204:21 213:11 231:20 242:21,23 260:7,12 261:3,11, 22 262:21 264:2 285:10 286:6,7,13, 21,25 287:4,11,17,20 292:3,19,23 293:3,9,13 294:5,15 295:4,8 296:8,13, 19
temperature 122:18		title 53:12 59:3,23 116:10,12 117:4 221:6
temporal 100:14,17 101:5,8		
temporarily 65:13		
tends 133:4 134:11,18,19		
term 30:14 61:16,17 64:22 87:21,25 88:12 106:13 107:3,6 246:6 271:11		
terminology 236:16		
terms 9:10 11:3 12:2 19:8 22:15 23:25 34:23 36:4,10 38:2 39:13 40:23 41:20 45:20 46:18 47:10 87:6 88:21 100:11 110:12,20 130:21 160:21 162:2 173:16 218:17 226:23 227:16 249:4 252:20 254:14 261:14 262:21 263:25 268:2 284:18 291:3		
terribly 157:3		
testified 4:19 29:10 52:10 54:25 79:8 80:14 92:19 99:8 125:2 127:5 129:22 137:6 163:22 167:11,23 168:6 201:4 211:16,23 218:11 225:2 235:5 257:22 265:15 283:7 286:23 287:7 296:2,7		

today 4:6,9 6:2 8:11 9:17 17:22 22:10 103:4 146:4 147:22 148:3 192:4 202:6 215:5 216:6 272:14 288:24 289:2 292:15	two-thirds 117:14 290:20	188:3,12,22 189:25 287:9
together 68:15 84:16 96:14 164:20 170:8 243:8 248:23 249:13	type 12:8,19 17:11 19:3 22:5 44:19 45:10 100:20 124:21 213:25 214:10 215:2,7,14 216:4,7,21 217:22 218:4, 10 221:11,23 235:6 262:18 265:16 277:11	universally 35:7
told 18:22 123:11 130:16	types 26:14 28:22 45:23 46:2 101:4	universe 165:7 168:7
tool 62:15	typical 15:14	university 16:21
top 12:25 13:9 43:3 57:25 70:24 71:3 141:23 180:24 248:2,4	typically 16:16 32:10 37:4 52:7 53:24 106:9 226:11	unless 32:20
topic 35:18 56:9 148:4 180:4		unnerved 99:5
total 133:12	<hr/> U <hr/>	unplug 209:17 212:20 262:3
towards 145:19	U.S. 6:5 7:13 8:18,21 14:3	unplugging 208:24 212:9 262:10 264:11
traces 159:8 182:19	Uh-huh 17:21	until 170:25 175:15
track 7:25 131:6 133:4 134:11	ultimately 256:10	unusual 33:3 35:9 128:11
tracks 133:5	ultraviolet 57:15	up 5:8 49:7 51:5 83:17 84:25 90:13 91:19 93:3 126:18 132:8 145:6 152:12 165:7 168:5 180:3 186:9 191:5 196:11 220:2 289:8
trade-offs 92:10 281:18	under 9:10 10:14 39:17 62:4 63:22 72:16 100:14,20 103:15 120:20 147:18,20 204:14 209:25 217:11 222:13 240:22 274:8	upper 141:23
transcription 139:8	underlined 188:21	use 6:16 18:18 19:15 22:22 24:24,25 25:2,3 32:14 35:10 43:17 44:3 45:18 62:9 65:15 79:4 88:14 89:4 90:9 96:10 106:12 119:3 132:17 150:10,12 160:2 166:16 174:23 187:10 189:3 198:11 209:3,14 215:15 221:6,18 223:19 227:12 228:17 230:18 231:11 233:3 236:6 239:12,13 242:11 243:20 248:25 252:5 254:12 265:18 267:5 276:24 295:8
transfer 200:14 204:11,17,22 206:7 207:7	underneath 13:15	used 9:3,4 17:12 19:3 29:5 34:19 38:7,9,18 40:19 43:9 65:8,10 66:7 67:12 70:19 73:12 76:5 78:20 83:7 87:25 88:11,25 89:3 94:23 108:25 111:23 121:10 122:6,14,24 123:21 141:6 143:14 144:7 166:15 167:13 196:16 198:5 210:4 211:24 218:5,20 220:18 233:2 243:22 244:5,9,23 247:12,13 254:3 261:10 281:9 284:16, 19
transferred 204:14 207:12	understand 6:8 8:3 16:8 20:2,21 49:17 56:6 74:18,19 75:13,24 76:3,10 92:21 100:18 125:16 141:21 196:20 247:5 257:2,16 258:18 262:13,17 263:2 264:13 275:9 282:11,21 283:5	useful 108:13
transform 101:11	understanding 9:2 10:18 13:19,22 14:5 18:8 20:9 21:15 25:11 30:13 35:22,25 37:17,20 39:20 41:3,10 42:6, 19 51:2 58:19 60:25 61:13 65:4 69:6 95:4 100:3 102:2 103:5 104:18 107:24 122:5,20,22 123:5 128:3 138:7 139:25 184:20 257:22 261:15	uses 12:20 20:14 44:24 67:5 74:13 81:10 128:23 175:22 183:23 195:12 196:6 236:15 277:21 284:12
transistor 130:4 131:7 133:5 200:24 204:11,12 281:15	understandings 174:25	using 6:24 10:20 15:3 39:22 43:25 59:14 62:12 63:11 64:25 66:9,15 71:10,15 73:24 84:25 85:13 87:2 94:10 98:9 99:20 115:4 119:6 138:15 140:5 143:13 165:8 182:19,22 215:8 219:15 243:21 245:5 267:10 272:3 284:8,18 290:15
transistors 33:10,23,25 34:10 73:10 83:14 87:16 119:18 281:11	understood 77:4,5 84:22 85:3,10 138:19	
trend 86:2,9,17	undoubtedly 25:19	
true 35:8 37:6 105:20 238:24	uniformly 118:7	
turn 58:11 84:9 94:13 103:13,23 107:7 115:10 119:2 137:11 141:20 144:14 148:23 149:10 180:5 192:5,13	Union 60:9	
turning 58:5 217:8	Union-funded 61:25	
turns 207:15	unique 292:4 293:4	
TV 98:5	unit 31:12 68:5,24 69:5,9 75:6 79:20 82:18 153:9 156:23 157:5,9 187:25	
two 28:21,24 34:2 47:23 48:15 55:7,8, 14 81:17 119:13,16 120:16 140:15 154:25 155:12 156:6,12 162:3 163:9 164:18 165:20 172:17,22 180:21 185:22 186:2,24 187:18 188:9 210:18 232:16,18 234:5 235:2 250:25 258:11 262:24 263:19 266:8 267:5 271:17 272:20 283:7,8 288:4		

usually 52:4,9 54:10,21 62:3 64:6 66:5 113:14 134:3 236:22	voltages 34:2,24 35:10	219:19
	volts 34:20 36:16,17	warranted 230:5
<hr/> v <hr/>	volume 49:3	wavelengths 16:23,24 18:19
vague 183:21	VSS 204:12,18 205:11,12 206:7 207:10,14	ways 64:6 65:9 66:8 67:12 74:18 75:13 272:20 290:10 292:12
valuable 63:4 91:24	<hr/> w <hr/>	week 5:4,18 6:24 134:7,8
value 102:18,23	wafer 134:4,6,12,14,15	weight 157:5 184:25 188:14 189:21
variability 249:25	wafers 134:6,9	well 8:15 9:17 15:3,23 19:6 21:5 24:21 29:21 32:8,17 34:10 46:3 49:6 51:4 52:3 58:22 62:24 63:9 64:7,11 68:19 69:14 71:23 72:6,24 73:6 78:3 90:8 91:2 93:22 95:6,24 96:16 99:19 100:19 102:22 104:5 105:12 106:5,14 107:22 108:25 109:19 112:21 114:10, 20 116:7,10 118:11 120:8 123:10 127:21 132:10 140:12 141:19 145:6 146:9 147:5 149:21 154:25 156:6 157:11,16 158:11,17,25 159:5,17 161:8,18 162:8 163:13 164:6,23 166:17 167:8 169:7 170:5 173:13 175:24 177:17 178:9 181:16 183:12 185:11 186:2,16,23 189:6 192:24 195:16 198:9 207:8 208:10 213:5 215:17,20 216:24 219:10,13 220:24 222:25 226:9 232:2 236:11 239:16 242:8,17 243:18 245:24 248:9 250:9 254:3 255:7,19 259:11 261:7 263:25 272:19 276:10,24 281:24 295:12,23 296:24
variations 100:21 143:20		well-aware 15:24
variety 22:3 51:20 176:15 199:23 222:18 257:4 265:6 267:2	Wakabayashi 10:9,15 42:18,21,23 108:23 124:8,12,15 148:24 149:3,11, 18 150:9 151:16 152:14 153:2,12,20 154:2,5,23 155:2,10,15 156:5,6,8,16 158:7,22 159:19,22 160:4,14,18,22 161:17 163:4,15 164:2,6,21 165:2,13 166:20 167:3,12 168:2,19 169:4,19 170:21 171:13,19,22 172:18,23 173:2, 6,11 174:2,4,9,14,17 175:6,8 176:20 177:12,15,17 178:5,6,9,16,18 180:5 181:6,18 182:4,9,13 183:4,18 184:2 185:8,19 186:20,23 187:5,11 188:10, 18 189:3,19 190:22,23 201:5,6,11,17 202:6,16,20 203:13 206:17 207:22 208:20,21 209:4,17,24 210:2,5,10,17, 22 211:9,17,19,21 212:6,23,25 213:2, 5,17,20,24 214:6,9,12,16,25 215:6,9, 13,16,17 216:9,13 217:12,17,18,21,23 218:3,6,12,18 219:12 220:22,24 221:5,20 222:4,8,14,24 223:7,15 224:2,9,12,24 225:13 226:7 227:6,9, 11,14,25 228:9,17 229:22 230:3,5,11 231:3,5,8,25 233:14,20 234:3,4,15,19, 24 235:10,11,16 236:11,14 237:2,11, 17,24,25 238:11,17 239:4,6 242:9 243:6,13,15,22 244:8,11 245:3,7,14 246:9 247:6,20 248:8,10 249:2,12,13 250:19 253:24 254:16,19,20 255:2,3, 7,13,21,22,23 256:5,17 257:6,9,10,18, 23 258:4,17,22,23 259:6,19 260:6,13, 15,16 261:10,18,19,23 262:5,10,11, 16,23 263:10,18 264:20,23 265:5,18, 23 266:7,11,20,22 267:2,13 268:24 269:4,9,11,13,18 270:3,10,24 272:9, 16,19 273:3,11,16,18 274:9,21,25 275:13,15,19 283:4 284:16 285:19,21 286:2,24 287:16 288:9	
various 143:13 152:15 154:3 163:25 166:15 171:23 251:12 272:20 293:10 294:25		well-established 19:10
varying 101:10		well-known 75:18 228:13 276:21 277:5,7,8 278:6
version 190:18		well-understood 139:15
versus 4:5 41:20 87:7 92:18 100:5 113:5 129:6 132:4,24 134:24 135:16 279:8		went 5:4 11:16 54:23 80:14
video 23:21 89:5 97:9 98:4 109:24 110:8 120:17 121:4,10,16,19,22 122:23 124:13,16 137:23 138:2,7 153:9 156:22 157:5,8 160:9,23 164:10 177:8 184:23 185:23 187:15,25 188:3, 12,22 190:13 191:3,13,24 215:19 219:16 245:10	Wakabayashi's 185:17 235:24 236:6 241:23 242:6,10 243:11 244:20	whatsoever 158:12
videoconference 219:15	walk 19:21	white 103:24
view 10:15 178:17 216:7 218:12 237:10 258:21 271:24 272:4 274:9,17 275:19 285:19 286:20	wanted 17:17 22:22,24 26:4 91:8	whole 33:19 81:16 95:11 124:5 148:16 203:25
viewed 36:9 87:8 113:14 208:14		wide 176:5 202:24
viewing 96:15		widely 87:4 226:17
VIII.A 152:5,6 269:20		width 150:2,4 268:11 269:9 283:22,23 285:8,25
VIII.A. 152:9		widths 285:23
virtually 213:7 284:19,24		window 65:22
visible 16:7,10,13,24 17:3 18:19		
vision 59:8 60:4,24 61:2,13,16,22 62:10 64:8,14 108:16,20 232:24 233:4,8		
visual 63:11		
voltage 33:18 35:2 36:5,11 37:9,14 206:9		

wiring 158:17
wishes 184:12
word 135:4 175:23 211:24 247:12,13
wording 150:13
words 12:8 32:11 43:17 108:8 150:11
195:13 199:25 210:4 243:22
work 15:18 51:24 56:7 57:17,19 62:5
88:7 94:19 126:25 162:25 199:24
200:5 255:4 256:2 264:14 266:9
284:21
worked 100:2
working 16:2,25 89:8 243:9
works 177:14,18
world 88:13 121:4
worry 249:24
worse 108:11 112:19
worth 91:6 218:25
wrap 83:17
write 246:20
written 54:5,22
wrong 220:3 280:14
wrote 51:16 88:5

Y

year 18:8 52:17
years 43:5 52:9,15 53:20 54:20
yellow 79:24

Z

zooms 65:24