

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

NETFLIX, INC.,
Petitioner,

v.

AVAGO TECHNOLOGIES INTERNATIONAL SALES PTE. LIMITED,
Patent Owner.

IPR2020-01582
Patent 8,572,138 B2

Record of Oral Hearing
Held Virtually: Wednesday, January 5, 2022

Before KRISTEN L. DROESCH, KERRY BEGLEY, and
NATHAN A. ENGELS, *Administrative Patent Judges*.

A P P E A R A N C E S

ON BEHALF OF THE PETITIONER:

JEFFREY LIANG, ESQUIRE
SHEPPARD, MULLIN, RICHTER & HAMPTON LLP
Leesburg, Virginia 20176
(703) 777-5700

ON BEHALF OF THE PATENT OWNER:

DANIEL S. YOUNG, ESQUIRE
ADSERO IP LLC d/b/a
SWANSON & BRATSCHUN LLC
8210 Southpark Terrace
Littleton, Colorado 80120
(303) 268-0066

The above-entitled matter came on for hearing on Wednesday, January 5, 2022, commencing at 1:00 p.m. EST, by video.

1 P R O C E E D I N G S

2 JUDGE DROESCH: Good afternoon. My name
3 is Judge Droesch. Joining me for this hearing are
4 Judge Begley and Judge Engels. We're here for the
5 oral hearing for IPR2020-01582 where Netflix
6 challenges claims of Avago's Patent 8,572,138.

7 Per the order regarding the oral hearing,
8 petitioner is allotted one hour for its
9 presentation. Petitioner will go first, petitioner
10 may reserve some of its time for rebuttal, but that
11 time cannot exceed 30 minutes, and following
12 petitioner, patent owner will make its presentation
13 and will also have one hour. Patent owner may also
14 reserve some of its time for rebuttal that may not
15 exceed 30 minutes.

16 Before we begin, I wanted, you know, make a
17 couple of reminders. To aid in the preparation of
18 the transcript, please identify yourself before
19 speaking and identify your demonstrative slides by
20 the slide number.

21 Counsel for petitioner, would you like to
22 reserve some time for rebuttal?

23 MR. LIANG: Yes, Your Honor. This is
24 Jeffrey Liang, I would like to reserve 20 minutes
25 for rebuttal, so I'll go 40 minutes on opening and
26 reserve 20 for rebuttal.

1 JUDGE DROESCH: Okay. Thank you.

2 All right. Counsel for petitioner, you
3 may begin your presentation whenever you're ready.

4 MR. LIANG: Thank you, Your Honor.

5 Petitioner Slide 6, and this is Jeff Liang.

6 Petitioner Slide 6. There are three remaining
7 disputes, so today, first, I'm going to address
8 claim construction; second, I'll talk about virtual
9 machines; and then third, I'll talk about the images
10 of a virtual machine manager.

11 This is a straightforward case, because
12 for all three of these remaining issues, the evidence
13 in the record including the 138 patent, the prior
14 art testimony from both experts, and even patent
15 owner's own prior briefing, it all supports the
16 petitioner.

17 Let's go to Slide 7. Now, the petition is
18 supported by the testimony of Dr. Shenoy, and I just
19 want to point out he's not just any expert. Dr.
20 Shenoy is the co-author of prior art that's cited by
21 the applicant on the face of the 138 patent.

22 As Dr. Shenoy explained, the challenged
23 claims are invalid over Khandekar and Le.

24 Slide 8; so let's start with claim
25 construction.

26 Slide 9; while patent owner insists that

1 the claims must be construed using a three-step
2 process, the patent owner took a different position
3 in District Court just one month before it filed the
4 patent owner response. The patent owner did not
5 propose any claim terms for construction, it didn't
6 propose any constructions, and it just said no
7 construction is necessary, because the claim terms
8 should be understood based on their plain and
9 ordinary meaning.

10 Slide 10; but now patent owner argues that
11 Claim 1 should not be read using plain ordinary
12 meaning, and instead ask the Board to import a
13 three-step process into the claims. And these three
14 steps appeared nowhere in the claim as written.
15 Steps one and three require actual deployment of
16 images, which is not, for example, mentioned in
17 Claim 1, and step two requires actual execution of
18 the manager, which is again not recited in Claim 1.
19 So this is not a question about the ordering of
20 steps in a claim, because these steps do not appear
21 in the claim. The threshold question is whether
22 these steps should be imported into the claim to
23 begin with.

24 Slide 11; patent owner did not identify
25 any term that requires construction. It didn't
26 point to any ambiguous claim language or any claim

1 term that needs a definition; instead, patent owner
2 just asked the Board to inject its three new steps
3 somewhere into the claims.

4 JUDGE BEGLEY: This is Judge Begley. On
5 that note, the patent owner response on, I believe
6 it's pages 32 to 33, it does bold what appears to be
7 relevant claim language of Claim 1 within its claim
8 construction analysis. Is your position that that's
9 not sufficient to identify the language that patent
10 owner is asking the Board to interpret?

11 MR. LIANG: Correct. Your honor, it's not
12 sufficient, because it is simply, you know, that
13 language has no dispute about what that language
14 means. Patent owner is trying to say -- trying to
15 use that to say that, well, maybe there should be
16 these extra steps, as well, but there's in terms of
17 what the claim mean, the plurality of virtual
18 machines, I mean, it's clear on its face that
19 doesn't require construction, and we could just jump
20 there to look at that.

21 JUDGE BEGLEY: Sure.

22 MR. LIANG: Let's go to slide -- well,
23 let's go to Slide 13. You know, before the patent
24 owner argues that this three-step process is
25 required by the claim, and as part of that process,
26 the virtual machine manager must provide virtual

1 machines. It must actually manage one or more
2 virtual machines.

3 But patent owner took a contrary position
4 in District Court where it stated that the virtual
5 machine manager is a program that is capable of
6 managing one or more virtual machines, not a program
7 that actually does so, and this is an excerpt from
8 their brief. This is patent owner's emphasis, not
9 ours.

10 So patent owner has emphasized that Claim
11 1 is about capability, and it's cited case law in
12 support.

13 In slide 14, after explaining that Claim 1
14 is about capability, patent owner cited the Chrimar
15 case, which held that it's hardly uncommon for an
16 apparatus or system claim as a claim to a product
17 rather than a process or a forbidden mix to be
18 directed to capability instead of actual operation.

19 And so Claim 1 is about capability. It's
20 about a prop -- it's about a system, not a process.
21 It's about capabilities rather than actual
22 operation.

23 In slide 15, patent owner's expert
24 admitted this. Patent owner's expert admitted that
25 Claim 1 doesn't require anything to be executed. It
26 describes what happens when the virtual machine

1 manager is executed.

2 JUDGE BEGLEY: This is Judge Begley again.

3 Just to jump in. Your briefing, but also these
4 slides are referring to the parties' briefing before
5 the District Court on claim construction, which we
6 -- I know we have in the record the briefs, the
7 claim construction briefs from the District Court
8 case. Has the District Court actually construed any
9 of the terms of the 138 patent or addressed the order-
10 of-steps interpretation issue that patent owner is
11 raising here?

12 MR. LIANG: Well, the District Court has
13 not addressed the order of steps, because that was
14 not proposed by the patent owner in the District
15 Court's briefing. It proposed new constructions in
16 District Court. So that definitely was not
17 addressed. In terms of whether the order has
18 issued, let me check on that and get back to you on
19 that. And if it has, we can file that if the Board
20 likes.

21 JUDGE BEGLEY: That would be helpful. I
22 think having the briefing, but not knowing what
23 happened at the District Court is not as helpful.

24 MR. LIANG: Yes, Your Honor. So I will
25 look into that and address it on rebuttal.

26 JUDGE BEGLEY: Thank you.

1 MR. LIANG: Okay. So in Slide 16, if we
2 look at Claim 1 itself, it states in the first
3 highlight that the VMM images are executable,
4 meaning they're capable of being executed. And then
5 Claim 1 says that when executed on the application
6 nodes, the image instances provided plurality of
7 virtual machines.

8 So this is akin to the Intel case shown
9 below, which also describes what happens -- in the
10 claim in that case also describes what happens when
11 something occurs. And the Federal Circuit held that
12 when the claim clearly states when a mode is
13 selected, then the accused device need only be
14 capable of operating in that mode. So this is about
15 capability.

16 Slide 17. And so for Claim 1 focuses on
17 capability, and there's no dispute that when that
18 this first highlighted text shown on Slide 17, that
19 in this first highlighted texts, the claim recites a
20 capability when the VMM images are executed, and
21 there's no dispute that it provides the antecedent
22 basis for the plurality of virtual machines that are
23 mentioned elsewhere in the claim.

24 So there -- the VMM images have the
25 capability when executed to provide a plurality of
26 virtual machines. And then later in the claim,

1 wherever the claim recites the plurality of virtual
2 machines, it simply refers back to those virtual
3 machines that the VMM images are capable of
4 providing. So the claim is clear on its face as
5 written. There's no terms that require
6 construction, and that's all the Board needs to
7 reject patent owner's construction.

8 Slide 18; patent owner argues you need to
9 resort to logic to figure out how the control node
10 provides autonomic deployment, but that's not right,
11 because the claim provides a definition for both
12 types of images. It says to provide autonomic
13 deployment by causing the plurality of image
14 instances to be copied to the nodes, and patent
15 owner has no answer for these express definitions in
16 the claim.

17 Slide 19; the express definition is
18 complete and unambiguous. Like the Straight Path
19 and GeneriCo cases, it would be improper to
20 override the express definition in the claim with a
21 description of exemplary embodiments and the
22 specification. And that's precisely what patent
23 owner is asking the Board to do.

24 Slide 20; because patent owner is
25 importing these three steps, which it admits are
26 just described in the specification, and yet,

1 patent owner has cited no words of manifest or
2 expressions of manifest exclusion or restriction.
3 And that's what the Federal Circuit has held time
4 and time again is required in order to read
5 limitations from the specification into the claim.

6 Let's go to Slide 24. Now, patent owner's
7 arguments are also inconsistent with the 138 patent
8 specification. So patent owner argues that when
9 the 138 mentions the virtual machines, it means that
10 a manager has been deployed and is executing to
11 provide specific virtual machines. The
12 specification states otherwise. So as patent
13 owner's expert admits on the right, images that are
14 stored in a repository and then deployed after, and
15 he's talking about this excerpt on the left, where
16 if you look right after this highlight, the images
17 are stored, and then deployment doesn't happen until
18 the next paragraph. And it says after generation of
19 image instances, the control node may deploy the
20 manager to nodes. And yet, if we look at the
21 highlighted text, when images are stored before
22 anything is deployed to create any specific virtual
23 managers on the nodes just while they're stored, the
24 spec is clear that the virtual disk images can be
25 booted on the virtual machines.

26 So even before deployment and execution of

1 any managers, the virtual machine images are
2 bootable on the virtual machines. So this
3 contradicts patent owner's supposed logic.

4 Let's go to Slide 25 now. Patent owner --
5 I want to talk some about claim 19 and 26. So
6 patent owner has, again, no answer to the fact that
7 the -- all of the independent claims recite that
8 definition for providing autonomic deployment. So
9 patent owner wants to create its own definition, but
10 it has no answer to the fact that a definition has
11 already been provided in the claim itself.

12 JUDGE DROESCH: Counsel, I have a question.
13 How would you characterize, for example, in claim
14 19, how would you characterize the claim language to
15 autonomically deploy the image instances of the
16 virtual machine manager on the application nodes?
17 Should that just be given little weight or are we
18 supposed to just consider the by causing clause?

19 MR. LIANG: Well, Your Honor, I think the
20 by causing clause provides a definition of how that
21 autonomic deployment is satisfied. So it's not that
22 it's given little weight. It's that the claim has
23 this -- explains how that autonomic deployment is
24 done, and so because there is that definition, then
25 when the definition is satisfied, then that
26 limitation is satisfied.

1 JUDGE DROESCH: Okay. So just by causing
2 the image instances of the virtual machine manager
3 to be copied, you have therefore satisfied the -- to
4 automatically deploy the image instances of the
5 virtual machine manager on the application node. Is
6 that what you're saying?

7 MR. LIANG: Yes, Your Honor. That's the
8 definition that's recited in the claim.

9 JUDGE DROESCH: Okay. I just want to make
10 sure I was clear on your arguments. Thank you.

11 MR. LIANG: Yes, Your Honor. And if you
12 have any other questions, please feel free to
13 interrupt. I'll just keep going, but happy to
14 answer any questions you have.

15 Slide 26; the challenged claims including
16 Claims 1 and 19, they carefully avoid limitations
17 that require actions to be taken by application
18 nodes. They're drafted from the perspective of the
19 control node and as Your Honors know, it's common to
20 draft claims this way to focus from the perspective
21 of one device to avoid potential divided
22 infringement issues. And this careful partition
23 would be destroyed by patent owner's construction,
24 which requires software to be run at the application
25 nodes.

26 Slide 27; patent owner requires executing

1 VMM images as part of deployment, and, therefore, in
2 these last two steps of claim 19, patent owner would
3 require the rules engine to execute virtual machine
4 manager images at application nodes.

5 Slide 28; but the specification has no
6 mention of the rules engine executing images at
7 application nodes. To the contrary, it actually
8 lists several methods that -- several things that
9 are the rules engine can do. And it says that it
10 can clone, capture, import, export, upgrade software
11 images, but there's no mention of executing images.
12 And, in fact, only the last five columns mentioned
13 virtual machines starting at column 32, line 51.
14 And in those sections, there's no mentions of rules
15 engines, rules engines executing virtual machine
16 managers or anything of that sort.

17 So the limitations that patent owner wants
18 to import do not even exist in the specification.
19 The patent owner is trying to import limitations out
20 of thin air.

21 Let's go to Slide 42, and talk about
22 virtual machines. Unless Your Honors have other
23 questions about claim construction.

24 JUDGE DROESCH: None from me.

25 JUDGE BEGLEY: None from me.

26 MR. LIANG: Okay. Well, thank you, Your

1 Honors. Then I'll move on and begin talking about
2 virtual machines on Slide 42. Oh, and just also my
3 colleague just informed me that no claim construction
4 order has been issued yet, and that there's a claim
5 construction hearing set for February 14th.

6 All right. So let me talk about virtual
7 machines. Slide 42. We go to petitioner Slide 43,
8 the 138 patent mentions virtual machines as an
9 afterthought. The first 31 columns of the
10 specification describe tiers and other concepts for
11 non-virtualized applications. And virtual machines
12 are only mentioned in the last five columns was just
13 apply those earlier concepts to virtual machines
14 using off-the-shelf software from VMware.

15 The specification does not identify any
16 purported points of novelty regarding its use of
17 virtual machines or images.

18 Slide 44; a patent owner's expert admits
19 that virtual machines were known in the art.

20 Slide 45; the patent owner contends that
21 the 138 patent has somehow a special or different
22 meaning for the term virtual machine.

23 Slide 46; but patent owner's expert admits
24 ==

25 JUDGE BEGLEY: Sorry. Just to interject
26 with a question about patent owner's understanding

1 of virtual machine. It seems that patent owner is
2 ascribing a meaning to virtual machine that's taken
3 from the specification. Specifically, the bottom of
4 column, I think it's 33. Let's see. Bottom of
5 column 32 to column 33. They're tracking that
6 language as what they understand virtual machine to
7 mean.

8 And that's, "software that creates an
9 environment that emulates a computer platform." Do
10 you agree with that meaning?

11 MR. LIANG: Well, I don't believe that's
12 what patent owner's arguments are based off of with
13 respect to the virtual machine. And did that
14 language does appear in column 32, but patent
15 owner's never disputed, for example, that Khandekar
16 Figure 1, it shows that there's a software that
17 creates an environment that emulates a computer
18 platform.

19 So, for example, if we skip ahead to Slide
20 52, you see Khandekar on the right side and
21 Khandekar shows that there's this virtual machine
22 and it has virtual process, or virtual devices, and
23 things. And so the patent owner never disputed that
24 this is software that creates an environment that
25 emulates a computer platform. It's a virtual
26 computer. And, in fact, we asked patent owner's

1 expert, well, what's inside a virtual machine. And
2 he said it would have a virtual processor, virtual
3 memory, virtual devices, and a virtual disk. And
4 that's exactly those four things; virtual processor,
5 virtual devices, vertical disc, virtual memory.
6 That's what's taught by Khandekar.

7 So Khandekar teaches a virtual machine as
8 including, and it satisfies that description in
9 columns 32 and 33 that you just identified.

10 JUDGE BEGLEY: So do you agree with that
11 meaning, and maybe you can pull up the patent if you
12 would like, but it's 32:67 through 33:2, which
13 patent owner's briefing quotes several times.

14 MR. LIANG: Yeah. I think we agree that
15 that's how the 138 patent describes virtual machine,
16 and that's how the -- that's what Khandekar teaches,
17 as well. So it, Khandekar, teaches that definition of
18 a virtual machine and as confirmed by its expert
19 here.

20 JUDGE BEGLEY: Okay. Thank you. That
21 wasn't clear to me.

22 MR. LIANG: Does that answer your
23 question, Your Honor?

24 JUDGE BEGLEY: Yes. Thank you. It wasn't
25 clear to me from your briefing how you were reading
26 virtual machine. So I just wanted to clarify that.

1 Thank you.

2 MR. LIANG: Okay. Thank you, Your Honor.

3 And I believe patent owner's arguments are not
4 really based on that definition. It's not really
5 clear exactly what they're arguing. You know,
6 they're pointing to what's installed on an image or
7 what's create -- how the images are created, but it
8 really doesn't seem root. Although they quote this
9 language, their arguments don't seem rooted in that
10 language and their expert as shown in Slide 52
11 admitted that Khandekar in fact teaches these the
12 environment that emulates a computer platform, and
13 it satisfies this definition from column 32.

14 And, in fact, I think the reason why
15 Khandekar teaches the same virtual machines is
16 evident when you -- if you go to petitioner's Slide
17 46, it's evident from the fact that the 138 patent
18 does not disclose a new kind of virtual machine
19 manager, and it doesn't describe the internal
20 workings of a virtual machine.

21 If we go to Slide 47; instead of the 138
22 patent relied on existing VMware software to provide
23 virtual machines.

24 Slide 48; and, in fact, the patent, the
25 138 patent, only discloses one way to provide
26 virtual machines, and that's by using prior art

1 software from VMware.

2 Slide 49; Khandekar and Le, the prior art
3 in this case, they're both VMware patents.

4 Slide 50; and Khandekar does not invent a
5 new kind of virtual machine or VMM either. It just
6 refers to the known use of VMware software. For
7 example, in Khandekar line 7 -- column 7, line 25
8 through 27 it states that. So then -- and it's also
9 shown here on the left side, which is Khandekar.

10 So the 138 patent Khandekar and Le, they
11 all use virtual machines that are provided by VMware
12 software. They're the same virtual machines. And
13 that's why when we get to Slide 52, that's why it's
14 no surprise that Khandekar teaches that virtual
15 machine that, you know, including the components
16 that are described by patent owner's expert and
17 satisfying the description that we've just looked at
18 in columns 32 and 33 of the 138 patent.

19 Slide 53; Khandekar also teaches images of
20 virtual machines, and the 138 patent only discloses
21 one way to create images by using VMware software to
22 capture virtual machine disk image files.

23 Slide 54; and Khandekar teaches those same
24 virtual machine disk image files as shown in Figures
25 3 and 4, because it's using that same VMware
26 software to create virtual machine images. A patent

1 owner's arguments have these arguments that about
2 how Khandekar sometimes refers to images based on
3 the type of virtual machine it contains, so
4 deployable virtual machines like pre-built or custom
5 virtual machines, or instantly deployable virtual
6 machines. But Dr. Shenoy explained, for example, in
7 Exhibit 1029, paragraph 4, that Khandekar's meaning
8 is clear from its context. So, for example, Figures
9 3 and 4, these show images that the specification
10 explains are stored in a server. So there's stored
11 images.

12 And, in contrast, if you look at -- if we
13 go back to the Slide 52, Khandekar Figure 1 shows a
14 virtual machine with a virtual processor, virtual
15 memory, et cetera, that's running on a virtual
16 machine manager, and so that is clearly a virtual
17 machine. So Khandekar teaches both virtual machines
18 and images of virtual machines.

19 Now, if the Board has any questions about
20 virtual machines, I'd be happy to answer them.
21 Otherwise, I would plan to move onto the next topic.

22 Okay. So I'll plan to move on then.

23 Slide 55; I want to start talking about
24 the image instances of the VMM, and I want to start
25 with ground 2, because patent owner failed to
26 address this combination, and it invalidates the

1 challenged claims.

2 Slide 56; the patent owner response spends
3 almost 20 pages attacking Khandekar alone, and then
4 it spends only a single page on Le, and it also just
5 tries to attack Le alone.

6 Slide 57; in that single page, patent
7 owner quotes a sentence out of Le, but patent owner
8 never addresses how Le's disk imaging teachings are
9 used in the combination of ground 2 just try to attack
10 the references individually.

11 Slide 58; as the Federal Circuit has
12 repeatedly held, obviousness cannot be overcome by
13 attacking references individually. Exactly what
14 patent owner is trying to do here. Patent owner
15 chose to avoid the combination of ground 2 in its
16 patent owner response, and it should not be allowed
17 to raise pages of new arguments for the first time
18 on sur-reply or today in this hearing. Patent
19 owner's expert never address the combination and the
20 un rebutted evidence of ground 2 invalidates the
21 challenged claims.

22 Slide 59; when first introduced -- now, I
23 want to cover how the combination uses ground --
24 how the combination uses the art. So when first
25 introducing ground 2, Dr. Shenoy explained that Le
26 reinforces Khandekar's teachings with two

1 implementation details that's mentioned in this top
2 paragraph. The first one is about the ratio of VMMs
3 to VMs, which patent owner never disputed.

4 And the second one is with disk imaging
5 techniques, and then the next paragraph, it explains
6 how Le teaches you how to deploy images to physical
7 and virtual computers. Le's disk imaging is one of
8 the two stated reasons for the combination.

9 Slide 60; the petition dedicated an entire
10 section explaining the motivation to combine
11 Khandekar and Le, specifically Khandekar teaches
12 deploying a virtual machine manager along with the
13 virtual machine image. And Le provides
14 implementation details for how that's accomplished
15 using disk imaging techniques.

16 Slide 61; in the motivation to combine
17 section, Dr. Shenoy explained if you read in the
18 blue highlight that a virtual machine manager is a
19 program running on a physical computer, and then in
20 the yellow highlight, Le teaches techniques for
21 physically imaging the hard disk of a remote
22 computer to deploy a cloned image with an operating
23 system, which would include a Type 1 virtual machine
24 manager and applications, which would include a Type
25 2 virtual machine manager.

26 And after this highlight, Dr. Shenoy

1 explains several reasons why a person of ordinary
2 skill in the art would have used Le's physical
3 imaging techniques to deploy a virtual machine
4 manager (a VMM). So this is the explanation for how
5 and why Le's disk imaging would be used to deploy
6 VMMs.

7 Patent owner never disputed the motivation
8 to combine Khandekar and Le in this way. And when
9 combined in this fashion, Khandekar and Le render
10 all limitations of the challenged claims obvious.

11 Slide 62; in the claim analysis sections
12 rely on Le's disk imaging teachings and for
13 limitation 1c, for example, which is the one where
14 the limitation that first recites those images
15 instances of a virtual machine manager.

16 So the petition and opening declaration,
17 they include an entire section devoted to Ground 2.
18 And they explain how Le's additional disk image
19 teachings compliment and elaborate on Khandekar's
20 teachings, and the blue highlights citing back to
21 that motivation to combine it for how and why Le's
22 disk image teachings would have been combined. And,
23 in particular, how it was obvious to use Le's disk
24 imaging teachings to deploy VMM images.

25 Slide 63; again for limitation 1f, the
26 petition included an entire section for Ground 2

1 explaining why it was obvious to use Le's disk
2 imaging teachings to provide the VMMs from the
3 server to the host, and in the next sentence after
4 the highlight, that disk images include the operating
5 system of software applications, which would include
6 Type 1 and Type 2 virtual machine managers,
7 respectively.

8 Slide 64; when you look at what are these
9 teachings that were cited to show deployment, they
10 show the imaging of physical computers. So on the
11 right side, for example, Figure 5 shows how you
12 write image from a server on the right side to the
13 disk of a destination computer. And then Le
14 describes cloning computers, and there's no dispute
15 that Le teaches old disk imaging that writes to the
16 physical hard disk of a physical computer.

17 So let's go to Slide 66. This distinction
18 between physical and virtual images was raised in
19 the opening materials and even included in the
20 definition of a person ordinary skill in the art
21 that patent owner's expert agreed with. And that
22 definition includes the knowledge to create images
23 of physical and virtual machines, including images
24 that include VMMs.

25 Slide 67; now why would a POSITA
26 have been motivated to use Le's teachings to store

1 an image of a virtual machine manager? Well, for
2 one, because the petition cited two references
3 showing an express teaching, suggestion, and
4 motivation in the art to store images of virtual
5 machine managers. And an express teaching, suggestion,
6 or motivation is a classic motivation under KSR and
7 patent owner argued over and over that we cited no
8 evidence. It would be obvious to store separate
9 images of a virtual machine manager, and yet, here's
10 the evidence, and it was cited in the petition.

11 Slide 68; now, patent owner contends
12 Ground 2 was a new argument that Le's teaching of
13 imaging wasn't raised -- was raised for the first
14 time on the reply of -- and the support, patent
15 owner contends that Dr. Shenoy could only point to
16 his reply declaration when asked about physical
17 images. Not so. If you read his actual testimony
18 in his deposition as quoted here on Slide 68, Dr.
19 Shenoy pointed to paragraph 58 of his opening
20 declaration, which we just looked at, and Dr. Shenoy
21 explained how in that paragraph of his opening
22 declaration, he talks about how a VMM is a program
23 running on a physical computer, and that Le teaches
24 techniques for physically imaging the hard disk of a
25 remote computer.

26 That's one of the two reasons why Le is

1 being combined with Khandekar. So the Ground 2 was
2 presented in the petition. It can't be a new
3 argument.

4 Slide 69; and patent owner contends that
5 Ground 2 is new, because they failed to address it.
6 And really if you look at their arguments, the
7 patent owner's arguments ignore the fact that the
8 virtual machine manager image is physical. The VMM
9 image is physical while the virtual machine image is
10 virtual. As shown in Slide 69, patent owner argued
11 that Khandekar requires including the VMM within the
12 same image as a VM, but patent owner's expert offers
13 no explanation of how the VMM, which is a program
14 running on a physical computer, could be included in
15 a virtual disk image.

16 They've offered no evidence that this has
17 ever been done, and it just makes no sense.

18 Slide 70; now, Khandekar alone also
19 teaches images of a VMM.

20 So Slide 71; so Khandekar says that the
21 VMM can either be pre-installed on the host or
22 installed along with each VM. So the fact that
23 there's a choice to install these together or
24 separately demonstrates that they're separate
25 images.

26 Slide 72; and, in fact, Khandekar uses

1 virtualization. The reason the purpose of using
2 virtual machines to begin with is to make their
3 deployment as Khandekar explains, substantially
4 independent of the overall physical hardware.

5 Slide 73; and as Dr. Shenoy explained,
6 that you using separate images for the manager and
7 the virtual machines, facilitates this independence
8 that Khandekar seeks, because that one virtual
9 machine image can then be run on any computer where
10 the manager is installed regardless of that physical
11 hardware.

12 But in contrast, a monolithic image that
13 patent owner suggests would tie the virtual machine
14 to a specific manager on a physical computer and
15 defeat the purpose that Khandekar teaches and the
16 entire purpose of using virtual machines.

17 Slide 74; the patent owner's expert
18 agreed. The primary reason in the prior art for
19 using virtual machines is to provide independence
20 from underlying hardware.

21 And if you look at the bottom quote, this
22 allows the same application to run on different
23 physical hardware. So even if it were possible to
24 create a monolithic image with both managers and
25 virtual machines, patent owner's expert never
26 explained, by the way, how or why that would be

1 done, but even if you could do it, it wouldn't make
2 sense, because it defeats the primary purpose for
3 using virtual machines as patent owner's expert
4 admits here. It would not make sense to interpret
5 Khandekar in a way that defeats its purpose.

6 Now, I'll just stop my presentation here
7 and ask if Your Honors have any questions.

8 JUDGE DROESCH: No questions for me.

9 JUDGE BEGLEY: Same here.

10

11 MR. LIANG: Okay. Well, thank you, Your
12 Honors. Then I will reserve the rest of my time for
13 rebuttal.

14 JUDGE DROESCH: Okay. Thank you.

15 All right. Counsel for patent owner when
16 you are ready, make your appearance and you may
17 start your presentation when you're ready.

18 MR. YOUNG: Thank you, Your Honor. This
19 is Dan Young from ADSERO IP representing the patent
20 owner, Avago Technologies.

21 So if we could start just very briefly on
22 Slide 1. This is a figure that is addressed in the
23 institution decision and in one that's a centerpiece
24 of the briefing between the parties.

25 And as you can see, this is one example,
26 but this is Figure 20 -- Figure 24 shows -- again,

1 this is on Slide 1. As a physical node and then a
2 virtual machine manager running on that. Virtual
3 machine manager creates the virtual machine, which
4 as Your Honor noted, that that is our definition
5 taken directly out of the specification in bottom
6 column 32 and relates to this emulated environment,
7 and then you deploy the operating system and the
8 applications on top of that emulated environment.

9 So if we go to Slide 2, this is just the

10 --

11 JUDGE BEGLEY: Just to jump in on that.

12 So are you asking for us to adopt that as a
13 construction of virtual machine? Because it's not
14 included in your main construction analysis, but it
15 seems like at least you're referring to that as the
16 meaning throughout and at least one part of your
17 preliminary resp -- sorry -- your patent owner
18 response seems to refer to it as a construction. So
19 are you asking -- are you proposing that as a
20 construction of virtual machine?

21 MR. YOUNG: Your Honor, as the patent
22 owner did in the District Court litigation, the
23 individual terms, virtual machine manager, we've
24 always applied those in plain and ordinary meaning.

25 Now, I'm going to get into the order of
26 steps, which those individual terms show up, yes.

1 Within that construction of the order of steps,
2 then, yes, that is what a virtual machine means in
3 the context of this path.

4 Hopefully that answers your question.

5 JUDGE BEGLEY: I'm not sure I understand.

6 If you could just explain that again for me. I'm
7 sorry.

8 MR. YOUNG: Well, let's go directly to
9 Slide 23, and then this will have the claim language
10 right there.

11 So as we've discussed and I'll go back,
12 you know, the Federal Circuit as the standard for
13 whether or not -- and this is -- sorry to go back
14 and forth. But on Slide 3, the Federal Circuit has
15 a standard for what -- for how an ordered sequence
16 of steps is whether that is interpreted within a
17 claim. And the Board asks us to address that in
18 their institution decision, both with the Claim 1
19 and claim 19 and 26.

20 And what the Federal Circuit says is, the
21 order of steps is either the specification directly
22 or implicitly requires an order of steps, or the
23 claim language, as a matter of logic and grammar,
24 requires the steps to be performed in a written
25 word. And as we've said in our briefing, both of
26 those situations apply.

1 So if we go back now to --

2 JUDGE BEGLEY: Just because you've raised
3 this quote, I have another question about that.

4 So this Federal Circuit case, the
5 Mformation Technologies that's cited here on Slide
6 3, that case addressed a method claim, right?

7 MR. YOUNG: That's right, Your Honor. And
8 so if you look at Claim 1. I'm sorry, Your Honor,
9 did you --

10 JUDGE BEGLEY: Well, sure. Thank you. So
11 most of the claims challenged here are not method
12 claims. So are you asking us to extend the Federal
13 Circuit case law on order of steps regarding method
14 claims to non-method claims?

15 MR. YOUNG: Well, I'm not sure what needs
16 to be extended, but it certainly is applicable to
17 all the claims in this case, particularly, Claim 1
18 is a system claim. Claim 19 is a method claim. The
19 parties on both sides have treated all independent
20 claims, Claim 1, 19, and 26, the same. So, again,
21 when looking at the claim language as a matter of
22 logic and grammar, are those requirements there. So
23 what we would say with respect to claim --

24 JUDGE BEGLEY: But if it -- sorry. You
25 can continue, sorry.

26 MR. YOUNG: So going back in Slide 23 is

1 the actual claim language. And I think it's helpful
2 to go through this. There are two big buckets, if
3 you will. There are those -- the software image
4 repository and then there's the control node.
5 Software image repository is the beginning of the
6 claim, control node is the second half of the claim.

7 In the software image repository, it has
8 two specific things that must be stored there. One
9 is a plurality of images, this is the virtual
10 machine manager; and the second is the plurality of
11 image instances of the plurality of software
12 applications that are executable on the plurality of
13 virtual machines, and the antecedent basis for the
14 plurality of virtual machines is in the first part
15 of the claim where it says that with respect to the
16 virtual machine manager, when executed on
17 application nodes, the image instances of the
18 virtual machine manager provide a plurality of
19 virtual machines.

20 So going back to your question at the
21 outset about the meaning of the term virtual
22 machine, the virtual machine is the emulated
23 environment in which you deploy the applications
24 onto, and that's the plain language of the claim.

25 So this is where you define the elements,
26 right, that are going to be deployed, and then when

1 we -- to answer your second question with respect to
2 the step -- yes, Your Honor.

3 JUDGE DROESCH: Counsel, I had a question.
4 Now, you're saying that Claim 1 requires actual
5 deployment, but I don't see anything in the claim
6 language that requires actual deployment of the
7 virtual machines. I see that the plurality of image
8 instances of the virtual machine manager but that
9 it's executable and when it's executed. It's not
10 that it's actually executed. Can you elaborate on
11 why it is that is required for those virtual
12 machines to be deployed?

13 MR. YOUNG: Yes, Your Honor, I will. When
14 that capable language again occurs in the first note
15 I mentioned the first bucket of the claim, the
16 software image repository, and that's because those
17 images are stored there, but they haven't been
18 deployed yet, right. And we'll get to later on, we're
19 talking about the examples in the specification
20 that show how that deployment works. So that's why
21 it would say executable, because they're in the
22 software image repository part of the claim, and
23 that's where they're defining those elements.

24 In the control node element, which is
25 talks about the deployment itself, it says it has an
26 automation infrastructure to provide autonomic

1 deployment of the plurality of image instances, and
2 this is to answer your question, Your Honor, earlier
3 you were asking counsel for petitioner about the by
4 causing language, right? That is -- that's the how
5 of the deployment, right. But as the, you know, as
6 the petitioner ignored before that language is the
7 where language, where are we depositing?

8 We show how we deposit it by causing the
9 copy, but where are we deploying it? Well, the
10 first part of that tells you exactly where. You're
11 deploying the virtual machine manager on the
12 application, so if you look to the right Figure 24
13 shows virtual machine manager be deployed on the
14 physical node, the application, right? So then --
15 so that's the first one.

16 JUDGE DROESCH: Counsel, I have another
17 question. I'm sorry. Counsel, I have another
18 question for you, but how do you explain the
19 language of to provide? It doesn't actually require
20 that it's providing; it's to provide. Can you
21 explain how we're supposed to interpret that?

22 JUDGE BEGLEY: And just to, to follow on
23 that. I think it would help me, at least, if you
24 could just bring us to the claim language and just
25 focus on the language of Claim 1, not the written
26 description or expert testimony, and just walk

1 through how the claim language itself requires this
2 three-step process.

3 So if we could just -- I don't know if
4 that adds on, to me, at least, it seemed to follow
5 on to Judge Droesch's question, but I think I'd
6 like to focus on the claim language, and if we could
7 go to a slide where it's just Claim 1 and you could
8 show us where you're getting the three-step process
9 and walk us through that, I think it would help me.

10 MR. YOUNG: Yes, Your Honor. And I was
11 before from Slide 23. I apologize if that didn't
12 make that clear, but Slide 23 is where the actual
13 claim language is on the left-hand side of the
14 slide.

15 So -- and I want to make sure that I'm
16 addressing the question. So the language with
17 respect to the virtual machines were -- and this is
18 again in Claim 1 in the software image repository
19 section of the claim, the upper, the upper part of
20 the claim, the virtual machine managers, and it
21 says, when executed on the application nodes, the
22 software, the image instances of the virtual
23 machine manager provide a plurality of virtual
24 machines.

25 And so then -- and that's where they're
26 defined. They're defining the software image. You

1 have two sets of images, you have the images of this
2 virtual machine manager, and you have the images of
3 the plurality of software applications that are
4 executable on the plurality of virtual machines.
5 That's all in the software image repository section
6 of the claim language.

7 The second part of the claim language, and
8 again, this is on the left-hand side of Slide 23,
9 talks about the control node, and the control node
10 is -- has the automation infrastructure to provide
11 autonomic deployment of the plurality of image of
12 the virtual machine manager on the application.

13 So that's -- as I mentioned before, that's
14 the where, where are we doing the deployment? The
15 how the deployment is by causing the plurality of
16 images. This is the virtual machine manager to be
17 copied from the software image repository to the
18 applications. And that's -- so that's the first
19 step of the deployment process.

20 You have to copy the image instances, the
21 virtual machine managers, to the application nodes,
22 and then you provide autonomic deployment of the
23 plurality of image instances of the software
24 applications. And, again, here's the where, the
25 where are you deploying it on the virtual machines?
26 And as you notice that the petitioner, all of this

1 briefing, they never addressed what the meaning of on
2 the virtual machines mean. It's never addressed.
3 And they're and from our perspective are reading
4 that out of the claims, because that's where the
5 plurality of images as the software applications
6 have to be deployed.

7 And so, in other words, if those emulated
8 environments are not created, there's no place for
9 the software image, the software, the images of the
10 software applications should be to be deployed onto.

11 And that as we, as I, you know, in this
12 Slide 3, the claim language reflects exactly what's
13 going on in Figure 24, right? So the virtual
14 manager --

15 JUDGE DROESCH: Counsel, I guess I'm a
16 little hung up on the claim language. So if we skip
17 over the portion of the control node, portion of
18 Claim 1, and we skip to the, to provide autonomic
19 deployment.

20 So this is just following on with the
21 control node that comprises an automation
22 infrastructure to provide autonomic deployment of
23 the plurality of image instances of the software
24 application on the virtual machines. It doesn't
25 actually say that you have to deploy it. It's like
26 this -- there's a purpose of providing that

1 autonomic deployment, and you do that by causing the
2 plurality of image instances to be copied.

3 I guess I'm just trying to figure out like
4 why there's actual deployment required based on the
5 language in the claim. Can you explain that?

6 MR. YOUNG: Yes. So the -- in order to go
7 through, so in other words, in order to have the
8 capability to do these things, as an example, the
9 images of the software applications have to be able
10 to be deployed onto the virtual machines. And so
11 the only way the virtual machines exist is because
12 they are created by the images as the virtual
13 machine managers.

14 The virtual machines where you are
15 deploying the images of this applications, don't
16 exist absent execution on the application nodes.
17 And that's clear from the many examples in the
18 specification that we can go to, but they are
19 highlight in the first half of the slides.

20 And then to answer your second part of the
21 question is, if you look at claim 19, which is
22 expressly a method claim, there are four steps, the
23 two storing -- and this is again on Slide 29. And
24 in Slide -- and again, the parties had treated all
25 three of the independent claims in this case, Claim
26 1, 19 and 26, the same, but you have four steps; you

1 have two storing steps, and then you have two
2 executing steps and then you have two executing
3 steps, and the executing steps mirror exactly the
4 language in Claim 1.

5 So again, on Slide, 29, we have the claim
6 language, and you have -- the first storing is you
7 store the plurality of images. This is the virtual
8 machine manager. Then you have to find the virtual
9 machines when executed, they provide the virtual
10 machines, then you have another storing limitation
11 with respect to the plurality of images. This is
12 the software applications, and then you have two
13 executing steps. You execute a rules engine to
14 perform. So this doesn't say to provide; it says
15 to perform operations of autonomically deploy the
16 images, this is the virtual machine manager, on the
17 application node. That's the where, where are we
18 deploying it on the application nodes? The how by
19 causing the images, this is the virtual machine
20 manager, to be copied on the application nodes.
21 That's actual deployment.

22 And then the second execution step says
23 executing the rules engine to perform operations to
24 autonomically deploy the image instances of the
25 software applications. Here's the where on the
26 virtual machines by causing the images, this is the

1 software applications, to be copied on the
2 application node. So this language in claim 19,
3 which is expressly a method claim, there is exactly
4 the language of Claim 1, which is obviously
5 indisputable of the system claim. And then -- and
6 one issue that I think is important is with respect
7 to the virtual machine language, if you go to Slide
8 25, and again, this refers back to the
9 specification, but their experts said, the only way
10 that a virtual machine is created is by the
11 execution of a virtual machine manager. And again,
12 that's on the right-hand side of column 25. And
13 there's two examples, Figures 26 and 27, which are
14 examples in the slides in the first part of this
15 presentation that shows exactly how that's done.

16 So, and I think that might be -- Your
17 Honor, unless you have additional questions about
18 the claim language, I think we can go -- I'd like to
19 go quickly through the specification, because the
20 Federal Circuit standard for determining an ordered
21 sequence of steps, talks about the specification,
22 and it also talks about the claim language, but to
23 summarize the claim language, we're not, you know,
24 the petitioner is -- as we set forth in our
25 sur-reply, the petitioner makes a number of straw
26 man arguments with respect to claim language saying

1 we don't identify the claim language. We clearly
2 identify the claim language. There's a whole
3 section on our patent owner response dedicated to
4 nothing but the claim language talking about this
5 ordered sequence of steps.

6 And, and it's, you know, we're not
7 construing individual terms. We're construing the
8 meaning of the claim as a whole based on logic and
9 grammar as the Federal Circuit has instructed us to
10 do. And again, this is in response to the Board's
11 request that the parties brief this as part of the
12 Board's institution decision.

13 So when we -- so as Dr. Shenoy mentions
14 here on Slide 25, there are two examples of how
15 these virtual machines can be created. Then he
16 refers to Figures 26 and 27. And so those are
17 detailed in the slides starting on Slide 13.

18 So again, this is the specification, but
19 the specification mirrors the claim language, right?
20 So if you look on the left-hand side, the
21 specification talks about how to do this three-step
22 deployment process, which mirrors exactly what the
23 claim language requires. Step one, you, and again,
24 looking at Figure 24, this is in Slide 13. You
25 create the virtual machine manager, or you, sorry,
26 you deploy the virtual machine manager as an image

1 instance, it creates the emulated environment, the
2 virtual machine, and then subsequently the image
3 instances of the software application are deployed
4 onto that virtual machine. So that mirrors the
5 claim language.

6 And again, this is looking at Slide 14.
7 This is the petitioner's expert, Dr. Shenoy, saying
8 exactly that, that the virtual machine manager is
9 executing on the application node or the physical
10 node, and that's what creates the virtual machine.
11 And that's also highlighted again on Slide 15 at the
12 bottom.

13 It says the virtual machine in the context
14 of the patent, and in this example is software that
15 creates an environment to emulate a computer
16 platform. That emulated environment is what the
17 application nodes are deployed on. And that goes
18 back to the claim language saying where you are
19 deploying it, or deploying the software images, the
20 software applications. You're deploying them on the
21 virtual machines, which is exactly what Figure 24
22 shows.

23 Figures 25, 27 and 28, which are also
24 detailed in the Board's institution decision, shows
25 how this -- again, how does this work. First you
26 deploy the virtual machine manager, the virtual

1 machine manager creates the virtual machine. That's
2 highlighted in the claim with other specification
3 language on the left-hand side of Slide 16. Again,
4 Dr. Shenoy did not disagree with that.

5 On Slide 17; says once the virtual machine
6 manager --

7 JUDGE BEGLEY: Just to jump in again
8 with respect to Slide 16. The quote of the
9 specification here for Figure 27 at the very top is
10 talking about it being an "exemplary procedure," and I
11 believe there's similar language with exemplary
12 embodiments and exemplary for the parts of the
13 specification that you're relying on for this
14 three-step process. So can you -- and I believe that
15 your response expressly acknowledges that these are
16 just examples. So can you address how you're being
17 consistent with Federal Circuit case law holding
18 that we should not import exemplary embodiments from
19 the specification into the claims?

20 MR. YOUNG: Yes, Your Honor. And there's
21 two parts to that answer. The first part, if you go
22 back to Slide 3, we're talking about ordered
23 sequence of steps. And that's where the Federal
24 Circuit says, you look at two different things. You
25 look at the specification, and you look at the claim
26 language. Either one, the specification or the

1 claim language, can dictate an order and sequence of
2 steps.

3 JUDGE BEGLEY: Yeah.

4 MR. YOUNG: So what we're saying, and I
5 mentioned that Dr. Shenoy said there's only two ways
6 that a virtual machine -- only two examples of how a
7 virtual machine is created in the specification.
8 And both of them are with an image instance of a
9 virtual machine manager executing an application
10 node. So the claim language itself requires the
11 deployment on the virtual machine.

12 So we're not importing any limitations
13 from the specification into the claims. We're
14 saying the claims themselves mirror the only two
15 ways, the only two examples of how virtual machines
16 are created on the application node. So the claim
17 language and the specification align exactly with
18 that. That's why we always, as an example on claim
19 -- on Slide 23, as an example, we match the claim
20 language and we show exactly how the claim language
21 reflects exactly what's in those exemplary embodiments.
22 So we're not reading specific limitations from an
23 embodiment into the claims. We're showing how the
24 claim terms themselves reflect the actual examples
25 in the patent. And those are examples in Figures
26 25. I'm sorry, Figure --

1 JUDGE BEGLEY: Are you saying that the
2 specification requires this order of steps or that
3 there's exempt -- there's examples in the
4 specification that have these steps?

5 MR. YOUNG: In order to deploy the
6 software, the app -- the images of the software
7 applications onto a virtual machine, those steps are
8 required. They're in every example, and the only
9 way you can -- and again, this is where I said at
10 the outset, the petitioner never wants to address.
11 Nowhere in their briefing did they address on the
12 virtual machines. That's actual claim language.
13 Again, we're talking about Slide 23, the green
14 highlighting, if that shows up on your slide, but
15 that's at the bottom. It says, "the software
16 applications on the virtual machines." Those have to
17 exist, and the only way they can exist and the only
18 way -- and the petitioners, we just talked about in
19 a previous slides, the only way the specification
20 talks about the virtual machines existing is through
21 the execution of a virtual machine manager on an
22 application node. It's the only way it happens.

23 So you can't -- and looking at again,
24 Slide 24, I'm sorry, Slide 23, Figure 24 the virtual
25 machine manager creates that virtual machine. That
26 machine is the foundation is the emulated

1 environment on which you deploy the software
2 applications. If, unless that exists, there's
3 nowhere to deploy.

4 And this -- and I can move on to the prior
5 art, but this is the primary distinction between the
6 prior art and this patent. This patent talks about
7 this three-stage process, and there are advantages of
8 doing it this way. And I can skip ahead just for a
9 moment, then I'll come back because this is
10 important.

11 This shows that there is a very big
12 distinction. You look on Slide 55, this gives you
13 an example. If on the left-hand side you have the
14 image instances of the virtual machines, right? So
15 we'll look at those and let's say it's A, B, C, D,
16 that's on the left-hand side, and then you have
17 image instances of the software applications, right?

18 And so if you deploy them in these
19 different steps, the advantage of that is if, for
20 example, you want to change an image instance, you
21 want to change the format, you want to add
22 functionality or whatever, you only have to change
23 one image, right? But in the prior art, the way
24 Khandekar Le does it, they talk about this VM, which
25 we can go into that. That's a different virtual
26 machine in our patent, is this emulated environment,

1 a VM in Khandekar and Le is an entire disk file, and
2 that's what you see on the right-hand side of Slide
3 55.

4 You have all these different images, so
5 these are all one image, a single image, a single
6 deployment step. And if you wanted to change, you
7 know, part B as an example, you have to change each
8 one of those image instances individually, you have
9 to go in and change everything. And this is only an
10 example of, you know, of five image instances and
11 five software, because that's all we could put on
12 the slides. If you had hundreds of these, you could
13 see that if you wanted to make one change, you have
14 to go in there and change every image instance. You
15 couldn't just change the one. And that's why the
16 advantage of our patent, the Sundar patent, is you
17 have these images stored separately in a software
18 repository, and then you can combine them as you
19 need. But if you need to change one or update one,
20 you only have to change one. You don't have to go
21 back in and change all of them as the combined
22 image.

23 So going back then to the claim language
24 on Slide 23, that shows how this, you know, the
25 virtual machine going down first, creating the
26 environment and then deploying the software, the

1 image instances of software applications on that
2 environment, that captures that advantage of doing
3 it that way.

4 And so to summarize, plain language
5 reflects exactly how the specification teaches these
6 deployment steps.

7 So, Your Honor, as you noted correctly in
8 the example I was reading on Figure 27, it does
9 absolutely say, and I'm looking on Slide 16, is it
10 absolutely says an exemplary procedure, but the
11 exemplary procedure with respect to these steps is
12 actually what's reflected in the claim language. So
13 the language of the specification (inaudible).

14 So I want to -- unless Your Honor has
15 additional questions about the claim language
16 itself, I'd like to discuss the prior art.

17 JUDGE BEGLEY: I do have one last
18 question, and it goes back to the Mformation case
19 cited on Claim 3. I'm sorry, on Slide 3, about the
20 ordering of steps. And I'm just still having
21 trouble understanding how we're getting from steps,
22 and ordering of steps in method claims, and using
23 that in system claims?

24 MR. YOUNG: Well, Your Honor, I think the
25 best way to address that I think is to look at Slide
26 29.

1 So Slide 29 deals with claim 19. Claim 19
2 is a method claim expressly, and in Claim 19, it has
3 four steps: two storing steps and two execution
4 steps. And so this language talks exactly how those
5 steps are reflected, and I can go through it again,
6 but those are the steps that are required in this
7 method. And they're actual storing steps and actual
8 execution for the execution of the rules engine to
9 do the actual deployment, right? And so -- you
10 claim 19, if you compare -- I think the best way to
11 answer your question is compare claim 19 to Claim 1,
12 and Claim 1 is on page Slide 23, and Claim 19 is on
13 Slide 29. So if you compare those two, both claims
14 have storing requirements where a plurality of
15 images of the virtual machine manager and a
16 plurality of images instances of the software
17 applications reside. The both of the claims say
18 that the plurality of images of the virtual machine
19 manager provide the virtual machines. Both the
20 claims say that. Both the claims have a deployment
21 process, which requires copying these two sets of
22 images to the application nodes, and both of the
23 claims require that the first time the deployment
24 happens, you're deploying the image instances, the
25 virtual machine manager, on the application nodes.
26 The virtual machine managers provide the virtual

1 machines, and then both claims have a step that the
2 image instances of the virtual software applications
3 are deployed on the virtual machines.

4 The virtual machines are created by the
5 virtual machine manager. So to answer your -- to
6 summarize your -- the claim language of Claim 19,
7 which is clearly a method. There's no question
8 falls directly within the claim language of the
9 Mformation case on Slide 2 -- 3. That's -- there's no
10 question there. And if you look at the claim
11 language of Claim 1, it has all those same elements.

12 So does that answer your question, Your
13 Honor?

14 JUDGE BEGLEY: I think so. Yes. Thank
15 you. I just -- I'm still not seeing how we're
16 talking about steps in non-method claims, but I
17 think you've explained your position.

18 MR. YOUNG: Well, I mean, I think we've
19 maybe the better way to say it is with respect to
20 Claim 1, which is a system claim, there is required
21 functionality to deploy the images with respect to
22 those steps. So the functionality, that capability,
23 has to be there to do the deployment in those three
24 steps. And that's exactly what the prior art does
25 not disclose. It does not disclose art that has the
26 capability of doing these three steps and certainly

1 no disclosure of that.

2 So if you -- the steps are expressly
3 required in Claim 19, which is a method and the --
4 what's expressed in Claim 1 is the functionality has
5 to be there to perform these three steps.

6 And, again, as the parties have -- as
7 we've addressed in the briefing and in the slides,
8 the parties have treated all three claims
9 essentially in the same manner, because the language
10 mirrors each other in expressly; both one is a
11 system, one is a method, and then Claim 26, of
12 course, is on Slide 31, and that's a non-transitory
13 computer readable medium.

14 And so the functionality needs to be there
15 for the steps for Claim 1; the method, the steps are
16 expressly required and expressly set forth, is a
17 better way of saying it, in Claim 19, and those steps
18 are expressly required through the functionality
19 in being claimed.

20 So if we go to the prior art that's
21 starting on Slide 33, this will, I think, highlights
22 the difference in --

23 JUDGE DROESCH: Counsel, I wanted to
24 interrupt you for a moment, and let you know that
25 you're about half an hour into your one-hour time.
26 I didn't know if you wanted to reserve any time for

1 rebuttal.

2 MR. YOUNG: Oh, yes, Your Honor. I'll
3 reserve 15 minutes, so I'll go 45 minutes on this
4 part and reserve or reserve 15.

5 JUDGE DROESCH: Okay, great.

6 MR. YOUNG: Thank you, Your Honor.

7 Okay. So on Slide 33, it's -- we're
8 talking -- oh, before I get there, I skipped one
9 thing. If you can go back one slide, Slide 32,
10 this I think also shows you the difference between
11 the parties.

12 The petitioner is asking for a claim
13 construction, and this on the left-hand side of
14 Slide 32, and the claim construction that they want
15 is that for the term a plurality of image
16 instances of a plurality of software applications
17 that are executable on a plurality of virtual
18 machines. And what they say is that, well, this
19 should be satisfied by dependent claim 2, and
20 dependent claim 2 just simply says that wherein the
21 image instances of the plurality of software
22 applications comprise virtual disk images.

23 And there's no question, there's no
24 dispute that the images of a software application
25 can comprise virtual machines, or, I'm sorry,
26 virtual disk image files. There's no dispute about

1 that, but that's not the totality of that claim,
2 claim term. That claim term says that are
3 executable on a plurality of virtual machines. And
4 this goes back to my point before. The petitioner
5 never wants to address the term on the virtual
6 machines. And so what they want you to do is to
7 say, okay, these are virtual disk image files,
8 virtual disk image files are the software
9 applications, and, therefore, it doesn't matter
10 where you deploy them. That's again goes back to
11 the how, like how you deploy them, but not the
12 where, where do you deploy them?

13 They want to read out the where you deploy
14 them from their claim construction. I think this
15 point, this particular example, makes that point
16 very clear.

17 So now going back now to the prior art,
18 and this is -- when you understand the prior art,
19 you understand why they want to read on the
20 plurality of virtual machines out of the plain
21 language, because what Khandekar teaches and the,
22 primary, the main, looking at Slide 33, again, the
23 highlighted sections, the main feature of this
24 invention is this provisioning server, where the
25 user can pick any kind of VMs. The term VM goes
26 back to the, goes back to the, you know, the meaning

1 of what is a VM and in the -- I think the best way
2 to look at that is if you look at Slide 40 as an
3 example, this provides a good example.

4 Slide 40 on the right has the VM there. The
5 VM, what does that include? Well, it includes a
6 number of things, but one of the things that it
7 includes is applications. You can see that in
8 element 360.

9 So what a VM is in the Khandekar and Le is
10 the same, it's the totality of the disk image. And
11 the reason why it's a single disk image is because
12 it teaches. It teaches one single image is being
13 deployed, and they have a number of examples of why
14 they believe that that's an efficient way of doing
15 or a desirable way of doing it. The point is that
16 it is in a single image, and that goes back to when
17 we were mentioning before, there's a distinct
18 advantages in the Sundar patent, which the
19 petitioner never addresses, which show why you're
20 doing it in the two-step between the virtual -- the
21 virtual image instances of the virtual machine
22 manager, and then the image instances of the
23 software (inaudible) doing it in a two-step process,
24 provides a lot of additional functionality and
25 ability to upgrade the applicant -- the different
26 images without having to address every single image

1 instance like you would do in how Khandekar and Le
2 teaches it.

3 Looking at Slide 34, this talks about, and
4 this highlights, this distinction between the
5 meaning of the term "machine". In our patent, it's
6 the emulated environment. That's in the top part of
7 slides. And then in Khandekar, it teaches this
8 model virtual machine is a virtual machine that
9 contains certain configuration of a guest operating
10 system, service pack, and applications that have
11 been tested and known to work correctly.

12 So, in other words, Khandekar, when he
13 talks about a virtual machine is the plurality of
14 the virtual machine. Where our, the 138 patent
15 talks about a virtual machine. That's the emulated
16 environment. That's the platform in which the
17 images instances of the software applications are
18 deployed onto.

19 And look on Slide 35, Dr. Shenoy agreed
20 with that. So -- and again, this highlights the
21 difference between the M in Khandekar and Le in a
22 virtual machine in the 138 patent. So in the
23 left-hand side is Shenoy testimony, you got the three
24 different types of VMs that are disclosed in
25 Khandekar. And in all three of those, they include
26 an operating system, and instances, and

1 applications, and that's the left-hand side, that
2 quoted language.

3 Now, in the custom version, you can deploy
4 it and then install some applications later on, but
5 installation is a different way of deployment and
6 (inaudible). As you remember the claim language,
7 very going back very quickly to Figure 23, doesn't
8 talk about deployment process. It talks about
9 deployment through a copying of an image instance.
10 Those are very different, and the reason they're
11 different is because if you deploy an image instance
12 of an application, it can already be configured. It
13 can be exactly the -- you know, to use a simple
14 example, like in words, you know, what font you want
15 to use, what, you know, font size you want to use,
16 whether you want a portrait, a landscape. You can
17 -- all of those are configurable so that when you
18 take an image instance of that application, or you
19 deploy it, those configurations are already there.

20 In the example in Khandekar when it talks
21 about installing applications later, that's the
22 actual installation process. You've got to go
23 through the whole configuration after that. So
24 that's much different than what the claim language
25 reflects. And this whole distinction between VM, and
26 Khandekar, and Le, and virtual machine in the 138

1 patent is expressed acknowledged by petitioner's
2 expert, Dr. Shenoy.

3 Looking at Slide 36, he says exactly that.
4 He says, "I've also explained that Khandekar
5 sometimes uses the shorthand." When he says VM,
6 he's referring to VM images, and depending times VM
7 means a virtual machine itself.

8 So it's very important when the Board is
9 looking at Khandekar and Le, that we are looking at
10 the VMs and the instantly deployable, and the custom
11 ones, all of the different three that Khandekar
12 talks about. That VM is much more akin to the image
13 instance of the software applications than it is to
14 the virtual machine emulated environment upon which
15 those software applications are deployed on.

16 And so going to Slide 37, when it talks
17 about virtual machine manager in Khandekar, it gives
18 very little information as to how the virtual
19 machine monitor is deployed. It has two different
20 options in the left -- the right-hand side of Figure
21 from, sorry, on Slide 37, it talks about that. You
22 can pre-install it; and again, as I mentioned
23 earlier, installation is not the same as copy image
24 instance. It's very, very different. You can
25 pre-install it, so it's already there so that when
26 you deploy the images of the VM in Khandekar, it's

1 already set up. And then it also says you could
2 deploy it along with, and on the right -- on the
3 left-hand side, it says "included and installed
4 together with the VM."

5 So if you're installing them together in
6 Khandekar doesn't provide any explanations of how
7 this would work. You know, the petitioner wants to
8 say, well, we're coming up with this argument. It
9 doesn't make any sense. What we're doing is taking
10 Khandekar at face value, because face value is
11 you're deploying it together or you pre-install it.
12 And if you deploy them together, then you're not
13 doing this three-step process. You're not deploying
14 the virtual machine manager first, creating the
15 virtual machine, and deploying the image instances,
16 the software applications on virtual machine. That's
17 expressly not done by Khandekar in either example,
18 the pre-installation process nor when you deploy
19 them together.

20 And if you go to Slide 38, this deployment
21 is expressly in Khandekar as a single step. The
22 right-hand side. Deployment is the step of taking a
23 staged VM. And again, that's an image instance, the
24 application and everything, the whole image, which
25 is what's shown in Figure 1 and Figure 2 of
26 Khandekar, and you're copying it to the host

1 machine, a single-step process. Now, that's more
2 efficient in the deployment process, but it doesn't
3 provide the function. It doesn't provide the
4 expandability and flexibility of the process that's
5 described in the 138 patent or even deployed in
6 series, you have more flexibility, as I mentioned on
7 Slide 55. And again on Slide 39, it also talks
8 about these steps.

9 So if we look now at Khandekar itself with
10 respect to looking at Slide 40, I think this shows
11 up pretty clear. So if you look at what they do
12 with respect to limitation 1d, they point you to
13 Figure 1, which is a prior art. It's not part of
14 the Khandekar teaching, it's prior art, and that
15 shows the VM, which is again, that's the entire
16 image instance and the virtual machine of VMM down
17 below.

18 It doesn't talk about how those get there.
19 They just show, right? And there's no dispute that
20 a virtual machine manager and virtual -- a VM as
21 described in Khandekar, that was known in the art.
22 The advantage of the 138 patent is, again, how you
23 deploy the special manager, create that flexibility,
24 which we discussed in Slide 55.

25 Then what they do, and I'll skip ahead a
26 little bit, because I want to get to the Khandekar

1 -- the example, as well.

2 If you look in Slide 42, what they do with
3 respect to 1e is they point to a different figure,
4 Figure 2. This is what Khandekar teaches, and if
5 you see in Khandekar, and if you look down at the
6 bottom, it will have the deployment of the VM and
7 the host. They don't even describe the VMM at all.
8 It's not mentioned in Figure 2.

9 So, and again, what they're doing is if
10 you don't -- and I'm going to skip down to Slide 46.
11 In an antecedent basis obviously the Board's familiar
12 with that concept, and where you initially define
13 something, you have to refer to the same thing.

14 And as Dr. Shenoy mentions in this
15 testimony on Slide 40, he doesn't know what
16 antecedent basis is, and he provides no opinion that
17 the virtual machines that are first defined in this
18 software repository bucket of the claim, whether
19 that's the same virtual machine that you have to
20 deploy the soft image instances of the software
21 applications onto in the control part of the claim.
22 He expressly says, I provide no opinion on this
23 issue.

24 And when you do that, then on Slide 47
25 with Dr. Rosenblum, who is the patent owner's expert
26 and the chairman of the Department of Computer

1 Science, George Mason University. He says, when you
2 do that, you are ignoring the structure of the
3 overall claim. And then you can just mix and match.
4 You just find words in the various prior art
5 references, ignore the overall teaching. You just
6 find some words in Figure 1, which is prior art.
7 You find some words in Figure 2, which is what
8 Khandekar teaches, and you kind of mix and match
9 because you don't -- you're not applying the
10 antecedent basis to your analysis.

11 So let's skip ahead. So this whole issue
12 -- I'm going to Slide 58. This whole issue of, you
13 know, what they say, we don't address Ground 2,
14 Khandekar in view of Le. That is incorrect. We
15 talk about in our patent owner response exactly why.
16 Basically Le is supplements the teachings of
17 Khandekar, but it's still at the end of the day,
18 Khandekar and Le both teach. You are taking a
19 single image of whatever's on the computer. There
20 is no disclosure of taking two sets of images, and
21 putting them in the software repository, and
22 deploying them in series. And the references that
23 they talk about while there's some evidence that
24 there are prior art that talks about image instances
25 of a virtual machine manager. That's Exhibit 1014
26 and 1016. Those are not part of claim -- Ground 2

1 or Ground 1. And there's no disclosure in those
2 references that those images are even stored in the
3 software image repository. And so what the
4 petitioner did in his reply is they changed their
5 underlying position with respect to Ground 2.

6 They said, well, we're not just talking
7 about the supplementing that the teachings of
8 Khandekar, what we're doing in this new argument is
9 we're going to say, you take Khandekar, you create
10 an image of a virtual machine manager, then you take
11 -- and then you deploy that, and then you reboot the
12 machine, and then you deploy the image instances of
13 the VMs from Khandekar on top of that. Well, that's
14 absolutely not discussed in the petition and this
15 language with respect to Dr. Shenoy's deposition
16 testimony on Slide 58, there's, you know, seven
17 pages of testimony, which we quote in our reply. And
18 while there are bits and pieces of this argument
19 scattered through the petition, there is absolutely
20 no disclosure of this three-step process using
21 Khandekar and Le that's disclosed or relied upon in
22 the petition. And when I asked Dr. Shenoy
23 repeatedly at the end of that testimony, that's
24 where he said, well, it's in my reply declaration.

25 So we're not saying that there aren't bits
26 and pieces of this argument in the petition, but

1 this combination of using Khandekar and Le in this
2 kind of series is not disclosed anywhere in the
3 petition, and that's why we say on the right-hand
4 side of Slide 58, the term physical image and
5 booting the host computer are not found anywhere in
6 the petition.

7 So with that, Your Honor, unless there are
8 any questions, I'll reserve the rest of the time for
9 rebuttal.

10 JUDGE DROESCH: Thank you.

11 I don't believe there's any questions. So
12 if counsel for petitioner would like to begin their
13 rebuttal, when they're ready.

14 MR. LIANG: Your Honor, before I begin, I
15 would like to just raise an objection to patent
16 owner's new arguments. As we noted in that call on
17 the November 23rd with Your Honors, the Board,
18 patent owner's arguments about these disk imaging
19 techniques, including the contents of physical
20 images, those are all new arguments. They weren't
21 raised in the patent owner response, which only spent
22 a single page and never, you know, trying to attack
23 Le and then Khandekar alone. They never addressed
24 how Le's disk imaging techniques would be used for
25 the combination. And so all the arguments that
26 patent owner raised today about the combination and

1 about Le's disk imaging teachings, those are new and
2 should not be considered and same with the sur-reply
3 arguments and the scheduling order paper 10, the
4 Board cautioned that patent owner is cautioned that any
5 arguments not raised in the response may be deemed
6 waived, and the Board has consistently excluded
7 those types of new arguments.

8 If Your Honors have no questions, I'd like
9 to begin, then.

10 JUDGE DROESCH: Go ahead.

11 MR. LIANG: Okay. Now, I want to just
12 start with claim construction and go to patent
13 owner's Slide 3, which is PDF page 7 of their
14 presentation.

15 Now, and I think Your Honor, Judge Begley
16 pointed out a critical flaw in their argument that
17 their entire construction relies on this Mformation
18 Techs case, which is in that case, there is a method
19 claim that recited steps, and that case just dealt
20 with the order of the steps that are already recited
21 in the claim. The steps that exist in the claim as
22 written. It did not deal with the importation of
23 new steps into a claim. And so while Claim 19 is a
24 method, it still does not include the steps that
25 patent owner wants to import. So Mformation Techs
26 is not inapposite to this -- to what we're talking

1 about here. Instead, we're talking about
2 incorporating three new steps. As we discussed in
3 the opening, we cited in our briefing, the bar, the
4 standard for importing new limitations is very high.
5 There has to be words of manifest exclusion or
6 restriction, and patent owner has not even attempted
7 to satisfy that high standard.

8 So before we talk about the ordered
9 sequence or the sequence of steps that should be
10 performed, we have to talk about whether those steps
11 should even be brought into the claims, and they
12 have not even attempted to require -- to meet the
13 standard that the Federal Circuit says is required.

14 Let's go to Patent Owner Slide 28, which
15 is PDF page 32. This process, this case that's
16 cited here, Process Control Corp. It's just about
17 antecedent basis, and as we explained in our
18 opening, antecedent basis contradicts what they're
19 construction, because there's no dispute that this
20 first mention of a plurality of virtual machines
21 that introduces a plurality of virtual machines that
22 the VMM images are capable of providing when
23 executed, and because they share an antecedent
24 basis, then all these subsequent references to the
25 virtual machines, they just simply refer back to the
26 virtual machines that the VMM images are capable of

1 providing.

2 We did not ignore the virtual plurality of
3 virtual machines. They said it over and over that
4 we ignored the plurality of virtual machines, the
5 virtual machines, but we explained it that the
6 virtual machines just refers back to the antecedent
7 basis of the virtual machines the VMM images are
8 capable of providing.

9 We didn't ignore the language, but what
10 patent owner ignored, and their presentation ignores
11 as Your Honor, Judge Driesch pointed out, their
12 presentation ignores the language executable. They
13 ignore that executable language, and I'd also point
14 out if we could go to Slide 32, Patent Owner's Slide
15 32. That, you know, this construction that we
16 propose it's really just about, well, what are these
17 image instances of software applications. Patent
18 owner didn't dispute that virtual machine disk
19 images satisfy that aspect of the limitation. And
20 that's what this -- that's why it says, you know,
21 it's satisfied, but not limited to a plurality of
22 virtual machine disk image file. It's just
23 connecting the idea of virtual machine disk image
24 files with that plurality of software applications.

25 And there is also no dispute that virtual
26 machine disk image files are executable on the

1 virtual machines that the VMM is capable of
2 providing. So everything is entirely consistent.

3 Now, patent owner argued repeatedly that
4 Dr. Shenoy conceded their claim construction, and
5 they keep citing to his testimony, but if you
6 actually look at all the cites that they have, in
7 each of them, he's just talking about exemplary
8 embodiments and the specification. He's talking
9 about particular parts of the specification, not the
10 claims. And regarding the construction, we also
11 explained how the combination of Khandekar and Le
12 teaches patent owner's construction. This is in the
13 briefing and it's also in our presentation, but I'm
14 going to leave it for the briefing unless Your
15 Honors want additional explanation about that.

16 JUDGE DROESCH: I don't believe --

17 MR. LIANG: Okay. Let's just talk
18 about --

19 JUDGE DROESCH: I was just saying that I
20 don't believe that there's any questions from
21 the panel.

22 MR. LIANG: Okay. Thank you, Your Honor.
23 let me talk about virtual machines then.

24 And so Khandekar is focused on how images
25 are deployed to host. It doesn't invent a new kind
26 of VMM, and Khandekar, column 7, lines 25 to 27,

1 teaches that the general features of VMMs are known
2 in the art and therefore not discussed in detail
3 here. And so therefore Khandekar uses existing
4 VMware software, including from VMware or it just
5 existing VMware software, and it teaches that in
6 column 13, starting line 17.

7 So if we go to petitioner Slide 79, so
8 this is petitioner's Slide 79. We go to
9 petitioner's Slide 79. So Khandekar, Figure 1 shows
10 the operation of known VMM software. So Khandekar
11 says, hey, just use VMM software, like from VMware.
12 And that's what Figure 1 shows just the operation of
13 known VMM software that existed in the art and how
14 it manages the execution of virtual machines.

15 As patent owner argue, well, this is
16 prior art, but if we go to Slide 82 -- well, first
17 of all, that's because Khandekar teaches the use of
18 prior art, the prior art VMware software. And if
19 you go to Slide 82, patent owner's expert admitted,
20 he agreed that Khandekar's teachings of the
21 background art are part of the teachings of the
22 patent. And that's clear if we go back up to Slide
23 50, you can see the teachings in Khandekar and Le of
24 using existing VMware software and the Slide 51,
25 petitioner's Slide 51, explains the motives -- Dr.
26 Shenoy explained on the left, explained many

1 motivations about why a POSITA would have followed
2 the teachings and use VMware software to provide
3 virtual machines. And on the right side, patent
4 owner's expert admitted that a POSITA would have
5 been able to use VMware software.

6 I want to point out that regarding virtual
7 machines, patent owner -- if we could go to Slide 52
8 now.

9 Patent owner has never proposed a
10 construction that would preclude the virtual
11 machines that are taught by Khandekar, Figure 1,
12 right? Their expert admitted that in terms of that
13 definition, right, that creates a software that
14 creates an environment that emulates a computer
15 platform, that that's what this, he admitted. Well,
16 that virtual machine would have a virtual processor,
17 memory, virtual devices, virtual disk. Those are
18 taught by Khandekar.

19 Now, that definition does not preclude
20 running an operating system or applications on the
21 virtual machine, which would make no sense because
22 if you precluded running an operating system
23 applications on their virtual machine, it wouldn't
24 do nothing.

25 So that the definition in the 138 patent
26 does not preclude also running an operating system

1 or applications. And if this is a comprising
2 claims, so once the Khandekar teaches the
3 limitations of the claim or the definition in the
4 specification, then it doesn't matter if it also
5 teaches additional other things. And patent owner
6 has not precluded proposed any slide or any
7 construction that would preclude this virtual, this
8 virtual machine in Khandekar as shown in Slide 52.

9 Now, I want to go back to patent owner's
10 Slide 35, which I believe is Slide 39 in their
11 presentation. And patent owner argued that these
12 three, that the patent argued that the pre-built
13 model VM, custom-built VM, and instantly provisioned VMs that
14 these are not the virtual machines. But if you
15 actually -- if you look at the petition, these are
16 the examples of virtual machine images that are --
17 these are examples of virtual machine images that
18 were relied on by the petition. So if you recall,
19 if we go to Slide 54, then you see a sample of these
20 deployable VMs and instantly deploy VMs, we'll be
21 able to -- sorry, petitioner's Slide 54. If we go
22 to Petitioner's Slide 54, you see that these are the
23 examples of those deployable VMs and instantly
24 deploy VMs. That Khandekar does use shorthand
25 sometimes referred to these images as VMs, but it's
26 clear from the context that these are images, and

1 Khandekar explains that they're stored on a server.
2 So these are images of a virtual machine, not the
3 virtual machine itself. The virtual machine itself
4 is taught, for example, in Khandekar Figure 1, and
5 they have proposed no construction that would
6 exclude Khandekar Figure 1 or their virtual machine
7 taught inside it.

8 Now, I'd like to then -- and I'd like to
9 point out also that the model VMs and the fact that
10 these, the fact that some, the virtual machine
11 images have operating systems and applications,
12 that's actually entirely consistent with what patent
13 owner contends is that two-stage process as
14 described for creating images in the 138 patent.

15 So they --Khandekar and the 138
16 patent actually use a similar process for creating
17 images where you install the OS and applications,
18 and then you save that virtual machine disk image
19 file. So the fact that these images have operating
20 systems and applications is entirely consistent with
21 -- is inconsistent with the patent.

22 Do Your Honors have any questions about
23 virtual machines? I'd be happy to answer any questions
24 you have.

25 JUDGE DROESCH: I don't have any at this
26 point. I believe the panel does not either.

1 MR. LIANG: Okay, thank you, Your Honor.

2 Well, then in that case, I'd like to go to patent
3 owner Slide 51, which I believe is PDF page 55 and
4 point out -- and I'll talk about some of the
5 separate images of -- for the virtual machine
6 manager.

7 Now, the petition cited these two
8 references to as express teaching, suggestion, and
9 motivation for why you would make the combination,
10 right? That's one of the classic motivations under
11 KSR that's cited. And so these are just teaching
12 suggestion and motivation in the prior art, and
13 notably patent owner never disputed the reason why
14 they're used. Both of the references, which are
15 French and Powell, both of them were cited in the
16 petition to show that teaching, suggestion, or
17 motivation for having separate images of virtual
18 machine managers, separate images of a virtual
19 machine manager, and patent owner did not dispute
20 that these references in fact provide that express
21 motivation; instead, all he argued when he argued
22 today and all that's written in Dr. Rosenblum's
23 declaration is a dispute about whether these also
24 teach storing things in a repository, but for Ground
25 2, the petition cited Khandekar and Le's teachings
26 about the software repository, including Le's

1 Figures 5 and 6, which show a plurality of physical
2 images being stored at the server. So they did not
3 dispute the reason why these two references were
4 used.

5 If we could go to the patent owner's Slide
6 56, which is PDF page 60. Or actually let's go
7 briefly then to Slide 55. Let's go back one slide,
8 Slide 55. I'll point out that patent owner's expert
9 admitted that the prior art used virtual machines to
10 provide independence from underlying hardware before
11 the 138 patent, and that's the primary reason they
12 were used in the prior art.

13 So that kind of independence is actually
14 what's shown on the left. Patent owner's notion
15 that, hey, the 138 patent invented a
16 new kind of virtual machine or a new use of virtual
17 machine images is contradicted by its expert who
18 admitted that the thing on the left was the primary
19 reason virtual machines were used in the art to
20 begin with. Because it allows that same software
21 application to run on different underlying physical
22 hardware platforms.

23 A monolithic image as shown on the right
24 is something that patent owner -- it undermines the
25 very reason why virtual machines are used. Now,
26 patent owner wants to twist Khandekar into saying

1 that somehow the prior art uses this monolithic
2 image, but it actually hasn't provided any evidence
3 that this was ever done in the art. And it doesn't
4 make sense to twist Khandekar into using some kind
5 of an image that was never used. It just doesn't
6 make sense. It defeats Khandekar's stated purpose
7 of providing independence for the deployment of its
8 virtual machines. It just makes no sense.

9 Now, I'd like to go to patent owner Slide
10 56 to the next page or the next slide, patent owner
11 56. Now, this -- if you look at that second red
12 text, it says, and patent owner admits that Le
13 discloses whole disk imaging with virtual machine
14 managers software already installed. So that's why
15 the petition used Le for in Ground 2, to write a
16 disk image with a virtual machine manager software
17 already installed onto a physical computer, right?

18 So even though it, patent owner, didn't
19 address the use of disk imaging in the patent owner
20 response, it's now conceding that Le discloses image
21 instances of a virtual machine manager. But when
22 talking about Le, patent owner makes three critical
23 errors, and I'm going to go through each of these
24 three.

25 First, patent owner conflates applications
26 that run on a physical computer with virtual machine

1 applications. So if we go to patent -- so this is
2 illustrated. And let me go back to petitioner's
3 Slide 61. So let's go back to petitioner's Slide
4 61.

5 So the virtual machine manager, the VMM,
6 is a program running on a physical computer. It's
7 either the OS or the application running on a plain
8 old physical computer.

9 Go to Slide 64. And there's no dispute
10 that Le teaches whole disk imaging that clones the
11 hard disk of a physical computer. And if we go to
12 Slide 65, so Le's disk image that writes the OS and
13 applications that run on the physical computer,
14 which here would be the virtual machine manager.
15 The VMM is the program that runs on the physical
16 computer. And when the VMs runs, it manages virtual
17 machines and applications can run on the virtual
18 machine. So for the 138 patent, the image instances
19 of software applications are virtual machine disk
20 images. And that's admitted by the patent owner in
21 patent owner response, page 43.

22 So if we go to Slide 54, petitioner Slide
23 54, the Khandekar is what teaches those virtual disk
24 images, right? Khandekar teaches virtual disk
25 images. Le is using the combination to teach
26 physical images. So Khandekar's virtual disk images

1 satisfy the claim limitation for image instances of
2 software applications. In fact, if you go back to
3 patent owner's Slide 56, or if you go to Slide 57,
4 patent owner Slide 57, which is PDF page 61, patent
5 owner and its expert admit that Le's virtual
6 machines, it's the virtual machines that correspond
7 to the claimed software application image instance.

8 The quoted part of Le on the left is
9 talking about a different kind of application. That
10 quoted part of Le on the left is talking about
11 cloning a physical computer. And when it refers to
12 an operating system and applications on the cloned
13 computer, Le's referring to programs that run on a
14 physical computer.

15 So the programs that run on a physical
16 computer, the thing that's cloned would be for the
17 combination of Ground 2, the virtual machine
18 manager.

19 So the second critical point is that
20 patent owner's misrepresenting Le, because patent
21 owner wants you to believe that Le's physical image
22 somehow includes virtual machines.

23 And, of course, none of this was argued in
24 the patent owner response, because they never
25 addressed how these images would be used in this
26 combination. But now they want you to believe that

1 Le's physical image somehow includes virtual
2 machines, but on the right, I want to point out that
3 this is a misquote here.

4 Like when you look on the right side, this
5 highlighted text quotes the cloned virtual machine.
6 But if you look at the cited text on the left, all
7 it says is "cloned computer." Patent owner injects
8 virtual machine into this quotation when in fact the
9 cited text, this entire paragraph, there's no
10 mention of virtual machines, and that's because it's
11 talking about physical computers.

12 And as we walked through earlier, Le's
13 teachings or disclosure of whole disk imaging was
14 applied to physical disks to install the virtual
15 machine manager, not the virtual machines. It's not
16 about the virtual machine applications.

17 And patent owner is trying to take this
18 quote from Le and turn it into a discussion of
19 virtual machines when it says nothing of the sort.

20 Now, a third critical error is that patent
21 owner overlooks the fact -- and if you look at the
22 highlighted text on the left here on Slide 57, Le
23 says a set of core applications. And if you -- so
24 Le here is teaching that you can clone a computer
25 with a complete software stack consisting of an
26 application and set of core applications.

1 Now, the next important sentence is
2 important. The resulting cloned computer, it can
3 then be further customized and personalized using
4 the customization techniques described earlier.
5 Well, what are those customization techniques?
6 Those are explained in Le Exhibit 1005, column 49,
7 line 56 to 63, where Le states customization can
8 also be used to install additional software. It is
9 common to configure a template image with a core set
10 of applications that most clones are expected to
11 need. Non-core applications, that is, those that
12 might be needed by some clones and not others, are
13 generally not placed in the template image in order
14 to minimize the images' size. Instead, they can be
15 selectively installed on a per-clone basis using
16 customization.

17 So patent owner wants you to believe that
18 Le's image somehow has everything a host computer
19 will ever run based solely on dimension of core
20 applications, but Le is clear that core applications
21 are only those used by all clones, which for Ground
22 2 would be the virtual machine manager, because
23 that's that core program that's needed for all hosts
24 in order to run the virtual machines. The core
25 applications would not include virtual machines,
26 because both experts and the prior art all teach

1 that the whole purpose of using virtual machines was
2 to allow different virtual machines to be deployed
3 on different hosts.

4 And we explained this in petitioner's
5 Slide 72 to 74, which I talked about earlier. So
6 the whole reason to use virtual machines is to allow
7 these different machines to be run on different
8 hosts independent of underlying hardware. Patent
9 owner ignored that distinction between physical and
10 virtual images. Its expert never addressed whether
11 it's even possible to create a monolithic that's
12 somehow both physical and virtual, and it just makes
13 no sense.

14 So patent owner's arguments for claim
15 construction are contrary to their District Court
16 position.

17 So I just want to close by going back and
18 hitting on these and summarizing these points,
19 right?

20 For claim construction, their arguments
21 are contrary to their District Court briefing, which
22 notably the patent owner did not even address in its
23 presentation, has no answer for the fact that in its
24 presentation, it said the virtual machine, in
25 District Court, it said the virtual machine manager
26 just has to have capability. It doesn't actually

1 have to be running and managing virtual machines. It
2 has had no answer for that in its presentation
3 today. So it's claim construction is contrary to
4 their District Court briefing. It's contrary to the
5 express definition of deployment in the claims,
6 which again, they had no answer to. And it's
7 contrary to controlling Federal Circuit precedent,
8 which they never even tried to satisfy that in order
9 to import a limitation from exemplary embodiments
10 into the claim or embodiments into the claim, there
11 have to be words of manifests restriction and
12 exclusion, and they never even tried to point that
13 out in their briefing.

14 As for these other arguments, patent owner
15 fail -- patent owner's arguments about virtual
16 machines are incorrect, because Claim 1 is a
17 comprising claim. So it doesn't matter that
18 Khandekar teaches an operating system and
19 applications being installed on the virtual machine.
20 And, in fact, the 138 patent teaches that, as well,
21 because that's part of the image creation process.
22 That's how you create the images, you install
23 operating system and applications, and you save an
24 image.

25 So there's no contradiction there at all.
26 And even based on patent owner's two-phase creation

1 process, Khandekar is entirely consistent with the
2 138 patent.

3 So it's a comprising claim. There's
4 nothing that precludes -- they've never proposed the
5 construction that would exclude the virtual machine
6 of Khandekar Figure 1 and their patent. And the
7 fact that it's prior art is something that while
8 they've argued, their expert has conceded that
9 those prior art teachings are part of Khandekar's
10 teachings, because Khandekar says to use no virtual
11 machine manager software, including from VMware.

12 So the virtual machines taught by
13 Khandekar, and Le, and the 138 patent, they all use
14 -- they're all provided by that same software. And
15 the virtual machine is taught by the prior art.

16 And with respect to the image instances of
17 the software -- the VMM, patent owner failed to
18 address the combination of Ground 2, they failed to
19 address Le's disk imaging teachings, which clearly
20 teach the image instances of the virtual machine
21 manager.

22 And, in the end, if you step back, patent
23 owner is trying to use VMware software to patent
24 something that VMware already did in the prior art.
25 And it makes no sense.

26 I'd like to just pause and ask the Board

1 if it has any questions; happy to answer any
2 questions.

3 JUDGE BEGLEY: None from me. Thank you.

4 JUDGE DROESCH: I don't believe the panel
5 has any questions.

6 JUDGE ENGELS: No questions.

7 MR. LIANG: Well, thank you, Your Honors.

8 JUDGE DROESCH: All right. So that
9 concludes your presentation, counselor?

10 MR. LIANG: Yes, Your Honor.

11 JUDGE DROESCH: Okay, great. Thank you.
12 Thank you very much.

13 Counsel for patent owner, if you'd like to
14 begin in your rebuttal.

15 MR. YOUNG: Yes. Thank you, Your Honor.
16 I'll try to go through these in order. There's a
17 number of issues. I'll try to go quickly, but not,
18 not, not too quickly.

19 The first with respect to their objection
20 about this new argument. I think that's all laid
21 out very clearly on pages 23, I'm sorry, 21 through
22 23 of our sur-reply brief where we have many, many
23 citations to the patent owner response with respect
24 to those arguments. Again, the big picture is this
25 argument that they claim now that you can use the --
26 do one deployment, reboot the machine, and then do

1 use Khandekar with a second deployment. That's a
2 new argument. That's why it wasn't rebutted in the
3 patent owner response, because it was never argued
4 in the petition. And that's very clear on page 21
5 of our, of our sur-reply.

6 With respect to the Mformation Techs case, we're not importing
7 steps into the claim language as
8 we went through in my initial presentation. Claim
9 1, Claim 19, and claim 26 expressly provide for
10 those individual steps. They have all three of
11 those claims out of the software image repository,
12 where two sets of images are contained, and all
13 three of those claims have some type of control node
14 or other mechanism to deploy the image instance of
15 the virtual machine manager, create a virtual
16 machine that software applicate -- the images is a
17 software application deployed onto. So we're not
18 importing anything from the specification, not
19 importing any steps into the claims. We're doing
20 exactly what the Federal Circuit requires in on
21 Slide 3 of our presentation, which is the claim
22 requires an order, ordering of steps when one
23 specification directly or implicitly requires in
24 order steps. In our briefing, we extensively set
25 forth exactly why -- how the specification does
26 that. And there's no different examples. They're

1 are all done exactly the same way. Every single
2 example in the specification does it that way.

3 And then the federal court case goes on to
4 say, where a claim language, as a matter of logic or
5 grammar requires the steps (inaudible) a written
6 order. And this goes back, you know, that with
7 respect to Slide 28 of our presentation, you know,
8 petitioner's counsel says. Well, you know, we're,
9 we didn't ignore antecedent basis. And we talked
10 about the virtual machines, and that's -- and we
11 didn't ignore that. They didn't ignore the virtual
12 machines, what they ignored was on the virtual
13 machines. And as you see in the claim language,
14 that's where you were deploying it on the virtual
15 machines, and that's on Slide 28, the bottom clause
16 of the claim. That's where the deployment of the
17 images is the software applications happen.

18 With respect to their construction, which
19 is reflected in Slide 32 of our presentation,
20 again, what we're talking about is there's no
21 inconsistency with our position. It's clearly what
22 they're saying is virtual disk image files, that
23 would satisfy the totality of that claim language,
24 which says executable on a plurality of virtual
25 machines. And that's what I'm trying to read out of
26 the claim language.

1 Now, he talked about this two-phase
2 capture process, which is reflected in the
3 specification, and that's set forth in the --
4 starting on Slide 5 of our presentation. The reason
5 that's important is because it gives, you know, the
6 claim language indisputably requires two sets of
7 images. That's on Slide 5, and it goes through this
8 two-phase capture process. What that means is how
9 do you create those specific image instances? And
10 the reason that's important is it because it shows
11 exactly how they're done.

12 And so you look on Slide 7, where it talks
13 about virtual machine manager 402, that image is
14 captured -- I'm looking out at the left-hand side,
15 prior to the installation and configuration of a
16 guest operating system and applications.

17 So what the asserted claims require is
18 that the image instance be just other virtual
19 machine manager. And so when petitioner's counsel
20 says, well, we're, you know, we're misconstruing the
21 term virtual machine as used in Khandekar. What
22 they were doing and what those images show in
23 Khandekar are reflected in what you see in Slide 11,
24 which is the second part.

25 So phase one of the two-phase capture
26 processes is just the virtual machine manager.

1 Phase 2 is the images. This is the
2 software applications, and you see how they create
3 and capture those images. If you run a virtual
4 machine manager, looking at the left, the right-hand
5 side of Slide 11 of our slides. You create a
6 virtual machine, you install the operating system,
7 you install the applications, you configure them,
8 and then you capture that image.

9 So what they're talking about in Khandekar
10 and Le is the totality of the image instance, right?
11 The totality of the operating system and the
12 applications. What they ignore more is there's no
13 teaching. It's not in any place where you have an
14 image instance of just a virtual machine manager
15 stored separately so you can deploy that first, and
16 then the image instance of the software
17 applications. That's not disclosed anywhere in
18 that except in the 138 patent.

19 And so when they try to pick and choose in
20 their new argument that they deploy Le first,
21 rebooted, and then deploy the image instances of the
22 virtual machine, what they're doing is using
23 hindsight to say, okay, this is what the 138 patent
24 discloses. And now I'm going to try to find all
25 those pieces in the prior art. And in our sur-reply,
26 we actually provided an example of where Dr. Shenoy

1 had tried to describe that and said, well, you do
2 this then subsequently you do this.

3 Well, that term subsequently is what's
4 shown in our patent; not in anywhere in Khandekar
5 and Le. And so if you look at Slide 13 of our
6 presentation, you said these are where the term,
7 this is a description in the specification. You do
8 the step one, and then subsequently you deploy the
9 image instances, the software applications onto the
10 virtual machine.

11 So Dr. Shenoy the term subsequently, but
12 showed nowhere in Khandekar, it's not shown anywhere
13 in Le, and he inserts it into his description because
14 but he's borrowing that language from the patent
15 itself. And that's why we say in our sur-reply
16 that's evidence of impermissible hindsight.

17 One other issue is that he -- petitioner's
18 counsel said that the patent owner never addresses a
19 inconsistent claim construction position in the
20 District Court. There is no inconsistent claim
21 construction position. There is, we, in this case,
22 we didn't individually construe any of the claims.
23 It's just the claim language as a whole with respect
24 to the ordered sequence of steps.

25 With respect to the virtual machine
26 manager, with it's very clear in the claim language

1 that there is a capability of that, 'cause the reason
2 for that is because when it's first described in
3 Claim 1, it's described as an image instance as part
4 of the software image repository.

5 And I'm looking, you can look at any of
6 the claim language, but with Slide 5 of our slides,
7 it shows this language, and because you haven't
8 executed anything yet, it certainly does have the
9 capability that's 'cause that's where it's first
10 defined. It's first defined in the software image
11 repository.

12 And so it would be -- it wouldn't make any
13 sense to say, well, you're executing it in the
14 software image repository, because that's not where
15 you deploy it, you deploy it onto the application
16 nodes. And that's what's -- that's the second half,
17 the Claim 1.

18 So there's no inconsistency whatsoever
19 with respect to our positions in this case, and the
20 patent owner's positions in the District Court
21 litigation.

22 So, finally, go through the rest of these
23 in order. The, you know, the patent, the petitioner
24 talks about Khandekar and talks about it saying,
25 well, it's simply using prior art methods to talk
26 about its VMM, and that's true. And if you look at

1 Figure 1 of the Khandekar reference, it shows that
2 prior art analysis, but what that prior analysis
3 does not contain anywhere, and I think a good
4 example of this is if you look on Slide 5 -- 40 of our
5 presentation, it shows that Figure 1 of Khandekar,
6 and it shows a VM and a VMM. What it's not showing
7 anywhere is how you have two sets of images that you
8 deploy one and then the other. And if you look at
9 -- that's the prior art, right? And then what
10 Khandekar does talk about is images of VMs, but it's
11 only a single image. There's no discussion of two
12 sets of images.

13 And petitioner's counsel talks about Slide
14 55 of our presentation where we show the advantages.
15 This is not a misconstruction of anything. This is
16 exactly why the method disclosing the 138 patent is
17 so advantageous is because in Khandekar, you have to
18 deploy the image as a whole.

19 Now, if you recall, petitioner's counsel
20 said that -- he cited some parts of Khandekar that
21 said you can customize it later. But in those
22 examples that he was quoting from Khandekar, each
23 one of them says that you install other applications
24 later.

25 So that's exactly what this is. This does
26 not -- our patent talks about image instances.

1 As I mentioned that the first part of my
2 discussion, when you're installing something, that's
3 a much different process than deploying by copying
4 an image instance. When you're copying an instance,
5 you have all the configuration already done. You
6 have all the customized for how you want it so
7 deploy it quickly. When you do an installation
8 later, that is a much more time-consuming process
9 and avoids the advantages of image instances.

10 So at the end of the day, there was no
11 disclosure of Le or Khandekar where there was a
12 deployment of two sets of images. It's not
13 disclosed anywhere, and in while the patent owner's
14 -- I'm sorry, the petitioner's expert will say,
15 well, it was obvious to do this. Well, it's obvious
16 if you read the 138 patent and look at it and say,
17 oh, okay, I see the teaching. Now, I'm gonna go
18 find that in the prior art. Where they say, well,
19 you're just using VM software. Yes, the underlying
20 software is the same, but how you use it, and how
21 you create these two sets of images, and how you
22 deploy them in series that is novel. And that's why
23 we said in our sur-reply, just because the
24 underlying VM software is commercially available,
25 doesn't, you know, just because two painters paint
26 the same picture using -- or paint the picture using

1 the same paint brush and paint doesn't mean you're
2 gonna create the same picture. So what we've done is
3 use those commercially available software, created a
4 new way to store these images, and through that
5 two-phase capture process, which is disclosing the
6 first half of the first part of the slides in our
7 deck, create those images, and deploy them in series
8 where you deploy the images. This is the virtual
9 machine manager. You create the emulated
10 environment for the virtual machine, and then you
11 deploy the image instances onto it. And that will
12 create the flexibility that's reflected here in
13 Slide 55.

14 Your Honor, I think I just have a few
15 seconds left. So if you have any questions, I will
16 be happy to answer them.

17 JUDGE BEGLEY: On your last point, are you
18 saying that it's beyond the level of ordinary skill
19 in the art to separately store the VMM? Is that
20 what you're saying? That there's a gap in the
21 petitioner's evidence and that a person of ordinary
22 skill in the art couldn't store those images?

23 MR. YOUNG: I think a person of ordinary
24 skill in the art would be capable of doing it. It
25 wouldn't have a motivation to do it when you read
26 the teachings of Khandekar and Le, because all of

1 them talk about a single step deployment.

2 They want to make it more efficient. In
3 their mind, you deploy images once and that's faster.
4 Then, in certain cases, you can customize them later.

5 So a person with ordinary skill would
6 certainly be able to know how to do it. There will
7 not be any motivation to do it. And that's true
8 with many inventions. Many interventions, it's
9 obvious to, or currently within their capability of
10 doing it, but they wouldn't have any motivation to
11 do it. And the only way they're able to piece this
12 together with respect to the Khandekar and Le
13 teaching is with the hindsight of the 138 patent,
14 which is why I gave that example or that
15 subsequently by petitioner's expert, because he was
16 absolutely looking at the teachings of the 138
17 patents and then find those pieces in the prior art.

18 So to answer your question, yes, there's
19 no question that a person with ordinary skill would
20 be capable of doing it. They would not have a
21 motivation to do it.

22 JUDGE BEGLEY: Thank you.

23 JUDGE DROESCH: I don't believe that the
24 panel has any additional questions.

25 All right. So we can adjourn the hearing
26 and go off the record at this point. Thank you.

- 1 (Proceedings conclude at 3:06 p.m.)

IPR2020-01582
Patent 8,572,138 B2

PETITIONER:

Harper Batts
Chris Ponder
Jeffrey Liang
SHEPPARD, MULLIN, RICHTER & HAMPTON LLP
hbatts@sheppardmullin.com
cponder@sheppardmullin.com
jliang@sheppardmullin.com

PATENT OWNER:

Daniel S. Young
Chad E. King
ADSERO IP LLC d/b/a SWANSON & BRATSCUN LLC
dyoung@adseroip.com
chad@adseroip.com