

UNITED STATES PATENT AND TRADEMARK OFFICE

-----  
BEFORE THE PATENT TRIAL AND APPEAL BOARD  
-----

ERICSSON INC.,

Petitioner,

v.

KONINKLIJKE KPN N.V.,

Patent Owner.

-----  
IPR2022-00557

Patent No. 9,667,669 B2

-----

REMOTE DEPOSITION OF ANTHONY J. WECHSELBERGER

WEDNESDAY, DECEMBER 14, 2022, 9:18 A.M.

WITNESS SITUATED IN ESCONDIDO, CALIFORNIA

Reported by Megan M. Grossman-Sinclair

CSR No. 12586

**MAGNA** >  
LEGAL SERVICES

1 APPEARANCES OF COUNSEL

2 (All appearances via Zoom videoconference)

3

4 For Petitioner:

5 BAKER BOTTS LLP

6 JEFFREY S. BECKER, ESQ.

7 2001 Ross Avenue

8 Suite 900

9 Dallas, Texas 75201

10 T: (214) 953-6511

11 Jeff.Becker@bakerbotts.com

12

13

14 For Patent Owner:

15

16

17

18

19

20

21

22

23

24

25



1 INDEX OF EXAMINATION  
2 WITNESS: ANTHONY J. WECHSELBERGER  
3  
4 EXAMINATION PAGE  
5 By Mr. Lovsin 7  
6  
7  
8 INSTRUCTIONS NOT TO ANSWER  
9 PAGE LINE  
10 (None)  
11  
12  
13 INFORMATION REQUESTED  
14 PAGE LINE  
15 (None)  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25 \* \* \*



1	INDEX TO EXHIBITS	
2	MARKED	PAGE
3	(No Exhibits Marked)	
4		
5		
6	PRIOR EXHIBITS REFERENCED	
7	(Not attached)	
8	Exhibit	Page
9	1001	85
10	1003	21
11	1006	48
12	1007	104
13	1009	65
14	1010	51
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25	***	



2 WEDNESDAY, DECEMBER 14, 2022; 9:18 A.M.

3

4 ANTHONY J. WECHSELBERGER,

5 having been first duly sworn,

7

8 MR. LOVSIN: Good morning. James  
9 Lovsin on behalf of the patent owner, KPN.

10 MR. BECKER: This is Jeff Becker  
11 representing Ericsson in this matter.

12 MR. LOVSIN: Thank you for being  
13 here today, Mr. Wechselberger.

14 Before we get into your questions,  
15 for the record, I just would like to memorialize  
16 an agreement that Mr. Becker and I had off the  
17 record. The deposition notice of Anthony J.  
18 Wechselberger, Paper 11, references recording by  
19 video. We are recording this deposition, and the  
20 parties agree that a video recording is intended  
21 to be used for any disputes about errata, and any  
22 other use of it, we have to agree subsequently.

23 MR. BECKER: I think just to  
24 correct, our agreement was to record the audio.  
25 So I don't -- I don't know that that accurately

1 describes what we agreed to.

2 MR. LOVSIN: So, Jeff, I guess we  
3 can go off the record.

4 Ms. Grossman-Sinclair said that the  
5 audio --

6 THE COURT REPORTER: Sorry. Are we  
7 off the record, Mr. Becker? Are we agreed?

8 MR. BECKER: Yeah, we can go off  
9 the record.

10 (Whereupon, a discussion was held  
11 off the record.)

12 MR. LOVSIN: Thank you,  
13 Ms. Grossman-Sinclair, Mr. Becker, for the  
14 discussion. So we are recording audio by Zoom for  
15 the purpose of any errata dispute that may arise.

16 MR. BECKER: That's my  
17 understanding, with the -- with the caveat that it  
18 would not be used for any other purpose than that.

19 MR. LOVSIN: Yes. And I am fine  
20 with that. I think let's have the flexibility, if  
21 there is another agreement, because if there is  
22 some recording in a deposition that you take down  
23 the line, this has got to be a two-way street.

24 MR. BECKER: I leave it open to  
25 future, I guess, modifications to the agreement,

1 but my agreement currently is that it would only  
2 be used for errata.

3 MR. LOVSIN: Fair enough.

4

5 EXAMINATION

6 BY MR. LOVSIN:

7 Q. Mr. Wechselberger, would you please  
8 state and spell your full name for the record.

9 A. Anthony Wechselberger.

10 Did you want me to spell that?

11 Q. Yes, please.

12 A. W-e-c-h-s-e-l-b-e-r-g-e-r.

13 Q. Would you please state your home  
14 address for the record.

15 A. 3447 Bernardo Lane, Escondido,  
16 California 92029.

17 Q. Is that where you are appearing for  
18 the deposition today?

19 A. Yes.

20 Q. Is there anyone in the room with  
21 you where you are now?

22 A. No.

23 Q. Can I ask, if anyone enters the  
24 room, you will tell me during the deposition?

25 A. For sure, yes.

1                   Q.    Do you have any notes or anything  
2 with you today?

3                   A.    I have the FedEx box that you folks  
4 had shipped to my house, sitting next to me.  
5 Other than that, my desktop is clear.

6                   Q.    Other than the FedEx box that we  
7 shipped to you, will you agree not to consult any  
8 notes or documents other than the ones that are  
9 put before you during this deposition?

10                  A.    Yes, I do. I agree.

11                  Q.    So you have Zoom on your computer  
12 right now; is that correct?

13                  A.    Yes.

14                  Q.    Are there any other applications  
15 open on your computer other than Zoom?

16                  A.    The e-mail application is open so  
17 that the calendar invite is available in case I  
18 need to click back in, but my e-mail is not  
19 visible; just the Zoom invite.

20                  Q.    Will you agree not to use any  
21 applications other than Zoom or Adobe, to the  
22 extent we share documents during the deposition?

23                  A.    Yes, I agree. I agree.

24                  Q.    Will you agree that during the  
25 question-and-answering portion of the deposition

1 you will not communicate with Ericsson's counsel?

2 A. I agree.

3 Q. Have you been deposed before?

4 A. Yes, many times.

5 Q. When was the last date of your last  
6 deposition?

7 A. I can't remember. I don't believe  
8 I have been deposed in the last -- within the last  
9 six or eight months.

10 Q. So it sounds like you have done  
11 quite a few depositions and relatively recently,  
12 but I will go through ground rules, if that's  
13 okay, as a refresher.

14 A. Go ahead.

15 Q. I will ask you -- thank you.

16 I will ask you questions, and you  
17 must answer them unless instructed not to do so by  
18 your attorney. Understood?

19 A. Yes.

20 Q. If your attorney makes an objection  
21 to a particular question, you must still answer  
22 the question unless your attorney specifically  
23 instructs you not to answer it. Understood?

24 A. Yes.

25 Q. Please speak up and answer orally.

1 The court reporter can't take down gestures.

2 Do you understand?

3 A. Understood.

4 Q. If at any time you need a  
5 clarification of a question, please ask.

6 Otherwise, I will assume you understand the  
7 question. Does that make sense?

8 A. Agreed.

9 Q. If you need a break, please let me  
10 know, but I would ask -- answer the pending  
11 question first before we take a break.

12 Does that sound fair?

13 A. Yes.

14 Q. Do you have any questions so far?

15 A. No.

16 Q. Is there any reason why you cannot  
17 testify accurately and truthfully today?

18 A. No.

19 Q. Are you on any medication or any  
20 other circumstance that may render you  
21 incapacitated to testify?

22 A. No.

23 Q. When were you first contacted in  
24 connection with any role in this case?

25 A. About a year ago.

1 Q. Who contacted you?

2 A. I can't remember.

3 Q. Can you remember the organization  
4 where the person was from that contacted you about  
5 a year ago?

6 A. Well, it was with Baker Botts law  
7 firm, and in the course of my work on this  
8 assignment, I worked with two to three different  
9 lawyers back in the beginning, Mr. Becker being  
10 one of them.

11 Q. So you think it was one of these  
12 three attorneys that may have contacted you about  
13 a year ago?

14 A. Probably.

15 Q. How long after you were first  
16 contacted were you retained as an expert in this  
17 case?

18 A. Probably within a couple weeks.

19 Q. Did Ericsson's counsel provide you  
20 with any assumptions for your analysis?

21 MR. BECKER: Objection.

22 THE WITNESS: I don't understand  
23 the question.

24 BY MR. LOVSIN:

25 Q. Did Ericsson's counsel ask you to

1 make any assumptions in the analysis in your  
2 declaration in this case?

3 MR. BECKER: Objection.

4 THE WITNESS: The only ones that I  
5 recall are being presented with a couple of claim  
6 constructions between patent owner and -- patent  
7 owner's claim construction assertions and those of  
8 Ericsson. And I do recall that my declaration has  
9 that information in it, and I took -- I accepted  
10 both of them and provided opinions that, under  
11 either assumption, the claims are invalid.

12 BY MR. LOVSIN:

13 Q. So when you say your "declaration,"  
14 are you referring to Exhibit 1003?

15 A. I don't have any materials in front  
16 of me, and I don't have the exhibit numbers  
17 memorized. If that is my declaration for the '669  
18 patent, then I agree. I am referring to that.

19 Q. Do you recall the claim  
20 construction that you were presented with by  
21 Ericsson's counsel?

22 MR. BECKER: Objection.

23 THE WITNESS: It was the  
24 "construction of composition" section, I believe.  
25 ///

1 BY MR. LOVSIN:

2 Q. Were there any statements  
3 Ericsson's counsel made to you in the course of  
4 this case that you relied upon in forming the  
5 opinions you ultimately provided in your  
6 declaration, Exhibit 1003?

7 MR. BECKER: Object to form.

8 THE WITNESS: Repeat the question?

9 BY MR. LOVSIN:

10 Q. Were there any statements  
11 Ericsson's counsel made to you in the course of  
12 this case that you relied upon in forming the  
13 opinions you ultimately provided in your  
14 declaration, Exhibit 1003?

15 A. No. My opinions are based upon the  
16 prior art, my analysis of the challenged patent  
17 claims, and the prior art that I discussed in my  
18 declaration.

19 Q. So you mentioned earlier you have a  
20 FedEx box that KPN shipped to you.

21 A. Yes.

22 Q. And you haven't opened that box  
23 yet; is that correct?

24 A. That's correct.

25 MR. BECKER: Just for the record, I

1 have -- also have a FedEx box that I have also not  
2 opened.

3 BY MR. LOVSIN:

4 Q. Okay. Mr. Wechselberger, would you  
5 please open the box.

6 A. Yes.

7 MR. BECKER: Mr. Lovsin, any  
8 objection to me doing the same?

9 MR. LOVSIN: No. No. Please  
10 proceed.

11 MR. BECKER: Can we open the  
12 envelope too or --

13 MR. LOVSIN: Yes, please.

14 THE WITNESS: Okay. I am observing  
15 lettered -- manila envelopes from letters A  
16 through O.

17 BY MR. LOVSIN:

18 Q. Thank you.

19 So my plan for today is to walk  
20 through those letter folders, and we will identify  
21 what those are, contents on the record. I am  
22 happy to share the documents on the screen if you  
23 think that would be helpful to you. But let's see  
24 where we get to throughout the day.

25 A. Agreed.

1                   Q.    Would you please open the folder  
2 with the letter L.

3                   A.    I have it.

4                   Q.    Do you see Paper 11 in the top  
5 right corner of the document in the folder with  
6 letter L?

7                   A.    Yes.

8                   Q.    And do you see the title "Patent  
9 Owner's Notice of Deposition of Anthony J.  
10 Wechselberger" on the document?

11                  A.    I do.

12                  Q.    Do you recognize Paper 11?

13                  A.    Yeah. I was given a PDF of  
14 documents when I started preparing for the  
15 deposition. I believe this was one of those.

16                  Q.    Are you here today pursuant to this  
17 notice?

18                  A.    Yes, I assume so. I assume that's  
19 why we are here.

20                  Q.    What did you do to prepare for your  
21 deposition?

22                  A.    The file has been sitting in  
23 storage since I signed the declaration some months  
24 ago. So I recovered the file; I began to review  
25 the challenged patent, the claims; I reviewed the

1 pieces of prior art I cited to; I reviewed my  
2 declaration, of course. I also had the  
3 opportunity to read the POPR, patent owner  
4 preliminary report, and the decision by the Board.

5 Q. And the "decision by the Board" you  
6 are referring to is the Board's institution  
7 decision?

8 A. Yes.

9 Q. And you mentioned earlier you were  
10 given PDF documents when you started preparing for  
11 the deposition; is that correct?

12 A. Yes.

13 Q. What were those PDF documents?

14 A. I think it was just a complete set  
15 of documents associated with the -- the fact that  
16 the Board had instituted, and the folks at the  
17 Baker law firm just forwarded to me -- or gave me  
18 a link I could go to to download the documents.  
19 There were about at least a dozen of them. I do  
20 not remember what all they were. They included  
21 the documents that I just told you I started  
22 reviewing, plus other documents that were  
23 generated in the course of the months since I did  
24 my declaration.

25 Q. Did you meet with Ericsson's



1 attorneys in preparation for your testimony today?

2 A. Only remotely.

3 Q. When did you meet with Ericsson's  
4 attorneys in preparation for your testimony today?

5 A. Once notice had been provided that  
6 I would be deposed, which was two to three weeks  
7 ago, or maybe a little longer -- call it three  
8 weeks -- as is usual for me to get prepared for a  
9 deposition like this, I chatted with the law firm  
10 about putting in place some time spots on the  
11 calendar leading up to the deposition day. I  
12 typically ask for maybe three interactive  
13 sessions, hour or two each, with a kickoff session  
14 and a couple in between.

15 As I recovered the learning curve  
16 on the process, then I used those sessions to  
17 interface with the attorneys and otherwise appear.  
18 I think in this case there were maybe three Zoom  
19 calls that I had with Mr. Becker.

20 Q. And you said each call was about  
21 one to two hours; is that correct?

22 A. Well, I said I usually set up those  
23 kinds of time spans. I don't think we actually  
24 spent any more than an hour on any one of these  
25 calls.

1                   Q.    So is it fair to say you spent  
2   about three hours preparing for your deposition  
3   with Ericsson's attorneys?

4                   A.    I think that's fair. There was  
5   also a couple of -- maybe a couple of e-mails that  
6   we shared, but that doesn't take time. So, yeah,  
7   I think it's about correct.

8                   Q.    And during these Zoom calls in  
9  preparation for your deposition, who was on the  
10 call with you?

11                  A.    All the calls were just between  
12 myself and Mr. Becker.

13                  Q.    And you had spoken with Mr. Becker  
14 about this case before these Zoom calls preparing  
15 for your deposition; correct?

16                  A.    It was one of the Baker law folks  
17 who I worked with in the original process  
18 preparing my declaration. So, yes, I spoke to him  
19 before depo prep.

20                  Q.    Did Ericsson's attorneys assist you  
21 in developing your testimony today?

22                  A.    I am not quite sure I understand  
23 that question. They assisted me in recovering the  
24 learning curve and the thought processes that we  
25 went through in generating my declaration, but my

1      opinions are now in my declaration. So I didn't  
2      need any assistance other than getting back up the  
3      learning curve on my declaration.

4                    Q.      Did you discuss the subject of your  
5      deposition with any person who is not an Ericsson  
6      lawyer?

7                    A.      No.

8                    Q.      Have you been engaged as an expert  
9      witness before?

10                  A.      Yes.

11                  Q.      Has a court ever found that you  
12      were unqualified to render an opinion that you  
13      offered in a case that you were retained as an  
14      expert?

15                  A.      No.

16                  Q.      Has a court ever excluded testimony  
17      that you offered in a case that you were retained  
18      as an expert?

19                  A.      No.

20                  Q.      If I refer to "Board" today, will  
21      you understand that I am referring to the Patent  
22      Trial and Appeal Board?

23                  A.      Yes.

24                  Q.      Has the Board ever found that you  
25      were unqualified to render an opinion that you had

1 offered in a case that you were retained as an  
2 expert?

3 A. No.

4 Q. Has the Board ever excluded  
5 testimony that you submitted in a case that you  
6 were retained as an expert?

7 A. No, but I do recall the Board one  
8 time using an alternative claim construction term  
9 than I was providing an opinion for. So I suppose  
10 if you want to call that an "exclusion," they took  
11 the construction of patent owner and not me, but  
12 they never expressly disregarded or not  
13 accepted my -- the answer to your question is no.

14 Q. Do you remember the name of the  
15 case where the Board went with a different claim  
16 construction than the one you offered?

17 A. No. It's probably been six or  
18 eight years. I don't remember the name.

19 Q. Do you remember the subject matter  
20 of the case where the Board took a different claim  
21 construction than the one you offered?

22 A. No.

23 Q. So we have spoke about your  
24 declaration in this case; correct?

25 A. Yes.



1                   Q.    Please look at the folder with the  
2 letter F.

3                   A.    F as in "fox"?

4                   Q.    Yes.

5                   A.    I have it.

6                   Q.    Did you see the document in the  
7 folder with the letter F is Exhibit 1003,  
8 Declaration of Anthony Wechselberger?

9                   A.    Agreed.

10                  (Exhibit No. 1003 was previously  
11                  marked for identification.)

12 BY MR. LOVSIN:

13                  Q.    And on Page 104 of Exhibit 1003, do  
14 you see the signature?

15                  A.    Yes. That's my signature.

16                  Q.    Did you prepare Exhibit 1003?

17                  A.    Yes.

18                  Q.    Anybody assist you in preparing  
19 Exhibit 1003?

20                  A.    Sure. I worked with -- as I  
21 testified earlier, I worked with the law firm of  
22 Baker Botts, including Mr. Becker here, in the  
23 course of preparing this declaration.

24                  Q.    Who else other than Mr. Becker from  
25 the Baker firm assisted you in preparing the

1 declaration, Exhibit 1003?

2 A. I only remember one other name,  
3 Melissa. I haven't interfaced with her since  
4 almost a year ago now. So I don't remember her  
5 last name. Sorry.

6 Q. If I said "Muenks," would that  
7 refresh your recollection as to her last name?

8 A. I can't affirm or deny it. I don't  
9 remember.

10 Q. Do you know Kevin Jeffay?

11 A. No.

12 Q. So you have never spoken to Kevin  
13 Jeffay about this case; correct?

14 A. That's correct.

15 Q. Are you aware that KPN and Ericsson  
16 are involved in a co-pending patent litigation?

17 A. A district court case? Is that  
18 what you are asking me about?

19 Q. Yes.

20 A. I am aware of that.

21 Q. Did you review any papers from the  
22 district court case between KPN and Ericsson in  
23 connection with your work in this IPR?

24 A. I think after I signed my  
25 declaration and submitted it, if I recall

1 correctly, there was a claim construction order  
2 that came forward on that district court case, and  
3 I had them have a copy of that, a PDF copy, a soft  
4 copy that I have looked at, at least parts of it.

5 Q. Do you recall any other papers that  
6 you reviewed from the district court case between  
7 KPN and Ericsson in connection with your work in  
8 this IPR?

9 A. I can recall two. One was the --  
10 is the name Kevin Jeffay you asked me about. I  
11 believe he submitted a declaration in conjunction  
12 with that case, and then there is a claim  
13 construction order. And the only reason I know  
14 about those is they were mentioned in the Board's  
15 decision document and they were cited there, so I  
16 went to look at them. That's all I am aware of  
17 from the district court case.

18 Q. So is it fair to say you did not  
19 review any drafts of papers that Ericsson filed in  
20 the district court case between KPN and Ericsson?

21 A. Not that I recall.

22 Q. Do you know George Foti?

23 A. No, I don't.

24 Q. So you have never spoken to  
25 Mr. Foti about this case; correct?

1                   A.    Correct.

2                   Q.    Outside of Ericsson's counsel, did  
3    you discuss your declaration, Exhibit 1003, with  
4    anybody else?

5                   A.    No.

6                   Q.    Let's turn to Appendix A,  
7    Exhibit 1003. It's Page 106 of Exhibit 1003.

8                   A.    Okay. I am there.

9                   Q.    What is Appendix A?

10                  A.    It's my resume.

11                  Q.    Did you prepare Appendix A?

12                  A.    Not in conjunction with this case.

13    This is my resume. So, yeah, I prepared it.

14                  Q.    Did you make any updates to your  
15    resume in Appendix A of Exhibit 1003 for this  
16    case?

17                  A.    No, I did not.

18                  Q.    Does Appendix A of Exhibit 1003  
19    accurately identify all of your education?

20                  A.    Yes.

21                  Q.    Does Appendix A to Exhibit 1003  
22    accurately identify all of your professional  
23    experience?

24                  A.    No. It is a summary of my  
25    experiences in corporate life, and it mentions

1 briefly some of the areas where I have been  
2 involved as a consultant. I usually accompany  
3 this resume with additional information. In  
4 particular, I have a document which discusses my  
5 work as an assistant -- in assisting the legal  
6 community, which is not reflected in this resume  
7 itself.

8 Q. So you usually accompany your  
9 resume with additional information, and you didn't  
10 do it in this case?

11 A. Yeah, I did it in this case. It's  
12 the backgrounder on me that's about me in the  
13 front of my declaration.

14 Q. So the background in your  
15 declaration and Appendix A would accurately  
16 identify all of your professional experience?

17 MR. BECKER: Objection.

18 THE WITNESS: No. The specific  
19 legal cases and the law firms I have worked with  
20 for the past 20 years is additional material about  
21 my professional experience.

22 BY MR. LOVSIN:

23 Q. Is that list of cases on the --  
24 your company, Entropy Management Solutions'  
25 website?

1                   A.    There is a sprinkling of them on  
2    that website that you see the link to on the  
3    resume.   That's -- those are kind of dated.   I  
4   haven't updated them in a long time.   They are not  
5   meant to be comprehensive.   They are just meant to  
6   be a sampling of the kind of work that I do and a  
7   key into my background areas of expertise.

8                   Q.    So is it fair to say Appendix A  
9    accurately identifies all your professional  
10   experience before your experting work in  
11   litigation?

12                  MR. BECKER:   Objection.

13                  THE WITNESS:   It is mostly focused  
14   on, like I said, my work in corporate life.   When  
15   it comes to my consulting -- legal consulting  
16   experiences, I always include a backgrounder about  
17   me in the front of an expert report or a  
18   declaration, as is present in this case with my  
19   declaration.   And if I am asked, I can also  
20   provide additional professional experience about  
21   the legal experiences that I have had.   I was not  
22   asked to do so, as I recall, in this case.

23   BY MR. LOVSIN:

24                  Q.    So turning to Paragraph 14 of  
25   Exhibit 1003.



1 A. I am there.

2 Q. Do you see your statement:

3                         "....working with high  
4                         technology systems related to  
5                         military, commercial, and  
6                         consumer communications systems,  
7                         networks, and appliances."

8 A. Yes.

11 When was that?

14 Q. And your time at General Dynamics  
15 is listed on Appendix A; correct?

16 A. Yes.

17 Q. And so your experience with the  
18 military in connection with General Dynamics, is  
19 that listed on Appendix A?

20                           A.    Yes.  It says General Dynamics  
21  electronics division, 1974 to 1980.

22 Q. Understood.

23 So this text, "Communications,  
24 embedded processing, digital signal processing for  
25 portable man/aircraft wargame instrumentation,

1 moving target indicator (MTI) digital radar,  
2 global positioning system receivers," that was all  
3 in connection with the military?

4 A. Agreed.

5 Q. Did you work with military  
6 personnel in connection with your work with the  
7 military through General Dynamics?

8 A. Occasionally, yes.

9 Q. What types of military personnel  
10 would you work with in connection with your work  
11 with the military through General Dynamics?

12 A. I worked mostly in what are called  
13 "iRAD programs," individual research and  
14 development. Those were advanced  
15 demonstration-type projects, oftentimes sponsored  
16 and paid for by a branch of the military. And as  
17 a result of that, those folks, those military  
18 folks would come in and -- for status reports and  
19 interfacing.

20 I also traveled to Germany on one  
21 of the projects, the MTI radar project, where I  
22 participated in NATO war games with helicopters  
23 and fighter jets and tanks, and I interfaced with  
24 a lot of military on that project.

25 Q. So you worked with military

1        officers and enlisted personnel in connection with  
2        your work with the military and through General  
3        Dynamics?

4                A.        I would say I didn't work with  
5        them; I interfaced with them.

6                Q.        While interfacing with military  
7        personnel in connection with your work with the  
8        military through General Dynamics, did you come to  
9        see that the military personnel were trained in  
10        communications systems and networks?

11               A.        Did I come to see that they were  
12        trained in communications systems and networks?

13               Q.        Yes.

14               MR. BECKER: Objection.

15               THE WITNESS: Well, yes, some of  
16        them were. That was the kind of nature of the  
17        work that I was doing at GD.

18        BY MR. LOVSIN:

19               Q.        Let's turn to Paragraph 16 of  
20        Exhibit 1003.

21               A.        Okay.

22               Q.        And do you see your statement:  
23               "The technological teachings  
24        found in IETF and ETSI standards  
25        form the important backdrop to

1                   the knowledge of a person of  
2                   ordinary skill in the art at the  
3                   time (POSITA)."

4                   A. I see that statement, yes.

5                   Q. And does "IETF" stand for?

6                   A. Internet engineering task force.

7                   Q. Is session initiation protocol,  
8                   SIP, an IETF standard?

9                   A. Yes.

10                  Q. RFC 3261 is the SIP IETF standard;  
11                  right?

12                  A. I don't have the IETF numbers  
13                  memorized. I have got a part in the front of my  
14                  resume which talks about references cited to or  
15                  relied upon, I believe, that -- and the exhibit  
16                  numbers. The SIP IETF numbers is RFC 3261.

17                  Q. Before this case, have you had any  
18                  work experience related to SIP?

19                  A. Well, SIP is part of the toolkit of  
20                  Internet standards that is fundamental, and I was  
21                  first exposed to this -- I was first exposed to  
22                  that when working with DOCSIS technologies back  
23                  when I worked in the cable industry. DOCSIS is  
24                  based on Internet -- several Internet standards.

25                  So I am thinking back 20-plus years now. That's

1 when I first would have been exposed to SIP.

2 Q. Before this case, have you ever  
3 been retained as an expert in a case involving  
4 SIP?

5 A. Not where it was front and center  
6 the way it is in this case, but like I say, it's  
7 fundamentally an important Internet protocol  
8 standard, and I have been involved in several  
9 cases where IPTV was the subject matter, and so by  
10 implication, SIP would have been within the  
11 sandbox of the technologies therewith but, as I  
12 say, not nearly as front and center as it was in  
13 this case.

14 Q. Do you recall the names of the  
15 cases where IPTV was subject matter where you were  
16 an expert?

17 A. No. I have been engaged in over  
18 105 assignments in the past 20-plus. I can't -- I  
19 couldn't tell you.

20 Q. And what does "IPTV" stand for?

21 A. Internet protocol television.

22 Q. Is session description protocol,  
23 SDP, an IETF standard?

24 A. Yes.

25 Q. And RFC 4566 is the SDP IETF

1 standard; right?

2 A. Yes. Well, it's the latest one.

3 It has been proceeded in earlier days by other  
4 numbers that -- when a newer version comes out, it  
5 obsoletes the older ones, but the current one, I  
6 believe, is 4566.

7 Q. Before this case, have you had any  
8 work experience related to SDP?

9 A. Same answer as for SIP. SDP is  
10 included in SIP. It's important -- it carries  
11 important information, and so to the extent that  
12 the IPTV assignments that I worked on involved  
13 that kind of detail, then I would have -- I would  
14 have been involved with it, but I have certainly  
15 been exposed to it, and I understand what it's  
16 about, and I had known that for at least 15 or 20  
17 years.

18 Q. So you have not been an expert in a  
19 case where SDP has been front and center; right?

20 A. Relative to -- I would caveat -- I  
21 would generally agree with that with the caveat  
22 that SIP, SDP, and RT SP are all front and center  
23 in this particular matter, and I can't recall  
24 another case where that particular characteristic  
25 would apply.

1 Q. Let's touch on RTSP.

2 That is realtime streaming  
3 protocol; correct?

4 A. Yes.

5 Q. And RTSP is an IETF standard;  
6 correct?

7 A. Yes.

8 Q. RFC 2326 is the RTSP IETF standard?

9 A. Agreed.

10 Q. Before this case, have you had any  
11 work experience related to RTSP?

12 A. Same answer as before. SIP, RTSP,  
13 and SDP are typically all in support of each other  
14 in IPTV systems and perhaps not as front and  
15 center as they are in this case, but I have been  
16 exposed to them and I'm familiar with them through  
17 previous case work.

18 Q. So if we go back to Appendix A of  
19 Exhibit 1003, you are saying your exposure to SIP,  
20 SDP, and RTSP has been in connection with your  
21 role as an expert on prior cases; correct?

22 A. Yes.

23 Q. And so your exposure to SIP, SDP,  
24 RTSP has not been in connection with your prior  
25 experience in corporate life or your consulting,

1 non-legal work with Entropy Management Solutions?

2 A. No, that's not correct.

3 I mentioned earlier the term

4 DOCSIS, and the last parts of my corporate life  
5 with TV/COM International where I was -- well, I  
6 had several different executive positions while I  
7 was there, but toward the latter half of the '90s,  
8 as the Internet exploded onto the scene, those of  
9 us involved in digital television and broadband  
10 distribution systems had assimilated Internet  
11 types of protocols as they became part of the  
12 broadband distribution technology arena. In other  
13 words, prior to the Internet, you could look at  
14 the satellite or cable television industry and  
15 find it's kind of an island of technology  
16 committed to those -- it might have been digital  
17 television for sure, but it wasn't until the  
18 Internet impact happened that the landscape of  
19 technologies began to expand into Internet stuff,  
20 IPTV being an example of that.

21 And so by the time I left corporate  
22 life, as vice president of product management or  
23 as general manager of conditional access business  
24 unit, Internet types of transmissions and  
25 communications were very much part of what we were

1 doing. So, yeah, I got initially exposed to that  
2 while still in corporate life.

3 Q. And in your role with non-legal  
4 consulting work in Entropy Management Solutions,  
5 have you worked with SIP, SDP, or RTSP?

6 A. I have not worked with them  
7 directly, but I have been consulting, for example,  
8 to the digital cinema community through my work  
9 with the Hollywood studios and my work with SMPTE,  
10 the Society of Motion Picture and Television  
11 Engineers, on developing standards that support  
12 digital cinema, and Internet communications are  
13 very much part of that communications  
14 infrastructure.

15 And so you will find sprinkled  
16 about throughout the two- or three-dozen standards  
17 that have been generated in support of digital  
18 cinema, many of them include IETF standards as  
19 normative references.

20 So, yes, that work is non-legal  
21 assistance work that I do as a contributing  
22 systems engineer.

23 Q. What does it mean to be a normative  
24 reference from one standard to another?

25 A. A standard -- standard is not an

1 island. A standard is directed to solving a  
2 specific or defining a specific arena of  
3 something, of some technological sandbox, and it's  
4 typically been the case that standards, as I said,  
5 are not an island, but they depend on earlier or  
6 other standards.

21 Q. Are you familiar with web realtime  
22 communication, web RTC?

23                           A.     I have heard of it.   I don't  
24   recall, sitting here today, exactly what it's  
25   about.

1                   Q. Before this case, have you had any  
2 work experience related to web RTC?

3                   A. I can't recall. I don't recall.

4                   Q. Before this case, have you ever  
5 been retained as an expert in a case involving web  
6 RTC?

7                   MR. BECKER: Objection.

8                   THE WITNESS: Since I don't  
9 remember what it's about, I don't know.

10 BY MR. LOVSIN:

11                   Q. You mentioned earlier in your  
12 testimony that you had reviewed the Board's  
13 institution decision in this case; correct?

14                   A. Yes.

15                   Q. Do you agree with the Board's  
16 analysis on the institution decision?

17                   MR. BECKER: Objection.

18                   THE WITNESS: I don't recall if I  
19 agree with every sentence they wrote. I generally  
20 am in agreement that they understood, for example,  
21 the subtleties of how I was -- they were  
22 addressing my declaration as much as the petition,  
23 but to the extent that the petition and my  
24 declaration align with each other, the Board  
25 understood how these IETF standards fit into the

1 prior-art picture, so I agreed with that.

2                   But, you know, we would have to do  
3 a page-turner on the decision to see if I agreed  
4 with everything. I don't remember.

5 BY MR. LOVSIN:

6                   Q. Sitting here, can you remember any  
7 portion of the Board's institution decision that  
8 you disagree with?

9                   A. No, I can't remember anything I  
10 specifically disagreed with.

11                  Q. If you look at Paragraph 4 of  
12 Exhibit 1003.

13                  A. Okay.

14                  Q. You just testified about:

15                    " To the extent that the  
16 petition and my declaration align  
17 with each other, the Board  
18 understood how these IETF  
19 standards fit into the prior-art  
20 picture."

21                    Is that correct?

22                  A. Yes.

23                  Q. And so in Paragraph 4 of Exhibit,  
24 1503, you say:

25                    "I have reviewed the petition

1                   in detail and I agree with its  
2                   analysis and conclusions."

3                   Do you see that?

4                   A.    I do.

5                   Q.    Do you recall if you reviewed the  
6                   petition before you prepared your declaration in  
7                   this case?

8                   A.    Well, yes. It says right there I  
9                   did.

10                  Q.    And, again, you mentioned:

11                  "To the extent the petition  
12                  and my declaration align with  
13                  each other, the Board understood  
14                  how these IETF standards fit into  
15                  the prior-art picture."

16                  Right?

17                  A.    Yeah.

18                  Q.    Do you have a view of how much  
19                  alignment there is with your declaration and the  
20                  petition?

21                  A.    I think -- I believe them still to  
22                  be a hundred percent aligned. I actually did not  
23                  review the petition in preparing for today. I am  
24                  here to defend my declaration. And when I said to  
25                  "the extent the petition and my declaration

1 align," I was simply referring to -- acknowledging  
2 the fact that it wasn't evident to me that the  
3 Board actually looked at my declaration; they were  
4 responding to the petition. And so indirectly I  
5 was saying -- suggesting that the Board also  
6 agrees with me.

7 Q. Do you agree with the Board's  
8 construction of associated sessions in the  
9 institution decision?

10 MR. BECKER: Object to form.

11 THE WITNESS: I have to look at  
12 that again. I don't remember exactly what they  
13 decided. I believe they -- I believe they  
14 accepted patent owner's position on that. I don't  
15 have the decision -- you have to put it in front  
16 of me. I don't recall.

17 I mean, again, I accepted both  
18 parties' positions and provided -- and opined upon  
19 how the plaintiffs would be invalidated under  
20 either. And so, you know, whatever the Board  
21 decided, from my perspective, I don't really -- it  
22 doesn't change my opinions or my testimony.

23 BY MR. LOVSIN:

24 Q. Let's look at the folder with the  
25 letter I.

1 A. Okay.

2 Q. Do you see the document in the  
3 folder with the letter I is Paper 8 decision?

4 A. Yes.

5 Q. What is Paper 8?

6 A. It is -- it is Board's -- it's just  
7 called "decision." I mean, I just refer to it as  
8 the institution decision document.

9 Q. Let's turn to Page 8 of Paper 8.

10 A. I am there.

11 Q. Do you see Section 1 on Page 8,  
12 associated sessions?

13 A. Yes.

14 Q. Do you see the Board's statement:

15 "We construe associated  
16 sessions as sessions that should  
17 not be managed independent from  
18 each other."

19 A. I do.

20 Q. Do you agree with the Board's  
21 construction of associated sessions on Page 8 of  
22 Paper 8?

23 MR. BECKER: Object to form.

24 THE WITNESS: I accept it, and I  
25 know where it came from. It came directly out of

1 the '669 patent. It seemed like that was a  
2 logical place for the Board to pull from. Like I  
3 said, I accept it.

4 BY MR. LOVSIN:

5 Q. Do you agree with the Board's  
6 construction of exchanging the composition session  
7 identifier in the institution decision?

8 A. Can you point me where that exists  
9 in the document so I can give you a solid answer?

10 Q. Yes.

11 If you turn to Page 9 of Paper 8,  
12 do you see Section 3, exchange?

13 A. Yes.

14 Q. Do you see -- do you see the  
15 last -- second-to-last sentence in that section:

16 "Exchanging the composition  
17 session identifier in the context  
18 of the specification is sending  
19 the composition session  
20 identifier in either direction."

21 A. Got it. I am looking at it.

22 And your question was?

23 Q. Do you agree with the Board's  
24 construction of exchanging the composition  
25 identifier in the institution decision?

1 A. Yeah, I do.

2 Q. So I am at a bit of a transition  
3 point. I am happy to take a break. We have been  
4 going for a while.

5 If, Mr. Wechselberger, you would  
6 like to take a break?

7 MR. BECKER: I think we have been  
8 going about an hour.

9 MR. LOVSIN: I can keep going.

10 THE WITNESS: Yeah, I mean, I am  
11 fine if you want to -- I am fine.

12 MR. LOVSIN: All right. Let's keep  
13 going. When you -- please let me know when you  
14 want to stop to take a break.

15 THE WITNESS: Okay.

16 MR. BECKER: Let's set up some  
17 goalposts. Like, what generally -- what -- how  
18 long do you generally like to go,  
19 Mr. Wechselberger, without a break? You want to  
20 go an hour and a half? Another 30 minutes?

21 THE WITNESS: An hour and a half --  
22 hour and a half is comfortable for me typically,  
23 yeah.

24 MR. LOVSIN: Okay. Yeah. Let's do  
25 that.

1 BY MR. LOVSIN:

2 Q. Let's turn back to your  
3 declaration, Exhibit 1003, and could you turn to  
4 Paragraph 57.

5 A. Okay. I am there.

6 Q. In Paragraph 57, do you see your  
7 statement:

8 "Signaling...refers to  
9 information messages to set up  
10 control and terminate  
11 communication [of] media  
12 sessions"?

13 A. The opening sentence of  
14 Paragraph 57?

15 Q. Yes. And I see -- I misspoke. I  
16 said "of" instead of "or." So I will re-read that  
17 portion again:

18 "Signaling" -- and then --  
19 "the information messages passed  
20 between network nodes to set up,  
21 control, and terminate  
22 communication or media sessions."

23 Do you see that?

24 MR. BECKER: Objection.

25 THE WITNESS: Yes.

1 BY MR. LOVSIN:

2 Q. And staying on that Paragraph 57,  
3 you quote the '669 patent, which is Exhibit 1001,  
4 as:

5 "Composition session may be  
6 used for the management of  
7 associated sessions."

8 Is that correct?

9 A. Yes. That's out of the exhibit --  
10 that's out of the '669 spec.

11 Q. Taking those two statements  
12 together, are you saying session management is the  
13 setup control and termination of media sessions?

14 MR. BECKER: Object to form.

15 THE WITNESS: I am saying session  
16 management includes those.

17 BY MR. LOVSIN:

18 Q. Is there a difference between  
19 session management and telecommunications  
20 management?

21 MR. BECKER: Object to form.

22 THE WITNESS: I am trying to  
23 remember where the term "telecommunications  
24 management" might have surfaced in this case, and  
25 I am drawing a blank. So I don't know the answer

1 to your question. I don't know what you mean.

2 BY MR. LOVSIN:

3 Q. What does "telecommunications  
4 management" mean to you?

5 MR. BECKER: Object to form.

6 THE WITNESS: In absence of a  
7 context, I don't think it's a term of art that  
8 necessarily means anything. If you can show me  
9 someplace where that exists, I can opine better,  
10 but it might be the same, it might be different,  
11 depending upon context. I don't think I used that  
12 term in my declaration.

13 BY MR. LOVSIN:

14 Q. Is there a difference between  
15 session management and other types of management  
16 in communications and networks?

17 A. Repeat the question?

18 Q. Is there a difference between  
19 session management and other types of management  
20 in communications and networks?

21 A. I will answer it the same way. It  
22 would depend upon context, on session management  
23 in the context of '669 as -- you know, it's --  
24 what it is, it's set forth in that -- in the '669  
25 patent and also in the prior art.



1                    You are asking me how it compares  
2 to other telecommunications management options. I  
3 don't have an opinion.

4                    Q.    What does "session management" mean  
5 in the context of the prior art?

6                    A.    Examples are throughout my  
7 declarations. A session management could be the  
8 establishment, management in tear- --  
9 establishment or tearing down of media sessions,  
10 as an example.

11                  Q.    Sitting here, are there any other  
12 examples of session management that you can  
13 identify from the prior art?

14                  A.    I believe Paragraph 107 of my  
15 declaration speaks to this. In that paragraph, I  
16 describe how signaling sessions is for management  
17 of the associated sessions, and I provide several  
18 examples in the next several paragraphs.

19                  Q.    Is there a difference between  
20 session management and facilitating management of  
21 sessions?

22                  MR. BECKER: Object to form.

23                  THE WITNESS: I don't recall  
24 opining on that. I don't have an opinion.

25                  ///

1 BY MR. LOVSIN:

2 Q. Foti describes setup of RTSP  
3 messages; correct?

4 A. Yes.

5 Q. And when I refer to Foti, do you  
6 understand me referring to the published patent  
7 application that you cited as prior art in the  
8 petition No. 20080151918?

9 A. Yeah. I just happened to open  
10 Folder No. A which is Foti, and I looked at the  
11 numbers as you read them. I concur that is Foti.

12 Q. And Foti is F-o-t-i; is that  
13 correct?

14 A. Yes.

15 Q. And the document in the folder  
16 letter A is Exhibit 1006; correct?

17 A. Yes.

18 (Exhibit No. 1006 was previously  
19 marked for identification.)

20 BY MR. LOVSIN:

21 Q. And if I refer to the term "Foti,"  
22 you'll understand I'm referring to Exhibit 1006?

23 A. Okay.

24 Q. And so just to confirm our first  
25 question in this set of questions about Foti, Foti

1 describes the setup of RTSP messages.

2 That's right?

3 MR. BECKER: Object to form.

4 THE WITNESS: The setting up of  
5 RTSP sessions is amongst -- is described by Foti,  
6 as is the establishment of SIP session.

7 BY MR. LOVSIN:

8 Q. And RTSP messages are different  
9 than RTSP sessions.

10 A. Well, an RTSP session must be  
11 established -- is established for the purpose of  
12 then exchanging RTSP messages within that session.

13 Q. An RTSP message terminates an RTSP  
14 session; correct?

15 MR. BECKER: Object to form.

16 THE WITNESS: Repeat the question?

17 BY MR. LOVSIN:

18 Q. An RTSP message terminates an RTSP  
19 session; correct?

20 MR. BECKER: Object to form.

21 THE WITNESS: I don't have the RTSP  
22 spec in front of me, and under Foti, it's the --  
23 the RTSP sessions are managed by the SIP session.  
24 And, for example, Foti teaches that if the SIP  
25 sessions were to terminate, then the RTSP sessions

1 would fail to operate, and effectively terminate  
2 them. But whether they are formerly terminated --  
3 there is a lot of different ways these standards  
4 interact with each other to initiate and terminate  
5 sessions.

6 Outside the context of what Foti,  
7 Wright, and Lloyd teach, your question seems a bit  
8 abstract.

9 BY MR. LOVSIN:

10 Q. Does Foti teach or suggest a SIP  
11 message terminates an RTSP session?

12 A. I am looking at Foti, Column 3,  
13 around Paragraph 36. At the bottom of Page 3,  
14 Foti says:

15 "Most skilled in the art will  
16 appreciate that the SIP session  
17 used to set up the RTSP session  
18 may terminate."

19 And then it gives reasons why that  
20 may happen. Paragraph 37 says:

21 "Because conventional methods  
22 of streaming media do not link  
23 the RTPS session to the SIP  
24 session that created it, the  
25 [AS400] -- "40" -- excuse me --

1                   "would reject subsequent RTSP  
2                   commands from the UT as invalid."  
3                   And then Foti goes on to teach a  
4                   process of reviving the SIP sessions so the RTSP  
5                   sessions become operative again.

6                   The other reference that I cited to  
7                   is the Wright reference which also describes the  
8                   termination of RTSP sessions. And I don't have  
9                   that in front of me, so I can't exclusively tell  
10                  you what -- remember what Wright teaches.

11                  Q.    Folder with the letter B, please  
12                  open that folder.

13                  A.    Yeah, I have it.

14                  Q.    Do you see the document in the  
15                  folder with letter B is Exhibit 1010?

16                  A.    Yes.

17                  (Exhibit No. 1010 was previously  
18                  marked for identification.)

19                  BY MR. LOVSIN:

20                  Q.    And do you see "Wright" in the top  
21                  left of Exhibit 1010?

22                  A.    Yes.

23                  Q.    And the number 20060039 through -67  
24                  in the top right of Exhibit 1010?

25                  A.    Yes.

1                   Q.    Is Exhibit 1010 the Wright  
2 reference that you were referring to?

3                   A.    It is.

4                   Q.    And if I refer to "Wright" today,  
5 you will understand I am referring to  
6 Exhibit 1010?

7                   A.    Understood.

8                   Q.    Can you show me in Wright where a  
9 SIP message terminates an RTSP session?

10                  A.    I am actually going to look in my  
11 declaration to attempt to find the answer to that.

12                  In that declaration, I covered this  
13 question at Paragraph 75 on Page 46. Paragraph 75  
14 says:

15                  "Wright's technique for  
16 termination..."

17                  The second sentence:

18                  "...includes the user  
19 equipment sending a SIP BYE  
20 message and the network  
21 responding to that message by  
22 terminating the media stream."

23                  As shown in Figure 6 from Wright.

24                  Q.    So your statement in Paragraph 75  
25 of Exhibit 1003:

8 Is that what you pointed to?

9 A. Yes.

10 Q. So the network responds to the SIP  
11 BYE by terminating the media stream; correct?

12 A. That's a quote -- well, let's see.

13 The actual quote from Paragraph 38 of Wright says:

19 Q. Does Paragraph 38 of Wright use the  
20 term "RTSP session"?

21                   A.     I don't see the RTSP mentioned in  
22 Paragraph 38. The media sessions of Foti are the  
23 associated sessions, and Wright -- the  
24 modification of Foti under the teaching of Wright  
25 is -- is how Wright teaches that the SIP sessions

1 can terminate the media sessions.

2 Q. Figure 6 of Wright doesn't have an  
3 RTSP session labeled; correct?

4 MR. BECKER: Objection.

5 THE WITNESS: Well, since SIP  
6 doesn't directly transmit or communicate media  
7 streams, I think one of ordinary skill in the art  
8 would understand that the media stream in Figure 6  
9 would be an RTSP session or an SDP session,  
10 whichever way you want to transfer the actual  
11 data.

12 BY MR. LOVSIN:

13 Q. Back to Foti.

14 In this discussion of whether an  
15 RTSP message terminates an RTSP session, I believe  
16 you pointed to Paragraph 36 and 37 of Foti; is  
17 that correct?

18 MR. BECKER: Objection.

19 THE WITNESS: Repeat the question.

20 BY MR. LOVSIN:

21 Q. Back to Foti.

22 In this discussion of whether an  
23 RTSP message terminates an RTSP session, I believe  
24 you pointed to Paragraph 36 and Paragraph 37 of  
25 Foti; is that correct?

1                   A. I did point to those two  
2 paragraphs, 36 and 37, but I believe I was saying  
3 that the SIP session termination results  
4 without -- would result in the ending of the RTSP  
5 session.

6                   Q. So you agree with me that an RTSP  
7 message terminates an RTSP session?

8                   MR. BECKER: Object to form.

9                   THE WITNESS: That's not what I  
10 said. I said the SIP -- the termination of the  
11 SIP session under Foti would result in the failure  
12 of the RTSP sessions to operate.

13                   And Foti says in Paragraph 37:

14                   "The linking performed by the  
15 present invention, however,  
16 avoids the need for teardown, and  
17 instead, allows the AS 40 and the  
18 UT to establish a new SIP session  
19 and link it with the existing  
20 RTSP media session."

21                   So there is no tearing down under  
22 Foti of the RTSP sessions themselves; rather, Foti  
23 is teaching a way to revive them should the SIP  
24 session terminate.

25                   ///

1 BY MR. LOVSIN:

2 Q. So Foti does not teach a SIP  
3 message terminates an RTSP session; correct?

4 MR. BECKER: Object to form.

5 THE WITNESS: Foti expressly  
6 describes how to revive, which is the word used  
7 under Foti, the SIP and RTSP sessions that are  
8 supplying the media stream to the user terminal.  
9 Foti is focused on a situation that can develop in  
10 the network where the SIP session would time out,  
11 for example, or cease -- or terminate.

12 In my declaration, I use -- I  
13 pointed to that as a use case for how a SIP  
14 session manages the associated media sessions, and  
15 supplemented that with the teachings of Wright,  
16 which then discloses how a SIP session could be --  
17 SIP session BYE message, B-Y-E message, could be  
18 used if one wanted to terminate the media  
19 sessions.

20 So to the extent one would argue  
21 that Foti doesn't talk about deliberate  
22 termination, which is a thing that may well be --  
23 you would want to do if you are done watching  
24 television or an event, but Wright does tell you  
25 how to do that.

1 BY MR. LOVSIN:

2 Q. Does an RTSP teardown message  
3 terminate an RTSP session?

4 MR. BECKER: Object to form.

5 THE WITNESS: I don't recall.

6 BY MR. LOVSIN:

7 Q. Are you familiar with an RTSP  
8 teardown message?

9 A. I would have to look at the spec.

10 Q. Which spec?

11 A. The RTSP spec.

12 Q. So sitting here, you are not  
13 familiar with an RTSP teardown message?

14 A. I don't recall it being used for  
15 the -- I'm sorry. I don't recall it being used in  
16 the teardown process of these particular  
17 references. I am not saying it doesn't exist; I  
18 assume it does.

19 I am now looking at Paragraph 113  
20 of my declaration where this subject that you are  
21 asking me about is discussed. In Paragraph 113, I  
22 cite from Paragraph -- Foti Paragraph 36 that we  
23 just looked at with the session -- SIP session may  
24 terminate, do a time-out. So at the bottom of  
25 that Page 75, I say:

1 "A person of ordinary skill  
2 in the art would have understood  
3 that Foti discloses a network  
4 initiated procedure for tearing  
5 down RTSP sessions when the SIP  
6 session times out."

22 And there is a figure in Wright  
23 that illustrates that.

24 Q. We talked about Paragraph 38  
25 earlier; correct?

1 A. 38 of Wright?

2 Q. Yes.

3 A. Yes.

4 Q. 38 of Wright doesn't refer to an  
5 RTSP session; correct?

6 A. I mentioned that I did not see it  
7 in 38, but it's my opinion that a person of  
8 ordinary skill in the art would understand that  
9 that's the way Wright is delivering actual media  
10 streams.

11 Q. In Foti, between which entities is  
12 the media session?

13 A. Are we saying the media session  
14 under Foti -- when you said "media session," are  
15 you talking about the RTSP session?

16 Q. Is the RTSP session what you are  
17 relying on as a media session for purposes of this  
18 case?

19 MR. BECKER: Object to form.

20 THE WITNESS: Yes. If we look at  
21 Foti, summary of the invention, Page 1,  
22 Paragraph 5:

23 "The present invention links  
24 a realtime streaming protocol  
25 media session that streams media

1 content through user terminal.

2 That linkage is to a session

3 initiation protocol (SIP) used to

4 set up the RTSP media session."

5 I think that answers your question.

6 BY MR. LOVSIN:

7 Q. So between which entities is the

8 RTSP session in Foti?

9 A. Looking at Figure 4 of Foti, which  
10 is also the title page figure, you can see the  
11 initial part of the RTSP setup takes place between  
12 AS 40 and MCS 30. So the session setup is shown  
13 there as Line No. 70, and there is a return 200 OK  
14 message 72 that goes back to the AS 40, and  
15 subsequently the AS 40 then returns the 200 OK  
16 RTSP session ID to the UT.

17 So getting back around to answer  
18 your question, the RTSP session in fact ends up  
19 being -- connecting -- or ends up connecting the  
20 user terminal 20 with the MCS 30, and that would  
21 make sense because the RTSP session is intended to  
22 control the playback of media such as play, pause,  
23 et cetera. And so that would reasonably take  
24 place between the UT and the MCS, which is the  
25 source of the content.

1 So it's a two-phase start between  
2 AS and MCS. It ends up being the connection  
3 between the UT and MCS for purposes of media  
4 session control.

5 Q. So just to confirm, you are saying  
6 the media session is between UT 20, AS 40, and  
7 MCS 30?

8                           A. Well, it's -- what I described to  
9 you was the sequence of events depicted in  
10 Figure 4, and all three of those actors are  
11 involved. In the end, the UT and the MCS are  
12 handshaking RTSP messages.

13 One of ordinary skill would  
14 understand from this that what needs to be  
15 established is the UT 20 being able to communicate  
16 with the MCS, which is the source of the media  
17 content streaming over RTSP session 90 at the  
18 bottom of that figure. And so there is ultimately  
19 connection from the UT through to the MCS via  
20 RTSP.

21 Q. RTSP has its own signaling for  
22 termination of sessions; correct?

23                           A.    We have already had that  
24 discussion. I don't recall. That isn't -- again,  
25 I was looking at Wright from my declaration. Let

1 me just continue with that.

2 Looking at Paragraph 115, 115 is  
3 speaking to Figure 6 of Wright:

4 "As seen above, the session  
5 teardown of the RTSP controlled  
6 media stream (highlighted in  
7 yellow) is initiated at Step 650  
8 using a SIP BYE message from the  
9 user equipment (IPFCD 100). As  
10 disclosed by Wright, 'The  
11 customer may activate a push  
12 button of the remote to terminate  
13 transmission of the media  
14 stream," which is Step 650.

15 So Wright uses the SIP BYE message  
16 to terminate the RTSP session.

17 And your question was specifically  
18 if RTSP terminates message use; well, not in  
19 Wright.

20 Q. So let's look at the folder with  
21 letter M.

22 MR. BECKER: Are we at a transition  
23 point, Mr. Lovsin, so where we could take that  
24 break we talked about?

25 MR. LOVSIN: I am good with taking

1 a break if that works for everybody.

2 MR. BECKER: I think we have been  
3 going a little bit over an hour and a half.

4 Tony, does that work for you?

5 THE WITNESS: Yeah, just need an  
6 ETA for resumption, an after.

7 MR. LOVSIN: Well, let's go off the  
8 record.

9 MR. BECKER: Sure.

10 (Whereupon, a recess was held from  
11 10:56 a.m. to 11:10 a.m.)

12 BY MR. LOVSIN:

13 Q. Mr. Wechselberger, during the  
14 break, did you discuss your testimony with  
15 Mr. Becker?

16 A. I did not.

17 Q. If you could turn back to  
18 Appendix A.

19 A. Okay.

20 MR. BECKER: Just as a point of  
21 confusion, you are talking about Exhibit 1003?

22 MR. LOVSIN: Yes, Appendix A of  
23 1003. Thank you.

24 BY MR. LOVSIN:

25 Q. Do you have any books listed that

1 you have authored regarding communications systems  
2 or networks in Appendix A of Exhibit 1003?

3 A. No.

4 Q. Have you authored any books about  
5 communication systems?

6 A. I authored dozens of technical  
7 white papers or articles. I have not authored a  
8 book, a textbook.

9 Q. Have you authored a book about  
10 networks?

11 A. I just said I have not authored a  
12 book.

13 Q. Okay. Just to confirm, you have  
14 not authored any books?

15 A. Correct.

16 Q. Okay. We were looking at the  
17 folder with the letter M before the break.

18 A. That's the realtime streaming  
19 protocol standard; right?

20 Q. Yes.

21 Do you see the document? It's  
22 marked Exhibit 1009 in the folder with the letter  
23 M.

24 A. Yes.

25 Q. And do you see -- do you see

1 "RFC 2326" in the top left of Exhibit 1009?

2 A. I do.

3 Q. And so if I refer to Exhibit 1009  
4 as "RFC 2326," you will understand that I am  
5 referring to Exhibit 1009?

6 A. Yes.

7 (Exhibit No. 1009 was previously  
8 marked for identification.)

9 BY MR. LOVSIN:

10 Q. RFC 2326 describes how a user can  
11 terminate an RTSP session; correct?

12 A. I am looking at the table of  
13 contents under Section 10. I see -- they call the  
14 messages methods, m-e-t-h-o-d, quote/unquote, and  
15 I see message 10.7 called "teardown" on Page 37.  
16 It's 37 of the RFC spec, not page -- oh, it's  
17 Page 37 of the exhibit. That's good.

18 Your question is -- describes how a  
19 user can terminate an RTSP session. So I am going  
20 to review the teardown message.

21 "The teardown request stops  
22 the stream delivery for the give  
23 URI."

24 So RTSP does provide a message tool  
25 for terminating -- for freeing the resource -- for

1 stopping the stream delivery and freeing the  
2 resources associated with it. The RTSP session  
3 identifier is no longer valid. So it appears they  
4 don't call it "terminate"; they call it "teardown"  
5 under the standard.

6 Does that answer your question?

7 Q. So Section 10.7 of RFC 2326 shows  
8 signaling to tear down an RTSP session; correct?

9 A. That's what it appears to show.

10 Q. And RFC 2326 describes how a user  
11 can tear down an RTSP session; correct?

12 MR. BECKER: Object to form.

13 THE WITNESS: Well, the IETF  
14 standards are purpose neutral as to whether it's  
15 the user or whoever it is. It just defines a  
16 standard.

17 BY MR. LOVSIN:

18 Q. So if RFC 2326 is neutral, a user  
19 can use a teardown message to tear down an RTSP  
20 session; correct?

21 MR. BECKER: Object to form.

22 THE WITNESS: I presume so. But  
23 this is outside the scope of my declaration  
24 because the citations -- the prior art that I  
25 cited to uses a SIP session termination to do t

1 same thing.

2 BY MR. LOVSIN:

3 Q. Did you cite RFC 2326 as prior art  
4 in the petition?

5 A. Yes, I did.

6 MR. BECKER: Object to form.

7 I think you meant declaration when  
8 you asked that question.

9 BY MR. LOVSIN:

10 Q. Did you cite 2326 -- strike that.

11 Did you cite RFC 2326 as prior art  
12 in your declaration, Exhibit 1003?

13 A. Yes.

14 Q. You didn't cite an RTSP teardown  
15 message in your declaration, Exhibit 1003?

16 MR. BECKER: Object to form.

17 THE WITNESS: I believe that's  
18 correct because there's different ways these  
19 standards interact with each other, and it turns  
20 out that both Foti and Wright establish and  
21 terminate RTSP sessions using SIP messaging.

22 BY MR. LOVSIN:

23 Q. Let me ask you a logic question.

24 Do you agree that just because all  
25 cats are animals does not mean that all animals

1 are cats?

2 A. Yeah, I would agree with that.

3 Q. So just because SIP can manage  
4 media sessions does not mean that all media  
5 sessions are thus managed by SIP; correct?

6 MR. BECKER: Object to form.

7 THE WITNESS: I would agree with  
8 that statement as well.

9 BY MR. LOVSIN:

10 Q. Let's turn back to your  
11 declaration, Exhibit 1003, Paragraph 106.

12 A. I am there.

13 Q. Do you see your statement in the  
14 second sentence:

15 "Each RTSP session would be  
16 understood to be a signaling  
17 session because the purpose of  
18 the RTSP session is to allow  
19 UT 20 to control the media stream  
20 using various signaling messages  
21 such as RTSP play at Step 76"?

22 A. I do see that.

23 Q. And your statement in Paragraph 106  
24 of Exhibit 1003 is related to Foti; correct?

25 A. Yes.

1                   Q.    Earlier you testified that in Foti  
2   the RTSP session is a media session; correct?

3                   MR. BECKER:   Object to form.

4                   THE WITNESS:   Yes, and I cited  
5   to -- I cited to Foti's opening Paragraph 5 under  
6   the summary invention as support.

7   BY MR. LOVSIN:

8                   Q.    And Paragraph 106 of Exhibit 1003,  
9   you are saying each RTSP session would be  
10  understood to be a signaling session; correct?

11                  A.    Yes.

12                  Q.    So which one is it?   Is the RTSP  
13  session in Foti a media session or a signaling  
14  session?

15                  MR. BECKER:   Object to form.

16                  THE WITNESS:   Foti uses the term  
17  "media session" in conjunction with RTSP.   Again,  
18  I am looking at Paragraph 5.   That's just the way  
19  Foti describes the invention there.

20                  More critically, in my declaration  
21  at Paragraph 106 that you pointed to, I am saying  
22  an RTSP session is a signaling session because the  
23  purpose of RTSP session is to allow the UT 20 to  
24  control the media stream using various signaling  
25  messages.

1 So there is the text of Foti that a  
2 person of ordinary skill in the art would be able  
3 to read and understand where Foti describes, for  
4 purposes of convenience, the RTSP session as media  
5 sessions, but through the lens of the claims, one  
6 would -- a person of ordinary skill would  
7 understand that it's a signaling session.

8 BY MR. LOVSIN:

11 MR. BECKER: Object to form.

12 THE WITNESS: Well, I just tried to  
13 carefully describe Foti's use of that term in the  
14 Foti specification, differentiate what Foti -- to  
15 differentiate from the SIP session within Foti.  
16 And so Foti's media sessions are sessions looking  
17 at the claim again, which are sessions -- which  
18 are separate or different from the SIP sessions.

19 BY MR. LOVSIN:

20 Q. What claim are you looking at?

21                           A.    Well, Paragraph 106 is developed in  
22 conjunction with claim element 1D as in "delta."

23 Q. So for purposes of this case, are  
24 you saying the RTSP session within Foti is both a  
25 media session and a signaling session?

1                   A.    Foti calls the RTSP sessions "media  
2 sessions," and they are established for purposes  
3 of controlling media streams. The actual media  
4 streams in Foti, by the way, are found at the  
5 bottom of Figure 4. The big fat line that says  
6 "90" is an RTP session. That's the actual  
7 transmission of media information.

8                   So everything above there -- the  
9 SIP session, the RTSP sessions -- are signaling  
10 sessions. For convenience Foti calls the RTSP  
11 "media sessions," and to distinguish them from the  
12 SIP sessions that create the RTSP sessions.

13                  Q.    What's an RTP session?

14                  A.    Realtime protocol is a -- another  
15 IETF standard for transmitting realtime data  
16 streams.

17                  Q.    And so an RTP session is different  
18 than an RTSP session; correct?

19                  A.    Correct.

20                  Q.    Are you saying Foti  
21 mischaracterizes an RTSP session when he calls it  
22 a "media session" in the Foti reference?

23                  MR. BECKER: Object to form.

24                  THE WITNESS: No, not at all.

25                  ///

1 BY MR. LOVSIN:

2 Q. So just to confirm, you are saying  
3 the RTSP session in Foti is both a media session  
4 and a signaling session?

5 A. Asked and answered. I said Foti  
6 uses the term "media session" to describe the RTSP  
7 sessions. That's the term used in Foti. He is  
8 welcome to call it whatever he wants. That's what  
9 he calls it. He can call it whatever he wants to,  
10 but it doesn't change the fact that it is a  
11 signaling session for controlling media streams.

12 Q. Okay. So your testimony is that an  
13 RTSP session is not a media session, from your  
14 perspective?

15 MR. BECKER: Object to form.

16 THE WITNESS: No. I disagree with  
17 that statement. I said -- I said Foti calls the  
18 RTSP session a "media session." That doesn't mean  
19 it's not a signaling session because one of  
20 ordinary skill in the art would understand that an  
21 RTSP session is a signaling session.

22 I further explain that if there is  
23 any confusion between media session and the actual  
24 data transfer session, that Foti eliminates that  
25 confusion, if you look at Figure 4, where he shows

1 an RTP session that actually transfers media.

2 BY MR. LOVSIN:

3 Q. And just so I am clear, you are not  
4 saying that the RTSP session is both a media  
5 session and a signaling session.

6 MR. BECKER: Object to form.

7 THE WITNESS: I am saying that Foti  
8 calls the RTSP sessions "media sessions" to  
9 differentiate them from the SIP.

10 For example, you can look at the  
11 abstract, and Foti says:

12 "A user terminal uses a  
13 session initiation protocol, SIP,  
14 to establish a realtime streaming  
15 media, RTSP, media session with a  
16 content server. An application  
17 server which establishes the RTSP  
18 media session links the RTSP  
19 media session to the  
20 corresponding SIP session."

21 One of ordinary skill in the art  
22 reading that and looking at the title picture,  
23 Figure 4, would understand that all the sessions  
24 are shown -- are signaling sessions. There's SIP  
25 sessions and there's RTFP sessions established as



1       signaling sessions. And the bar at the bottom,  
2       the fat one, 90, would be the actual media  
3       transfer session under RTP.

4       BY MR. LOVSIN:

5                   Q.     Staying on Foti, please turn to  
6       Figure 4. Figure 4 of Foti only shows a single  
7       RTSP session; correct?

8                   MR. BECKER: Object to form.

9                   THE WITNESS: Agreed.

10      BY MR. LOVSIN:

11                  Q.     How many SIP invite messages does  
12       Foti show in Figure 4?

13                  A.     In the exemplary discussion of  
14       Figure 4, Foti shows the opening of a SIP  
15       invite -- shows the opening of a SIP session by  
16       starting the invite command as shown in line 64,  
17       and a subsequent dialogue establishes an RTSP  
18       session in conjunction with the invite.

19                  Q.     So Figure 4 shows -- of Foti shows  
20       one SIP invite message; correct?

21                  A.     Yes.

22                  Q.     And Foti describes one SIP invite  
23       message in connection with Figure 4; correct?

24                  MR. BECKER: Object to form.

25                  THE WITNESS: Yes.

1 BY MR. LOVSIN:

2 Q. Turning to Paragraph 68 of your  
3 declaration, Exhibit 1003, do you see that?

4 A. Yes.

5 Q. Do you see the statement in  
6 Paragraph 68 of Exhibit 1003:

7 "Returning to Figure 4, after  
8 completing RTSP signaling session  
9 setup (70 and 72), AS 40 sends a  
10 200 OK message to UT 20 (74),  
11 which contains the information  
12 'AS 40 used to link the RTSP  
13 session and the SIP session'?"

14 A. I see the statement.

15 Q. Foti is referring to a single RTSP  
16 session in Lines 70, 72, and 74; correct?

17 A. Right. He described how the SIP  
18 invite results in an RTSP session establishment.

19 Q. Foti is referring to a single SIP  
20 session in Lines 70, 72, and 74; correct?

21 A. Yes. But, you know, I have cited  
22 from Foti in the previous paragraph, 66, directly  
23 from Foti, the discussion on Figure 3 which  
24 includes the disclosure in Foti that more than one  
25 RTSP session ID may be linked from the same SIP

1 session. In other words, more than one RTSP  
2 session can be established just as you see in  
3 Lines 70, 72, and 74. And Figure 3 is an example  
4 of the result of that where two RTSP sessions are  
5 linked to the -- to the -- to one SIP session.

6 Q. Paragraph 28 doesn't say anything  
7 about RTP sessions; correct?

8 A. Paragraph 28 of Foti?

9 Q. Yes.

10 A. Paragraph 28 is about Figure 3,  
11 which shows RTSP sessions and SIP sessions, and  
12 your question was about RTP sessions. There is  
13 nothing in Figure 3 about that. But in  
14 Paragraph 28, Foti further discloses:

15 "While not explicitly shown  
16 in this figure, Table 52 might  
17 also store other information  
18 including but not limited to the  
19 user ID and the URL associated  
20 with the RTSP media session."

21 But RTP is not specifically called  
22 out in Paragraph 28.

23 Q. So back to Lines 70, 72, and 74 of  
24 Foti, Figure 4 and the corresponding description,  
25 those lines and corresponding description do not

1 refer to Figure 3 or Paragraph 28; correct?

2 MR. BECKER: Object to form.

3 THE WITNESS: Well, you haven't  
4 gotten to Figure 4 yet. But when you read  
5 Paragraph 28, which is about Figure 3, for  
6 whatever reason, the disclosure talks about  
7 functions of the lot diagram shown in Figure 1 and  
8 then informs you about Figure 3, which shows more  
9 than one RTSP session linked to a given SIP  
10 session. And then Figure 4 is described beginning  
11 in Paragraph 30.

12 So we haven't gotten to Lines 70,  
13 72, and 74 yet in the discussion -- limited  
14 discussion about Figure 3, which takes place at  
15 28, Paragraph 28.

16 BY MR. LOVSIN:

17 Q. So you would agree that Foti's  
18 description of Lines 70, 72, and 74 in Figure 4 do  
19 not refer to Figure 3 or Paragraph 28?

20 MR. BECKER: Objection to form.

21 THE WITNESS: No, I would disagree  
22 with that, because of the disclosure that more  
23 than one RTSP session can be linked to the SIP  
24 session. Foti only describes one way of  
25 generating -- of establishing an RTSP session, and

1 that's in Figure 4.

2 So it's notoriously obvious to one  
3 of ordinary skill in the art that to end up with  
4 the linkage shown in Figure 3, one would have to  
5 duplicate Lines 70, 72, and 74 for a subsequent  
6 RTSP session.

7 BY MR. LOVSIN:

8 Q. What does "notoriously obvious"  
9 mean?

10 A. Well, it's -- it's not only  
11 obvious; it's really, really obvious.

12 Q. In Figure 4 of Foti, only one RTSP  
13 session ID is linked to one SIP session ID;  
14 correct?

15 MR. BECKER: Object to form.

16 THE WITNESS: Actually, there is no  
17 linkage shown in Figure 4. The linkage is  
18 described in conjunction with Figure 3 where  
19 there's two RTSP sessions linked to a single SIP  
20 session.

21 BY MR. LOVSIN:

22 Q. So just to confirm, Figure 4 shows  
23 one RTSP session and one SIP session; correct?

24 A. Yeah. We have already agreed that  
25 that's what Figure 4 shows.

1 Q. Let's turn to Figure 7.

2 A. Okay.

3 Q. In Figure 7 of Foti, only one RTSP  
4 session is shown; correct?

5 A. I didn't use Figure 7 in my  
6 declaration, so I am going to have to read about  
7 it. This is the revised picture.

8 Yeah, Foti is reestablishing  
9 SIP's -- Foti is keeping an RTSP session going or  
10 operative. So it's a flow diagram associated with  
11 what he describes or she describes as a revive  
12 feature by issuing another SIP invite command.

13 And you will see it's Line 110, the  
14 RTSP resume process takes place and, once again,  
15 the user terminal is connected to the MCS for  
16 purposes of RTSP session command and control.

17 Q. So in Figure 7 of Foti, only one  
18 RTSP session is shown; correct?

19 A. And just like Figure 4, only one is  
20 shown, and Figure 7 one is shown, but as I  
21 testified in my declaration, it would be obvious  
22 that if you were using the revive feature, you  
23 would do it to revive both sessions shown in  
24 Figure 3.

25 Q. In Figure 7 of Foti, only one SIP

1 session is shown; correct?

2 A. Yes. There was only one SIP  
3 session, presumably, that terminated from  
4 Figure 4. Figure 7 is the follow-on revive  
5 process associated with maintaining the RTSP  
6 sessions. So you only need one session, one SIP  
7 session.

8 Q. If you could look at Paper 8,  
9 Page 24. Do you see that?

10 MR. BECKER: Do you have a tab,  
11 Mr. Lovsin?

12 MR. LOVSIN: "I."

13 MR. BECKER: Thank you.

14 BY MR. LOVSIN:

15 Q. I'm sorry. The folder with the  
16 letter I.

17 A. So I don't seem to find a folder  
18 with "I."

19 Q. I believe we talked about -- I'm  
20 sorry. I believe we talked about the Board's  
21 institution decision earlier this morning,  
22 Paper 8.

23 A. Oh, got it.

24 Q. So if you could turn to Page 24.

25 A. Okay. I am there.

1 Q. Do you see the statement:

2 "The bulk of Foti considers  
3 the linking of one RTSP ID with  
4 one SIP ID"?

5 A. Yes, I see that.

6 Q. (Reading:)

7 "In its institution decision,  
8 the Board found that the bulk of  
9 Foti considers the linking of one  
10 RTSP ID with one SIP ID."

11 Do you agree with that finding?

12 A. I agree that the bulk -- the bulk  
13 of the words surrounding -- well, Figure 4 and 7,  
14 for example, that we have been talking about  
15 establish a single SIP session and a single RTSP  
16 session. But one can't deny that Figure 3 shows  
17 that a second -- at least a second RTSP session is  
18 established for the SIP session.

19 The Board also said, right after  
20 the quote you read, that:

21 "We agree with the petitioner  
22 that the linking of the multiple  
23 RTSP IDs with a single SP ID via  
24 the exchange correlation  
25 information would allow for

1 facilitation of management of the  
2 two or more RTSP sessions."

3 And the two or more RTSP sessions I  
4 associate with Figure 3, which shows two RTSP  
5 sessions. So they are simply acknowledging what  
6 you have been asking me about Figures 4 and 7,  
7 that only one RTSP session is shown there. But if  
8 you read Foti in its entirety, a person of  
9 ordinary skill in the art would understand that  
10 Figure 3 can only happen if another round of Lines  
11 70, 72, and 74 take place in order to populate  
12 that table with a second RTSP system -- RTSP  
13 session. It's not rocket science.

14 Q. What's your basis for the statement  
15 "Figure 3 can only happen if another round of  
16 Lines 70, 72, 74 take place in order to populate  
17 that table with a second RTSP session"?

18 A. Because Foti doesn't teach another  
19 way of establishing -- or a different way of  
20 establishing an RTSP session other than in  
21 Figure 4. And the fact that there are a plurality  
22 of them associated with SIP 2 in Figure 3, a  
23 person of ordinary skill in the art would look  
24 again at Figure 4 to understand where the second  
25 RPS -- RTSP session came from.

I would also add that as a core layer to that answer is that in Column 1 of Page 1 of Foti, one understands the background of this to be IPTV systems, IP multiple media subsystem or architectures. And Foti talks about IPTV -- I am looking at Paragraph 3 now -- it was provided to residential subscribers in conjunction with video on-demand and may be bundled with other Internet services such as web access, voice over IP, and Google Voice services.

11 In other words, if bringing in the  
12 factual background for an application where you  
13 have, indeed, a plurality of media streams that  
14 may become part of a session with a person of, you  
15 know -- absorbing a video on-demand or a  
16 television program with secondary camera angles  
17 and those kinds of things.

18 Q. Where does Foti say all that?

19 A. First column of Page 1.

Q. Is it fair to say -- I'm sorry?

21                           A.     Yeah, the background principally is  
22 where I was looking.

23 Q. Is it fair to say Foti does not  
24 explain how more than one RTSP session ID might be  
25 linked to the same SIP session ID?

1 MR. BECKER: Object to form.

2 THE WITNESS: I disagree with that  
3 statement because just scanning over Paragraphs 27  
4 and 28 that establishes the linkage for a single  
5 RTSP session to a SIP session, and so exactly the  
6 same technique would be used to link a second RTSP  
7 session to the SIP session as illustrated in  
8 Figure 3.

9 BY MR. LOVSIN:

10 Q. Foti doesn't say exactly the same  
11 technique would be used for the second RTSP  
12 session; correct?

13 MR. BECKER: Object to form.

14 THE WITNESS: Right in the middle  
15 of Paragraph 23, it says:

16 "After describing the linkage  
17 in Paragraph 27 and 28, it says  
18 more than one RTSP session ID may  
19 be linked to the same SIP  
20 session. For example, the media  
21 sessions identified by RTSP 2 and  
22 RTSP 4 are both linked to the  
23 signaling session identified by  
24 SIP 2."

25 So it does disclose that.

1 BY MR. LOVSIN:

2 Q. I would like to go back to RTSP.

3 Is RTSP a media protocol?

4 MR. BECKER: Object to form.

5 THE WITNESS: I don't know what you  
6 mean -- what you are asking me about media  
7 protocol.

8 BY MR. LOVSIN:

9 Q. What does the term "media protocol"  
10 mean to you?

11 A. Absent context, nothing.

12 Q. So if you look at the folder with  
13 the letter N.

14 A. This is the challenged patent, '669  
15 patent?

16 Q. Yes.

17 In folder letter N, do you see  
18 Exhibit 1001?

19 A. I do.

20 Q. And you mentioned you see the '669  
21 number in the top right of Exhibit 1001?

22 A. Yes.

23 (Exhibit No. 1001 was previously  
24 marked for identification.)

25 //

1 BY MR. LOVSIN:

2 Q. And if I refer to Exhibit 1001 as  
3 "the '669 patent," you will understand that I am  
4 referring to Exhibit 1001?

5 A. Sure.

6 Q. Claim 1 of the '669 patent recites  
7 a composition session identifier; correct?

8 A. Yes.

9 Q. Can you point to anything in Foti  
10 that describes a composition session identifier?

11 A. So I am looking at my declaration  
12 now, Paragraph 90, which is directed to Claim 1,  
13 Element 1A, which is providing a composition  
14 session identifier for associating sessions in a  
15 network. So Foti's S-I-P or SIP session is the  
16 claimed composition session.

17 Q. My question was: Can you point to  
18 anything in Foti that describes a composition  
19 session identifier?

20 A. So the claimed composition session  
21 identifier is the identifier of the composition  
22 session. And Claim 90 opens -- again, focused on  
23 Foti, it says:

24 "Foti alone, or in light of  
25 RFC 3261, discloses that UT 20

1 provides a composition session  
2 identifier for associating RTSP  
3 sessions in the network."

4 And they go on to say:

5 "More specifically, UT 20  
6 generates a 'composition session  
7 identifier'..."

8 Which is the SIP session ID, which  
9 I further elaborate on in the next couple of  
10 paragraphs.

11 So the term "composition session"  
12 is not a term of art. It's a term that's used in  
13 the '669 patent. But one could understand in  
14 reading Foti that that's nothing more than a SIP  
15 session with a SIP ID.

16 Q. Please turn to Paragraph 95 in  
17 Exhibit 1003. Do you see that?

18 A. Yes.

19 Q. In Paragraph 9 (sic) of  
20 Exhibit 1003, you identify the SIP invite message  
21 from UC 20 to AS 40 in Figure 4, Line 64 of Foti,  
22 as an exchange of the SIP session ID; correct?

23 A. I think there is a typo in your  
24 question. You asked me of Paragraph 9. I think  
25 you meant 95?

1                   Q.     Yes.    In Paragraph 95 of  
2     Exhibit 1003, you identify the SIP invite message  
3     from UT 20 to AS 40 in Figure 4, Line 64 of Foti,  
4     as an exchange of the SIP session ID; correct?

5                   A.     Yes.

6                   Q.     And in Paragraph 97 of  
7     Exhibit 1003, you identify the 200 OK message from  
8     AS 40 to UT 20 in Figure 4 at Line 74 of Foti as  
9     an exchange of the SIP session ID; correct?

10                  A.     Yes.

11                  Q.     Does Foti describe AS 40 sending  
12    another message with the SIP session ID to UT 20?

13                  MR. BECKER:   Object to form.

14                  THE WITNESS:   Are we still looking  
15    at Paragraph 97?

16    BY MR. LOVSIN:

17                  Q.     Does Paragraph 97 of Foti describe  
18    the AS 40 sending another message with the SIP  
19    session ID to UT 20?

20                  MR. BECKER:   I am confused.   I  
21    thought we were talking about the Paragraph 97 in  
22    the declaration.   Are we looking -- are we  
23    supposed to be looking at Foti?

24                  MR. LOVSIN:   Yeah.   I don't think  
25    there's 97 in Foti.

1 MR. BECKER: Yeah, sorry. Okay.

2 BY MR. LOVSIN:

3 Q. Yeah. Mr. Wechselberger, does Foti  
4 anywhere describe AS 40 sending another message  
5 with the SIP session ID to UT 20?

6 MR. BECKER: Object to form.

7 THE WITNESS: This is similar to  
8 the conversation we had earlier about there being  
9 two RTSP sessions established under the teachings  
10 of Foti in order to result in what's shown in  
11 Figure 3. And the corollary to the two RTSP  
12 session generations that we talked about earlier  
13 would be corresponding 200 OK RTSP session IDs  
14 returned to the UT.

15 So in the same way, the obviousness  
16 of that sticking place, using the teachings of  
17 Foti in conjunction with Figure 4, would result in  
18 a -- eventually a second exchange, a second  
19 message for the 200 OK established -- RTSP  
20 establishment for the second RTSP session as shown  
21 in Figure 3.

22 BY MR. LOVSIN:

23 Q. Foti does not describe AS 40  
24 sending another message to SIP session ID to  
25 UT 20; correct?

1 MR. BECKER: Object to form.

2 THE WITNESS: Foti doesn't have to  
3 explicitly disclose that in order for a person of  
4 ordinary skill to understand that it took place,  
5 or else Figure 3 wouldn't be -- couldn't be what  
6 it is.

7 BY MR. LOVSIN:

8 Q. So Foti does not explicitly  
9 disclose AS 40 sending another message with the  
10 SIP session ID to UT 20; correct?

11 A. Yeah. In my declaration, I didn't  
12 say that he did explicitly. I said it was obvious  
13 that that would take place.

14 I covered this in my declaration in  
15 Paragraph 101 -- no, I'm sorry -- Paragraph 100 as  
16 stated by Foti:

17 "More than one RTSP session  
18 ID may be linked to the same  
19 session ID and SIP session ID."

20 Between Paragraphs -- just let me  
21 punctuate that by saying between Paragraphs 97 and  
22 100, I provide the testimony and disclosures in  
23 Foti that would substantiate that there is more  
24 than one message sent back to UT from AS 40.

25 Q. So other than Paragraph 28, what

1 are the disclosures in Foti that would  
2 substantiate there is more than one message sent  
3 back to UT from AS 40?

4 A. I think the real explanation point  
5 or mark associated with the question is Figure 3  
6 and the disclosure that more than one RTSP session  
7 ID may be linked to the same SIP session.

8 Figure 3 breathes life into that disclosure in  
9 figure -- in Paragraph 38 -- I'm sorry -- 28.

10 Q. Figure 3 doesn't say how more than  
11 one RTSP session ID may be linked to the same SIP  
12 session ID; correct?

13 MR. BECKER: Object to form.

14 THE WITNESS: We already talked  
15 about -- you already asked me that question, and I  
16 responded earlier that Paragraphs Foti --  
17 Paragraphs 27 and 28 in conjunction with  
18 Figure 3 -- well, it's actually the collective  
19 teachings of Paragraphs 25 through 28 which  
20 cover -- which leads you into Figure 4. And so  
21 Figure 4 then talks about the overall flow which  
22 establishes the RTSP session.

23 So one would read those  
24 collectively and understand how more than one RTSP  
25 session would be linked to the same SIP session.



1 BY MR. LOVSIN:

2 Q. Mr. Wechselberger, are you using  
3 realtime for this deposition?

4 A. Yeah. I got it working during the  
5 break.

6 Q. So in the first part of the  
7 deposition up to the break, you were not using  
8 realtime, and since the break, you have been using  
9 realtime?

10 A. Yes. It's the second monitor on my  
11 left which you see me looking at it.

12 MR. BECKER: Yeah. Sorry about --  
13 Mr. Lovsin, sorry about that. He did announce it,  
14 but I think you had not quite joined when he said  
15 that on the last break.

16 MR. LOVSIN: Fair enough.

17 BY MR. LOVSIN:

18 Q. In Foti, revival of an RTSP session  
19 requires a new SIP invite message; correct?

20 A. Yes.

21 Q. And in Foti, the new SIP invite  
22 message will have a new SIP session ID; correct?

23 A. In Figures 6A and 6B, Foti shows  
24 that, but I don't -- I would not agree that it  
25 requires a new session ID.

1                   Q.     Does Foti ever say the new SIP  
2     invite used in connection with revival has the  
3     same SIP session ID as the initial SIP session?

4                   A.     In the exemplary disclosures, Foti  
5     uses a different SIP session number on the -- on  
6     the invite, but although he doesn't -- doesn't  
7     disclose -- he doesn't anywhere disclose that it  
8     must be a new number, and I can think of no reason  
9     why it would need to be.

10                  Q.     But Foti doesn't tell us how more  
11    than one RTSP session ID may be linked to one SIP  
12    session ID, but you can figure that out?

13                  MR. BECKER: Object to form,  
14    argumentative.

15                  THE WITNESS: Oh, I think he does  
16    tell us how. I mean one of ordinary skill would  
17    understand that it's the same process that  
18    generates the first RTSP session, and the fact  
19    that that happened is again shown in Figure 3.

20    BY MR. LOVSIN:

21                  Q.     And so just to confirm, in Foti,  
22    the new SIP invite message in connection with  
23    revival has a new SIP session ID; correct?

24                  A.     In the example discussion in  
25    Paragraph 38 in conjunction with Figure 6A and 6B,

1 a new SIP ID is, indeed, generated, but as I said,  
2 I can think of no logical or technical reason why  
3 it would need to be a new one. I can think of  
4 reasons why you would not need a new one. For  
5 example, Figure 3 already exists as is. This  
6 example forces you to change one of the numbers.

7 But, you know, the spec teaches  
8 what it teaches. It doesn't teach away from using  
9 the same number.

10 Q. What does "teach away" mean?

11 A. Give you a reason for thinking  
12 that, for example, it should not be the same  
13 number. I don't see that here.

14 Q. And so you just said, you know,  
15 "the spec teaches what it teaches"; right?

16 A. Uh-huh, yes.

17 Q. And does that mean in Foti the new  
18 SIP invite message in the revival has a new SIP  
19 session ID?

20 MR. BECKER: Objection.

21 THE WITNESS: In the -- in the  
22 example shown, it does. But as I say, if it  
23 said -- if it said you must use a new ID, that  
24 would be teaching away, but it doesn't say that.

25 ///

1 BY MR. LOVSIN:

2 Q. In Line 64 of Figure 4 of Foti, the  
3 RTSP session ID has not yet been assigned;  
4 correct?

5 A. Agreed.

6 Q. The RTSP session ID is not assigned  
7 until Line 68 of Figure 4 of Foti; correct?

8 MR. BECKER: Object to form.

9 THE WITNESS: Yes. At  
10 Paragraph 31, that's explained that the 200 OK  
11 message, which is Line 68 that you asked about,  
12 and then in the session description protocol SDP  
13 message that contains information relevant to the  
14 RTSP session being established, such information  
15 includes but is not limited to the RTSP session  
16 ID.

17 BY MR. LOVSIN:

18 Q. Let's talk more about RTSP  
19 messages. Foti does not disclose that UT 20 sends  
20 any RTSP messages that include the SIP session ID  
21 to the AS 40; correct?

22 (Reporter interruption.)

23 THE WITNESS: I would agree with  
24 that question, that the UT does not disclose it  
25 sends any RTSP messages that include the SIP

1 session ID; however, the converse is disclosed as  
2 part of the revival process. Messages do go from  
3 the UT 20 to the AS 40 in the establishment of the  
4 revival SIP session which contain RTSP session  
5 IDs. But even as to the -- you asked me that.  
6 You asked me if Foti disclosed the UT sending an  
7 RTSP message that include the session ID to AS 40.

8 I think that is correct. It does  
9 not disclose that.

10 Q. Foti does not disclose that UT 20  
11 sends an RTSP session initiation request that  
12 includes the RTSP session ID to AS 40; correct?

13 A. In Foti, the RTSP sessions are  
14 initiated via SIP invite commands.

15 Q. So you would agree that Foti does  
16 not disclose that UT 20 sends an RTSP session  
17 initiation request that includes the RTSP session  
18 ID to AS 40?

19 A. Well, it isn't needed. I am  
20 looking at Paragraph 39 in Foti where it says the  
21 UT 20 issues a new SIP invite message to the AS 40  
22 which includes the RTSP session ID previously  
23 stored in memory, rather than send the RTSP  
24 described in setup messages to the MCS 30.  
25 However, the AS 40 uses the RTSP session ID

1 receipt from the UT as an index and updates the  
2 correlation information stored in memory.

3 So the -- sort of the novelty that  
4 I am seeing in Foti in this revival process is  
5 that although it doesn't explicitly say it, it  
6 doesn't -- it appears that the RTSP sessions were  
7 not formerly terminated, so you don't need an  
8 initiation request as you had in your question.

9 Rather, Foti teaches that rather  
10 than send RTSP described in setup messages, the  
11 AS 40 uses the RTSP session ID receipt from UT as  
12 an index, which is shown in Figure 7, where the UT  
13 at Line 110 issues the RTSP resume message, which  
14 is turned up by info play message at the AS 40,  
15 and the subsequent 200 OK messages reestablish the  
16 status quo that happened before the first SIP  
17 session went down.

18 (Reporter interruption.)

19 THE WITNESS: "Formerly," as in the  
20 past.

21 BY MR. LOVSIN:

22 Q. What's your basis for talking about  
23 the novelty of the Foti revival process?

24 A. Because Foti tells us in  
25 Paragraph 37 that conventional methods of

1 streaming media that do not link the RTSP session  
2 to the SIP session that created it, the AS 40  
3 would reject subsequent RTSP commands from the UT  
4 as invalid, and the AS 40 would then have to tear  
5 down the existing media -- RTSP media sessions and  
6 create new ones. The linking performed by the  
7 present invention, however, avoids the need to  
8 tear down.

14 Q. And is that the standard we should  
15 use, when we're interpreting Foti, to take him at  
16 his word?

17 MR. BECKER: Object to form.

18 THE WITNESS: That's a -- that's a  
19 very broad statement. I don't know how to answer  
20 that. A person of ordinary skill in the art would  
21 read Foti for what it is and what it teaches and  
22 suggests, and that's what I did in my declaration.

23 BY MR. LOVSTIN:

24 Q. Didn't you just use the phrase "I  
25 am going to take him at his word"?

1 MR. BECKER: Object to form.

2 THE WITNESS: In the context of  
3 what I was citing to. You asked me what I thought  
4 was novel, and I quoted you from the spec. Foti  
5 says the invention is above and -- does -- has  
6 features above conventional methods.

7 MR. LOVSIN: I think -- has it been  
8 another 90 minutes?

9 MR. BECKER: I think we are close  
10 to that. I think we started at 1:10 maybe.

11 MR. LOVSIN: I am ready to pivot,  
12 so I'd like to take a break if that -- but I can  
13 keep going if that's what we want to do.

14 MR. BECKER: I would -- I am glad  
15 to.

16 Is that okay, Mr. Wechselberger?

17 THE WITNESS: Yeah. Good by me.

18 MR. LOVSIN: Okay. And it can be  
19 five minutes, or if we want to do ten minutes,  
20 I'm -- we'll go off the record.

21 (Whereupon, a recess was held from  
22 12:28 p.m. to 12:40 p.m.)

23 BY MR. LOVSIN:

24 Q. Mr. Wechselberger, did you talk  
25 with Mr. Becker during the break?

1 A. No.

2 Q. So you didn't discuss your  
3 testimony with Mr. Becker during the break?

4 A. I didn't. No, I did not. I did  
5 not discuss my testimony at all with anybody  
6 during the break.

7 Q. Back on Foti.

8 You look at Figure 4; do you see  
9 that?

10 A. Yes.

11 Q. You cited the SIP reinvite message  
12 in your declaration, Exhibit 1003; correct?

13 A. I know I did at one point in  
14 conjunction with the particular claim element.

15 Q. My questions are about Foti. Let's  
16 look at Line 62 of Figure 4 of Foti.

17 A. Okay.

18 MR. BECKER: Just to be clear, are  
19 you withdrawing the prior question?

20 MR. LOVSIN: No. I think he  
21 answered it.

22 MR. BECKER: Okay. Sorry. I  
23 thought he was still --

24 Were you done answering,  
25 Mr. Wechselberger? I thought you were going to

1 say something else.

2 THE WITNESS: Well, he asked me if  
3 I cited to the reinvite message, and I know that I  
4 did at one point, and I was trying to find it.  
5 But if that's all the question was, that's all I  
6 had to say.

7 MR. BECKER: Sorry for the  
8 interruption. I just thought he had something  
9 more to say.

10 MR. LOVSIN: Yeah, no problem. I  
11 am not trying to cut anybody off here.

12 BY MR. LOVSIN:

13 Q. Let's look at Line 62 of Figure 4  
14 of Foti. Do you see that, Mr. Wechselberger?

15 A. Yes.

16 Q. Does Foti mention a SIP reinvite  
17 message in connection with Line 62 of Figure 4?

18 A. No. I don't think -- I don't think  
19 Foti discusses the reinvite, the SIP reinvite  
20 command in conjunction with Line 62. I'm not sure  
21 that he mentions it in conjunction with anything.

22 Q. So Foti does not disclose the SIP  
23 reinvite message anywhere in the reference;  
24 correct?

25 A. I don't recall seeing the reinvite.

1 I would have to do a word search. It's a standard  
2 SIP message. It doesn't matter if he did or he  
3 didn't. Anybody knowing about SIP would  
4 understand the existence of the message.

5 Q. Sitting here today, you cannot  
6 identify a portion of Foti that discloses a SIP  
7 reinvite message; correct?

8 A. Well, the SIP -- well, Foti may not  
9 explicitly use the term "reinvite."

10 I did cite from the SIP standard,  
11 Exhibits 1007. No, I'm sorry. I am looking at my  
12 declaration now in Paragraph 129. The bottom of  
13 Page 85, it says:

14 "For example, RFC 3261  
15 confirms the ability to modify  
16 the existing SIP session by  
17 sending a new invite request to  
18 add additional media stream."

19 And then I cite from Exhibit 1007,  
20 Page 86. "This section" -- which is a standard:

21 "This section describes how  
22 to modify the actual session.

23 This modification can involve  
24 changing addresses or ports or  
25 adding a media stream, deleting a

1 media stream, and so on. As RSTP  
2 3261 explains, 'This is  
3 accomplished by sending a new  
4 invite request which Foti does  
5 describe within the same dialogue  
6 that established the session  
7 which is known as a reinvite.'"

8 Q. So you would agree with me that  
9 Foti does not disclose a SIP reinvite message  
10 anywhere in the reference?

11 A. Yeah. But what I just cited to  
12 indicates that under some conditions a new invite  
13 is -- is a reinvite.

14 Q. Using a SIP reinvite to add a media  
15 stream to an existing SIP session must not only  
16 include the SDP information but also a full  
17 description of the session; is that correct?

18 A. I'm not sure if you were reading  
19 from my declaration, but there is a citation I  
20 have in Paragraph 129 from RFC 3261 that says:

21 "The same offer-answer model  
22 that applies to session  
23 descriptions and invites, Section  
24 13.2.1, invites to reinvites. As  
25 a result, the UAC that wants to

1                   add a media stream, for example,  
2                   will create a new offer that  
3                   contains this media stream and  
4                   send that in an invite request to  
5                   its peer. It's important to note  
6                   that the full description of the  
7                   session, not just the change, is  
8                   sent."

9                   So that may answer your question.  
10                  That's in my declaration.

11                  Q. So, yeah. Let's get the folder  
12                  with the letter D.

13                  A. Okay. I got it.

14                  Q. Did you see the document in the  
15                  folder with letter D as Exhibit 1007?

16                  A. Yes.

17                  (Exhibit No. 1007 was previously  
18                  marked for identification.)

19                  BY MR. LOVSIN:

20                  Q. And do you see RFC 3261 in the top  
21                  left?

22                  A. I do.

23                  Q. And so if I refer to RFC 3261, you  
24                  will understand that I am referring to  
25                  Exhibit 1007?

1                   A.     Yes.

2                   Q.     So I was asking about the full  
3 description of the session.

4                   And do you see in your declaration  
5 in Paragraph 129 you have quoted from  
6 Exhibit 1007, RFC 3261:

7                   " It is important to note that  
8 a full description of the  
9 session, not just the change, is  
10 sent"?

11                  A.     I don't hear a question.

12                  Q.     Do you see that?

13                  A.     Do I see what I wrote in my  
14 declaration? I do.

15                  Q.     Using a SIP reinvite to add a media  
16 stream to an existing SIP session must not only  
17 include the SDP session but also a full  
18 description of the session; correct?

19                  MR. BECKER: Object to form.

20                  THE WITNESS: Well, I don't see the  
21 mention of SDP in what I wrote. So I don't know  
22 what you are quoting from.

23 BY MR. LOVSIN:

24                  Q.     So using a SIP reinvite to add a  
25 media stream to an existing SIP session must

1 include a full description of the session;  
2 correct?

3 A. That paragraph cites to 3261,  
4 apparently at Section 13.2.1.

5 Q. Mr. Wechselberger, have you  
6 answered my question?

7 A. Well, I am finding the area in the  
8 SIP spec now that I cited to you. Apparently,  
9 Section 13.2.1 is part of it, but I am finding the  
10 meat of the matter in Section 14.1. Section 14  
11 deals with modifying an existing session where it  
12 says there that an invite request sent within an  
13 existing dialogue is known as a reinvite.

14 It talks about UAC behavior there,  
15 and it says:

16 "The same offer-answer model  
17 that applies to the session  
18 descriptions and invites, Section  
19 13.2.1 --"

20 There is where that came from.

21 "-- applies to reinvites. As  
22 a result, a UAC that wants to add  
23 a media stream, for example,  
24 would create a new offer that  
25 contains this media stream, and

1 send that in an invite request.  
2 It is important to note that the  
3 full description of the session,  
4 not just the change, is sent.  
5 This supports stateless session  
6 processing in various elements,  
7 and supports failover and  
8 recovery..."

9 And then it goes on to say:  
10 "Of course a UAC may send a  
11 reinvite with no session  
12 description in which case the  
13 first reliable non-failure  
14 response to the reinvite will  
15 contain the offer."

16 Q. Using a SIP reinvite to add a media  
17 stream to an existing SIP session must include a  
18 full description of the session; correct?

19 MR. BECKER: Object to form.

20 THE WITNESS: Well, in reading  
21 standards, something that must be -- specifically  
22 says "must" or will say "must" or "shall," and  
23 this doesn't say that. It just says "the full  
24 description," not the -- "not just the changes  
25 sent." And then it goes on to say "a UAC may send

1 a reinvite with no session description."

2 So the answer to your question is  
3 no. I am reading from the spec itself.

4 BY MR. LOVSIN:

5 Q. I have this spec in front of me,  
6 and it says on Page 87:

7 "Of course a UAC may send a  
8 reinvite with no session  
9 description in which case the  
10 first reliable non-failure  
11 response to the reinvite will  
12 contain the offer. In this  
13 specification that is a 2XX  
14 response."

15 A. I see the same language, yes.

16 Q. So you quoted:

17 "It is important to note that  
18 the full description of the  
19 session...not just the changes  
20 sent."

21 From Exhibit 1007 in Paragraph 129  
22 of your declaration, Exhibit 1003; correct?

23 A. Yes.

24 Q. And now you are telling me that  
25 it's not important to note that the full

1 description of the session, not just the changes  
2 sent.

3 MR. BECKER: Objection;  
4 mischaracterizes testimony.

5 THE WITNESS: I don't have an  
6 opinion about this. I cited from the spec. You  
7 asked me a specific question which went beyond  
8 what my declaration said. So I went deep into the  
9 spec to find out, apparently, both options are  
10 available.

11 BY MR. LOVSIN:

12 Q. So you don't have an opinion in  
13 this case about whether a SIP reinvite has a full  
14 description of a session?

15 MR. BECKER: Object to form.

16 THE WITNESS: It would seem that  
17 Foti doesn't provide these kinds of details. Foti  
18 is very clear that what the objective is, is to  
19 reestablish a SIP session without having the RTSP  
20 sessions being torn down, so whatever is going on  
21 in terms of the moving parts behind that.

22 A person of ordinary skill in the  
23 art actually implementing what Foti is going to  
24 do, he is going to have to dive into the details  
25 of this spec that you and I are talking about.



1                   It seems reasonable that a full  
2 description would be helpful in reestablishing and  
3 recovering the RTSP commands, but I was simply  
4 answering your question which was pretty black and  
5 white. I found that the spec supports that full  
6 description, and I also see the spec says that  
7 under certain conditions no session description is  
8 there. I don't know what the implications of that  
9 are. I haven't thought about it.

10 BY MR. LOVSIN:

11                   Q. So in the context of Foti, I think  
12 your answer just said it seems reasonable that a  
13 full description would be helpful in  
14 reestablishing and recovering the RTSP commands;  
15 is that correct?

16                   A. I would agree that it seems  
17 reasonable but, again, the question dives below  
18 levels of detail that Foti doesn't disclose to us.

19                   Q. Right. Foti doesn't describe a SIP  
20 reinvite message.

21                   MR. BECKER: Objection.

22 BY MR. LOVSIN:

23                   Q. Is that correct?

24                   A. Well, RFC 3261, as cited in my  
25 Paragraph 129, explains this is accomplished by

1 sending a new invite request, which Foti does  
2 describe, and established -- within the same  
3 dialogue that established the session which is  
4 known as a reinvite.

5 I mean, maybe you are suggesting  
6 that Foti is broken? I don't see it.

7 Q. But you are telling me, sitting  
8 here, you don't have an opinion on the contents of  
9 the SIP reinvite message in the context of Foti?

10 MR. BECKER: Objection to form.

11 THE WITNESS: Well, I know on Foti  
12 that -- looking at Paragraph 39, that the new SIP  
13 invite message to the AS 40 must include the RTSP  
14 session ID previously stored. That much has got  
15 to be there.

16 BY MR. LOVSIN:

17 Q. In Figure 4 of Foti, the SIP invite  
18 message in Line 64 of Figure 4 includes a URL;  
19 correct?

20 A. Reading from Foti Paragraph 30 at  
21 the bottom of Column, 2 right-hand side:

22 "The SIP invite message may  
23 include, inter alia, information  
24 that identifies a user, a SIP  
25 session ID, and a uniform

1 resource locator (URL) that  
2 identifies particular MCS and/or  
3 media program being requested by  
4 the user."

It says it "may include" a URL.

6 Q. Okay. In Figure 4 of Foti, the SIP  
7 invite message in Line 64 of Figure 4 may include  
8 a URL. That's right?

9 A. Yes.

10 Q. In Figure 4 of Foti, the session  
11 description is not known by the UT 20 when it  
12 sends the SIP invite; correct?

13 MR. BECKER: Object to form.

14 THE WITNESS: Foti is silent on  
15 that.

16 (Reporter interruption.)

17 THE WITNESS: Foti is silent on  
18 that.

19 BY MR. LOVSIN:

20 Q. Just to confirm, in Figure 4 of  
21 Foti, the session description is not known by the  
22 UT when it sends the SIP invite; right?

23 MR. BECKER: Objection.

24 THE WITNESS: What do you mean by  
25 "session description"?

1 BY MR. LOVSIN:

2 Q. The full session -- the full  
3 description of the session that's in Exhibit 1007,  
4 Page 86.

5 A. In which context are you asking the  
6 question, the reinvite or the original art of  
7 everything?

8 Q. Foti doesn't disclose a SIP  
9 reinvite message; correct?

10 MR. BECKER: Object to form.

11 THE WITNESS: Foti does not use the  
12 word "reinvite." What we are told under the spec  
13 RFC 3261, that on Page 86 of Exhibit 1007, this  
14 section describes how to modify the actual  
15 session:

16 "This modification can  
17 involve changing the address or  
18 ports and adding a media stream  
19 and so on. This is accomplished  
20 by sending a new invite within  
21 the same dialogue that  
22 established the session which is  
23 known as a reinvite."

24 So whether Foti calls it that or  
25 not, the spec, in adding the stream, considers it

1 a reinvite.

2 BY MR. LOVSIN:

3 Q. And RFC 3261 says it's important to  
4 note that the full description of the session, not  
5 just the changes sent. Right?

6 A. Yes, as we discussed, it says that.

7 It goes on to provide an exception that the user  
8 agent, UAC, may send a reinvite with no session  
9 description.

10 Q. So let's have a hypothetical where,  
11 you know, it seems you are applying a SIP reinvite  
12 to the Figure 4 call flow of Foti. So at Line 64  
13 of Foti, in this hypothetical where a SIP reinvite  
14 is sent at Line 64, the session description is not  
15 known by the UT 20 when it sends the SIP reinvite;  
16 correct?

17 MR. BECKER: Object to form.

18 THE WITNESS: Figure 4 isn't  
19 described in terms of the session modifications.  
20 Excuse me. Foti uses Figure 7 for that.

21 BY MR. LOVSIN:

22 Q. Okay. In Line 104 of Figure 7 of  
23 Foti, the session description is not known by the  
24 UT 20 when it sends the SIP invite; correct?

25 MR. BECKER: Objection to form.

1 THE WITNESS: Well, certainly a  
2 description Foti discloses in Paragraph 39, that  
3 the UT 20 issues a new SIP invite message to the  
4 AS 40 Line 104 which includes the RTSP session ID  
5 previously stored in memory when the RTSP media  
6 session was initially established. It was that  
7 much of a description, for sure.

8 BY MR. LOVSIN:

9 Q. Is the RTSP session ID the full  
10 description of the session that's referred to in  
11 RFC 3261 at Page 86?

12 MR. BECKER: Object to form.

20 BY MR. LOVSIN:

21 Q. What's your basis for that  
22 "somewhere in between that" statement?

23                           A.    Well, it -- it's sending an RTSP  
24 session ID. That's more than no session  
25 description.

1                   Q.    In Foti, the session description is  
2    information returned by the MCS 30 in response to  
3    the receipt of the RTSP determine message from AS  
4    40; correct?

5                   A.    Where are you reading from?

6                   Q.    So I am reading from Foti.

7                   Let me ask a different question.

8                   The session description is  
9    information returned by the MCS 30 in response to  
10   the receipt of a RTSP describe message from AS 40?

11                  A.    There's two places where Foti talks  
12    about the describe message, at least in -- the  
13    first line appears to be in latter parts of  
14    Paragraph 30 at the top of Column 3 in the initial  
15    data flow associated with Figure 4.

16                  The invite message may include,  
17    among other things, information that identifies  
18    the user, the SIP session ID, uniform resource  
19    locator that identifies the particular MCS in our  
20    media program. The AS 40 may extract this  
21    information for storage, and generates and sends  
22    an RTSP describe command with the identified MCS  
23    30. In response, the MCS 30 returns a  
24    confirmation message, 200 OK message. And this is  
25    associated with Figure 4. And then -- which is

1 not the revive -- or reinvite process. That is  
2 the second occurrence of the describe command or  
3 message.

4 In Figure 7, UT 20 issues a new SIP  
5 invite message to the AS 40 in Line 104, which  
6 includes the RTSP session ID previously stored in  
7 memory when the RTSP media session was initially  
8 established, but rather than send the RTSP  
9 describe and setup messages to the MCS 30,  
10 however, the AS 40 uses the RTSP session ID  
11 receipt from the UT as an index and thus states  
12 the correlation information stored in memory.

13 So I'm not sure which one of those  
14 you are asking about in terms of the use of the  
15 describe message.

16 Q. So Line 114 in Figure 7 of Foti,  
17 what's the contents of that 200 OK message?

18 MR. BECKER: Object to form.

19 THE WITNESS: I assume it's -- I  
20 was just reading from the spec, and it doesn't  
21 provide much detail on that.

22 It says in Paragraph 40 the UT 20  
23 issues an RTSP resume command in Line 110 that  
24 includes the RTSP session ID in the header. In  
25 response, the AS 40 issues the RTSP play command

1 to the MCS 30 in Line 112, and receives a 200 OK  
2 message from the MCS Line 114 and then notifies  
3 the UT Line 116.

4 Foti doesn't tell us what all is in  
5 that other than it's a 200 OK message which  
6 reestablishes the ability of the user terminal to  
7 resume access to media stream, one would assume.

8 BY MR. LOVSIN:

9 Q. So let's look at Paragraph 129 of  
10 your declaration.

11 A. I am there.

12 Q. You state in Paragraph 129:

13 "A person of ordinary skill  
14 in the art would have understood  
15 that establishing a second linked  
16 RTSP session would merely require  
17 repeating Steps 62 through 74 for  
18 each subsequent RTSP session."

19 Correct?

20 A. Yes, I agree.

21 Q. So Line 64 of Figure 4, the session  
22 description is not known by the UT 20 when it  
23 sends the SIP invite; correct?

24 MR. BECKER: Object to form.

25 THE WITNESS: Foti tells us in

1 Paragraph 30, the bottom of Column 2 -- or Page 2,  
2 the SIP invite message may include information --  
3 among other things, information that identifies  
4 the user, a SIP session ID, uniform research --  
5 resource locator that identifies particular MCS  
6 and/or the media program being requested. AS 40  
7 may extract this information for storage.

8 That much, Foti tells us.

9 BY MR. LOVSIN:

10 Q. So Paragraph 30 of Foti does not  
11 say the session description; correct?

12 A. I just read you what it said. It  
13 does not mention that.

14 Q. Staying on Figure 4, the session  
15 description is information returned by MCS 30 in  
16 response to receipt of the RTSP describe message  
17 from AS 40.

18 MR. BECKER: Object to form.

19 THE WITNESS: There is no question  
20 there.

21 BY MR. LOVSIN:

22 Q. Staying on Figure 4, the session  
23 description is information returned by MCS 30 in  
24 response to the receipt of the RTSP describe  
25 message from AS 40; correct?

1 MR. BECKER: Object to form.

2 MR. LOVSIN: Mr. Becker, you know,  
3 I am happy to try to correct these form objections  
4 you keep making.

5 Can you give me the basis?

6 MR. BECKER: I am objecting because  
7 of the angry tone that you used to ask the  
8 question. I think you are being rude and  
9 argumentative to the witness. That's why I am  
10 objecting.

11 MR. LOVSIN: I disagree.

12 MR. BECKER: I maintain the  
13 objection, though. I mean, I am not making -- I  
14 have tried to limit the speaking objections. I am  
15 not, you know, coaching the witness. I am just  
16 making my objections, so --

17 MR. LOVSIN: Understood. I am  
18 trying to understand --

19 MR. BECKER: I will explain any of  
20 them that you want me to explain.

21 MR. LOVSIN: Yeah, I am just trying  
22 to clean up the record.

23 THE WITNESS: That dialogue --  
24 okay. I still see the question. Hold on.

25 Well, that paragraph I had been

1 reading from continues by saying the AS 40 may  
2 extract the information for storage and generates  
3 and sends an RTSP describe command. One would  
4 assume that that information for the program or  
5 the particular MCS is in the describe command --  
6 to the identified MCS. In response, the MCS  
7 returns a confirmation message to the AS 40, Line  
8 68, which is a 200 OK message.

18 And then it goes on to describe  
19 what information is included in the SDP message.  
20 BY MR. LOVISON:

20 BY MR. LOVSTN:

## 23 A. What's the question?

24 Q. In Foti, no RTSP sessions are  
25 initiated from AS 40 to UT 20; correct?

1                   A. I disagree because, as you can see  
2 in Figure 4, the RTSP setup begins over at MCS 30  
3 at Line 70, and results in a 200 OK RTSP session  
4 ID being transmitted both back to the AS 40 and  
5 the user terminal 20.

6                   Q. Is it fair to say that Foti only  
7 discloses a client server model with UT 20 being  
8 the client?

9                   A. Well, I would say that in the  
10 establishment, the initial -- no, because the  
11 establishment of the RTSP setup at Line 70, the AS  
12 is operating at that point as a client with the  
13 MCS as a server. I am not saying the AS 40 is a  
14 user terminal, but you used the term "client  
15 server."

16                   Q. Is it fair to say that Foti only  
17 discloses a user server model with UT 20 being the  
18 user?

19                   A. I don't understand that question.

20                   Q. Does AS 40 receive any RTP  
21 sessions?

22                   A. Foti doesn't disclose AS 40 being  
23 part of any RTP sessions.

24                   I am looking at Figure 1, which  
25 shows the RTP session -- that would be Line 18 --

1 which doesn't involve the AS 40. And, indeed,  
2 Figure 4 doesn't show -- it shows only a direct  
3 connection between UT 20 and MCS 30 as Line 90.

4 Q. Are you aware of URI lists?

5 A. As in capital U, capital R, capital  
6 I?

7 Q. Yes.

8 A. What do the acronyms stand for?

9 Q. What does "URI" mean to you?

10 A. I am thinking it's like URL,  
11 universe resource locator. URI might be universal  
12 resource indicator, but I don't know. I am trying  
13 to see if either these standards, these specs you  
14 gave me, have a terminology in them, but I  
15 don't -- as I sit here today, I don't remember  
16 what "URI" means. It doesn't mean I didn't use it  
17 or have known it; I just forgot it.

18 Q. Are you aware of a textbook title,  
19 "The 3GIP Multimedia Subsystem IMS Merging the  
20 Internet and Cellular Worlds"?

21 MR. BECKER: Object to form.

22 THE WITNESS: I don't -- or I can't  
23 say that I've never seen it. I don't remember it,  
24 sitting here today.

25 ///

1 BY MR. LOVSIN:

2 Q. Are you aware of a --

3 A. I was trying to remember -- I was  
4 trying to remember if it's -- if it's mentioned in  
5 the '669 patent, but I don't -- I don't remember.

6 Q. Are you aware of the IETF standard  
7 related to your "I" lists?

8 A. I probably was at one point. I  
9 don't remember it, sitting here today. There is  
10 no reason for me to remember that.

11 Q. Let's go back to Figure 4 of 40.

12 Do you see Figure 4?

13 A. Yes.

14 Q. Referring to Figure 4 of Foti, does  
15 Foti teach that a SIP session -- having a SIP  
16 session ID is established between UT 20 and AS 40  
17 by a SIP invite at Step 64 and a 200 OK at  
18 Step 74?

19 A. The question begins by referring to  
20 Figure 4 of 40. What's 40?

21 Q. I believe I said "Foti."

22 A. The question still is not good  
23 because you are asking me does Foti teach that  
24 having a SIP session ID is established. I don't  
25 know what that means.

1 Q. Is this not a basis for your  
2 petition, a SIP invite and the 200 OK message?

3 MR. BECKER: Object to form.

4 THE WITNESS: I am looking at the  
5 text -- the text of the question does not make  
6 sense. What does "having a SIP invite" mean? Or  
7 SIP session ID?

8 BY MR. LOVSIN:

9 Q. Does Foti teach that a SIP session  
10 message with a SIP session ID is established  
11 between UT 20 and AS 40 by a SIP invite at Step 64  
12 in a 200 OK at Step 74?

13 I am going to withdraw the  
14 question.

15 Can a SIP session have a SIP  
16 session ID?

17 A. Yes. Foti teaches that.

18 Q. So looking at Figure 4, does Foti  
19 teach that a SIP session with a SIP session ID is  
20 established between UT 20 and AS 40 by a SIP  
21 invite at Step 64 and a 200 OK at Step 74?

22 MR. BECKER: Object to form.

23 THE WITNESS: Yes.

24 BY MR. LOVSIN:

25 Q. Still referring to Figure 4 of

1 Foti, does Foti teach that after the RTSP session  
2 ID is sent to the UT 20 at Step 74 in the 200 OK  
3 that the RTSP session ID is linked to the SIP  
4 session ID of the established SIP session?

5 A. So there are two linkages that take  
6 place. The first one is the link that's  
7 established by AS 40. And I am looking now at  
8 Paragraph 99 of my declaration, which states:

9 "In one embodiment, for  
10 example, the AS 40 receives an  
11 RTSP session ID from the MCS  
12 during the setup of the RTSP  
13 media session. The controller 42  
14 correlates the RTSP session ID to  
15 the SIP session using, for  
16 example, information included in  
17 the SIP invite previously  
18 received from the UT. The  
19 correlation of RTSP session ID to  
20 SIP session is shown in Table 52  
21 of Figure 3."

22 That's one linkage.

23 In AS 40, looking at Paragraph 101,  
24 AS 40 takes the step -- AS 40 returns a 200 OK  
25 message to the UT 20 at Line 74. Foti describes

1 that this message may contain correlation  
2 information determined by AS 40 which completes  
3 the association of two or more sessions by AS 40  
4 and also in turn permits UT 20 to also associate  
5 the two-or-more sessions. That's the second link.  
6 I should say that's the second link location or  
7 embodiment.

8 Q. So you would agree with me that  
9 Foti teaches that after the RTSP session ID is  
10 sent to the UT 20 at Step 74 in the 200 OK that  
11 the RTSP session ID is linked to the SIP session  
12 ID of the established SIP session?

13 A. Well, it's not just the -- yes,  
14 they are linked, and that's provided visually in  
15 Figure 4 by the Box 75 that's shown there.

16 Q. In Paragraph 90 of Exhibit 1003,  
17 you equated the SIP session ID of Foti with the  
18 composition session identifier recited in Claim 1;  
19 correct?

20 A. Yes.

21 Q. On Page 63, Paragraph 97 of  
22 Exhibit 1003, you said that Message 74 of Figure 4  
23 of Foti represents the second exchange recited in  
24 limitation 1C; is that correct?

25 A. Yes.

1                   Q. Referring only to Figure 4 of Foti  
2 and Step 75 where the information 200 OK message  
3 of Step 1 -- 74 is recorded at the UT 20, is the  
4 more-than-one RTSP session ID linked to the SIP  
5 session ID of the established SIP session?

6                   MR. BECKER: Object to form.

7                   THE WITNESS: There's a couple  
8 things about your question that are unclear to me.

9                   You say "referring only to Figure 4  
10 of Foti," and then you say "Step 75." There is no  
11 Step 75, unless you are talking about Box 75?

12 BY MR. LOVSIN:

13                   Q. I am happy to clarify my questions.  
14                   This is how I understand your  
15 declaration. Are you telling me this is not  
16 correct?

17                   A. I am telling you your question is  
18 unclear to me. We agreed at the beginning that if  
19 your questions weren't clear that I would ask you  
20 to clarify them.

21                   Q. At Box 75 of Figure 4 of Foti,  
22 information in the 200 OK message from Step 74 is  
23 recorded at the UT; is that right?

24                   A. I would agree with that.

25                   Q. And then referring only to

1 Figure 4, is your position that the more-than-one  
2 RTSP session ID is linked to the SIP session ID of  
3 the established SIP session after the information  
4 is recorded at Box 75?

5 MR. BECKER: Object to form.

1                   received from the UT 20. The  
2                   correlation of RTSP session ID to  
3                   SIP session ID is shown in Table  
4                   52 of Figure 3..."

5                   So we would have to go beyond  
6                   Figure 4.

7                   "....which comprises a column  
8                   that stores the RTSP session ID  
9                   and a column that stores the  
10                  corresponding SIP session ID."

11                  Moving on to Paragraph 100 to  
12                  complete my response to your question:

13                  "As stated by Foti, more than  
14                  one RTSP session ID may be linked  
15                  to the same session ID, for  
16                  example, the media sessions  
17                  identified by RTSP 2 and RTSP  
18                  4...After the AS 40 performs  
19                  these correlation activities,  
20                  'AS 40 then sends an RTSP setup  
21                  message to MCS 30 to set up the  
22                  RTSP media sessions (Line 70),  
23                  and receives a 200 OK message in  
24                  response."

25                  So the longwinded answer to your

1 question is the linkage is established after  
2 the -- and recorded in Box 75, but Figure 3 and  
3 the disclosure that more than one RTSP session ID  
4 may be linked says that there are two links in  
5 there by the time the second association is made.

6 BY MR. LOVSIN:

7 Q. Referring to figure 6A, 6B, and 7  
8 in Paragraphs 36 to 38 of Foti, Foti discloses  
9 that an established SIP session may terminate;  
10 correct?

11 A. Well, those are used in conjunction  
12 of Foti's teaching of a response to determine a --  
13 determine itself describes by Foti before you get  
14 to Figures 6A, B and 7.

15 Q. Foti gives an example of a session  
16 timeout that results in a network tearing down the  
17 SIP session; correct?

18 A. Yes.

19 Q. Foti teaches that when a SIP  
20 session terminates, the RTSP session linked to the  
21 torn-down SIP session can be revived by the UT  
22 sending a SIP invite with a new SIP session ID and  
23 including the RTSP session ID of the RTSP session  
24 that is to be revived; correct?

25 A. Yes.



1                   Q.    Figure 7 of Foti, Steps 104 to 108  
2    illustrate the revival process; correct?

3                   A.    Yes.

4                   Q.    Figures 6A and 6B of Foti  
5    illustrate that, after revival, the RTSP session  
6    ID is linked to the new SIP session ID; correct?

7                   A.    That's what is illustrated between  
8    6A and 6B, as we discussed earlier. Foti chooses  
9    to have what he calls the "new SIP session,"  
10   chooses to use a different session ID of the SIP  
11   17. That's what he uses in his example.

12                  Q.    As a hypothetical, could it happen  
13    that a SIP session that Foti states could expire  
14    be the SIP session established in Figure 4?

15                  A.    Sure.

16                  (Reporter interruption.)

17                  THE WITNESS: I said "sure." Yes.

18    BY MR. LOVSIN:

19                  Q.    As a hypothetical, could the RTSP  
20    revival method that Foti teaches then be applied  
21    to the RTSP session established in Figure 4?

22                  A.    I haven't thought about how  
23    Figure 4 and Figure 7 could be mixed like that, so  
24    I don't know how to answer that on-the-fly.

25                  Q.    Let's look at Paragraph 125 of your

1 declaration at Exhibit 1003.

2 Do you see that text?

3 A. Yeah. I am just reading the text  
4 leading up to that too, kind of get -- understand  
5 where we are.

6 Q. Do you see the last sentence of  
7 Paragraph 125:

8 "Although not necessary to  
9 meet the claim, it would also  
10 have been obvious to a person of  
11 ordinary skill in the art that in  
12 the event that UT 20 wished to  
13 revive more than one RTSP session  
14 such as RTSP 2 and RTSP 4 in  
15 Table 52, the SIP invite should  
16 include both of the SIP session  
17 IDs"?

18 A. I see that.

19 Q. Referring only to Figure 3 of Foti,  
20 is it possible to determine whether reviving two  
21 RTSP sessions with a single SIP invite that  
22 includes two RTSP session IDs was used to achieve  
23 the two RTSP session IDs being linked to the same  
24 SIP session ID?

25 A. Well, it's certainly obvious to do

1 that. Figure 3 was presumably the situation prior  
2 to the SIP session time-out or termination. In  
3 the teaching of the revival mode, if there were  
4 two active RTSP sessions associated with a single  
5 SIP session before you started, before the SIP  
6 session timed out or terminated, then in using the  
7 revived teaching of Foti, it would make sense --  
8 or if you are going to go back and reestablish the  
9 RTSP session that the revive process does, that if  
10 you have the situation shown at Figure 3 with two  
11 active RTSP sessions, it would be obvious to  
12 initiate the next SIP session with not only one  
13 RTSP media session ID but both of them so that you  
14 can reestablish the situation after the revival  
15 that's represented by Figure 3.

16 So that's -- when you say is it  
17 possible to determine whether reviving two SIP  
18 sessions when a single SIP was used, using a  
19 revival process, it would be -- it would be  
20 obvious to do it with a single SIP message. If  
21 you can do -- establish one RTSP, why not  
22 establish both if that's where you started from.

23 Q. What's your basis to say Figure 3  
24 was presumably the situation prior to the SIP  
25 session time-out or termination?

1                   A.    Because Figure 3, the flow of the  
2 disclosure within Foti covers Figure 4 -- Figure 3  
3 and Figure 4.  So at the end of Figure 4, if you  
4 end up at Figure 3 with two RTSP sessions, then  
5 Foti teaches -- goes in to say it's possible for a  
6 session to time out.  Then, I mean, the logic of  
7 the disclosure flows naturally.  That's my basis.

8                   Q.    Referring to only Figure 3, is it  
9 possible to determine whether using a SIP reinvite  
10 to repeat Steps 64 to 74 of Foti was used to  
11 achieve the two RTSP session IDs being linked to  
12 the same SIP session ID?

13                  A.    I think referring to Figure 3 is  
14 the invitation to come to that conclusion.  
15 Figure 3 expressly shows two RTSP sessions linked  
16 to a single SIP session, and so it's obvious, in  
17 my opinion, that if that situation, as originally  
18 described in conjunction with Figure 3, were  
19 somehow interrupted by a SIP session termination,  
20 that it would only be obvious and natural to  
21 reestablish the same two RTSP sessions under the  
22 revive -- revival process.

23                  Q.    Referring to only Figure 3, is it  
24 possible to determine what was used to achieve the  
25 two RTSP session IDs being linked to the same SIP

1 session ID?

2 A. Well, Figure 3 provides the  
3 motivations to understand, through the teachings  
4 of Figure 4 and Figure 7, how to use those data  
5 flows to end up back at Figure 3 again after the  
6 revival session. So it provides the motivation  
7 for -- as I said at the end of Paragraph 125, it  
8 would have been obvious to a person of ordinary  
9 skill in the art in the event that UT 20 wished to  
10 revive more than one RTSP session, such as RTSP 2  
11 and RTSP 4 in table 52, the SIP invite should  
12 include each of the RTSP session IDs.

13 MR. BECKER: Mr. Lovsin, I think we  
14 have been going a little over an hour and a half.  
15 I don't know if you are close to a stopping point.

16 MR. LOVSIN: Yeah, I am fine to  
17 take a break. Is it good?

18 MR. BECKER: Yeah, and I am --  
19 yeah, we can go off the record.

20 (Whereupon, a recess was held from  
21 2:15 p.m. to 2:27 p.m.)

22 BY MR. LOVSIN:

23 Q. Mr. Wechselberger, did you talk  
24 with Mr. Becker during the break?

25 A. I didn't talk with anybody during

1 the break.

2 Q. Did you take any handwritten notes  
3 on any of the documents we discussed today?

4 A. I worked from your clean documents.

5 I don't understand the question.

6 Q. Did you mark up any of the clean  
7 documents that I sent you during the deposition  
8 today?

9 A. No.

10 Q. And you didn't take any other notes  
11 during the deposition today?

12 A. Correct.

13 Q. So we talked about you using  
14 realtime. Did you have any of the documents open  
15 electronically during the day?

16 A. No.

17 Q. So you didn't -- you didn't  
18 control-F any of the document during the  
19 deposition?

20 A. I don't even know what that does.

21 No.

22 MR. LOVSIN: Thank you for your  
23 time today, Mr. Wechselberger.

24 Patent owner has no further  
25 questions at this time.

1 MR. BECKER: I have no questions,  
2 but I do have one for Mr. Lovsin after we go off  
3 the record.

4 THE COURT REPORTER: Just tell me  
5 when we're off the record.

6 MR. LOVSIN: Yeah, we can go off  
7 the record.

8

11 --○○○○○--

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

# MAGNA > LEGAL SERVICES

1 REPORTER'S CERTIFICATION

2

3

4

5 I, Megan Grossman-Sinclair, Certified

6 Shorthand Reporter, in and for the State of

7 California, do hereby certify:

8

9           That the foregoing witness was by me duly  
10       sworn; that the deposition was then taken before  
11       me at the time and place herein set forth; that  
12       said testimony and proceedings were reported  
13       stenographically by me and later transcribed into  
14       typewriting under my direction; that the foregoing  
15       is a true record of the testimony and proceedings  
16       taken at that time.

17

18 IN WITNESS WHEREOF, I have subscribed my name  
19 this 17th day of December 2022.

20

21

22 Megan Grossman-Sinclair

23 Megan Grossman-Sinclair, CSR No. 12586

24

25

# MAGNA > LEGAL SERVICES

1 DEPOSITION ERRATA SHEET

2

3 ERICSSON INC.

4 v. KONINKLIJKE KPN N.V.

5

6 DECLARATION UNDER PENALTY OF PERJURY I

7 declare under penalty of perjury that I have read  
8 the entire transcript of my Deposition taken in  
9 the above captioned matter or the same has been  
10 read to me, and the same is true and accurate,  
11 save and except for changes and/or corrections, if  
12 any, as indicated by me on the DEPOSITION ERRATA  
13 SHEET hereof, with the understanding that I offer  
14 these changes as if still under oath.

15

16 Signed on the 19 day of December  
17 , 2022,

18

19 *Anthony J. Wechselberger*

20 ANTHONY J. WECHSELBERGER

21

22

23

24

25



1 DEPOSITION ERRATA SHEET

2 Page No. Line No. Change to:

3 Reason for change:

4 Page No. Line No. Change to:

5 Reason for change:

6 Page No. Line No. Change to:

7 Reason for change:

8 Page No. Line No. Change to:

9 Reason for change:

10 Page No. Line No. Change to:

11 Reason for change:

12 Page No. Line No. Change to:

13 Reason for change:

14 Page No. Line No. Change to:

15 Reason for change:

16 Page No. Line No. Change to:

17 Reason for change:

18 Page No. Line No. Change to:

19 Reason for change:

20 Page No. Line No. Change to:

21 Reason for change:

22 Page No. Line No. Change to:

23 Reason for change:

24 SIGNATURE: DATE

25 ANTHONY J. WECHSELBERGER



1 DEPOSITION ERRATA SHEET

2 Page No. Line No. Change to:

3 Reason for change:

4 Page No. Line No. Change to:

5 Reason for change:

6 Page No. Line No. Change to:

7 Reason for change:

8 Page No. Line No. Change to:

9 Reason for change:

10 Page No. Line No. Change to:

11 Reason for change:

12 Page No. Line No. Change to:

13 Reason for change:

14 Page No. Line No. Change to:

15 Reason for change:

16 Page No. Line No. Change to:

17 Reason for change:

18 Page No. Line No. Change to:

19 Reason for change:

20 Page No. Line No. Change to:

21 Reason for change:

22 Page No. Line No. Change to:

23 Reason for change:

24 SIGNATURE: *Anthony J. Wechselberger* DATE 12-19-22

25 ANTHONY J. WECHSELBERGER



<b>A</b>			
<b>ability</b>	102:15 118:6	<b>agrees</b>	60:5
<b>able</b>	61:15 70:2	<b>Anthony</b>	1:18 3:2 5:4,17 7:9 15:9 21:8 140:20 141:25 142:25
<b>absence</b>	46:6	<b>anybody</b>	21:18 24:4 100:5 101:11 102:3 136:25
<b>Absent</b>	85:11	<b>apparently</b>	106:4,8 109:9
<b>absorbing</b>	83:15	<b>Appeal</b>	1:3 19:22
<b>abstract</b>	50:8 73:11	<b>appear</b>	17:17
<b>accept</b>	41:24 42:3	<b>appearances</b>	2:1,1
<b>accepted</b>	12:9 20:13 40:14,17	<b>appearing</b>	7:17
<b>access</b>	34:23 83:9 118:7	<b>appears</b>	66:3,9 97:6 116:13
<b>accompany</b>	25:2,8	<b>Appendix</b>	24:6,9,11,15,18,21 25:15 26:8 27:15,19 33:18 63:18,22 64:2
<b>accomplished</b>	103:3 110:25 113:19	<b>appliances</b>	27:7
<b>accurate</b>	140:10	<b>application</b>	8:16 48:7 73:16 83:12
<b>accurately</b>	5:25 10:17 24:19,22 25:15 26:9	<b>applications</b>	8:14,21
<b>achieve</b>	133:22 135:11,24	<b>applied</b>	132:20
<b>acknowledging</b>	40:1 82:5	<b>applies</b>	103:22 106:17,21
<b>acronyms</b>	123:8	<b>apply</b>	32:25
<b>activate</b>	53:15 62:11	<b>applying</b>	114:11
<b>active</b>	134:4,11	<b>appreciate</b>	50:16
<b>activities</b>	130:19	<b>architectures</b>	83:5
<b>actors</b>	61:10	<b>area</b>	106:7
<b>actual</b>	53:13 54:10 59:9 71:3,6 72:23 74:2		

<b>areas</b> 25:1 26:7	<b>assisted</b> 18:23 21:25	22:15,20 23:16 123:4 123:18 124:2,6	55:8 56:4 57:4 59:19 62:22 63:2,9 63:15,20 66:12,21 67:6,16 68:6 69:3 69:15 70:11 71:23 72:15 73:6 74:8,24 77:2,20 78:15 80:10 80:13 84:1,13 85:4 88:13,20 89:1,6 90:1 91:13 92:12 93:13 94:20 95:8 98:17 99:1,9,14,25 100:3,18,22 101:7 105:19 107:19 109:3,15 110:21 111:10 112:13,23 113:10 114:17,25 115:12 117:18 118:24 119:18 120:1,2,6,12,19 123:21 125:3,22 128:6 129:5 136:13 136:18,24 138:1
<b>arena</b> 34:12 36:2	<b>assisting</b> 25:5	<b>a.m</b> 1:20 5:2 63:11,11	<b>B</b>
<b>argue</b> 56:20	<b>associate</b> 82:4 127:4		<b>B</b>
<b>argumentative</b> 93:14 120:9	<b>associated</b> 16:15 40:8 41:12,15 41:21 45:7 47:17 53:23 56:14 58:15 66:2 76:19 79:10 80:5 82:22 91:5 116:15,25 134:4	36:13,15,15 51:11,15 131:14	36:13,15,15 51:11,15 131:14
<b>art</b> 13:16,17 16:1 30:2 46:7,25 47:5,13 48:7 50:15 54:7 58:2 59:8 66:24 67:3,11 70:2 72:20 73:21 78:3 82:9,23 87:12 98:20 109:23 113:6 118:14 133:11 136:9	<b>associating</b> 86:14 87:2	8:18 11:9 19:2 30:22 30:25 33:18 44:2 54:13,21 60:14,17 63:17 68:10 76:23 85:2 90:24 91:3	8:18 11:9 19:2 30:22 30:25 33:18 44:2 54:13,21 60:14,17 63:17 68:10 76:23 85:2 90:24 91:3
<b>articles</b> 64:7	<b>association</b> 127:3 131:5	100:7 122:4 124:11 134:8 136:5	100:7 122:4 124:11 134:8 136:5
<b>asked</b> 23:10 26:19,22 67:8 72:5 87:24 91:15 95:11 96:5,6 99:3 101:2 109:7	<b>assume</b> 10:6 15:18,18 57:18 117:19 118:7 121:4	<b>backdrop</b> 29:25	<b>backdrop</b> 29:25
<b>asking</b> 22:18 47:1 57:21 82:6 85:6 105:2 113:5 117:14 124:23	<b>assumption</b> 12:11	<b>background</b> 25:14 26:7 36:20 83:3,12,21	<b>background</b> 25:14 26:7 36:20 83:3,12,21
<b>assertions</b> 12:7	<b>assumptions</b> 11:20 12:1	<b>backgrounder</b> 25:12 26:16	<b>backgrounder</b> 25:12 26:16
<b>assigned</b> 95:3,6	<b>AS400</b> 50:25	<b>Baker</b> 2:5 11:6 16:17 18:16 21:22,25	<b>Baker</b> 2:5 11:6 16:17 18:16 21:22,25
<b>assignment</b> 11:8	<b>attached</b> 4:7	<b>bar</b> 74:1	<b>bar</b> 74:1
<b>assignments</b> 31:18 32:12	<b>attempt</b> 52:11	<b>based</b> 13:15 30:24	<b>based</b> 13:15 30:24
<b>assimilated</b> 34:10	<b>attorney</b> 9:18,20,22	<b>basis</b> 82:14 97:22 115:21 120:5 125:1 134:23 135:7	<b>basis</b> 82:14 97:22 115:21 120:5 125:1 134:23 135:7
<b>assist</b> 18:20 21:18	<b>attorneys</b> 11:12 17:1,4,17 18:3 18:20	<b>Becker</b> 2:5 5:10,10,16,23 6:7 6:8,13,16,24 11:9 11:21 12:3,22 13:7	<b>Becker</b> 2:5 5:10,10,16,23 6:7 6:8,13,16,24 11:9 11:21 12:3,22 13:7
<b>assistance</b> 19:2 35:21	<b>available</b> 8:17 109:10	13:25 14:7,11 17:19 18:12,13 21:22,24	13:25 14:7,11 17:19 18:12,13 21:22,24
<b>assistant</b> 25:5	<b>Avenue</b> 2:6	25:17 26:12 29:14 37:7,17 40:10 41:23	25:17 26:12 29:14 37:7,17 40:10 41:23
	<b>avoids</b> 55:16 98:7	43:7,16 44:24 45:14 45:21 46:5 47:22	43:7,16 44:24 45:14 45:21 46:5 47:22
	<b>aware</b>	49:3,15,20 54:4,18	49:3,15,20 54:4,18

46:9	91:8	83:16	<b>certify</b>
<b>beyond</b>	<b>briefly</b>	<b>capital</b>	139:7
109:7 130:5	25:1	123:5,5,5	<b>cetera</b>
<b>big</b>	<b>bringing</b>	<b>captioned</b>	60:23
71:5	83:11	140:9	<b>challenged</b>
<b>bit</b>	<b>broad</b>	<b>carefully</b>	13:16 15:25 85:14
43:2 50:7 63:3	98:19	70:13	<b>change</b>
<b>black</b>	<b>broadband</b>	<b>carries</b>	40:22 72:10 94:6
110:4	34:9,12	<b>case</b>	104:7 105:9 107:4
<b>blank</b>	<b>broken</b>	8:17 10:24 11:17	141:2,3,4,5,6,7,8,9
45:25	111:6	12:2 13:4,12 17:18	141:10,11,12,13,14
<b>Board</b>	<b>bulk</b>	18:14 19:13,17 20:1	141:15,16,17,18,19
1:3 16:4,5,16 19:20	<b>bundled</b>	20:5,15,20,24 22:13	141:20,21,22,23
19:22,24 20:4,7,15	83:8	22:17,22 23:2,6,12	142:2,3,4,5,6,7,8,9
20:20 37:24 38:17	<b>business</b>	23:17,20,25 24:12	142:10,11,12,13,14
39:13 40:3,5,20	34:23	24:16 25:10,11	142:15,16,17,18,19
42:2 81:8,19	<b>button</b>	26:18,22 30:17 31:2	142:20,21,22,23
<b>Board's</b>	53:15 62:12	31:3,6,13 32:7,19	<b>changes</b>
16:6 23:14 37:12,15	<b>BYE</b>	32:24 33:10,15,17	107:24 108:19 109:1
38:7 40:7 41:6,14	52:19 53:3,11 56:17	36:4 37:1,4,5,13	114:5 115:16
41:20 42:5,23 80:20	58:18 62:8,15	39:7 45:24 56:13	140:11,14
<b>BOEHNEN</b>	<b>B-Y-E</b>	59:18 70:23 107:12	<b>changing</b>
2:11	56:17 58:19	108:9 109:13	102:24 113:17
<b>book</b>	<b>B2</b>	<b>cases</b>	<b>characteristic</b>
64:8,9,12	1:15	25:19,23 31:9,15	32:24
<b>books</b>	<hr/>	33:21	<b>chatted</b>
63:25 64:4,14	<b>cable</b>	<b>cats</b>	17:9
<b>bottom</b>	30:23 34:14	67:25 68:1	<b>Chicago</b>
50:13 57:24 61:18	<b>calendar</b>	<b>caveat</b>	2:13
71:5 74:1 102:12	8:17 17:11	6:17 32:20,21	<b>chooses</b>
111:21 119:1	<b>California</b>	<b>cease</b>	132:8,10
<b>Botts</b>	1:22 5:1 7:16 139:7	56:11	<b>cinema</b>
2:5 11:6 21:22	<b>call</b>	<b>Cellular</b>	35:8,12,18
<b>box</b>	17:7,20 18:10 20:10	123:20	<b>circumstance</b>
8:3,6 13:20,22 14:1,5	65:13 66:4,4 72:8,9	<b>center</b>	10:20
127:15 128:11,21	114:12	31:5,12 32:19,22	<b>citation</b>
129:4 131:2	<b>called</b>	33:15	103:19
<b>branch</b>	28:12 36:10,16,16	<b>certain</b>	<b>citations</b>
28:16	41:7 65:15 76:21	110:7	66:24
<b>break</b>	<b>calls</b>	<b>certainly</b>	<b>cite</b>
10:9,11 43:3,6,14,19	17:19,25 18:8,11,14	32:14 115:1 133:25	57:22 67:3,10,11,14
62:24 63:1,14 64:17	71:1,10,21 72:9,17	<b>CERTIFICATION</b>	102:10,19
92:5,7,8,15 99:12	73:8 113:24 132:9	139:1	<b>cited</b>
99:25 100:3,6	<b>camera</b>	<b>Certified</b>	16:1 23:15 30:14
136:17,24 137:1		139:5	48:7 51:6 66:25
<b>breathes</b>			69:4,5 75:21 100:11

101:3 103:11 106:8 109:6 110:24	43:22 <b>command</b> 74:16 79:12,16 101:20 116:22 117:2,23,25 121:3,5	135:14 <b>conclusions</b> 39:2 <b>concur</b> 48:11 <b>commands</b> 51:2 96:14 98:3 110:3,14 <b>commercial</b> 27:5 <b>committed</b> 34:16 <b>communicate</b> 9:1 54:6 61:15 <b>communication</b> 36:22 44:11,22 64:5 <b>communications</b> 27:6,23 29:10,12 34:25 35:12,13 46:16,20 64:1	<b>construe</b> 41:15 <b>consult</b> 8:7 <b>consultant</b> 25:2 <b>consulting</b> 26:15,15 33:25 35:4 35:7 <b>consumer</b> 27:6 <b>contacted</b> 10:23 11:1,4,12,16 <b>contain</b> 96:4 107:15 108:12 127:1 <b>contains</b> 75:11 95:13 104:3 106:25 121:15 <b>content</b> 60:1,25 61:17 73:16 <b>contents</b> 14:21 65:13 111:8 117:17 <b>context</b> 42:17 46:7,11,22,23 47:5 50:6 85:11 99:2 110:11 111:9 113:5 <b>continue</b> 62:1 <b>continues</b> 121:1 <b>contributing</b> 35:21 <b>control</b> 44:10,21 45:13 60:22 61:4 68:19 69:24 79:16 <b>controlled</b> 62:5 <b>controller</b> 126:13 129:21 <b>controlling</b> 71:3 72:11 <b>control-F</b>
<b>cites</b> 106:3	<b>computer</b> 8:11,15	<b>construction</b> 12:7,20,24 20:8,11 20:16,21 23:1,13 40:8 41:21 42:6,24	
<b>citing</b> 99:3	<b>concluded</b> 138:10	<b>constructions</b> 12:6	
<b>claim</b> 12:5,7,19 20:8,15,20 23:1,12 70:17,20,22 86:6,12,22 100:14 127:18 133:9	<b>conclusion</b>		
<b>claimed</b> 86:16,20			
<b>claims</b> 12:11 13:17 15:25 70:5,9			
<b>clarification</b> 10:5			
<b>clarify</b> 128:13,20			
<b>clean</b> 120:22 137:4,6			
<b>clear</b> 8:5 73:3 100:18 109:18 128:19			
<b>click</b> 8:18			
<b>client</b> 122:7,8,12,14			
<b>close</b> 99:9 136:15			
<b>coaching</b> 120:15			
<b>collective</b> 91:18			
<b>collectively</b> 91:24			
<b>column</b> 50:12 83:2,19 111:21 116:14 119:1 130:7 130:9			
<b>come</b> 28:18 29:8,11 135:14			
<b>comes</b> 26:15 32:4			
<b>comfortable</b>			

137:18	110:15,23 111:19	<b>created</b>	12:2,8,13,17 13:6,14
<b>convenience</b>	112:12 113:9	50:24 98:2	13:18 15:23 16:2,24
70:4 71:10	114:16,24 116:4	<b>critically</b>	18:18,25 19:1,3
<b>conventional</b>	118:19,23 119:11	69:20	20:24 21:8,23 22:1
50:21 97:25 98:12	119:25 120:3	<b>CSR</b>	22:25 23:11 24:3
99:6	121:25 127:19,24	1:25 139:23	25:13,15 26:18,19
<b>conversation</b>	128:16 131:10,17	<b>current</b>	37:22,24 38:16 39:6
89:8	131:24 132:2,6	32:5	39:12,19,24,25 40:3
<b>converse</b>	137:12	<b>currently</b>	44:3 46:12 47:15
96:1	<b>corrections</b>	7:1	52:11,12 56:12
<b>copy</b>	140:11	<b>curve</b>	57:20 61:25 66:23
23:3,3,4	<b>correctly</b>	17:15 18:24 19:3	67:7,12,15 68:11
<b>core</b>	23:1	<b>customer</b>	69:20 75:3 79:6,21
83:1	<b>correlates</b>	53:14 62:11	86:11 88:22 90:11
<b>corner</b>	126:14 129:22	<b>cut</b>	90:14 98:22 100:12
15:5	<b>correlation</b>	101:11	102:12 103:19
<b>corollary</b>	81:24 97:2 117:12	<b>D</b>	104:10 105:4,14
89:11	126:19 127:1 130:2	<b>Dallas</b>	108:22 109:8
<b>corporate</b>	130:19	2:7	118:10 126:8
24:25 26:14 33:25	<b>corresponding</b>	<b>data</b>	128:15 129:8,10
34:4,21 35:2	73:20 76:24,25 89:13	54:11 71:15 72:24	133:1 140:6
<b>correct</b>	130:10	116:15 136:4	<b>declarations</b>
5:24 8:12 13:23,24	<b>counsel</b>	<b>date</b>	47:7
16:11 17:21 18:7,15	2:1 9:1 11:19,25	9:5 141:24 142:24	<b>declare</b>
20:24 22:13,14	12:21 13:3,11 24:2	<b>dated</b>	140:7
23:25 24:1 27:15	<b>couple</b>	26:3	<b>deep</b>
33:3,6,21 34:2	11:18 12:5 17:14	<b>day</b>	109:8
37:13 38:21 45:8	18:5,5 87:9 128:7	14:24 17:11 137:15	<b>defend</b>
48:3,13,16 49:14,19	<b>course</b>	139:19 140:16	39:24
53:11 54:3,17,25	11:7 13:3,11 16:2,23	<b>days</b>	<b>defines</b>
56:3 58:25 59:5	21:23 107:10 108:7	32:3	66:15
61:22 64:15 65:11	<b>court</b>	<b>deals</b>	<b>defining</b>
66:8,11,20 67:18	6:6 10:1 19:11,16	106:11	36:2
68:5,24 69:2,10	22:17,22 23:2,6,17	<b>December</b>	<b>deleting</b>
71:18,19 74:7,20,23	23:20 138:4	1:20 5:2 139:19	102:25
75:16,20 76:7 77:1	<b>cover</b>	<b>decided</b>	<b>deliberate</b>
78:14,23 79:4,18	91:20	40:13,21	56:21
80:1 84:12 86:7	<b>covered</b>	<b>decision</b>	<b>delivering</b>
87:22 88:4,9 89:25	52:12 90:14	16:4,5,7 23:15 37:13	59:9
90:10 91:12 92:19	<b>covers</b>	37:16 38:3,7 40:9	<b>delivery</b>
92:22 93:23 95:4,7	135:2	40:15 41:3,7,8 42:7	58:20 65:22 66:1
95:21 96:8,12	<b>co-pending</b>	42:25 80:21 81:7	<b>delta</b>
100:12 101:24	22:16	<b>declaration</b>	70:22
102:7 103:17	<b>create</b>	106:24	<b>demonstration-type</b>
105:18 106:2	71:12 98:6 104:2		28:15
107:18 108:22	106:24		<b>deny</b>

22:8 81:16	95:12 103:17 104:6	82:19 93:5 116:7	25:4 101:19
<b>depend</b>	105:3,8,18 106:1	132:10	<b>discussion</b>
36:5 46:22	107:3,12,18,24	<b>differentiate</b>	6:10,14 54:14,22
<b>depending</b>	108:1,9,18 109:1,14	70:14,15 73:9	61:24 74:13 75:23
46:11	110:2,6,7,13 112:11	<b>digital</b>	77:13,14 93:24
<b>depicted</b>	112:21,25 113:3	27:24 28:1 34:9,16	<b>dispute</b>
61:9	114:4,9,14,23 115:2	35:8,12,17	6:15
<b>depo</b>	115:7,10,15,18,25	<b>direct</b>	<b>disputes</b>
18:19	116:1,8 118:22	123:2	5:21
<b>deposed</b>	119:11,15,23	<b>directed</b>	<b>disregarded</b>
9:3,8 17:6	121:13	36:1 86:12	20:12
<b>deposition</b>	<b>descriptions</b>	<b>direction</b>	<b>distinguish</b>
1:18 5:17,19 6:22	103:23 106:18	42:20 139:14	71:11
7:18,24 8:9,22,25	<b>desktop</b>	<b>directly</b>	<b>distribution</b>
9:6 15:9,15,21	8:5	35:7 41:25 54:6	34:10,12
16:11 17:9,11 18:2	<b>detail</b>	75:22	<b>district</b>
18:9,15 19:5 92:3,7	32:13 36:19 39:1	<b>disagree</b>	22:17,22 23:2,6,17
137:7,11,19 139:10	110:18 117:21	38:8 72:16 77:21	23:20
140:1,8,12 141:1	<b>details</b>	84:2 120:11 122:1	<b>dive</b>
142:1	109:17,24	<b>disagreed</b>	109:24
<b>depositions</b>	<b>determine</b>	38:10	<b>dives</b>
9:11	116:3 131:12,13	<b>disclose</b>	110:17
<b>describe</b>	133:20 134:17	84:25 90:3,9 93:7,7	<b>division</b>
47:16 70:13 72:6	135:9,24	95:19,24 96:9,10,16	27:21
88:11,17 89:4,23	<b>determined</b>	101:22 103:9	<b>DOCSIS</b>
103:5 110:19 111:2	127:2	110:18 113:8	30:22,23 34:4
116:10,12,22 117:2	<b>develop</b>	122:22	<b>document</b>
117:9,15 119:16,24	56:9	<b>disclosed</b>	15:5,10 21:6 23:15
121:3,5,18	<b>developed</b>	62:10 96:1,6	25:4 41:2,8 42:9
<b>described</b>	70:21	<b>discloses</b>	48:15 51:14 64:21
49:5 61:8 75:17	<b>developing</b>	56:16 58:3 76:14	104:14 137:18
77:10 78:18 96:24	18:21 35:11	86:25 102:6 115:2	<b>documents</b>
97:10 114:19	<b>development</b>	122:7,17 129:13	8:8,22 14:22 15:14
135:18	28:14	131:8	16:10,13,15,18,21
<b>describes</b>	<b>diagram</b>	<b>disclosure</b>	16:22 137:3,4,7,14
6:1 48:2 49:1 51:7	77:7 79:10	75:24 77:6,22 91:6,8	<b>doing</b>
56:6 65:10,18 66:10	<b>dialogue</b>	131:3 135:2,7	14:8 29:17 35:1
69:19 70:3 74:22	74:17 103:5 106:13	<b>disclosures</b>	<b>download</b>
77:24 79:11,11	111:3 113:21	90:22 91:1 93:4	16:18
86:10,18 102:21	120:23	<b>discuss</b>	<b>dozen</b>
113:14 126:25	<b>difference</b>	19:4 24:3 63:14	16:19
131:13	45:18 46:14,18 47:19	100:2,5	<b>dozens</b>
<b>describing</b>	<b>different</b>	<b>discussed</b>	64:6
84:16	11:8 20:15,20 34:6	13:17 57:21 114:6	<b>drafts</b>
<b>description</b>	46:10 49:8 50:3	132:8 137:3	23:19
31:22 76:24,25 77:18	67:18 70:18 71:17	<b>discusses</b>	<b>drawing</b>

45:25	19:8 31:17	<b>established</b>	93:24 94:5,6,12,22
<b>Drive</b>	<b>engineer</b>	49:11,11 61:15 71:2	102:14 104:1
2:12	35:22	73:25 76:2 81:18	106:23 121:13
<b>duly</b>	<b>engineering</b>	89:9,19 95:14 103:6	126:10,16 129:18
5:5 139:9	30:6	111:2,3 113:22	129:24 130:16
<b>duplicate</b>	<b>Engineers</b>	115:6 117:8 121:17	131:15 132:11
78:5	35:11	124:16,24 125:10	<b>examples</b>
<b>Dynamics</b>	<b>enlisted</b>	125:20 126:4,7	47:6,12,18
27:13,14,18,20 28:7	29:1	127:12 128:5 129:3	<b>exception</b>
28:11 29:3,8	<b>enters</b>	129:9 131:1,9	114:7
<hr/>		132:14,21	<b>exchange</b>
<b>E</b>		<b>establishes</b>	42:12 81:24 87:22
<b>earlier</b>	140:8	73:17 74:17 84:4	88:4,9 89:18 127:23
13:19 16:9 21:21	<b>entirety</b>	91:22	<b>exchanging</b>
32:3 34:3 36:5	82:8	<b>establishing</b>	42:6,16,24 49:12
37:11 58:25 69:1	<b>entities</b>	77:25 82:19,20	<b>excluded</b>
80:21 89:8,12 91:16	59:11 60:7	118:15	19:16 20:4
132:8	<b>Entropy</b>	<b>establishment</b>	<b>exclusion</b>
<b>education</b>	25:24 34:1 35:4	47:8,9 49:6 75:18	20:10
24:19	<b>envelope</b>	89:20 96:3 122:10	<b>exclusively</b>
<b>effectively</b>	14:12	122:11	51:9
50:1	<b>envelopes</b>	<b>et</b>	<b>excuse</b>
<b>eight</b>	14:15	60:23	50:25 114:20
9:9 20:18	<b>equated</b>	<b>ETA</b>	<b>executive</b>
<b>either</b>	127:17	63:6	34:6
12:11 40:20 42:20	<b>equipment</b>	<b>ETSI</b>	<b>exemplary</b>
123:13	52:19 53:3 62:9	29:24	74:13 93:4
<b>elaborate</b>	<b>Ericsson</b>	<b>event</b>	<b>exhibit</b>
87:9	1:7 5:11 12:8 19:5	56:24 133:12 136:9	4:8 12:14,16 13:6,14
<b>electronically</b>	22:15,22 23:7,19,20	<b>events</b>	21:7,10,13,16,19
137:15	140:3	61:9	22:1 24:3,7,7,15,18
<b>electronics</b>	<b>Ericsson's</b>	<b>eventually</b>	24:21 26:25 29:20
27:21	9:1 11:19,25 12:21	89:18	30:15 33:19 38:12
<b>element</b>	13:3,11 16:25 17:3	<b>everybody</b>	38:23 44:3 45:3,9
70:22 86:13 100:14	18:3,20 24:2	63:1	48:16,18,22 51:15
<b>elements</b>	<b>errata</b>	<b>evident</b>	51:17,21,24 52:1,6
107:6	5:21 6:15 7:2 140:1	40:2	52:25 63:21 64:2,22
<b>eliminates</b>	140:12 141:1 142:1	<b>exactly</b>	65:1,3,5,7,17 67:12
72:24	<b>Escondido</b>	36:24 40:12 84:5,10	67:15 68:11,24 69:8
<b>embedded</b>	1:22 5:1 7:15	<b>EXAMINATION</b>	75:3,6 85:18,21,23
27:24	<b>ESQ</b>	3:1,4 7:5	86:2,4 87:17,20
<b>embodiment</b>	2:5,12	<b>example</b>	88:2,7 100:12
126:9 127:7 129:17	<b>establish</b>	34:20 35:7 37:20	102:19 104:15,17
<b>ends</b>	55:18 67:20 73:14	47:10 49:24 53:14	104:25 105:6
60:18,19 61:2	81:15 129:13	56:11 58:16 73:10	108:21,22 113:3,13
<b>engaged</b>	134:21,22	76:3 81:14 84:20	115:14 127:16,22

133:1	34:8	<b>fat</b>	<b>Figures</b>
<b>Exhibits</b>	<b>exposed</b>	71:5 74:2	82:6 92:23 131:14
4:1,3,6 102:11	30:21,21 31:1 32:15	<b>feature</b>	132:4
<b>exist</b>	33:16 35:1	<b>features</b>	<b>file</b>
57:17	<b>exposure</b>	79:12,22	15:22,24
<b>existence</b>	33:19,23	<b>features</b>	<b>filed</b>
102:4	<b>expressly</b>	99:6	23:19
<b>existing</b>	20:12 56:5 58:17	<b>FedEx</b>	<b>find</b>
55:19 98:5 102:16	135:15	8:3,6 13:20 14:1	34:15 35:15 52:11
103:15 105:16,25	<b>extent</b>	<b>fighter</b>	80:17 101:4 109:9
106:11,13 107:17	8:22 32:11 37:23	28:23	<b>finding</b>
<b>exists</b>	38:15 39:11,25	<b>figure</b>	81:11 106:7,9
42:8 46:9 94:5	56:20	52:23 53:18 54:2,8	<b>fine</b>
<b>expand</b>	<b>extract</b>	58:22 60:9,10 61:10	6:19 43:11,11 136:16
34:19	116:20 119:7 121:2	61:18 62:3 71:5	<b>firm</b>
<b>experience</b>	<b>e-mail</b>	72:25 73:23 74:6,6	11:7 16:17 17:9
24:23 25:16,21 26:10	8:16,18	74:12,14,19,23 75:7	21:21,25
26:20 27:10,17	<b>e-mails</b>	75:23 76:3,10,13,16	<b>firms</b>
30:18 32:8 33:11,25	18:5	76:24 77:1,4,5,7,8	25:19
37:2		77:10,14,18,19 78:1	<b>first</b>
<b>experiences</b>		78:4,12,17,18,22,25	5:5 10:11,23 11:15
24:25 26:16,21		79:1,3,5,17,19,20	30:21,21 31:1 48:24
<b>expert</b>	<b>F</b>	79:24,25 80:4,4	83:19 92:6 93:18
11:16 19:8,14,18	21:2,3,7	81:13,16 82:4,10,15	97:16 107:13
20:2,6 26:17 31:3	<b>facilitating</b>	82:21,22,24 84:8	108:10 116:13
31:16 32:18 33:21	47:20	87:21 88:3,8 89:11	126:6
37:5	<b>facilitation</b>	89:17,21 90:5 91:5	<b>fit</b>
<b>experting</b>	82:1	91:8,9,10,18,20,21	37:25 38:19 39:14
26:10	<b>fact</b>	93:12,19,25 94:5	<b>five</b>
<b>expertise</b>	16:15 40:2 60:18	95:2,7 97:12 100:8	99:19
26:7	72:10 82:21 93:18	100:16 101:13,17	<b>flexibility</b>
<b>expire</b>	<b>factual</b>	111:17,18 112:6,7	6:20
132:13	83:12	112:10,20 114:12	<b>flow</b>
<b>explain</b>	<b>fail</b>	114:18,20,22	79:10 91:21 114:12
72:22 83:24 120:19	50:1	116:15,25 117:4,16	116:15 135:1
120:20	<b>failover</b>	118:21 119:14,22	<b>flows</b>
<b>explained</b>	107:7	122:2,24 123:2	135:7 136:5
95:10	<b>failure</b>	124:11,12,14,20	<b>focus</b>
<b>explains</b>	55:11	125:18,25 126:21	27:9
103:2 110:25	<b>fair</b>	127:15,22 128:1,9	<b>focused</b>
<b>explanation</b>	7:3 10:12 18:1,4	128:21 129:1,7,11	26:13 56:9 86:22
91:4	23:18 26:8 83:20,23	130:4,6 131:2,7	<b>folder</b>
<b>explicitly</b>	92:16 122:6,16	132:1,14,21,23,23	15:1,5 21:1,7 40:24
76:15 90:3,8,12 97:5	<b>familiar</b>	133:19 134:1,10,15	41:3 48:10,15 51:11
102:9	33:16 36:21 57:7,13	134:23 135:1,2,2,3	51:12,15 62:20
<b>exploded</b>	<b>far</b>	135:3,4,8,13,15,18	64:17,22 80:15,17
	10:14	135:23 136:2,4,4,5	

85:12,17 104:11,15	23:2	122:6,16,22 124:14	48:12
<b>folders</b>	<b>forwarded</b>	124:15,21,23 125:9	<hr/> <b>G</b> <hr/>
14:20	16:17	125:17,18 126:1,1	<b>games</b>
<b>folks</b>	<b>Foti</b>	126:25 127:9,17,23	28:22
8:3 16:16 18:16	23:22,25 48:2,5,10	128:1,10,21 129:12	<b>GD</b>
28:17,18	48:11,12,21,25,25	130:13 131:8,8,13	29:17
<b>follows</b>	49:5,22,24 50:6,10	131:15,19 132:1,4,8	<b>general</b>
5:6	50:12,14 51:3 53:22	132:13,20 133:19	27:13,14,18,20 28:7
<b>follow-on</b>	53:24 54:13,16,21	134:7 135:2,5,10	28:11 29:2,8 34:23
80:4	54:25 55:11,13,22	<b>Foti's</b>	<b>generally</b>
<b>force</b>	55:22 56:2,5,7,9,21	69:5 70:13,16 77:17	32:21 37:19 43:17,18
30:6	57:22 58:3 59:11,14	86:15 131:12	<b>generated</b>
<b>forces</b>	59:21 60:8,9 67:20	<b>found</b>	16:23 35:17 94:1
94:6	68:24 69:1,13,16,19	19:11,24 29:24 71:4	<b>generates</b>
<b>foregoing</b>	70:1,3,14,14,15,24	81:8 110:5	87:6 93:18 116:21
139:9,14	71:1,4,10,20,22	<b>fox</b>	121:2
<b>forgot</b>	72:3,5,7,17,24 73:7	21:3	<b>generating</b>
123:17	73:11 74:5,6,12,14	<b>freeing</b>	18:25 77:25
<b>form</b>	74:19,22 75:15,19	65:25 66:1	<b>generations</b>
13:7 29:25 40:10	75:22,23,24 76:8,14	<b>front</b>	89:12
41:23 45:14,21 46:5	76:24 77:24 78:12	12:15 25:13 26:17	<b>George</b>
47:22 49:3,15,20	79:3,8,9,17,25 81:2	30:13 31:5,12 32:19	23:22
55:8 56:4 57:4	81:9 82:8,18 83:3,5	32:22 33:14 36:7	<b>Germany</b>
59:19 66:12,21 67:6	83:18,23 84:10 86:9	40:15 49:22 51:9	28:20
67:16 68:6 69:3,15	86:18,23,24 87:14	108:5	<b>gestures</b>
70:11 71:23 72:15	87:21 88:3,8,11,17	<b>full</b>	10:1
73:6 74:8,24 77:2	88:23,25 89:3,10,17	7:8 103:16 104:6	<b>getting</b>
77:20 78:15 84:1,13	89:23 90:2,8,16,23	105:2,8,17 106:1	19:2 60:17
85:4 88:13 89:6	91:1,16 92:18,21,23	107:3,18,23 108:18	<b>give</b>
90:1 91:13 93:13	93:1,4,10,21 94:17	108:25 109:13	42:9 65:22 94:11
95:8 98:17 99:1	95:2,7,19 96:6,10	110:1,5,13 113:2,2	120:5
105:19 107:19	96:13,15,20 97:4,9	114:4 115:9,15	<b>given</b>
109:15 111:10	97:23,24 98:10,11	<b>fully</b>	15:13 16:10 36:9
112:13 113:10	98:15,21 99:4 100:7	36:13	77:9
114:17,25 115:12	100:15,16 101:14	<b>functions</b>	<b>gives</b>
117:18 118:24	101:16,19,22 102:6	77:7	50:19 131:15
119:18 120:1,3	102:8 103:4,9	<b>fundamental</b>	<b>glad</b>
123:21 125:3,22	109:17,17,23	30:20	99:14
128:6 129:5	110:11,18,19 111:1	<b>fundamentally</b>	<b>global</b>
<b>formerly</b>	111:6,9,11,17,20	31:7	28:2
50:2 97:7,19	112:6,10,14,17,21	<b>further</b>	<b>go</b>
<b>forming</b>	113:8,11,24 114:12	72:22 76:14 87:9	6:3,8 9:12,14 16:18
13:4,12	114:13,20,23 115:2	137:24	33:18 43:18,20 58:7
<b>forth</b>	115:18 116:1,6,11	<b>future</b>	63:7 85:2 87:4 96:2
46:24 139:11	117:16 118:4,25	6:25	99:20 124:11 130:5
<b>forward</b>	119:8,10 121:22,24	<b>F-o-t-i</b>	

134:8 136:19 138:2	<b>header</b>	88:4,9,12,19 89:5	71:15 124:6
138:6	117:24	89:24 90:10,18,19	<b>Illinois</b>
<b>goalposts</b>	<b>hear</b>	90:19 91:7,11,12	2:13
43:17	105:11	92:22,25 93:3,11,12	<b>illustrate</b>
<b>goes</b>	<b>heard</b>	93:23 94:1,19,23	132:2,5
51:3 60:14 107:9,25	36:23	95:3,6,16,20 96:1,7	<b>illustrated</b>
114:7 121:18 135:5	<b>held</b>	96:12,18,22,25	84:7 132:7
<b>going</b>	6:10 63:10 99:21	97:11 111:14,25	<b>illustrates</b>
43:4,8,9,13 52:10	136:20	115:4,9,24 116:18	58:23
63:3 65:19 79:6,9	<b>helicopters</b>	117:6,10,24 119:4	<b>impact</b>
98:25 99:13 100:25	28:22	122:4 124:16,24	34:18
109:20,23,24	<b>helpful</b>	125:7,10,16,19	<b>implement</b>
125:13 134:8	14:23 110:2,13	126:2,3,4,11,14,19	58:12
136:14	<b>hereof</b>	127:9,11,12,17	<b>implementing</b>
<b>good</b>	140:13	128:4,5 129:2,2,19	109:23
5:8 62:25 65:17	<b>high</b>	129:22 130:2,3,8,10	<b>implication</b>
99:17 124:22	27:3	130:14,15 131:3,22	31:10
136:17	<b>highlighted</b>	131:23 132:6,6,10	<b>implications</b>
<b>Google</b>	62:6	133:24 134:13	110:8
83:10	<b>Hold</b>	135:12 136:1	<b>important</b>
<b>gotten</b>	120:24	<b>identification</b>	29:25 31:7 32:10,11
77:4,12	<b>Hollywood</b>	21:11 48:19 51:18	104:5 105:7 107:2
<b>Grossman-Sinclair</b>	35:9	65:8 85:24 104:18	108:17,25 114:3
1:24 6:4,13 139:5,23	<b>home</b>	<b>identified</b>	<b>improvement</b>
<b>ground</b>	7:13	84:21,23 116:22	98:12
9:12	<b>hour</b>	121:6 130:17	<b>IMS</b>
<b>guess</b>	17:13,24 43:8,20,21	<b>identifier</b>	123:19
6:2,25	43:22 63:3 136:14	42:7,17,20,25 66:3	<b>incapacitated</b>
<hr/> <b>H</b>	138:9	86:7,10,14,19,21,21	10:21
<b>half</b>	<b>hours</b>	87:2,7 127:18	<b>include</b>
34:7 43:20,21,22	17:21 18:2	<b>identifies</b>	26:16 35:18 95:20,25
63:3 136:14	<b>house</b>	26:9 111:24 112:2	96:7 103:16 105:17
<b>handshaking</b>	8:4	116:17,19 119:3,5	106:1 107:17
61:12	<b>HULBERT</b>	<b>identify</b>	111:13,23 112:5,7
<b>handwritten</b>	2:11	14:20 24:19,22 25:16	116:16 119:2
137:2	<b>hundred</b>	47:13 87:20 88:2,7	133:16 136:12
<b>happen</b>	39:22	102:6	<b>included</b>
50:20 82:10,15	<b>hypothetical</b>	<b>IDs</b>	16:20 32:10 121:19
132:12	114:10,13 132:12,19	81:23 89:13 96:5	126:16 129:24
<b>happened</b>	<hr/> <b>I</b>	133:17,22,23	<b>includes</b>
34:18 48:9 93:19	<b>ID</b>	135:11,25 136:12	45:16 52:18 53:2
97:16	60:16 75:25 76:19	<b>IETF</b>	75:24 95:15 96:12
<b>happy</b>	78:13,13 81:3,4,10	29:24 30:5,8,10,12	96:17,22 111:18
14:22 43:3 120:3	81:10,23 83:24,25	30:16 31:23,25 33:5	115:4 117:6,24
128:13	84:18 87:8,15,22	33:8 35:18 37:25	133:22
		38:18 39:14 66:13	<b>including</b>

21:22 76:18 131:23	58:4 62:7 96:14 121:25	112:16 132:16 <b>invalid</b> 12:11 51:2 98:4	33:14 34:20 83:4,5 <b>iRAD</b> 28:13
<b>independent</b> 41:17	<b>initiation</b> 30:7 60:3 73:13 96:11,17 97:8	<b>invalidated</b> 40:19	<b>island</b> 34:15 36:1,5
<b>index</b> 3:1 4:1 97:1,12 117:11	<b>instituted</b> 16:16	<b>invention</b> 55:15 59:21,23 69:6 69:19 98:7 99:5	<b>issues</b> 96:21 97:13 115:3 117:4,23,25
<b>indicated</b> 140:12	<b>institution</b> 16:6 37:13,16 38:7 40:9 41:8 42:7,25 80:21 81:7	<b>invitation</b> 135:14	<b>issuing</b> 79:12
<b>indicates</b> 103:12	<b>instructed</b> 9:17	<b>invite</b> 8:17,19 74:11,15,16 74:18,20,22 75:18	<b>J</b>
<b>indicator</b> 28:1 123:12	<b>INSTRUCTIONS</b> 3:8	79:12 87:20 88:2 92:19,21 93:2,6,22 94:18 96:14,21 102:17 103:4,12 104:4 106:12 107:1 111:1,13,17,22	<b>J</b> 1:18 3:2 5:4,17 15:9 140:20 141:25 142:25
<b>indirectly</b> 40:4	<b>instructs</b> 9:23	112:7,12,22 113:20 114:24 115:3	<b>James</b> 2:12 5:8
<b>individual</b> 28:13	<b>instrumentation</b> 27:25	116:16 117:5 118:23 119:2	<b>Jeff</b> 5:10 6:2
<b>industry</b> 30:23 34:14	<b>intended</b> 5:20 60:21	124:17 125:2,6,11 125:21 126:17	<b>Jeffay</b> 22:10,13 23:10
<b>info</b> 97:14	<b>inter</b> 111:23	129:25 131:22 133:15,21 136:11	<b>JEFFREY</b> 2:5
<b>information</b> 3:13 12:9 25:3,9 32:11 44:9,19 71:7 75:11 76:17 81:25 95:13,14 97:2 103:16 111:23 116:2,9,17,21 117:12 119:2,3,7,15 119:23 121:2,4,15 121:19 126:16 127:2 128:2,22 129:3,24	<b>interact</b> 50:4 67:19	<b>invites</b> 103:23,24 106:18	<b>Jeff.Becker@bake...</b> 2:8
<b>informative</b> 36:17,19	<b>interactive</b> 17:12	<b>involve</b> 102:23 113:17 123:1	<b>jets</b> 28:23
<b>informs</b> 77:8	<b>interface</b> 17:17	<b>involved</b> 22:16 25:2 31:8 32:12,14 34:9 61:11	<b>joined</b> 92:14
<b>infrastructure</b> 35:14	<b>interfaced</b> 22:3 28:23 29:5	<b>involving</b> 31:3 37:5	<b>K</b>
<b>initial</b> 60:11 93:3 116:14 122:10	<b>interfacing</b> 28:19 29:6	<b>IP</b> 83:4,9	<b>keep</b> 43:9,12 99:13 120:4
<b>initially</b> 35:1 115:6 117:7	<b>International</b> 34:5	<b>IPFCD</b> 62:9	<b>keeping</b> 79:9
<b>initiate</b> 50:4 134:12	<b>Internet</b> 30:6,20,24,24 31:7 31:21 34:8,10,13,18 34:19,24 35:12 83:8 123:20	<b>IPR</b> 22:23 23:8	<b>Kevin</b> 22:10,12 23:10
<b>initiated</b>	<b>interpreting</b> 98:15	<b>IPR2022-00557</b> 1:14	<b>key</b> 26:7
	<b>interrupted</b> 135:19	<b>IPTV</b> 31:9,15,20 32:12	<b>kickoff</b> 17:13
	<b>interruption</b> 95:22 97:18 101:8		<b>kind</b> 26:3,6 29:16 32:13 34:15 36:18 133:4
			<b>kinds</b>

17:23 83:17 109:17	<b>lawyers</b>	58:11 86:24	133:23 135:11,15
<b>know</b>	11:9	<b>limit</b>	135:25
5:25 10:10 22:10	<b>layer</b>	120:14	<b>linking</b>
23:13,22 37:9 38:2	83:2	<b>limitation</b>	55:14 81:3,9,22 98:6
40:20 41:25 43:13	<b>leading</b>	127:24	<b>links</b>
45:25 46:1,23 75:21	17:11 133:4	<b>limited</b>	59:23 73:18 131:4
83:15 85:5 94:7,14	<b>leads</b>	76:18 77:13 95:15	<b>list</b>
98:19 100:13 101:3	91:20	<b>line</b>	25:23 36:8
105:21 110:8	<b>learning</b>	3:9,14 6:23 60:13	<b>listed</b>
111:11 114:11	17:15 18:24 19:3	71:5 74:16 79:13	27:15,19 63:25
120:2,15 123:12	<b>leave</b>	87:21 88:3,8 95:2,7	<b>lists</b>
124:25 132:24	6:24	95:11 97:13 100:16	123:4 124:7
136:15 137:20	<b>left</b>	101:13,17,20	<b>litigation</b>
<b>knowing</b>	34:21 51:21 65:1	111:18 112:7	22:16 26:11
102:3	92:11 104:21	114:12,14,22 115:4	<b>little</b>
<b>knowledge</b>	<b>legal</b>	116:13 117:5,16,23	17:7 63:3 136:14
30:1	25:5,19 26:15,21	118:1,2,3,21 121:7	<b>Lloyd</b>
<b>known</b>	<b>lens</b>	122:3,11,25 123:3	50:7
32:16 103:7 106:13	70:5,9	126:25 130:22	<b>LLP</b>
111:4 112:11,21	<b>letter</b>	141:2,4,6,8,10,12	2:5,11
113:23 114:15,23	14:20 15:2,6 21:2,7	141:14,16,18,20,22	<b>location</b>
118:22 123:17	40:25 41:3 48:16	142:2,4,6,8,10,12	127:6
<b>KONINKLIJKE</b>	51:11,15 62:21	142:14,16,18,20,22	<b>locator</b>
1:10 140:4	64:17,22 80:16	<b>lines</b>	112:1 116:19 119:5
<b>KPN</b>	85:13,17 104:12,15	75:16,20 76:3,23,25	123:11
1:10 5:9 13:20 22:15	<b>lettered</b>	77:12,18 78:5 82:10	<b>logic</b>
22:22 23:7,20 140:4	14:15	82:16	67:23 135:6
<b>L</b>			
<b>L</b>	<b>letters</b>	<b>link</b>	<b>logical</b>
2:12 15:2,6	14:15	16:18 26:2 50:22	42:2 94:2
<b>labeled</b>	<b>let's</b>	55:19 75:12 84:6	<b>long</b>
54:3	6:20 14:23 24:6 27:9	98:1 126:6 127:5,6	11:15 26:4 43:18
<b>landscape</b>	29:19 33:1 40:24	<b>linkage</b>	<b>longer</b>
34:18	41:9 43:12,16,24	60:2 78:4,17,17 84:4	17:7 66:3
<b>Lane</b>	44:2 53:12 62:20	84:16 126:22 129:9	<b>longwinded</b>
7:15	63:7 68:10 79:1	131:1	130:25
<b>language</b>	95:18 100:15	<b>linkages</b>	<b>look</b>
108:15	101:13 104:11	126:5	21:1 23:16 34:13
<b>latest</b>	114:10 118:9	<b>linked</b>	36:19 38:11 40:11
32:2	124:11 129:12	75:25 76:5 77:9,23	40:24 52:10 57:9
<b>law</b>	132:25	78:13,19 83:25	59:20 62:20 72:25
11:6 16:17 17:9	<b>levels</b>	84:19,22 90:18 91:7	73:10 80:8 82:23
18:16 21:21 25:19	110:18	91:11,25 93:11	85:12 100:8,16
<b>lawyer</b>	<b>life</b>	118:15 126:3	101:13 118:9
19:6	24:25 26:14 33:25	127:11,14 128:4	132:25
	34:4,22 35:2 91:8	129:2 130:14 131:4	<b>looked</b>
	<b>light</b>	131:20 132:6	23:4 40:3 48:10

57:23	118:8 119:9,21	12:15	72:18,23 73:1,4,8
<b>looking</b>	120:2,11,17,21	<b>matter</b>	73:15,15,18,19 74:2
42:21 50:12 57:19	121:20 124:1 125:8	5:11 20:19 31:9,15	76:20 83:4,13 84:20
60:9 61:25 62:2	125:24 128:12	32:23 102:2 106:10	85:3,6,9 98:1,5,5
64:16 65:12 69:18	131:6 132:18	140:9	102:18,25 103:1,14
70:16,20 73:22 83:6	136:13,16,22	<b>MCDONNELL</b>	104:1,3 105:15,25
83:22 86:11 88:14	137:22 138:2,6	2:11	106:23,25 107:16
88:22,23 92:11	<b>lovsin@mbhb.com</b>	<b>MCS</b>	112:3 113:18 115:5
96:20 102:11	2:14	60:12,20,24 61:2,3,7	116:20 117:7 118:7
111:12 122:24	<hr/> <b>M</b> <hr/>	61:11,16,19 79:15	119:6 126:13
125:4,18 126:7,23	<b>M</b>	96:24 112:2 116:2,9	129:21 130:16,22
129:10	1:24 62:21 64:17,23	116:19,22,23 117:9	134:13
<b>looks</b>	<b>maintain</b>	118:1,2 119:5,15,23	<b>medication</b>
98:10	120:12	121:5,6,6,12 122:2	10:19
<b>lot</b>	<b>maintaining</b>	122:13 123:3	<b>meet</b>
28:24 50:3 77:7	80:5	126:11 129:19	16:25 17:3 133:9
<b>Lovsin</b>	<b>making</b>	130:21	<b>Megan</b>
2:12 3:5 5:8,9,12 6:2	120:4,13,16	<b>mean</b>	1:24 139:5,23
6:12,19 7:3,6 11:24	<b>manage</b>	35:23 40:17 41:7	<b>Melissa</b>
12:12 13:1,9 14:3,7	68:3	43:10 46:1,4 47:4	22:3
14:9,13,17 21:12	<b>managed</b>	67:25 68:4 72:18	<b>memorialize</b>
25:22 26:23 29:18	41:17 49:23 68:5	78:9 85:6,10 93:16	5:15
37:10 38:5 40:23	<b>management</b>	94:10,17 111:5	<b>memorized</b>
42:4 43:9,12,24	25:24 34:1,22 35:4	112:24 120:13	12:17 30:13
44:1 45:1,17 46:2	45:6,12,16,19,20,24	123:9,16 125:6	<b>memory</b>
46:13 48:1,20 49:7	46:4,15,15,19,19,22	135:6	96:23 97:2 115:5
49:17 50:9 51:19	47:2,4,7,8,12,16,20	<b>means</b>	117:7,12
54:12,20 56:1 57:1	47:20 82:1	36:11,13 46:8 123:16	<b>mention</b>
57:6 60:6 62:23,25	<b>manager</b>	124:25	101:16 105:21
63:7,12,22,24 65:9	34:23	<b>meant</b>	119:13
66:17 67:2,9,22	<b>manages</b>	26:5,5 67:7 87:25	<b>mentioned</b>
68:9 69:7 70:8,19	56:14	98:13	13:19 16:9 23:14
72:1 73:2 74:4,10	<b>manila</b>	<b>meat</b>	34:3 37:11 39:10
75:1 77:16 78:7,21	14:15	106:10	53:21 59:6 85:20
80:11,12,14 84:9	<b>man/aircraft</b>	<b>media</b>	124:4
85:1,8 86:1 88:16	27:25	44:11,22 45:13 47:9	<b>mentions</b>
88:24 89:2,22 90:7	<b>mark</b>	50:22 52:22 53:7,11	24:25 101:21
92:1,13,16,17 93:20	91:5 137:6	53:17,22 54:1,6,8	<b>merely</b>
95:1,17 97:21 98:23	<b>marked</b>	55:20 56:8,14,18	118:16
99:7,11,18,23	4:2,3 21:11 48:19	58:21 59:9,12,13,14	<b>Merging</b>
100:20 101:10,12	51:18 64:22 65:8	59:17,25,25 60:4,22	123:19
104:19 105:23	85:24 104:18	61:3,6,16 62:6,13	<b>message</b>
108:4 109:11	<b>material</b>	68:4,4,19 69:2,13	49:13,18 50:11 52:9
110:10,22 111:16	25:20	69:17,24 70:4,10,16	52:20,21 53:4,6
112:19 113:1 114:2	<b>materials</b>	70:25 71:1,3,3,7,11	54:15,23 55:7 56:3
114:21 115:8,20		71:22 72:3,6,11,13	56:17,17 57:2,8,13

58:18 60:14 62:8,15 62:18 65:15,20,24 66:19 67:15 74:20 74:23 75:10 87:20 88:2,7,12,18 89:4 89:19,24 90:9,24 91:2 92:19,22 93:22 94:18 95:11,13 96:7 96:21 97:13,14 100:11 101:3,17,23 102:2,4,7 103:9 110:20 111:9,13,18 111:22 112:7 113:9 115:3 116:3,10,12 116:16,24,24 117:3 117:5,15,17 118:2,5 119:2,16,25 121:7,8 121:11,14,16,19 125:2,10 126:25 127:1,22 128:2,22 129:25 130:21,23 134:20	44:15 <b>mixed</b> 132:23	<b>name</b> 7:8 20:14,18 22:2,5,7 23:10 139:18	106:24 111:1,12 113:20 115:3 117:4 131:22 132:6,9
<b>messages</b> 44:9,19 48:3 49:1,8 49:12 61:12 65:14 68:20 69:25 74:11 95:19,20,25 96:2,24 97:10,15 117:9	<b>mode</b> 134:3	<b>names</b> 31:14	<b>newer</b> 32:4
<b>messaging</b> 67:21	<b>modification</b> 103:21 106:16 122:7 122:17	<b>NATO</b> 28:22	<b>nodes</b> 44:20
<b>method</b> 132:20	<b>modifications</b> 6:25 114:19	<b>natural</b> 135:20	<b>non-failure</b> 107:13 108:10
<b>methods</b> 50:21 65:14 97:25 98:12 99:6	<b>modify</b> 102:15,22 113:14	<b>naturally</b> 135:7	<b>non-legal</b> 34:1 35:3,20
<b>middle</b> 58:9 84:14 129:15	<b>modifying</b> 106:11	<b>nature</b> 29:16	<b>normal</b> 36:8
<b>military</b> 27:5,10,18 28:3,5,7,9 28:11,16,17,24,25 29:2,6,8,9	<b>monitor</b> 92:10	<b>nearly</b> 31:12	<b>normative</b> 35:19,23 36:10,12,16
<b>minutes</b> 43:20 99:8,19,19	<b>months</b> 9:9 15:23 16:23	<b>necessarily</b> 46:8	<b>note</b> 104:5 105:7 107:2 108:17,25 114:4
<b>mischaracterizes</b> 71:21 109:4	<b>more-than-one</b> 128:4 129:1	<b>necessary</b> 133:8	<b>notes</b> 8:1,8 137:2,10
<b>misspoke</b>	<b>morning</b> 5:8 80:21	<b>need</b> 8:18 10:4,9 19:2 55:16 63:5 80:6 93:9 94:3,4 97:7 98:7	<b>notice</b> 5:17 15:9,17 17:5
	<b>Motion</b> 35:10	<b>needed</b> 96:19	<b>notifies</b> 118:2
	<b>motivation</b> 136:6	<b>needs</b> 61:14	<b>notoriously</b> 78:2,8
	<b>motivations</b> 136:3	<b>network</b> 44:20 52:20 53:5,10 56:10 58:3 86:15 87:3 131:16	<b>novel</b> 99:4
	<b>moving</b> 28:1 109:21 130:11	<b>networks</b> 27:7 29:10,12 46:16 46:20 64:2,10	<b>novelty</b> 97:3,23 98:9
	<b>MTI</b> 28:1,21	<b>neutral</b> 66:14,18	<b>number</b> 51:23 85:21 93:5,8 94:9,13
	<b>Muenks</b> 22:6	<b>never</b> 20:12 22:12 23:24 123:23	<b>numbers</b> 12:16 30:12,16,16 32:4 48:11 94:6
	<b>Multimedia</b> 123:19	<b>new</b> 55:18 92:19,21,22,25 93:1,8,22,23 94:1,3 94:4,17,18,23 96:21	<b>N.V</b> 1:10 140:4
	<b>multiple</b> 81:22 83:4		<hr/> <b>O</b>
	<b>m-e-t-h-o-d</b> 65:14	<b>N</b> 98:6 102:17 103:3 103:12 104:2	<b>O</b> 14:16
			<b>oath</b> 140:14
			<b>Object</b> 13:7 40:10 41:23

45:14,21 46:5 47:22 49:3,15,20 55:8 56:4 57:4 59:19 66:12,21 67:6,16 68:6 69:3,15 70:11 71:23 72:15 73:6 74:8,24 77:2 78:15 84:1,13 85:4 88:13 89:6 90:1 91:13 93:13 95:8 98:17 99:1 105:19 107:19 109:15 112:13 113:10 114:17 115:12 117:18 118:24 119:18 120:1 123:21 125:3 125:22 128:6 129:5	<b>offer</b> 104:2 106:24 107:15 108:12 140:13 <b>offered</b> 19:13,17 20:1,16,21 <b>offer-answer</b> 103:21 106:16 <b>OFFICE</b> 1:1 <b>officers</b> 29:1 <b>oftentimes</b> 28:15 <b>oh</b> 36:18 65:16 80:23 93:15 <b>OK</b> 60:13,15 75:10 88:7 89:13,19 95:10 97:15 116:24 117:17 118:1,5 121:8,11 122:3 124:17 125:2,12,21 126:2,24 127:10 128:2,22 130:23	138:11 <b>open</b> 6:24 8:15,16 14:5,11 15:1 48:9 51:12 137:14 <b>opened</b> 13:22 14:2 <b>opening</b> 44:13 69:5 74:14,15 <b>opens</b> 86:22 <b>operate</b> 50:1 55:12 <b>operating</b> 122:12 <b>operative</b> 51:5 79:10 <b>opine</b> 46:9 <b>opined</b> 40:18 <b>opining</b> 47:24 <b>opinion</b> 19:12,25 20:9 47:3 47:24 59:7 109:6,12 111:8 135:17 <b>okay</b> 9:13 14:4,14 24:8 29:21 38:13 41:1 43:15,24 44:5 48:23 63:19 64:13,16 72:12 79:2 80:25 89:1 99:16,18 100:17,22 104:13 112:6 114:22 120:24 <b>older</b> 32:5 <b>once</b> 17:5 79:14 <b>ones</b> 8:8 12:4 32:5 98:6 <b>on-demand</b> 83:8,15 <b>on-the-fly</b> 132:24 <b>oo0oo</b>	<b>organization</b> 11:3 <b>original</b> 18:17 113:6 <b>originally</b> 135:17 <b>outside</b> 24:2 50:6 66:23 <b>overall</b> 91:21 <b>owner</b> 1:11 2:10 5:9 12:6 16:3 20:11 137:24 <b>owner's</b> 12:7 15:9 40:14
<b>P</b>			
			<b>page</b> 3:4,9,14 4:2,8 21:13 24:7 41:9,11,21 42:11 50:13 52:13 57:25 59:21 60:10 65:15,16,17 80:9,24 83:2,19 102:13,20 108:6 113:4,13 115:11 119:1 127:21 129:10 141:2,4,6,8,10,12 141:14,16,18,20,22 142:2,4,6,8,10,12 142:14,16,18,20,22 <b>page-turner</b> 38:3 <b>paid</b> 28:16 <b>Paper</b> 5:18 15:4,12 41:3,5,9 41:22 42:11 80:8,22 <b>papers</b> 22:21 23:5,19 64:7 <b>paragraph</b> 26:24 29:19 38:11,23 44:4,6,14 45:2 47:14,15 50:13,20 52:13,13,24 53:13 53:19,22 54:16,24

54:24 55:13 57:19	<b>patent</b>	<b>petitioner</b>	115:14
57:21,22,22 58:8,9	1:1,3,11,15 2:10 5:9	1:8 2:4 81:21	<b>POPR</b>
58:24 59:22 62:2	12:6,6,18 13:16	<b>phrase</b>	16:3
68:11,23 69:5,8,18	15:8,25 16:3 19:21	<b>picture</b>	82:11,16
69:21 70:21 75:2,6	20:11 22:16 40:14	35:10 38:1,20 39:15	<b>portable</b>
75:22 76:6,8,10,14	42:1 45:3 46:25	73:22 79:7	27:25
76:22 77:1,5,11,15	48:6 85:14,15 86:3	<b>pieces</b>	<b>portion</b>
77:19 83:6 84:15,17	86:6 87:13 124:5	16:1	8:25 38:7 44:17
86:12 87:16,19,24	137:24	<b>pivot</b>	102:6
88:1,6,15,17,21	<b>pause</b>	99:11	<b>ports</b>
90:15,15,25 91:9	60:22	<b>place</b>	102:24 113:18
93:25 95:10 96:20	<b>PDF</b>	17:10 42:2 60:11,24	<b>POSITA</b>
97:25 102:12	15:13 16:10,13 23:3	77:14 79:14 82:11	30:3
103:20 105:5 106:3	<b>peer</b>	82:16 89:16 90:4,13	<b>position</b>
108:21 110:25	104:5	126:6 139:11	40:14 129:1,7
111:12,20 115:2	<b>penalty</b>	<b>places</b>	<b>positioning</b>
116:14 117:22	140:6,7	116:11	28:2
118:9,12 119:1,10	<b>pending</b>	<b>plaintiffs</b>	<b>positions</b>
120:25 121:9,10	10:10	40:19	34:6 40:18
126:8,23 127:16,21	<b>percent</b>	<b>plan</b>	<b>possible</b>
129:11,12,16	39:22	14:19	133:20 134:17 135:5
130:11 132:25	<b>performed</b>	<b>play</b>	135:9,24
133:7 136:7	55:14 98:6	60:22 68:21 97:14	<b>practice</b>
<b>paragraphs</b>	<b>performs</b>	117:25	36:8,8
47:18 55:2 84:3	130:18	<b>playback</b>	<b>preliminary</b>
87:10 90:20,21	<b>perjury</b>	60:22	16:4
91:16,17,19 129:8	140:6,7	<b>please</b>	<b>prep</b>
131:8	<b>permit</b>	7:7,11,13 9:25 10:5,9	18:19
<b>part</b>	58:13	14:5,9,13 15:1 21:1	<b>preparation</b>
30:13,19 34:11,25	<b>permits</b>	43:13 51:11 74:5	17:1,4 18:9
35:13 60:11 83:14	127:4	87:16	<b>prepare</b>
92:6 96:2 106:9	<b>person</b>	<b>plurality</b>	15:20 21:16 24:11
122:23	11:4 19:5 30:1 58:1	82:21 83:13	<b>prepared</b>
<b>participated</b>	59:7 70:2,6 82:8,23	<b>plus</b>	17:8 24:13 39:6
28:22	83:14 90:3 98:20	16:22	<b>preparing</b>
<b>particular</b>	109:22 118:13	<b>point</b>	15:14 16:10 18:2,14
9:21 25:4 32:23,24	133:10 136:8	42:8 43:3 55:1 62:23	18:18 21:18,23,25
57:16 100:14 112:2	<b>personnel</b>	63:20 86:9,17 91:4	39:23
116:19 119:5 121:5	28:6,9 29:1,7,9	100:13 101:4	<b>present</b>
<b>parties</b>	<b>perspective</b>	115:15,16 122:12	26:18 55:15 59:23
5:20 40:18	40:21 72:14	124:8 136:15	98:7
<b>parts</b>	<b>petition</b>	<b>pointed</b>	<b>presented</b>
23:4 34:4 109:21	37:22,23 38:16,25	53:8 54:16,24 56:13	12:5,20
116:13	39:6,11,20,23,25	69:21	<b>presenting</b>
<b>passed</b>	40:4 48:8 67:4	<b>points</b>	98:11
44:19	125:2		

<b>president</b> 34:22	<b>processing</b> 27:24,24 107:6	<b>pursuant</b> 15:16	<b>quotes</b> 129:7
<b>presumably</b> 80:3 134:1,24	<b>product</b> 34:22	<b>push</b> 53:15 62:11	<b>quote/unquote</b> 65:14
<b>presume</b> 66:22	<b>professional</b> 24:22 25:16,21 26:9 26:20	<b>put</b> 8:9 40:15	<b>quoting</b> 105:22
<b>pretty</b> 110:4	<b>program</b> 83:16 112:3 116:20 119:6 121:4	<b>putting</b> 17:10	<hr/> <b>R</b>
<b>previous</b> 33:17 75:22	<b>programs</b> 28:13	<b>p.m</b> 99:22,22 136:21,21 138:9	<b>R</b>
<b>previously</b> 21:10 48:18 51:17 65:7 85:23 96:22 104:17 111:14 115:5 117:6 126:17 129:25	<b>project</b> 28:21,24	<hr/> <b>Q</b>	<b>123:5</b>
<b>principally</b> 83:21	<b>projects</b> 28:15,21	<b>question</b> 9:21,22 10:5,7,11 11:23 13:8 18:23	<b>radar</b> 28:1,21
<b>prior</b> 4:6 13:16,17 16:1 33:21,24 34:13 46:25 47:5,13 48:7 66:24 67:3,11 100:19 134:1,24	<b>protocol</b> 30:7 31:7,21,22 33:3 59:24 60:3 64:19 71:14 73:13 85:3,7 85:9 95:12 121:14	20:13 42:22 46:1,17 48:25 49:16 50:7 52:13 54:19 60:5,18 62:17 65:18 66:6 67:8,23 76:12 86:17 87:24 91:5,15 95:24 97:8 100:19 101:5 104:9 105:11 106:6 108:2 109:7 110:4 110:17 113:6 116:7 119:19 120:8,24 121:22,23 122:19	<b>read</b> 16:3 48:11 70:3 77:4 79:6 81:20 82:8 91:23 98:21 119:12 140:7,10
<b>prior-art</b> 38:1,19 39:15	<b>protocols</b> 34:11	124:19,22 125:5,14 128:8,17 130:12 131:1 137:5	<b>reading</b> 73:22 81:6 87:14 103:18 107:20 108:3 111:20 116:5 116:6 117:20 121:1 129:15 133:3
<b>probably</b> 11:14,18 20:17 124:8	<b>provide</b> 11:19 26:20 47:17 65:24 90:22 109:17 114:7 117:21	<b>provided</b> 12:10 13:5,13 17:5 40:18 83:6 127:14	<b>ready</b> 99:11
<b>problem</b> 101:10	<b>provides</b> 87:1 136:2,6	<b>provided</b> 12:10 13:5,13 17:5 40:18 83:6 127:14	<b>real</b> 91:4
<b>procedure</b> 58:4	<b>providing</b> 20:9 86:13	<b>published</b> 48:6	<b>really</b> 40:21 78:11,11
<b>proceed</b> 14:10		<b>pull</b> 42:2	<b>realtime</b> 33:2 36:21 59:24
<b>proceeded</b> 32:3		<b>punctuate</b> 90:21	64:18 71:14,15 73:14 92:3,8,9 137:14
<b>proceedings</b> 138:10 139:12,15		<b>purpose</b> 6:15,18 49:11 66:14 68:17 69:23	<b>reason</b> 10:16 23:13 77:6 93:8 94:2,11 124:10 141:3,5,7,9,11,13 141:15,17,19,21,23 142:3,5,7,9,11,13 142:15,17,19,21,23
<b>process</b> 17:16 18:17 51:4 57:16 79:14 80:5 93:17 96:2 97:4,23 117:1 132:2 134:9 134:19 135:22		<b>purposes</b> 59:17 61:3 70:4,23 71:2 79:16	<b>reasonable</b> 110:1,12,17
<b>processes</b> 18:24			<b>reasonably</b> 60:23
			<b>reasons</b>

50:19 94:4	<b>recovery</b>	105:15,24 106:13	118:17
<b>recall</b>	107:8	107:11,14,16 108:1	<b>report</b>
12:5,8,19 20:7 22:25	<b>reestablish</b>	108:8,11 109:13	16:4 26:17
23:5,9,21 26:22	97:15 109:19 134:8	110:20 111:4,9	<b>reported</b>
31:14 32:23 36:24	134:14 135:21	113:6,9,12,23 114:1	1:24 139:12
37:3,3,18 39:5	<b>reestablishes</b>	114:8,11,13,15	<b>reporter</b>
40:16 47:23 57:5,14	118:6	115:17 117:1 135:9	6:6 10:1 95:22 97:18
57:15 61:24 101:25	<b>reestablishing</b>	<b>reinvites</b>	112:16 132:16
<b>receipt</b>	79:8 110:2,14	103:24 106:21	138:4 139:6
97:1,11 116:3,10	<b>refer</b>	<b>reject</b>	<b>REPORTER'S</b>
117:11 119:16,24	19:20 41:7 48:5,21	51:1 98:3	139:1
<b>receive</b>	52:4 59:4 65:3 77:1	<b>related</b>	<b>reports</b>
122:20	77:19 86:2 104:23	27:4 30:18 32:8	28:18
<b>received</b>	<b>reference</b>	33:11 37:2 68:24	<b>represented</b>
126:18 130:1	35:24 36:19 51:6,7	124:7	134:15
<b>receivers</b>	52:2 71:22 101:23	<b>Relative</b>	<b>representing</b>
28:2	103:10	32:20	5:11
<b>receives</b>	<b>REFERENCED</b>	<b>relatively</b>	<b>represents</b>
118:1 126:10 129:18	4:6	9:11	127:23
130:23	<b>references</b>	<b>relevant</b>	<b>request</b>
<b>receiving</b>	5:18 30:14 35:19	95:13 121:15	65:21 96:11,17 97:8
129:12	36:12 57:17	<b>reliable</b>	102:17 103:4 104:4
<b>recess</b>	<b>referred</b>	107:13 108:10	106:12 107:1 111:1
63:10 99:21 136:20	115:10	<b>relied</b>	<b>requested</b>
<b>recited</b>	<b>referring</b>	13:4,12 30:15	3:13 112:3 119:6
127:18,23	12:14,18 16:6 19:21	<b>relies</b>	<b>require</b>
<b>recites</b>	40:1 48:6,22 52:2,5	36:9	118:16
86:6	65:5 75:15,19 86:4	<b>relying</b>	<b>requires</b>
<b>recognize</b>	104:24 124:14,19	59:17	92:19,25
15:12	125:25 128:1,9,25	<b>remember</b>	<b>research</b>
<b>recollection</b>	131:7 133:19 135:8	9:7 11:2,3 16:20	28:13 119:4
22:7	135:13,23	20:14,18,19 22:2,4	<b>residential</b>
<b>record</b>	<b>refers</b>	22:9 37:9 38:4,6,9	83:7
5:15,17,24 6:3,7,9,11	44:8	40:12 45:23 51:10	<b>resource</b>
7:8,14 13:25 14:21	<b>reflected</b>	123:15,23 124:3,4,5	65:25 112:1 116:18
63:8 99:20 120:22	25:6	124:9,10	119:5 123:11,12
136:19 138:3,5,7	<b>refresh</b>	<b>remote</b>	<b>resources</b>
139:15	22:7	1:18 53:16 62:12	66:2
<b>recorded</b>	<b>refresher</b>	<b>remotely</b>	<b>responded</b>
128:3,23 129:4 131:2	9:13	17:2	91:16
<b>recording</b>	<b>regarding</b>	<b>render</b>	<b>responding</b>
5:18,19,20 6:14,22	64:1	10:20 19:12,25	40:4 52:21 53:5
<b>recovered</b>	<b>reinvite</b>	<b>repeat</b>	<b>responds</b>
15:24 17:15	100:11 101:3,16,19	13:8 46:17 49:16	53:10
<b>recovering</b>	101:19,23,25 102:7	54:19 135:10	<b>response</b>
18:23 110:3,14	102:9 103:7,9,13,14	<b>repeating</b>	107:14 108:11,14

107:14 108:11,14 116:2,9,23 117:25 119:16,24 121:6 130:12,24 131:12	<b>revive</b> 55:23 56:6 79:11,22 79:23 80:4 117:1 133:13 134:9 135:22 136:10	<b>RTC</b> 36:22 37:2,6	96:7,11,12,13,16,17 96:22,23,25 97:6,10 97:11,13 98:1,3,5 109:19 110:3,14 111:13 115:4,5,9,23
<b>result</b> 28:17 55:4,11 76:4 89:10,17 103:25 106:22	<b>revived</b> 131:21,24 134:7	<b>RTFP</b> 73:25	116:3,10,22 117:6,7 117:8,10,23,24,25 118:16,18 119:16 119:24 121:3,16,24
<b>results</b> 55:3 75:18 122:3 131:16	<b>reviving</b> 51:4 133:20 134:17	<b>RTP</b> 71:6,13,17 73:1 74:3 76:7,12,21 122:20 122:23,25	122:2,3,11 126:1,3 126:11,12,14,19 127:9,11 128:4 129:2,14,19,20,22 130:2,8,14,17,17,20
<b>resume</b> 24:10,13,15 25:3,6,9 26:3 30:14 79:14 97:13 117:23 118:7	<b>re-read</b> 44:16	<b>RTPS</b> 50:23	130:22 131:3,20,23 131:23 132:5,19,21 133:13,14,14,21,22 133:23 134:4,9,11 134:13,21 135:4,11 135:15,21,25 136:10,10,11,12
<b>resumption</b> 63:6	<b>RFC</b> 30:10,16 31:25 33:8 53:4 65:1,4,10,16 66:7,10,18 67:3,11 86:25 102:14 103:20 104:20,23	<b>RTSP</b> 33:1,5,8,11,12,20,24 35:5 48:2 49:1,5,8,9 49:10,12,13,13,18 49:18,21,23,25 50:11,17 51:1,4,8 52:9 53:20,21 54:3 54:9,15,15,23,23 55:4,6,7,12,20,22 56:3,7 57:2,3,7,11 57:13 58:5,15,20 59:5,15,16 60:4,8 60:11,16,18,21 61:12,17,20,21 62:5	136:10,10,11,12
<b>retained</b> 11:16 19:13,17 20:1 20:6 31:3 37:5	113:13 114:3 115:11	62:16,18 65:11,19 65:24 66:2,8,11,19 67:14,21 68:15,18 68:21 69:2,9,12,17 69:22,23 70:4,10,24 71:1,9,10,12,18,21 72:3,6,13,18,21 73:4,8,15,17,18 74:7,17 75:8,12,15 75:18,25 76:1,4,11 76:20 77:9,23,25 78:6,12,19,23 79:3 79:9,14,16,18 80:5 81:3,10,15,17,23 82:2,3,4,7,12,12,17 82:20,25 83:24 84:5	<b>rude</b> 120:8
<b>return</b> 60:13	<b>right</b> 8:12 15:5 30:11 32:1 32:19 39:8,16 43:12 49:2 51:24 64:19 75:17 81:19 84:14 85:21 94:15 110:19 112:8,22 114:5 121:22 128:23	82:20,25 83:24 84:5 84:6,11,18,21,22 85:2,3 87:2 89:9,11 89:13,19,20 90:17 91:6,11,22,24 92:18 93:11,18 95:3,6,14 95:15,18,20,25 96:4	<b>rules</b> 9:12
<b>returned</b> 89:14 116:2,9 119:15 119:23 121:11	<b>right-hand</b> 111:21	93:11,18 95:3,6,14 95:15,18,20,25 96:4	<b>S</b>
<b>Returning</b> 75:7	<b>rocket</b> 82:13	96:7,11,12,13,16,17 96:22,23,25 97:6,10 97:11,13 98:1,3,5 109:19 110:3,14 111:13 115:4,5,9,23	<b>S</b>
<b>returns</b> 60:15 116:23 121:7 126:24	<b>role</b> 10:24 33:21 35:3	116:3,10,22 117:6,7 117:8,10,23,24,25 118:16,18 119:16 119:24 121:3,16,24	2:5
<b>review</b> 15:24 22:21 23:19 39:23 65:20	<b>room</b> 7:20,24	122:2,3,11 126:1,3 126:11,12,14,19 127:9,11 128:4 129:2,14,19,20,22 130:2,8,14,17,17,20	<b>sampling</b> 26:6
<b>reviewed</b> 15:25 16:1 23:6 37:12 38:25 39:5	<b>Ross</b> 2:6	131:23 132:5,19,21 133:13,14,14,21,22 133:23 134:4,9,11 134:13,21 135:4,11 135:15,21,25 136:10,10,11,12	<b>sandbox</b> 31:11 36:3
<b>reviewing</b> 16:22	<b>round</b> 82:10,15	136:10,10,11,12	<b>satellite</b> 34:14
<b>revised</b> 79:7	<b>RPS</b> 82:25		<b>save</b> 140:11
<b>revival</b> 92:18 93:2,23 94:18 96:2,4 97:4,23 132:2,5,20 134:3,14 134:19 135:22 136:6	<b>RSTP</b> 103:1		<b>saying</b> 33:19 40:5 45:12,15 55:2 57:17 59:13 61:5 69:9,21 70:24 71:20 72:2 73:4,7 90:21 121:1,10 122:13
	<b>RT</b> 32:22		<b>says</b> 27:20 39:8 50:14,20

52:14 53:13 55:13 71:5 73:11 84:15,17 86:23 96:20 99:5 102:13 103:20 106:12,15 107:22 107:23 108:6 110:6 112:5 114:3,6 115:17 117:22 131:4 <b>scanning</b> 84:3 <b>scene</b> 34:8 <b>science</b> 82:13 <b>scope</b> 66:23 <b>screen</b> 14:22 <b>SDP</b> 31:23,25 32:8,9,19 32:22 33:13,20,23 35:5 54:9 95:12 103:16 105:17,21 121:14,19 <b>search</b> 102:1 <b>second</b> 52:17 68:14 81:17,17 82:12,17,24 84:6,11 89:18,18,20 92:10 117:2 118:15 127:5 127:6,23 131:5 <b>secondary</b> 83:16 <b>second-to-last</b> 42:15 <b>section</b> 12:24 41:11 42:12,15 65:13 66:7 102:20 102:21 103:23 106:4,9,10,10,18 113:14 115:14 <b>see</b> 14:23 15:4,8 21:6,14 26:2 27:2 29:9,11	29:22 30:4 38:3 39:3 41:2,11,14 42:12,14,14 44:6,15 44:23 51:14,20 53:12,21 59:6 60:10 64:21,25,25 65:13 65:15 68:13,22 75:3 75:5,14 76:2 79:13 80:9 81:1,5 85:17 85:20 87:17 92:11 94:13 100:8 101:14 104:14,20 105:4,12 105:13,20 108:15 110:6 111:6 120:24 122:1 123:13 124:12 133:2,6,18 <b>seeing</b> 97:4 101:25 <b>seen</b> 62:4 123:23 <b>send</b> 96:23 97:10 104:4 107:1,10,25 108:7 114:8 115:17 117:8 <b>sending</b> 42:18 52:19 53:3 88:11,18 89:4,24 90:9 96:6 102:17 103:3 111:1 113:20 115:23 131:22 <b>sends</b> 75:9 95:19,25 96:11 96:16 112:12,22 114:15,24 116:21 118:23 121:3 130:20 <b>sense</b> 10:7 60:21 125:6 134:7 <b>sent</b> 90:24 91:2 104:8 105:10 106:12 107:4,25 108:20 109:2 114:5,14 115:16 126:2 127:10 137:7	<b>sentence</b> 37:19 42:15 44:13 52:17 68:14 133:6 <b>separate</b> 70:18 <b>sequence</b> 61:9 <b>server</b> 73:16,17 122:7,13,15 122:17 <b>services</b> 83:9,10 <b>session</b> 17:13 30:7 31:22 42:6,17,19 45:5,12 45:15,19 46:15,19 46:22 47:4,7,12,20 49:6,10,12,14,19,23 50:11,16,17,23,24 52:9 53:20 54:3,9,9 54:15,23 55:3,5,7 55:11,18,20,24 56:3 56:10,14,16,17 57:3 57:23,23 58:6,13,15 58:20 59:5,12,13,14 59:15,16,17,25 60:2 60:4,8,12,16,18,21 61:4,6,17 62:4,16 65:11,19 66:2,8,11 66:20,25 68:15,17 68:18 69:2,2,9,10 69:13,13,14,17,22 69:22,23 70:4,7,10 70:10,15,24,25,25 71:6,9,13,17,18,21 71:22 72:3,3,4,6,11 72:13,13,18,18,19 72:21,21,23,24 73:1 73:4,5,5,13,15,18 73:19,20 74:3,7,15 74:18 75:8,13,13,16 75:18,20,25 76:1,2 76:5,20 77:9,10,23 77:24,25 78:6,13,13 78:20,23,23 79:4,9 79:16,18 80:1,3,6,7	81:15,16,17,18 82:7 82:13,17,20,25 83:14,24,25 84:5,5 84:7,7,12,18,20,23 86:7,10,14,15,16,19 86:20,22 87:1,6,8 87:11,15,22 88:4,9 88:12,19 89:5,12,13 89:20,24 90:10,17 90:19,19 91:6,7,11 91:12,22,25,25 92:18,22,25 93:3,3 93:5,11,12,18,23 94:19 95:3,6,12,14 95:15,20 96:1,4,4,7 96:11,12,16,17,22 96:25 97:11,17 98:1 98:2 102:16,22 103:6,15,17,22 104:7 105:3,9,16,17 105:18,25 106:1,11 106:17 107:3,5,11 107:17,18 108:1,8 108:19 109:1,14,19 110:7 111:3,14,25 112:10,21,25 113:2 113:3,15,22 114:4,8 114:14,19,23 115:4 115:6,9,10,24,24 116:1,8,18 117:6,7 117:10,24 118:16 118:18,21 119:4,11 119:14,22 121:13 122:3,25 124:15,16 124:24 125:7,9,10 125:15,16,19,19 126:1,3,4,4,11,13 126:14,15,19,20 127:9,11,11,12,17 127:18 128:4,5,5 129:2,2,3,14,19,21 129:22,23 130:2,3,8 130:10,14,15 131:3 131:9,15,17,20,20 131:21,22,23,23 132:5,6,9,10,13,14
--	---	---	---

132:21 133:13,16	18:6	<b>similar</b>	112:6,12,22 113:8
133:22,23,24 134:2	<b>SHEET</b>	89:7	114:11,13,15,24
134:5,6,9,12,13,25	140:1,13 141:1 142:1	<b>simply</b>	115:3 116:18 117:4
135:6,11,12,16,19	<b>shipped</b>	40:1 82:5 110:3	118:23 119:2,4
135:25 136:1,6,10	8:4,7 13:20	<b>single</b>	124:15,15,17,24
136:12	<b>Shorthand</b>	74:6 75:15,19 78:19	125:2,6,7,9,10,11
<b>sessions</b>	139:6	81:15,15,23 84:4	125:15,15,19,19,20
17:13,16 40:8 41:12	<b>show</b>	133:21 134:4,18,20	126:3,4,15,17,20
41:16,16,21 44:12	46:8 52:8 66:9 74:12	135:16	127:11,12,17 128:4
44:22 45:7,13 47:9	123:2	<b>SIP</b>	128:5 129:2,3,23,25
47:16,17,21 49:5,9	<b>shown</b>	30:8,10,16,18,19	130:3,10 131:9,17
49:23,25,25 50:5	52:23 60:12 73:24	31:1,4,10 32:9,10	131:19,21,22,22
51:4,5,8 53:22,23	74:16 76:15 77:7	32:22 33:12,19,23	132:6,9,10,13,14
53:25 54:1 55:12,22	78:4,17 79:4,18,20	35:5 49:6,23,24	133:15,16,21,24
56:7,14,19 58:5,16	79:20,23 80:1 82:7	50:10,16,23 51:4	134:2,5,5,12,17,18
61:22 67:21 68:4,5	89:10,20 93:19	52:9,19 53:3,10,25	134:20,24 135:9,12
70:5,16,16,17,18	94:22 97:12 126:20	54:5 55:3,10,11,18	135:16,19,25
71:1,2,9,10,11,12	127:15 130:3	55:23 56:2,7,10,13	136:11
71:12 72:7 73:8,8	134:10	56:16,17 57:23 58:5	<b>SIP's</b>
73:23,24,25,25 74:1	<b>shows</b>	58:13,18,19 60:3	79:9
76:4,7,11,11,12	66:7 72:25 74:6,14	62:8,15 66:25 67:21	<b>sit</b>
78:19 79:23 80:6	74:15,19,19 76:11	68:3,5 70:15,18	123:15
82:2,3,5 84:21	77:8 78:22,25 81:16	71:9,12 73:9,13,20	<b>sitting</b>
86:14 87:3 89:9	82:4 92:23 122:25	73:24 74:11,14,15	8:4 15:22 36:24 38:6
96:13 97:6 98:5	123:2 135:15	74:20,22 75:13,17	47:11 57:12 102:5
109:20 115:18	<b>sic</b>	75:19,25 76:5,11	111:7 123:24 124:9
121:24 122:21,23	87:19	77:9,23 78:13,19,23	<b>SITUATED</b>
127:3,5 130:16,22	<b>side</b>	79:12,25 80:2,6	1:22
133:21 134:4,11,18	111:21	81:4,10,15,18 82:22	<b>situation</b>
135:4,15,21	<b>signal</b>	83:25 84:5,7,19,24	56:9 134:1,10,14,24
<b>set</b>	27:24	86:15 87:8,14,15,20	135:17
16:14 17:22 43:16	<b>signaling</b>	87:22 88:2,4,9,12	<b>six</b>
44:9,20 46:24 48:25	44:8,18 47:16 58:12	88:18 89:5,24 90:10	9:9 20:17
50:17 60:4 130:21	58:13 61:21 66:8	90:19 91:7,11,25	<b>skill</b>
139:11	68:16,20 69:10,13	92:19,21,22 93:1,3	30:2 54:7 58:1 59:8
<b>setting</b>	69:22,24 70:7,25	93:3,5,11,22,23	61:13 70:2,6 72:20
49:4	71:9 72:4,11,19,21	94:1,18,18 95:20,25	73:21 78:3 82:9,23
<b>setup</b>	73:5,24 74:1 75:8	96:4,14,21 97:16	90:4 93:16 98:20
45:13 48:2 49:1	84:23	98:2 100:11 101:16	109:22 118:13
60:11,12 75:9 96:24	<b>signature</b>	101:19,22 102:2,3,6	133:11 136:9
97:10 117:9 122:2	21:14,15 141:24	102:8,10,16 103:9	<b>skilled</b>
122:11 126:12	142:24	103:14,15 105:15	50:15
129:20 130:20	<b>signed</b>	105:16,24,25 106:8	<b>skip</b>
<b>share</b>	15:23 22:24 140:16	107:16,17 109:13	129:12
8:22 14:22	<b>silent</b>	109:19 110:19	<b>SMPTE</b>
<b>shared</b>	112:14,17	111:9,12,17,22,24	35:9

<b>Society</b> 35:10	<b>specifically</b> 9:22 38:10 62:17 76:21 87:5 107:21	74:16 129:11 <b>starts</b> 121:9	<b>store</b> 76:17
<b>soft</b> 23:3	<b>specification</b> 42:18 70:14 108:13	<b>state</b> 7:8,13 118:12 139:6	<b>stored</b> 96:23 97:2 111:14 115:5 117:6,12
<b>solid</b> 42:9	<b>specs</b> 123:13	<b>stated</b> 90:16 129:8 130:13	<b>stores</b> 130:8,9
<b>Solutions</b> 25:24 34:1 35:4	<b>spell</b> 7:8,10	<b>stateless</b> 107:5	<b>stream</b> 52:22 53:7,11,17 54:8 56:8 62:6,14
<b>solving</b> 36:1	<b>spent</b> 17:24 18:1	<b>statement</b> 27:2 29:22 30:4 41:14 44:7 52:24 68:8,13,23 72:17 75:5,14 81:1 82:14 84:3 98:19 115:22	65:22 66:1 68:19 69:24 102:18,25 103:1,15 104:1,3 105:16,25 106:23 106:25 107:17 113:18,25 118:7
<b>someplace</b> 46:9	<b>spoken</b> 18:18 20:23	<b>statements</b> 13:2,10 45:11	<b>streaming</b> 33:2 50:22 59:24 61:17 64:18 73:14 98:1
<b>sorry</b> 6:6 22:5 57:15 80:15 80:20 83:20 89:1 90:15 91:9 92:12,13 100:22 101:7 102:11	<b>sponsored</b> 28:15	<b>states</b> 1:1 117:11 126:8 132:13	<b>streams</b> 54:7 58:21 59:10,25 71:3,4,16 72:11 83:13
<b>sort</b> 97:3	<b>spots</b> 17:10	<b>status</b> 28:18 97:16	<b>street</b> 6:23
<b>sound</b> 10:12	<b>sprinkled</b> 35:15	<b>staying</b> 45:2 74:5 119:14,22	<b>strike</b> 67:10
<b>sounds</b> 9:10	<b>sprinkling</b> 26:1	<b>stenographically</b> 139:13	<b>studios</b> 35:9
<b>source</b> 60:25 61:16	<b>stand</b> 30:5 31:20 123:8	<b>step</b> 53:18 62:7,14 68:21 124:17,18 125:11	<b>studying</b> 36:11
<b>South</b> 2:12	<b>standard</b> 30:8,10 31:8,23 32:1 33:5,8 35:24,25,25	<b>125:12,21,21 126:2</b>	<b>stuff</b> 34:19
<b>SP</b> 32:22 81:23	<b>spans</b> 36:1,7,8,9,11,13,13 36:15,15 58:18	<b>126:24 127:10</b>	<b>subject</b> 19:4 20:19 31:9,15 57:20
<b>speaks</b> 47:15	<b>speaking</b> 64:19 66:5,16 71:15 98:14 102:1,10,20 124:6	<b>128:2,3,10,11,22</b>	<b>submitted</b> 20:5 22:25 23:11
<b>spec</b> 45:10 49:22 57:9,10 57:11 65:16 94:7,15 99:4 106:8 108:3,5 109:6,9,25 110:5,6 113:12,25 117:20	<b>standards</b> 29:24 30:20,24 35:11 35:16,18 36:4,6,9 36:10,17,17 37:25 38:19 39:14 50:3 66:14 67:19 107:21 123:13	<b>Steps</b> 118:17 129:13 132:1 135:10	<b>subscribed</b> 139:18
<b>specific</b> 25:18 36:2,2 109:7	<b>start</b> 61:1	<b>sticking</b> 89:16	<b>subscribers</b> 83:7
	<b>started</b> 15:14 16:10,21 99:10 134:5,22	<b>stop</b> 43:14	<b>subsequent</b> 51:1 74:17 78:5 97:15 98:3 118:18
	<b>starting</b>	<b>stopping</b> 66:1 136:15	
		<b>stops</b> 65:21	
		<b>storage</b> 15:23 116:21 119:7 121:2	

<b>subsequently</b> 5:22 60:15	27:4,6 29:10,12 33:14 34:10 35:22 64:1,5 83:4	<b>task</b> 30:6	35:10 56:24 83:16
<b>substantiate</b> 90:23 91:2		<b>taught</b> 53:4	<b>tell</b> 7:24 31:19 51:9 56:24 93:10,16 118:4 138:4
<b>subsystem</b> 83:4 123:19	<b>S-I-P</b> 86:15	<b>teach</b> 50:7,10 51:3 56:2 82:18 94:8,10 124:15,23 125:9,19 126:1	<b>telling</b> 108:24 111:7 128:15 128:17
<b>subtleties</b> 37:21		<b>teaches</b> 49:24 51:10 53:25 58:17 94:7,8,15,15 97:9 98:21 125:17 127:9 131:19 132:20 135:5	<b>tells</b> 97:24 118:25 119:8
<b>suggest</b> 50:10		<b>teaching</b> 53:24 55:23 58:11 94:24 131:12 134:3 134:7	<b>ten</b> 99:19
<b>suggesting</b> 40:5 111:5		<b>teachings</b> 29:23 56:15 89:9,16 91:19 136:3	<b>term</b> 20:8 34:3 45:23 46:7 46:12 48:21 53:20 69:16 70:13 72:6,7 85:9 87:11,12,12 102:9 122:14
<b>suggests</b> 98:22		<b>taken</b> 49:8 10:1,11 18:6 43:3,6,14 60:23	<b>terminal</b> 56:8 60:1,20 73:12 79:15 118:6 122:5 122:14
<b>Suite</b> 2:6		62:23 82:11,16 90:13 98:15,25	<b>terminate</b> 44:10,21 49:25 50:1 50:4,18 53:16 54:1 55:24 56:11,18 57:3
<b>summary</b> 24:24 59:21 69:6		99:12 126:5 136:17 137:2,10	57:24 58:14,19 62:12,16 65:11,19 66:4 67:21 131:9
<b>supplemented</b> 56:15		<b>takes</b> 60:11 77:14 79:14 126:24	<b>terminated</b> 47:9 55:21 58:4 131:16
<b>supplying</b> 56:8		<b>talk</b> 56:21 58:7 95:18 99:24 136:23,25	<b>terminates</b> 49:13,18 50:11 52:9 54:15,23 55:7 56:3 62:18 131:20
<b>support</b> 33:13 35:11,17 36:14 69:6		<b>talked</b> 58:24 62:24 80:19,20 89:12 91:14 137:13	<b>terminating</b> 52:22 53:6,11 65:25
<b>supports</b> 107:5,7 110:5		<b>talking</b> 59:15 63:21 81:14 88:21 97:22 109:25 128:11	<b>termination</b> 45:13 51:8 52:16 53:2 55:3,10 56:22 61:22 66:25 134:2 134:25 135:19
<b>suppose</b> 20:9		<b>talks</b> 30:14 77:6 83:5 91:21 106:14 116:11	<b>terminology</b> 123:14
<b>supposed</b> 88:23		<b>tanks</b> 28:23	<b>terms</b> 109:21 114:19
<b>sure</b> 7:25 18:22 21:20 34:17 63:9 86:5 101:20 103:18 115:7 117:13 132:15,17		<b>target</b> 28:1	
<b>surfaced</b> 45:24			
<b>surrounding</b> 81:13			
<b>sworn</b> 5:5 139:10			
<b>system</b> 28:2 82:12			
<b>systems</b>			

117:14	<b>thought</b>	116:14	124:3,4
<b>testified</b>	18:24 88:21 99:3	<b>torn</b>	<b>turn</b>
21:21 38:14 69:1	100:23,25 101:8	109:20	24:6 29:19 41:9
79:21	110:9 132:22	<b>torn-down</b>	42:11 44:2,3 63:17
<b>testifies</b>	<b>three</b>	131:21	68:10 74:5 79:1
5:6	11:8,12 17:6,7,12,18	<b>touch</b>	80:24 87:16 127:4
<b>testify</b>	18:2 61:10	33:1	<b>turned</b>
10:17,21	<b>three-dozen</b>	1:1	97:14
<b>testimony</b>	35:16	<b>trained</b>	<b>turning</b>
17:1,4 18:21 19:16	<b>time</b>	29:9,12	26:24 75:2
20:5 37:12 40:22	10:4 17:10,23 18:6	<b>transcribed</b>	<b>turns</b>
63:14 72:12 90:22	20:8 26:4 27:14	139:13	67:19
100:3,5 109:4	30:3 34:21 56:10	<b>transcript</b>	<b>TV/COM</b>
139:12,15	131:5 135:6 137:23	140:8	34:5
<b>Texas</b>	137:25 139:11,16	<b>transfer</b>	<b>two</b>
2:7	<b>timed</b>	54:10 72:24 74:3	11:8 17:6,13,21 23:9
<b>text</b>	134:6	<b>transfers</b>	35:16 45:11 55:1
27:23 70:1 125:5,5	<b>timeout</b>	73:1	76:4 78:19 82:2,3,4
133:2,3	131:16	<b>transition</b>	89:9,11 115:13
<b>textbook</b>	<b>times</b>	43:2 62:22	116:11 126:5 127:3
64:8 123:18	9:4 58:6	<b>transmission</b>	131:4 133:20,22,23
<b>thank</b>	<b>time-out</b>	53:17 62:13 71:7	134:4,10,17 135:4
5:12 6:12 9:15 14:18	57:24 134:2,25	<b>transmissions</b>	135:11,15,21,25
63:23 80:13 137:22	<b>title</b>	34:24	<b>two-or-more</b>
<b>therewith</b>	15:8 60:10 73:22	<b>transmit</b>	127:5
31:11	123:18	54:6	<b>two-phase</b>
<b>thing</b>	<b>today</b>	<b>transmitted</b>	61:1
56:22 67:1	5:13 7:18 8:2 10:17	122:4	<b>two-way</b>
<b>things</b>	14:19 15:16 17:1,4	<b>transmitting</b>	6:23
83:17 116:17 119:3	18:21 19:20 36:24	71:15	<b>types</b>
128:8	39:23 52:4 102:5	<b>traveled</b>	28:9 34:11,24 46:15
<b>think</b>	123:15,24 124:9	28:20	46:19
5:23 6:20 11:11	137:3,8,11,23	<b>Trial</b>	<b>typewriting</b>
14:23 16:14 17:18	<b>told</b>	1:3 19:22	139:14
17:23 18:4,7 22:24	16:21 113:12	<b>tried</b>	<b>typically</b>
39:21 43:7 46:7,11	<b>tone</b>	70:12 120:14	17:12 33:13 36:4
54:7 60:5 63:2 67:7	120:7	<b>true</b>	43:22
87:23,24 88:24 91:4	<b>Tony</b>	139:15 140:10	<b>typo</b>
92:14 93:8,15 94:2	63:4	<b>truthfully</b>	87:23
94:3 96:8 99:7,9,10	<b>tool</b>	10:17	<hr/> <b>U</b> <hr/>
100:20 101:18,18	65:24	<b>try</b>	<b>U</b>
110:11 120:8	<b>toolkit</b>	120:3	123:5
121:21 135:13	30:19	<b>trying</b>	<b>UAC</b>
136:13	<b>top</b>	45:22 101:4,11	103:25 106:14,22
<b>thinking</b>	15:4 51:20,24 65:1	120:18,21 123:12	107:10,25 108:7
30:25 94:11 123:10	85:21 104:20		

114:8 115:17	<b>URI</b> 65:23 123:4,9,11,16	126:2,18,25 127:4 127:10 128:3,23 130:1 131:21 133:12 136:9	<b>wasn't</b> 34:17 40:2
<b>UC</b> 87:21	<b>URL</b> 76:19 111:18 112:1,5 112:8 123:10		<b>watching</b> 56:23
<b>Uh-huh</b> 94:16	<b>use</b> 5:22 8:20 53:19 56:12,13 58:17 62:18 66:19 70:13 79:5 94:23 98:15,24 102:9 113:11 117:14 123:16 132:10 136:4		<b>way</b> 31:6 36:18 46:21 54:10 55:23 59:9 69:18 71:4 77:24 82:19,19 89:15
<b>ultimately</b> 13:5,13 61:18	<b>user</b> 52:18 53:2 56:8 58:14 60:1,20 62:9 65:10,19 66:10,15 66:18 73:12 76:19 79:15 111:24 112:4 114:7 116:18 118:6 119:4 122:5,14,17 122:18		<b>ways</b> 50:3 67:18
<b>unclear</b> 128:8,18	<b>uses</b> 62:15 66:25 69:16 72:6 73:12 93:5 96:25 97:11 114:20 117:10 132:11		<b>web</b> 36:21,22 37:2,5 83:9
<b>understand</b> 10:2,6 11:22 18:22 19:21 32:15 48:6,22 52:5 54:8 59:8 61:14 65:4 70:3,7 72:20 73:23 82:9,24 86:3 87:13 90:4 91:24 93:17 102:4 104:24 120:18 122:19 128:14 133:4 136:3 137:5	<b>usual</b> 17:8		<b>website</b> 25:25 26:2
<b>understanding</b> 6:17 140:13	<b>usually</b> 17:22 25:2,8		<b>Wechselberger</b> 1:18 3:2 5:4,13,18 7:7,9 14:4 15:10 21:8 43:5,19 63:13 89:3 92:2 99:16,24 100:25 101:14 106:5 136:23 137:23 140:20 141:25 142:25
<b>understands</b> 83:3	<b>UT</b> 51:2 55:18 60:16,24 61:3,6,11,15,19 68:19 69:23 75:10 86:25 87:5 88:3,8 88:12,19 89:5,14,25 90:10,24 91:3 95:19 95:24 96:3,6,10,16 96:21 97:1,11,12 98:3 112:11,22 114:15,24 115:3		<b>WEDNESDAY</b> 1:20 5:2
<b>understood</b> 9:18,23 10:3 27:22 37:20,25 38:18 39:13 52:7 58:2 68:16 69:10 118:14 120:17	<b>wanted</b> 56:18		<b>weeks</b> 11:18 17:6,8
<b>uniform</b> 111:25 116:18 119:4	<b>wants</b> 72:8,9 103:25 106:22		<b>welcome</b> 72:8
<b>unit</b> 34:24	<b>war</b> 28:22		<b>went</b> 18:25 20:15 23:16 97:17 109:7,8
<b>UNITED</b> 1:1	<b>wargame</b> 27:25		<b>weren't</b> 128:19
<b>universal</b> 123:11			<b>we'll</b> 99:20
<b>universe</b> 123:11			<b>we're</b> 98:15 138:5
<b>unqualified</b> 19:12,25			<b>WHEREOF</b> 139:18
<b>updated</b> 26:4			<b>whichever</b> 54:10
<b>updates</b> 24:14 97:1			<b>white</b> 64:7 110:5
			<b>wished</b>

133:12 136:9	63:4	32:17	133:1
<b>withdraw</b>	<b>worked</b>	<b>yellow</b>	<b>1006</b>
125:13	11:8 18:17 21:20,21	62:7	4:11 48:16,18,22
<b>withdrawng</b>	25:19 27:12 28:12	<b>Z</b>	<b>1007</b>
100:19	28:25 30:23 32:12	<b>Zoom</b>	4:12 102:11,19
<b>witness</b>	35:5,6 137:4	2:1 6:14 8:11,15,19	104:15,17,25 105:6
1:22 3:2 11:22 12:4	<b>working</b>	8:21 17:18 18:8,14	108:21 113:3,13
12:23 13:8 14:14	27:3 30:22 92:4	<b>1</b>	115:14
19:9 25:18 26:13	<b>works</b>	<b>1</b>	<b>1009</b>
29:15 37:8,18 40:11	63:1	41:11 59:21 77:7	4:13 64:22 65:1,3,5,7
41:24 43:10,15,21	<b>Worlds</b>	83:2,2,19 86:6,12	<b>101</b>
44:25 45:15,22 46:6	123:20	122:24 127:18	90:15 126:23
47:23 49:4,16,21	<b>wouldn't</b>	128:3	<b>1010</b>
54:5,19 55:9 56:5	90:5	<b>1A</b>	4:14 51:15,17,21,24
57:5 59:20 63:5	<b>Wright</b>	86:13	52:1,6
66:13,22 67:17 68:7	50:7 51:7,10,20 52:1	<b>1C</b>	<b>104</b>
69:4,16 70:12 71:24	52:4,8,23 53:13,19	127:24	4:12 21:13 114:22
72:16 73:7 74:9,25	53:23,24,25 54:2	<b>1D</b>	115:4 117:5 132:1
77:3,21 78:16 84:2	56:15,24 58:8,12,16	70:22	<b>105</b>
84:14 85:5 88:14	58:22 59:1,4,9	<b>1:10</b>	31:18
89:7 90:2 91:14	61:25 62:3,10,15,19	99:10	<b>106</b>
93:15 94:21 95:9,23	67:20	<b>10</b>	24:7 68:11,23 69:8
97:19 98:18 99:2,17	<b>Wright's</b>	65:13	69:21 70:21
101:2 105:20	52:15 53:1	<b>10.7</b>	<b>107</b>
107:20 109:5,16	<b>wrote</b>	65:15 66:7	47:14
111:11 112:14,17	37:19 105:13,21	<b>10:56</b>	<b>108</b>
112:24 113:11	<b>W-e-c-h-s-e-l-b-e-r...</b>	63:11	132:1
114:18 115:1,13	7:12	<b>100</b>	<b>11</b>
117:19 118:25	<b>Y</b>	62:9 90:15,22 129:9	5:18 15:4,12
119:19 120:9,15,23	<b>yeah</b>	130:11	<b>11:10</b>
123:22 125:4,23	6:8 15:13 18:6 24:13	<b>1001</b>	63:11
128:7 129:6 132:17	25:11 35:1 39:17	4:9 45:3 85:18,21,23	<b>110</b>
139:9,18	43:1,10,23,24 48:9	86:2,4	79:13 97:13 117:23
<b>word</b>	51:13 63:5 68:2	<b>1003</b>	<b>112</b>
56:6 98:10,16,25	78:24 79:8 83:21	4:10 12:14 13:6,14	118:1
102:1 113:12	88:24 89:1,3 90:11	21:7,10,13,16,19	<b>113</b>
<b>words</b>	92:4,12 99:17	22:1 24:3,7,7,15,18	57:19,21
34:13 76:1 81:13	101:10 103:11	24:21 26:25 29:20	<b>114</b>
83:11	104:11 120:21	33:19 38:12 44:3	58:8,9 117:16 118:2
<b>work</b>	133:3 136:16,18,19	52:25 63:21,23 64:2	<b>115</b>
11:7 22:23 23:7 25:5	138:6	67:12,15 68:11,24	62:2,2
26:6,10,14 28:5,6	<b>year</b>	69:8 75:3,6 87:17	<b>116</b>
28:10,10 29:2,4,7	10:25 11:5,13 22:4	87:20 88:2,7 100:12	118:3
29:17 30:18 32:8	<b>years</b>	108:22 127:16,22	<b>12:28</b>
33:11,17 34:1 35:4	20:18 25:20 30:25		99:22
35:8,9,20,21 37:2			<b>12:40</b>

99:22	138:9	<b>25</b>	106:3 110:24
<b>125</b>	<b>20</b>	91:19	113:13 114:3
132:25 133:7 136:7	25:20 32:16 60:20	<b>27</b>	115:11
<b>12586</b>	61:6,15 68:19 69:23	84:3,17 91:17	<b>3447</b>
1:25 139:23	75:10 86:25 87:5,21	<b>28</b>	7:15
<b>129</b>	88:3,8,12,19 89:5	76:6,8,10,14,22 77:1	<b>36</b>
102:12 103:20 105:5	89:25 90:10 95:19	77:5,15,15,19 84:4	50:13 54:16,24 55:2
108:21 110:25	96:3,10,16,21	84:17 90:25 91:9,17	57:22 131:8
118:9,12	112:11 114:15,24	91:19	<b>37</b>
<b>13.2.1</b>	115:3 117:4,22		50:20 54:16,24 55:2
103:24 106:4,9,19	118:22 121:25	<b>3</b>	55:13 65:15,16,17
<b>14</b>	122:5,7,17 123:3	<b>3</b>	97:25
1:20 5:2 26:24	124:16 125:11,20	42:12 50:12,13 75:23	<b>38</b>
106:10	126:2,25 127:4,10	76:3,10,13 77:1,5,8	53:13,19,22 58:24
<b>14.1</b>	128:3 130:1 133:12	77:14,19 78:4,18	59:1,4,7 91:9 93:25
106:10 115:14	136:9 140:17	79:24 81:16 82:4,10	131:8
<b>15</b>	<b>20-plus</b>	82:15,22 83:6 84:8	<b>39</b>
32:16	30:25 31:18	89:11,21 90:5 91:5	96:20 111:12 115:2
<b>1503</b>	<b>200</b>	91:8,10,18 93:19	
38:24	60:13,15 75:10 88:7	94:5 116:14 126:21	<b>4</b>
<b>16</b>	89:13,19 95:10	130:4 131:2 133:19	38:11,23 60:9 61:10
29:19	97:15 116:24	134:1,10,15,23	71:5 72:25 73:23
<b>17</b>	117:17 118:1,5	135:1,2,4,8,13,15	74:6,6,12,14,19,23
132:11	121:8,11 122:3	135:18,23 136:2,5	75:7 76:24 77:4,10
<b>17th</b>	124:17 125:2,12,21	<b>3GIP</b>	77:18 78:1,12,17,22
139:19	126:2,24 127:10	123:19	78:25 79:19 80:4
<b>18</b>	128:2,22 130:23	<b>30</b>	81:13 82:6,21,24
122:25	<b>2001</b>	43:20 60:12,20 61:7	84:22 87:21 88:3,8
<b>1974</b>	2:6	77:11 96:24 111:20	89:17 91:20,21 95:2
27:12,21	<b>20060039</b>	116:2,9,14,23,23	95:7 100:8,16
<b>1980</b>	51:23	117:9 118:1 119:1	101:13,17 111:17
27:12,21	<b>20080151918</b>	119:10,15,23	111:18 112:6,7,10
	48:8	121:12 122:2 123:3	112:20 114:12,18
<b>2</b>	<b>2022</b>	129:19 130:21	116:15,25 118:21
82:22 84:21,24	1:20 5:2 139:19	<b>300</b>	119:14,22 122:2
111:21 119:1,1	<b>21</b>	2:12	123:2 124:11,12,14
130:17 133:14	4:10	<b>306</b>	124:20 125:18,25
136:10	<b>214</b>	53:16	127:15,22 128:1,9
<b>2XX</b>	2:7	<b>31</b>	128:21 129:1,7,11
108:13	<b>23</b>	95:10 121:10	130:6,18 132:14,21
<b>2:15</b>	84:15	<b>312</b>	132:23 133:14
136:21	<b>2326</b>	2:13	135:2,3,3 136:4,11
<b>2:27</b>	33:8 65:1,4,10 66:7	<b>3261</b>	<b>40</b>
136:21	66:10,18 67:3,10,11	30:10,16 53:4 86:25	50:25 55:17 60:12,14
<b>2:29</b>	<b>24</b>	102:14 103:2,20	60:15 61:6 75:9,12
	80:9,24	104:20,23 105:6	

87:21 88:3,8,11,18 89:4,23 90:9,24 91:3 95:21 96:3,7 96:12,18,21,25 97:11,14 98:2,4 111:13 115:4 116:4 116:10,20 117:5,10 117:22,25 119:6,17 119:25 121:1,7,25 122:4,13,20,22 123:1 124:11,16,20 124:20 125:11,20 126:7,10,23,24,24 127:2,3 129:18 130:18,20 <b>42</b> 126:13 <b>4566</b> 31:25 32:6 <b>46</b> 52:13 <b>48</b> 4:11 <hr/> <b>5</b> <b>5</b> 59:22 69:5,18 <b>51</b> 4:14 <b>52</b> 76:16 126:20 130:4 133:15 136:11 <b>57</b> 44:4,6,14 45:2 <hr/> <b>6</b> <b>6</b> 52:23 53:18 54:2,8 62:3 <b>6A</b> 92:23 93:25 131:7,14 132:4,8 <b>6B</b> 92:23 93:25 131:7 132:4,8 <b>60606</b>	2:13 <b>62</b> 100:16 101:13,17,20 118:17 <b>63</b> 127:21 <b>64</b> 74:16 87:21 88:3 95:2 111:18 112:7 114:12,14 118:21 124:17 125:11,21 129:10 135:10 <b>65</b> 4:13 <b>650</b> 53:18 62:7,14 <b>66</b> 75:22 <b>669</b> 12:17 42:1 45:3,10 46:23,24 85:14,20 86:3,6 87:13 124:5 <b>67</b> 51:23 <b>68</b> 75:2,6 95:7,11 121:8 129:13 <hr/> <b>7</b> <b>7</b> 3:5 79:1,3,5,17,20,25 80:4 81:13 82:6 97:12 114:20,22 117:4,16 131:7,14 132:1,23 136:4 <b>70</b> 60:13 75:9,16,20 76:3,23 77:12,18 78:5 82:11,16 122:3 122:11 130:22 <b>72</b> 60:14 75:9,16,20 76:3,23 77:13,18 78:5 82:11,16 129:13 <b>74</b>	75:10,16,20 76:3,23 77:13,18 78:5 82:11 82:16 88:8 118:17 124:18 125:12,21 126:2,25 127:10,22 128:3,22 135:10 <b>75</b> 52:13,13,24 57:25 127:15 128:2,10,11 128:11,21 129:4 131:2 <b>75201</b> 2:7 <b>76</b> 68:21 <hr/> <b>8</b> 41:3,5,9,9,11,21,22 42:11 80:8,22 <b>85</b> 4:9 102:13 <b>86</b> 102:20 113:4,13 115:11 <b>87</b> 108:6 <hr/> <b>9</b> 42:11 87:19,24 <b>9,667,669</b> 1:15 <b>9:18</b> 1:20 5:2 <b>90</b> 61:17 71:6 74:2 86:12,22 99:8 123:3 127:16 <b>90s</b> 34:7 <b>900</b> 2:6 <b>913-0001</b> 2:13 <b>92029</b>	7:16 <b>95</b> 87:16,25 88:1 <b>953-6511</b> 2:7 <b>97</b> 88:6,15,17,21,25 90:21 127:21 <b>98</b> 129:8,11 <b>99</b> 126:8 129:8,12,16
---	--	--	--