

In The Matter Of:
LifeCORE Fitness, Inc. v.
Woodway USA, Inc.

ROBERT GIACHETTI, Ph.D., P.E.
January 9, 2024
Patent No. 10,561,884

NorthStar Deposition Services

Original File 010924Giachetti.txt
Min-U-Script® with Word Index

Page 1

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
3 -----x
4 LIFECORE FITNESS, INC. d/b/a ASSAULT FITNESS,
5 Petitioner,
6 v.
7 WOODWAY USA, INC. Patent Owner.
8 IPR023-00843
9 U.S. Patent No. 10,561,884
10 -----x
11 January 9, 2024
12 9:39 a.m.
13
14 The deposition of ROBERT GIACHETTI, Ph.D.,
15 P.E., called as a witness herein for examination,
16 taken pursuant to the rules of the United States
17 Patent and Trademark Office before JENNIFER L.
18 BERNIER, a Certified Stenographic Reporter of the
19 State of Illinois, CSR License No. 084-004190,
20 Registered Merit Reporter and Certified Realtime
21 Reporter, at Foley & Lardner LLP, 321 North Clark
22 Street, Suite 3000, Chicago, Illinois.
23
24
25

Page 2

1 A P P E A R A N C E S
2
3 ON BEHALF OF PETITIONER LIFECORE FITNESS, INC.
4 d/b/a ASSAULT FITNESS:
5 BROOKS KUSHMAN
6 150 W. Second Street
7 Suite 400N
8 Royal Oak, Michigan 48067-3846
9 (248) 226-2707
10 BY: ANDREW B. TURNER, ESQ.
11 aturner@brookskushman.com
12
13 ON BEHALF OF PATENT OWNER WOODWAY USA, INC.:
14 FOLEY & LARDNER LLP
15 777 East Wisconsin Avenue
16 Milwaukee, Wisconsin 53202
17 (414) 319-7324
18 BY: KADIE M. JELENCHICK, ESQ.
19 kjelenchick@foley.com
20
21 SARAH E. RIEGER, ESQ.
22 srieger@foley.com
23
24
25

Page 3

1 ROBERT GIACHETTI, Ph.D., P.E. JANUARY 9, 2024
2 TRANSCRIPT INDEX
3 Appearances Page 2
4
5 Exhibit Index Page 4
6
7 Examination by Ms. Jelenchick Page 5
8
9 Afternoon Session Page 82
10
11 Acknowledgement Page 186
12
13 Certification Page 187
14
15 Errata Page 188
16
17 Word Index Page 189
18
19
20
21
22
23
24
25

Page 4

1 ROBERT GIACHETTI, Ph.D., P.E. JANUARY 9, 2024
2 E X H I B I T S
3 Exhibits 1001 through 1030 were premarked and
4 retained by counsel.
5 - o0o -
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 5

1 PROCEEDINGS
2 JANUARY 9, 2024
3 - oOo -
4 (Deposition Exhibits 1001
5 through 1030 were premarked for identification.)
6 (Witness sworn.)
7 WHEREUPON:
8 ROBERT S. GIACHETTI, Ph.D., P.E.,
9 called as a witness herein, having been
10 first duly sworn, was examined and
11 testified as follows:
12 EXAMINATION
13 BY MS. JELENCHICK:
14 Q. Good morning, Dr. Giachetti.
15 A. Good morning.
16 Q. Nice to see you again. I know it's
17 been almost seven years since we were last
18 together for a deposition.
19 Do you understand why you're here
20 today?
21 A. I think I do.
22 Q. Okay. Can you explain to me why
23 you're here today?
24 A. I'm the -- an expert witness
25 testifying on behalf of LifeCORE Fitness for an

Page 6

1 IPR.
2 Q. Is LifeCORE Fitness, Inc. Your client?
3 A. Mr. Turner is my client. Actually,
4 he's Fusion's client, I should say.
5 Q. Who is Fusion?
6 A. Fusion Engineering is the engineering
7 firm that employs me.
8 Q. Where is Fusion located?
9 A. Fusion Engineering is in Naperville,
10 Illinois.
11 Q. When did you go to work for Fusion
12 Engineering?
13 A. November of 2020, I think. The
14 pandemic has blurred my concept of time, but I
15 think that's correct.
16 Q. Do you have a job title at Fusion?
17 A. Yeah. I'm the director of mechanical
18 engineering.
19 Q. What are your duties and
20 responsibilities as the director of mechanical
21 engineering?
22 A. So I do consulting work. I do some
23 marketing work for Fusion. I try to do some
24 recruiting for Fusion and make some marketing
25 materials and swag and things like that. I also

Page 7

1 try to push people into publications and technical
2 conferences and things like that.
3 Q. How many employees does Fusion
4 currently have?
5 A. We have between 15 and 20.
6 Q. How many of those employees are
7 engineers?
8 A. The majority of them. We only have
9 two to three admins. So the rest are technical
10 staff; not necessarily engineers, but people with
11 a scientific or technical background.
12 Q. Do you have any reports?
13 A. I have lots of reports.
14 Q. How many employees at Fusion report to
15 you?
16 A. Oh, I misunderstood you. I thought
17 you meant like written technical reports.
18 Technically speaking, I believe there
19 are four people under me, although our reporting
20 structure is a little loose.
21 Q. Did any of those four individuals
22 support your work for Mr. Turner?
23 A. I don't think so. I haven't reviewed
24 the invoices before today. So, you know, this
25 case has been going for at least a year. So I

Page 8

1 don't remember the details of everyone who has
2 taken a look at the technical work.
3 Q. Your response suggested that you
4 reviewed invoices today. Is that true?
5 A. No. I haven't reviewed any invoices
6 in advance of today.
7 Q. Have you issued invoices for your work
8 before today?
9 A. Fusion has.
10 Q. Did you have any role in the
11 preparation of invoices to Mr. Turner for your
12 work?
13 A. Yeah. The way it works is that I
14 enter time in a computer system. Then we have an
15 accounting admin who prepares bills. I check them
16 over for -- at that time, I check them over for
17 accuracy. And then she takes care of sending them
18 out, usually on a monthly basis.
19 Q. Do you know how many invoices have
20 been issued by Fusion thus far?
21 A. I do not.
22 Q. In what increment of time do you
23 record your time?
24 A. 0.1 hours.
25 Q. So by the tenth of the hour?

Page 9

1 A. Correct.

2 Q. And how regularly do you -- or

3 irregularly do you enter your time?

4 A. I try to enter my time once a week. I

5 don't always succeed.

6 Q. How did you become aware of this

7 particular engagement?

8 A. Someone from Mr. Turner's office

9 reached out to me and asked if I had any interest

10 in this matter. And we did a conflict check, and

11 then I accepted the job.

12 Q. Do you know who specifically contacted

13 you about this engagement?

14 A. I can't remember her name. I think it

15 might have been a Rachel, but I can't remember

16 specifically her name.

17 Q. Was it Rebecca Cantor?

18 A. Yes.

19 Q. Do you recall when Ms. Cantor

20 contacted you?

21 A. No, not specifically.

22 Q. Other than conducting a conflict

23 check, did you do any other preliminary assessment

24 to evaluate whether this was an engagement you

25 wanted to accept?

Page 10

1 A. Well, I recall my involvement from the

2 other litigation. And so I was -- I felt pretty

3 familiar with the topic, so I didn't do any more

4 research.

5 Q. What did you mean by "the other

6 litigation"?

7 A. So something like seven years ago,

8 another company I believe had infringement and

9 validity contentions against Woodway; and I was a

10 part of that as their technical support.

11 Q. Is the company or companies that

12 you're referring to Chapco or Samsara Fitness?

13 A. I believe those -- those were the

14 parties involved, and I think you were the

15 attorney for them -- or for Woodway at that time

16 also.

17 Q. You recall in that prior litigation,

18 Woodway alleged Chapco and Samsara were infringing

19 its patents, correct?

20 A. I didn't remember. I didn't -- I

21 don't remember, until you said that, who initiated

22 that litigation.

23 Q. What about your involvement from the

24 past litigation between Woodway and Chapco/Samsara

25 made you feel pretty familiar with the proposed

Page 11

1 engagement by Mr. Turner's office?

2 A. Mostly, my familiarity with the prior

3 art in the treadmill space and my familiarity with

4 power transmission components and, basically, my

5 professional background.

6 Q. Your familiarity with the prior art in

7 the treadmill space, that was a consequence of

8 your engagement by Chapco and Samsara, correct?

9 A. Partially. I mean, I have a big

10 background in biomechanics; and I worked with

11 treadmills as part of my academic life in

12 biomechanics. So I did have familiarity with

13 treadmills in advance of that -- that retention,

14 which is, you know, why I was qualified for being

15 a technical expert.

16 Q. Before accepting the engagement with

17 Mr. Turner, did you have any substantive

18 discussion with him or anyone at his office about

19 the merits of the potential challenge to the

20 Woodway patents?

21 A. Do you mean in this -- "this," what

22 we're doing right now -- or in the past case?

23 Q. In this case. I am talking about

24 before you were engaged by Mr. Turner and his

25 office, did you talk with him or anybody in his

Page 12

1 office about the merits of the potential challenge

2 that LifeCORE Fitness intended to raise?

3 A. I don't remember our conversation

4 specifically, but they did tell me that the rules

5 for this were different from that litigation. So

6 I basically came into this with a -- with an open

7 mind.

8 Q. Do you have any understanding as to

9 how the rules are different in IPR proceedings

10 relative to district court litigation?

11 A. Well, you know, I addressed the law as

12 I understand it, which is what Mr. Turner and his

13 office has instructed me; and those are in my

14 reports. You know, off the top of my head, you

15 know, I know that the IPRs prefer prior art that

16 has not been before the examiner and that, you

17 know, that -- I think that's the big one that

18 stands out in my mind as I sit here.

19 But I did discuss in my reports what

20 my understanding of the law was based on, you

21 know, what Mr. Turner told me.

22 Q. Going back to that original call with

23 Ms. Cantor, do you remember if there was anybody

24 else on the phone with you at that time?

25 A. The initial call was just Ms. Cantor.

Page 13

1 Q. And during that call, you indicated
2 there was some discussion or reference to IPR
3 rules being different than district court rules;
4 is that correct?
5 A. I don't think that that was the -- the
6 first conversation. You know, we had a couple of
7 conversations, I think, before the case really got
8 off the ground. And I'm sure we discussed that in
9 one of the preliminary calls.
10 You know, it's over a year ago. I
11 don't remember with a ton of clarity one call to
12 the other at the -- at the very beginning.
13 Q. Do you have an approximate date as to
14 when Ms. Cantor called you in the first instance
15 about this potential engagement?
16 A. The exact date, no. I could say
17 probably late 2022 or early 2023 is probably the
18 best estimate I could give.
19 Q. Is it fair to say she contacted you
20 about a year ago?
21 A. Give or take.
22 Q. At the time that Ms. Cantor reached
23 out, had the decision already been made for
24 LifeCORE to file IPR challenges, if you know?
25 A. I don't remember.

Page 14

1 Q. Do you recall any specifics of your
2 initial phone call with Ms. Cantor in this matter?
3 A. Not really.
4 Q. Do you recall which entities you ran
5 conflicts on following your call with Ms. Cantor?
6 A. LifeCORE, at least. I don't remember
7 if there were any others. Probably Assault, but
8 I'm not sure beyond that.
9 Q. Before Ms. Cantor's call with you,
10 were you familiar with LifeCORE Fitness, Inc.?
11 A. No, not from a technical standpoint.
12 You know, if I had walked by one of their pieces
13 of equipment in a gym, I might have seen it, but
14 not -- not anything that I knew as like a brand or
15 anything like that.
16 Q. Before Ms. Cantor's call with you
17 about this potential engagement, were you familiar
18 with the Assault Fitness brand?
19 A. I know that I've heard of Assault
20 Fitness in the past, but that -- that's the only
21 -- just like an inkling that I recognize the name.
22 And that's all that I had at the time that I just
23 spoke -- first spoke to her.
24 Q. Do you own any Assault Fitness
25 equipment?

Page 15

1 A. Not that I know of.
2 Q. Do you own any Woodway fitness
3 equipment?
4 A. Nope.
5 Q. Have you ever owned any Assault
6 Fitness equipment?
7 A. Not to my knowledge.
8 Q. Do you belong to a health club or a
9 gym?
10 A. I do.
11 Q. Do you know what type of treadmills
12 your health club carries?
13 MR. TURNER: Objection.
14 Q. If any?
15 MR. TURNER: Objection. Relevance.
16 A. I have walked by their treadmills. I
17 could not tell you what kind they are.
18 Q. Is it fair to say you're not using
19 treadmills at your gym?
20 A. Regularly, yeah. I used it once.
21 That was good enough for me.
22 (Reporter clarification.)
23 A. I used it once. That was good enough
24 for me.
25 Q. Do you know whether your health club

Page 16

1 has nonmotorized treadmills?
2 A. I do.
3 Q. What does the phrase "nonmotorized
4 treadmills" mean to you?
5 A. Nonmotorized means that the user or
6 the operator of the treadmill provides the force
7 to move the running surface while they're
8 exercising.
9 Q. A nonmotorized treadmill does not have
10 a motor, does it?
11 A. I think that -- operationally
12 speaking, that --
13 Q. (Sneezing.)
14 A. Bless you.
15 Q. Thank you.
16 A. I would say that if there is a
17 treadmill that gives you the option where you
18 could shut the motor off and still use it, that
19 that would also count as a nonmotorized one
20 because you could use it in that configuration.
21 So I would say without a motor or has the option
22 to use it without the motor on.
23 Q. What's the name of your health club?
24 A. East Bank Club.
25 Q. Where is it located?

Page 17

1 A. It's about three blocks west of here.
2 Q. Does the East Bank Club have
3 nonmotorized treadmills?
4 A. They have a few.
5 Q. Do you know which brand?
6 A. I don't. I know they have more than
7 one brand.
8 Q. Do you know if they have a Woodway
9 Curve treadmill?
10 A. I do not.
11 Q. Do you know if they have an Assault
12 Fitness nonmotorized treadmill?
13 A. I don't. I don't know what kinds they
14 have. They only have two or three, total.
15 Q. Okay. The East Bank Club also has
16 motorized treadmills, right?
17 A. Yes. They have about 50 or 100 of
18 them.
19 Q. Is it fair to say you're not a runner?
20 A. That is more than fair.
21 Q. When did you learn that LifeCORE
22 Fitness intended to file inter partes review
23 challenges to certain Woodway patents?
24 A. I think that was early on in the
25 conversations I had with Mr. Turner's office.

Page 18

1 Q. Were you personally involved in the
2 decision as to whether to file one or more IPR
3 petitions?
4 A. No.
5 Q. Did you offer an opinion as to the
6 possibility of success of one or more IPR
7 petitions challenging the Woodway patents?
8 A. No.
9 Q. After you confirmed from Mr. Turner's
10 office that Fusion had no conflicts of interest,
11 what happened next with your engagement?
12 A. I think we had some more phone calls.
13 I think -- yeah. I can't really remember back
14 that far what the -- what the steps were. But,
15 you know, it involved, you know, prior art --
16 reviewing prior art and things like that.
17 Q. Who identified the prior art that was
18 being reviewed?
19 A. Well, I know they had some; but I also
20 did a refresh -- refreshed search, and I also had
21 some familiarity from -- from the last time I did
22 a treadmill matter.
23 Q. And the prior art that you were
24 familiar with was a consequence of that Chapco and
25 Samsara litigation, right?

Page 19

1 A. Some of it.
2 Q. Is there another treadmill matter that
3 you're referring to?
4 A. No.
5 Q. How do you know that, with IPRs, the
6 Patent Office prefers prior art that has not been
7 before the examiner?
8 A. Well, I understand that from what
9 Mr. Turner told me.
10 Q. Do you have any other basis for that
11 opinion?
12 A. No. No. I don't have a law degree,
13 and I didn't pass the patent bar, and I did not
14 stay at a Holiday Inn last night. So I don't
15 know.
16 Q. Was it important for you to identify
17 potential prior art that had not been considered
18 by the examiner for the purposes of this
19 engagement?
20 MR. TURNER: Objection. I'd like to
21 caution the witness about any privileged
22 communications.
23 A. Could you repeat that question?
24 Q. Sure.
25 Was it important for you to identify

Page 20

1 potential prior art that had not been considered
2 by the examiner for the purposes of this
3 engagement?
4 A. I think just with the -- with the
5 knowledge that it's more favorable to have art
6 that has not been before the examiner before. But
7 I still looked at the prior art as a whole,
8 regardless.
9 Q. From the time that you were contacted
10 by Mr. Turner's office until you began reviewing
11 prior art, approximately how much time elapsed, if
12 you know?
13 A. I have no idea.
14 Q. Do you have any sense of whether it
15 was a week, a month, a couple months, a few
16 months, six months?
17 A. I don't know. It was less than six
18 months, that's for sure; but I could not tell you.
19 Q. Did Ms. Cantor identify how she came
20 across your name as a potential expert?
21 A. I don't remember.
22 Q. As part of your duties at Fusion, do
23 you have any responsibility for monitoring new
24 patent litigation filings?
25 A. No.

Page 21

1 Q. As part of your duties at Fusion, do
2 you have any responsibility for monitoring any new
3 inter partes review or Patent Office proceeding?
4 A. No.
5 Q. Apart from any duties that you have
6 with Fusion personally, is it of interest to you
7 what may be happening in patent infringement
8 matters?
9 MR. TURNER: Objection. Relevance.
10 A. I try to keep up with the role of
11 experts. Or, for example, if, you know,
12 there's -- I know that there was an expert who
13 basically disqualified himself from a case because
14 he didn't qualify as a -- as a POSA.
15 So like things like that, I have
16 interest in. But I don't follow IPRs being filed,
17 and I don't follow any sort of litigation that's
18 being pursued at all.
19 Q. Following the issuance of your
20 opinions and deposition in the Chapco and Samsara
21 infringement matter, did you keep tabs on that
22 litigation?
23 A. No, not at all.
24 Q. When Ms. Cantor contacted you about a
25 potential IPR engagement, was that the first time

Page 22

1 that you heard that Woodway had sued LifeCORE for
2 patent infringement?
3 A. I don't even think that she mentioned
4 that Woodway was suing at the -- I don't remember
5 what our conversation was at the time about that.
6 Q. Do you know that Woodway is suing
7 LifeCORE Fitness for patent infringement now?
8 A. Based on what you've told me, yes.
9 Q. Before today, did you have any
10 knowledge about Woodway's lawsuit against LifeCORE
11 Fitness for patent infringement?
12 A. I have no knowledge of it. I only
13 knew that there may be infringement reports in the
14 -- in the coming year. But beyond that, I had no
15 clue or concept about what the suit was.
16 Q. Where does your knowledge about the
17 potential for infringement reports come from?
18 MR. TURNER: Objection.
19 A. Mr. Turner.
20 Q. At the time that you were working on
21 any of your declarations for your engagement for
22 Mr. Turner's office, did you have any knowledge of
23 Woodway's patent infringement lawsuit against
24 LifeCORE Fitness?
25 A. No.

Page 23

1 Q. How was your hourly rate decided upon
2 for this matter?
3 A. Fusion sets my hourly rate on a yearly
4 basis.
5 Q. Is it fair to say you were engaged in
6 2023?
7 A. I don't remember.
8 Q. Paragraph 17 of your declaration,
9 which is Exhibit 1003, which is there in Volume 1
10 of the binders, it states: Fusion Engineering is
11 being compensated for my work in this matter at a
12 rate of \$300 per hour for my time.
13 Does that refresh your recollection as
14 to when you may have been engaged by Mr. Turner's
15 office, based on the hourly rate?
16 A. Can you point me to that paragraph?
17 Q. Sure. It's paragraph 17.
18 A. 17, yeah. So that was my hourly rate
19 in 2023.
20 Q. Now that the calendar has flipped to
21 January of 2024, do you have a rate increase?
22 A. Yes.
23 Q. What's your new rate?
24 A. 410.
25 Q. Is your work from January 1st, 2024

Page 24

1 through the conclusion of this year going to be
2 billed out at \$410 per hour?
3 A. I believe so.
4 Q. Does a \$390 per-hour rate refresh your
5 recollection as to the approximate timing as to
6 when Ms. Cantor may have called you in the first
7 instance on this potential engagement?
8 A. No. Because if she called me and we
9 begin the engagement, I wouldn't have billed for
10 any of that time. So the call could have been in
11 2022.
12 Q. Is it fair to say that any substantive
13 work that you've done on this matter would have
14 occurred in 2023, given the identified hourly
15 rate?
16 A. That's probable.
17 Q. Did you or Fusion send an engagement
18 letter to Mr. Turner's office for this matter?
19 A. I don't recall. Usually there is an
20 engagement letter involved in new matters, and I
21 don't recall if we sent them one or they sent us
22 one.
23 Q. Prior to this engagement, have you
24 personally worked with anybody from Brooks
25 Kushman?

Page 25

1 A. No.
2 Q. Do you know whether anybody at Fusion
3 has previously worked with Brooks Kushman?
4 A. I don't know.
5 Q. Do you have any flexibility in your
6 hourly rate?
7 A. I think under special circumstances
8 that Fusion might, but I'm not sure what those
9 circumstances might be.
10 Q. So there were no special circumstances
11 at play in your engagement with Mr. Turner's
12 office?
13 A. Correct.
14 Q. Do you know whether Fusion required a
15 retainer for this matter?
16 A. I don't recall that we did.
17 Q. Did you offer a preliminary budget for
18 the work that you were going to do on this
19 engagement?
20 MR. TURNER: Objection. Relevance.
21 A. I don't recall.
22 Q. Do you recall whether anybody from
23 Mr. Turner's office asked for an assessment as to
24 the approximate billings for the contemplated
25 engagement?

Page 26

1 A. I don't remember.
2 Q. Do you have an estimation in how
3 many or how much in fees has been invoiced by
4 Fusion for this matter?
5 A. No. I haven't reviewed any of the
6 invoices before today.
7 Q. Do you know whether any of those
8 invoices have been paid?
9 A. Yes. They have been.
10 Q. How do you know that?
11 A. Because I haven't gotten any angry
12 admin e-mails about it.
13 Q. It's fair to say you don't know
14 whether or if there is any amount in fees
15 outstanding for your work in issuing your
16 opinions, is there?
17 A. I think that I would have known.
18 Because at the year-end, we try to clean up the
19 books; and I don't remember this matter being on
20 the outstanding list. So I'm confident that there
21 is nothing outstanding for 2023.
22 Q. So if you flip to the last page of
23 Exhibit 1003 --
24 A. Okay.
25 Q. -- that's your signature, isn't it?

Page 27

1 A. That is.
2 Q. And it indicates that you executed
3 this declaration under penalty of perjury on April
4 12th of 2023; is that right?
5 A. That's what it says.
6 Q. Seeing the date in which you signed
7 this particular declaration, does that refresh
8 your recollection as to the timing of when Ms.
9 Cantor reached out in the first instance about
10 this potential engagement?
11 A. No.
12 Q. Before issuing your opinions, did
13 anybody at Fusion review your work?
14 A. Yes.
15 Q. Who was that?
16 A. My recollection is that it was
17 Kevin Jones, but I'm not entirely sure about that.
18 Q. Okay. Who is Kevin Jones?
19 A. He is one of the shareholders of
20 Fusion.
21 Q. Is Mr. Jones your boss -- or one of
22 your bosses?
23 A. No.
24 Q. Do you report to Mr. Jones?
25 A. No.

Page 28

1 Q. Why was Mr. Jones reviewing your work?
2 A. I think he has a good technical eye,
3 and he was available. And he has experience in
4 patent matters as well, so he was a good candidate
5 to review this from a technical perspective.
6 Q. Does Mr. Jones have a technical
7 background?
8 A. Yes.
9 Q. What is his background, if you know?
10 A. Primarily, his academic background is
11 metallurgy; but he is a well-qualified mechanical
12 engineer as well.
13 Q. Why do you say he's well-qualified as
14 a mechanical engineer?
15 A. Because you've seen his work and it's
16 very good.
17 Q. What specifically did Mr. Jones
18 review, if you know?
19 A. I believe he reviewed my report.
20 Q. Did he offer any suggested revisions?
21 A. I don't remember.
22 Q. Do you recall making changes based on
23 Mr. Jones's review?
24 A. I don't remember.
25 Q. What is Mr. Jones's experience with

Page 29

1 patent matters?

2 A. I know that he has done work on

3 patents in the past. I don't know what --

4 specifically what patents or what types they were.

5 Q. Has he -- has Mr. Jones been involved

6 in IPR proceedings, if you know?

7 A. I don't know.

8 Q. Do you know whether Mr. Jones has been

9 named as an expert in any patent proceedings,

10 whether they be in the district court or at the

11 Patent Office?

12 A. I do not know. But he was reading

13 this from a technical perspective just to make

14 sure that, you know, what I was saying, from a

15 technical standpoint, was correct.

16 And, you know, as a -- in general, he

17 reviews almost all the reports that I write. So

18 it's my recollection that I sent it through him,

19 but I haven't reviewed the invoices to make sure

20 that that is entirely correct.

21 Q. So you know you sent Exhibit 1003 to

22 Mr. Jones; but you don't know for certain whether

23 or if he reviewed the substance of the opinions,

24 do you?

25 A. I think, as I sit here, that I did

Page 30

1 have him review it. I can't be 100 percent sure

2 of that, but it's generally my standard operating

3 procedure that I kick out my reports to him before

4 they get published.

5 Q. When you kick out your reports to him,

6 do you do that electronically or in some other

7 way?

8 A. Usually electronic.

9 Q. Do you recall whether, in this

10 instance, you sent Mr. Jones an electronic copy or

11 a paper copy or something else?

12 MR. TURNER: Objection. Relevance.

13 A. Yeah. I might have used like a -- an

14 electronic means, but -- yeah. I don't believe I

15 printed it out.

16 Q. Do you recall receiving any redline

17 back from Mr. Jones?

18 A. I don't.

19 Q. Do you recall the timing of when you

20 kicked out Exhibit 1003 to Mr. Jones?

21 A. I don't.

22 Q. Do you have a sense of whether it was

23 shortly before you executed the declaration or

24 early on in your work or at some other time?

25 A. I don't remember any of the timing of

Page 31

1 that at all.

2 Q. At the beginning of last year, January

3 2023 through April 12th of 2023, approximately how

4 much of your time was spent working on engagement

5 for Mr. Turner's office?

6 A. I don't recall.

7 Q. Was Mr. Turner's engagement the only

8 thing you were working on in Q1 of 2023?

9 A. I don't think so -- no. I had other

10 things. I couldn't tell you what they are, but it

11 was not the only engagement I had in Q1.

12 Q. Have you ever heard the term Procopio?

13 A. Not as I sit here I can't remember it.

14 Q. Are you familiar with the law firm

15 Procopio?

16 A. No.

17 Q. Is it fair to say that nobody from

18 Procopio contacted you about any potential

19 engagement relating to the Woodway patents?

20 MR. TURNER: Objection. Relevance.

21 A. Not that I remember.

22 Q. When Ms. Cantor reached out to you,

23 was that the first time you heard about Woodway's

24 patents since your work in the Chapco/Samsara

25 litigation?

Page 32

1 A. Yes.

2 Q. How, specifically, was the engagement

3 defined with Mr. Turner's office?

4 A. What do you mean by that?

5 Q. Describe for me what the scope of your

6 engagement is.

7 A. Well, it's my role to provide them

8 technical expertise from an engineering

9 perspective for someone who understands what the

10 POSA is; but I believe that I state what my scope

11 is at the beginning of my disclosure.

12 Q. Can you direct me to where in your

13 declaration that is?

14 A. I thought that that was at the

15 beginning, but maybe I'm misremembering that.

16 Well, I thought that I had that in here, but I

17 don't see it in the -- in Section 1 through 4,

18 which is where I thought it was.

19 But I would say that my previous

20 answer for what the scope was is accurate.

21 Q. Would you have executed a declaration

22 if there was no engagement letter in place?

23 A. Yes.

24 Q. Did you execute this specific

25 declaration without an engagement letter in place?

Page 33

1 A. I don't recall.
2 Q. In your experience, do Fusion's
3 engagement letters typically describe the scope of
4 the engagement?
5 A. Sometimes they do.
6 Q. For those matters that you're working
7 on on behalf of Fusion, do you ever execute an
8 engagement letter or does somebody else within
9 Fusion send that out?
10 MR. TURNER: Objection. Relevance.
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 34

1 A. I sign the engagement letters that I
2 send out.
3 Q. Do you recall sending any engagement
4 letter out in this matter?
5 A. Like I said previously, I don't
6 remember if they sent us one or we sent them one
7 or we just agreed via e-mail. I don't remember --
8 I don't recall.
9 Q. Does the scope of your engagement with
10 Mr. Turner's office include supporting LifeCORE
11 Fitness in the district court case?
12 A. I did not have knowledge of that until
13 this morning when you mentioned it.
14 Q. Have you had any role in the
15 preparation of invalidity contentions on behalf of
16 LifeCORE Fitness?
17 MR. TURNER: Objection, relevance.
18 Objection, caution the witness about any
19 potential privileged communications.
20 A. The whole of my work is in the -- is
21 represented in the disclosures that I -- that I've
22 made to this point.
23 Q. What are you specifically referring to
24 when you're talking about the disclosures that
25 you've made?

Page 35

1 A. So I believe I have three disclosures
2 in this matter, and that represents all the work.
3 I haven't done any work for anything outside of
4 this IPR.
5 Q. When you say disclosures, are you also
6 referring to your declarations?
7 A. That's what I meant. Thank you.
8 Q. So one such disclosure is Exhibit 1003
9 in IPR2023-00843; is that right?
10 A. Correct.
11 Q. In preparing your declarations, did
12 you review the inter partes review petition that
13 was filed in each proceeding?
14 A. No. No, I don't recall that I did.
15 Q. Since providing your executed
16 declarations, have you reviewed any of the IPR
17 proceedings?
18 A. Yes. Since then, I've seen the -- the
19 decision. And I don't remember if I read the
20 report that Mr. Turner's office submitted to the
21 IPR.
22 Q. So you referenced the decision. Are
23 you talking about the institution decision by the
24 Patent Board?
25 A. Yes. I'm not very good with the

Page 36

1 terminology.
2 Q. You don't have the lingo down?
3 A. I do not. And I read Woodway's
4 contentions.
5 Q. What do you mean by Woodway's
6 contentions?
7 A. Or their response to my -- my
8 declaration.
9 Q. So you reviewed the institution
10 decision by the Board. Do you recall which of the
11 institution decisions you reviewed?
12 A. I think I skimmed -- well, I don't
13 know how many there are; but I know that I skimmed
14 through at least one of them last week, but I
15 don't recall which one it was.
16 Q. You understand that the Board has
17 instituted proceedings for three inter partes
18 reviews, right?
19 A. Right. I know that I -- that's why I
20 have the three declarations.
21 Q. But you don't recall which IPR
22 institution decision you reviewed, do you?
23 A. I probably read all three of them. I
24 just was just kind of skimming it to get a flavor
25 for it. I wasn't really doing a deep dive into

Page 37

1 it.

2 Q. So for the purposes of today's

3 deposition, we're talking specifically about

4 IPR2023-00843 which relates to Woodway Patent

5 No. 10,561,884 and some other overlapping

6 background relevant to the other proceedings.

7 Do you recall whether the institution

8 decision you reviewed related to the '884 patent

9 or one of the other patents?

10 A. I think it did. Like I said, I wasn't

11 really paying attention to -- to the specifics of

12 it. I was more reading it for a flavor, so

13 there's high probability that I just skimmed

14 through all three and just didn't pay attention.

15 Q. You also referenced that since issuing

16 your declarations, you may have reviewed the

17 reports from Mr. Turner's office. By reports,

18 what did you mean?

19 A. So they have their own -- I don't know

20 what the term -- declaration or petition or

21 something that they submit to the IPR. And I did

22 skim through that as well, is my recollection.

23 Q. And what was the timing as to when you

24 skimmed through the petition?

25 A. Within the last month.

Page 38

1 Q. Was that the first time you looked at

2 the petition?

3 A. Correct.

4 Q. How many times have you looked at it

5 since then?

6 A. I probably skimmed it one or two times

7 over the last month, again just to get a flavor

8 for it. You know, my technical background is all

9 contained in my declaration, which is really all

10 -- all that I'm here for.

11 Q. Do you know whether the petition you

12 reviewed related to the '884 patent or one of the

13 other Woodway patents?

14 A. I probably skimmed through the three

15 of them, but I don't know any specifics as I sit

16 here.

17 Q. Have you reviewed any -- I'll withdraw

18 that.

19 You're familiar with the phrase "claim

20 construction"?

21 A. Yes.

22 Q. What does that mean to you?

23 A. Claim construction is -- I don't

24 remember if I mentioned that in the report, but

25 claim construction is -- yeah, on page 15, I

Page 39

1 describe my understanding of it.

2 It basically is how to read the patent

3 and how to read the claims. But basically, page

4 15 of my declaration describes my understanding at

5 the time I wrote this.

6 Q. Did you review either Woodway's or

7 LifeCORE's claim construction positions in

8 preparation of your opinions?

9 A. No. I don't remember specifically

10 reviewing those, just what I understood at the

11 time is -- is contained here.

12 Q. So if you flip to page 70 of

13 Exhibit 1003, specifically paragraphs 119 through

14 123 -- do you see where I'm talking about?

15 A. Yes.

16 Q. -- under the heading "Construction

17 Terms in the Challenged Claims"?

18 A. Yes.

19 Q. You indicate in paragraph 120 that in

20 order to properly evaluate certain of the claims

21 of the '884 patent, you understand that the terms

22 of the claims must first be construed; is that

23 right?

24 A. Right.

25 Q. Are you aware that the judge in the

Page 40

1 district court proceeding issued claim

2 constructions relevant to the asserted Woodway

3 patents?

4 A. I am not sure if I understand the

5 question. So is that the -- so based on this

6 morning, there is a parallel litigation that's

7 happening right now. Is that what you're asking

8 me about, if I'm aware of rulings from that?

9 Q. Well, let me ask a different question.

10 Are you aware that any of the claim

11 terms in the '884 patent or the other Woodway

12 implicated patents in these proceedings have been

13 construed?

14 A. I think just what's here in my

15 report -- or this declaration is what I'm aware of

16 right now.

17 Q. Okay. So in paragraph 121, you state

18 that you understand that the proposed construction

19 of the term "shaft," as recited in claims 30, 37,

20 39 through 43, 52, and 53, is, quote: A rotating

21 element used to transmit power from one part to

22 another, end quote.

23 Did I state that correctly?

24 A. That's what it says there.

25 Q. What is your understanding based on?

1 A. I believe that Mr. Turner gave me that
2 information.
3 Q. Did he tell you where that came from?
4 A. Probably.
5 Q. Do you know where it came from?
6 A. I don't -- I don't remember as I sit
7 here.
8 Q. In paragraph 122, it also indicates
9 that you have an understanding that the proposed
10 construction of the term "safety device," as
11 recited in claims 30, 33, 37, 38, 50, 54, and 57,
12 is a device having two ring-shaped components.
13 Did that understanding also come from
14 Mr. Turner?
15 A. Correct, same.
16 Q. And you don't know where specifically
17 that construction came from other than Mr. Turner
18 told you?
19 A. Not as I sit here.
20 Q. Is the same true for paragraph 123 as
21 it relates to the proposed construction of the
22 term "substantially prevent"?
23 A. That's correct.
24 Q. Is proper claim construction important
25 for the opinions that you offer in Exhibit 1003?

1 A. Well, I think that the analysis that I
2 made, from a technical perspective, needs to rely
3 on -- on these constructions; you know, otherwise,
4 the technical analysis would change if these terms
5 were defined differently.
6 So it's important to have the terms
7 that you're evaluating defined correctly.
8 Q. In your view, you used these specific
9 proposed constructions in offering the opinions in
10 Exhibit 1003?
11 A. That's right.
12 MS. JELENCHICK: Why don't we take
13 a break since we've been going for over an hour,
14 if that's okay with you?
15 THE WITNESS: Sounds great.
16 (Recess had.)
17 BY MS. JELENCHICK:
18 Q. Dr. Giachetti, before the break, we
19 were talking about the importance of proper claim
20 constructions. You indicated that your technical
21 analysis would change in your opinions if the
22 three terms that you focused on at paragraphs 121,
23 122, and 123 were different in some way.
24 Do you know how your opinions would be
25 different if the claim construction was different?

1 A. I think that there is a possibility
2 that the opinions might change if the terms were
3 changed. I don't know how much. You know,
4 that's -- that's kind of a hypothetical here. I'm
5 assuming that this is the correct construction of
6 these terms.
7 But without knowing what sort of
8 changes, I wouldn't be able to tell you the answer
9 to that.
10 Q. Why do you assume that the
11 constructions that you provided in paragraphs 121,
12 122, and 123 are correct?
13 A. Well, I guess I have to have faith
14 that those are the correct terms that would -- you
15 know, that's one of the bases for my opinions. I
16 accept that as fact because that's what was
17 explained to me.
18 Q. For the purposes of the opinions that
19 you offered in Exhibit 1003 or in your other
20 declarations, for that matter, you didn't consider
21 any alternate constructions of these terms, did
22 you?
23 A. I don't remember off the top of my
24 head. You know, I would have to review those
25 declarations. But let me just double-check here.

1 Q. Certainly as it relates to Exhibit
2 1003 and the '884 declaration, you'll agree with
3 me that you didn't consider any alternate
4 constructions for the terms shaft, safety device,
5 or substantially prevents, did you?
6 A. I don't recall looking at alternative
7 constructions.
8 Q. Had you looked at alternate
9 constructions, that would have been detailed in
10 your opinions; is that right?
11 A. Yes. Unless it was just the plain
12 meaning of those terms.
13 Q. As part of your engagement by
14 Mr. Turner's office, it was your responsibility to
15 review prior art, correct?
16 A. Yes.
17 Q. Describe for me how you proceeded with
18 your evaluation of the prior art?
19 A. Well, I, you know, went to the U.S.
20 Patent Office website, I went to Google Patents,
21 started going through the history of the '884
22 patent as far as the sources that it cited, and
23 started doing digging that way. And then started
24 reviewing -- reviewing those patents and just kind
25 of -- it's a process of finding something,

Page 45

1 reviewing it, and going on to the next one and
2 keep going. I think I also went to the National
3 Archives as well when I was searching.
4 Q. Did you go back to your file in the
5 Chapco/Samsara litigation?
6 A. No. But I had some recollection of
7 stuff that I had used; you know, for example, the
8 standards, the international-type standards and
9 the national standards.
10 Q. Is it fair to say part of your
11 engagement in this matter was identifying prior
12 art for the purposes of LifeCORE's IPR challenge?
13 A. Yes.
14 Q. When you went to the U.S. Patent
15 Office website, what did you do there?
16 A. I searched for -- I think I tried to
17 look up the '884 patent there, but I don't -- I
18 don't recall specifically what I did at that
19 website because it's like a year ago right now.
20 Q. On the PTO website, you may have
21 looked for a copy of the '884 patent. Prior to
22 that, had you seen a copy of the '884 patent?
23 A. I'm sure that Mr. Turner's office sent
24 me a copy. But I usually find as a good way to
25 start a search is to start with a patent that you

Page 46

1 have in hand, so that's usually where I start.
2 Q. Why are you sure Mr. Turner's office
3 sent you a copy of the '884 patent?
4 A. Well, they would have it. And, you
5 know, if we're doing an analysis of it, it's just
6 the easiest thing for them to just send it to me.
7 So I -- you know, when I say I'm sure, it's more
8 like that's my recollection of what likely
9 happened. I can't say it's 100 percent certain.
10 Q. Do you recall when specifically you
11 first became aware of the '884 patent?
12 A. No.
13 Q. Were you aware of the '884 patent
14 before Mr. Turner's office contacted you about
15 this engagement?
16 A. Not that I remember.
17 Q. When did you first read the '884
18 patent, if at all?
19 A. Sometime after I was engaged in this
20 matter.
21 Q. Approximately how many times have you
22 read the '884 patent?
23 A. I don't -- I don't remember. I didn't
24 keep track.
25 Q. Do you know how many times you've read

Page 47

1 the '884 patent relative to the other patents that
2 you've offered opinions on in this matter?
3 A. No.
4 Q. Did you turn up a copy of the '884
5 patent at the PTO website?
6 A. I don't remember. I probably did,
7 though.
8 Q. Do you have an electronic file for
9 this matter?
10 A. Yes.
11 Q. Where is that stored?
12 A. Fusion.
13 Q. Do you have a laptop at Fusion or a
14 desktop?
15 A. Laptop.
16 Q. Is your electronic file on your
17 laptop's hard drive or on network space at Fusion?
18 MR. TURNER: Objection. Relevance.
19 Q. Or somewhere else?
20 A. I believe it is networked and probably
21 mirrored onto my laptop.
22 Q. Did you save any of your prior art
23 searching on your electronic file for this matter?
24 A. What do you mean by save my searching?
25 Q. If you turned up an '884 patent from

Page 48

1 the Patent Office website, did you save a copy of
2 it anywhere?
3 A. Oh. That, I don't know. I have a
4 copy of it, I just don't know where it came from.
5 Q. Do you have a hard-copy file for this
6 matter?
7 A. No.
8 Q. How do you know whether something
9 should be saved to your electronic file for this
10 matter?
11 A. Well, if I am going to rely on it,
12 then I'll save it.
13 Q. Do you recall turning up anything else
14 at the PTO website during your searching?
15 A. No.
16 Q. You indicated you went to Google.
17 A. Mm-hmm.
18 Q. What did you do with Google as it
19 relates to this engagement?
20 A. Similar to the U.S. Patent Office, I
21 did patents.google.com. And I find that that's a
22 little more user friendly for searching prior art
23 than the U.S. Patent website.
24 Q. What specifically were you looking for
25 at patents.google.com?

Page 49

1 A. Well, I was looking for treadmill art.
2 And I was looking for terms in there that might be
3 good search terms to find other pieces of prior
4 art that may not have been found previously. That
5 sort of thing.

6 Q. What search terms did you use on
7 Google?

8 A. I don't remember all of them as I sit
9 here. I probably used treadmill, treadwheel,
10 exercise, exerciser, walking, gait. I probably
11 did a search for like conveyor, things like that
12 or some combination of those.

13 Q. On how many separate occasions did you
14 go to Google Patents and -- and use search terms
15 to look for a prior art?

16 A. I couldn't tell you.

17 Q. More than one?

18 A. At least, yeah.

19 Q. More than ten?

20 A. Maybe, but I don't remember.

21 Q. Do you recall when specifically you
22 first went to Google Patents for searching?

23 A. No.

24 Q. Do you recall approximately how long
25 after you were engaged by Mr. Turner's office that

Page 50

1 you began prior art searching?

2 A. That's probably one of the first
3 things that I did, but I don't remember the date.

4 Q. What did you do with respect to the
5 National Archives as it relates to searching?

6 A. Well, I remembered from the litigation
7 seven or eight years ago that there was a very old
8 curved treadmill patent, and I was having trouble
9 finding it. So I looked for that one on the
10 National Archives and then also tried to see if
11 there was anything around it from that same time
12 frame.

13 Q. Do you recall which patent that was?

14 A. I think it was -- I think the name was
15 Briggs.

16 Q. That's a horse running on a treadmill,
17 isn't it?

18 A. Yes.

19 Q. What did you mean that you tried to
20 see if there was anything around it?

21 A. Well, the National Archives is like a
22 smattering of -- like of documents stored by the
23 government. So I was just kind of going through
24 trying to search to see if there was anything that
25 might be in there that wasn't.

Page 51

1 In the Patent Office, you know, I
2 understand that prior art is written for this.
3 So, you know, everything in the National Archives
4 is documented and -- and written or printed. So I
5 wanted to see if there was anything in there that
6 was old that I might not have seen before.

7 Q. Did you use search terms for your work
8 at the National Archives?

9 A. I probably did.

10 Q. Do you know what those search terms
11 were?

12 A. I don't, but they'd probably be some
13 variant of the ones that I already gave you.

14 Q. Did you come up with those search
15 terms, or were those provided to you by somebody
16 else?

17 A. Those were my own.

18 Q. Did you retain a copy of any of the
19 opinions that you offered in the Chapco/Samsara
20 litigation?

21 A. Those are with Exponent; although last
22 week, Mr. Turner was able to get a copy of, I
23 think, my opening report that he sent to me. So I
24 skimmed that in advance of this deposition.

25 Q. Exponent was your former employer; is

Page 52

1 that right?

2 A. That's right.

3 Q. Why did you leave Exponent?

4 A. I just -- the opportunity at Fusion
5 was too great to pass up.

6 Q. Did you leave Exponent on favorable
7 terms?

8 MR. TURNER: Objection. Relevance.

9 A. Yes. No one -- no one asked me to
10 leave, if that's what you're asking.

11 Q. What was your opening report in the
12 Chapco/Samsara litigation about?

13 A. Oh, well, I just skimmed it. But it
14 was basically talking about the art of treadmills,
15 how old they are, and the common components within
16 them, and how a POSA would assemble them for a
17 treadmill.

18 Q. Prior to your review of that opening
19 report from the Chapco and Samsara litigation last
20 week, when was the last time you saw those
21 opinions?

22 A. I don't know. Probably when that last
23 matter ended -- or at least my involvement ended.
24 I haven't looked at them in between.

25 Q. Were you interested in any of your

Page 53

1 other materials at Exponent from the Chapco and
2 Samsara litigation?
3 A. Well, my interest was just in advance
4 of today, it was a good idea to know what I had
5 opined previously. So I just read over those
6 briefly, but I didn't have any interest in the
7 other stuff.
8 Q. Did you ask Exponent for any of your
9 other file materials relating to the
10 Chapco/Samsara litigation?
11 A. No.
12 Q. Did Mr. Turner ask Exponent for any
13 of your other file materials relating to the
14 Chapco/Samsara litigation?
15 MR. TURNER: Objection. Relevance.
16 A. I am not privy to any communication he
17 had with Exponent, and I am 100 percent sure that
18 Exponent would not have entertained that idea
19 whatsoever.
20 Q. Why is that?
21 A. Because he wasn't the client in that
22 matter.
23 Q. You referenced certain standards in
24 your prior art searching. Do you recall which
25 standards you reviewed?

Page 54

1 A. Yeah. Well, I don't know the number
2 off the top of my head; and I'm sure that I put it
3 in the declaration here. But, you know, there is
4 basically an ISO standard for treadmills for their
5 safety.
6 And there -- I think there is another
7 international standard that revolves around
8 electrical safety, and then there is the ASTM
9 treadmill stuff, and there is -- there's an
10 Australian standard for treadmills that -- that I
11 would have referred to. But they're -- they
12 should be referred to in this declaration
13 specifically.
14 Q. What did you mean by ASTM treadmill
15 stuff?
16 A. So ASTM is a standards body that has a
17 fitness and exercise equipment committee that --
18 that I take part in. And they have a number of
19 standards regarding exercise equipment and
20 treadmills.
21 Q. I direct you to page 46 of
22 Exhibit 1003.
23 A. Okay.
24 Q. Are the standards that you just
25 referred to the ones that are identified in

Page 55

1 paragraphs 78 through 80?
2 A. Yes. And the footnote as well --
3 footnotes.
4 Q. Are there any other standards that you
5 reviewed as part of your prior art searching other
6 than those that are identified in paragraphs 78
7 through 80 and the corresponding footnotes?
8 A. None that I relied on.
9 Q. Are there any other standards that you
10 reviewed -- I'll withdraw that.
11 Which standards, if any, did you
12 review and reject for the purposes of your
13 analysis --
14 A. I don't --
15 Q. -- if any?
16 A. I don't think there were any.
17 Q. Let's look at Exhibit 1019, which is
18 in Volume 2 of your notebook. Where, if anywhere,
19 does Exhibit 1019 discuss the importance of
20 unidirectional movement of the running surface?
21 (Reporter clarification.)
22 MS. JELENCHICK: The running surface.
23 A. I'm not sure that this one does. I
24 think the international standard talks about a
25 treadmill having a unidirectional running surface.

Page 56

1 Let me take a look here. Yeah, I
2 don't believe the ASTM one talks about
3 unidirectional nature of treadmills, just that it
4 should have an ability to stop for emergencies.
5 Q. Where specifically is the disclosure
6 regarding the ability to stop for emergencies in
7 Exhibit 1019?
8 A. That is -- yeah, 4.9.3. Treadmills
9 shall be equipped with immobilization --
10 (Reporter clarification.)
11 A. Oh, sure.
12 4.9.3: Treadmills shall be equipped
13 with an immobilization method to prevent
14 unauthorized operation, which includes a key or a
15 pullout switch or a lock.
16 Q. In your view, is 4.9.3 talking about
17 anything other than that magnetic tether that's
18 appended to -- to treadmills that pulls out if you
19 fall?
20 A. Yeah. So that, in conjunction with
21 4.8.2, you know, basically is emergency situations
22 to stop the treadmill from moving. But there is
23 not a unidirectional clause in the AS -- this ASTM
24 standard.
25 Q. Is 4.9.3 directed to emergency

Page 57

1 situations only?

2 A. I don't think so. It includes the

3 term "unauthorized."

4 Q. Why is that term relevant?

5 A. Well, that's not emergency. It's

6 unauthorized, so it's a little different.

7 Q. What does unauthorized operation by

8 third parties mean to you?

9 A. For this -- this clause, I would say

10 people who should not be using the treadmill.

11 Q. Who falls within that category?

12 A. Probably children.

13 Q. Anybody else?

14 A. I can't think of any as I sit here.

15 There could be some other categories though.

16 Q. According to your CV, you have some

17 role or roles with the ASTM body; is that right?

18 A. That's right.

19 Q. Can you describe for me what your role

20 currently is?

21 A. Sure. I'm a committee member for --

22 on their consumer products committee. I also lead

23 some of the task groups that are writing the

24 standards for consumer products.

25 So, for example, right now I'm

Page 58

1 involved in furniture tip-over with the consumer

2 products committee. So I basically had a large

3 part in rewriting the dresser tip-over standard

4 and the test methods for that.

5 I have since transitioned from that

6 role to now I'm leading a test group for

7 determining the testing standards for tethers, to

8 tether dressers to the wall. That's an ongoing

9 thing right now.

10 And then I'm also a member of the

11 sports equipment -- I forgot what the exact title

12 of it is. That's basically the sports equipment

13 and playground committee. And in that, I'm -- I

14 don't lead any of the -- any of the task groups of

15 that. I'm more of a -- join the meetings and vote

16 on changes, which is something I also do in the

17 other committees.

18 But I've also taken part in other --

19 in other standards, like the market umbrella

20 standard is brand-new. And I was on the initial

21 committee that was putting that together.

22 Q. How long have you been a committee

23 member on the consumer products committee?

24 A. Around ten years, give or take.

25 Q. How large of a committee has that been

Page 59

1 over your tenure?

2 A. Well, I think there are actually a

3 couple of hundred members in that committee. And

4 in the furniture group, while the whole

5 committee -- the hundreds of people -- vote on

6 everything, the furniture safety committee, that's

7 actually a bit smaller; so that's probably around

8 50 people. Somewhere 50 to 100 people have --

9 participate in that more actively.

10 Q. How long have you been on the

11 committee relating to the sports equipment and

12 playground?

13 A. About the same amount of time.

14 Q. Over your tenure, how large of a

15 committee has that group been?

16 A. It's similar. It's a little bit

17 smaller, but still a lot of people.

18 Q. Do either of those committees address

19 standards relating to treadmills?

20 A. Yes.

21 Q. Do both of those committees address

22 standards relating to treadmills?

23 A. Just the sports one.

24 Q. So not the consumer products

25 committee, the sports equipment and playground?

Page 60

1 A. Correct. I mean, one can call from

2 the other; but in general, the treadmills stay in

3 the sports committee.

4 Q. Over your tenure, did you have any

5 role in proposing safety standards associated with

6 treadmill use or operation?

7 A. No.

8 Q. In paragraph 78 of your declaration,

9 you indicate that the ASTM standard that you're

10 referencing was published in 2005; is that right?

11 A. That's right.

12 Q. Is that based on Footnote 1 of the

13 exhibit?

14 A. Oh, that. And I'm looking at it in

15 the -- in the binder you provided. So it says

16 dash 05, which means 2005.

17 Q. So the title at the top, the

18 designation in Exhibit 1019, F2115-05 denotes

19 2005?

20 A. The dash 05 does, yeah.

21 Q. In your work with ASTM on your

22 committees or otherwise, have you had occasion to

23 be involved in any discussions regarding the scope

24 of this particular standard?

25 A. I don't recall giving input on the

Page 61	Page 63
<p>1 scope. I think that I gave input on some other</p> <p>2 parameters at one of the meetings a number of</p> <p>3 years ago.</p> <p>4 Q. And what parameters do you recall</p> <p>5 giving input on?</p> <p>6 A. I think it was along the lines of the</p> <p>7 consistency of like the nomenclature of the</p> <p>8 different portions of the treadmill. I don't</p> <p>9 remember if it was the standard specifically or</p> <p>10 not, but that's my recollection.</p> <p>11 Q. And any input that you provided to</p> <p>12 this particular standard would have been sometime</p> <p>13 after its original publication date in 2005?</p> <p>14 A. Yeah. It was well after that.</p> <p>15 Q. Where, if at all, does Exhibit 1020 --</p> <p>16 which, as I understand it, is directed to the</p> <p>17 international standard you were previously</p> <p>18 referencing -- address, if at all, unidirectional</p> <p>19 movement of the running surface?</p> <p>20 A. It defines that as a -- when it</p> <p>21 defines what a treadmill is, it says that a</p> <p>22 treadmill is training equipment with a</p> <p>23 unidirectional moving surface on which a walking</p> <p>24 or running activity can take place where the feet</p> <p>25 are free to leave the moving surface.</p>	<p>1 Q. How do you know that the ISO standard</p> <p>2 is basically a copy of EN 957-6?</p> <p>3 A. It says that in the forward. So it</p> <p>4 says: ISO 20957-6 was prepared by --</p> <p>5 (Reporter clarification.)</p> <p>6 A. So it says ISO 20957-6 was prepared by</p> <p>7 CEN, and, in parentheses, as EN 957-6, end</p> <p>8 parentheses.</p> <p>9 And then at the -- I'm not going to</p> <p>10 cite the rest of that paragraph. But on the next</p> <p>11 page, you can see the top -- actually, page 3, you</p> <p>12 can see that it says, at the top, EN 957-6:2001.</p> <p>13 And so that means it came out in 2001.</p> <p>14 Q. Prior to your prior art searching for</p> <p>15 this engagement, did you have any professional</p> <p>16 involvement with this particular ISO standard?</p> <p>17 A. Not in its creation; only in its use,</p> <p>18 like application to projects and things like that.</p> <p>19 Q. How has the ISO standard in</p> <p>20 Exhibit 1020 been used in application by you?</p> <p>21 A. Oh, for like stability and things like</p> <p>22 that. Basically, it's a safety -- safety</p> <p>23 standard.</p> <p>24 Q. Have you used this particular standard</p> <p>25 in connection with the design of any treadmill</p>
Page 62	Page 64
<p>1 Q. Where, if anywhere, within Exhibit</p> <p>2 1020 does it describe, if at all, how to maintain</p> <p>3 a unidirectional moving surface for a treadmill?</p> <p>4 A. I don't know that it specifies the</p> <p>5 method that -- I don't -- I don't think that it</p> <p>6 does.</p> <p>7 Q. According to your declaration, you</p> <p>8 identify that this particular ISO standard was</p> <p>9 published in 2005; is that correct?</p> <p>10 A. This one was in 2001. The other one</p> <p>11 was 2005. Let's see. Well, this one is EN 957,</p> <p>12 which is probably cross-listed with -- yeah. So</p> <p>13 this is cross-listed with ISO 20957, which was</p> <p>14 2005, correct.</p> <p>15 Q. I'm not following.</p> <p>16 So what was the date of publication of</p> <p>17 the particular standard that defines a treadmill</p> <p>18 as a piece of training equipment with a</p> <p>19 unidirectional moving surface in which a walking</p> <p>20 or running activity can take place where the feet</p> <p>21 are free to leave the moving surface?</p> <p>22 A. Right. So this ISO standard 20957 was</p> <p>23 published in 2005, but it's basically a copy of</p> <p>24 EN 957-6, which is from 2001. So yeah, the ISO</p> <p>25 standard is from 2005, though, for clarity.</p>	<p>1 that you've been involved in?</p> <p>2 A. No.</p> <p>3 Q. Have you used this particular standard</p> <p>4 in connection with the design of any fitness</p> <p>5 equipment that you've been involved in?</p> <p>6 A. I can't recall as I sit here.</p> <p>7 Q. At any point in time, have you been</p> <p>8 involved in the design of a treadmill for a</p> <p>9 commercial application?</p> <p>10 A. I don't think so.</p> <p>11 Q. At any point in time, have you been</p> <p>12 involved in the design of a treadmill for a</p> <p>13 consumer application?</p> <p>14 A. I believe I have. I can't -- I can't</p> <p>15 place it, though. I've been involved in a number</p> <p>16 of pieces of consumer exercise equipment, like</p> <p>17 steppers and ellipticals and exercise bikes. And</p> <p>18 I'm pretty sure that there is a treadmill in</p> <p>19 there. I just can't remember the specifics.</p> <p>20 Q. Have any of those consumer exercise</p> <p>21 equipment pieces gone to market?</p> <p>22 A. I haven't tracked it. I would assume.</p> <p>23 Q. Are you able to share with me under</p> <p>24 what brand name?</p> <p>25 A. No.</p>

Page 65

1 Q. And that's due to confidentiality
2 obligations of your current or former employer?
3 A. Correct.
4 Q. Is it fair to say that any involvement
5 you've had in the design of consumer exercise
6 equipment has not been for LifeCORE or Assault
7 Fitness?
8 A. That's correct.
9 Q. Similarly, it's fair to say that any
10 involvement you've had in the design of consumer
11 exercise equipment has not been for Woodway; is
12 that right?
13 A. Correct.
14 Q. Let's look at Exhibit 1007. What's
15 Exhibit 1007?
16 A. This is the Australian consumer
17 standard for treadmill safety.
18 Q. How, if at all, is this particular
19 consumer standard used relevant to treadmills?
20 A. So this lays out some general
21 parameters for suppliers who are selling
22 treadmills in Australia in terms of the safety.
23 Q. Where, if anywhere, does Exhibit 1007
24 identify that there must be unidirectional
25 movement of the running surface in a treadmill?

Page 66

1 A. I don't think that it's in this one.
2 I don't recall where it is, at least. Let me take
3 a quick look.
4 Yeah, I don't think that this one
5 states that.
6 Q. You identify in paragraph 80 that this
7 Australian standard was published in 2010. Do you
8 have a specific month and date of publication?
9 A. I don't have a specific date that it
10 was made public, but it became effective as
11 Australian law on January 1st of 2011.
12 Q. In paragraph 51 of your declaration,
13 which is at page 23 --
14 A. Okay.
15 Q. -- in the last sentence of that
16 paragraph, you state: Treadmills have a number of
17 safety concerns that are addressed by several
18 standards bodies, including The Australian
19 Competition and Consumer Commission; ASTM
20 International (e.g., ASTM F2115), and
21 International Organization For Standardization
22 (e.g., ISO 20957).
23 Do you see that?
24 A. I see that.
25 Q. Those three standards that are

Page 67

1 identified are Exhibits 1019 -- excuse me -- are
2 Exhibits 1007, 1019, and 1020, respectively; is
3 that right?
4 A. Probably. The Australian one in this
5 binder is 1007, the ISO one is 1020, and ASTM
6 F2115 is Exhibit 1019.
7 Q. Do any of those standards identify
8 specific solutions to the purported safety
9 concerns that you're referencing?
10 A. Yeah. So for safety concerns, they
11 specify, for example, handrails and emergency
12 stops. They specify clearances and things of that
13 nature and how durable they should be for use.
14 Q. And in your opinion, what are the
15 safety concerns associated with treadmills?
16 A. Well, most of them are contained in
17 these standards. So for treadmills, you -- there
18 is mechanical safety and I would say probably
19 electrical safety. You wouldn't want anyone to
20 get a shock from it, so you can see that, you
21 know, a UL standard is called out. I believe in
22 the ASTM standard for mechanical safety, I would
23 include like human postural stability in that.
24 You don't want the treadmill to break.
25 And then for human stability, you

Page 68

1 would want the person to have, you know, foot
2 platforms so that they can enter and exit the
3 running surface without falling. You want to make
4 sure that when they step on the running surface,
5 that it doesn't do something unexpected.
6 You want to make sure that the
7 clearances involved are -- are set so that there
8 is not entrapment hazard, which has been a big
9 problem for some treadmill manufacturers. So you
10 don't want toes or fingers to get caught or you
11 don't want someone to get pulled into the
12 underside of the treadmill.
13 I'd say those are general -- the
14 general safety concerns I have with the treadmill.
15 Then beyond that, you would -- you know, you don't
16 want a treadmill to run away from someone. You
17 want them to be able to stop if they need to.
18 Things like that.
19 Q. One of the safety concerns that you
20 identified there was that with a treadmill, you
21 want to make sure that when a user steps on the
22 running surface, that the running surface doesn't
23 do something unexpected; is that right?
24 A. That's right.
25 Q. None of these standards that you

<p style="text-align: right;">Page 69</p> <p>1 identified and that we've been talking about</p> <p>2 identify any specific solutions to that particular</p> <p>3 safety concern, do they?</p> <p>4 A. They don't address that explicitly.</p> <p>5 But they do provide for other solutions, like</p> <p>6 having foot platforms and handle -- handrails</p> <p>7 available.</p> <p>8 Q. How does having a foot platform</p> <p>9 mitigate against the safety concern when a user</p> <p>10 steps on the running surface that it may do</p> <p>11 something unexpected?</p> <p>12 A. Well, I would say that foot platforms,</p> <p>13 coupled with handrails, allow someone to have</p> <p>14 what's called three points of contact, so</p> <p>15 basically two hands and a foot on something that's</p> <p>16 immovable while one foot begins weightbearing on</p> <p>17 something that is potentially moveable. And so</p> <p>18 that would -- that would give some safety to it,</p> <p>19 but not -- not necessarily while running.</p> <p>20 Q. Any other solutions that these</p> <p>21 standards identify to address the safety concern</p> <p>22 associated with the running surface doing</p> <p>23 something unexpected when you step on it?</p> <p>24 A. Nothing that comes to mind as I sit</p> <p>25 here.</p>	<p style="text-align: right;">Page 71</p> <p>1 Asian or German patents, identified in your</p> <p>2 opinion?</p> <p>3 A. I -- let me take a look.</p> <p>4 Yeah. I think they're listed in the</p> <p>5 exhibit list on page 6 as the last two entries, I</p> <p>6 believe.</p> <p>7 Q. So the Asian patent is U.S. Patent</p> <p>8 No. 4,635,771 to Shoji; is that right?</p> <p>9 A. Oh, no. I totally misspoke on that.</p> <p>10 It is not on this list, no. No, I don't believe</p> <p>11 we -- we cited it, then. I didn't base any of my</p> <p>12 opinions off of it.</p> <p>13 Q. So the list of exhibits in</p> <p>14 Exhibit 1003, what is that meant to reflect?</p> <p>15 A. So I believe these are all the things</p> <p>16 that I based my analysis off of, and so these are</p> <p>17 the things that were -- we've been talking about</p> <p>18 here. Yeah, I don't recall basing any of my</p> <p>19 opinions off of those foreign -- foreign patents</p> <p>20 in -- in this declaration.</p> <p>21 Q. So let's go back to this page 5, list</p> <p>22 of exhibits, right? At Exhibit 1005, it</p> <p>23 identifies the Complaint, Woodway USA, Inc. Versus</p> <p>24 LifeCORE Fitness, Inc. D/b/a Assault Fitness, Case</p> <p>25 No. 3:22-cv-00492-JO-BLM, Southern District of</p>
<p style="text-align: right;">Page 70</p> <p>1 Q. Okay. Have you had any professional</p> <p>2 involvement with the Australian standard at</p> <p>3 Exhibit 1007?</p> <p>4 A. Just reading it.</p> <p>5 Q. Had you occasion to read this standard</p> <p>6 prior to your engagement in this matter?</p> <p>7 A. Yeah. I remembered this one from the</p> <p>8 previous litigation.</p> <p>9 Q. Prior to the Chapco/Samsara</p> <p>10 litigation, had you had occasion to review the</p> <p>11 Australian standard?</p> <p>12 A. I don't believe so.</p> <p>13 Q. You indicated that at some time after</p> <p>14 you were engaged by Mr. Turner's office, they</p> <p>15 provided some prior art to you. Do you recall</p> <p>16 which prior art they gave you?</p> <p>17 A. I don't -- I don't remember what they</p> <p>18 had provided me. You know, I think that they gave</p> <p>19 me the Woodway patents. I don't know that they</p> <p>20 gave me any of the prior art, but they -- I think</p> <p>21 they did turn up a -- I think an Asian patent. I</p> <p>22 don't remember if it was Japanese or Chinese. And</p> <p>23 there might have been a German one, but I don't</p> <p>24 recall those specifically off the top of my head.</p> <p>25 Q. Are either of those two patents, the</p>	<p style="text-align: right;">Page 72</p> <p>1 California, filed April 11th, 2022. And that also</p> <p>2 includes a reference to a waiver of service.</p> <p>3 Does that refresh your recollection</p> <p>4 that you were aware of the litigation between</p> <p>5 Woodway and LifeCORE d/b/a Assault Fitness, at the</p> <p>6 time that you -- at least as of the time that you</p> <p>7 authored the opinions in this declaration?</p> <p>8 A. Yeah, it does. I should have referred</p> <p>9 to this page when you asked me that question.</p> <p>10 Yeah. I mean, obviously, it's not a big part of</p> <p>11 my opinions; but yeah, I certainly had that in</p> <p>12 front of me.</p> <p>13 Q. You'll agree with me that you also had</p> <p>14 the opportunity and, in fact, cited to certain</p> <p>15 claim construction briefing in connection with the</p> <p>16 opinions that you offered, right?</p> <p>17 A. Correct. Same -- same answer. I just</p> <p>18 didn't recall seeing those before.</p> <p>19 Q. I'm specifically looking at the claim</p> <p>20 construction related materials that you were</p> <p>21 provided access to. Let's first look at</p> <p>22 Exhibit 1022. It's in Volume 2 of your notebook.</p> <p>23 A. Okay.</p> <p>24 Q. I'll direct you to the title of the</p> <p>25 document, which is "Responsive Claim Constructions</p>

Page 73

1 and Identification of Extrinsic Evidence of
2 Defendant LifeCORE Fitness." Do you see that?
3 A. Yes.
4 Q. And within Exhibit 1022, there is no
5 identification of any -- I'll withdraw that.
6 Within Exhibit 1022, you'll agree with
7 me that the claim constructions that are provided
8 are those dueling constructions by the parties --
9 A. That's --
10 Q. -- correct?
11 A. -- what it looks like here.
12 Q. And if you look specifically at page 4
13 of Exhibit 1022, under the column heading
14 "LifeCORE's Proposed Construction For the Term
15 Shaft," you'll see a rotating element used to
16 transmit power from one part to another. Do you
17 see that?
18 A. I see that.
19 Q. And that's the construction that you
20 used for the purposes of offering your opinions
21 with respect to the '884 patent; is that correct?
22 (Reporter clarification.)
23 MS. JELENCHICK: '884.
24 A. Yes, that's what I did.
25 Q. And that construction is different

Page 74

1 than the plain and ordinary meaning, such as
2 hallow or solid element part rod, two-member piece
3 that transmits power motion or is capable of
4 transmitting power or motion?
5 A. Well, that's -- Woodway says that
6 that's the plain and ordinary meaning, but that's
7 what's reflected in this page.
8 Q. Do you disagree that that's the plain
9 and ordinary meaning of shaft to a person of
10 ordinary skill in the art?
11 A. Well, I think it's a lot more explicit
12 than it needs to be. For example, a rotating
13 element is general; and the plain and ordinary
14 meaning here includes element, which is -- could
15 be anything to be more specific, even though it's
16 already included everything.
17 So I don't know that this necessarily
18 is the plain and ordinary meaning because it's
19 very general and then also very specific at the
20 same time. But I think the ideas of the two are
21 pretty similar that -- that I would say the
22 LifeCORE's is more of what a POSA would consider
23 as the plain and ordinary meaning.
24 Q. How does that change your opinion if
25 the Court in the Southern District of New York

Page 75

1 rejected LifeCORE's proposed construction, if at
2 all?
3 A. I haven't done that analysis. So as I
4 sit here, I don't -- I don't know that my opinions
5 would change or not. However, at the core of
6 these, both of them include rotating elements
7 transmitting power. So, you know, I don't know
8 how likely it is that my opinions would change if
9 -- if the construction changed.
10 Q. But that's not analysis that you've
11 provided in your declaration in Exhibit 1003?
12 A. Correct.
13 Q. Let's look at page 5 of Exhibit 1022,
14 specifically the row for safety device as found in
15 the '884, '745, and '005 patents. Under the
16 LifeCORE's Proposed Construction heading, it
17 states: Device having two ring-shaped components.
18 That's the claim construction you
19 utilized in offering your opinions as it relates
20 to the '884 patent; is that right?
21 A. That's right.
22 Q. And Exhibit 1003 doesn't include any
23 analysis of how your opinions may change, if at
24 all, if that construction is rejected by the
25 Court, correct?

Page 76

1 A. Yeah, I don't recall performing that
2 analysis.
3 Q. Also, on page 5 of Exhibit 1022, for
4 the claim term phrase "substantially prevent" --
5 A. Okay.
6 Q. -- if you look under LifeCORE's
7 proposed construction, it identifies a
8 construction that this phrase means: Allow
9 minimal rotation or movement before preventing any
10 further rotation of movement. Do you see that?
11 A. Yeah. Except I think it says "or"
12 movement at the end instead of "of" movement.
13 Q. Oh, excuse me. I'm sorry. I
14 misspoke.
15 So substantially prevent, as construed
16 by you in offering your opinions in Exhibit 1003,
17 relies on application of LifeCORE's proposed
18 construction, right?
19 A. That's right.
20 Q. And Exhibit 1003 does not include any
21 discussion of an alternate claim construction
22 should the Court have rejected LifeCORE's proposed
23 construction; is that right?
24 A. That's right.
25 Q. We talked a little bit about what a

Page 77

1 person of ordinary skill in the art may consider
2 to be the plain and ordinary meaning of, for
3 example, shaft. You define a person of ordinary
4 skill in the art in -- in your declaration.
5 A. Correct.
6 Q. Where did that definition come from?
7 A. My experience, mostly, and my
8 knowledge of the sorts of components that go
9 together to make a treadmill.
10 Q. When you reviewed your opening expert
11 report in the Chapco/Samsara litigation, did you
12 have occasion to review your proffered definition
13 of a person of ordinary skill in the art?
14 A. Yes. Yes.
15 Q. What do you recall the proposed
16 definition of a person of ordinary skill in the
17 art was for the purposes of the Chapco/Samsara
18 litigation?
19 A. Yeah. I don't have a great memory of
20 it; but it was very similar to this, where someone
21 with a technical background in engineering or
22 similar and like a year of experience with the
23 types of components that go into a treadmill, as
24 well as experience with anthropometry or like
25 human movement.

Page 78

1 Something along those lines. That's
2 the high level of what I remember from -- from
3 skimming that.
4 Q. The proffered definition of a person
5 of ordinary skill in the art in the Chapco and
6 Samsara litigation is not just very similar to the
7 definition that you offer in paragraph 48 of your
8 declaration, it's the same definition, right?
9 A. I don't know if it's the same; but it
10 would make sense that I would come to the same
11 conclusion where it's mechanical engineering,
12 biomechanics, and then some experience putting
13 those parts together. So that's -- you know, I
14 would not waiver from that sort of a definition.
15 Q. Did you copy that definition from your
16 prior work on the Chapco/Samsara litigation?
17 A. Not that I recall.
18 Q. Did Mr. Turner or any of his
19 colleagues contribute to the definition of a
20 person of ordinary skill in the art in this
21 engagement?
22 A. Yes. I believe that this is a -- like
23 a collaborative work. And so that there was -- we
24 definitely had a discussion leading up to -- to
25 this.

Page 79

1 Q. So you had a single discussion with
2 Mr. Turner or members of -- of his office on who
3 constitutes a person of ordinary skill in the art
4 or more than one discussion?
5 A. It could have been more than one
6 discussion. I don't recall the specifics exactly.
7 I know that we did have a discussion. I know that
8 I said it was important for me to be consistent
9 with my previous opinions. And I believe I gave
10 them, you know, my recollection, which is similar
11 to what I told you today; you know, basically a
12 technical degree or equivalent, with some work
13 experience and experience with
14 biomechanics/anthropometry.
15 Q. Who was involved in any of the
16 discussions you had with Mr. Turner and his
17 colleagues about who constitutes the relevant
18 person of ordinary skill in the art?
19 A. So Mr. Turner and then -- and Kyle --
20 I can't remember his last name off the top of my
21 head.
22 Q. Do you recall anybody else involved in
23 those discussions?
24 A. I don't recall if Rebecca was still
25 involved at that point.

Page 80

1 Q. Was Mr. Jones involved in those
2 discussions?
3 A. I don't believe so.
4 Q. You identified that it was important
5 for you to be consistent with your previous
6 opinions. Did that importance compel you to adopt
7 the identical claim -- identical proposed
8 definition for a person of ordinary skill in the
9 art in this case?
10 A. Yeah. I don't know if it's identical,
11 but it is the same subject matter. And so the
12 person of ordinary skill in the art should be the
13 same hypothetical person. So because it's the
14 same hypothetical person working on the same art
15 as before, that they should be -- that my
16 interpretation of that person should be the same
17 or similar to what I previously opined.
18 Q. The '884 patent wasn't at issue in the
19 Chapco/Samsara litigation, was it?
20 A. Not that I remember.
21 Q. Well, will you agree with me that the
22 claims of the '884 patent are different in scope
23 than the claims of the patents that were at issue
24 in the Chapco/Samsara litigation?
25 A. That would be awkward if the same

Page 81

1 claims appeared in multiple patents. So I would
2 -- I'm inclined to agree with you on that.
3 Q. Well, I mean, you offered opinions on
4 the claim scope of the '580 patent, for example,
5 right?
6 A. Right.
7 Q. And you recall the '580 patent was
8 part of the Chapco/Samsara litigation, correct?
9 A. I do.
10 Q. In your view, are the -- are the
11 claims of the '884 patent different in scope than
12 the claims of the '580 patent?
13 A. I think they're very similar. And the
14 person who is a person of ordinary skill in the
15 art in the '580 patent was -- is the same
16 hypothetical person in the '884. So because of
17 that, the -- my description of them should be the
18 same as well.
19 Q. Are your grounds for challenging the
20 claims of the '884 patent the same as the grounds
21 for challenging the '580 patent claims?
22 A. I don't believe the grounds are the
23 same. There are different -- different
24 combinations used in the different declarations
25 that I have.

Page 82

1 Q. And there are different combinations
2 that are used because the claim scope is
3 different, right?
4 A. The claims are slightly different, so
5 yes. There's differences in the declaration.
6 THE WITNESS: We've been going for
7 like an hour and 25. Is it a good time to take
8 a five-minute break?
9 MS. JELENCHICK: Sure. Of course.
10 THE WITNESS: Thanks.
11 (Lunch break.)
12 - o0o -
13 A F T E R N O O N S E S S I O N
14 - o0o -
15 BY MS. JELENCHICK:
16 Q. Over the lunch break, did you have an
17 opportunity to discuss the substance of your
18 testimony with counsel?
19 A. The opportunity was there, but it
20 wasn't taken.
21 Q. Before the lunch break, this morning
22 you identified at some point in the past you had
23 done some design work for a fitness equipment
24 company and you thought you had been involved in
25 the design of a particular treadmill. Do you

Page 83

1 recall that testimony?
2 A. Yeah. I wasn't sure -- I recall
3 saying that I wasn't sure if it involved the
4 treadmill or not.
5 Q. The particular treadmill you're
6 thinking of, if you're thinking of one, was that
7 motorized or nonmotorized?
8 A. I think it was a very simple
9 nonmotorized one, but I don't -- I don't have a
10 clear recollection of it.
11 Q. Do you recall when that design work
12 occurred?
13 A. I don't.
14 Q. Do you think it was before March of
15 2009?
16 A. No. No.
17 Q. So any design work on the nonmotorized
18 treadmill was sometime after March of 2009?
19 A. Yeah. And it would have been safety
20 related, most likely.
21 Q. And why do you say that?
22 A. Most of my work as a consultant is in
23 the safety space or assessing something that's
24 broken already. Most of the work is not
25 design-related. It would be assisting someone --

Page 84

1 assisting someone with their design or addressing
2 safety issues that exist or figuring out where
3 safety issues might exist. That's the predominant
4 umbrella that I work in.
5 Q. This particular nonmotorized
6 treadmill, was it flat -- or did it have a flat
7 running surface?
8 A. I think so. I think so.
9 Q. Do you recall whether you were
10 addressing any particular safety considerations
11 with this nonmotorized flat treadmill?
12 A. Yeah. I don't recall the specifics
13 of -- of it very clearly.
14 Q. Is this product something that ever
15 made it to market?
16 A. I have no idea.
17 Q. Going back to paragraph 48 of your
18 declaration that has your proposed definition of a
19 person of ordinary skill in the art, you indicate
20 that your POSA would have at least one year of
21 machine design experience related to power
22 transmission equipment, among other things.
23 What kind of experience is considered
24 relevant experience with power transmission
25 equipment for the purposes of your definition?

Page 85

1 A. Sure. So power transmission equipment
2 is the universe of simple mechanical elements that
3 either cause something to move or facilitate
4 moving. Basically, the -- it's covered in the
5 Power Transmission Handbook, simple machine
6 elements like bearings, rotors, discs, gears,
7 shafts, things -- things of that nature.
8 Basically, this POSA needs to have experience
9 using those things to build equipment or repair
10 that equipment, so that's what I would consider
11 their experience.
12 Q. In your view, the POSA need not have
13 specific experience with treadmills?
14 A. No. But they need to have an
15 understanding of biomechanics and anthropometry so
16 that they have a sense of human motion as it
17 relates to a treadmill, which is basically a
18 conveyor.
19 Q. Why do you say a treadmill is
20 basically a conveyor?
21 A. Well, they use many of the same
22 components and they're arranged in similar ways.
23 So the only difference is that a conveyor, the
24 object of the belt is to move objects. And in the
25 treadmill, the object of the moving conveyor is to

Page 86

1 keep the person in the same place.
2 So even though the relative motion
3 might be a little bit different, the construction
4 of them is, by and large, the same. You know, the
5 controls notwithstanding, but the mechanical
6 elements are, by and large, the same.
7 Q. In your view, do you believe the
8 mechanical elements are, by and large, the same if
9 the running surface is curved?
10 A. Yes.
11 Q. Why is that?
12 A. Well, they still use belts; they can
13 still use treads if they want to; they still use
14 bearings and a frame and pulleys. And those are
15 all things that are found in conveyors.
16 And conveyors can curve. They might
17 curve -- instead of out of the plane of the
18 conveyor, they might curve within the plane. But
19 certainly there are conveyors out there that are
20 not simply plain or either.
21 Q. Do treadmills and conveyors have
22 overlapping safety concerns?
23 A. Yes.
24 Q. Tell me about those.
25 A. Mostly, the overlap would be in pinch

Page 87

1 points and nip points, basically in-running shear
2 points. You wouldn't want to have any body parts
3 trapped or injured by the components of a
4 treadmill or a conveyor belt. Most conveyor belts
5 aren't intended for humans to stand on, so the
6 human stability would not be a crossover. But
7 certainly, the safety aspect and electrical safety
8 would definitely crossover.
9 Q. Are there any safety considerations
10 with respect to a conveyor if the conveyor has
11 unexpected movement?
12 A. Certainly, during servicing it, yeah.
13 So conveyors have E-stops, and they're one of the
14 few mechanical devices that are allowed to run
15 during servicing because of belt alignment.
16 Q. During normal operation of a conveyor,
17 is there any threat or risk of unexpected movement
18 of the belt?
19 A. I'm sure there could be, depending on
20 what sort of conveyor it is and the location that
21 it's in and the -- and its purpose in that
22 location.
23 Q. Does a conveyor require unilateral or
24 uni -- withdrawn.
25 Does a conveyor require unidirectional

Page 88

1 movement of the belt?
2 A. In what context?
3 Q. In any context. Are there standards
4 for conveyors that require a belt to be
5 unidirectional?
6 A. I'm not aware of any conveyor
7 standards that state that.
8 Q. As part of your proposed definition of
9 a POSA, you also identify that that hypothetical
10 individual must have a demonstrated understanding
11 of exercise biomechanics, including anthropometry;
12 i.e., the study of human proportion.
13 What constitutes a demonstrated
14 understanding of the biomechanics of exercise?
15 A. Sure. It's just someone that has some
16 sort of outward -- outward, I would say, like a
17 certificate or some sort of training or some sort
18 of experience that's concrete, where they can say
19 this is the motion of the human body while it
20 walks or runs, and can apply that to the treadmill
21 apparatus.
22 Q. What type of certificate would be
23 relevant to -- to demonstrate understanding of the
24 biomechanics of exercise?
25 A. Well, maybe there's like a -- maybe an

Page 89	Page 91
<p>1 associate's degree or some sort of like physical 2 therapy certificate or some sort of like maybe a 3 trainer type of certificate. 4 Q. Like a personal trainer? 5 A. Yeah, like a physical trainer. 6 Q. Do you have any such certificates? 7 A. Just my Ph.D. 8 Q. What's your Ph.D. in? 9 A. Mechanical engineering. 10 Q. Does your Ph.D. in mechanical 11 engineering relate to exercise biomechanics? 12 A. Yeah. So my dissertation was all 13 about human force generation with the lower limbs. 14 And so a large part of that dissertation was spent 15 reviewing human stability and how humans walk. 16 And my master's dissertation was about 17 prosthetic knees. And so a fair amount of 18 research went into how humans walk in -- in an 19 effort to figure out the loading within the 20 artificial knee joint. 21 Q. Are any of the claims of the '884 22 patent that are being challenged in this IPR 23 proceeding include limitations that implicate the 24 biomechanics of exercise? 25 A. Without reading them right now, I</p>	<p>1 limitations that are directed to exercise 2 biomechanics? 3 A. Again, only as far as that this is a 4 treadmill with a curved surface. And that implies 5 that this is for exercise or rehabilitation and 6 that the biomechanics aspect is that part of the 7 specification and the motivation for -- for the 8 invention. 9 Q. You identify, in your proposed 10 definition for a POSA, that the study of human 11 proportion is -- is somehow relevant. Can you 12 explain that to me? 13 A. Sure. A number of the treadmills, if 14 you're trying to make something comfortable for 15 the runner, either you want to give the running 16 surface some sort of property or you want to make 17 it a specific shape for the person on the 18 treadmill. 19 And so knowing, say, what the average foot-to-hip 20 distance is or how the population that you're 21 intending to design this for, what sort of body 22 proportions they have, would play a role into 23 designing this and coming up with the optimal 24 curve for -- for a treadmill or the optimal shape. 25 Q. Do any of the claims across the three</p>
Page 90	Page 92
<p>1 don't recall a specific mention of that; but I 2 know that in the rest of the patent, that there 3 are a number of things that are stated as far as 4 the curved surface makes things easier for a 5 runner, things of that nature. And so from that 6 perspective, that's where the biomechanics would 7 come in. 8 Q. You understand that it's the claims of 9 the patent that define the scope of the invention, 10 right? 11 A. Right. The invention is actually the 12 claim. The claim sets the boundary for what is 13 covered by the patent. 14 Q. So a specification may say something 15 about biomechanics or exercise more generally; but 16 that's not a limitation that's imported into the 17 claim, is it? 18 A. Well, it's certainly motivation for 19 the development of the claim. But as far as I 20 know, the claims -- the claims set -- set the 21 fence around the invention, not the specification. 22 Q. For the other two patents that are 23 implicated by the IPR proceedings, are you aware 24 of any claim limitations in either the '580 patent 25 or the '745 patent that incorporate claim</p>	<p>1 Woodway patents relate to the size or shape of 2 humans? 3 MR. TURNER: Objection. Form. 4 A. No. The claims -- I don't recall that 5 the claims do. The claims, I believe, say that, 6 you know, one end has to be higher than the 7 middle. 8 But again, the specification describes 9 the curvature and gives a radius of curvature 10 that -- that the patent author suggests. 11 Q. Do any of the claims that you're 12 challenging in your opinions identify specific 13 radiuses -- radii of curvature associated with the 14 running surface? 15 A. I don't believe that that's something 16 that we made a point of. 17 Q. Are you familiar or acquainted with 18 any of the named inventors on the Woodway patents 19 that you've offered opinions on in this 20 engagement? 21 A. I don't believe I've -- I have any 22 knowledge of them personally. 23 Q. Does the fact that your proposed 24 definition of a POSA excludes certain of the named 25 inventors impact your opinion in any way?</p>

Page 93

1 A. No, I don't think so.
2 Q. Why not?
3 A. Well, if someone is clever enough to
4 invent something, they are likely above the
5 ordinary skill in the art. But, you know, this is
6 the bachelor's inexperience and -- and equivalent.
7 So, you know, maybe someone has, you know, ten
8 years working as a mechanic and as an avid runner,
9 or they've been involved in the sports industry
10 for a number of years. But, you know, that would
11 be something that could be considered equivalent,
12 where they have some technical background and they
13 have some background in exercise and -- something
14 like that.
15 Q. Your proposed definition of a POSA
16 identifies that this hypothetical person would
17 have not just a bachelor's degree in mechanical
18 engineering, but they would also have this design
19 experience, correct?
20 A. That's right.
21 Q. In your definition of a POSA, you are
22 focused on the relevant field as of March of 2009.
23 Why is that the case?
24 A. I believe that that is the priority
25 date of the patent, so this is the state of the

Page 94

1 knowledge of the POSA at that time.
2 Q. Did you do any analysis of what
3 constitutes the relevant priority date for the
4 '884 patent?
5 A. I don't recall doing that.
6 Q. Did you do any analysis of the
7 relevant priority date for either the '580 patent
8 or the '745 patent?
9 A. I don't recall doing that in this
10 matter.
11 Q. Have you ever been involved in
12 evaluating a particular patent's priority date?
13 A. I -- I don't think so.
14 Q. Who specifically told you what to use
15 as the relevant priority date for this engagement?
16 A. I believe Mr. Turner did.
17 Q. Okay. Did he offer any explanation as
18 to why the -- the date was what it was?
19 A. I believe he did. I don't recall what
20 he said about it.
21 Q. In paragraph 47 of your declaration,
22 the second sentence states: For reasons not
23 addressed in this declaration or the petition, it
24 accompanies the effective filing date of certain
25 claims of the '884 patent is March 16 of 2010.

Page 95

1 Do you know why your declaration
2 doesn't address the effective filing date?
3 A. Well, I know that that's what
4 Mr. Turner provided me; and, you know, in the next
5 sentence, I say that -- basically gave it an extra
6 year. So, you know, if March 16th, 2010 is the
7 priority date, there is another year of buffer in
8 there that I used as the time zero, if you will,
9 for this.
10 Q. Was it your idea to use that one-year
11 buffer or somebody else's?
12 A. I don't believe that it was my idea.
13 Q. Did Mr. Turner tell you to use that
14 one-year buffer?
15 A. Most likely.
16 Q. Did you have any discussions with
17 Mr. Jones about the relevant priority date --
18 A. No.
19 Q. -- for these Woodway patents?
20 A. No.
21 Q. Have you read the file history for the
22 '884 patent?
23 A. You mean like the back-and-forth
24 between the examiner and -- and the authors of
25 that?

Page 96

1 Q. Yes.
2 A. I don't recall doing that.
3 Q. At any point in time, you don't recall
4 reviewing the back-and-forth between the
5 Patent Office and -- and Woodway's representatives
6 relating to the '884 patent?
7 A. I might have. I don't recall reading
8 that specifically as part of authoring this
9 declaration.
10 Q. When you were doing your prior art
11 searching on the Patent Office website looking for
12 the '884 patent, did you also look for a copy of
13 the '884 file history?
14 A. I don't think I did.
15 Q. How about with respect to the other
16 two Woodway patents, the '580 or the '745 patent?
17 For the purposes of your declarations, at any
18 point in time did you review those file histories?
19 A. Not as I sit here, I don't recall
20 reading those file histories.
21 Q. In offering opinions regarding the
22 claims of the '884 patent, do you think it would
23 have been important to understand how the examiner
24 understood the claim language?
25 A. I just used the plain meaning of the

Page 97

1 terms outside of the three that were construed.
2 And, you know, if there is some sort of order that
3 has other language that must be imposed on the
4 analysis, then I would certainly consider that.

5 But as far as what the examiner did or
6 didn't say for the language, that's not as
7 relevant to me as something that I'm forced to
8 consider.

9 Q. Is it relevant or would it --
10 withdrawn.

11 Is it relevant how the examiner
12 interpreted the scope of the prior art in
13 evaluating whether to issue claims for the '884
14 patent?

15 A. I don't think that that really
16 impacted or would impact my analysis. I don't
17 want to say that an examiner is -- is wrong or
18 that they don't know what they're talking about,
19 so I would be concerned about saying something
20 like that.

21 But as far as their analysis, I know
22 that they have limited amounts of time and I have
23 the opportunity to dig deeper into these prior art
24 references than they do. So it's probably
25 something that is nice to have a flavor for, but

Page 98

1 not necessary to my analysis.

2 Q. With your declarations, aren't you
3 saying that the examiner was wrong?

4 MR. TURNER: Objection. Form.

5 A. Well, I might be saying that they
6 missed some things in their analysis. But I think
7 as a -- taken as a whole, I don't agree with the
8 examiner.

9 Q. And in your view, the examiner may
10 have missed some things because they don't have
11 sufficient time to evaluate the scope of the prior
12 art?

13 A. I think that's a possibility.

14 Q. What are the other possibilities?

15 A. I'm not sure. I'm not sure what the
16 background of the examiner is who reviewed this.

17 Q. Do you know who the examiner is that
18 reviewed this patent?

19 A. I do not.

20 Q. Do you know how the particular
21 examiner and/or their supervisors, how much time
22 they may have spent during prosecution?

23 A. I don't. I have a sense that patent
24 examiners only get a couple of days to go over
25 these things, but I don't know in the '884 how

Page 99

1 long they had with it.

2 Q. You don't know in any of the Woodway
3 patents how long the examiner may have spent with
4 the prior art, do you?

5 A. I have no idea.

6 Q. So it's fair to say you don't know how
7 much time they spent relative to the amount of
8 time that you spent on this engagement, right?

9 A. That's right.

10 Q. If you had to estimate how much time
11 you have spent thus far in developing and
12 memorializing your opinions in your three
13 declarations, are you able to provide me an
14 estimate?

15 A. Probably -- yes, I think I could give
16 you an estimate, a ballpark estimate.

17 Q. What's the ballpark?

18 A. It would be in -- measured in weeks.

19 Q. How many weeks?

20 A. Somewhere between three and six, I
21 would say.

22 Q. Are you able to delineate the time
23 spent on one patent relative to the others?

24 A. No, I don't think I can.

25 Q. Why is that?

Page 100

1 A. First, it was about a year ago. And
2 these were -- the review of the prior art was all
3 done together, so I can't untangle that. But the
4 declarations themselves were written independently
5 of one another.

6 Q. How much time did you spend drafting
7 the declaration for the '884 proceeding?

8 A. I could only estimate.

9 Q. What's your estimation?

10 A. Something on the order of a week.

11 Q. How about with respect to the '580
12 proceeding, approximately how much time did you
13 spend on that declaration?

14 A. Same answer.

15 Q. How about for the '745 patent, how
16 long did you spend on that declaration?

17 A. Same answer.

18 Q. Do you recall when you first started
19 drafting any of the declarations?

20 A. Sometime last year.

21 Q. You have no more specificity than
22 sometime last year?

23 A. Well, before my signature went on it,
24 but sometime in Q1.

25 Q. Were you working on each declaration

Page 101

1 in parallel or serially or something else?
2 A. It was a little bit of a mix between
3 those as far as the analysis and the prior art
4 review and the engineering analysis that went into
5 it. The authorship of the declarations was mostly
6 serialized.
7 Q. Which declaration did you do first?
8 A. I don't remember.
9 Q. Approximately how much time did you
10 spend looking for prior art?
11 A. I probably spent a couple of weeks
12 searching and reviewing.
13 Q. Your search for prior art, that wasn't
14 a full-time engagement, was it?
15 A. I have a number of responsibilities at
16 Fusion, so I have to fit everything together in my
17 full-time job.
18 Q. What percentage currently is your work
19 directed to consulting work?
20 A. All my -- well, my work with standards
21 and the standard bodies, that's all volunteer.
22 Outside of that, my work at Fusion is all
23 consulting work.
24 Q. I thought you said earlier this
25 morning that you had a variety of responsibilities

Page 102

1 at Fusion including not just consulting, but
2 marketing, recruiting, and other administrative
3 responsibilities.
4 A. Oh, that's true. I consider that part
5 of the consulting job. So if you're a consultant,
6 part of that means you have to have your name out
7 there; you have to work on technical papers,
8 things like that. So all that sort of thing goes
9 hand in hand with the consulting business.
10 Q. So for the couple of weeks that you
11 were doing prior art searching, was that the only
12 thing that was taking your time during your
13 employment at Fusion?
14 A. No. I have a number of things going
15 at once at all times.
16 Q. How much time did you spend reviewing
17 the prior art you uncovered?
18 A. I probably spent the same amount of
19 time during the searches. So as I search, I'm
20 reviewing it; search/review, search/review. It
21 flips back and forth. So it's not like I have a
22 stack that I establish and then review it. It's
23 more of an organic development of the searching
24 and reviewing.
25 Q. Okay. The couple of weeks, then, that

Page 103

1 you referenced relative to the prior art, that
2 encapsulated not just the searching, but your
3 substantive review of the material as well; is
4 that right?
5 A. Yes. And then as I'm writing the
6 declaration, I'm also going back and re-reviewing
7 that prior art at the same time.
8 Q. Did you review any of the legal
9 authorities that are cited in your declaration?
10 A. I did review them. I recall reading
11 KSR. I don't -- I could not tell you what it
12 says, though, as I sit here.
13 Q. Approximately how much time did you
14 spend reviewing KSR?
15 A. I probably spent a few hours doing
16 that.
17 Q. Do you recall any other specific legal
18 authorities that you reviewed in the preparation
19 of your declarations?
20 A. Yeah, I don't -- I don't recall, as I
21 sit here, other ones that I -- that I reviewed at
22 that time, you know, a year ago.
23 Q. Was there anything that you asked for
24 from Mr. Turner and his colleagues that wasn't
25 provided to you?

Page 104

1 A. Not that I remember.
2 Q. You provided a one-week estimation for
3 the declaration associated with each of the three
4 Woodway patents. Does that one week include the
5 prior art searching and analysis, or is that work
6 in addition to the one week?
7 A. That's in addition.
8 Q. Do you recall doing prior art
9 searching in the Chapco/Samsara litigation?
10 A. Yes.
11 Q. How does the prior art searching in
12 this engagement differ from the prior art
13 searching you did in the Chapco/Samsara
14 litigation, if at all?
15 A. I would say my process is fairly
16 similar; coming up with search terms, going to
17 specific sites. I would say in this -- in this
18 matter, there was a little more emphasis placed on
19 making sure that there was like traceability. So
20 I know like if I found something, for example, on
21 one-way clutches, making sure that that
22 publication could be traced to being before the
23 priority date. So other than that, it was -- it
24 was fairly similar.
25 Q. How was your prior art searching --

Page 105

1 withdraw that.
2 Traceability analysis that you just --
3 the traceability analysis that you just
4 referenced, was that your idea or somebody else's?
5 A. I believe that Mr. Turner indicated to
6 me that that was something that was important for
7 this.
8 Q. Did he tell you why it was important?
9 A. I don't recall.
10 Q. Did you keep a written log of the
11 searching that you conducted?
12 A. No.
13 Q. Did Mr. Jones help in any way with
14 prior art searching?
15 A. No.
16 Q. Did you brainstorm with Mr. Turner or
17 his colleagues on strategies for prior art
18 searching?
19 A. Yeah. I wouldn't call it
20 brainstorming.
21 Q. What would you call it?
22 A. I -- in a discussion, I described what
23 sort of search terms I was looking for. And I
24 believe that -- that they might have indicated
25 some of the search terms they used. I don't have

Page 106

1 a clear recollection of it, but I believe there
2 was a brief discussion about that.
3 Q. Did you attempt to replicate the prior
4 art searching that you did in the Chapco/Samsara
5 litigation?
6 A. There certainly were a lot of
7 similarities, but I didn't attempt to duplicate
8 it.
9 Q. Why not? Particularly given that the
10 '580 patent was involved in that litigation, as
11 well as part of this proceeding.
12 A. Well, I didn't recall my -- 100
13 percent my strategy for searching for the prior
14 litigation. I also remembered some of the patents
15 that were involved in that litigation, at least
16 maybe their name or -- or what they were trying to
17 do.
18 And so I had kind of that starting
19 point already as to where I could start my search.
20 And so once I started the search, my approach was
21 probably very similar to what I did, you know,
22 eight years ago.
23 Q. Which patents did you utilize to start
24 your search?
25 A. I remembered the horse patent, and I

Page 107

1 remembered the ancient hamster-wheel style ones,
2 and I remembered the modern hamster style one. So
3 I think I started there in addition to, you know,
4 looking at -- because I remember -- I believe they
5 gave me the '884, so I started looking at that as
6 well because I know that has a list of the cited
7 art in it.
8 So I was -- used all of those things
9 as a starting point.
10 Q. In your prior art searching, did you
11 uncover the Sclater reference?
12 A. So that was a book that I had
13 available to me while I was at University of
14 Wisconsin. But that one was actually -- the
15 actual book that we used here, I believe, was Mr.
16 Turner's book.
17 Q. Was it your idea to take a look at the
18 Sclater book or somebody else's?
19 A. I think Mr. Turner spoke pretty highly
20 of it. So after that, then I took a look at it.
21 Q. Do you know why Mr. Turner holds the
22 Sclater book in such high regard?
23 A. Well, it's basically an encyclopedia
24 of mechanical components that a POSA would use or
25 be interested in; and it gives a nice CliffsNotes

Page 108

1 version of the advantages and disadvantages of a
2 number of them.
3 Q. You indicated that this was a text
4 that you had access to at the University of
5 Wisconsin; is that right?
6 A. Yeah, that's right.
7 Q. And you were at the University of
8 Wisconsin for your graduate work?
9 A. That's right.
10 Q. How, if at all, did you use the
11 Sclater text while at Wisconsin?
12 A. Oh, it was available electronically.
13 And when I discovered that we had access to all
14 these electronic references, I remember going
15 through it.
16 Also, as a graduate student there, I
17 built all the equipment that I did testing on for
18 humans. So I was always interested in -- in
19 seeing the different mechanisms that are
20 available.
21 Q. For the purposes of your declarations,
22 it appears, based on the photographs, that you
23 used a physical text for Sclater, right?
24 A. Correct.
25 Q. Approximately how many pages is that

Page 109	Page 111
<p>1 text?</p> <p>2 A. I want to say 3- to 400 pages.</p> <p>3 Q. Remind me when you were at the</p> <p>4 University of Wisconsin.</p> <p>5 A. 2000 to 2008.</p> <p>6 Q. During your work in the Chapco and</p> <p>7 Samsara litigation, you didn't rely on the Sclater</p> <p>8 reference in any way for your opinions in that</p> <p>9 case, did you?</p> <p>10 A. I didn't.</p> <p>11 Q. Why not?</p> <p>12 A. I felt like the power transmission</p> <p>13 handbook had a succinct description of the basic</p> <p>14 mechanical components that were in those patents,</p> <p>15 and so I felt like that was enough at the time.</p> <p>16 Q. And for the purposes of this</p> <p>17 engagement, you didn't feel like that particular</p> <p>18 power transmission handbook reference was</p> <p>19 sufficient?</p> <p>20 A. It was a bit shorter in its</p> <p>21 descriptions of the different components, and</p> <p>22 Sclater just had a better overview of the</p> <p>23 mechanical components that were important to the</p> <p>24 '884.</p> <p>25 Q. In your view, what are the mechanical</p>	<p>1 Q. What, if anything, does Sclater offer</p> <p>2 about these particular mechanical components other</p> <p>3 than an identification that they exist?</p> <p>4 A. So it provides a broad breadth of</p> <p>5 examples and in mechanisms that have been</p> <p>6 established, and it provides a little bit of</p> <p>7 information about advantages and disadvantages for</p> <p>8 each of the different versions.</p> <p>9 And specifically in this matter, it</p> <p>10 shows a conveyor that uses a one-way bearing to</p> <p>11 keep it from going the wrong direction and</p> <p>12 describes that a one-way bearing is good for that.</p> <p>13 So that sort of example does not exist in the</p> <p>14 Power Transmission Handbook.</p> <p>15 Q. Can you direct me to where in Sclater</p> <p>16 it provides that disclosure?</p> <p>17 A. Sure.</p> <p>18 Is it -- is Sclater in those binders?</p> <p>19 Oh, this one's backwards. That's why.</p> <p>20 Yeah. Page 320.</p> <p>21 Q. Where on page 320?</p> <p>22 A. So Figure 2 shows a conveyor belt, and</p> <p>23 it shows a clutch; and it describes it as fixed to</p> <p>24 the stationary frame. And underneath that, in the</p> <p>25 caption, it says: Backstopping permits rotation</p>
Page 110	Page 112
<p>1 components -- in your view, what are the</p> <p>2 mechanical components that were important in the</p> <p>3 '884 patent?</p> <p>4 A. So there's bearings, pulleys, shafts,</p> <p>5 belts. And specifically, in the '884, there's</p> <p>6 clutches and one-way bearings and the differences</p> <p>7 between them. And while the Power Transmission</p> <p>8 Handbook talks about them briefly and gives a very</p> <p>9 brief overview of the subject, the Sclater</p> <p>10 reference actually provides a lot more examples</p> <p>11 that a POSA would have been familiar with.</p> <p>12 Q. In your view and in light of your</p> <p>13 proposed definition of a POSA, would such a</p> <p>14 hypothetical person be aware of those identified</p> <p>15 mechanical components without consulting the text?</p> <p>16 A. Yes. And if -- if they weren't, then</p> <p>17 they would be able to find them via commercially</p> <p>18 available means. Because the POSA knows what</p> <p>19 they're trying to do and would know what to look</p> <p>20 for.</p> <p>21 Q. So whether the POSA consulted the</p> <p>22 Sclater text or not, in your view, your proffered</p> <p>23 POSA is aware of bearings, pulleys, shafts, belts,</p> <p>24 clutches, and one-way bearings?</p> <p>25 A. Correct.</p>	<p>1 in one direction only. The clutch serves as a</p> <p>2 counter-rotation holding device. An example is a</p> <p>3 clutch mounted on the headshaft of a conveyor.</p> <p>4 The outer race is restrained by torque-arming the</p> <p>5 stationary frame of the conveyor. If, for any</p> <p>6 reason, power to the conveyor is interrupted, the</p> <p>7 back-stopping clutch will prevent the buckets from</p> <p>8 running backwards and dumping their load.</p> <p>9 And then in the paragraph above the</p> <p>10 figure, it talks about overrunning sprag clutches</p> <p>11 and says, essentially, that the pictures below are</p> <p>12 applications for -- for those things.</p> <p>13 Q. Were any of those applications for use</p> <p>14 in fitness equipment?</p> <p>15 A. Well, I would say that a conveyor is</p> <p>16 the same as a treadmill. In that sense, yes, but</p> <p>17 not explicitly here.</p> <p>18 Q. Identify for me the differences</p> <p>19 between nonmotorized curved running surface</p> <p>20 treadmill with the type of conveyor identified in</p> <p>21 Figure 2, if there are any.</p> <p>22 A. So the conveyor in Figure 2 here has a</p> <p>23 slope which is not a curved running surface. And</p> <p>24 it has a motor driving it in one direction. And</p> <p>25 the lateral slats are buckets instead of flat --</p>

Page 113

1 flat slats, I should say.
2 I think those are the most obvious
3 differences between the depiction in Figure 2 and
4 a treadmill.
5 Q. Identify for me the purported
6 similarities between the conveyor in Figure 2 and
7 a nonmotorized treadmill with a curved running
8 surface.
9 A. Can you repeat that?
10 Q. Sure.
11 Identify for me the purported
12 similarities between the conveyor in Figure 2 and
13 a nonmotorized treadmill with a curved running
14 surface.
15 A. So the similarities are that it has a
16 frame. It's going to have pulleys at the head and
17 tail. There is going to be a belt that wraps
18 around them that's endless, and there is going to
19 be a transverse element that is used for carrying
20 loads between the left side and the right side.
21 And as I sit here, I think those are
22 the major similarities it's going to have with the
23 treadmill.
24 Q. This conveyor in Figure 2 doesn't
25 identify any safety concerns, does it?

Page 114

1 A. No. But it has a functional concern
2 of going backwards.
3 Q. How does the introduction of a curve
4 into the surface of the belt impact, if at all,
5 the operation of this particular conveyor?
6 A. I'm sorry. Can you read that again?
7 Q. Sure.
8 How does the introduction of a curve
9 into the surface of the belt impact, if at all,
10 the operation of this particular conveyor in
11 Figure 2?
12 A. I'm not sure that it would.
13 Q. Why not?
14 A. Because the -- the belts are still
15 going to be running over the head and the tail
16 pulleys, and it's still going to be driven along
17 the path of the underlying frame of the -- of the
18 conveyor.
19 Q. The introduction of a curve into the
20 belt doesn't introduce any safety or functional
21 considerations?
22 A. Well, in this conveyor, it -- it does
23 have a curve at the top and the bottom already
24 because it doesn't require the loading to only
25 occur in between the head and the tail.

Page 115

1 But you could put curves in here, and
2 I think that the load that it's moving would still
3 make it from the bottom to the top, you know,
4 using that arrow as an indicator of the direction
5 of travel.
6 Q. Are you suggesting that the surface of
7 this conveyor is curved because the belt wraps
8 around the front and the rear pulleys?
9 A. Well, for the conveyor that doesn't
10 have a running surface, yes. I think that this
11 would constitute a curve at the -- at the very top
12 because that is a functional piece of the
13 load-transferring nature of the conveyor where it
14 takes whatever it's carrying up and then over.
15 That's not the case in a treadmill
16 where you wouldn't want a person to run on the
17 front of the -- of the -- or in front of the front
18 pulley or to the rear of the rear pulley.
19 Q. The conveyor in Figure 2 may have
20 curved portions of the belt, but it is not the
21 same type of curved surface that is claimed in any
22 of the Woodway patents, is it?
23 MR. TURNER: Objection. Form.
24 A. I don't believe so. Because the
25 claims in those talk about an end that is higher

Page 116

1 than the middle, and I don't know that this Figure
2 2 depicts that.
3 But in terms of a conveyor system that
4 has a functional requirement of only moving one
5 direction, this is very explicit about how that
6 would be done.
7 Q. So we're looking at page 320 of what
8 you said may be a text of at least 400 pages. How
9 would a person of ordinary skill in the art know
10 to go to this particular disclosure in evaluating
11 whether the use of a clutch may be useful in the
12 design of a curved nonmotorized treadmill?
13 A. Sure. So in this book, it's not just
14 a random sampling of mechanisms. They're
15 arranged. And in this section starting on around
16 page 300, it's the chapter on clutches and brakes.
17 And there's lots of prior art talking about safety
18 and things like that.
19 And so starting at page 300, the POSA
20 could start reading this and -- and look at the
21 different options for limiting the motion of the
22 treadmill. And then after a couple of pages of
23 pictures -- where, I think, two pages before, it
24 describes sprag-type clutches and their
25 advantages, that this picture is here and the

Page 117

1 description that the sprag clutch is a good option
2 for that.
3 Q. But this more than 100 -- excuse me.
4 This text that contains, you know,
5 upwards of 400 pages has a variety of different
6 mechanical components that could be relevant to
7 the design of a system.
8 Where is the motivation to utilize
9 this particular configuration to address safety
10 considerations in fitness equipment?
11 A. Well, the safety consideration comes
12 from other prior art talking about people being
13 stable, people not falling off the end, issues
14 with low-friction surfaces being hazardous.
15 And so with that knowledge, you curve
16 the treadmill to keep them from going off the end.
17 But then knowing that if you step on the end and
18 it goes the other way that people aren't
19 expecting, then there's a big opportunity for a
20 fall there.
21 So if you want to eliminate that
22 possibility, which is something that other pieces
23 of prior art have done by making the belt
24 unidirectional, you would go specifically looking
25 up clutches and brakes, which is what this chapter

Page 118

1 is.
2 And, you know, they talk about a
3 number of different things here. You have noisy
4 options, options in -- where, depending on the
5 speed, you may not catch right away. Then you
6 move to other options that only have a few types
7 of pieces that interfere. And then it says, hey,
8 look at these types of sprag clutches that have
9 many interfering elements which provide a lot of
10 force to hold something tightly and quickly.
11 And then you flip another few pages,
12 and it shows you a conveyor and gives you specific
13 instructions that this is how you put it together.
14 And it's all within a few pages.
15 THE WITNESS: Is it a good time for a
16 five-minute break?
17 MS. JELENCHICK: Sure.
18 (Recess had.)
19 BY MS. JELENCHICK:
20 Q. Who decided which prior art you were
21 going to rely on for the purposes of your
22 opinions?
23 A. So that was a discussion that I had
24 with Mr. Turner's office, and I had my ideas. I
25 believe they had suggestions that I considered,

Page 119

1 and then we ended up with what's in the
2 declaration.
3 Q. What specifically were your ideas?
4 A. I don't remember very clearly at this
5 point because that was just a phone conversation
6 like a year ago. But I probably had some idea
7 about maybe Schmidt and probably Cutter and
8 Chickering and Magid. And that's probably where
9 the conversation started.
10 Q. If we look at your declaration for the
11 '884 patent, you don't offer any opinions where
12 Schmidt is used as a reference in any of the
13 grounds challenging the patentability of the
14 challenged claims of the '884 patent. Why is
15 that?
16 A. I recall that -- I think that Schmidt
17 had been used as a reference a number of times and
18 that as far as it being a compelling argument
19 might have been minimized by how often it had been
20 cited in the prior art had been in front of the
21 examiner.
22 So from that perspective, it seemed
23 like it wasn't, perhaps, a strong contention to
24 make with Schmidt as a primary rationale.
25 Q. Any other reasons why Schmidt was

Page 120

1 dropped?
2 A. I don't recall as I sit here.
3 Q. What were Mr. Turner's office
4 suggestions relevant to the prior art to use?
5 A. I don't recall specifically what was
6 said in those conversations. I'm sure they had
7 some ideas about which prior art pieces were the
8 strongest, in their opinion. And then I
9 considered them and considered the ones that I
10 thought were strongest.
11 And I think that after that discussion
12 and that analysis, that we came up with the
13 strongest grounds and presented those in the
14 declaration.
15 Q. In paragraph 120 of your declaration
16 in the '884 IPR proceeding, you state: For
17 purposes of this declaration, I'm applying the
18 following claim constructions for my analysis
19 regarding unpatentability for Grounds 3 and 6.
20 There is no Ground 6 in your
21 declaration. Should that refer to a different
22 ground or is that a typo? Or what's your
23 explanation for that sentence?
24 A. As I sit here, I don't recall. That
25 must be a typo.

Page 121

1 Q. What's --
2 A. Yeah, there is no Ground 6.
3 Q. In Ground 1, the basis for the
4 challenge is the combination of Schonenberger,
5 Socwell, Magid, and Sclater. Which of those
6 references were your idea in the first instance?
7 A. Well, I certainly was familiar with
8 Schonenberger and Socwell and Magid prior to this
9 IPR. I would say, you know, Mr. Turner had
10 Sclater. And once I reviewed that, I was on board
11 with it.
12 I would say that these were -- you
13 know, this was reached as a collaboration. And I
14 couldn't say whose idea was what at this point in
15 time.
16 Q. Is the same statement true for each of
17 the grounds in all of your declarations?
18 A. I think, in general, yeah.
19 Q. Where did your familiarity with
20 Schonenberger, Socwell, and Magid come from prior
21 to this IPR proceeding?
22 A. So that was in the litigation from
23 seven, eight years ago.
24 Q. The Schonenberger reference in this
25 particular IPR, that is Exhibit 1010, I believe.

Page 122

1 A. Yes.
2 Q. In your view, Exhibit 1010 is the same
3 or similar to the Schonenberger reference that you
4 utilized in the Chapco/Samsara litigation?
5 MR. TURNER: Objection. Form.
6 A. It certainly seemed familiar to me
7 when I read it this last year.
8 Q. Is there any other reason that
9 Schonenberger Exhibit 1010 would be familiar to
10 you?
11 A. Not that I can think of off the top of
12 my head.
13 Q. The prior art that you use as the
14 bases for the grounds for challenge of the claims
15 in the '884 patent was all before the patent
16 examiner -- patent examiner except for Sclater,
17 correct?
18 A. I believe that's correct.
19 Q. Is the only reason the Sclater
20 reference was added to the grounds for challenge
21 to address your desire to identify art that wasn't
22 before the examiner?
23 A. Yeah. I think that that is one aspect
24 of the Sclater reference. The second aspect that
25 we already discussed as far as it being, you know,

Page 123

1 a more descriptive encyclopedia of mechanisms as
2 opposed to the PT Handbook, which is more of a
3 CliffsNotes version of an overview of mechanical
4 components.
5 Q. A person of ordinary skill in the art
6 of the type that you're proposing is relevant here
7 would understand the disclosure in the PT Handbook
8 to be comparable to the disclosure of Sclater,
9 right?
10 A. Yes. It's just not as example heavy.
11 But as far as providing descriptive assessments of
12 different technologies that are preexisting, they
13 both provide some similarities there.
14 Q. The examiner considered the PT
15 Handbook, right, in evaluating the claims of the
16 '884 patent?
17 A. I don't recall. I don't see it in the
18 list here, unless it's hiding. And I'm looking in
19 pages 3, 4, 5, and 6 of the '884 patent that's
20 Exhibit 1001.
21 Q. Was the PT Handbook part of your
22 opinion in the Chapco/Samsara case?
23 A. Yes. I used that as a basis.
24 Q. So I'll direct you to what I think
25 you're already looking at, the References Cited

Page 124

1 section of the '884 patent which begins on page 1
2 and extends through, I believe, page 7.
3 If you look at page 6 of Exhibit 1001,
4 about halfway down, it identifies: Declaration of
5 Robert Giachetti, Case 3:15-cv-01665-JCH, Document
6 88-1 filed May 1st, 2017, 20 pps, marked on its
7 face as Exhibit 1.
8 You're that Dr. Giachetti that's
9 identified there, right?
10 A. It looks like it.
11 Q. And is that part of the opinions that
12 you offered in the Giachetti and Samsara case, if
13 you know?
14 A. I don't recall what I said about the
15 one-way bearing in that matter other than it was a
16 common and commercially available machine element
17 at the time. But I don't -- I don't remember
18 printing out the whole PT Handbook as part of that
19 report. I'm not sure if that is contained in that
20 declaration.
21 Q. But in your view, the opinions that
22 you offered in the Chapco and Samsara case
23 contemplated the PT Handbook being relevant in
24 some way to the patents that you are challenging,
25 right?

Page 125

1 MR. TURNER: Objection to form.
2 A. Well, the PT Handbook is an example of
3 the knowledge base of what a POSA would know or
4 understand as far as commercially available power
5 transmission elements. So I definitely relied on
6 it during that litigation to justify my positions
7 as far as what a POSA would or would not know.
8 Q. Go down to the next reference. It
9 identifies Opening Expert Report of Dr. Robert
10 Giachetti Re: Invalidity of U.S. Pat No.
11 8,986,169 and U.S. Pat No. 9,039,580, Case
12 3:15-cv-01665-JCH, Document 216-1 filed April 5,
13 2018, 67 pages, marked on its face as Exhibit 1.
14 Do you see that?
15 A. I see that.
16 Q. Do you believe that's an additional
17 disclosure of your opinions from the Chapco and
18 Samsara case related to the validity challenge of
19 the patents at issue?
20 A. It could be. I don't remember. I
21 mean, it just says expert report and opening
22 expert report. So I don't -- I don't recall what
23 those -- what the contents were.
24 Q. Dr. Giachetti, I've handed you what's
25 designated as Exhibits 2008 and 2009. Do you want

Page 126

1 to take a look at those?
2 A. Okay. I think I -- I've flipped
3 through them. There is a lot of pages here, so...
4 Q. Do you recognize Exhibits 2008 and
5 2009 to be the opinions that you offered in the
6 Chapco/Samsara case regarding your validity
7 challenge to certain Woodway patents?
8 A. Yeah. So this one looks like
9 validity, and I'm not sure what the small one is
10 regarding. But they are -- they appear to be
11 reports that I authored.
12 Q. Well, I'll direct you to the header at
13 the top of each of those exhibits. You can see
14 that there is a case caption number --
15 A. Okay.
16 Q. -- as well as a document ID. So, for
17 example, Exhibit 2008 identifies the case number
18 and then Document 216-1.
19 A. Okay.
20 Q. And a filing date of April 5th, 2018.
21 And then if you take a look at the references
22 cited that we were just looking at on page 6 of
23 Exhibit 1001, those designations match with the
24 Opening Expert Report of Dr. Robert Giachetti Re:
25 Invalidity, et cetera, et cetera.

Page 127

1 Do you see that?
2 A. Yes.
3 Q. The same is true for Exhibit 2009,
4 right, if you look at that top header? It has a
5 case number and identifies a document ID of 88-2,
6 with a filing date of May 1st, 2017 --
7 A. Right.
8 Q. -- which also corresponds with the
9 declaration that's identified on page 6 in
10 references cited.
11 Were you aware that your previous
12 expert opinions regarding your validity challenge
13 were disclosed to the Patent Office in connection
14 with the '884 patent?
15 A. You know, since I read the patent, I
16 probably spotted that my reports were in here, as
17 I know that Dr. Blair's rebuttal is in here as
18 well.
19 Flipping through these, I didn't use
20 the Power Transmission Handbook in these. It
21 doesn't appear as though I used it as combination.
22 I just used it to say that POSA would understand
23 that they exist and use in this method as the same
24 as if -- how they're expected to be used and to
25 give the results that they are intended to have.

Page 128

1 But I didn't use it specifically as a combination.
2 Q. Whether you used it as a combination
3 or not, that would be knowledge that a person of
4 ordinary skill in the art would have brought to
5 the table in his or her analysis, right?
6 A. Yes. A person of ordinary skill in
7 the art would have an understanding of those
8 mechanisms, that they exist and how they're used.
9 Q. Would you say Sclater is cumulative of
10 the PT Handbook?
11 A. Can you tell me what you mean by
12 cumulative?
13 Q. Have you heard that word before?
14 A. I have, but I'm not putting it
15 together with how the two references work.
16 Q. What does the word cumulative mean to
17 you?
18 A. Adds up or compounds.
19 Q. So is the content in the PT Handbook,
20 adding that up, is that the same as the content of
21 Sclater?
22 A. I think the PT Handbook is a
23 boiled-down essential version of Sclater. Sclater
24 is like an encyclopedia with tons of examples,
25 tons of pictures. And the PT Handbook is more of

Page 129

1 an overview that someone with knowledge already
2 can flip through from time to time and use to
3 their advantage.
4 Sclater is more of, this is everything
5 that's out there in the universe. It's much more
6 explicit.
7 Q. Would your person of ordinary skill in
8 the art recognize the Figure 2 exemplar of the
9 conveyor as being disclosed in the PT Handbook as
10 one potential use for a sprag?
11 A. Well, I don't remember everything the
12 PT Handbook says about one-way bearings and
13 one-way clutches. But it definitely has a
14 conveyor section in it that shows belts and
15 conveyors very similar to treadmills, and I know
16 that it talks about one-way clutches being used to
17 keep things moving in one direction.
18 So those things are contained within
19 the PT Handbook. But the PT Handbook doesn't make
20 examples of assembling everything for you.
21 Q. The PT Handbook doesn't have pictures?
22 A. It does have pictures of existing
23 equipment where it will show, here is a picture of
24 a conveyor; here is a -- here is a picture of a
25 bearing; here is a picture of a roller bearing;

Page 130

1 here is a picture of a plain bearing. Things like
2 that.
3 Q. It just doesn't have the specific
4 illustration that you're relying on vis-à-vis
5 Figure 2 in Sclater?
6 A. So -- well, Sclater breaks it down
7 more. So the PT Handbook gives you a general
8 idea: Here are one-way clutches. They can be
9 used for these few things.
10 Sclater, instead, says: Here is a
11 picture of this and here is a picture of this.
12 And this one is specifically good for this, and
13 this one is specifically good for this. And this
14 one does this, and this one does this.
15 And then it gives applications, which
16 is, I think, something that the PT Handbook
17 definitely -- or doesn't necessarily show inside
18 of itself.
19 Q. Did you consider how your prior expert
20 opinions regarding the '580 patent impacted your
21 opinions regarding the '884?
22 A. Well, my opinions from the past
23 litigation -- is that what you're talking about,
24 the prior litigation?
25 Q. [No audible response.]

Page 131

1 A. So there were different claim
2 constructions in that. There were -- the terms
3 meant -- didn't necessarily mean the same thing,
4 so I needed to have an analysis that was true to
5 what the constraints were.
6 And the constraints for this
7 declaration were different than the constraints
8 for the -- the previous litigation.
9 Q. How about with respect to your
10 opinions in the IPR proceeding involving the '580
11 patent?
12 Did you consider how your prior expert opinions in
13 the Chapco and Samsara litigation regarding the
14 '580 patent impacted the opinions that you're
15 offering regarding the '580 patent here?
16 A. So I did not read the opinions from
17 that matter before writing this declaration. So I
18 just -- when writing this declaration, I read the
19 prior art and did the analysis that's contained in
20 it. I didn't review the -- the other report.
21 Q. Do you know whether your past opinions
22 regarding the '580 patent had any bearing on the
23 decision to engage you for these IPR proceedings?
24 A. No. I don't know what the motivation
25 was to retain me, other than I have a lot of

Page 132

1 expertise in this area.
2 Q. Did you ask Mr. Turner or any of his
3 colleagues whether your engagement in the Chapco
4 and Samsara litigation had any bearing on why they
5 were interested in hiring you in this case?
6 A. I think they said that's how they
7 found me. Beyond that, I don't -- I don't know
8 what motivated them to keep me, outside of my
9 expertise that I've already mentioned.
10 Q. You mentioned a Dr. Blair. Who is he?
11 A. Well, he formerly worked at Exponent
12 while I was there. And he, I believe, worked for
13 you on that last -- the last litigation.
14 Q. In your view, Dr. Blair offered
15 opinions on behalf of Woodway, right?
16 A. Yes.
17 Q. What do you think of Dr. Blair?
18 A. I --
19 MR. TURNER: Objection.
20 A. He is a nice guy that I had lunch with
21 a couple of times, and he definitely thinks highly
22 of his education at Purdue.
23 Q. Is that some Big Ten rivalry?
24 A. Yeah, a little bit.
25 Q. Do you think Dr. Blair qualifies as

Page 133

1 your proposed person of ordinary skill in the art?
2 A. Yes.
3 Q. Do you think Dr. Blair qualifies as a
4 person of extraordinary skill in the art?
5 A. I'm not sure what that -- that means,
6 but he's a skilled engineer.
7 Q. So in the nearly seven pages of
8 references cited on the '884 patent, how did you
9 decide which of those prior art references that
10 were already before the examiner to rely on for
11 the purposes of offering your opinions relating to
12 the '884 challenge?
13 MR. TURNER: Objection, form.
14 Objection, misstates prior testimony.
15 A. Like I said, I went through a search.
16 I went through a review of the prior art. I
17 discussed that search with Mr. Turner and his
18 colleagues and the prior art that we discussed and
19 analyzed.
20 The prior art that is selected for the
21 grounds in my declarations we felt was the
22 strongest to make our argument.
23 Q. A couple of the references that you
24 are relying on for the purposes of the '884 patent
25 have asterisks next to them. What does that mean?

Page 134

1 A. I think that's something that the
2 examiner looked at or commented on.
3 Yeah, if you look at page 7, the
4 asterisk means cited by the examiner.
5 Q. Was that fact that the examiner cited
6 the particular reference relevant, if at all, to
7 you in offering your opinions?
8 A. Can you say that again?
9 Q. Yes.
10 Was the fact that the examiner cited
11 the particular reference relevant, if at all, to
12 you in offering your opinions?
13 A. No.
14 Q. Is that because you didn't review the
15 filed history of the '884 patent in preparing your
16 opinions, or for some other reason?
17 A. So to clear up something from earlier,
18 again, I had a mistaken memory.
19 Exhibit 1002 is the file history. So
20 I did at least review it briefly while this --
21 while coming up with my declaration.
22 You know, as far as coming up with
23 what my analysis was, I didn't rely heavily on
24 what -- or what the examiner did not. I looked at
25 the prior art. I developed my opinions based on

Page 135

1 the prior art and then discussions with Mr. Turner
2 and his colleagues. And from there, then the
3 grounds were developed.
4 Q. On page 6 of your declaration,
5 Exhibit 1003, under the heading Anticipation --
6 A. Did you say page 6 or paragraph 6?
7 Q. Oh, I'm sorry. I meant to say page 16
8 of Exhibit 1003.
9 A. Okay.
10 Q. Paragraphs 33, 34, 35, and 36 relate
11 to purported standards governing whether a
12 particular patent claim is anticipated by the
13 prior art. Do you see that?
14 A. I see that.
15 Q. You're not offering any opinions that
16 any of the challenged claims of the '884 patent
17 are anticipated, are you?
18 A. I believe all my grounds are
19 combinations of prior art, so there is not a
20 single one that's just by itself.
21 Q. That's true for the '580 patent, as
22 well as the '745 patent, right?
23 A. I think so. I'll have to look at them
24 just to make sure, but that's my recollection.
25 Q. Okay. Do you know why you included a

Page 136

1 section on anticipation if you weren't offering
2 opinions directed to anticipation?
3 A. Yeah, I don't recall why -- why that's
4 there.
5 Q. When you say that your grounds for
6 challenge are combinations of prior art, is that
7 another way to say obviousness, you're making an
8 obviousness challenge to the claims? Or does it
9 mean something else to you?
10 A. I think that that does constitute
11 obviousness based on, you know, what I've written
12 here in the declaration under Section E, you know,
13 starting on page 17.
14 Q. The Skowronski reference, which is
15 Exhibit 1030 -- I don't actually know if I'm
16 saying that correctly. S-K-O-W-R-O-N-S-K-I --
17 A. Yeah.
18 Q. How do you say it?
19 A. Skowronski? But I'm probably way off.
20 Q. Sorry, Mr. Skowronski.
21 This reference only appears in your
22 challenge to the '884 patent; is that correct?
23 A. I think so.
24 Q. In your view, what is significant
25 about this particular reference as it relates to

Page 137

1 your patentability analysis of the '884 patent?
2 A. This is basically a reference that
3 shows that the -- I don't know, the pulleys or the
4 shafts can be made out of plastic. Yeah, the
5 pulleys.
6 (Reporter clarification.)
7 A. The pulleys are formed out of plastic
8 and that that was something that would have been
9 obvious to the POSA.
10 Q. Skowronski is not disclosing a manual
11 treadmill, is it?
12 A. Yeah, I don't remember if it's a -- if
13 it's one of the motorized ones that you can shut
14 the motor off, but I -- I know that it is at least
15 motorized.
16 Q. Skowronski is not a treadmill with a
17 curved running surface, is it?
18 A. No. All the graphics show it as a
19 flat treadmill.
20 Q. And Skowronski also is not a treadmill
21 that discloses a particular type of safety device,
22 is it?
23 A. I'm not sure. I recall that it was
24 using the plastic elements, and that's -- and that
25 it put those plastic elements into the art of

Page 138

1 treadmills.
2 What its safety devices are, I don't
3 recall off the top of my head.
4 Q. Or whether it even has safety devices,
5 right?
6 A. Right. I know it has a bunch of
7 sensors, and it's got some complicated controls
8 within it. But I'm not sure, as I sit here, what
9 those are.
10 Q. But in your view, the relevance of
11 Skowronski is this idea that a pulley can be made
12 out of plastic?
13 A. Right, that that is something that
14 treadmills can be made from.
15 Q. So if you look at column 2 of
16 Exhibit 1030, starting at line 10 through line 15,
17 which is part of what I understand to be
18 Skowronski's identification of the background of
19 the invention, this portion of the specification
20 states: Pulleys used in current exercise
21 treadmills typically are made of steel or aluminum
22 and, as such, are relatively expensive to make and
23 are relatively heavy. Therefore, because of
24 tooling, manufacturing, and material costs, the
25 diameter of the pulleys are normally no larger

Page 139

1 than 3 to 4 inches.
2 At the time of the Skowronski
3 reference, do you agree with that statement?
4 A. Yeah. I mean, that -- that's their
5 understanding of the state-of-the-art at the time
6 that this was filed, and that seems reasonable to
7 me.
8 Q. Would your person of ordinary skill in
9 the art have that same understanding?
10 A. That's -- that's likely. I mean, I
11 have come across plastic pulleys before -- what is
12 this? -- 1998. But as far as this one, the
13 rationale to pull from this is not that metal ones
14 couldn't be used. It's that plastic ones can be
15 used, and you can make them larger than the metal
16 ones.
17 Q. And in Skowronski's view, you can make
18 these pulleys larger because it's more economical
19 to utilize the plastic material; is that right?
20 A. Well, that and they're lighter. So
21 there are some weight savings with them.
22 And the POSA would also know that if
23 you're using them for turnarounds, that a larger
24 diameter is better for reliability than a smaller
25 one.

Page 140

1 Q. Specifically, Skowronski identifies at
2 column 7, starting at line 50, pulleys 22 and 28
3 are also preferably of a molded plastic
4 construction. Suitable materials from which
5 pulleys 22 and 28 can be molded include
6 glass-filled polypropylene, polystyrene,
7 polycarbonate, polyurethane, and polyester.
8 Economical manufacture of the pulleys 22 and 28
9 having such a relatively large diameter is
10 facilitated through the use of this plastic
11 material.
12 Does Skowronski identify anywhere in
13 his disclosure the use of plastics to address any
14 problems with static electricity?
15 A. I don't recall if that is present
16 here.
17 Q. Anywhere within your declaration, do
18 you cite to any portion of Skowronski that
19 addresses any purported attempt by him to address
20 problems with static electricity?
21 A. I believe in my declaration that I
22 cite ASTM, which references a UL standard for
23 electrical safety. I don't recall if Skowronski
24 discusses that.
25 Q. Where in your declaration are you

Page 141

1 citing ASTM regarding static electricity and
2 electrical safety?
3 A. Yeah. Page 46. So the last sentence
4 states: The ASTM standard also invokes UL 1647 as
5 part of its instruction that electrical elements
6 shall be guarded --
7 (Reporter clarification.)
8 A. Sure. Sorry.
9 The ASTM standard also invokes UL 1647
10 as part of its instruction that "electrical
11 elements shall be guarded so as to meet or exceed
12 UL 1647." This standard also provides guidance
13 regarding wordings, labeling, and the control
14 panel, as well as how fast a support structure
15 system may raise or lower the treadmill.
16 Q. What does UL 1647 say, if anything,
17 about managing static electricity?
18 A. I don't recall exactly what UL 1647
19 states, but that is for electrical safety. So you
20 do not want users to get a shock from it.
21 Q. Is that disclosed somewhere within
22 Exhibit 1019 or somewhere else within your
23 declaration?
24 A. I don't believe UL is cited in
25 Skowronski; and I think that in my declaration,

Page 142

1 that that's what I recall Skowronski -- yeah,
2 that's -- I believe that's the portion of my
3 declaration where I describe electrical safety as
4 part of the ASTM treadmill standard.
5 Q. Are all types of plastic electrically
6 insulative?
7 A. I think plastic generally is. There
8 may be conditions over other circumstances where
9 it might not be.
10 Q. How about with respect to the plastics
11 that are identified in Skowronski in the
12 specification, are those plastics electrically
13 insulative?
14 A. Generally speaking, I think so.
15 Q. What's that based on?
16 A. Just my experience building things.
17 And I have some experience with those materials
18 being used in consumer products, and they're
19 usually around battery compartments and things
20 like that.
21 So I've also seen ABS used; and all
22 those plastics are usually involved in making
23 electrical enclosures and things like that for,
24 you know, small electrics.
25 Q. Will all plastic prevent static

Page 143

1 buildup?
2 A. I think it depends on how it's used.
3 Q. Skowronski certainly doesn't identify
4 whether the plastic that it contemplates in its
5 pulleys will have anything to do with preventing
6 static buildup, does it?
7 A. I don't recall that being a portion of
8 Skowronski.
9 Q. In fact, that's not a problem
10 Skowronski identified that he was attempting to
11 solve, was it?
12 A. I don't recall Skowronski stating
13 that.
14 Q. And on paragraph 313 of your
15 declaration, which is on page 177 --
16 A. Okay.
17 Q. -- you identify that Skowronski
18 explained several benefits associated with plastic
19 pulleys. Do you see where I'm talking?
20 A. Mm-hmm.
21 (Reporter clarification.)
22 A. Yes. Yes.
23 Q. In your declaration, in that paragraph
24 or any of the subsequent paragraphs talking about
25 Skowronski or anywhere else within your

Page 144

1 declaration for that matter, you don't identify
2 any benefit associated with managing or preventing
3 the buildup of static electricity, do you?
4 A. I don't recall that in the
5 declaration.
6 Q. In paragraph 313 --
7 A. Except that in portion 302, a POSA
8 would understand that plastic is electrically
9 insulative. So I do mention that in -- in
10 Ground 2.
11 Q. You weren't able to confirm for me
12 that plastic in all circumstances is electrically
13 insulative though, were you?
14 A. I think in room temperature conditions
15 where a treadmill would be expected to be used,
16 that we could understand that plastic is
17 electrically insulated. If we just, say, yield to
18 the universe is plastic always electrically
19 insulative, I can't guarantee that, that there's
20 not some extreme temperatures or humidity or
21 dryness conditions where it might not be, for
22 example.
23 Q. In paragraph 302, you reference the
24 Schonenberger reference.
25 Where, if anywhere, does Schonenberger

Page 145	Page 147
<p>1 talk about addressing a potential problem with</p> <p>2 managing static electricity?</p> <p>3 A. So I don't believe that it does. So</p> <p>4 that paragraph says, hey, if you take the metal</p> <p>5 ones that are in Schonenberger and make it out of</p> <p>6 plastic as Skowronski says to do, then you have an</p> <p>7 electrically insulative system.</p> <p>8 Q. I know that's the opinion that you</p> <p>9 offer. I'm just trying to understand why a person</p> <p>10 of ordinary skill in the art would have been</p> <p>11 motivated to combine Skowronski with Schonenberger</p> <p>12 since Skowronski says nothing about addressing any</p> <p>13 problem associated with static electricity but,</p> <p>14 instead, is focused on the economics of using a</p> <p>15 lighter or a larger diameter pulley.</p> <p>16 So explain that to me. Explain the</p> <p>17 motivation to combine.</p> <p>18 A. Sure. So I think -- I think you</p> <p>19 mentioned it right there, that the economics of it</p> <p>20 is a large portion. Not only that, but you can</p> <p>21 make a very large diameter pulley, which is</p> <p>22 important for using the heavy belt on top of it.</p> <p>23 Because if you have a thick belt, it won't want to</p> <p>24 make a sharp turn.</p> <p>25 So you want a big pulley. And making</p>	<p>1 Q. Does Schonenberger identify any</p> <p>2 problems with utilization of smaller pulleys -- or</p> <p>3 metal pulleys, for that matter?</p> <p>4 A. Well, Schonenberger does discuss the</p> <p>5 pulleys 12A and discusses that if they are small,</p> <p>6 it could be -- it could have negative -- a</p> <p>7 negative impact on the belt turning around. So</p> <p>8 that -- so Schonenberger recommended to make the</p> <p>9 pulleys 12A as large as possible.</p> <p>10 Q. Does Schonenberger provide specific</p> <p>11 dimensions for its recommended pulleys?</p> <p>12 A. I don't think that Schonenberger</p> <p>13 provides dimensions for the smaller pulleys. I</p> <p>14 think it just gives you the instruction that those</p> <p>15 pulleys need to be small enough so that the runner</p> <p>16 doesn't detect a variation in the -- in the</p> <p>17 surface from pulley to pulley.</p> <p>18 And I'm just looking for the citation</p> <p>19 for that right now.</p> <p>20 Yeah. It says in column 7, about</p> <p>21 line 18, that the rollers are small with respect</p> <p>22 to the size of the slats. So no specific</p> <p>23 dimension, but small relative to the slats.</p> <p>24 Q. You don't discuss in any way in your</p> <p>25 declaration how the specific sizing of the pulleys</p>
Page 146	Page 148
<p>1 a big pulley out of metal will add a ton of weight</p> <p>2 and a ton of expense in fabrication and shipping</p> <p>3 and all that stuff. So to avoid that, you could</p> <p>4 make those large pulleys out of plastic. And the</p> <p>5 added benefit of that is that they are also</p> <p>6 insulative.</p> <p>7 Q. Isn't the only reason you focused on</p> <p>8 Skowronski and plastic pulleys because the</p> <p>9 specification of the '884 patent tells you one of</p> <p>10 the problems the Woodway inventors were attempting</p> <p>11 to solve was preventing a static charge due to the</p> <p>12 operation of the treadmill?</p> <p>13 MR. TURNER: Objection, form.</p> <p>14 Objection, foundation.</p> <p>15 A. Well, I was looking at it from a</p> <p>16 perspective of I'm making a treadmill with a belt</p> <p>17 that is weighted and heavy. And as someone with</p> <p>18 knowledge of conveyors and that sort of thing, I</p> <p>19 would not want it to go around a small diameter</p> <p>20 pulley at the end.</p> <p>21 And so it makes sense that to avoid</p> <p>22 expense and weight and shipping costs from extra</p> <p>23 weight, that it would make sense to have</p> <p>24 lightweight plastic pulleys on the front end and</p> <p>25 the rear.</p>	<p>1 in Skowronski --</p> <p>2 (Reporter clarification.)</p> <p>3 Q. You don't discuss in any way in your</p> <p>4 declaration how the specific sizing of the pulleys</p> <p>5 identified in Skowronski can effectively work or</p> <p>6 not with the pulleys of Schonenberger, do you?</p> <p>7 A. No, not directly. Because it's well</p> <p>8 within the POSA's ability to be able to convert</p> <p>9 those because they do work in the Skowronski</p> <p>10 treadmill and were basically replacing the large</p> <p>11 pulleys in Schonenberger with them, And they're</p> <p>12 used in the same manner in both treadmills.</p> <p>13 Q. In paragraph 313 of your declaration,</p> <p>14 you appear to quote from Skowronski at column 7,</p> <p>15 lines 7 through 31. Specifically, you identify</p> <p>16 the relatively large diameter of pulleys 22 and 28</p> <p>17 provide significant performance advantages. One</p> <p>18 advantage is that the large diameter pulleys</p> <p>19 permit the use of a relatively thicker belt 20.</p> <p>20 Would the combination of relatively</p> <p>21 large diameter pulleys contemplated by Skowronski</p> <p>22 plus the use of a thicker belt translate into more</p> <p>23 static buildup?</p> <p>24 A. So your question -- can you just read</p> <p>25 that question back to me? I want to make sure I</p>

Page 149

1 have it right.
2 Q. Sure.
3 Would the combination described in
4 paragraph 313 of your declaration, using a larger
5 diameter pulley and a thicker belt, result in more
6 static buildup than if you used a smaller diameter
7 pulley with a thinner belt?
8 A. I think the larger diameter is going
9 to give you less. Because the speeds are lower,
10 the rotational speeds are going to be lower for
11 the same belt speed.
12 Q. You don't discuss that point in your
13 declaration, do you?
14 A. I don't believe I do.
15 Q. How about with respect to paragraph
16 314? You identify that another benefit of larger
17 pulleys is enhanced gripping between the pulleys
18 and the belt due to increased contact area.
19 Specifically, you cite to column 7,
20 lines 32 through 39. In part, that states: This
21 large contact area provides enhanced "gripping" of
22 the belt by the pulley, which in turn permits the
23 use of lower belt tension than is required by
24 treadmills having smaller diameter pulleys.
25 How, if at all, does this performance

Page 150

1 advantage impact static electricity associated
2 with the use of the treadmill?
3 A. Sure. Well, if there is less slippage
4 between the two components, then I think anyone
5 who's rubbed a balloon on their hair understands
6 that you need that relative motion to scrape the
7 electrons off.
8 So if you can decrease the relative
9 motion between the two components and you can make
10 the speed differences between the two smaller,
11 then you will have a beneficial result in terms of
12 static electricity.
13 Q. And you don't discuss this particular
14 issue in your declaration in any way, do you?
15 A. I don't believe so.
16 Q. Okay. And Skowronski doesn't address
17 the management of static electricity as motivation
18 for his proposed inventions, does he?
19 A. I don't recall that in Skowronski.
20 One of the -- their invention is including the
21 plastic pulleys, which is the primary reason why I
22 used it, the large diameter pulleys.
23 Q. Will you agree with me that the '884
24 patent specification specifically discloses a need
25 to manage static electricity?

Page 151

1 A. I don't see that in the abstract, and
2 I don't see that in the background.
3 Q. I'll direct you to column 5 of
4 Exhibit 1001, line 61: To prevent a static charge
5 due to operation of the treadmill 10 from building
6 on a pulley 62, 66 formed of electrically
7 insulative materials (e.g., plastic, composite,
8 et cetera), an antistatic additive, for example
9 Antistat 10124 from Nexus Resin Group of Mystic,
10 Connecticut 06355, maybe may be blended with the
11 GV-5H material.
12 Do you see that?
13 A. I see that.
14 Q. Is the disclosure in the '884 patent
15 relative to managing static electricity what
16 dictated why you went to Skowronski?
17 A. No. For Skowronski, I was looking for
18 a large diameter pulley that was economical. And
19 the insulative properties -- electrical properties
20 just fell into place with -- with this one.
21 That's my recollection, at least from the analysis
22 done a year ago.
23 MR. TURNER: We've been going
24 another hour and a half. Time for a break?
25 MS. JELENCHICK: Sure.

Page 152

1 (Recess had.)
2 BY MS. JELENCHICK:
3 Q. Dr. Giachetti, how are you preventing
4 yourself from using hindsight in doing your
5 evaluation of the claims of the Woodway patents?
6 A. Well, I read the backgrounds and the
7 abstracts of the prior art; I see what the prior
8 art is looking to accomplish. And then all those
9 things of what the prior art is trying to
10 accomplish, I put together.
11 So not using hindsight, but I use the
12 motivations of the people -- the POSAs who are
13 assembling those things, whether there is an
14 economic need or a safety need or something like
15 that. Those are the motivations that I'm looking
16 to when I am combining these references.
17 And I've got the hindsight, and those
18 rationales are outlined in my declaration.
19 Q. Where in your declaration?
20 A. Page 18 and 19. I think it starts on
21 page 17 under "Obviousness."
22 Q. I'll agree with you that you state
23 that the obviousness inquiry should not be done in
24 hindsight, but where do you tell me in these cited
25 pages how you avoid using hindsight?

1 A. Well, I have to go at it from the
2 perspective of here is a piece of prior art. And
3 what along these lines that are enumerated on
4 page 19 -- if, for example, I know that there is a
5 treadmill out there that says, hey, these -- a
6 curved treadmill makes running -- reduces the
7 shock on the legs, that's good. Raising the back
8 end of it a little bit allows the person to center
9 themselves in the treadmill.

10 Okay. Those are motivations that a
11 person has to make a curved treadmill. And so
12 those things, then it's like, oh, if I wanted to
13 make those, are those parts available to that
14 person? And the answer is, yes, those parts are
15 available and have also been described in the
16 prior art.

17 So all that stuff is available, and I
18 don't need to look at the Woodway patents to know
19 how to put those things together.

20 Q. Why isn't it the case that you took
21 the claim limitations in the Woodway patents and
22 simply worked backwards by picking and choosing
23 components from the prior art to meet those
24 limitations?

25 A. Well, I don't believe that I worked

1 backwards. And for being the technical witness in
2 this IPR, you have to be aware of what the claims
3 are. So it -- it would be a lot of wasted time if
4 I started talking about all the different things
5 that aren't relevant to the actual claims.

6 So I had to focus the declaration to
7 discuss the motivations for someone to build
8 something that, you know, aligns with the claims.
9 So -- and the picking and choosing, I don't agree
10 with that because, as we mentioned already,
11 Sclater explicitly stated what type of bearing to
12 use.

13 In addition, Magid only describes that
14 ratchet as an embodiment. So it could have been
15 any sort of one-way device, not just the ratchet
16 and pawl that they show in the pictures, as well
17 as the other components that we've discussed.

18 The curved treadmill already existed.
19 The front and rear pulleys already existed and
20 were used in treadmills pretty widely. So I don't
21 agree that I worked backwards and only picked and
22 choosed -- chose various components to match the
23 claims.

24 Q. Where in -- we'll go with Magid. I've
25 been calling Magid for a decade, but we'll go with

1 Magid.

2 A. Tomato, tomato.

3 Q. Point me to where in Magid it
4 discloses the ability to use embodiments other
5 than the ratchet and pawl?

6 A. Yeah. So in column 4, line 46, it
7 says: A unidirectional control means 6, 6' is
8 installed to permit only unidirectional movement
9 of the left and right foot belts 4, 4'.

10 So that is just a general control
11 means there. And then it goes on to say: In this
12 embodiment, the control means 6, 6' includes a
13 pair of ratchet gears.

14 So my reading of -- of that is that
15 they show the ratchet and pawl here, but you could
16 use any unidirectional control that you felt was
17 suitable because it's an embodiment. It's just
18 what they chose to show as opposed to admitting
19 their invention to that, to the ratchet and pawl.

20 Q. That particular citation that you
21 provided from column 4, that relates specifically
22 to the disclosures in Figure 2 through Figure 4,
23 right?

24 A. I don't know that it does. It just
25 describes -- that's under the heading of "Detailed

1 Description of the Preferred Embodiments." So I
2 would say that that describes the preferred
3 embodiment, but not a limitation.

4 Q. So you reference, in paragraph 39 of
5 your declaration, certain secondary considerations
6 relevant to non-obviousness. Did your
7 understanding of those secondary considerations
8 come from Mr. Turner and his colleagues?

9 A. Yes.

10 Q. In paragraph 452 of your declaration,
11 you stated that you are not aware of any objective
12 evidence that might support the patentability of
13 the claims of the '884 patent. Did you do any
14 investigation before coming to that conclusion --
15 statement?

16 A. No. In the sentence -- or the
17 conclusion of that sentence on the next page is
18 that the applicant did not raise any secondary
19 indicia that -- during prosecution. So basically,
20 that's it.

21 Q. Why is that relevant?

22 A. So in -- I don't recall searching for
23 that. But also, there was nothing provided by the
24 applicant that said, hey, here is something to
25 consider. So I didn't -- you know, if that stuff

Page 157

1 comes up, then I would review it and provide an
2 opinion if asked.
3 Q. The Patent Office ultimately concluded
4 that the claims of the '884 patent, for example,
5 can issue. Why would the Woodway inventors offer
6 evidence of secondary indicia of non-obviousness
7 during prosecution?
8 A. I'm not sure. I would have to ask an
9 attorney.
10 Q. You also state that you're not
11 otherwise aware of any publicly available evidence
12 of secondary indicia of non-obviousness.
13 What, if anything, were you looking
14 for before you came to that conclusion or came to
15 state that in your declaration?
16 A. Yeah. I mean, we have the things that
17 I enumerated at the beginning of the declaration.
18 And I would have been interested in something that
19 would have pointed to those things not being
20 relevant or some other factor that -- that would
21 have dissuaded me from my opinions that I -- that
22 I made in the declaration, but I did not see any.
23 Q. Did you ask Mr. Turner or his
24 colleagues for any information relating to the
25 identified categories of information in

Page 158

1 paragraph 39?
2 A. Yes. So to gain my understanding
3 which allowed me to put that section in, they gave
4 me a description of all those things.
5 Q. Did you ask whether there was any
6 evidence of -- of any of those things?
7 A. I believe that that came up in our
8 discussion; but that was, you know, a long time
9 ago. But I believe those were in our discussion.
10 Q. So you previously had a discussion
11 with Mr. Turner about whether there was any
12 evidence of secondary considerations of
13 non-obviousness. What was the substance of that
14 discussion?
15 MR. TURNER: Objection to the extent
16 this gets into any privileged communications.
17 A. Yeah. So I needed an education on --
18 on what those things were at the time when they
19 were going into the declaration. And I know that
20 I asked them a number of questions about what that
21 means, what sorts of things that would entail.
22 And I believe that they gave me descriptions that
23 satisfied my curiosity at the time.
24 Q. Was there any discussion about whether
25 there was evidence of any of the secondary

Page 159

1 considerations relevant to non-obviousness?
2 A. I believe -- I believe so. Because if
3 we had evidence, then I would have needed to
4 review that and I would have needed to incorporate
5 that into my analysis.
6 Q. So based on your discussion with
7 Mr. Turner and his colleagues -- or discussions
8 with Mr. Turner and his colleagues, you concluded
9 that there were no secondary considerations of
10 non-obviousness to consider because there wasn't
11 evidence of such?
12 A. I believe that's correct.
13 Q. What does commercial success of a
14 product do to the merits of the claimed invention
15 mean to you? Or what's your understanding of that
16 secondary consideration?
17 A. That you can sell the product and
18 continue to sell it and make money.
19 Q. How about Section B, a long-felt but
20 unmet need for the invention, what do you
21 understand that to mean?
22 A. Which page is that on again?
23 Q. Page 18, paragraph 39.
24 A. All right. I guess for part (b) of
25 paragraph 39, that that would be something that

Page 160

1 for years and years that people had pined for
2 something and they just were not -- were not
3 getting that.
4 Q. Do you recall the date of the first
5 treadmill patent?
6 A. Oh, I could ballpark it at around
7 1860.
8 Q. And how about the Socwell patent, do
9 you have a date on that?
10 A. Socwell, I feel like it was in the
11 '70s, but I could be off on that one.
12 Oh, I'm sorry. It was in the '90s.
13 Q. What's the filing date -- or the
14 effective filing date for the Woodway patents
15 implicated by these IPR proceedings?
16 A. I think we discussed that earlier. It
17 was in 2010, I believe?
18 Q. Do you believe the timeline to get to
19 the claimed inventions in the Woodway patents
20 supports the statement that there was a long-felt,
21 but unmet need for the invention?
22 A. No. Because I think, you know,
23 Socwell is basically the same device to a casual
24 observer who is just looking at it and says, oh,
25 there's a curved treadmill. So I don't

Page 161

1 necessarily.
2 And I think ten years between Socwell
3 and the priority date is not terribly long in the
4 scope of the treadmill history, which goes back to
5 the 1800s for the types of treadmills that we're
6 working with here, and then even far back, like a
7 couple thousand years, for the hamster-wheel
8 style.
9 So in the scope of the time scale
10 here, I don't think ten years is very long at all.
11 Q. Do you have any evidence that Socwell
12 was commercialized?
13 A. I do not.
14 Q. Okay. And you're not contending that
15 Socwell meets each and every claim limitation of
16 any of the Woodway challenged claims, are you?
17 A. No. Per what we discussed earlier, I
18 don't have any anticipation opinions.
19 Q. What does Section C mean to you?
20 Failure of others to find the solution provided by
21 the claimed invention.
22 A. That means that people had the idea
23 but couldn't make it work, or that they just kind
24 of floundered around the area of the invention but
25 -- but never got near it.

Page 162

1 Q. Do you think Socwell had the idea and
2 couldn't make it work?
3 A. I think Socwell appears like it works.
4 I don't know what the commercial history of that
5 treadmill is though.
6 Q. You have no discussion in your
7 declarations about the commercial viability or any
8 assessment of the design or operational capability
9 of Socwell, do you?
10 A. Well, I would say that I talk about it
11 inasfar-as it's a curved treadmill with bearing
12 rails on either side to keep the running belt
13 in -- in a curved geometry. So I think from an
14 operational standpoint, I do discuss it.
15 Q. You don't identify that Socwell has a
16 safety device, do you?
17 A. I don't.
18 Q. In fact, the Court in Connecticut
19 concluded that Socwell does not have a safety
20 device, right?
21 A. I don't recall that.
22 Q. How about Part D, deliberate copying
23 of the invention by others, what does that mean to
24 you?
25 A. That other people see it and make the

Page 163

1 same thing.
2 Q. Did you have any discussion with
3 Mr. Turner or his colleagues about whether
4 LifeCORE deliberately copied Woodway's inventions?
5 MR. TURNER: Objection, form.
6 Objection -- just leave it at form.
7 A. No. I did not get to that part of --
8 of the litigation that I understand is now going
9 on.
10 Q. What does Section E mean to you, which
11 is unexpected results achieved by the invention?
12 A. Basically that you have a bunch of
13 parts and you know what they do, you know what
14 they do together. And if you put them all
15 together, that they do something that you didn't
16 expect they could do.
17 And in this case, I don't think that
18 there is anything that fits that description at
19 all.
20 Q. But you don't discuss that in any way
21 in any of your declarations, do you?
22 A. I'm not sure about that. But all
23 these things -- even if it's not explicit here,
24 all the components that are used function in the
25 way that they're intended or sold for.

Page 164

1 Particularly, I called out the Emerson bearing
2 manual that describes the use of a one-way bearing
3 specifically for exercise equipment and conveyors,
4 which aligns with Sclater.
5 Q. Can you direct me to that particular
6 discussion in your declaration?
7 A. Yeah. So basically, on pages 48, I
8 start discussing mechanical clutches. Then it
9 gets into one-way bearing-type clutches, which we
10 see from the PT Handbook. Then it gets into the
11 sprag-type ones as described in Sclater and talk
12 about the modes of operation.
13 And then after that, then the Emerson
14 catalog for their commercially available
15 sprag-type one-way bearings. Where they have the
16 applications for exercise equipment and conveyors
17 is there.
18 Q. So the Emerson reference that you just
19 mentioned cites to pages 8 and 9 of that
20 reference, which is Exhibit 1018.
21 You said, I think, on at least two
22 occasions, that that exhibit and disclosure
23 identifies that the sprag-type one-way bearing can
24 be used for applications of exercise equipment.
25 That reference doesn't expressly say that, does

Page 165

1 it?

2 A. It does. Yeah. So on page 53 of that

3 document, there is a bearing that is listed as --

4 the applications for that are listed as exercise

5 equipment, food-processing equipment, printing

6 presses, and roll conveyors.

7 So -- and it's -- it discusses it as a

8 sprag-type one-way clutch. And then the bearing

9 on the next page has the same field applications

10 as well.

11 Q. Nothing about the Emerson reference

12 discloses that exercise equipment means

13 treadmills, does it?

14 A. Well, I think treadmills is exercise

15 equipment. It's the -- you know, the difference

16 between a square and a rectangle. Exercise

17 equipment is the generic term or fitness

18 equipment, which I think is supported by the prior

19 art patents.

20 Q. None of your grounds for challenging

21 the Woodway claims across the three patents is

22 based on the Emerson reference, are they?

23 A. I believe the grounds use the Sclater

24 reference. But the Emerson reference basically

25 parrots Sclater as how it's used and is evidence

Page 166

1 that, in addition to Sclater, that the -- that

2 these bearings are useful in conveyors and

3 exercise equipment.

4 Q. Didn't the Patent Office already know

5 that is the case based on the PT Handbook?

6 A. I don't believe that the PT Handbook

7 described exercise equipment, but certainly

8 described conveyors and back-stopping roller

9 bearings in the -- in there.

10 Q. Did you ask Mr. Turner or any of his

11 colleagues whether there were any third parties

12 that have taken licenses to the Woodway patents?

13 A. No.

14 Q. In the last sentence of paragraph 39,

15 you state: I understand that secondary

16 considerations are relevant where there is a

17 connection or a nexus between the evidence and the

18 claimed invention.

19 Do you know how to evaluate whether

20 there is a connection or a nexus between secondary

21 considerations and claimed inventions?

22 A. Can you repeat that?

23 Q. Yes.

24 You identify that you have an

25 understanding that secondary considerations are

Page 167

1 relevant where there is a connection or a nexus

2 between the evidence and the claimed invention.

3 How do you evaluate whether there is a

4 connection or a nexus between any evidence and the

5 claimed invention?

6 A. I would have to get some more input

7 from a legal sense on that. As I sit here, I --

8 the plain reading of this, which is what I

9 understood at the time of writing this

10 declaration, is that you could assemble all these

11 -- you know, a number of these, A through G, and

12 look through the lens of those ideas at the

13 invention to evaluate it.

14 Q. I understand you didn't do it in

15 connection with your work in this engagement. But

16 have you ever evaluated whether there was a

17 connection or a nexus between secondary

18 considerations of non-obviousness and claimed

19 inventions?

20 A. I believe while doing this

21 declaration, that that was considered. I did not

22 find these things to be persuasive while

23 considering these, even though I, you know, don't

24 have the licensing history, for example, of, you

25 know, Woodway or its licensees. But I know that I

Page 168

1 did consider these things when compiling the

2 declaration.

3 Q. So what specific evidence of secondary

4 considerations did you consider when putting

5 together your declaration?

6 A. So for these, certainly I looked at

7 them as a whole. But for Part B, long-felt but

8 unmet need, the failure of others to find a

9 solution, unexpected results, those things are

10 more -- are going accepted -- against the accepted

11 wisdom of the prior art. I -- those are more

12 engineering-type of issues. And with those, I did

13 not find any of those persuasive.

14 Q. In your declarations, you don't

15 specifically identify which objective --

16 withdrawn.

17 In your declarations, you don't

18 identify which specific secondary considerations

19 you consider or evidence of the same, do you?

20 A. That's correct.

21 Q. Okay. Are you a named inventor on any

22 patent applications?

23 A. Not to my knowledge.

24 Q. Are you a named inventor on any issued

25 patents?

Page 169

1 A. Not to my knowledge.
2 Q. Have you ever been involved in the
3 patent prosecution or the back-and-forth with the
4 Patent Office once a patent application has been
5 filed?
6 A. No.
7 Q. Earlier today, you stated that you
8 have not passed the patent bar. Have you ever
9 attempted to sit for the patent bar?
10 A. I have not.
11 Q. At page 65 -- or starting on page 65
12 of your declaration through page 69, there is a
13 summary provided of the '884 patent file history
14 and the '169 patent file history. Do you see
15 that?
16 A. Yes.
17 Q. Did you draft that summary of what
18 happened at the PTO with those two patents?
19 A. I am sure I had a hand in -- in some
20 of this, but I think most of this summary was
21 initiated by Mr. Turner and his office.
22 Q. Do you know why you didn't include any
23 summary of the file history of the '580 patent?
24 A. I don't recall, but I -- I'm trying to
25 remember if this -- there is a similar section in

Page 170

1 the declaration for that patent, or for my
2 declaration regarding that patent.
3 Q. Why did you include the summary of
4 what happened in the '169 patent file history?
5 A. I think because they had similar claim
6 languages involved.
7 Q. Any other reason?
8 A. I believe it has to do with the terms
9 for a one-way bearing and safety device and
10 bearing rail. Most of those claim terms appear in
11 both of these. But the one-way bearing is in the
12 '169, as well as the bearing rail.
13 Q. You're not involved in any IPR
14 challenge of the '169 patent, are you?
15 A. Not that I know of while sitting here.
16 Q. Let's look at your CV here for a
17 minute, a few minutes, which is Exhibit --
18 A. 4.
19 Q. -- 1004. Specifically page 5, you
20 identify your four-year testimony list. At the
21 top of this list, you include Woodway versus
22 Chapco, Inc. And Samsara Fitness, LLC, the case in
23 the U.S. District Court for the District of
24 Connecticut.
25 A. Correct.

Page 171

1 Q. You understand that case has been
2 concluded for many years past four, right?
3 A. Yes. This list has been edited to
4 more closely reflect the last four years since
5 this was -- since I provided this to Mr. Turner's
6 office.
7 Q. Okay. Can you tell me the technology
8 at issue in the Namola case?
9 A. Oh, that's a -- that's not a patent
10 matter. That is a -- that was a -- a man and a
11 woman were riding a motorcycle and hit a deer.
12 And it was -- I did an investigation regarding the
13 deformation of the handlebars on the -- on their
14 motorcycle in relation to whether or not it was
15 possible to hit other portions of the motorcycle.
16 Q. For which party or parties did you
17 offer opinion testimony?
18 A. I think it was DAE. That was all --
19 that's also a fairly old matter. I'm a little
20 fuzzy on the details.
21 Q. That Cahill versus Scheck Mechanical
22 case, that's not a patent case, is it?
23 A. No.
24 Q. What kind of case is that?
25 A. I think it was a man who fell off a

Page 172

1 ladder, a worker.
2 Q. And who did you provide testimony for
3 on behalf -- on behalf of those parties? Was it
4 the gentleman or the entities associated with the
5 ladder or some other entity?
6 A. I seem to recall that it was the
7 employer, but I definitely did not work for the
8 plaintiff on that one. I'm a little hazy on who I
9 was working for at the time.
10 Q. How long ago was that?
11 A. That was probably 2018.
12 Q. The Siegel versus Blue Giant Equipment
13 Corporation in the Northern District of Oklahoma,
14 what kind of case was that?
15 A. That was a worker basically walked off
16 of the end of a dock, a loading dock, and pulled
17 a -- a pallet full of heavy folding chairs onto
18 himself and was severely injured by that.
19 Q. Who did you offer testimony for in
20 that case?
21 A. Blue Giant.
22 Q. Cisneros versus Sheth, the case in the
23 Illinois County Department of Cook County, what
24 kind of case was that?
25 A. That was, I think, a painter who fell

Page 173

1 off a ladder.
2 Q. For whom did you offer testimony in
3 that case?
4 A. The defense. I don't recall what
5 their involvement was with it, though.
6 Q. That was not a patent case?
7 A. Correct.
8 Q. Samantha Williams versus Tristar
9 Products, Inc., in the Middle District of Georgia,
10 what kind of case was that?
11 A. That was a pressure-cooker injury.
12 Q. For whom were you offering testimony
13 in that case?
14 A. Tristar.
15 Q. So there is a handful of Tristar
16 product cases on your testimony list. In all
17 instances, were -- were you offering testimony on
18 behalf of Tristar?
19 A. Correct.
20 Q. Is Tristar the manufacturer of the
21 pressure cooker?
22 A. They certainly distribute it. Whether
23 or not they manufacture it, I couldn't tell you as
24 I sit here right now.
25 Q. American National Manufacturing, Inc.

Page 174

1 Versus Sleep Number Corporation, formerly known as
2 Select Comfort Corporation, IPR2019-00514, for
3 whom did you offer testimony in that case?
4 A. I believe American National
5 Manufacturing. I don't recall terribly which
6 person it was, but I think that that's who I was
7 opining for.
8 Q. What was the technology involved in
9 the IPR?
10 A. That was an inflatable mattress, like
11 the technology that goes into sensing the pressure
12 and controlling it.
13 Q. Were you offering testimony in support
14 of a patent challenge or on behalf of the
15 petitioner?
16 A. I don't remember. I couldn't tell
17 you. Sorry.
18 Q. Do you know whether that IPR was
19 instituted?
20 A. I don't know.
21 Q. Were you deposed in that case?
22 A. I was.
23 Q. Do you know how that case resolved?
24 A. I don't recall.
25 Q. Was that the first time you were

Page 175

1 involved in any IPR proceeding?
2 A. I think so.
3 Q. Was there a parallel district court
4 litigation?
5 A. Not that I was involved in. I don't
6 have any awareness of it either.
7 Q. Is that work that you did while at
8 Exponent?
9 A. Yes.
10 Q. Did you continue that work while at
11 Fusion?
12 A. No. It concluded before I left.
13 Q. Do you recall the general nature of
14 the scope of your testimony?
15 A. I remember a little bit. So it was
16 basically talking about valves, their operation,
17 how to sense pressure, fluid flow and like
18 friction involved in sending fluid from one place
19 to another or sensing pressure in one place versus
20 another.
21 So it was all basically controlling a
22 fan that provided pressure to the air bladders
23 that were the -- the air mattress. And so I did a
24 deep dive into the technology of air mattresses
25 and the valves that are used and -- and the

Page 176

1 sensing of those, of the pressures.
2 Q. Do you recall who constituted the
3 relevant person of ordinary skill in the art in
4 that case?
5 A. I believe I did.
6 Q. And what specifically about your
7 qualifications qualified you to be at least a
8 person of ordinary skill in the art?
9 A. I'm having trouble remembering that
10 one, but it was along the lines of a bachelor's
11 degree in engineering or equivalent and a year of
12 experience designing equipment. I forgot what
13 other things I brought in from my experience.
14 But basically, that one had a lot to
15 do with sensors and actuating valves and things.
16 So I brought in that experience from my
17 background, which is necessary for someone to know
18 because it was all about sensing and actuating and
19 computer control.
20 And I think there was also a human
21 aspect of maintaining the comfort of the air
22 mattress as well, but I'm not 100 percent sure.
23 Q. Do you recall whether you offered
24 testimony arguing that the claimed inventions were
25 either anticipated or obvious?

Page 177

1 A. I don't remember as I sit here.
2 Q. Do you know if you used the Sclater
3 reference in that case?
4 A. I did not.
5 Q. B&P Littleford, LLC versus Prescott
6 Machinery, LLC and Ray Miller, in the Eastern
7 District of Michigan, what kind of case is that?
8 A. That is a trade secret case about -- I
9 think there was alleged copying of proprietary
10 information.
11 Q. What was the nature of the technology
12 in that dispute?
13 A. Well, it wasn't really the technology.
14 It was the shop drawings for -- it was a
15 commercial machine. I can't remember what the
16 name of -- it was like a super giant industrial
17 mixer that was enormous, and they had a bunch of
18 shop drawings.
19 And there was a question as to whether
20 or not the shop drawings were copyrighted or not
21 because they were a work of art and what the
22 differences were between the alleged copycat
23 drawings and the original shop drawings.
24 Q. Did those shop drawings relate in any
25 way to treadmills?

Page 178

1 A. No.
2 Q. Laura Musso versus Schnuck Markets in
3 Missouri, what kind of case was that?
4 A. A lady wearing two different shoes
5 with some neuropathy in her legs, basically a loss
6 of sensation, sprained her ankle and accused
7 Schnuck's of having bad floors or slippery floors.
8 Q. That was a premises liability case?
9 A. I suppose that's true.
10 Q. Who were you offering testimony for?
11 A. Schnuck's.
12 Q. Pulford versus Ridg-U-Rak, et al., in
13 the Northern District of Illinois, what kind of
14 case was that?
15 A. A worker injury.
16 Q. What was the nature of the work?
17 A. That was a wire-coiling device. So
18 imagine you sell electrical wire. And they're all
19 different gauges and sizes and they're all hung on
20 a wall. And then there is a machine that you
21 connect one to, and it will wind it up into
22 however big a spool you need of that or whatever
23 custom order.
24 So there was a jam, and it pulled the
25 machine into this guy and seriously injured him.

Page 179

1 And he -- while he was making a spool of wire.
2 Q. Kraslen v. W.E. O'Neil in Cook County,
3 Illinois, what kind of case was that?
4 A. So that was a maintenance worker who
5 alleged that he stepped on a sump-pump cover which
6 catastrophically fractured, and then he fell with
7 one leg into the sump-pump pit.
8 Q. What was the nature of your testimony
9 in that case?
10 A. So I was discussing the biomechanics
11 of the fall, what you could see from the security
12 camera, and identifying where Mr. Kraslen was,
13 while he was walking, and whether or not where he
14 was walking was actually where the sump-pump hole
15 was and whether or not the cover was there before
16 he stepped on the area where the hole was.
17 Q. Were you offering testimony in support
18 of Mr. Kraslen?
19 A. No.
20 Q. In the Pulford versus Ridg-U-Rak case,
21 were you offering testimony in support of
22 Mr. Pulford?
23 A. No.
24 Q. Vance versus Norfolk Southern Railway
25 Company in Macon County, Illinois, what kind of

Page 180

1 case was that?
2 A. That was a railroad worker who was on
3 a railcar that -- that failed. Not -- not that
4 the railcar itself failed, but I believe -- and I
5 could be confusing this one with the one after it,
6 but my recollection is that there was a fastener
7 on the ladder that gave way, causing Mr. Vance to
8 fall from the railcar.
9 Q. So in both the Vance and Tripp cases
10 that you identify in your testimony list, were you
11 offering testimony on behalf of the railroad?
12 A. Yes.
13 Q. Have you ever offered testimony in
14 support of a patent holder?
15 A. I don't -- I don't think so. But
16 certainly, if a patent holder called me and wanted
17 technical assistance, I would be glad to help them
18 as long as we cleared the conflict check.
19 Q. What percentage of your consulting
20 work relates to litigation consulting?
21 A. That fluctuates from month to month,
22 but I would say the majority is probably
23 litigation related. Last year, I had a number of
24 nonlitigation matters that took up some time. But
25 overall, the majority is litigation related -- not

Page 181

1 counting the pro bono volunteer stuff with the
2 committees.
3 Q. Have your opinions ever been excluded
4 by a Court or the Patent Office?
5 A. In one of those Tristar matters, there
6 was a motion to limit my testimony and I was not
7 able to defend myself. So the Court adopted the
8 proposals from the plaintiff; but those opinions
9 have basically been allowed in every other Tristar
10 case that I've worked on.
11 Q. Are you aware that certain of your
12 opinions were excluded in the Chapco/Samsara case?
13 A. I was not aware that opinions were. I
14 seem to recall that like there might have been an
15 issue with a deadline on one thing, but I did not
16 have the understanding that my opinions had been
17 excluded.
18 Q. The Chapco and Samsara challenges to
19 the validity of the Woodway patents were
20 unsuccessful. Would you agree with that
21 statement?
22 A. I thought that the case settled, so I
23 don't know what the outcome was beyond that.
24 Q. Well, the '580 patent wasn't
25 invalidated as part of that lawsuit, was it?

Page 182

1 A. I guess we wouldn't be here today --
2 or no, here tomorrow then. So I guess the '580
3 stood.
4 Q. Have you ever turned down any
5 potential expert engagement?
6 A. Yes.
7 Q. In what circumstances?
8 A. In the circumstances where I wasn't --
9 I didn't feel like I was the right expert for it.
10 Or there was a matter where someone came to me
11 asking about a trade secret case; and I took a
12 look at their stuff and I said, I cannot help you.
13 Q. On how many occasions have you turned
14 down expert engagements relating to litigation or
15 potential litigation?
16 A. I would say that unless there is a
17 conflict of interest, that I would review -- at
18 least review documents and give someone an
19 opinion. Whether or not that opinion helps them
20 or not, we would get to that point and I would
21 say, I cannot help you or you do not want a report
22 from me. So I've definitely had those cases.
23 But generally speaking, on the face of
24 it, if someone calls me with a matter and I take a
25 look at the initial caption or whatever, I'm

Page 183

1 willing to assist with an investigation.
2 Q. In paragraph 12 of your declaration,
3 you state: I have been recognized as an expert in
4 mechanical power transmission equipment.
5 Who has recognized you as an expert in
6 mechanical power transmission equipment?
7 A. Yeah, so that power transmission
8 gearing committee was an invite. And also, the
9 National Council of Examiners, which is the PE
10 exam people, they invited me to participate. So
11 they just don't ask anybody off the street, so
12 they must have recognized something about me.
13 Q. Did you apply for either of those
14 positions?
15 A. I did not.
16 Q. Did either of those organizations
17 identify that you can only be an expert if you
18 work with them?
19 MR. TURNER: Objection. Form.
20 A. I don't know that that's how that
21 works. But those were invite-only opportunities,
22 which I gladly accepted.
23 Q. In extending the invitation for you to
24 join the ASME Power Transmission and Gearing
25 Committee or the National Council of Examiners for

Page 184

1 Engineering and Surveying, did they tell you they
2 considered you an expert?
3 A. No, no one explicitly said that; but
4 they wouldn't want someone developing the
5 questions for engineering licensure who didn't
6 have a solid understanding of engineering
7 licensure or engineering problem solving.
8 MS. JELENCHICK: All right. Let's
9 put a pin in today, and we'll pick it up
10 tomorrow.
11 THE WITNESS: Sounds good.
12 (Discussion had off the record.)
13 MR. TURNER: No further questions for
14 redirect -- or no questions for redirect.
15 MS. JELENCHICK: One final
16 clarification. At the outset before this
17 deposition started, counsel discussed efforts
18 to streamline these deposition proceedings to
19 consolidate where we can.
20 My understanding of that agreement
21 would allow us to use the deposition testimony
22 in today's proceeding in the proceedings
23 relevant to the '580 patent and the '745 patent.
24 Counsel for petitioner, do you have
25 the same understanding?

Page 185

1 MR. TURNER: Yeah, that's fine. We
2 can do that. But I still want to -- we still
3 want to close it out for the day.
4 MS. JELENCHICK: Yes.
5 MR. TURNER: Close out --
6 MS. JELENCHICK: Agreed.
7 (Deposition concluded at 5:27 p.m.)
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 186

1 ACKNOWLEDGEMENT
2 UNITED STATES PATENT AND TRADEMARK OFFICE
3 BEFORE THE PATENT TRIAL AND APPEAL BOARD
4 LIFECORE FITNESS, INC. d/b/a ASSAULT FITNESS,
5 Petitioner,
6 v.
7 WOODWAY USA, INC. Patent Owner.
8 IPR023-0084
9 U.S. Patent No. 10,561,884
10
11 I, ROBERT GIACHETTI, Ph.D., P.E.,
12 state that I have read the foregoing transcript
13 of the testimony given by me at my deposition
14 on January 9, 2024, and that said transcript
15 constitutes a true and correct record of the
16 testimony given by me at the said deposition
17 except as I have so indicated on the errata
18 sheets provided herein.
19
20 SUBSCRIBED AND SWORN to before me
21 this _____ day of _____, 2024.
22
23 NOTARY PUBLIC
24 MY COMMISSION EXPIRES: _____
25

Page 187

1 CERTIFICATE OF SHORTHAND REPORTER
2 I, JENNIFER L. BERNIER, Certified
3 Reporter and within and for the State of Illinois
4 do hereby certify:
5 That ROBERT GIACHETTI, Ph.D., P.E.,
6 the witness whose deposition is hereinbefore
7 set forth, was duly sworn by me before the
8 commencement of such deposition and that such
9 deposition was taken before me and is a true
10 record of the testimony given by such witness.
11 I further certify that the deposition
12 of ROBERT GIACHETTI, Ph.D., P.E., occurred at
13 the offices of Foley & Larnder LLP on Tuesday,
14 January 9, 2024 commencing at 9:39 to 5:27 p.m.
15 I further certify that I am not related
16 to any of the parties to this action by blood or
17 marriage, I am not employed by or an attorney to
18 any of the parties to this action, and that I am
19 in no way interested, financially or otherwise,
20 in the outcome of this matter.
21 IN WITNESS WHEREOF, I have hereunto set
22 my hand this 12th of January, 2024.
23
24
25 JENNIFER L. BERNIER, CSR, RMR, CRR
CSR No. 084-004190

Page 188

1 ERRATA SHEET FOR THE TRANSCRIPT OF:
2 CASE NAME: LIFECORE FITNESS, INC. d/b/a
3 ASSAULT FITNESS v. WOODWAY USA, INC.
4 DEP. DATE: JANUARY 9, 2024
5 DEPONENT: ROBERT GIACHETTI, Ph.D., P.E.
6 U.S. Patent No. 10,561,884
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Pg.	Ln.	Now Reads	Should Read	Reason
7	---	---	---	---
8	---	---	---	---
9	---	---	---	---
10	---	---	---	---
11	---	---	---	---
12	---	---	---	---
13	---	---	---	---
14	---	---	---	---
15	---	---	---	---
16	---	---	---	---
17	---	---	---	---
18	---	---	---	---
19	---	---	---	---

Signature of Deponent
SUBSCRIBED AND SWORN BEFORE ME
THIS _____ DAY OF _____, 2024.
(Notary Public)
MY COMMISSION EXPIRES: _____

	actively (1) 59:9	61:3;100:1;103:22;106:22; 119:6;121:23;151:22;158:9; 172:10	98:21
\$	activity (2) 61:24;62:20	agree (12) 44:2;72:13;73:6;80:21; 81:2;98:7;139:3;150:23; 152:22;154:9,21;181:20	angry (1) 26:11
\$300 (1) 23:12	actual (2) 107:15;154:5	agreed (2) 34:7;185:6	ankle (1) 178:6
\$390 (1) 24:4	Actually (9) 6:3;59:2,7;63:11;90:11; 107:14;110:10;136:15;179:14	agreement (1) 184:20	anthropometry (3) 77:24;85:15;88:11
\$410 (1) 24:2	actuating (2) 176:15,18	air (4) 175:22,23,24;176:21	anticipated (3) 135:12,17;176:25
[add (1) 146:1	al (1) 178:12	Anticipation (4) 135:5;136:1,2;161:18
[No (1) 130:25	added (2) 122:20;146:5	alignment (1) 87:15	Antistat (1) 151:9
A	adding (1) 128:20	aligns (2) 154:8;164:4	antistatic (1) 151:8
ability (4) 56:4,6;148:8;155:4	addition (5) 104:6,7;107:3;154:13; 166:1	alleged (4) 10:18;177:9,22;179:5	Apart (1) 21:5
able (10) 43:8;51:22;64:23;68:17; 99:13,22;110:17;144:11; 148:8;181:7	additional (1) 125:16	allow (3) 69:13;76:8;184:21	apparatus (1) 88:21
above (2) 93:4;112:9	additive (1) 151:8	allowed (3) 87:14;158:3;181:9	appear (4) 126:10;127:21;148:14; 170:10
ABS (1) 142:21	address (11) 59:18,21;61:18;69:4,21; 95:2;117:9;122:21;140:13,19; 150:16	allows (1) 153:8	Appearances (1) 3:3
abstract (1) 151:1	addressed (3) 12:11;66:17;94:23	almost (2) 5:17;29:17	appeared (1) 81:1
abstracts (1) 152:7	addresses (1) 140:19	along (5) 61:6;78:1;114:16;153:3; 176:10	appears (3) 108:22;136:21;162:3
academic (2) 11:11;28:10	addressing (4) 84:1,10;145:1,12	alternate (4) 43:21;44:3,8;76:21	appended (1) 56:18
accept (2) 9:25;43:16	Adds (1) 128:18	alternative (1) 44:6	applicant (2) 156:18,24
accepted (4) 9:11;168:10,10;183:22	admin (2) 8:15;26:12	although (2) 7:19;51:21	application (6) 63:18,20;64:9,13;76:17; 169:4
accepting (1) 11:16	administrative (1) 102:2	aluminum (1) 138:21	applications (8) 112:12,13;130:15;164:16, 24;165:4,9;168:22
access (3) 72:21;108:4,13	admins (1) 7:9	always (3) 9:5;108:18;144:18	apply (2) 88:20;183:13
accompanies (1) 94:24	admitting (1) 155:18	American (2) 173:25;174:4	applying (1) 120:17
accomplish (2) 152:8,10	adopt (1) 80:6	among (1) 84:22	approach (1) 106:20
According (2) 57:16;62:7	adopted (1) 181:7	amount (5) 26:14;59:13;89:17;99:7; 102:18	approximate (3) 13:13;24:5;25:24
accounting (1) 8:15	advance (4) 8:6;11:13;51:24;53:3	amounts (1) 97:22	approximately (8) 20:11;31:3;46:21;49:24; 100:12;101:9;103:13;108:25
accuracy (1) 8:17	advantage (3) 129:3;148:18;150:1	analysis (31) 42:1,4,21;46:5;55:13; 71:16;75:3,10,23;76:2;94:2,6; 97:4,16,21;98:1,6;101:3,4; 104:5;105:2,3;120:12,18; 128:5;131:4,19;134:23;137:1; 151:21;159:5	April (5) 27:3;31:3;72:1;125:12; 126:20
accurate (1) 32:20	advantages (4) 108:1;111:7;116:25;148:17	analyzed (1) 133:19	Archives (6) 45:3;50:5,10,21;51:3,8
accused (1) 178:6	Afternoon (1) 3:9	ancient (1) 107:1	area (5) 132:1;149:18,21;161:24; 179:16
achieved (1) 163:11	again (8) 5:16;38:7;91:3;92:8;114:6; 134:8,18;159:22	and/or (1)	arguing (1) 176:24
Acknowledgement (1) 3:11	against (5) 10:9;22:10,23;69:9;168:10		argument (2) 119:18;133:22
acquainted (1) 92:17	ago (14) 10:7;13:10,20;45:19;50:7;		around (14) 50:11,20;54:7;58:24;59:7;
across (4) 20:20;91:25;139:11;165:21			

90:21;113:18;115:8;116:15; 142:19;146:19;147:7;160:6; 161:24 arranged (2) 85:22;116:15 arrow (1) 115:4 art (108) 11:3,6;12:15;18:15,16,17, 23;19:6,17;20:1,5,7,11;44:15, 18;45:12;47:22;48:22;49:1,4, 15;50:1,51:2;52:14;53:24; 55:5;63:14;70:15,16,20; 74:10;77:1,4,13,17;78:5,20; 79:3,18;80:9,12,14;81:15; 84:19;93:5;96:10;97:12,23; 98:12;99:4;100:2;101:3,10, 13;102:11,17;103:1,7;104:5, 8,11,12,25;105:14,17;106:4; 107:7,10;116:9,17;117:12,23; 118:20;119:20;120:4,7; 122:13,21;123:5;128:4,7; 129:8;131:19;133:1,4,9,16,18, 20;134:25;135:1,13,19;136:6; 137:25;139:9;145:10;152:7,8, 9;153:2,16,23;165:19;168:11; 176:3,8;177:21 artificial (1) 89:20 Asian (3) 70:21;71:1,7 ASME (1) 183:24 aspect (5) 87:7;91:6;122:23,24; 176:21 Assault (9) 14:7,18,19,24;15:5;17:11; 65:6;71:24;72:5 assemble (2) 52:16;167:10 assembling (2) 129:20;152:13 asserted (1) 40:2 assessing (1) 83:23 assessment (3) 9:23;25:23;162:8 assessments (1) 123:11 assist (1) 183:1 assistance (1) 180:17 assisting (2) 83:25;84:1 associated (10) 60:5;67:15;69:22;92:13; 104:3;143:18;144:2;145:13; 150:1;172:4 associate's (1) 89:1	assume (2) 43:10;64:22 assuming (1) 43:5 asterisk (1) 134:4 asterisks (1) 133:25 ASTM (17) 54:8,14,16;56:2,23;57:17; 60:9,21;66:19,20;67:5,22; 140:22;141:1,4,9;142:4 attempt (3) 106:3,7;140:19 attempted (1) 169:9 attempting (2) 143:10;146:10 attention (2) 37:11,14 attorney (2) 10:15;157:9 audible (1) 130:25 Australia (1) 65:22 Australian (8) 54:10;65:16;66:7,11,18; 67:4;70:2,11 author (1) 92:10 authored (2) 72:7;126:11 authoring (1) 96:8 authorities (2) 103:9,18 authors (1) 95:24 authorship (1) 101:5 available (13) 28:3;69:7;107:13;108:12, 20;110:18;124:16;125:4; 153:13,15,17;157:11;164:14 average (1) 91:19 avid (1) 93:8 avoid (3) 146:3,21;152:25 aware (18) 9:6;39:25;40:8,10,15;46:11, 13;72:4;88:6;90:23;110:14, 23;127:11;154:2;156:11; 157:11;181:11,13 awareness (1) 175:6 away (2) 68:16;118:5 awkward (1) 80:25	B B&P (1) 177:5 bachelor's (3) 93:6,17;176:10 back (12) 12:22;18:13;30:17;45:4; 71:21;84:17;102:21;103:6; 148:25;153:7;161:4,6 back-and-forth (3) 95:23;96:4;169:3 background (15) 7:11;11:5,10;28:7,9,10; 37:6;38:8;77:21;93:12,13; 98:16;138:18;151:2;176:17 backgrounds (1) 152:6 Backstopping (1) 111:25 back-stopping (2) 112:7;166:8 backwards (6) 111:19;112:8;114:2; 153:22;154:1,21 bad (1) 178:7 balloon (1) 150:5 ballpark (3) 99:16,17;160:6 Bank (3) 16:24;17:2,15 bar (3) 19:13;169:8,9 base (2) 71:11;125:3 based (15) 12:20;22:8;23:15;28:22; 40:5,25;60:12;71:16;108:22; 134:25;136:11;142:15;159:6; 165:22;166:5 bases (2) 43:15;122:14 basic (1) 109:13 basically (35) 11:4;12:6;21:13;39:2,3; 52:14;54:4;56:21;58:2,12; 62:23;63:2,22;69:15;79:11; 85:4,8,17,20;87:1,9;5:5; 107:23;137:2;148:10;156:19; 160:23;163:12;164:7;165:24; 172:15;175:16,21;176:14; 178:5;181:9 basing (1) 71:18 basis (5) 8:18;19:10;23:4;121:3; 123:23 battery (1) 142:19	bearing (19) 111:10,12;124:15;129:25, 25;130:1;131:22;132:4; 154:11;162:11;164:1,2,23; 165:3,8;170:9,10,11,12 bearings (10) 85:6;86:14;110:4,6,23,24; 129:12;164:15;166:2,9 bearing-type (1) 164:9 became (2) 46:11;66:10 become (1) 9:6 began (2) 20:10;50:1 begin (1) 24:9 beginning (5) 13:12;31:2;32:11,15; 157:17 begins (2) 69:16;124:1 behalf (9) 5:25;33:7;34:15;132:15; 172:3,3;173:18;174:14; 180:11 belong (1) 15:8 below (1) 112:11 belt (27) 85:24;87:4,15,18;88:1,4; 111:22;113:17;114:4,9,20; 115:7,20;117:23;145:22,23; 146:16;147:7;148:19,22; 149:5,7,11,18,22,23;162:12 belts (7) 86:12;87:4;110:5,23; 114:14;129:14;155:9 beneficial (1) 150:11 benefit (3) 144:2;146:5;149:16 benefits (1) 143:18 best (1) 13:18 better (2) 109:22;139:24 beyond (5) 14:8;22:14;68:15;132:7; 181:23 big (9) 11:9;12:17;68:8;72:10; 117:19;132:23;145:25;146:1; 178:22 bikes (1) 64:17 billed (2) 24:2,9 billings (1) 25:24
--	--	---	---

bills (1) 8:15	116:16;117:25	154:25	categories (2) 57:15;157:25
binder (2) 60:15;67:5	brand (5) 14:14,18;17:5,7;64:24	calls (3) 13:9;18:12;182:24	category (1) 57:11
binders (2) 23:10;111:18	brand-new (1) 58:20	came (12) 12:6;20:19;41:3,5,17;48:4; 63:13;120:12;157:14,14; 158:7;182:10	caught (1) 68:10
biomechanics (14) 11:10,12;78:12;85:15; 88:11,14,24;89:11,24;90:6, 15;91:2,6;179:10	breadth (1) 111:4	camera (1) 179:12	cause (1) 85:3
biomechanics/anthropometry (1) 79:14	break (9) 42:13,18;67:24;82:8,11,16, 21;118:16;151:24	Can (48) 5:22;23:16;32:12;57:19; 60:1;61:24;62:20;63:11,12; 67:20;68:2;86:12,16;88:18, 20;91:11;99:24;111:15; 113:9;114:6;122:11;126:13; 128:11;129:2;130:8;134:8; 137:4,13;138:11,14;139:14, 15,17;140:5;145:20;148:5,24; 150:8,9;157:5;159:17;164:5, 23;166:22;171:7;183:17; 184:19;185:2	causing (1) 180:7
bit (10) 59:7,16;76:25;86:3;101:2; 109:20;111:6;132:24;153:8; 175:15	breaks (1) 130:6	candidate (1) 28:4	caution (2) 19:21;34:18
bladders (1) 175:22	brief (2) 106:2;110:9	Cantor (13) 9:17,19;12:23,25;13:14,22; 14:2,5;20:19;21:24;24:6; 27:9;31:22	CEN (1) 63:7
Blair (5) 132:10,14,17,25;133:3	briefing (1) 72:15	Cantor's (2) 14:9,16	center (1) 153:8
Blair's (1) 127:17	briefly (3) 53:6;110:8;134:20	capability (1) 162:8	certain (11) 17:23;29:22;39:20;46:9; 53:23;72:14;92:24;94:24; 126:7;156:5;181:11
blended (1) 151:10	Briggs (1) 50:15	capable (1) 74:3	Certainly (15) 44:1;72:11;86:19;87:7,12; 90:18;97:4;106:6;121:7; 122:6;143:3;166:7;168:6; 173:22;180:16
Bless (1) 16:14	broad (1) 111:4	carries (1) 15:12	certificate (4) 88:17,22;89:2,3
blocks (1) 17:1	broken (1) 83:24	carrying (2) 113:19;115:14	certificates (1) 89:6
Blue (2) 172:12,21	Brooks (2) 24:24;25:3	case (57) 7:25;11:22,23;13:7;21:13; 34:11;71:24;80:9;93:23; 109:9;115:15;123:22;124:5, 12,22;125:11,18;126:6,14,17; 127:5;132:5;153:20;163:17; 166:5;170:22;171:1,8,22,22, 24;172:14,20,22,24;173:3,6, 10,13;174:3,21,23;176:4; 177:3,7,8;178:3,8,14;179:3,9, 20;180:1;181:10,12,22; 182:11	Certification (1) 3:13
blurred (1) 6:14	brought (3) 128:4;176:13,16	cases (3) 173:16;180:9;182:22	cetera (3) 126:25,25;151:8
Board (4) 35:24;36:10,16;121:10	buckets (2) 112:7,25	casual (1) 160:23	chairs (1) 172:17
bodies (2) 66:18;101:21	budget (1) 25:17	catalog (1) 164:14	challenge (15) 11:19;12:1,45;12;121:4; 122:14,20;125:18;126:7; 127:12;133:12;136:6,8,22; 170:14;174:14
body (5) 54:16;57:17;87:2;88:19; 91:21	buffer (3) 95:7,11,14	catastrophically (1) 179:6	Challenged (5) 39:17;89:22;119:14; 135:16;161:16
boiled-down (1) 128:23	build (2) 85:9;154:7	catch (1) 118:5	challenges (3) 13:24;17:23;181:18
bono (1) 181:1	building (2) 142:16;151:5		challenging (7) 18:7;81:19,21;92:12; 119:13;124:24;165:20
book (6) 107:12,15,16,18,22;116:13	buildup (5) 143:1,6;144:3;148:23; 149:6		change (7) 42:4,21;43:2;74:24;75:5,8, 23
books (1) 26:19	built (1) 108:17		changed (2) 43:3;75:9
boss (1) 27:21	bunch (3) 138:6;163:12;177:17		changes (3) 28:22;43:8;58:16
bosses (1) 27:22	business (1) 102:9		Chapco (15) 10:12,18;11:8;18:24;21:20; 52:19;53:1;78:5;109:6; 124:22;125:17;131:13;132:3; 170:22;181:18
both (6) 59:21;75:6;123:13;148:12; 170:11;180:9	C		Chapco/Samsara (21) 10:24;31:24;45:5;51:19; 52:12;53:10,14;70:9;77:11,
bottom (2) 114:23;115:3	Cahill (1) 171:21		
boundary (1) 90:12	calendar (1) 23:20		
brainstorm (1) 105:16	California (1) 72:1		
brainstorming (1) 105:20	call (12) 12:22,25;13:1,11;14:2,5,9, 16;24:10;60:1;105:19,21		
brakes (2)	called (8) 5:9;13:14;24:6,8;67:21; 69:14;164:1;180:16		
	calling (1)		

17:78;16:80;19,24;81:8; 104:9,13;106:4;122:4;123:22; 126:6;181:12 chapter (2) 116:16;117:25 charge (2) 146:11;151:4 check (5) 8:15,16;9:10,23;180:18 Chickering (1) 119:8 children (1) 57:12 Chinese (1) 70:22 choosed (1) 154:22 choosing (2) 153:22;154:9 chose (2) 154:22;155:18 circumstances (7) 25:7,9,10;142:8;144:12; 182:7,8 Cisneros (1) 172:22 citation (2) 147:18;155:20 cite (4) 63:10;140:18,22;149:19 cited (15) 44:22;71:11;72:14;103:9; 107:6;119:20;123:25;126:22; 127:10;133:8;134:4,5,10; 141:24;152:24 cites (1) 164:19 citing (1) 141:1 claim (33) 38:19,23,25;39:7;40:1,10; 41:24;42:19,25;72:15,19,25; 73:7;75:18;76:4,21;80:7; 81:4;82:2;90:12,12,17,19,24, 25;96:24;120:18;131:1; 135:12;153:21;161:15;170:5, 10 claimed (10) 115:21;159:14;160:19; 161:21;166:18,21;167:2,5,18; 176:24 claims (41) 39:3,17,20,22;40:19;41:11; 80:22,23;81:1,11,12,20,21; 82:4;89:21;90:8,20,20;91:25; 92:4,5,5,11;94:25;96:22; 97:13;115:25;119:14;122:14; 123:15;135:16;136:8;152:5; 154:2,5,8,23;156:13;157:4; 161:16;165:21 clarification (10) 15:22;55:21;56:10;63:5; 73:22;137:6;141:7;143:21;	148:2;184:16 clarity (2) 13:11;62:25 clause (2) 56:23;57:9 clean (1) 26:18 clear (3) 83:10;106:1;134:17 clearances (2) 67:12;68:7 cleared (1) 180:18 clearly (2) 84:13;119:4 clever (1) 93:3 client (4) 6:2,3,4;53:21 CliffsNotes (2) 107:25;123:3 close (2) 185:3,5 closely (1) 171:4 club (7) 15:8,12,25;16:23,24;17:2, 15 clue (1) 22:15 clutch (7) 111:23;112:1,3,7;116:11; 117:1;165:8 clutches (13) 104:21;110:6,24;112:10; 116:16,24;117:25;118:8; 129:13,16;130:8;164:8,9 collaboration (1) 121:13 collaborative (1) 78:23 colleagues (13) 78:19;79:17;103:24; 105:17;132:3;133:18;135:2; 156:8;157:24;159:7,8;163:3; 166:11 column (9) 73:13;138:15;140:2; 147:20;148:14;149:19;151:3; 155:6,21 combination (7) 49:12;121:4;127:21;128:1, 2;148:20;149:3 combinations (4) 81:24;82:1;135:19;136:6 combine (2) 145:11,17 combining (1) 152:16 Comfort (2) 174:2;176:21 comfortable (1) 91:14	coming (6) 22:14;91:23;104:16; 134:21,22;156:14 Comission (1) 66:19 commented (1) 134:2 commercial (5) 64:9;159:13;162:4,7; 177:15 commercialized (1) 161:12 commercially (4) 110:17;124:16;125:4; 164:14 committee (18) 54:17;57:21,22;58:2,13,21, 22,23,25;59:3,5,6,11,15,25; 60:3;183:8,25 committees (5) 58:17;59:18,21;60:22; 181:2 common (2) 52:15;124:16 communication (1) 53:16 communications (3) 19:22;34:19;158:16 companies (1) 10:11 company (4) 10:8,11;82:24;179:25 comparable (1) 123:8 compartments (1) 142:19 compel (1) 80:6 compelling (1) 119:18 compensated (1) 23:11 Competition (1) 66:19 compiling (1) 168:1 Complaint (1) 71:23 complicated (1) 138:7 components (24) 11:4;41:12;52:15;75:17; 77:8,23;85:22;87:3;107:24; 109:14,21,23;110:1,2,15; 111:2;117:6;123:4;150:4,9; 153:23;154:17,22;163:24 composite (1) 151:7 compounds (1) 128:18 computer (2) 8:14;176:19 concept (2)	6:14;22:15 concern (4) 69:3,9,21;114:1 concerned (1) 97:19 concerns (8) 66:17;67:9,10,15;68:14,19; 86:22;113:25 concluded (6) 157:3;159:8;162:19;171:2; 175:12;185:7 conclusion (5) 24:1;78:11;156:14,17; 157:14 concrete (1) 88:18 conditions (3) 142:8;144:14,21 conducted (1) 105:11 conducting (1) 9:22 conferences (1) 7:2 confident (1) 26:20 confidentiality (1) 65:1 configuration (2) 16:20;117:9 confirm (1) 144:11 confirmed (1) 18:9 conflict (4) 9:10,22;180:18;182:17 conflicts (2) 14:5;18:10 confusing (1) 180:5 conjunction (1) 56:20 connect (1) 178:21 Connecticut (3) 151:10;162:18;170:24 connection (10) 63:25;64:4;72:15;127:13; 166:17,20;167:1,4,15,17 consequence (2) 11:7;18:24 consider (15) 43:20;44:3;74:22;77:1; 85:10;97:4,8;102:4;130:19; 131:12;156:25;159:10;168:1, 4,19 consideration (2) 117:11;159:16 considerations (15) 84:10;87:9;114:21;117:10; 156:5,7;158:12;159:1,9; 166:16,21,25;167:18;168:4, 18
--	--	--	---

<p>considered (10) 19:17;20:1;84:23;93:11; 118:25;120:9,9;123:14; 167:21;184:2</p> <p>considering (1) 167:23</p> <p>consistency (1) 61:7</p> <p>consistent (2) 79:8;80:5</p> <p>consolidate (1) 184:19</p> <p>constitute (2) 115:11;136:10</p> <p>constituted (1) 176:2</p> <p>constitutes (4) 79:3,17;88:13;94:3</p> <p>constraints (3) 131:5,6,7</p> <p>construction (29) 38:20,23,25;39:7,16;40:18; 41:10,17,21,24;42:25;43:5; 72:15,20;73:14,19,25;75:1,9, 16,18,24;76:7,8,18,21,23; 86:3;140:4</p> <p>constructions (14) 40:2;42:3,9,20;43:11,21; 44:4,7,9;72:25;73:7,8;120:18; 131:2</p> <p>construed (4) 39:22;40:13;76:15;97:1</p> <p>consultant (2) 83:22;102:5</p> <p>consulted (1) 110:21</p> <p>consulting (9) 6:22;101:19,23;102:1,5,9; 110:15;180:19,20</p> <p>consumer (14) 57:22,24;58:1,23;59:24; 64:13,16,20;65:5,10,16,19; 66:19;142:18</p> <p>contact (3) 69:14;149:18,21</p> <p>contacted (7) 9:12,20;13:19;20:9;21:24; 31:18;46:14</p> <p>contained (6) 38:9;39:11;67:16;124:19; 129:18;131:19</p> <p>contains (1) 117:4</p> <p>contemplated (3) 25:24;124:23;148:21</p> <p>contemplates (1) 143:4</p> <p>contending (1) 161:14</p> <p>content (2) 128:19,20</p> <p>intention (1) 119:23</p>	<p>contentions (4) 10:9;34:15;36:4,6</p> <p>contents (1) 125:23</p> <p>context (2) 88:2,3</p> <p>continue (2) 159:18;175:10</p> <p>contribute (1) 78:19</p> <p>control (6) 141:13;155:7,10,12,16; 176:19</p> <p>controlling (2) 174:12;175:21</p> <p>controls (2) 86:5;138:7</p> <p>conversation (5) 12:3;13:6;22:5;119:5,9</p> <p>conversations (3) 13:7;17:25;120:6</p> <p>convert (1) 148:8</p> <p>conveyor (39) 49:11;85:18,20,23,25; 86:18;87:4,4,10,10,16,20,23, 25;88:6;111:10,22;112:3,5,6, 15,20,22;113:6,12,24;114:5, 10,18,22;115:7,9,13,19;116:3; 118:12;129:9,14,24</p> <p>conveyors (13) 86:15,16,19,21;87:13;88:4; 129:15;146:18;164:3,16; 165:6;166:2,8</p> <p>Cook (2) 172:23;179:2</p> <p>cooker (1) 173:21</p> <p>copied (1) 163:4</p> <p>copy (15) 30:10,11;45:21,22,24;46:3; 47:4;48:1,4;51:18,22;62:23; 63:2;78:15;96:12</p> <p>copycat (1) 177:22</p> <p>copying (2) 162:22;177:9</p> <p>copyrighted (1) 177:20</p> <p>core (1) 75:5</p> <p>Corporation (3) 172:13;174:1,2</p> <p>correctly (3) 40:23;42:7;136:16</p> <p>corresponding (1) 55:7</p> <p>corresponds (1) 127:8</p> <p>costs (2) 138:24;146:22</p> <p>Council (2)</p>	<p>183:9,25</p> <p>counsel (4) 4:4;82:18;184:17,24</p> <p>count (1) 16:19</p> <p>counter-rotation (1) 112:2</p> <p>counting (1) 181:1</p> <p>County (4) 172:23,23;179:2,25</p> <p>couple (11) 13:6;20:15;59:3;98:24; 101:11;102:10,25;116:22; 132:21;133:23;161:7</p> <p>coupled (1) 69:13</p> <p>course (1) 82:9</p> <p>court (13) 12:10;13:3;29:10;34:11; 40:1;74:25;75:25;76:22; 162:18;170:23;175:3;181:4,7</p> <p>cover (2) 179:5,15</p> <p>covered (2) 85:4;90:13</p> <p>creation (1) 63:17</p> <p>cross-listed (2) 62:12,13</p> <p>crossover (2) 87:6,8</p> <p>cumulative (3) 128:9,12,16</p> <p>curiosity (1) 158:23</p> <p>current (2) 65:2;138:20</p> <p>currently (3) 7:4;57:20;101:18</p> <p>curvature (3) 92:9,9,13</p> <p>Curve (11) 17:9;86:16,17,18;91:24; 114:3,8,19,23;115:11;117:15</p> <p>curved (19) 50:8;86:9;90:4;91:4; 112:19,23;113:7,13;115:7,20, 21;116:12;137:17;153:6,11; 154:18;160:25;162:11,13</p> <p>curves (1) 115:1</p> <p>custom (1) 178:23</p> <p>Cutter (1) 119:7</p> <p>CV (2) 57:16;170:16</p>	<p>71:24;72:5</p> <p>DAE (1) 171:18</p> <p>dash (2) 60:16,20</p> <p>date (26) 13:13,16;27:6;50:3;61:13; 62:16;66:8,9;93:25;94:3,7,12, 15,18,24;95:2,7,17;104:23; 126:20;127:6;160:4,9,13,14; 161:3</p> <p>day (1) 185:3</p> <p>days (1) 98:24</p> <p>deadline (1) 181:15</p> <p>decade (1) 154:25</p> <p>decide (1) 133:9</p> <p>decided (2) 23:1;118:20</p> <p>decision (9) 13:23;18:2;35:19,22,23; 36:10,22;37:8;131:23</p> <p>decisions (1) 36:11</p> <p>declaration (86) 23:8;27:3,7;30:23;32:13,21, 25;36:8;37:20;38:9;39:4; 40:15;44:2;54:3,12;60:8; 62:7;66:12;71:20;72:7;75:11; 77:4;78:8;82:5;84:18;94:21, 23;95:1;96:9;100:7,13,16,25; 101:7;103:6,9;104:3;119:2, 10;120:14,15,17,21;124:4,20; 127:9;131:7,17,18;134:21; 135:4;136:12;140:17,21,25; 141:23,25;142:3;143:15,23; 144:1,5;147:25;148:4,13; 149:4,13;150:14;152:18,19; 154:6;156:5,10;157:15,17,22; 158:19;164:6;167:10,21; 168:2,5;169:12;170:1,2;183:2</p> <p>declarations (23) 22:21;35:6,11,16;36:20; 37:16;43:20,25;81:24;96:17; 98:2;99:13;100:4,19;101:5; 103:19;108:21;121:17; 133:21;162:7;163:21;168:14, 17</p> <p>decrease (1) 150:8</p> <p>deep (2) 36:25;175:24</p> <p>deeper (1) 97:23</p> <p>deer (1) 171:11</p> <p>defend (1) 181:7</p> <p>Defendant (1)</p>
		D	
		D/b/a (2)	

73:2 defense (1) 173:4 define (2) 77:3;90:9 defined (3) 32:3;42:5,7 defines (3) 61:20,21;62:17 definitely (8) 78:24;87:8;125:5;129:13; 130:17;132:21;172:7;182:22 definition (18) 77:6,12,16;78:4,7,8,14,15, 19;80:8;84:18,25;88:8;91:10; 92:24;93:15,21;110:13 deformation (1) 171:13 degree (5) 19:12;79:12;89:1;93:17; 176:11 deliberate (1) 162:22 deliberately (1) 163:4 delineate (1) 99:22 demonstrate (1) 88:23 demonstrated (2) 88:10,13 denotes (1) 60:18 Department (1) 172:23 depending (2) 87:19;118:4 depends (1) 143:2 depiction (1) 113:3 depicts (1) 116:2 deposed (1) 174:21 Deposition (9) 5:4,18;21:20;37:3;51:24; 184:17,18,21;185:7 Describe (7) 32:5;33:3;39:1;44:17; 57:19;62:2;142:3 described (6) 105:22;149:3;153:15; 164:11;166:7,8 describes (9) 39:4;92:8;111:12,23; 116:24;154:13;155:25;156:2; 164:2 description (6) 81:17;109:13;117:1;156:1; 158:4;163:18 descriptions (2) 109:21;158:22	descriptive (2) 123:1,11 design (17) 63:25;64:4,8,12;65:5,10; 82:23,25;83:11,17;84:1,21; 91:21;93:18;116:12;117:7; 162:8 designated (1) 125:25 designation (1) 60:18 designations (1) 126:23 designing (2) 91:23;176:12 design-related (1) 83:25 desire (1) 122:21 desktop (1) 47:14 detailed (2) 44:9;155:25 details (2) 8:1;171:20 detect (1) 147:16 determining (1) 58:7 developed (2) 134:25;135:3 developing (2) 99:11;184:4 development (2) 90:19;102:23 device (13) 41:10,12;44:4;75:14,17; 112:2;137:21;154:15;160:23; 162:16,20;170:9;178:17 devices (3) 87:14;138:2,4 diameter (15) 138:25;139:24;140:9; 145:15,21;146:19;148:16,18, 21;149:5,6,8,24;150:22; 151:18 dictated (1) 151:16 differ (1) 104:12 difference (2) 85:23;165:15 differences (6) 82:5;110:6;112:18;113:3; 150:10;177:22 different (32) 12:5,9;13:3;40:9;42:23,25, 25;57:6;61:8;73:25;80:22; 81:11,23,23,24;82:1,3,4;86:3; 108:19;109:21;111:8;116:21; 117:5;118:3;120:21;123:12; 131:1,7;154:4;178:4,19 differently (1)	42:5 dig (1) 97:23 digging (1) 44:23 dimension (1) 147:23 dimensions (2) 147:11,13 direct (8) 32:12;54:21;72:24;111:15; 123:24;126:12;151:3;164:5 directed (5) 56:25;61:16;91:1;101:19; 136:2 direction (6) 111:11;112:1,24;115:4; 116:5;129:17 directly (1) 148:7 director (2) 6:17,20 disadvantages (2) 108:1;111:7 disagree (1) 74:8 disclosed (3) 127:13;129:9;141:21 discloses (4) 137:21;150:24;155:4; 165:12 disclosing (1) 137:10 disclosure (11) 32:11;35:8;56:5;111:16; 116:10;123:7,8;125:17; 140:13;151:14;164:22 disclosures (5) 34:21,24;35:1,5;155:22 discovered (1) 108:13 discs (1) 85:6 discuss (11) 12:19;55:19;82:17;147:4, 24;148:3;149:12;150:13; 154:7;162:14;163:20 discussed (8) 13:8;122:25;133:17,18; 154:17;160:16;161:17;184:17 discusses (3) 140:24;147:5;165:7 discussing (2) 164:8;179:10 discussion (22) 11:18;13:2;76:21;78:24; 79:1,4,6,7;105:22;106:2; 118:23;120:11;158:8,9,10,14, 24;159:6;162:6;163:2;164:6; 184:12 discussions (7) 60:23;79:16,23;80:2;95:16; 135:1;159:7	dispute (1) 177:12 disqualified (1) 21:13 dissertation (3) 89:12,14,16 dissuaded (1) 157:21 distance (1) 91:20 distribute (1) 173:22 district (14) 12:10;13:3;29:10;34:11; 40:1;71:25;74:25;170:23,23; 172:13;173:9;175:3;177:7; 178:13 dive (2) 36:25;175:24 dock (2) 172:16,16 document (7) 72:25;124:5;125:12; 126:16,18;127:5;165:3 documented (1) 51:4 documents (2) 50:22;182:18 done (10) 24:13;29:2;35:3;75:3; 82:23;100:3;116:6;117:23; 151:22;152:23 double-check (1) 43:25 down (6) 36:2;124:4;125:8;130:6; 182:4,14 Dr (13) 5:14;42:18;124:8;125:9,24; 126:24;127:17;132:10,14,17, 25;133:3;152:3 draft (1) 169:17 drafting (2) 100:6,19 drawings (6) 177:14,18,20,23,23,24 dresser (1) 58:3 dressers (1) 58:8 drive (1) 47:17 driven (1) 114:16 driving (1) 112:24 dropped (1) 120:1 dryness (1) 144:21 due (4) 65:1;146:11;149:18;151:5
--	---	---	--

<p>dueling (1) 73:8</p> <p>duly (1) 5:10</p> <p>dumping (1) 112:8</p> <p>duplicate (1) 106:7</p> <p>durable (1) 67:13</p> <p>during (12) 13:1;48:14;87:12,15,16; 98:22;102:12,19;109:6;125:6; 156:19;157:7</p> <p>duties (4) 6:19;20:22;21:1,5</p>	<p>141:2,5,10,19;142:3,23; 151:19;178:18</p> <p>electrically (8) 142:5,12;144:8,12,17,18; 145:7;151:6</p> <p>electricity (12) 140:14,20;141:1,17;144:3; 145:2,13;150:1,12,17,25; 151:15</p> <p>electrics (1) 142:24</p> <p>electronic (8) 30:8,10,14;47:8,16,23;48:9; 108:14</p> <p>electronically (2) 30:6;108:12</p> <p>electrons (1) 150:7</p> <p>element (7) 40:21;73:15;74:2,13,14; 113:19;124:16</p> <p>elements (11) 75:6;85:2,6;86:6,8;118:9; 125:5;137:24,25;141:5,11</p> <p>eliminate (1) 117:21</p> <p>ellipticals (1) 64:17</p> <p>else (12) 12:24;30:11;33:8;47:19; 48:13;51:16;57:13;79:22; 101:1;136:9;141:22;143:25</p> <p>else's (3) 95:11;105:4;107:18</p> <p>e-mail (1) 34:7</p> <p>e-mails (1) 26:12</p> <p>embodiment (4) 154:14;155:12,17;156:3</p> <p>embodiments (2) 155:4;156:1</p> <p>emergencies (2) 56:4,6</p> <p>emergency (4) 56:21,25;57:5;67:11</p> <p>Emerson (6) 164:1,13,18;165:11,22,24</p> <p>emphasis (1) 104:18</p> <p>employees (3) 7:3,6,14</p> <p>employer (3) 51:25;65:2;172:7</p> <p>employment (1) 102:13</p> <p>employs (1) 6:7</p> <p>EN (5) 62:11,24;63:2,7,12</p> <p>encapsulated (1) 103:2</p> <p>enclosures (1)</p>	<p>142:23</p> <p>encyclopedia (3) 107:23;123:1;128:24</p> <p>end (12) 40:22;63:7;76:12;92:6; 115:25;117:13,16,17;146:20, 24;153:8;172:16</p> <p>ended (3) 52:23,23;119:1</p> <p>endless (1) 113:18</p> <p>engage (1) 131:23</p> <p>engaged (6) 11:24;23:5,14;46:19;49:25; 70:14</p> <p>engagement (52) 9:7,13,24;11:1,8,16;13:15; 14:17;18:11;19:19;20:3; 21:25;22:21;24:7,9,17,20,23; 25:11,19,25;27:10;31:4,7,11, 19;32:2,6,22,25;33:3,4,8;34:1, 3,9;44:13;45:11;46:15;48:19; 63:15;70:6;78:21;92:20; 94:15;99:8;101:14;104:12; 109:17;132:3;167:15;182:5</p> <p>engagements (1) 182:14</p> <p>engineer (3) 28:12,14;133:6</p> <p>engineering (19) 6:6,6,9,12,18,21;23:10; 32:8;77:21;78:11;89:9,11; 93:18;101:4;176:11;184:1,5, 6,7</p> <p>engineering-type (1) 168:12</p> <p>engineers (2) 7:7,10</p> <p>enhanced (2) 149:17,21</p> <p>enormous (1) 177:17</p> <p>enough (5) 15:21,23;93:3;109:15; 147:15</p> <p>entail (1) 158:21</p> <p>enter (4) 8:14;9:3,4;68:2</p> <p>entertained (1) 53:18</p> <p>entirely (2) 27:17;29:20</p> <p>entities (2) 14:4;172:4</p> <p>entity (1) 172:5</p> <p>entrapment (1) 68:8</p> <p>entries (1) 71:5</p> <p>enumerated (2)</p>	<p>153:3;157:17</p> <p>equipment (42) 14:13,25;15:3,6;54:17,19; 58:11,12;59:11,25;61:22; 62:18;64:5,16,21;65:6,11; 82:23;84:22,25;85:1,9,10; 108:17;112:14;117:10; 129:23;164:3,16,24;165:5,5, 12,15,17,18;166:3,7;172:12; 176:12;183:4,6</p> <p>equipped (2) 56:9,12</p> <p>equivalent (4) 79:12;93:6,11;176:11</p> <p>Errata (1) 3:15</p> <p>essential (1) 128:23</p> <p>essentially (1) 112:11</p> <p>establish (1) 102:22</p> <p>established (1) 111:6</p> <p>estimate (6) 13:18;99:10,14,16,16;100:8</p> <p>estimation (3) 26:2;100:9;104:2</p> <p>E-stops (1) 87:13</p> <p>et (4) 126:25,25;151:8;178:12</p> <p>evaluate (6) 9:24;39:20;98:11;166:19; 167:3,13</p> <p>evaluated (1) 167:16</p> <p>evaluating (5) 42:7;94:12;97:13;116:10; 123:15</p> <p>evaluation (2) 44:18;152:5</p> <p>even (7) 22:3;74:15;86:2;138:4; 161:6;163:23;167:23</p> <p>everyone (1) 8:1</p> <p>Evidence (16) 73:1;156:12;157:6,11; 158:6,12,25;159:3,11;161:11; 165:25;166:17;167:2,4;168:3, 19</p> <p>exact (2) 13:16;58:11</p> <p>exactly (2) 79:6;141:18</p> <p>exam (1) 183:10</p> <p>Examination (2) 3:7;5:12</p> <p>examined (1) 5:10</p> <p>examiner (28)</p>
<p>E</p>			
<p>earlier (5) 101:24;134:17;160:16; 161:17;169:7</p> <p>early (3) 13:17;17:24;30:24</p> <p>easier (1) 90:4</p> <p>easiest (1) 46:6</p> <p>East (3) 16:24;17:2,15</p> <p>Eastern (1) 177:6</p> <p>economic (1) 152:14</p> <p>economical (3) 139:18;140:8;151:18</p> <p>economics (2) 145:14,19</p> <p>edited (1) 171:3</p> <p>education (2) 132:22;158:17</p> <p>effective (4) 66:10;94:24;95:2;160:14</p> <p>effectively (1) 148:5</p> <p>effort (1) 89:19</p> <p>efforts (1) 184:17</p> <p>eg (3) 66:20,22;151:7</p> <p>eight (3) 50:7;106:22;121:23</p> <p>either (13) 39:6;59:18;70:25;85:3; 86:20;90:24;91:15;94:7; 162:12;175:6;176:25;183:13, 16</p> <p>elapsed (1) 20:11</p> <p>electrical (12) 54:8;67:19;87:7;140:23;</p>			

12:16;19:7,18;20:2,6; 95:24;96:23;97:5,11,17;98:3, 8,9,16,17,21;99:3;119:21; 122:16,16,22;123:14;133:10; 134:2,4,5,10,24 examiners (3) 98:24;183:9,25 example (18) 21:11;45:7;57:25;67:11; 74:12;77:3;81:4;104:20; 111:13;112:2;123:10;125:2; 126:17;144:22;151:8;153:4; 157:4;167:24 examples (4) 110:10;111:5;128:24; 129:20 exceed (1) 141:11 Except (3) 76:11;122:16;144:7 excluded (3) 181:3,12,17 excludes (1) 92:24 excuse (3) 67:1;76:13;117:3 execute (2) 32:24;33:7 executed (4) 27:2;30:23;32:21;35:15 exemplar (1) 129:8 exercise (27) 49:10;54:17,19;64:16,17, 20;65:5,11;88:11,14,24; 89:11,24;90:15;91:1,5;93:13; 138:20;164:3,16,24;165:4,12, 14,16;166:3,7 exerciser (1) 49:10 exercising (1) 16:8 Exhibit (58) 3:5;23:9;26:23;29:21; 30:20;35:8;39:13;41:25; 42:10;43:19;44:1;54:22; 55:17,19;56:7;60:13,18; 61:15;62:1;63:20;65:14,15, 23;67:6;70:3;71:5,14,22; 72:22;73:4,6,13;75:11,13,22; 76:3,16,20;121:25;122:2,9; 123:20;124:3,7;125:13; 126:17,23;127:3;134:19; 135:5,8;136:15;138:16; 141:22;151:4;164:20,22; 170:17 Exhibits (9) 4:3;5:4;67:1,2;71:13,22; 125:25;126:4,13 exist (6) 84:2,3;111:3,13;127:23; 128:8 existed (2)	154:18,19 existing (1) 129:22 exit (1) 68:2 expect (1) 163:16 expected (2) 127:24;144:15 expecting (1) 117:19 expense (2) 146:2,22 expensive (1) 138:22 experience (22) 28:3,25;33:2;77:7,22,24; 78:12;79:13,13;84:21,23,24; 85:8,11,13;88:18;93:19; 142:16,17;176:12,13,16 expert (20) 5:24;11:15;20:20;21:12; 29:9;77:10;125:9,21,22; 126:24;127:12;130:19; 131:12;182:5,9,14;183:3,5, 17;184:2 expertise (3) 32:8;132:1,9 experts (1) 21:11 explain (4) 5:22;91:12;145:16,16 explained (2) 43:17;143:18 explanation (2) 94:17;120:23 explicit (4) 74:11;116:5;129:6;163:23 explicitly (4) 69:4;112:17;154:11;184:3 Exponent (11) 51:21,25;52:3,6;53:1,8,12, 17,18;132:11;175:8 expressly (1) 164:25 extending (1) 183:23 extends (1) 124:2 extent (1) 158:15 extra (2) 95:5;146:22 extraordinary (1) 133:4 extreme (1) 144:20 Extrinsic (1) 73:1 eye (1) 28:2	F F2115 (2) 66:20;67:6 F2115-05 (1) 60:18 fabrication (1) 146:2 face (3) 124:7;125:13;182:23 facilitate (1) 85:3 facilitated (1) 140:10 fact (7) 43:16;72:14;92:23;134:5, 10;143:9;162:18 factor (1) 157:20 failed (2) 180:3,4 Failure (2) 161:20;168:8 fair (13) 13:19;15:18;17:19,20;23:5; 24:12;26:13;31:17;45:10; 65:4,9;89:17;99:6 fairly (3) 104:15,24;171:19 faith (1) 43:13 fall (4) 56:19;117:20;179:11;180:8 falling (2) 68:3;117:13 falls (1) 57:11 familiar (12) 10:3,25;14:10,17;18:24; 31:14;38:19;92:17;110:11; 121:7;122:6,9 familiarity (6) 11:2,3,6,12;18:21;121:19 fan (1) 175:22 far (18) 8:20;18:14;44:22;90:3,19; 91:3;97:5,21;99:11;101:3; 119:18;122:25;123:11;125:4, 7;134:22;139:12;161:6 fast (1) 141:14 fastener (1) 180:6 favorable (2) 20:5;52:6 feel (4) 10:25;109:17;160:10;182:9 fees (2) 26:3,14 feet (2) 61:24;62:20	fell (4) 151:20;171:25;172:25; 179:6 felt (5) 10:2;109:12,15;133:21; 155:16 fence (1) 90:21 few (9) 17:4;20:15;87:14;103:15; 118:6,11,14;130:9;170:17 field (2) 93:22;165:9 figure (16) 89:19;111:22;112:10,21,22; 113:3,6,12,24;114:11;115:19; 116:1;129:8;130:5;155:22,22 figuring (1) 84:2 file (20) 13:24;17:22;18:2;45:4; 47:8,16,23;48:5,9;53:9,13; 95:21;96:13,18,20;134:19; 169:13,14,23;170:4 filed (8) 21:16;35:13;72:1;124:6; 125:12;134:15;139:6;169:5 filing (6) 94:24;95:2;126:20;127:6; 160:13,14 filings (1) 20:24 final (1) 184:15 find (8) 45:24;48:21;49:3;110:17; 161:20;167:22;168:8,13 finding (2) 44:25;50:9 fine (1) 185:1 fingers (1) 68:10 firm (2) 6:7;31:14 first (21) 5:10;13:6,14;14:23;21:25; 24:6;27:9;31:23;38:1;39:22; 46:11,17;49:22;50:2;72:21; 100:1,18;101:7;121:6;160:4; 174:25 fit (1) 101:16 Fitness (29) 5:25;6:2;10:12;12:2;14:10, 18,20,24;15:2,6;17:12,22; 22:7,11,24;34:11,16;54:17; 64:4;65:7;71:24,24;72:5; 73:2;82:23;112:14;117:10; 165:17;170:22 fits (1) 163:18 five-minute (2)
---	--	--	---

82:8;118:16 fixed (1) 111:23 flat (6) 84:6,6,11;112:25;113:1; 137:19 flavor (4) 36:24;37:12;38:7;97:25 flexibility (1) 25:5 flip (4) 26:22;39:12;118:11;129:2 flipped (2) 23:20;126:2 Flipping (1) 127:19 flips (1) 102:21 floors (2) 178:7,7 floundered (1) 161:24 flow (1) 175:17 fluctuates (1) 180:21 fluid (2) 175:17,18 focus (1) 154:6 focused (4) 42:22;93:22;145:14;146:7 folding (1) 172:17 follow (2) 21:16,17 following (4) 14:5;21:19;62:15;120:18 follows (1) 5:11 food-processing (1) 165:5 foot (7) 68:1;69:6,8,12,15,16;155:9 footnote (2) 55:2;60:12 footnotes (2) 55:3,7 foot-to-hip (1) 91:19 force (3) 16:6;89:13;118:10 forced (1) 97:7 foreign (2) 71:19,19 forgot (2) 58:11;176:12 Form (10) 92:3;98:4;115:23;122:5; 125:1;133:13;146:13;163:5,6; 183:19 formed (2)	137:7;151:6 former (2) 51:25;65:2 formerly (2) 132:11;174:1 forth (1) 102:21 forward (1) 63:3 found (5) 49:4;75:14;86:15;104:20; 132:7 foundation (1) 146:14 four (4) 7:19,21;171:2,4 four-year (1) 170:20 fractured (1) 179:6 frame (6) 50:12;86:14;111:24;112:5; 113:16;114:17 free (2) 61:25;62:21 friction (1) 175:18 friendly (1) 48:22 front (8) 72:12;115:8,17,17,17; 119:20;146:24;154:19 full (1) 172:17 full-time (2) 101:14,17 function (1) 163:24 functional (4) 114:1,20;115:12;116:4 furniture (3) 58:1;59:4,6 further (2) 76:10;184:13 Fusion (36) 6:5,6,8,9,11,16,23,24;7:3, 14;8:9,20;18:10;20:22;21:1,6; 23:3,10;24:17;25:2,8,14;26:4; 27:13,20;33:7,9;47:12,13,17; 52:4;101:16,22;102:1,13; 175:11 Fusion's (2) 6:4;33:2 fuzzy (1) 171:20	178:19 gave (12) 41:1;51:13;61:1;70:16,18, 20;79:9;95:5;107:5;158:3,22; 180:7 gearing (2) 183:8,24 gears (2) 85:6;155:13 general (11) 29:16;60:2;65:20;68:13,14; 74:13,19;121:18;130:7; 155:10;175:13 generally (5) 30:2;90:15;142:7,14; 182:23 generation (1) 89:13 generic (1) 165:17 gentleman (1) 172:4 geometry (1) 162:13 Georgia (1) 173:9 German (2) 70:23;71:1 gets (3) 158:16;164:9,10 GIACHETTI (12) 3:1;4:1;5:8,14;42:18;124:5, 8,12;125:10,24;126:24;152:3 Giant (3) 172:12,21;177:16 given (2) 24:14;106:9 gives (8) 16:17;92:9;107:25;110:8; 118:12;130:7,15;147:14 giving (2) 60:25;61:5 glad (1) 180:17 gladly (1) 183:22 glass-filled (1) 140:6 goes (5) 102:8;117:18;155:11; 161:4;174:11 Good (19) 5:14,15;15:21,23;28:2,4,16; 35:25;45:24;49:3;53:4;82:7; 111:12;117:1;118:15;130:12, 13;153:7;184:11 Google (6) 44:20;48:16,18;49:7,14,22 governing (1) 135:11 government (1) 50:23 graduate (2)	108:8,16 graphics (1) 137:18 great (3) 42:15;52:5;77:19 gripping (2) 149:17,21 ground (6) 13:8;120:20,22;121:2,3; 144:10 grounds (15) 81:19,20,22;119:13;120:13, 19;121:17;122:14,20;133:21; 135:3,18;136:5;165:20,23 group (4) 58:6;59:4,15;151:9 groups (2) 57:23;58:14 guarantee (1) 144:19 guarded (2) 141:6,11 guess (4) 43:13;159:24;182:1,2 guidance (1) 141:12 guy (2) 132:20;178:25 GV-5H (1) 151:11 gym (3) 14:13;15:9,19
H			
hair (1) 150:5 half (1) 151:24 halfway (1) 124:4 hallow (1) 74:2 hamster (1) 107:2 hamster-wheel (2) 107:1;161:7 hand (4) 46:1;102:9,9;169:19 Handbook (27) 85:5;109:13,18;110:8; 111:14;123:2,7,15,21;124:18, 23;125:2;127:20;128:10,19, 22,25;129:9,12,19,19,21; 130:7,16;164:10;166:5,6 handed (1) 125:24 handful (1) 173:15 handle (1) 69:6 handlebars (1) 171:13			

handrails (3) 67:11;69:6,13 hands (1) 69:15 happened (4) 18:11;46:9;169:18;170:4 happening (2) 21:7;40:7 hard (1) 47:17 hard-copy (1) 48:5 hazard (1) 68:8 hazardous (1) 117:14 hazy (1) 172:8 head (10) 12:14;43:24;54:2;70:24; 79:21;113:16;114:15,25; 122:12;138:3 header (2) 126:12;127:4 heading (5) 39:16;73:13;75:16;135:5; 155:25 headshaft (1) 112:3 health (4) 15:8,12,25;16:23 heard (5) 14:19;22:1;31:12,23; 128:13 heavily (1) 134:23 heavy (5) 123:10;138:23;145:22; 146:17;172:17 help (4) 105:13;180:17;182:12,21 helps (1) 182:19 herein (1) 5:9 hey (4) 118:7;145:4;153:5;156:24 hiding (1) 123:18 high (3) 37:13;78:2;107:22 higher (2) 92:6;115:25 highly (2) 107:19;132:21 himself (2) 21:13;172:18 hindsight (5) 152:4,11,17,24,25 hiring (1) 132:5 histories (2) 96:18,20	history (12) 44:21;95:21;96:13;134:15, 19;161:4;162:4;167:24; 169:13,14,23;170:4 hit (2) 171:11,15 hold (1) 118:10 holder (2) 180:14,16 holding (1) 112:2 holds (1) 107:21 hole (2) 179:14,16 Holiday (1) 19:14 horse (2) 50:16;106:25 hour (6) 8:25;23:12;24:2;42:13; 82:7;151:24 hourly (6) 23:1,3,15,18;24:14;25:6 hours (2) 8:24;103:15 human (11) 67:23,25;77:25;85:16;87:6; 88:12,19;89:13,15;91:10; 176:20 humans (5) 87:5;89:15,18;92:2;108:18 humidity (1) 144:20 hundred (1) 59:3 hundreds (1) 59:5 hung (1) 178:19 hypothetical (7) 43:4;80:13,14;81:16;88:9; 93:16;110:14	67:1;68:20;69:1;71:1;80:4; 82:22;110:14;112:20;124:9; 127:9;142:11;143:10;148:5; 157:25 identifies (9) 71:23;76:7;93:16;124:4; 125:9;126:17;127:5;140:1; 164:23 identify (31) 19:16,25;20:19;62:8;65:24; 66:6;67:7;69:2,21;88:9;91:9; 92:12;112:18;113:5,11,25; 122:21;140:12;143:3,17; 144:1;147:1;148:15;149:16; 162:15;166:24;168:15,18; 170:20;180:10;183:17 identifying (2) 45:11;179:12 ie (1) 88:12 Illinois (5) 6:10;172:23;178:13;179:3, 25 illustration (1) 130:4 imagine (1) 178:18 immobilization (2) 56:9,13 immovable (1) 69:16 impact (6) 92:25;97:16;114:4,9;147:7; 150:1 impacted (3) 97:16;130:20;131:14 implicate (1) 89:23 implicated (3) 40:12;90:23;160:15 implies (1) 91:4 importance (3) 42:19;55:19;80:6 important (12) 19:16,25;41:24;42:6;79:8; 80:4;96:23;105:6,8;109:23; 110:2;145:22 imported (1) 90:16 imposed (1) 97:3 inasfar-as (1) 162:11 Inc (7) 6:2;14:10;71:23,24;170:22; 173:9,25 inches (1) 139:1 inclined (1) 81:2 include (11) 34:10;67:23;75:6,22;76:20;	89:23;104:4;140:5;169:22; 170:3,21 included (2) 74:16;135:25 includes (5) 56:14;57:2;72:2;74:14; 155:12 including (4) 66:18;88:11;102:1;150:20 incorporate (2) 90:25;159:4 increase (1) 23:21 increased (1) 149:18 increment (1) 8:22 independently (1) 100:4 INDEX (3) 3:2,5,17 indicate (3) 39:19;60:9;84:19 indicated (7) 13:1;42:20;48:16;70:13; 105:5,24;108:3 indicates (2) 27:2;41:8 indicator (1) 115:4 indicia (3) 156:19;157:6,12 individual (1) 88:10 individuals (1) 7:21 industrial (1) 177:16 industry (1) 93:9 inexperience (1) 93:6 inflatable (1) 174:10 information (5) 41:2;111:7;157:24,25; 177:10 infringement (9) 10:8;21:7,21;22:2,7,11,13, 17,23 infringing (1) 10:18 initial (4) 12:25;14:2;58:20;182:25 initiated (2) 10:21;169:21 injured (3) 87:3;172:18;178:25 injury (2) 173:11;178:15 inkling (1) 14:21 Inn (1)
	I		
	ID (2) 126:16;127:5 idea (16) 20:13;53:4,18;84:16;95:10, 12;99:5;105:4;107:17;119:6; 121:6,14;130:8;138:11; 161:22;162:1 ideas (5) 74:20;118:24;119:3;120:7; 167:12 identical (3) 80:7,7,10 identification (5) 5:5;73:1,5;111:3;138:18 identified (18) 18:17;24:14;54:25;55:6;		

19:14 input (5) 60:25;61:1,5,11;167:6 inquiry (1) 152:23 in-running (1) 87:1 inside (1) 130:17 installed (1) 155:8 instance (5) 13:14;24:7;27:9;30:10; 121:6 instances (1) 173:17 instead (5) 76:12;86:17;112:25; 130:10;145:14 instituted (2) 36:17;174:19 institution (5) 35:23;36:9,11,22;37:7 instructed (1) 12:13 instruction (3) 141:5,10;147:14 instructions (1) 118:13 insulated (1) 144:17 insulative (9) 142:6,13;144:9,13,19; 145:7;146:6;151:7,19 intended (5) 12:2;17:22;87:5;127:25; 163:25 intending (1) 91:21 inter (4) 17:22;21:3;35:12;36:17 interest (7) 9:9;18:10;21:6,16;53:3,6; 182:17 interested (5) 52:25;107:25;108:18; 132:5;157:18 interfere (1) 118:7 interfering (1) 118:9 international (5) 54:7;55:24;61:17;66:20,21 international-type (1) 45:8 interpretation (1) 80:16 interpreted (1) 97:12 interrupted (1) 112:6 into (26) 7:1;12:6;36:25;68:11;	77:23;89:18;90:16;91:22; 97:23;101:4;114:4,9,19; 137:25;148:22;151:20; 158:16,19;159:5;164:9,10; 174:11;175:24;178:21,25; 179:7 introduce (1) 114:20 introduction (3) 114:3,8,19 invalidated (1) 181:25 invalidity (3) 34:15;125:10;126:25 invent (1) 93:4 invention (18) 90:9,11,21;91:8;138:19; 150:20;155:19;159:14,20; 160:21;161:21,24;162:23; 163:11;166:18;167:2,5,13 inventions (6) 150:18;160:19;163:4; 166:21;167:19;176:24 inventor (2) 168:21,24 inventors (4) 92:18,25;146:10;157:5 investigation (3) 156:14;171:12;183:1 invitation (1) 183:23 invite (1) 183:8 invited (1) 183:10 invite-only (1) 183:21 invoiced (1) 26:3 invoices (9) 7:24;8:4,5,7,11,19;26:6,8; 29:19 invokes (2) 141:4,9 involved (31) 10:14;18:1,15;24:20;29:5; 58:1;60:23;64:1,5,8,12,15; 68:7;79:15,22,25;80:1;82:24; 83:3;93:9;94:11;106:10,15; 142:22;169:2;170:6,13;174:8; 175:1,5,18 involvement (8) 10:1,23;52:23;63:16;65:4, 10;70:2;173:5 involving (1) 131:10 IPR (28) 6:1;12:9;13:2,24;18:2,6; 21:25;29:6;35:4,16,21;36:21; 37:21;45:12;89:22;90:23; 120:16;121:9,21,25;131:10, 23;154:2;160:15;170:13;	174:9,18;175:1 IPR2019-00514 (1) 174:2 IPR2023-00843 (2) 35:9;37:4 IPRs (3) 12:15;19:5;21:16 irregularly (1) 9:3 ISO (12) 54:4;62:8,13,22,24;63:1,4, 6,16,19;66:22;67:5 issuance (1) 21:19 issue (8) 80:18,23;97:13;125:19; 150:14;157:5;171:8;181:15 issued (4) 8:7,20;40:1;168:24 issues (4) 84:2,3;117:13;168:12 issuing (3) 26:15;27:12;37:15 J jam (1) 178:24 JANUARY (7) 3:1;4:1;5:2;23:21,25;31:2; 66:11 Japanese (1) 70:22 Jelenchick (16) 3:7;5:13;42:12,17;55:22; 73:23;82:9,15;118:17,19; 151:25;152:2;184:8,15;185:4, 6 job (4) 6:16;9:11;101:17;102:5 join (2) 58:15;183:24 joint (1) 89:20 Jones (16) 27:17,18,21,24;28:1,6,17; 29:5,8,22;30:10,17,20;80:1; 95:17;105:13 Jones's (2) 28:23,25 judge (1) 39:25 justify (1) 125:6 K keep (11) 21:10,21;45:2;46:24;86:1; 105:10;111:11;117:16; 129:17;132:8;162:12 Kevin (2) 27:17,18	key (1) 56:14 kick (2) 30:3,5 kicked (1) 30:20 kind (17) 15:17;36:24;43:4;44:24; 50:23;84:23;106:18;161:23; 171:24;172:14,24;173:10; 177:7;178:3,13;179:3,25 kinds (1) 17:13 knee (1) 89:20 knees (1) 89:17 knew (2) 14:14;22:13 knowing (3) 43:7;91:19;117:17 knowledge (17) 15:7;20:5;22:10,12,16,22; 34:12;77:8;92:22;94:1; 117:15;125:3;128:3;129:1; 146:18;168:23;169:1 known (2) 26:17;174:1 knows (1) 110:18 Kraslen (3) 179:2,12,18 KSR (2) 103:11,14 Kushman (2) 24:25;25:3 Kyle (1) 79:19 L labeling (1) 141:13 ladder (4) 172:1,5;173:1;180:7 lady (1) 178:4 language (3) 96:24;97:3,6 languages (1) 170:6 laptop (3) 47:13,15,21 laptop's (1) 47:17 large (19) 58:2,25;59:14;86:4,6,8; 89:14;140:9;145:20,21;146:4; 147:9;148:10,16,18,21; 149:21;150:22;151:18 larger (8) 138:25;139:15,18,23; 145:15;149:4,8,16
--	---	---	---

last (24) 5:17;18:21;19:14;26:22; 31:2;36:14;37:25;38:7;51:21; 52:19,20,22;66:15;71:5; 79:20;100:20,22;122:7; 132:13,13;141:3;166:14; 171:4;180:23	life (1) 11:11 LifeCORE (18) 5:25;6:2;12:2;13:24;14:6, 10;17:21;22:1,7,10,24;34:10, 16;65:6;71:24;72:5;73:2; 163:4 LifeCORE's (9) 39:7;45:12;73:14;74:22; 75:1,16;76:6,17,22	177:5 LLC (3) 170:22;177:5,6 load (2) 112:8;115:2 loading (3) 89:19;114:24;172:16 loads (1) 113:20 load-transferring (1) 115:13 located (2) 6:8;16:25 location (2) 87:20,22 lock (1) 56:15 log (1) 105:10 long (11) 49:24;58:22;59:10;99:1,3; 100:16;158:8;161:3,10; 172:10;180:18 long-felt (3) 159:19;160:20;168:7 look (31) 8:2;45:17;49:15;55:17; 56:1;65:14;66:3;71:3;72:21; 73:12;75:13;76:6;96:12; 107:17,20;110:19;116:20; 118:8;119:10;124:3;126:1,21; 127:4;134:3;135:23;138:15; 153:18;167:12;170:16; 182:12,25 looked (10) 20:7;38:1,4;44:8;45:21; 50:9;52:24;134:2,24;168:6 looking (23) 44:6;48:24;49:1,2;60:14; 72:19;96:11;101:10;105:23; 107:4,5;116:7;117:24;123:18, 25;126:22;146:15;147:18; 151:17;152:8,15;157:13; 160:24 looks (3) 73:11;124:10;126:8 loose (1) 7:20 loss (1) 178:5 lot (9) 59:17;74:11;106:6;110:10; 118:9;126:3;131:25;154:3; 176:14 lots (2) 7:13;116:17 lower (5) 89:13;141:15;149:9,10,23 low-friction (1) 117:14 Lunch (4) 82:11,16,21;132:20	M machine (6) 84:21;85:5;124:16;177:15; 178:20,25 Machinery (1) 177:6 Macon (1) 179:25 Magid (9) 119:8;121:5,8,20;154:13, 24,25;155:1,3 magnetic (1) 56:17 maintain (1) 62:2 maintaining (1) 176:21 maintenance (1) 179:4 major (1) 113:22 majority (3) 7:8;180:22,25 makes (3) 90:4;146:21;153:6 making (9) 28:22;104:19,21;117:23; 136:7;142:22;145:25;146:16; 179:1 man (2) 171:10,25 manage (1) 150:25 management (1) 150:17 managing (4) 141:17;144:2;145:2;151:15 manner (1) 148:12 manual (2) 137:10;164:2 manufacture (2) 140:8;173:23 manufacturer (1) 173:20 manufacturers (1) 68:9 manufacturing (3) 138:24;173:25;174:5 many (16) 7:3,6,14;8:19;26:3;36:13; 38:4;46:21,25;49:13;85:21; 99:19;108:25;118:9;171:2; 182:13 March (5) 83:14,18;93:22;94:25;95:6 marked (2) 124:6;125:13 market (3) 58:19;64:21;84:15 marketing (3)
late (1) 13:17 lateral (1) 112:25 Laura (1) 178:2 law (5) 12:11,20;19:12;31:14; 66:11 lawsuit (3) 22:10,23;181:25 lays (1) 65:20 lead (2) 57:22;58:14 leading (2) 58:6;78:24 learn (1) 17:21 least (16) 7:25;14:6;36:14;49:18; 52:23;66:2;72:6;84:20; 106:15;116:8;134:20;137:14; 151:21;164:21;176:7;182:18 leave (6) 52:3,6,10;61:25;62:21; 163:6 left (3) 113:20;155:9;175:12 leg (1) 179:7 legal (3) 103:8,17;167:7 legs (2) 153:7;178:5 lens (1) 167:12 less (3) 20:17;149:9;150:3 letter (6) 24:18,20;32:22,25;33:8; 34:4 letters (2) 33:3;34:1 level (1) 78:2 liability (1) 178:8 licensees (1) 167:25 licenses (1) 166:12 licensing (1) 167:24 licensure (2) 184:5,7	light (1) 110:12 lighter (2) 139:20;145:15 lightweight (1) 146:24 likely (6) 46:8;75:8;83:20;93:4; 95:15;139:10 limbs (1) 89:13 limit (1) 181:6 limitation (3) 90:16;156:3;161:15 limitations (5) 89:23;90:24;91:1;153:21, 24 limited (1) 97:22 limiting (1) 116:21 line (6) 138:16,16;140:2;147:21; 151:4;155:6 lines (6) 61:6;78:1;148:15;149:20; 153:3;176:10 lingo (1) 36:2 list (12) 26:20;71:5,10,13,21;107:6; 123:18;170:20,21;171:3; 173:16;180:10 listed (3) 71:4;165:3,4 litigation (54) 10:2,6,17,22,24;12:5,10; 18:25;20:24;21:17,22;31:25; 40:6;45:5;50:6;51:20;52:12, 19;53:2,10,14;70:8,10;72:4; 77:11,18;78:6,16;80:19,24; 81:8;104:9,14;106:5,10,14, 15;109:7;121:22;122:4; 125:6;130:23,24;131:8,13; 132:4,13;163:8;175:4;180:20, 23,25;182:14,15 little (14) 7:20;48:22;57:6;59:16; 76:25;86:3;101:2;104:18; 111:6;132:24;153:8;171:19; 172:8;175:15 Littleford (1)		

6:23,24;102:2 Markets (1) 178:2 master's (1) 89:16 match (2) 126:23;154:22 material (5) 103:3;138:24;139:19; 140:11;151:11 materials (8) 6:25;53:1,9,13;72:20; 140:4;142:17;151:7 matter (37) 9:10;14:2;18:22;19:2; 21:21;23:2,11;24:13,18; 25:15;26:4,19;34:4;35:2; 43:20;45:11;46:20;47:2,9,23; 48:6,10;52:23;53:22;70:6; 80:11;94:10;104:18;111:9; 124:15;131:17;144:1;147:3; 171:10,19;182:10,24 matters (7) 21:8;24:20;28:4;29:1;33:6; 180:24;181:5 mattress (3) 174:10;175:23;176:22 mattresses (1) 175:24 may (23) 21:7;22:13;23:14;24:6; 37:16;45:20;49:4;69:10; 75:23;77:1;90:14;98:9,22; 99:3;115:19;116:8,11;118:5; 124:6;127:6;141:15;142:8; 151:10 maybe (9) 32:15;49:20;88:25,25;89:2; 93:7;106:16;119:7;151:10 mean (30) 10:5;11:9,21;16:4;32:4; 36:5;37:18;38:22;47:24; 50:19;54:14;57:8;60:1;72:10; 81:3;95:23;125:21;128:11,16; 131:3;133:25;136:9;139:4,10; 157:16;159:15,21;161:19; 162:23;163:10 meaning (9) 44:12;74:1,6,9,14,18,23; 77:2;96:25 means (15) 16:5;30:14;60:16;63:13; 76:8;102:6;110:18;133:5; 134:4;155:7,11,12;158:21; 161:22;165:12 meant (5) 7:17;35:7;71:14;131:3; 135:7 measured (1) 99:18 mechanic (1) 93:8 mechanical (27)	6:17,20;28:11,14;67:18,22; 78:11;85:2;86:5,8;87:14;89:9; 10:93;17;107:24;109:14,23, 25;110:2,15;111:2;117:6; 123:3;164:8;171:21;183:4,6 mechanisms (5) 108:19;111:5;116:14; 123:1;128:8 meet (2) 141:11;153:23 meetings (2) 58:15;61:2 meets (1) 161:15 member (3) 57:21;58:10,23 members (2) 59:3;79:2 memorializing (1) 99:12 memory (2) 77:19;134:18 mention (2) 90:1;144:9 mentioned (8) 22:3;34:13;38:24;132:9,10; 145:19;154:10;164:19 merits (3) 11:19;12:1;159:14 metal (5) 139:13,15;145:4;146:1; 147:3 metallurgy (1) 28:11 method (3) 56:13;62:5;127:23 methods (1) 58:4 Michigan (1) 177:7 middle (3) 92:7;116:1;173:9 might (22) 9:15;14:13;25:8,9;30:13; 43:2;49:2;50:25;51:6;70:23; 84:3;86:3,16,18;96:7;98:5; 105:24;119:19;142:9;144:21; 156:12;181:14 Miller (1) 177:6 mind (3) 12:7,18;69:24 minimal (1) 76:9 minimized (1) 119:19 minute (1) 170:17 minutes (1) 170:17 mirrored (1) 47:21 misremembering (1)	32:15 missed (2) 98:6,10 Missouri (1) 178:3 misspoke (2) 71:9;76:14 misstates (1) 133:14 mistaken (1) 134:18 misunderstood (1) 7:16 mitigate (1) 69:9 mix (1) 101:2 mixer (1) 177:17 Mm-hmm (2) 48:17;143:20 modern (1) 107:2 modes (1) 164:12 molded (2) 140:3,5 money (1) 159:18 monitoring (2) 20:23;21:2 month (6) 20:15;37:25;38:7;66:8; 180:21,21 monthly (1) 8:18 months (4) 20:15,16,16,18 more (38) 10:3;17:6,20;18:2,6,12; 20:5;37:12;46:7;48:22;49:17; 19:58;15;59:9;74:11,15,22; 79:4,5;90:15;100:21;102:23; 104:18;110:10;117:3;123:1,2; 128:25;129:4,5;130:7;139:18; 148:22;149:5;167:6;168:10, 11;171:4 morning (6) 5:14,15;34:13;40:6;82:21; 101:25 most (9) 67:16;83:20,22,24;87:4; 95:15;113:2;169:20;170:10 Mostly (4) 11:2;77:7;86:25;101:5 motion (9) 74:3,4;85:16;86:2;88:19; 116:21;150:6,9;181:6 motivated (2) 132:8;145:11 motivation (6) 90:18;91:7;117:8;131:24; 145:17;150:17	motivations (4) 152:12,15;153:10;154:7 motor (6) 16:10,18,21,22;112:24; 137:14 motorcycle (3) 171:11,14,15 motorized (4) 17:16;83:7;137:13,15 mounted (1) 112:3 move (4) 16:7;85:3,24;118:6 moveable (1) 69:17 movement (12) 55:20;61:19;65:25;76:9,10, 12,12;77:25;87:11,17;88:1; 155:8 moving (11) 56:22;61:23,25;62:3,19,21; 85:4,25;115:2;116:4;129:17 much (13) 20:11;26:3;31:4;43:3; 98:21;99:7,10;100:6,12; 101:9;102:16;103:13;129:5 multiple (1) 81:1 Musso (1) 178:2 must (6) 39:22;65:24;88:10;97:3; 120:25;183:12 myself (1) 181:7 Mystic (1) 151:9
N			
name (11) 9:14,16;14:21;16:23;20:20; 50:14;64:24;79:20;102:6; 106:16;177:16 named (5) 29:9;92:18,24;168:21,24 Namola (1) 171:8 Naperville (1) 6:9 National (11) 45:2,9;50:5,10,21;51:3,8; 173:25;174:4;183:9,25 nature (9) 56:3;67:13;85:7;90:5; 115:13;175:13;177:11; 178:16;179:8 near (1) 161:25 nearly (1) 133:7 necessarily (6) 7:10;69:19;74:17;130:17;			

131:3;161:1 necessary (2) 98:1;176:17 need (13) 68:17;85:12,14;147:15; 150:6,24;152:14,14;153:18; 159:20;160:21;168:8;178:22 needed (4) 131:4;158:17;159:3,4 needs (3) 42:2;74:12;85:8 negative (2) 147:6,7 network (1) 47:17 networked (1) 47:20 neuropathy (1) 178:5 new (5) 20:23;21:2;23:23;24:20; 74:25 next (8) 18:11;45:1;63:10;95:4; 125:8;133:25;156:17;165:9 Nexus (6) 151:9;166:17,20;167:1,4,17 Nice (4) 5:16;97:25;107:25;132:20 night (1) 19:14 nip (1) 87:1 nobody (1) 31:17 noisy (1) 118:3 nomenclature (1) 61:7 None (3) 55:8;68:25;165:20 nonlitigation (1) 180:24 nonmotorized (16) 16:1,3,5,9,19;17:3,12;83:7, 9,17;84:5,11;112:19;113:7, 13;116:12 non-obviousness (7) 156:6;157:6,12;158:13; 159:1,10;167:18 Nope (1) 15:4 Norfolk (1) 179:24 normal (1) 87:16 normally (1) 138:25 Northern (2) 172:13;178:13 notebook (2) 55:18;72:22 notwithstanding (1)	86:5 November (1) 6:13 number (20) 54:1,18;61:2;64:15;66:16; 90:3;91:13;93:10;101:15; 102:14;108:2;118:3;119:17; 126:14,17;127:5;158:20; 167:11;174:1;180:23 O o0o (4) 4:5;5:3;82:12,14 object (2) 85:24,25 Objection (28) 15:13,15;19:20;21:9;22:18; 25:20;30:12;31:20;33:10; 34:17,18;47:18;52:8;53:15; 92:3;98:4;115:23;122:5; 125:1;132:19;133:13,14; 146:13,14;158:15;163:5,6; 183:19 objective (2) 156:11;168:15 objects (1) 85:24 obligations (1) 65:2 observer (1) 160:24 obvious (3) 113:2;137:9;176:25 obviously (1) 72:10 obviousness (5) 136:7,8,11;152:21,23 occasion (4) 60:22;70:5,10;77:12 occasions (3) 49:13;164:22;182:13 occur (1) 114:25 occurred (2) 24:14;83:12 off (23) 12:14;13:8;16:18;43:23; 54:2;70:24;71:12,16,19; 79:20;117:13,16;122:11; 136:19;137:14;138:3;150:7; 160:11;171:25;172:15;173:1; 183:11;184:12 offer (14) 18:5;25:17;28:20;41:25; 78:7;94:17;111:1;119:11; 145:9;157:5;171:17;172:19; 173:2;174:3 offered (12) 43:19;47:2;51:19;72:16; 81:3;92:19;124:12,22;126:5; 132:14;176:23;180:13 offering (18)	42:9;73:20;75:19;76:16; 96:21;131:15;133:11;134:7, 12;135:15;136:1;173:12,17; 174:13;178:10;179:17,21; 180:11 office (45) 9:8;11:1,18,25;12:1,13; 17:25;18:10;19:6;20:10;21:3; 22:22;23:15;24:18;25:12,23; 29:11;31:5;32:3;34:10;35:20; 37:17;44:14,20;45:15,23; 46:2,14;48:1,20;49:25;51:1; 70:14;79:2;96:5,11;118:24; 120:3;127:13;157:3;166:4; 169:4,21;171:6;181:4 often (1) 119:19 Oklahoma (1) 172:13 old (4) 50:7;51:6;52:15;171:19 once (7) 9:4;15:20,23;102:15; 106:20;121:10;169:4 one (92) 12:17;13:9,11;14:12;16:19; 17:7;18:2,6;24:21,22;27:19, 21;34:6,6;35:8;36:14,15;37:9; 38:6,12;40:21;43:15;45:1; 49:17;50:2,9;52:9,9;55:23; 56:2;59:23;60:1;61:2;62:10, 10,11;66:1,4;67:4,5;68:19; 69:16;70:7,23;73:16;79:4,5; 83:6,9;84:20;87:13;92:6; 99:23;100:5;104:4,6;107:2, 14;112:1,24;116:4;122:23; 126:8,9;129:10,17;130:12,13, 14,14;135:20;137:13;139:12, 25;146:9;148:17;150:20; 151:20;160:11;172:8;175:18, 19;176:10,14;178:21;179:7; 180:5,5;181:5,15;184:3,15 O'Neil (1) 179:2 ones (11) 51:13;54:25;103:21;107:1; 120:9;137:13;139:13,14,16; 145:5;164:11 one's (1) 111:19 one-way (18) 104:21;110:6,24;111:10,12; 124:15;129:12,13,16;130:8; 154:15;164:2,9,15,23;165:8; 170:9,11 one-week (1) 104:2 one-year (2) 95:10,14 ongoing (1) 58:8 only (25) 7:8;14:20;17:14;22:12;	31:7,11;57:1;63:17;85:23; 91:3;98:24;100:8;102:11; 112:1;114:24;116:4;118:6; 122:19;136:21;145:20;146:7; 154:13,21;155:8;183:17 onto (2) 47:21;172:17 open (1) 12:6 opening (7) 51:23;52:11,18;77:10; 125:9,21;126:24 operating (1) 30:2 operation (10) 56:14;57:7;60:6;87:16; 114:5,10;146:12;151:5; 164:12;175:16 operational (2) 162:8,14 operationally (1) 16:11 operator (1) 16:6 opined (2) 53:5;80:17 opining (1) 174:7 opinion (13) 18:5;19:11;67:14;71:2; 74:24;92:25;120:8;123:22; 145:8;157:2;171:17;182:19, 19 opinions (65) 21:20;26:16;27:12;29:23; 39:8;41:25;42:9,21,24;43:2, 15,18;44:10;47:2;51:19; 52:21;71:12,19;72:7,11,16; 73:20;75:4,8,19,23;76:16; 79:9;80:6;81:3;92:12,19; 96:21;99:12;109:8;118:22; 119:11;124:11,21;125:17; 126:5;127:12;130:20,21,22; 131:10,12,14,16,21;132:15; 133:11;134:7,12,16,25; 135:15;136:2;157:21;161:18; 181:3,8,12,13,16 opportunities (1) 183:21 opportunity (6) 52:4;72:14;82:17,19;97:23; 117:19 opposed (2) 123:2;155:18 optimal (2) 91:23,24 option (3) 16:17,21;117:1 options (4) 116:21;118:4,4,6 order (4) 39:20;97:2;100:10;178:23 ordinary (31)
---	--	---	---

74:1,6,9,10,13,18,23;77:1,2, 3,13,16;78:5,20;79:3,18;80:8, 12;81:14;84:19;93:5;116:9; 123:5;128:4,6;129:7;133:1; 139:8;145:10;176:3,8 organic (1) 102:23 Organization (1) 66:21 organizations (1) 183:16 original (3) 12:22;61:13;177:23 others (5) 14:7;99:23;161:20;162:23; 168:8 otherwise (3) 42:3;60:22;157:11 out (35) 8:18;9:9;12:18;13:23;24:2; 27:9;30:3,5,15,20;31:22;33:9; 34:2,4;56:18;63:13;65:20; 67:21;84:2;86:17,19;89:19; 102:6;124:18;129:5;137:4,7; 138:12;145:5;146:1,4;153:5; 164:1;185:3,5 outcome (1) 181:23 outer (1) 112:4 outlined (1) 152:18 outset (1) 184:16 outside (4) 35:3;97:1;101:22;132:8 outstanding (3) 26:15,20,21 outward (2) 88:16,16 over (14) 8:16,16;13:10;38:7;42:13; 53:5;59:1,14;60:4;82:16; 98:24;114:15;115:14;142:8 overall (1) 180:25 overlap (1) 86:25 overlapping (2) 37:5;86:22 overrunning (1) 112:10 overview (4) 109:22;110:9;123:3;129:1 own (4) 14:24;15:2;37:19;51:17 owned (1) 15:5	38:25;39:3,12;54:21;63:11, 11;66:13;71:5,21;72:9;73:12; 74:7;75:13;76:3;111:20,21; 116:7,16,19;124:1,2,3; 126:22;127:9;134:3;135:4,6, 7;136:13;141:3;143:15; 152:20,21;153:4;156:17; 159:22,23;165:2,9;169:11,11, 12;170:19 pages (15) 108:25;109:2;116:8,22,23; 117:5;118:11,14;123:19; 125:13;126:3;133:7;152:25; 164:7,19 paid (1) 26:8 painter (1) 172:25 pair (1) 155:13 pallet (1) 172:17 pandemic (1) 6:14 panel (1) 141:14 paper (1) 30:11 papers (1) 102:7 Paragraph (33) 23:8,16,17;39:19;40:17; 41:8,20;60:8;63:10;66:6,12, 16;78:7;84:17;94:21;112:9; 120:15;135:6;143:14,23; 144:6,23;145:4;148:13;149:4, 15;156:4,10;158:1;159:23,25; 166:14;183:2 paragraphs (7) 39:13;42:22;43:11;55:1,6; 135:10;143:24 parallel (3) 40:6;101:1;175:3 parameters (3) 61:2,4;65:21 parentheses (2) 63:7,8 parrots (1) 165:25 part (35) 10:10;11:11;20:22;21:1; 40:21;44:13;45:10;54:18; 55:5;58:3,18;72:10;73:16; 74:2;81:8;88:8;89:14;91:6; 96:8;102:4,6;106:11;123:21; 124:11,18;138:17;141:5,10; 142:4;149:20;159:24;162:22; 163:7;168:7;181:25 partes (4) 17:22;21:3;35:12;36:17 Partially (1) 11:9 participate (2)	59:9;183:10 particular (32) 9:7;27:7;60:24;61:12;62:8, 17;63:16,24;64:3;65:18;69:2; 82:25;83:5;84:5,10;94:12; 98:20;109:17;111:2;114:5,10; 116:10;117:9;121:25;134:6, 11;135:12;136:25;137:21; 150:13;155:20;164:5 Particularly (2) 106:9;164:1 parties (6) 10:14;57:8;73:8;166:11; 171:16;172:3 parts (5) 78:13;87:2;153:13,14; 163:13 party (1) 171:16 pass (2) 19:13;52:5 passed (1) 169:8 past (8) 10:24;11:22;14:20;29:3; 82:22;130:22;131:21;171:2 Pat (2) 125:10,11 Patent (139) 19:6,13;20:24;21:3,7;22:2, 7,11,23;28:4;29:1,9,11;35:24; 37:4,8;38:12;39:2,21;40:11; 44:20,22;45:14,17,21,22,25; 46:3,11,13,18,22;47:1,5,25; 48:1,20,23;50:8,13;51:1; 70:21;71:7,7;73:21;75:20; 80:18,22;81:4,7,11,12,15,20, 21;89:22;90:2,9,13,24,25; 92:10;93:25;94:4,7,8,25; 95:22;96:5,6,11,12,16,22; 97:14;98:18,23;99:23;100:15; 106:10,25;110:3;119:11,14; 122:15,15,16;123:16,19; 124:1;127:13,14,15;130:20; 131:11,14,15,22;133:8,24; 134:15;135:12,16,21,22; 136:22;137:1;146:9;150:24; 151:14;156:13;157:3,4;160:5, 8;166:4;168:22;169:3,4,4,8,9, 13,14,23;170:1,2,4,14;171:9, 22;173:6;174:14;180:14,16; 181:4,24;184:23,23 patentability (3) 119:13;137:1;156:12 patents (49) 10:19;11:20;17:23;18:7; 29:3,4;31:19,24;37:9;38:13; 40:3,12;44:20,24;47:1,49,14, 22;70:19,25;71:1,19;75:15; 80:23;81:1;90:22;92:1,18; 95:19;96:16;99:3;104:4; 106:14,23;109:14;115:22; 124:24;125:19;126:7;152:5;	153:18,21;160:14,19;165:19, 21;166:12;168:25;169:18; 181:19 patent's (1) 94:12 patentsgooglecom (2) 48:21,25 path (1) 114:17 pawl (4) 154:16;155:5,15,19 pay (1) 37:14 paying (1) 37:11 PE (4) 3:1;4:1;5:8;183:9 penalty (1) 27:3 people (16) 7:1,10,19;57:10;59:5,8,8, 17;117:12,13,18;152:12; 160:1;161:22;162:25;183:10 per (3) 23:12;24:2;161:17 percent (5) 30:1;46:9;53:17;106:13; 176:22 percentage (2) 101:18;180:19 performance (2) 148:17;149:25 performing (1) 76:1 perhaps (1) 119:23 per-hour (1) 24:4 perjury (1) 27:3 permit (2) 148:19;155:8 permits (2) 111:25;149:22 person (39) 68:1;74:9;77:1,3,13,16; 78:4,20;79:3,18;80:8,12,13, 14,16;81:14,14,16;84:19; 86:1;91:17;93:16;110:14; 115:16;116:9;123:5;128:3,6; 129:7;133:1,4;139:8;145:9; 153:8,11,14;174:6;176:3,8 personal (1) 89:4 personally (4) 18:1;21:6;24:24;92:22 perspective (8) 28:5;29:13;32:9;42:2;90:6; 119:22;146:16;153:2 persuasive (2) 167:22;168:13 petition (6) 35:12;37:20,24;38:2,11;
P			
Page (52) 3:3,5,7,9,11,13,15,17;26:22;			

94:23 petitioner (2) 174:15;184:24 petitions (2) 18:3,7 PhD (6) 3:1;4:1;5:8;89:7,8,10 phone (4) 12:24;14:2;18:12;119:5 photographs (1) 108:22 phrase (4) 16:3;38:19;76:4,8 physical (3) 89:1,5;108:23 pick (1) 184:9 picked (1) 154:21 picking (2) 153:22;154:9 picture (7) 116:25;129:23,24,25;130:1, 11,11 pictures (6) 112:11;116:23;128:25; 129:21,22;154:16 piece (4) 62:18;74:2;115:12;153:2 pieces (7) 14:12;49:3;64:16,21; 117:22;118:7;120:7 pin (1) 184:9 pinch (1) 86:25 pined (1) 160:1 pit (1) 179:7 place (9) 32:22,25;61:24;62:20; 64:15;86:1;151:20;175:18,19 placed (1) 104:18 plain (12) 44:11;74:1,6,8,13,18,23; 77:2;86:20;96:25;130:1; 167:8 plaintiff (2) 172:8;181:8 plane (2) 86:17,18 plastic (25) 137:4,7,24,25;138:12; 139:11,14,19;140:3,10;142:5, 7,25;143:4,18;144:8,12,16,18; 145:6;146:4,8,24;150:21; 151:7 plastics (4) 140:13;142:10,12,22 platform (1) 69:8	platforms (3) 68:2;69:6,12 play (2) 25:11;91:22 playground (3) 58:13;59:12,25 plus (1) 148:22 pm (1) 185:7 point (16) 23:16;34:22;64:7,11;79:25; 82:22;92:16;96:3,18;106:19; 107:9;119:5;121:14;149:12; 155:3;182:20 pointed (1) 157:19 points (4) 69:14;87:1,1,2 polycarbonate (1) 140:7 polyester (1) 140:7 polypropylene (1) 140:6 polystyrene (1) 140:6 polyurethane (1) 140:7 population (1) 91:20 portion (6) 138:19;140:18;142:2; 143:7;144:7;145:20 portions (3) 61:8;115:20;171:15 POSA (26) 21:14;32:10;52:16;74:22; 84:20;85:8,12;88:9;91:10; 92:24;93:15,21;94:1;107:24; 110:11,13,18,21,23;116:19; 125:3,7;127:22;137:9;139:22; 144:7 POSAs (1) 152:12 POSA's (1) 148:8 positions (3) 39:7;125:6;183:14 possibilities (1) 98:14 possibility (4) 18:6;43:1;98:13;117:22 possible (2) 147:9;171:15 postural (1) 67:23 potential (17) 11:19;12:1;13:15;14:17; 19:17;20:1,20;21:25;22:17; 24:7;27:10;31:18;34:19; 129:10;145:1;182:5,15 potentially (1)	69:17 power (21) 11:4;40:21;73:16;74:3,4; 75:7;84:21,24;85:1,5;109:12, 18;110:7;111:14;112:6; 125:4;127:20;183:4,6,7,24 pps (1) 124:6 predominant (1) 84:3 preexisting (1) 123:12 prefer (1) 12:15 preferably (1) 140:3 Preferred (2) 156:1,2 prefers (1) 19:6 preliminary (3) 9:23;13:9;25:17 premarked (2) 4:3;5:5 premises (1) 178:8 preparation (4) 8:11;34:15;39:8;103:18 prepared (2) 63:4,6 prepares (1) 8:15 preparing (2) 35:11;134:15 Prescott (1) 177:5 present (1) 140:15 presented (1) 120:13 presses (1) 165:6 pressure (5) 173:21;174:11;175:17,19, 22 pressure-cooker (1) 173:11 pressures (1) 176:1 pretty (6) 10:2,25;64:18;74:21; 107:19;154:20 prevent (7) 41:22;56:13;76:4,15;112:7; 142:25;151:4 preventing (5) 76:9;143:5;144:2;146:11; 152:3 prevents (1) 44:5 previous (6) 32:19;70:8;79:9;80:5; 127:11;131:8	previously (7) 25:3;34:5;49:4;53:5;61:17; 80:17;158:10 Primarily (1) 28:10 primary (2) 119:24;150:21 printed (2) 30:15;51:4 printing (2) 124:18;165:5 prior (90) 10:17;11:2,6;12:15;18:15, 16,17,23;19:6,17;20:1,7,11; 24:23;44:15,18,45;11,21; 47:22;48:22;49:3,15;50:1; 51:2;52:18;53:24;55:5;63:14, 14;70:6,9,15,16,20;78:16; 96:10;97:12,23;98:11;99:4; 100:2;101:3,10,13;102:11,17; 103:1,7;104:5,8,11,12,25; 105:14,17;106:3,13;107:10; 116:17;117:12,23;118:20; 119:20;120:4,7;121:8,20; 122:13;130:19,24;131:12,19; 133:9,14,16,18,20;134:25; 135:1,13,19;136:6;152:7,7,9; 153:2,16,23;165:18;168:11 priority (9) 93:24;94:3,7,12,15;95:7,17; 104:23;161:3 privileged (3) 19:21;34:19;158:16 privy (1) 53:16 pro (1) 181:1 probability (1) 37:13 probable (1) 24:16 probably (33) 13:17,17;14:7;36:23;38:6, 14;41:4;47:6,20;49:9,10;50:2; 51:9,12;52:22;57:12;59:7; 62:12;67:4,18;97:24;99:15; 101:11;102:18;103:15; 106:21;119:6,7,8;127:16; 136:19;172:11;180:22 problem (5) 68:9;143:9;145:1,13;184:7 problems (4) 140:14,20;146:10;147:2 procedure (1) 30:3 proceeded (1) 44:17 proceeding (12) 21:3;35:13;40:1;89:23; 100:7,12;106:11;120:16; 121:21;131:10;175:1;184:22 proceedings (12) 12:9;29:6,9;35:17;36:17;
--	--	---	--

37:6;40:12;90:23;131:23; 160:15;184:18,22 process (2) 44:25;104:15 Procopio (3) 31:12,15,18 product (4) 84:14;159:14,17;173:16 products (7) 57:22,24;58:2,23;59:24; 142:18;173:9 professional (3) 11:5;63:15;70:1 proffered (3) 77:12;78:4;110:22 projects (1) 63:18 proper (2) 41:24;42:19 properly (1) 39:20 properties (2) 151:19,19 property (1) 91:16 proportion (2) 88:12;91:11 proportions (1) 91:22 proposals (1) 181:8 proposed (21) 10:25;40:18;41:9,21;42:9; 73:14;75:1,16;76:7,17,22; 77:15;80:7;84:18;88:8;91:9; 92:23;93:15;110:13;133:1; 150:18 proposing (2) 60:5;123:6 proprietary (1) 177:9 prosecution (4) 98:22;156:19;157:7;169:3 prosthetic (1) 89:17 provide (9) 32:7;69:5;99:13;118:9; 123:13;147:10;148:17;157:1; 172:2 provided (18) 43:11;51:15;60:15;61:11; 70:15,18;72:21;73:7;75:11; 95:4;103:25;104:2;155:21; 156:23;161:20;169:13;171:5; 175:22 provides (8) 16:6;110:10;111:4,6,16; 141:12;147:13;149:21 providing (2) 35:15;123:11 PT (21) 123:2,7,14,21;124:18,23; 125:2;128:10,19,22,25;129:9,	12,19,19,21;130:7,16;164:10; 166:5,6 PTO (4) 45:20;47:5;48:14;169:18 public (1) 66:10 publication (4) 61:13;62:16;66:8;104:22 publications (1) 7:1 publicly (1) 157:11 published (5) 30:4;60:10;62:9,23;66:7 Pulford (3) 178:12;179:20,22 pull (1) 139:13 pulled (3) 68:11;172:16;178:24 pulley (15) 115:18,18;138:11;145:15, 21,25;146:1,20;147:17,17; 149:5,7,22;151:6,18 pulleys (41) 86:14;110:4,23;113:16; 114:16;115:8;137:3,5,7; 138:20,25;139:11,18;140:2,5, 8;143:5,19;146:4,8,24;147:2, 3,5,9,11,13,15,25;148:4,6,11, 16,18,21;149:17,17,24; 150:21,22;154:19 pullout (1) 56:15 pulls (1) 56:18 Purdue (1) 132:22 purported (5) 67:8;113:5,11;135:11; 140:19 purpose (1) 87:21 purposes (16) 19:18;20:2;37:2;43:18; 45:12;55:12;73:20;77:17; 84:25;96:17;108:21;109:16; 118:21;120:17;133:11,24 pursued (1) 21:18 push (1) 7:1 put (9) 54:2;115:1;118:13;137:25; 152:10;153:19;158:3;163:14; 184:9 putting (4) 58:21;78:12;128:14;168:4	qualifications (1) 176:7 qualified (2) 11:14;176:7 qualifies (2) 132:25;133:3 qualify (1) 21:14 quick (1) 66:3 quickly (1) 118:10 quote (3) 40:20,22;148:14 R race (1) 112:4 Rachel (1) 9:15 radii (1) 92:13 radius (1) 92:9 radiuses (1) 92:13 rail (2) 170:10,12 railcar (3) 180:3,4,8 railroad (2) 180:2,11 rails (1) 162:12 Railway (1) 179:24 raise (3) 12:2;141:15;156:18 Raising (1) 153:7 ran (1) 14:4 random (1) 116:14 ratchet (6) 154:14,15;155:5,13,15,19 rate (10) 23:1,3,12,15,18,21,23;24:4, 15;25:6 rationale (2) 119:24;139:13 rationales (1) 152:18 Ray (1) 177:6 Re (2) 125:10;126:24 reached (5) 9:9;13:22;27:9;31:22; 121:13 read (18) 35:19;36:3,23;39:2,3;46:17,	22,25;53:5;70:5;95:21;114:6; 122:7;127:15;131:16,18; 148:24;152:6 reading (10) 29:12;37:12;70:4;89:25; 96:7,20;103:10;116:20; 155:14;167:8 really (8) 13:7;14:3;18:13;36:25; 37:11;38:9;97:15;177:13 rear (5) 115:8,18,18;146:25;154:19 reason (7) 112:6;122:8,19;134:16; 146:7;150:21;170:7 reasonable (1) 139:6 reasons (2) 94:22;119:25 Rebecca (2) 9:17;79:24 rebuttal (1) 127:17 recall (97) 9:19;10:1,17;14:1,4;24:19, 21;25:16,21,22;28:22;30:9, 16,19;31:6,33:1;34:3,8;35:14; 36:10,15,21;37:7;44:6;45:18; 46:10;48:13;49:21,24;50:13; 53:24;60:25;61:4;64:6;66:2; 70:15,24;71:18;72:18;76:1; 77:15;78:17;79:6,22,24;81:7; 83:1,2,11;84:9,12;90:1;92:4; 94:5,9,19;96:2,3,7,19;100:18; 103:10,17,20;104:8;105:9; 106:12;119:16;120:2,5,24; 123:17;124:14;125:22;136:3; 137:23;138:3;140:15,23; 141:18;142:1;143:7,12;144:4; 150:19;156:22;160:4;162:21; 169:24;172:6;173:4;174:5,24; 175:13;176:2,23;181:14 receiving (1) 30:16 Recess (3) 42:16;118:18;152:1 recited (2) 40:19;41:11 recognize (3) 14:21;126:4;129:8 recognized (3) 183:3,5,12 recollection (16) 23:13;24:5;27:8,16;29:18; 37:22;45:6;46:8;61:10;72:3; 79:10;83:10;106:1;135:24; 151:21;180:6 recommended (2) 147:8,11 record (2) 8:23;184:12 recruiting (2) 6:24;102:2
	Q		
	Q1 (3) 31:8,11;100:24		

rectangle (1) 165:16	50:5;75:19;85:17;136:25; 155:21;180:20	106:3	4:4
redirect (2) 184:14,14	relating (10) 31:19;53:9,13;59:11,19,22; 96:6;133:11;157:24;182:14	report (17) 7:14;27:24;28:19;35:20; 38:24;40:15;51:23;52:11,19; 77:11;124:19;125:9,21,22; 126:24;131:20;182:21	retainer (1) 25:15
redline (1) 30:16	relation (1) 171:14	Reporter (9) 15:22;55:21;56:10;63:5; 73:22;137:6;141:7;143:21; 148:2	retention (1) 11:13
reduces (1) 153:6	relative (10) 12:10;47:1;86:2;99:7,23; 103:1;147:23;150:6,8;151:15	reporting (1) 7:19	review (31) 17:22;21:3;27:13;28:5,18, 23;30:1;35:12,12;39:6;43:24; 44:15;52:18;55:12;70:10; 77:12;96:18;100:2;101:4; 102:22;103:3,8,10;131:20; 133:16;134:14,20;157:1; 159:4;182:17,18
refer (1) 120:21	relatively (6) 138:22,23;140:9;148:16,19, 20	reports (14) 7:12,13,17;12:14,19;22:13, 17;29:17;30:3,5;37:17,17; 126:11;127:16	reviewed (25) 7:23;8:4,5;18:18;26:5; 28:19;29:19,23;35:16;36:9, 11,22;37:8,16;38:12,17; 53:25;55:5,10;77:10;98:16, 18;103:18,21;121:10
reference (31) 13:2;72:2;107:11;109:8,18; 110:10;119:12,17;121:24; 122:3,20,24;125:8;134:6,11; 136:14,21,25;137:2;139:3; 144:23,24;156:4;164:18,20, 25;165:11,22,24,24;177:3	Relevance (11) 15:15;21:9;25:20;30:12; 31:20;33:10;34:17;47:18; 52:8;53:15;138:10	representatives (1) 96:5	reviewing (14) 18:16;20:10;28:1;39:10; 44:24,24;45:1;89:15;96:4; 101:12;102:16,20,24;103:14
referenced (5) 35:22;37:15;53:23;103:1; 105:4	relevant (31) 37:6;40:2;57:4;65:19; 79:17;84:24;88:23;91:11; 93:22;94:3,7,15;95:17;97:7,9, 11;117:6;120:4;123:6; 124:23;134:6,11;154:5;156:6, 21;157:20;159:1;166:16; 167:1;176:3;184:23	represented (1) 34:21	reviews (2) 29:17;36:18
references (12) 97:24;108:14;121:6; 123:25;126:21;127:10; 128:15;133:8,9,23;140:22; 152:16	reliability (1) 139:24	represents (1) 35:2	revisions (1) 28:20
referencing (3) 60:10;61:18;67:9	relied (2) 55:8;125:5	require (4) 87:23,25;88:4;114:24	revolves (1) 54:7
referred (4) 54:11,12,25;72:8	relies (1) 76:17	required (2) 25:14;149:23	rewriting (1) 58:3
referring (4) 10:12;19:3;34:23;35:6	rely (6) 42:2;48:11;109:7;118:21; 133:10;134:23	requirement (1) 116:4	Ridg-U-Rak (2) 178:12;179:20
reflect (2) 71:14;171:4	relying (2) 130:4;133:24	re-reviewing (1) 103:6	riding (1) 171:11
reflected (1) 74:7	remember (55) 8:1;9:14,15;10:20,21;12:3, 23;13:11,25;14:6;18:13; 20:21;22:4;23:7;26:1,19; 28:21,24;30:25;31:13,21; 34:6,7;35:19;38:24;39:9; 41:6;43:23;46:16,23;47:6; 49:8,20;50:3;61:9;64:19; 70:17,22;78:2;79:20;80:20; 101:8;104:1;107:4;108:14; 119:4;124:17;125:20;129:11; 137:12;169:25;174:16; 175:15;177:1,15	resolved (1) 174:23	right (77) 11:22;17:16;18:25;27:4; 35:9;36:18,19;39:23,24;40:7, 16;42:11;44:10;45:19;52:1,2; 57:17,18,25;58:9;60:10,11; 62:22;65:12;67:3;68:23,24; 71:8,22;72:16;75:20,21; 76:18,19,23,24;78:8;81:5,6; 82:3;89:25;90:10,11;93:20; 99:8,9;103:4;108:5,6,9,23; 113:20;118:5;123:9,15;124:9, 25;127:4,7;128:5;132:15; 135:22;138:5,6,13;139:19; 145:19;147:19;149:1;155:9, 23;159:24;162:20;171:2; 173:24;182:9;184:8
refresh (5) 18:20;23:13;24:4;27:7;72:3	remembered (6) 50:6;70:7;106:14,25;107:1, 2	respect (9) 50:4;73:21;87:10;96:15; 100:11;131:9;142:10;147:21; 149:15	ring-shaped (2) 41:12;75:17
refreshed (1) 18:20	remembering (1) 176:9	respectively (1) 67:2	risk (1) 87:17
regard (1) 107:22	Remind (1) 109:3	response (2) 8:3;36:7	rivalry (1) 132:23
regarding (17) 54:19;56:6;60:23;96:21; 120:19;126:6,10;127:12; 130:20,21;131:13,15,22; 141:1,13;170:2;171:12	repair (1) 85:9	response] (1) 130:25	ROBERT (6) 3:1;4:1;5:8;124:5;125:9; 126:24
regardless (1) 20:8	repeat (3) 19:23;113:9;166:22	responsibilities (4) 6:20;101:15,25;102:3	rod (1) 74:2
regularly (2) 9:2;15:20	replacing (1) 148:10	responsibility (3) 20:23;21:2;44:14	role (9)
rehabilitation (1) 91:5	replicate (1)	Responsive (1) 72:25	
reject (1) 55:12		rest (3) 7:9;63:10;90:2	
rejected (3) 75:1,24;76:22		restrained (1) 112:4	
relate (4) 89:11;92:1;135:10;177:24		result (2) 149:5;150:11	
related (8) 37:8;38:12;72:20;83:20; 84:21;125:18;180:23,25		results (3) 127:25;163:11;168:9	
relates (10) 37:4;41:21;44:1;48:19;		retain (2) 51:18;131:25	
		retained (1)	

8:10;21:10;32:7;34:14; 57:17,19;58:6;60:5;91:22 roles (1) 57:17 roll (1) 165:6 roller (2) 129:25;166:8 rollers (1) 147:21 room (1) 144:14 rotating (4) 40:20;73:15;74:12;75:6 rotation (3) 76:9,10;111:25 rotational (1) 149:10 rotors (1) 85:6 row (1) 75:14 rubbed (1) 150:5 rules (4) 12:4,9;13:3,3 rulings (1) 40:8 run (3) 68:16;87:14;115:16 runner (5) 17:19;90:5;91:15;93:8; 147:15 running (30) 16:7;50:16;55:20,22,25; 61:19,24;62:20;65:25;68:3,4, 22,22;69:10,19,22;84:7;86:9; 91:15;92:14;112:8,19,23; 113:7,13;114:15;115:10; 137:17;153:6;162:12 runs (1) 88:20	100:14,17;102:18;103:7; 112:16;115:21;121:16;122:2; 127:3,23;128:20;131:3;139:9; 148:12;149:11;160:23;163:1; 165:9;168:19;184:25 sampling (1) 116:14 Samsara (16) 10:12,18;11:8;18:25;21:20; 52:19;53:2;78:6;109:7; 124:12,22;125:18;131:13; 132:4;170:22;181:18 satisfied (1) 158:23 save (4) 47:22,24;48:1,12 saved (1) 48:9 savings (1) 139:21 saw (1) 52:20 saying (6) 29:14;83:3;97:19;98:3,5; 136:16 scale (1) 161:9 Scheck (1) 171:21 Schmidt (5) 119:7,12,16,24,25 Schnuck (1) 178:2 Schnuck's (2) 178:7,11 Schonenberger (17) 121:4,8,20,24;122:3,9; 144:24,25;145:5,11;147:1,4,8, 10,12;148:6,11 scientific (1) 7:11 Sclater (33) 107:11,18,22;108:11,23; 109:7,22;110:9,22;111:1,15, 18;121:5,10;122:16,19,24; 123:8;128:9,21,23,23;129:4; 130:5,6,10;154:11;164:4,11; 165:23,25;166:1;177:2 scope (17) 32:5,10,20;33:3;34:9; 60:23;61:1;80:22;81:4,11; 82:2;90:9;97:12;98:11;161:4, 9;175:14 scrape (1) 150:6 search (20) 18:20;45:25;49:3,6,11,14; 50:24;51:7,10,14;101:13; 102:19;104:16;105:23,25; 106:19,20,24;133:15,17 search/review (2) 102:20,20 searched (1)	45:16 searches (1) 102:19 searching (28) 45:3;47:23,24;48:14,22; 49:22;50:1,5;53:24;55:5; 63:14;96:11;101:12;102:11, 23;103:2;104:5,9,11,13,25; 105:11,14,18;106:4,13; 107:10;156:22 second (2) 94:22;122:24 secondary (15) 156:5,7,18;157:6,12; 158:12,25;159:9,16;166:15, 20,25;167:17;168:3,18 secret (2) 177:8;182:11 Section (11) 32:17;116:15;124:1; 129:14;136:1,12;158:3; 159:19;161:19;163:10;169:25 security (1) 179:11 Seeing (3) 27:6;72:18;108:19 seem (2) 172:6;181:14 seemed (2) 119:22;122:6 seems (1) 139:6 Select (1) 174:2 selected (1) 133:20 sell (3) 159:17,18;178:18 selling (1) 65:21 send (4) 24:17;33:9;34:2;46:6 sending (3) 8:17;34:3;175:18 sensation (1) 178:6 sense (10) 20:14;30:22;78:10;85:16; 98:23;112:16;146:21,23; 167:7;175:17 sensing (4) 174:11;175:19;176:1,18 sensors (2) 138:7;176:15 sent (10) 24:21,21;29:18,21;30:10; 34:6,6;45:23;46:3;51:23 sentence (8) 66:15;94:22;95:5;120:23; 141:3;156:16,17;166:14 separate (1) 49:13 serialized (1)	101:6 serially (1) 101:1 seriously (1) 178:25 serves (1) 112:1 service (1) 72:2 servicing (2) 87:12,15 Session (1) 3:9 set (3) 68:7;90:20,20 sets (2) 23:3;90:12 settled (1) 181:22 seven (5) 5:17;10:7;50:7;121:23; 133:7 several (2) 66:17;143:18 severely (1) 172:18 shaft (5) 40:19;44:4;73:15;74:9;77:3 shafts (4) 85:7;110:4,23;137:4 shall (4) 56:9,12;141:6,11 shape (3) 91:17,24;92:1 share (1) 64:23 shareholders (1) 27:19 sharp (1) 145:24 shear (1) 87:1 Sheth (1) 172:22 shipping (2) 146:2,22 shock (3) 67:20;141:20;153:7 shoes (1) 178:4 Shoji (1) 71:8 shop (5) 177:14,18,20,23,24 shorter (1) 109:20 shortly (1) 30:23 show (6) 129:23;130:17;137:18; 154:16;155:15,18 shows (6) 111:10,22,23;118:12;
S			
safety (49) 41:10;44:4;54:5,8;59:6; 60:5;63:22,22;65:17,22; 66:17;67:8,10,15,18,19,22; 68:14,19;69:3,9,18,21;75:14; 83:19,23;84:2,3,10;86:22; 87:7,7,9;113:25;114:20; 116:17;117:9,11;137:21; 138:2,4;140:23;141:2,19; 142:3;152:14;162:16,19; 170:9 Samantha (1) 173:8 same (45) 41:15,20;50:11;59:13; 72:17,17;74:20;78:8,9,10; 80:11,13,14,14,16,25;81:15, 18,20,23;85:21;86:1,4,6,8;			

129:14;137:3 shut (2) 16:18;137:13 side (3) 113:20,20;162:12 Siegel (1) 172:12 sign (1) 34:1 signature (2) 26:25;100:23 signed (1) 27:6 significant (2) 136:24;148:17 Similar (17) 48:20;59:16;74:21;77:20, 22;78:6;79:10;80:17;81:13; 85:22;104:16,24;106:21; 122:3;129:15;169:25;170:5 similarities (6) 106:7;113:6,12,15,22; 123:13 Similarly (1) 65:9 simple (3) 83:8;85:2,5 simply (2) 86:20;153:22 single (2) 79:1;135:20 sit (22) 12:18;29:25;31:13;38:15; 41:6,19;49:8;57:14;64:6; 69:24;75:4;96:19;103:12,21; 113:21;120:2,24;138:8;167:7; 169:9;173:24;177:1 sites (1) 104:17 sitting (1) 170:15 situations (2) 56:21;57:1 six (3) 20:16,17;99:20 size (2) 92:1;147:22 sizes (1) 178:19 sizing (2) 147:25;148:4 skill (25) 74:10;77:1,4,13,16;78:5,20; 79:3,18;80:8,12;81:14;84:19; 93:5;116:9;123:5;128:4,6; 129:7;133:1,4;139:8;145:10; 176:3,8 skilled (1) 133:6 skim (1) 37:22 skimmed (8) 36:12,13;37:13,24;38:6,14;	51:24;52:13 skimming (2) 36:24;78:3 Skowronski (34) 136:14,19,20;137:10,16,20; 138:11;139:2;140:1,12,18,23; 141:25;142:1,11;143:3,8,10, 12,17,25;145:6,11,12;146:8; 148:1,5,9,14,21;150:16,19; 151:16,17 S-K-O-W-R-O-N-S-K-I (1) 136:16 Skowronski's (2) 138:18;139:17 slats (4) 112:25;113:1;147:22,23 Sleep (1) 174:1 slightly (1) 82:4 slippage (1) 150:3 slippery (1) 178:7 slope (1) 112:23 small (7) 126:9;142:24;146:19; 147:5,15,21,23 smaller (8) 59:7,17;139:24;147:2,13; 149:6,24;150:10 smattering (1) 50:22 Sneezing (1) 16:13 Socwell (14) 121:5,8,20;160:8,10,23; 161:2,11,15;162:1,3,9,15,19 sold (1) 163:25 solid (2) 74:2;184:6 solution (2) 161:20;168:9 solutions (4) 67:8;69:2,5,20 solve (2) 143:11;146:11 solving (1) 184:7 somebody (5) 33:8;51:15;95:11;105:4; 107:18 somehow (1) 91:11 Someone (19) 9:8;32:9;68:11,16;69:13; 77:20;83:25;84:1;88:15;93:3, 7;129:1;146:17;154:7; 176:17;182:10,18,24;184:4 Sometime (6) 46:19;61:12;83:18;100:20,	22,24 Sometimes (1) 33:5 somewhere (5) 47:19;59:8;99:20;141:21, 22 sorry (7) 76:13;114:6;135:7;136:20; 141:8;160:12;174:17 sort (18) 21:17;43:7;49:5;78:14; 87:20;88:16,17,17;89:1,2; 91:16,21;97:2;102:8;105:23; 111:13;146:18;154:15 sorts (2) 77:8;158:21 Sounds (2) 42:15;184:11 sources (1) 44:22 Southern (3) 71:25;74:25;179:24 space (4) 11:3,7;47:17;83:23 speaking (4) 7:18;16:12;142:14;182:23 special (2) 25:7,10 specific (22) 32:24;42:8;66:8,9;67:8; 69:2;74:15,19;85:13;90:1; 91:17;92:12;103:17;104:17; 118:12;130:3;147:10,22,25; 148:4;168:3,18 specifically (42) 9:12,16,21;12:4;28:17; 29:4;32:2;34:23;37:3;39:9, 13;41:16;45:18;46:10;48:24; 49:21;54:13;56:5;61:9;70:24; 72:19;73:12;75:14;94:14; 96:8;110:5;111:9;117:24; 119:3;120:5;128:1;130:12,13; 140:1;148:15;149:19;150:24; 155:21;164:3;168:15;170:19; 176:6 specification (8) 90:14,21;91:7;92:8;138:19; 142:12;146:9;150:24 specificity (1) 100:21 specifics (6) 14:1;37:11;38:15;64:19; 79:6;84:12 specifies (1) 62:4 specify (2) 67:11,12 speed (3) 118:5;149:11;150:10 speeds (2) 149:9,10 spend (6) 100:6,13,16;101:10;102:16;	103:14 spent (11) 31:4;89:14;98:22;99:3,7,8, 11,23;101:11;102:18;103:15 spoke (3) 14:23,23;107:19 spool (2) 178:22;179:1 sports (7) 58:11,12;59:11,23,25;60:3; 93:9 spotted (1) 127:16 sprag (4) 112:10;117:1;118:8;129:10 sprag-type (5) 116:24;164:11,15,23;165:8 sprained (1) 178:6 square (1) 165:16 stability (5) 63:21;67:23,25;87:6;89:15 stable (1) 117:13 stack (1) 102:22 staff (1) 7:10 stand (1) 87:5 standard (37) 30:2;54:4,7,10;55:24; 56:24;58:3,20;60:9,24;61:9, 12,17;62:8,17,22,25;63:1,16, 19,23,24;64:3;65:17,19;66:7; 67:21,22;70:2,5,11;101:21; 140:22;141:4,9,12;142:4 Standardization (1) 66:21 standards (27) 45:8,8,9;53:23,25;54:16,19, 24;55:4,9,11;57:24;58:7,19; 59:19,22;60:5;66:18,25;67:7, 17;68:25;69:21;88:3,7; 101:20;135:11 standpoint (3) 14:11;29:15;162:14 stands (1) 12:18 start (7) 45:25,25;46:1;106:19,23; 116:20;164:8 started (10) 44:21,23,23;100:18;106:20; 107:3,5;119:9;154:4;184:17 starting (8) 106:18;107:9;116:15,19; 136:13;138:16;140:2;169:11 starts (1) 152:20 state (12) 32:10;40:17,23;66:16;88:7;
--	---	---	---

93:25;120:16;152:22;157:10, 15;166:15;183:3 stated (4) 90:3;154:11;156:11;169:7 statement (5) 121:16;139:3;156:15; 160:20;181:21 state-of-the-art (1) 139:5 states (8) 23:10;66:5;75:17;94:22; 138:20;141:4,19;149:20 static (18) 140:14,20;141:1,17;142:25; 143:6;144:3;145:2,13;146:11; 148:23;149:6;150:1,12,17,25; 151:4,15 stating (1) 143:12 stationary (2) 111:24;112:5 stay (2) 19:14;60:2 steel (1) 138:21 step (3) 68:4;69:23;117:17 stepped (2) 179:5,16 steppers (1) 64:17 steps (3) 18:14;68:21;69:10 still (12) 16:18;20:7;59:17;79:24; 86:12,13,13;114:14,16;115:2; 185:2,2 stood (1) 182:3 stop (4) 56:4,6,22;68:17 stops (1) 67:12 stored (2) 47:11;50:22 strategies (1) 105:17 strategy (1) 106:13 streamline (1) 184:18 street (1) 183:11 strong (1) 119:23 strongest (4) 120:8,10,13;133:22 structure (2) 7:20;141:14 student (1) 108:16 study (2) 88:12;91:10	stuff (9) 45:7;53:7;54:9,15;146:3; 153:17;156:25;181:1;182:12 style (3) 107:1,2;161:8 subject (2) 80:11;110:9 submit (1) 37:21 submitted (1) 35:20 subsequent (1) 143:24 substance (3) 29:23;82:17;158:13 substantially (4) 41:22;44:5;76:4,15 substantive (3) 11:17;24:12;103:3 succeed (1) 9:5 success (2) 18:6;159:13 succinct (1) 109:13 sued (1) 22:1 sufficient (2) 98:11;109:19 suggested (2) 8:3;28:20 suggesting (1) 115:6 suggestions (2) 118:25;120:4 suggests (1) 92:10 suing (2) 22:4,6 suit (1) 22:15 Suitable (2) 140:4;155:17 summary (5) 169:13,17,20,23;170:3 sump-pump (3) 179:5,7,14 super (1) 177:16 supervisors (1) 98:21 suppliers (1) 65:21 support (8) 7:22;10:10;141:14;156:12; 174:13;179:17,21;180:14 supported (1) 165:18 supporting (1) 34:10 supports (1) 160:20 suppose (1)	178:9 sure (57) 13:8;14:8;19:24;20:18; 23:17;25:8;27:17;29:14,19; 30:1;40:4;45:23;46:2,7; 53:17;54:2;55:23;56:11; 57:21;64:18;68:4,6,21;82:9; 83:2,3;85:1;87:19;88:15; 91:13;98:15,15;104:19,21; 111:17;113:10;114:7,12; 116:13;118:17;120:6;124:19; 126:9;133:5;135:24;137:23; 138:8;141:8;145:18;148:25; 149:2;150:3;151:25;157:8; 163:22;169:19;176:22 surface (34) 16:7;55:20,22,25;61:19,23, 25;62:3,19,21;65:25;68:3,4, 22,22;69:10,22;84:7;86:9; 90:4;91:4,16;92:14;112:19, 23;113:8,14;114:4,9;115:6, 10,21;137:17;147:17 surfaces (1) 117:14 Surveying (1) 184:1 swag (1) 6:25 switch (1) 56:15 sworn (2) 5:6,10 system (5) 8:14;116:3;117:7;141:15; 145:7	15;32:8;38:8;42:2,4,20;77:21; 79:12;93:12;102:7;154:1; 180:17 Technically (1) 7:18 technologies (1) 123:12 technology (6) 171:7;174:8,11;175:24; 177:11,13 tells (1) 146:9 temperature (1) 144:14 temperatures (1) 144:20 ten (6) 49:19;58:24;93:7;132:23; 161:2,10 tension (1) 149:23 tenth (1) 8:25 tenure (3) 59:1,14;60:4 term (10) 31:12;37:20;40:19;41:10, 22;57:3,4;73:14;76:4;165:17 terminology (1) 36:1 Terms (30) 39:17,21;40:11;42:4,6,22; 43:2,6,14,21;44:4,12;49:2,3,6, 14;51:7,10,15;52:7;65:22; 97:1;104:16;105:23,25;116:3; 131:2;150:11;170:8,10 terribly (2) 161:3;174:5 test (2) 58:4,6 testified (1) 5:11 testifying (1) 5:25 testimony (24) 82:18;83:1;133:14;170:20; 171:17;172:2,19;173:2,12,16, 17;174:3,13;175:14;176:24; 178:10;179:8,17,21;180:10, 11,13;181:6;184:21 testing (2) 58:7;108:17 tether (2) 56:17;58:8 tethers (1) 58:7 Thanks (1) 82:10 therapy (1) 89:2 Therefore (1) 138:23 thick (1)
		T	
		table (1) 128:5 tabs (1) 21:21 tail (3) 113:17;114:15,25 talk (6) 11:25;115:25;118:2;145:1; 162:10;164:11 talked (1) 76:25 talking (18) 11:23;34:24;35:23;37:3; 39:14;42:19;52:14;56:16; 69:1;71:17;97:18;116:17; 117:12;130:23;143:19,24; 154:4;175:16 talks (5) 55:24;56:2;110:8;112:10; 129:16 task (2) 57:23;58:14 technical (24) 7:1,9,11,17;8:2;10:10; 11:15;14:11;28:2,5,6;29:13,	

145:23 thicker (3) 148:19,22;149:5 thinking (2) 83:6,6 thinner (1) 149:7 third (2) 57:8;166:11 though (12) 47:7;57:15;62:25;64:15; 74:15;86:2;103:12;127:21; 144:13;162:5;167:23;173:5 thought (8) 7:16;32:14,16,18;82:24; 101:24;120:10;181:22 thousand (1) 161:7 threat (1) 87:17 three (18) 7:9;17:1,14;35:1;36:17,20, 23;37:14;38:14;42:22;66:25; 69:14;91:25;97:1;99:12,20; 104:3;165:21 thus (2) 8:20;99:11 tightly (1) 118:10 timeline (1) 160:18 times (7) 38:4,6;46:21,25;102:15; 119:17;132:21 timing (5) 24:5;27:8;30:19,25;37:23 tip-over (2) 58:1,3 title (4) 6:16;58:11;60:17;72:24 today (13) 5:20,23;7:24;8:4,6,8;22:9; 26:6;53:4;79:11;169:7;182:1; 184:9 today's (2) 37:2;184:22 toes (1) 68:10 together (13) 5:18;58:21;77:9;78:13; 100:3;101:16;118:13;128:15; 152:10;153:19;163:14,15; 168:5 told (6) 12:21;19:9;22:8;41:18; 79:11;94:14 tomato (2) 155:2,2 tomorrow (2) 182:2;184:10 ton (3) 13:11;146:1,2 tons (2)	128:24,25 took (4) 107:20;153:20;180:24; 182:11 tooling (1) 138:24 top (17) 12:14;43:23;54:2;60:17; 63:11,12;70:24;79:20;114:23; 115:3,11;122:11;126:13; 127:4;138:3;145:22;170:21 topic (1) 10:3 torque-arming (1) 112:4 total (1) 17:14 totally (1) 71:9 traceability (3) 104:19;105:2,3 traced (1) 104:22 track (1) 46:24 tracked (1) 64:22 trade (2) 177:8;182:11 trainer (3) 89:3,4,5 training (3) 61:22;62:18;88:17 TRANSCRIPT (1) 3:2 transitioned (1) 58:5 translate (1) 148:22 transmission (15) 11:4;84:22,24;85:1,5; 109:12,18;110:7;111:14; 125:5;127:20;183:4,6,7,24 transmit (2) 40:21;73:16 transmits (1) 74:3 transmitting (2) 74:4;75:7 transverse (1) 113:19 trapped (1) 87:3 travel (1) 115:5 treadmill (85) 11:3,7;16:6,9,17;17:9,12; 18:22;19:2;49:1,9;50:8,16; 52:17;54:9,14;55:25;56:22; 57:10;60:6;61:8,21,22;62:3, 17;63:25;64:8,12,18;65:17, 25;67:24;68:9,12,14,16,20; 77:9,23;82:25;83:4,5,18;84:6,	11;85:17,19,25;87:4;88:20; 91:4,18,24;112:16,20;113:4,7, 13,23;115:15;116:12,22; 117:16;137:11,16,19,20; 141:15;142:4;144:15;146:12, 16;148:10;150:2;151:5;153:5, 6,9,11;154:18;160:5,25; 161:4;162:5,11 treadmills (39) 11:11,13;15:11,16,19;16:1, 4;17:3,16;52:14;54:4,10,20; 56:3,8,12,18;59:19,22;60:2; 65:19,22;66:16;67:15,17; 85:13;86:21;91:13;129:15; 138:1,14,21;148:12;149:24; 154:20;161:5;165:13,14; 177:25 treads (1) 86:13 treadwheel (1) 49:9 tried (3) 45:16;50:10,19 Tripp (1) 180:9 Tristar (7) 173:8,14,15,18,20;181:5,9 trouble (2) 50:8;176:9 true (8) 8:4;41:20;102:4;121:16; 127:3;131:4;135:21;178:9 try (5) 6:23;7:1;9:4;21:10;26:18 trying (7) 50:24;91:14;106:16; 110:19;145:9;152:9;169:24 turn (4) 47:4;70:21;145:24;149:22 turnarounds (1) 139:23 turned (3) 47:25;182:4,13 Turner (66) 6:3;7:22;8:11;11:17,24; 12:12,21;15:13,15;19:9,20; 21:9;22:18,19;25:20;30:12; 31:20;33:10;34:17;41:1,14, 17;47:18;51:22;52:8;53:12, 15;78:18;79:2,16,19;92:3; 94:16;95:4,13;98:4;103:24; 105:5,16;107:19,21;115:23; 121:9;122:5;125:1;132:2,19; 133:13,17;135:1;146:13; 151:23;156:8;157:23;158:11, 15;159:7,8;163:3,5;166:10; 169:21;183:19;184:13;185:1, 5 Turner's (26) 9:8;11:1;17:25;18:9;20:10; 22:22;23:14;24:18;25:11,23; 31:5,7;32:3;34:10;35:20; 37:17;44:14;45:23;46:2,14;	49:25;70:14;107:16;118:24; 120:3;171:5 turning (2) 48:13;147:7 two (19) 7:9;17:14;38:6;41:12; 69:15;70:25;71:5;74:20; 75:17;90:22;96:16;116:23; 128:15;150:4,9,10;164:21; 169:18;178:4 two-member (1) 74:2 type (8) 15:11;88:22;89:3;112:20; 115:21;123:6;137:21;154:11 types (6) 29:4;77:23;118:6,8;142:5; 161:5 typically (2) 33:3;138:21 typo (2) 120:22,25
U			
UL (8) 67:21;140:22;141:4,9,12, 16,18,24 ultimately (1) 157:3 umbrella (2) 58:19;84:4 unauthorized (4) 56:14;57:3,6,7 uncover (1) 107:11 uncovered (1) 102:17 under (12) 7:19;25:7;27:3;39:16; 64:23;73:13;75:15;76:6; 135:5;136:12;152:21;155:25 underlying (1) 114:17 underneath (1) 111:24 underside (1) 68:12 understands (2) 32:9;150:5 understood (3) 39:10;96:24;167:9 unexpected (8) 68:5,23;69:11,23;87:11,17; 163:11;168:9 uni (1) 87:24 unidirectional (15) 55:20,25;56:3,23;61:18,23; 62:3,19;65:24;87:25;88:5; 117:24;155:7,8,16 unilateral (1) 87:23			

universe (3) 85:2;129:5;144:18 University (4) 107:13;108:4,7;109:4 Unless (3) 44:11;123:18;182:16 unmet (3) 159:20;160:21;168:8 unpatentability (1) 120:19 unsuccessful (1) 181:20 untangle (1) 100:3 up (26) 21:10;26:18;45:17;47:4,25; 48:13;51:14;52:5;70:21; 78:24;91:23;104:16;115:14; 117:25;119:1;120:12;128:18; 20;134:17,21,22;157:1;158:7; 178:21;180:24;184:9 upon (1) 23:1 upwards (1) 117:5 USA (1) 71:23 use (40) 16:18,20,22;49:6,14;51:7; 60:6;63:17;67:13;85:21; 86:12,13,13;94:14;95:10,13; 107:24;108:10;112:13; 116:11;120:4;122:13;127:19; 23;128:1;129:2,10;140:10,13; 148:19,22;149:23;150:2; 152:11;154:12;155:4,16; 164:2;165:23;184:21 used (48) 15:20,23;30:13;40:21;42:8; 45:7;49:9;63:20,24;64:3; 65:19;73:15,20;81:24;82:2; 95:8;96:25;105:25;107:8,15; 108:23;113:19;119:12,17; 123:23;127:21,22,24;128:2,8; 129:16;130:9;138:20;139:14; 15;142:18,21;143:2;144:15; 148:12;149:6;150:22;154:20; 163:24;164:24;165:25; 175:25;177:2 useful (2) 116:11;166:2 user (4) 16:5;48:22;68:21;69:9 users (1) 141:20 uses (1) 111:10 using (12) 15:18;57:10;85:9;115:4; 137:24;139:23;145:14,22; 149:4;152:4,11,25 usually (7) 8:18;24:19;30:8;45:24;	46:1;142:19,22 utilization (1) 147:2 utilize (3) 106:23;117:8;139:19 utilized (2) 75:19;122:4 V validity (6) 10:9;125:18;126:6,9; 127:12;181:19 valves (3) 175:16,25;176:15 Vance (3) 179:24;180:7,9 variant (1) 51:13 variation (1) 147:16 variety (2) 101:25;117:5 various (1) 154:22 version (3) 108:1;123:3;128:23 versions (1) 111:8 Versus (13) 71:23;170:21;171:21; 172:12,22;173:8;174:1; 175:19;177:5;178:2,12; 179:20,24 via (2) 34:7;110:17 viability (1) 162:7 view (16) 42:8;56:16;81:10;85:12; 86:7;98:9;109:25;110:1,12, 22;122:2;124:21;132:14; 136:24;138:10;139:17 vis-à-vis (1) 130:4 Volume (3) 23:9;55:18;72:22 volunteer (2) 101:21;181:1 vote (2) 58:15;59:5 W waiver (2) 72:2;78:14 walk (2) 89:15,18 walked (3) 14:12;15:16;172:15 walking (5) 49:10;61:23;62:19;179:13, 14	walks (1) 88:20 wall (2) 58:8;178:20 wasted (1) 154:3 way (19) 8:13;30:7;42:23;44:23; 45:24;92:25;105:13;109:8; 117:18;124:24;136:7,19; 147:24;148:3;150:14;163:20, 25;177:25;180:7 ways (1) 85:22 wearing (1) 178:4 website (9) 44:20;45:15,19,20;47:5; 48:1,14,23;96:11 week (8) 9:4;20:15;36:14;51:22; 52:20;100:10;104:4,6 weeks (5) 99:18,19;101:11;102:10,25 weight (4) 139:21;146:1,22,23 weightbearing (1) 69:16 weighted (1) 146:17 well-qualified (2) 28:11,13 weren't (3) 110:16;136:1;144:11 west (1) 17:1 What's (16) 16:23;23:23;40:14;65:14; 69:14;74:7;89:8;99:17;100:9; 119:1;120:22;121:1;125:24; 142:15;159:15;160:13 whatsoever (1) 53:19 WHEREUPON (1) 5:7 whole (6) 20:7;34:20;59:4;98:7; 124:18;168:7 who's (1) 150:5 whose (1) 121:14 widely (1) 154:20 Williams (1) 173:8 willing (1) 183:1 wind (1) 178:21 wire (2) 178:18;179:1 wire-coiling (1)	178:17 Wisconsin (5) 107:14;108:5,8,11;109:4 wisdom (1) 168:11 withdraw (4) 38:17;55:10;73:5;105:1 withdrawn (3) 87:24;97:10;168:16 within (17) 33:8;37:25;52:15;57:11; 62:1;73:4,6;86:18;89:19; 118:14;129:18;138:8;140:17; 141:21,22;143:25;148:8 without (7) 16:21,22;32:25;43:7;68:3; 89:25;110:15 Witness (11) 5:6,9,24;19:21;34:18; 42:15;82:6,10;118:15;154:1; 184:11 woman (1) 171:11 Woodway (44) 10:9,15,18,24;11:20;15:2; 17:8,23;18:7;22:1,4,6;31:19; 37:4;38:13;40:2,11;65:11; 70:19;71:23;72:5;74:5;92:1, 18;95:19;96:16;99:2;104:4; 115:22;126:7;132:15;146:10; 152:5;153:18,21;157:5; 160:14,19;161:16;165:21; 166:12;167:25;170:21;181:19 Woodway's (8) 22:10,23;31:23;36:3,5; 39:6;96:5;163:4 Word (3) 3:17;128:13,16 wordings (1) 141:13 work (54) 6:11,22,23;7:22;8:2,7,12; 23:11,25;24:13;25:18;26:15; 27:13;28:1,15;29:2;30:24; 31:24;34:20;35:2,3;51:7; 60:21;78:16,23;79:12;82:23; 83:11,17,22,24;84:4;101:18, 19,20,22,23;102:7;104:5; 108:8;109:6;128:15;148:5,9; 161:23;162:2;167:15;172:7; 175:7,10;177:21;178:16; 180:20;183:18 worked (9) 11:10;24:24;25:3;132:11, 12;153:22,25;154:21;181:10 worker (5) 172:1,15;178:15;179:4; 180:2 working (9) 22:20;31:4,8;33:6;80:14; 93:8;100:25;161:6;172:9 works (3) 8:13;162:3;183:21
---	--	--	---

wraps (2) 113:17;115:7 write (1) 29:17 writing (5) 57:23;103:5;131:17,18; 167:9 written (6) 7:17;51:2,4;100:4;105:10; 136:11 wrong (3) 97:17;98:3;111:11 wrote (1) 39:5	1001 (6) 4:3;5:4;123:20;124:3; 126:23;151:4 1002 (1) 134:19 1003 (18) 23:9;26:23;29:21;30:20; 35:8;39:13;41:25;42:10; 43:19;44:2;54:22;71:14; 75:11,22;76:16,20;135:5,8 1004 (1) 170:19 1005 (1) 71:22 1007 (6) 65:14,15,23;67:2,5;70:3 1010 (3) 121:25;122:2,9 10124 (1) 151:9 1018 (1) 164:20 1019 (8) 55:17,19;56:7;60:18;67:1,2, 6;141:22 1020 (5) 61:15;62:2;63:20;67:2,5 1022 (6) 72:22;73:4,6,13;75:13;76:3 1030 (4) 4:3;5:5;136:15;138:16 119 (1) 39:13 11th (1) 72:1 12 (1) 183:2 120 (2) 39:19;120:15 121 (3) 40:17;42:22;43:11 122 (3) 41:8;42:23;43:12 123 (4) 39:14;41:20;42:23;43:12 12A (2) 147:5,9 12th (2) 27:4;31:3 15 (4) 7:5;38:25;39:4;138:16 16 (2) 94:25;135:7 1647 (5) 141:4,9,12,16,18 169 (4) 169:14;170:4,12,14 16th (1) 95:6 17 (5) 23:8,17,18;136:13;152:21 177 (1) 143:15	18 (3) 147:21;152:20;159:23 1800s (1) 161:5 186 (1) 3:11 1860 (1) 160:7 187 (1) 3:13 188 (1) 3:15 189 (1) 3:17 19 (2) 152:20;153:4 1998 (1) 139:12 1st (4) 23:25;66:11;124:6;127:6	216-1 (2) 125:12;126:18 22 (4) 140:2,5,8;148:16 23 (1) 66:13 25 (1) 82:7 28 (4) 140:2,5,8;148:16
Y			3
year (20) 7:25;13:10,20;22:14;24:1; 31:2;45:19;77:22;84:20;95:6, 7;100:1,20,22;103:22;119:6; 122:7;151:22;176:11;180:23 year-end (1) 26:18 yearly (1) 23:3 years (16) 5:17;10:7;50:7;58:24;61:3; 93:8,10;106:22;121:23;160:1, 1;161:2,7,10;171:2,4 yield (1) 144:17 York (1) 74:25	1019 (8) 55:17,19;56:7;60:18;67:1,2, 6;141:22 1020 (5) 61:15;62:2;63:20;67:2,5 1022 (6) 72:22;73:4,6,13;75:13;76:3 1030 (4) 4:3;5:5;136:15;138:16 119 (1) 39:13 11th (1) 72:1 12 (1) 183:2 120 (2) 39:19;120:15 121 (3) 40:17;42:22;43:11 122 (3) 41:8;42:23;43:12 123 (4) 39:14;41:20;42:23;43:12 12A (2) 147:5,9 12th (2) 27:4;31:3 15 (4) 7:5;38:25;39:4;138:16 16 (2) 94:25;135:7 1647 (5) 141:4,9,12,16,18 169 (4) 169:14;170:4,12,14 16th (1) 95:6 17 (5) 23:8,17,18;136:13;152:21 177 (1) 143:15	2 (18) 3:3;55:18;72:22;111:22; 112:21,22;113:3,6,12,24; 114:11;115:19;116:2;129:8; 130:5;138:15;144:10;155:22 20 (3) 7:5;124:6;148:19 2000 (1) 109:5 2001 (3) 62:10,24;63:13 2005 (9) 60:10,16,19;61:13;62:9,11, 14,23,25 2008 (4) 109:5;125:25;126:4,17 2009 (6) 83:15,18;93:22;125:25; 126:5;127:3 2010 (4) 66:7;94:25;95:6;160:17 2011 (1) 66:11 2017 (2) 124:6;127:6 2018 (3) 125:13;126:20;172:11 2020 (1) 6:13 2022 (3) 13:17;24:11;72:1 2023 (9) 13:17;23:6,19;24:14;26:21; 27:4;31:3,3,8 2024 (5) 3:1;4:1;5:2;23:21,25 20957 (3) 62:13,22;66:22 20957-6 (2) 63:4,6	3 (4) 63:11;120:19;123:19;139:1 3- (1) 109:2 3:15-cv-01665-JCH (2) 124:5;125:12 3:22-cv-00492-JO-BLM (1) 71:25 30 (2) 40:19;41:11 300 (2) 116:16,19 302 (2) 144:7,23 31 (1) 148:15 313 (4) 143:14;144:6;148:13;149:4 314 (1) 149:16 32 (1) 149:20 320 (3) 111:20,21;116:7 33 (2) 41:11;135:10 34 (1) 135:10 35 (1) 135:10 36 (1) 135:10 37 (2) 40:19;41:11 38 (1) 41:11 39 (7) 40:20;149:20;156:4;158:1; 159:23,25;166:14
Z			
zero (1) 95:8			
0			
0.1 (1) 8:24 005 (1) 75:15 05 (2) 60:16,20 06355 (1) 151:10			
1			
1 (7) 23:9;32:17;60:12;121:3; 124:1,7;125:13 10 (2) 138:16;151:5 10,561,884 (1) 37:5 100 (8) 17:17;30:1;46:9;53:17; 59:8;106:12;117:3;176:22	1019 (8) 55:17,19;56:7;60:18;67:1,2, 6;141:22 1020 (5) 61:15;62:2;63:20;67:2,5 1022 (6) 72:22;73:4,6,13;75:13;76:3 1030 (4) 4:3;5:5;136:15;138:16 119 (1) 39:13 11th (1) 72:1 12 (1) 183:2 120 (2) 39:19;120:15 121 (3) 40:17;42:22;43:11 122 (3) 41:8;42:23;43:12 123 (4) 39:14;41:20;42:23;43:12 12A (2) 147:5,9 12th (2) 27:4;31:3 15 (4) 7:5;38:25;39:4;138:16 16 (2) 94:25;135:7 1647 (5) 141:4,9,12,16,18 169 (4) 169:14;170:4,12,14 16th (1) 95:6 17 (5) 23:8,17,18;136:13;152:21 177 (1) 143:15	2 (18) 3:3;55:18;72:22;111:22; 112:21,22;113:3,6,12,24; 114:11;115:19;116:2;129:8; 130:5;138:15;144:10;155:22 20 (3) 7:5;124:6;148:19 2000 (1) 109:5 2001 (3) 62:10,24;63:13 2005 (9) 60:10,16,19;61:13;62:9,11, 14,23,25 2008 (4) 109:5;125:25;126:4,17 2009 (6) 83:15,18;93:22;125:25; 126:5;127:3 2010 (4) 66:7;94:25;95:6;160:17 2011 (1) 66:11 2017 (2) 124:6;127:6 2018 (3) 125:13;126:20;172:11 2020 (1) 6:13 2022 (3) 13:17;24:11;72:1 2023 (9) 13:17;23:6,19;24:14;26:21; 27:4;31:3,3,8 2024 (5) 3:1;4:1;5:2;23:21,25 20957 (3) 62:13,22;66:22 20957-6 (2) 63:4,6	4 (10) 3:5;32:17;73:12;123:19; 139:1;155:6,9,21,22;170:18 4' (1) 155:9 4,635,771 (1) 71:8 4.8.2 (1)

56:21 4.9.3 (4) 56:8,12,16,25 400 (3) 109:2;116:8;117:5 410 (1) 23:24 43 (1) 40:20 452 (1) 156:10 46 (3) 54:21;141:3;155:6 47 (1) 94:21 48 (3) 78:7;84:17;164:7	69 (1) 169:12		
	7		
	7 (7) 124:2;134:3;140:2;147:20; 148:14,15;149:19 70 (1) 39:12 70s (1) 160:11 745 (7) 75:15;90:25;94:8;96:16; 100:15;135:22;184:23 78 (3) 55:1,6;60:8		
5	8		
5 (8) 3:7;71:21;75:13;76:3; 123:19;125:12;151:3;170:19 5:27 (1) 185:7 50 (5) 17:17;41:11;59:8,8;140:2 51 (1) 66:12 52 (1) 40:20 53 (2) 40:20;165:2 54 (1) 41:11 57 (1) 41:11 580 (20) 81:4,7,12,15,21;90:24;94:7; 96:16;100:11;106:10;130:20; 131:10,14,15,22;135:21; 169:23;181:24;182:2;184:23 5th (1) 126:20	8 (1) 164:19 8,986,169 (1) 125:11 80 (3) 55:1,7;66:6 82 (1) 3:9 88-1 (1) 124:6 88-2 (1) 127:5 884 (63) 37:8;38:12;39:21;40:11; 44:2,21;45:17,21,22;46:3,11, 13,17,22;47:1,4,25;73:21,23; 75:15,20;80:18,22;81:11,16, 20;89:21;94:4,25;95:22;96:6, 12,13,22;97:13;98:25;100:7; 107:5;109:24;110:3,5;119:11, 14;120:16;122:15;123:16,19; 124:1;127:14;130:21;133:8, 12,24;134:15;135:16;136:22; 137:1;146:9;150:23;151:14; 156:13;157:4;169:13		
6	9		
6 (13) 71:5;120:19,20;121:2; 123:19;124:3;126:22;127:9; 135:4,6,6;155:7,12 6' (2) 155:7,12 61 (1) 151:4 62 (1) 151:6 65 (2) 169:11,11 66 (1) 151:6 67 (1) 125:13	9 (4) 3:1;4:1;5:2;164:19 9,039,580 (1) 125:11 90s (1) 160:12 957 (1) 62:11 957-6 (3) 62:24;63:2,7 957-6:2001 (1) 63:12		