

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

PERMIAN GLOBAL INC., and

MANTICORE FUELS, LLC,

Petitioners,

vs.

FUEL AUTOMATION STATION, LLC,

Patent Owner.

Case: IPR2023-01238 U.S.; Patent No. 10,974,955

Case: 2023-01236 U.S.; Patent No. 10.815,118

Case: 2023-01237 U.S.; Patent No. 9,586,805

DEPOSITION OF JOHN RODGERS, Ph.D., P.E.

Tuesday, August 6, 2024

400 West Maple Road, Suite 350

Birmingham, Michigan

Job No.: 2024-951018

Pages: 1 - 227

Stenographically Reported By:

Alison C. Webster, CSR-6266, RPR, RMR, CRR, RDR

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Deposition of JOHN RODGERS, Ph.D., P.E., produced as a witness at the instance of the Petitioner, and duly sworn, was taken in the above-styled and numbered cause on Tuesday, August 6, 2024, from 9:03 a.m. to 3:18 p.m. Eastern Time, before Alison C. Webster, RPR, CRR, RMR, RDR, CSR-6266 (Michigan); License No. 14559 (California); License No. 084.004953 (Illinois); License No. 23001848 (Washington); CSR-12432 (Texas), reported by stenographic method, located at 400 West Maple Road, Suite 350, Birmingham, Michigan, pursuant to the Federal Rules of Civil Procedure and the provisions stated on the record or attached hereto.

A P P E A R A N C E S

ON BEHALF OF THE PETITIONERS

ELIZABETH DURHAM FLANNERY, ESQUIRE

Baker Botts

910 Louisiana Street

Houston, Texas 77002

713.229.2104

liz.flannery@bakerbotts.com

ON BEHALF OF THE PATENT OWNER

ALEX SZYPA, ESQUIRE

Carlson, Gaskey & Olds, P.C.

400 West Maple Road

Suite 350

Birmingham, Michigan 48009

248.283.0736

aszypa@cgolaw.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

T A B L E O F C O N T E N T S

Witness	Page
JOHN RODGERS, Ph.D., P.E.	
EXAMINATION	
BY MS. FLANNERY:	7
EXAMINATION	
BY MR. SZYPA:	218
RE-EXAMINATION	
BY MS. FLANNERY:	224

E X H I B I T I N D E X

Exhibit	Page
(Exhibits attached to transcript.)	
EXHIBIT 1	9
Declaration of John Rodgers, Ph.D., P.E., Case No. IPR2023-01237	
EXHIBIT 2	9
DECLARATION OF JOHN RODGERS, Ph.D., P.E., Case No. IPR2023-01238	

1	E X H I B I T	I N D E X	C O N T I N U E D
2			
3	EXHIBIT 3		9
4	DECLARATION OF JOHN RODGERS, Ph.D.,		
5	P.E., Case No. IPR2023-01236		
6	EXHIBIT 4		36
7	U.S. Patent 3,826,275 - Courtright		
8	EXHIBIT 5		40
9	U.S. Patent 10,974,955 B2 - Shock		
10	EXHIBIT 6		42
11	U.S. Pub. No. 2011/0197988 A1 -		
12	Van Vliet		
13	EXHIBIT 7		92
14	Wayback Machine printout, AFD Onsite		
15	Refueling Equipment		
16	EXHIBIT 8		107
17	Wayback Machine printout, Coxreels 1125		
18	Series Competitor hand crank		
19	EXHIBIT 9		121
20	ASTM International Designation: D975-13		
21	Standard Specification for Diesel Fuel		
22	Oils		
23			
24			
25			

1	E X H I B I T	I N D E X	C O N T I N U E D
2			
3	EXHIBIT 10	137	
4	Scientific Research Publishing		
5	document, Analysis of Emissions		
6	Profiles of Hydraulic Fracturing Engine		
7	Technologies		
8	EXHIBIT 11	153	
9	Wayback Machine printout, Designation		
10	Ltd. Fuel Hose		
11	EXHIBIT 12	167	
12	Native Excel document, Flow		
13	Calculations 5-28-2024		
14	EXHIBIT 13	169	
15	U.S. Patent 3,810,487 - Cable		
16	EXHIBIT 14	221	
17	Photos - CONFIDENTIAL		
18			
19			
20			
21			
22			
23			
24			
25			

DEPOSITION OF JOHN RODGERS, Ph.D., P.E.

Tuesday, August 6, 2024

STENOGRAPHER: Dr. Rodgers, would you
please raise your right hand.

Do you swear or affirm the testimony you
are about to give in this matter will be the truth,
the whole truth, and nothing but the truth?

DR. RODGERS: I do.

STENOGRAPHER: Thank you.

You may proceed.

MS. FLANNERY: Good morning.

THE WITNESS: Good morning.

JOHN RODGERS, Ph.D., P.E.,
was thereupon called as a witness herein, and after
having first been duly sworn to testify to the truth,
the whole truth and nothing but the truth, was
examined and testified as follows:

EXAMINATION

BY MS. FLANNERY:

Q. Please state your full name for the record.

A. John Rodgers.

Q. And, Dr. Rodgers, where you do reside?

A. I live in Connecticut.

Q. Dr. Rodgers, I know you've been deposed before.

1 Roughly how many times in your career have you been
2 deposed?

3 A. Let's just say, ballpark, 20.

4 Q. When was the last time you were deposed?

5 A. Wow, that's hard to place.

6 Q. Ballpark.

7 A. Certainly in the last year. I can't quite remember
8 if I've had one in 2024 or not. My apologies.

9 Q. Well, since you've done this at least a handful of
10 times we'll dispense with all the rules, but just a
11 quick reminder since it may not have been too recently
12 since you were deposed, just a reminder that we should
13 avoid talking over each other to avoid aggravating the
14 court reporter.

15 Is that acceptable to you?

16 A. It is.

17 Q. If at any time you need a break, please let me know,
18 I'm happy to accommodate that. If there's a question
19 pending, I will need you to go ahead and answer the
20 question before we take a break. But just, you know,
21 let me know and we should be able to manage that.

22 Is that acceptable to you?

23 A. It is.

24 Q. Okay. Very good.

25 Any reason you wouldn't be able to give

1 truthful and full testimony today?

2 A. No.

3 Q. Okay. And, Dr. Rodgers, I see you brought a binder
4 with you today. Can you tell me what's in that
5 binder?

6 A. These are clean copies of my three declarations in
7 this case.

8 Q. I'm going to mark copies of those same declarations, I
9 think. I'm happy for you to use those if you prefer,
10 just make sure that you're looking at the same one
11 that -- the right one --

12 A. Okay.

13 Q. -- when I'm asking questions, that's all.

14 MARKED FOR IDENTIFICATION:

15 EXHIBITS 1-3

16 9:06 a.m.

17 A. I just thought of one side note. I left my
18 eyeglasses in Alex's office. I think I'll be okay,
19 but we may reach a point where I can't read something
20 and I'm going to need to run upstairs and grab those.

21 BY MS. FLANNERY:

22 Q. Okay. Just let me know if we need to do that.

23 A. This is fine. I can read, you know, the 12-point or
24 14-point font.

25 Q. All right, Dr. Rodgers, I've handed you what has been

10

1 marked as Exhibits 1, 2, and 3 to your deposition.

2 If you could just confirm for me Exhibit 1
3 is your declaration in the IPR for the '805 patent?

4 A. Yes.

5 Q. And Exhibit 2 is your declaration in the IPR for the
6 '118 patent -- oh, I got that wrong. Strike that.

7 Exhibit 2 is your IPR in the declaration
8 for the '955 patent.

9 A. That's right.

10 Q. And Exhibit 3 is your declaration in the IPR for the
11 '118 patent.

12 A. That's right.

13 Q. All right. Excellent.

14 Let's start with Exhibit 1. And I'd ask
15 for you to turn to paragraph 10 of Exhibit 1 --

16 A. Okay.

17 Q. -- under the heading Qualifications.

18 A. Yes.

19 Q. And under this heading, Qualifications, you've listed
20 certain portions of your experience; is that right?

21 A. Right.

22 Q. Certain portions of your experience that you felt was
23 relevant to this case?

24 A. Some of it might be more general than specifically
25 relevant. I'm not sure.

1 Q. Okay. Well, let's take as one example, in
2 paragraph 10, in the last sentence or the -- yeah, in
3 the last sentence of that, you talked about work that
4 you had done involving the development of actuation
5 systems for helicopter vibration control. Do you see
6 that?

7 A. I do.

8 Q. Do you feel that that experience is relevant to the
9 patents in this case?

10 MR. SZYPA: Objection to form.

11 A. It's relevant to my educational background. That's
12 part of my -- the foundation on which I built my
13 consulting business in downhole tools and oil field
14 equipment, that kind of thing.

15 BY MS. FLANNERY:

16 Q. Okay. And have you found that educational
17 background -- have you applied that background in
18 your -- giving your opinions in this case?

19 A. It's part of it, sure. So, for example, it's not
20 necessarily -- I can't remember if it's part of any
21 of the claims, but diesel refueling systems have
22 control systems. I learned about control systems
23 doing that work on helicopters and applied the same
24 technology, the same, you know, technical aspects to
25 the work when I'm looking at something like a diesel

1 refueling control system.

2 That's just one example.

3 Q. Okay. Fair enough.

4 Going a little further into the
5 declaration, let's go to paragraph 12, which is the
6 next page.

7 And at the first sentence of that
8 paragraph, you state, "I have extensive experience in
9 directional drilling and in the development and
10 application of downhole tools used for this purpose."

11 Do you see that?

12 A. I do.

13 Q. The refueling systems that are the subject of the
14 patents in this case, those are not downhole tools; is
15 that correct?

16 A. That's right.

17 Q. Do you feel that that experience is still relevant to
18 the patents that are in this case?

19 MR. SZYPA: Objection. Form.

20 A. It's generally relevant because I just have a broad
21 array of experience working in the oil field, working
22 with operations that are going on on the rig site --
23 on the well site, excuse me. So the experience
24 specifically with directional drilling, it just
25 relates to my general background of the operations on

1 a well site.

2 BY MS. FLANNERY:

3 Q. And have you applied that general background
4 experience in providing your opinions in this case?

5 A. I don't know if it plays into any specific opinion.
6 It's just general background knowledge.

7 Q. You mentioned your experience in the oil field. What
8 companies have you worked for in the oil and gas
9 space?

10 A. Well, one customer is Halliburton. Shell. This is
11 probably like 20 or so companies. Do you want -- how
12 exhaustive of a list would you like?

13 Q. You know, four or five of the ones that you remember
14 most recently, or the -- I guess I should say that you
15 consider to be the most relevant to this case.

16 A. Most relevant to this case.

17 MR. SZYPA: Objection to form.

18 A. Boy, it's hard to remember them all.

19 Let's see... Innovex, formerly known as
20 Team Oil Tools.

21 I'm drawing a blank.

22 KLX Energy.

23 BY MS. FLANNERY:

24 Q. I'm sorry, can you spell that?

25 A. K-L-X Energy.

14

1 Those are probably the ones I can remember
2 most recently. I can't remember -- I'm sure there are
3 others, I just can't think of them offhand.

4 Q. Understood.

5 Have you ever designed or built a fueling
6 system?

7 MR. SZYPA: Objection. Form.

8 A. I cannot recall -- I don't think I've ever designed
9 or built a fueling system, but I certainly understand
10 how these systems work.

11 BY MS. FLANNERY:

12 Q. And to be clear, I'm not intending -- I wasn't
13 intending to limit that to fueling systems in the oil
14 and gas industry.

15 So is that consistent with how you
16 understood the question?

17 A. It is.

18 Q. Okay. Have you ever worked with fueling systems for
19 fracturing pumps?

20 A. I haven't -- I don't have specific work experience on
21 fueling systems for frac pumps.

22 Q. Have you ever worked with any system that included
23 hose reels?

24 MR. SZYPA: Objection. Form.

25 A. Boy, I'm trying to remember if I've come across it in

1 work experience. Certainly in my personal
2 experience. Outside of my consulting business, I've
3 certainly come across a lot of hose reels.

4 BY MS. FLANNERY:

5 Q. In your personal experience, what kinds of hose reels
6 are you referring to?

7 A. I would be referring to, you know, water hose reels
8 that you would find in a residential setting.

9 Q. Got it. I have one of those.

10 A. I've had many. Some better than others.

11 Q. Understood.

12 Have you ever been to a site where
13 fracturing operations were being performed?

14 A. I have not been on-site during a frac operation. I
15 have just seen a lot of videos and I've worked on a
16 lot of the equipment involved, but I haven't been on
17 the site during the actual operation.

18 Q. Have you been on a fracturing site either before or
19 after the operation was performed, but you know that
20 it was a site where fracturing was going to be
21 performed?

22 A. I don't think so.

23 Q. The photos and videos of fracturing operations that
24 you -- of equipment used in fracturing operations that
25 you've seen, when would you have seen those? And

16

1 specifically -- I don't need a specific date. I'm
2 looking for, was it before or after 2016.

3 A. I would say off and on over my entire career. So
4 I've been working in the oil field since 2003, so off
5 and on as I get projects related to operations,
6 various operations going on in a well, some of them
7 being fracking, then I would have reason from time to
8 time to be either looking at the equipment or looking
9 for how the equipment is used in the operations.

10 And certainly YouTube, for example,
11 provides a lot of videos, marketing videos
12 specifically from companies offering frac services.
13 There have been videos out there for many, many years.

14 So I don't know exactly when I might have
15 seen them, but I know it's been off and on for many
16 years.

17 Q. Have you ever seen a video of a fueling system used on
18 a frac site?

19 MR. SZYPA: Objection. Form.

20 A. Are you referring to one in operation?

21 BY MS. FLANNERY:

22 Q. Yeah, that was a poor question. Let me try it again.

23 Have you ever seen a video of a fueling
24 system on a frac site in operation?

25 A. I don't recall. I can't think of one.

1 Q. Have you ever seen a video of people on a well site
2 rigging up or rigging down a fueling system at a frac
3 site?

4 A. I don't recall seeing a video of that. I just recall
5 my inspection when I went down to Victoria, Texas,
6 and inspected one of the FAS units and got to look at
7 all the equipment there. That would be, you know,
8 what informed me a lot about how these systems
9 operate.

10 Q. Was the system operating when you went there and
11 inspected it?

12 A. It was operating in a -- in a test demonstration
13 inside their work space.

14 Q. Okay. So it wasn't connected to a frac pump that was
15 actually pumping frac fluid down a hole into a well.

16 A. That's correct. It was just connected to fuel tanks,
17 not to the actual frac pumps.

18 Q. Okay. Do you know how long that takes for personnel
19 to rig up or rig down a fueling system at a frac site?

20 A. It takes quite a while because -- well, of course, it
21 depends on the size of the frac job, the distances
22 involved, how far they have to run the hoses. So it
23 can vary quite a bit. Also the number of personnel
24 that they dedicate to that task.

25 So it's a very broad range, but it's not a

1 very easy thing to do because of the distances and the
2 amount of equipment that you have to work around in
3 making sure you're not damaging or interfering with
4 anything else, damaging your hoses or interfering with
5 any other items that you have to work around on the
6 site.

7 Q. So how do you know how long it takes?

8 A. I'm saying it varies quite a bit. So I can't answer
9 the question specifically, but it -- you know, I can
10 give you a broad range. It takes many hours, if not
11 days. It depends on how many people you have to set
12 that up and how big the frac site is.

13 So more likely it's hours to a day or two
14 to get everything in position, and that's from, you
15 know, looking at the equipment and talking to the
16 operators there in Victoria.

17 Q. Okay. So that's -- the last part, I think is what you
18 said, you said it takes -- you believe it takes many
19 hours to days. What is the source of information for
20 you to know that? Is it talking with operators in
21 Victoria?

22 A. I don't believe I ever asked that specific question
23 and got that specific answer, it takes X number of
24 hours. I think I've just had many discussions
25 talking in Victoria, and then I should also add that

1 weekly phone calls for a couple of months where the
2 inventor, Mr. Shock, was on the calls.

3 So I've just had multiple discussions over
4 a long period about the operation of these systems,
5 and I can't recall specifically hearing a number.
6 I've just got a lot of background about how these
7 systems work and the challenges and risks of setting
8 up the systems and operating the systems, and that's
9 informed me about how long I think it would take to do
10 that kind of thing.

11 Q. Okay. So I recognize you don't remember getting an
12 hour. Did you get an estimate of the amount of time
13 that it takes to rig up or rig down, from Mr. Shock or
14 someone else on those phone calls?

15 MR. SZYPA: I'm going to caution you not to
16 disclose any attorney-client communications in
17 answering that, but you can -- if you can answer
18 without doing that, then please go ahead.

19 A. I can't remember asking or getting an answer to a
20 specific number. It's just the general background of
21 how these things -- the process, the steps that have
22 to be taken that informs me as a mechanical engineer,
23 allows me to make a judgment about how long that
24 would take to do.

25 BY MS. FLANNERY:

1 Q. Okay. So based on the description of the steps that
2 need to occur, you have made an estimate of it taking
3 hours to days to rig up a system like that; is that
4 correct?

5 MR. SZYPA: Objection. Form.

6 A. I'm thinking about what you just asked.

7 So the general background has informed me
8 so that I can make my own internal estimates, when you
9 just asked me that question about how long it would
10 take to do this. And as I answered, it's an extremely
11 broad range depending on a lot of factors.

12 So it depends on how many people, how big
13 the frac site is, how many hoses. Lots of factors go
14 in, but I can tell you it's going to take a range of
15 time going from many hours to more depending on the
16 size and all those other variables.

17 BY MS. FLANNERY:

18 Q. Based on the information you have, you're comfortable
19 it wouldn't take less than an hour.

20 A. Correct.

21 Q. And is that based -- and that is based on what
22 information again?

23 MR. SZYPA: Objection. Asked and answered.

24 A. I don't know how to say it any differently. I feel
25 like I've already answered that. All that

1 information I just explained that's come into my
2 brain over the course of this period of time here.

3 BY MS. FLANNERY:

4 Q. Okay. I think you said earlier you have been
5 working -- you had been doing work in the oil and gas
6 industry for -- since 2003, I think is what you said.

7 A. That's right.

8 Q. Do you recall the first time that you did work with
9 equipment used for hydraulic fracturing?

10 A. Well, I had exposure to equipment very early on in
11 that -- in my oil field career, we'll say starting in
12 2003. So very early on I was familiar with
13 Halliburton's mud pumps that are used, the horsepower
14 that drives the high-pressure frac fluid into the
15 well to fracture the rock.

16 So I had that type of exposure very early.
17 I don't remember exactly what year that was.

18 And then by 2000 -- I want to say 2007 or
19 so, I started working with Innovex on frac equipment
20 that they use. Then -- yeah, I can't -- I can't
21 recall exactly when I worked with other frac equipment
22 at the moment. I think I'd have to think about that
23 for a while. But I know I've worked on several
24 different types of frac equipment over the years since
25 that time.

1 So those are the only ones I -- those are
2 the only details I can place at the moment.

3 Q. Okay. You mentioned having worked with mud pumps from
4 Halliburton; is that correct?

5 A. Right.

6 Q. Are mud pumps used to pump fracturing fluid?

7 A. So there are different types of pumps. There's a
8 range of different high-pressure pumps used in the
9 industry, so some can be used for drilling, some are
10 using for fracking. But in general, this class of
11 very large pumps is what I'm referring to getting
12 exposure to.

13 Q. Okay. So different types of pumps. Is -- are mud
14 pumps one type of pump that you're talking about?

15 MR. SZYPA: Objection. Form.

16 A. There are specific types of pumps that are optimized
17 for different purposes in the oil field. I'm
18 referring in general to my experience in handling
19 or -- not handling, that's the wrong word. Being
20 exposed to very large pumps used to push
21 high-pressure fluids into well bores.

22 So there are different reasons you might
23 push fluids into well bores, different types of fluids
24 you'll push, and so there are different optimizations
25 of pump designs for various applications.

1 BY MS. FLANNERY:

2 Q. Okay. And are mud pumps the type that are optimized
3 for fracturing operations?

4 A. I guess mud pumps generally are optimized for pumping
5 drilling mud, for drilling operations, whereas pumps
6 optimized for fracking would be optimized for
7 fracking and carry that type of fluid.

8 Q. Okay. But I guess to your point, all of those
9 different types of pumps are large pumps used in the
10 oil industry for pumping fluids down a hole.

11 A. That's what I'm saying.

12 Q. Okay. What did you do to prepare for the deposition
13 today?

14 A. I reviewed my declarations and the major piece of the
15 prior art. Just reviewed the exhibits cited in my
16 declarations. I met with Alex a couple of times last
17 week and yesterday. I think that's about it.

18 Q. Okay. Your meetings with Alex, total how much time
19 was spent at those meetings?

20 A. If I add it all together, probably four hours.

21 Q. Was there anyone else present for those meetings?

22 A. Matt Koziarz was in on the meeting briefly yesterday
23 when I was here in person.

24 Q. Okay. The other meetings that were before yesterday
25 were not in person?

1 A. Right.

2 Q. Okay. Anyone else present for those meetings?

3 A. No.

4 Q. Let's go back to Exhibit 1, which is your IPR on the
5 '805 patent. And I'd like you to turn to page --
6 sorry, paragraph 68. It's under the heading Level of
7 Ordinary Skill. Do you see that?

8 A. I do.

9 Q. And you've reviewed this definition and understand it?

10 A. I have.

11 Q. And you qualify as a person of ordinary skill in the
12 art under this definition; is that correct?

13 A. I do.

14 Q. The first prong of it defines the person of ordinary
15 skill as having a bachelor of science in mechanical
16 engineering, electrical engineering, petroleum
17 engineering or an equivalent field, as well as at
18 least two years of academic or industry experience in
19 the oil and gas industry, including well drilling
20 completion or production.

21 Do you see that?

22 A. I do.

23 Q. Okay. Let's take the first of those under the
24 "including," at the end of that sentence, the well
25 drilling.

1 Generally speaking, that refers to the
2 process of actually drilling and forming the well bore
3 in the ground. Is that your understanding?

4 A. Yes.

5 Q. Okay. And I think you mentioned earlier that drilling
6 operations end up using drilling fluids, pumping those
7 drilling fluids into the -- into the ground; is that
8 right?

9 A. Well, it's a -- that's what spins the bit,
10 essentially, so you're drilling with that.

11 Q. Okay. Are those pumps -- and those -- those are mud
12 pumps that pump that fluid, spin the drill bit?

13 A. That's what they're referred to as, yes.

14 Q. Are those -- and I guess in this series of questions
15 I'm asking, I'm going back in time a little bit to the
16 2016 time period that we're using relating to these
17 patents. Understand that?

18 A. Yes.

19 Q. Okay. As of that time period, were mud pumps -- those
20 were powered by some sort of fuel. Right?

21 A. Right.

22 Q. Was it typically diesel?

23 A. I believe it was diesel.

24 Q. Do you know how the pumping pressures, when you're
25 pumping a drilling fluid, how that compares to the

1 pressure at which a fracturing fluid is pumped? Is it
2 higher or lower?

3 A. Fracking requires higher pressure.

4 Q. Okay. Do you know generally how the fuel consumption
5 for those mud pumps compares to the fuel consumption
6 for, say, a fracturing pump?

7 A. I do not.

8 Q. Going back to the definition of the level of ordinary
9 skill, after "well drilling," you see -- actually, I'm
10 going to take it a little bit out of order. It refers
11 to "production" at the end.

12 A. Right.

13 Q. Production refers to the process of getting the oil or
14 gas out of the well. Is that fair to say?

15 A. Yes.

16 Q. Okay. Some of those operations use pumps as well.
17 Right?

18 A. Yes.

19 Q. What types of pumps do -- does production end up
20 using?

21 A. Well, the most common would just be a -- one of those
22 beam pumps you see dotting the land across the United
23 States where they're just using some sort of
24 artificial lift to help get the oil and gas out of
25 the well. So you'll see these beam pumps

1 cantilevered going up and down, as I'm indicating
2 with my arm.

3 Q. My friends that are in -- that are in the UK refer to
4 those as nodding donkeys, I think is what we're
5 talking about.

6 A. Nice.

7 Q. I think we're talking about the same equipment, yes?

8 A. Yes, I think so.

9 Q. Okay. All right. Are those types of pumps, are those
10 also fuel powered?

11 A. Those tend to be electric powered.

12 Q. Okay. Do you know why those are electric powered as
13 opposed to powered by diesel or some other liquid
14 fuel?

15 A. Well, in my estimation, it would be because it's just
16 a lot easier if you don't have to keep refueling your
17 diesel tank. Those electric pumps and these beam
18 pumps just run for years on end with minimal
19 intervention required, so you might as -- if you have
20 access to electric power, you are going to use that.

21 Q. And I recognize they are -- those pumps are not
22 necessarily pumping fluid into the well, they are
23 sucking the fluid out, but the pressure at which it's
24 doing that, do you know how that compares to the
25 pressure of a fracturing fluid?

1 MR. SZYPA: Objection. Form.

2 A. Well, the pressure at the bottom is going to be
3 whatever the hydrostatic pressure is in the well.
4 It's just the downhole pressure. I guess it could be
5 a little higher if there's surface pressure.

6 So generally speaking, we're talking about
7 lower pressures in general, and by the time they reach
8 the surface, it's very low pressure.

9 BY MS. FLANNERY:

10 Q. And then back to the definition there in paragraph 68,
11 we'll do the last one, at "completion," "completion
12 operations," generally what does that term mean as you
13 understand it?

14 A. Well, that's what you do to the well to prepare it
15 for production, in general. So completing refers
16 more to the -- you're putting casing in and you're
17 perforating and getting the well ready so that it can
18 flow back and produce.

19 Q. Okay. Fracturing is one type of well completion; is
20 that right?

21 A. Well, fracturing is commonly a step done in the
22 completion process.

23 Q. Okay. I think you mentioned a couple of other
24 examples of completion. Running a casing; is that
25 right?

1 A. Right.

2 Q. Are there any completion operations other than
3 fracturing that you're familiar with that involve
4 pumping a fluid down into the well?

5 A. Well, there are -- there are tools that operate in --
6 that are used in the completion process that require
7 pressure to engage or activate, so there -- it's --
8 there are pumps involved, there are places where you
9 need to pump fluid into the well bore for different
10 purposes. It's used in a different way than you
11 would during the fracking process.

12 Q. Can you give me an example of one of those types of
13 operations where you're using fluid -- you're
14 pumping -- you're using a pump to pump fluid into the
15 well for a completion operation other than fracturing?

16 A. Sure. So there are downhole tools that are part of
17 the tool strings that go into what we call completion
18 tools where you are required to activate the tool by
19 pumping pressure either through the annulus on the
20 outside of the tools or through the interior of the
21 tool string itself, and there -- that pressure,
22 high-pressure fluid, is pushed down to shift a
23 mechanism; for example, to set a packer or open up
24 ports or even open up frac ports. It could be tools
25 in the casing where you're applying pressure to open

1 up ports.

2 So that's the most common way. It's a way
3 of communicating and providing the energy you need to
4 shift something, to change its state.

5 Q. In those types of operations, do you have a sense as
6 to whether the pressure at which the -- that fluid is
7 pumped is higher or lower than a fracturing operation?

8 A. It would be lower.

9 Q. The pumps that are used in those types of operations,
10 again, going back to the 2016 time, so still in that
11 time period, were those pumps typically powered by a
12 fuel-like diesel?

13 A. That would certainly be the most common way to do it,
14 yes.

15 Q. Are there liquid fuels other than diesel that are used
16 to power pumps, like some of the ones that we've
17 described?

18 MR. SZYPA: Objection. Form.

19 A. I just don't recall. I can't think of an example.

20 BY MS. FLANNERY:

21 Q. What about other equipment at a well site? Certainly
22 there are trucks, normal pickup trucks that are used
23 at a well site in fracturing operations, yeah?

24 A. Sure, there are trucks. They would most often run on
25 diesel. Some might be gasoline, but I imagine diesel

1 is more common.

2 Q. Any other types of equipment that might be gasoline
3 powered as opposed to diesel?

4 A. I think diesel is generally preferred. I don't
5 recall coming across applications where gasoline is
6 preferred.

7 Q. In your experience, have you ever seen
8 gasoline-powered equipment on a frac site?

9 A. I don't recall.

10 Q. Back in the 2016 period, was natural gas used to power
11 fracturing pumps?

12 A. I'm not sure when natural gas started becoming more
13 common. I'm sure it was used in some locations
14 because of the prevalence of natural gas as all these
15 shale plays were being pursued in the middle of the
16 20-teens time period. I don't know exactly what year
17 those became more popular.

18 Q. Fracturing sites are usually remote sites, not in your
19 big cities. Right?

20 A. More often than not, yes.

21 Q. To generate electricity at a frac site just for, you
22 know, people to be able to be at a site comfortably,
23 do you know how that electricity is generated?

24 A. Well, I'm sure if they have an opportunity to use
25 natural gas, and natural gas is so prevalent, then

1 they try to use natural gas. Like I said, I don't
2 know exactly the timing. I know there are operations
3 that have used natural gas, that type of fuel, for
4 generating power or for all sorts of different things
5 because it's there.

6 Q. As of 2016, not -- even if they hadn't used natural
7 gas, would they have used diesel for those purposes?

8 A. Diesel has been around -- has been more prevalent
9 much longer. So, yes, I know diesel has been
10 around -- I'm sorry, has been more prevalent. I
11 don't know the details on a shift to natural gas,
12 when that might have occurred exactly.

13 Q. That's fair. And the order that I asked the questions
14 might have been confusing. All I want to confirm is
15 that as of 2016, using diesel or other liquid fuels to
16 generate power at a well site, not necessarily for the
17 fracturing pumps but for other things going on, that
18 would have been a common practice. Right?

19 MR. SZYPA: Objection. Form.

20 A. I don't know -- I'm sorry, did you ask me diesel --

21 BY MS. FLANNERY:

22 Q. Yes.

23 A. -- in 2016? I don't know exactly what was more
24 prevalent when. I know that there has been -- like I
25 said, there's use of natural gas, there's use of

1 diesel. I don't know in 2016 which one might have
2 been more prevalent, I just know there was still a
3 lot of equipment using diesel for frac.

4 Q. Okay. And the only point I'm trying to clarify is
5 that that equipment would have used -- would have
6 included power generators, not just fracturing pumps.
7 Correct?

8 MR. SZYPA: Objection. Form.

9 A. In general, they need power as well, so there would
10 be power generation on a well site using either
11 natural gas or diesel, maybe even some other fuel.

12 BY MS. FLANNERY:

13 Q. Do persons of skill in the art in this field -- again,
14 including 2016, but this may be true now -- would they
15 agree that time is money in fracturing operations?

16 A. Sure.

17 MR. SZYPA: Objection. Form.

18 BY MS. FLANNERY:

19 Q. And that's because all that equipment that we've been
20 talking about has to be paid for at the well site
21 while it's sitting there; is that right? That's at
22 least one reason.

23 A. That's right.

24 Q. And if that equipment is sitting there idle, not doing
25 its job, that's more time that's going to be paid for

1 for the equipment to perform the fracturing operation.

2 Fair to say?

3 A. That's generally true.

4 Q. Okay. I'd like you to go to -- well -- so in a
5 fracturing operation, would it be desirable to perform
6 the steps that you have to perform as quickly as
7 possible without sacrificing safety?

8 MR. SZYPA: Objection. Form.

9 A. That's just generally true in the oil field.

10 BY MS. FLANNERY:

11 Q. And fracturing isn't -- is not an exception to that.
12 Right?

13 A. Right.

14 Q. All right. Let's go to Exhibit 2, which I believe is
15 your declaration in the IPR on the '955 patent.

16 A. All right.

17 Q. Got it. All right. And if you could go to
18 paragraph 65, please.

19 I believe this is in a section of your
20 declaration where you kind of talk about the
21 background of the technology involved.

22 A. Yes.

23 Q. Okay. And there in paragraph 65, you state that, "A
24 POSITA would have known that not all hose reels have
25 fluid passages to the hose."

1 Do you see that?

2 A. I do.

3 Q. When you're talking there about hose reels, does that
4 include hose reels that are used in fueling
5 operations?

6 A. This is just a general statement, so it includes
7 everything.

8 Q. Okay. Is that true of hose reels that a person of
9 skill in the art would have considered in designing
10 fueling systems?

11 MR. SZYPA: Objection. Form.

12 A. This is just a general statement. It would include
13 everything. So not all hose reels have a fluid
14 passage to the -- to the hose. That's -- it's just a
15 very general statement. Applies to everything.

16 BY MS. FLANNERY:

17 Q. It applies to everything including fueling systems.
18 Correct?

19 A. It's just in general. I'm not excluding or including
20 anything in particular.

21 Q. Okay. Further down in that paragraph, you've got a
22 drawing here. I assume that's a drawing that you
23 pulled from a patent; is that right?

24 A. Yes.

25 Q. You referred to Exhibit 2008. I will confess, I

1 looked at Exhibit 2008 and I could not find this
2 figure. I don't think we need to make a big goose
3 chase out of it because I think I found the patent. I
4 want to just confirm that I have the right patent.

5 A. Okay.

6 MARKED FOR IDENTIFICATION:

7 EXHIBIT 4

8 9:42 a.m.

9 BY MS. FLANNERY:

10 Q. If you could -- my only question here is if you could
11 just confirm that this is the patent where you got
12 that example out of.

13 A. Yes, it appears to be.

14 Q. So you cited this patent as an example in your
15 declaration of a hose reel that doesn't connect --
16 doesn't have a fluid passage; is that right?

17 A. That's right.

18 Q. In your view, this is a patent -- this is at least one
19 patent, one example of a patent that a person of skill
20 in the art who is designing a fueling system would
21 have -- at least could have become aware of.

22 A. I think that's fair.

23 Q. So going back to your statement, "A person of skill in
24 the art would have known that not all hose reels have
25 fluid passages to the hose," I want to take the

1 converse of that statement.

2 Would a person of skill in the art have
3 known that some hose reels had a fluid passage to the
4 hose?

5 A. A person of ordinary skill would be aware that there
6 are hoses out there that have -- excuse me -- that
7 some reels are out there that have fluid passages
8 through them.

9 Q. And the Coxreels reference -- you're familiar with
10 that reference in this case?

11 A. I am.

12 Q. That's an example of one of those types of hose reels
13 that has a fluid passage?

14 MR. SZYPA: Objection. Form.

15 A. Coxreels is an example, yes, of a reel that has a
16 fluid passage.

17 BY MS. FLANNERY:

18 Q. There are other examples of hose reels that a person
19 of skill in the art would have been aware of that had
20 fluid passages. Fair to say?

21 MR. SZYPA: Objection. Form.

22 A. I mean, I can't say specifically -- again, my focus
23 has been on rebutting the petition and what
24 Dr. Durham has written in his report, so I have
25 specifically focused on the Coxreels example that he

1 provided.

2 So I don't know -- I haven't gone and
3 looked, to answer your question, for other examples.
4 I focused on what the petition contained.

5 BY MS. FLANNERY:

6 Q. Okay. In your experience, are you aware of hose reels
7 other than the one shown in Coxreels that has a fluid
8 passage?

9 MR. SZYPA: Objection. Form.

10 A. Yeah, I have seen other reels in my experience.

11 BY MS. FLANNERY:

12 Q. Is that other than the residential ones that you
13 mentioned?

14 MR. SZYPA: Objection. Form.

15 A. I can't recall specifically if I have or I haven't.

16 BY MS. FLANNERY:

17 Q. Okay. Let's stick with the -- let's see, I think it's
18 Exhibit 2, your declaration on the '955 patent IPR,
19 and go to paragraph 71, please.

20 A. Okay.

21 Q. Okay. In the heading of this section you refer to
22 some claim language that I guess is in Claims 1, 11,
23 and 19, "a hose connected with a different one of the
24 reels." Do you see that?

25 A. I do.

1 Q. Okay. And in paragraph 71, you give the opinion that
2 that phrase "should be construed to mean that the an
3 end of the hose is coupled to the reel." Is that
4 right?

5 A. That's right.

6 Q. So it's your opinion that describes -- that that
7 phrase describes the type of connection between the
8 hose and the reel.

9 A. I don't know about "describes the type of
10 connection," it just -- when it says the hose is
11 connected with a different one of the reels, it means
12 it's connected, as in the end of the hose is somehow
13 fluidically coupled to the reel. It's not just wound
14 on it without that connection. So I'm just referring
15 to that.

16 Q. Okay. So is it your opinion that a hose that is
17 wound -- just wound around the reel, not connected the
18 way you described a second ago, that it has no
19 connection to the reel?

20 MR. SZYPA: Objection. Form.

21 A. That's what I'm saying. And that's what this
22 construction -- my interpretation of this
23 construction is that it's just wound on the reel. It
24 does not mean that it's connected to the reel.

25 MARKED FOR IDENTIFICATION:

1 EXHIBIT 5

2 9:48 a.m.

3 BY MR. SZYPA:

4 Q. I'm going to hand you what has been marked as
5 Exhibit 5. This is a copy of the '955 patent. Can
6 you confirm that?

7 A. Yes, it is.

8 Q. I'm going to turn you to the claims, specifically
9 Claim 1.

10 And I'll refer you to the limitations,
11 about five limitations down, "a plurality of hoses,
12 each said hose connected with a different one of the
13 reels."

14 Do you see that?

15 A. I'm getting to the edge of what I can see without my
16 glasses, unfortunately. I'm sorry.

17 Q. It's okay. I mean, we've been going about 50 minutes,
18 if you want to take a break now so you can do that.

19 MR. SZYPA: We'll take a break.

20 (Off the record at 9:48 a.m.)

21 (Back on the record at 9:58 a.m.)

22 BY MS. FLANNERY:

23 Q. Dr. Rodgers, we were referring to Claim 1 of the '955
24 patent, which is Exhibit 5, before the break. Do you
25 recall that?

1 A. I do.

2 Q. All right. And about the fifth, I think, limitation
3 down, the limitation that starts, "A plurality of
4 hoses, each said hose connected with a different one
5 of the reels."

6 Do you see that?

7 A. Yes.

8 Q. Okay. And that's the language that we were referring
9 to from -- you referred to as elements 1E, 11E, and
10 19E in your declaration. Correct?

11 A. 19F, I think.

12 Q. Oh, thank you.

13 A. What I see here. But yes.

14 MR. SZYPA: He's got his glasses now.

15 THE WITNESS: Yeah, I can see now.

16 BY MS. FLANNERY:

17 Q. I appreciate it. I appreciate it.

18 So a little further along in that
19 limitation, right after where we just left off, it
20 says, "Wherein a portion of the reels are connected to
21 be fed from the first manifold."

22 Do you see that?

23 A. I do.

24 Q. Does that phrase describe a relationship between the
25 hose and the reel, hoses and the reel?

1 MR. SZYPA: Objection. Form.

2 A. "A portion of the reels are connected to be fed."

3 So, yes, it's referring to the reels.

4 BY MS. FLANNERY:

5 Q. Okay. Does that describe a connection between the
6 reels and any of the hoses?

7 A. That particular connection is referring to the
8 connection to the manifold, if that's what you're
9 asking.

10 Q. Okay. So is it your position that's not referring to
11 a connection to the hoses?

12 A. So just the phrase, "wherein a portion of the reels
13 are connected to be fed from the first manifold,"
14 that's not referring to the hose side of the
15 connection, it's referring to the manifold side of
16 the connection to the reel.

17 Q. Okay. And you agree that that language, that requires
18 a fluid connection between a portion of the reels and
19 the first manifold?

20 A. Well, that phrase, "wherein a portion of the reels
21 are connected to be fed," is referring to a fluid
22 connection from the manifold to the reel so that
23 fluid can be fed to the reel.

24 MARKED FOR IDENTIFICATION:

25 EXHIBIT 6

1 10:01 a.m.

2 BY MS. FLANNERY:

3 Q. All right, you've been handed what's been marked as
4 Exhibit 6 to your deposition, which is a copy of the
5 Van Vliet reference. Do you see that?

6 A. I do.

7 Q. And this is a reference you've reviewed in the course
8 of your work in this case.

9 A. Yes, it is.

10 Q. I wanted you to have that because I'm going to have
11 some questions in your declaration from the '955
12 patent that relate to that. And that's Exhibit 2.

13 So let's go to Exhibit 2. And go to
14 paragraph 87 of that one, please.

15 A. Okay.

16 Q. And there in paragraph 87, you've got a reproduction
17 of Figure 1 from Van Vliet. Do you see that?

18 A. I do.

19 Q. You'd agree that at least this figure discloses a
20 plurality -- a system that has a plurality of hoses?

21 A. Yes, it does.

22 Q. Okay. And you agree that each of those hoses -- those
23 are labeled 24 in the photo -- in the figure, yes?

24 A. Yes.

25 Q. You agree that each of those hoses 24 is associated

1 with its own reel 30?

2 A. Yes, I agree that it's associated.

3 Q. Okay. The reels 30 that are in this diagram, how are
4 the spindles of those reels oriented relative to the
5 side wall of the trailer that's shown here?

6 And obviously if you need to refer to
7 Van Vliet as well, you have it.

8 A. Thank you.

9 MR. SZYPA: Objection to form.

10 A. Yeah, I don't recall. Just looking at Figure 1, I
11 don't recall -- I don't see it in Figure 1 and I
12 don't recall if it specifies -- where it specifies.
13 I'd have to -- if you can refer me to something in my
14 report that would remind me, but I don't remember
15 that it specifies the orientation of Van Vliet.

16 BY MS. FLANNERY:

17 Q. Yeah, I'm not aware of anything either, but at least
18 in Figure 1, your understanding of that figure, it
19 doesn't specify what the orientation of the reels is?

20 A. I don't see it.

21 Q. What are the possible orientations that a person of
22 skill in the art would understand from looking at this
23 diagram?

24 MR. SZYPA: Objection. Form.

25 A. Well, from -- you know, this diagram doesn't help me

1 or would not help a POSITA in determining
2 orientation, is what I'm saying.

3 BY MS. FLANNERY:

4 Q. Okay. Would a POSITA have an understanding as to what
5 the possible orientations are?

6 A. I think a POSITA would, yeah, know that there are
7 many ways a reel can be orientated.

8 Q. And what are some of those possible orientations
9 relative to the side wall of the trailer?

10 MR. SZYPA: Objection. Form.

11 A. I would imagine you could orient it with a spindle
12 parallel to the wall or with a spindle perpendicular
13 to the wall, for example.

14 BY MS. FLANNERY:

15 Q. Okay. In this Figure 1, the hose 24 comes away from
16 the reel and toward the side wall. Do you see that?

17 A. I do.

18 Q. Does that give you any indication as to how the
19 spindle of the reel is oriented?

20 A. Not necessarily, no.

21 Q. You'd agree that the hoses are deployed through the
22 side wall of the trailer in this figure. Right?

23 MR. SZYPA: Objection. Form.

24 A. Well, just -- with the caveat that this is a
25 schematic. It's not, you know, like a detailed

1 drawing of the physical layout of the trailer. The
2 hoses seem to come out through the one side wall.

3 BY MS. FLANNERY:

4 Q. Okay. So even though Figure 1 is a schematic, you can
5 at least tell that level of information from it?

6 MR. SZYPA: Objection. Mischaracterizes
7 the testimony.

8 A. I would have to read to see if Van Vliet describes
9 that more specifically, but the indication is that
10 they seem to have the intent to show they're coming
11 off that side wall. That's just an estimation.

12 BY MS. FLANNERY:

13 Q. Okay. If we take your estimation, if we assume that
14 that is true in Van Vliet, what would be the most
15 logical orientation for the reel spindles?

16 MR. SZYPA: Objection. Form.

17 A. The most logical for a POSITA?

18 BY MS. FLANNERY:

19 Q. Yes.

20 A. Well, I think a POSITA would orient the spindle
21 parallel to the wall. But I don't know that this is
22 something that's stated anywhere. I don't recall it.

23 Q. You agree that in Figure 1, Van Vliet discloses two
24 manifolds actually. Correct?

25 A. That's correct.

1 Q. And it shows one manifold serving one portion of the
2 hoses and the other manifold serving the other
3 portion?

4 A. Yes, that's right.

5 Q. Okay. Do you agree that all of the fluid delivered by
6 the Van Vliet system shown here has to pass through a
7 manifold to be delivered out one of the hoses?

8 A. That appears to be correct.

9 Q. Let's flip to Van Vliet, Exhibit 6. And if you could
10 please go to paragraph 16 of the specification.

11 A. Okay.

12 Q. Okay. I guess the second sentence begins, "The fuel
13 outlets 22," do you see that, about three lines down?

14 A. Yes.

15 Q. And it says that those fuel outlets 22 are located on
16 the manifolds 36 and 38 and fluid flow through the
17 fuel outlets 22 is controlled preferably at least by
18 the manual valves 28.

19 Do you see that?

20 A. I do.

21 Q. And in Figure 2, those valves 28 are shown -- those
22 are shown upstream of the reels. Do you see that?

23 A. I believe you're referring to Figure 1?

24 Q. Yes, Figure 1. Sorry about that.

25 A. Yes, that's correct.

1 Q. Okay. Do you agree that a person would need to be
2 able to access those manual valves directly to operate
3 them?

4 A. That's correct, they would need to.

5 Q. Okay. A person of skill in the art would understand
6 that?

7 A. Yes.

8 Q. And so Van Vliet's trailer would need to be arranged
9 to allow a person to access those manual valves 28?

10 MR. SZYPA: Objection. Form.

11 A. Yeah, I think a POSITA would understand that you need
12 to access a manual valve to operate it.

13 BY MS. FLANNERY:

14 Q. Would it make sense to a POSITA to design the trailer
15 of Van Vliet in a way that you couldn't access those
16 valves?

17 MR. SZYPA: Objection. Form.

18 BY MS. FLANNERY:

19 Q. I'm sorry, what did you say?

20 A. I suppose there are many ways you can access a valve.
21 It depends on the function of the valve, how often
22 the valve is to be used, so I wouldn't just
23 generally -- I don't know. I wouldn't draw any
24 general conclusions about that.

25 Q. So you don't have an opinion one way or the other

1 about whether somebody in Van Vliet would design the
2 interior of the trailer to allow a person to access
3 those valves directly?

4 MR. SZYPA: Objection. Form.

5 A. Generally speaking, a manual valve has to be
6 accessible in order to be used. What I'm saying is
7 that, at least in our discussion right here, we
8 haven't discussed what the purpose of that specific
9 manual valve is, how it's to be accessed, when it's
10 to be accessed. I'm just saying there are other
11 factors that a POSITA would consider.

12 BY MS. FLANNERY:

13 Q. Okay. Van Vliet states that the fuel outlets -- that
14 the flow through the fuel outlets 22 is controlled by
15 those manual valves. Correct?

16 A. I believe that's correct.

17 Q. And fluid flow through those outlets has to be
18 controlled in order for the system to dispense fuel.
19 Correct?

20 MR. SZYPA: Objection. Form.

21 A. Well, I'll just qualify that and say, if the valves
22 are open all the time, because valve 58 are the
23 automatic valves or the electrically controlled
24 valves, you could have valve 28 open all the time and
25 never have to access them. That's my point.

1 So as long as valve 28, all of them are
2 open, then you can just electronically control,
3 automatically control 58 and control the flow of fuel.

4 BY MS. FLANNERY:

5 Q. If you go a little further down into paragraph 20,
6 it's just on the other side of that same page there,
7 it's sort of toward the bottom, it's the sentence that
8 begins, "Having a manual override." Do you see that?

9 A. I do.

10 Q. Okay. And it says, "Having a manual override may be
11 important to a customer."

12 Do you see that?

13 A. I do.

14 Q. Do you understand that sentence and the sentence that
15 follows it is talking about the manual valves 28?

16 A. I see that, yes.

17 Q. So based on that, would a POSITA understand that the
18 flow of fluid through the fluid outlets could be
19 controlled solely using the automatic valves 58?

20 A. Well --

21 MR. SZYPA: Objection. Form.

22 A. -- the sentence as you just referred to in
23 paragraph 20 indicates that valves 28 are for the
24 purpose of the manual override, and so that would
25 indicate that you would need to be able to access

1 that manual override in order to cut the flow, if you
2 wanted to, manually.

3 BY MS. FLANNERY:

4 Q. Okay. So a person -- a human being would need to be
5 able to access valves 28 at least to initiate the
6 manual override that's described here. Correct?

7 A. Yes. A human being would have to access them in some
8 way. We don't know exactly how they accessed them,
9 but some way to control that manual valve.

10 Q. In some way. What are the ways that a human could
11 access that other than putting their hand on it?

12 MR. SZYPA: Objection. Form.

13 A. This is -- that's actually what I was exactly getting
14 to. So just because it has to be accessible doesn't
15 mean you have to put your two hands on it and turn a
16 handle. It could be something that you use a tool to
17 reach it from some distance. I don't know. There's
18 many ways you can access a manual valve in order to
19 change it from open to closed state. That's the
20 point I was making.

21 BY MS. FLANNERY:

22 Q. Okay. Would accessing it with your hands be the
23 simplest way?

24 MR. SZYPA: Objection. Form.

25 A. It's pretty simple to use a tool, so I don't know.

1 There's -- you know, just going off course here a
2 little bit, but, you know, utility valves that are in
3 the ground for civil purposes in cities, wherever,
4 you don't send a man down into the ground to turn a
5 handle on a valve. You use a tool to reach into the
6 ground so the man doesn't have to go in there and
7 turn the valve, is what I'm saying.

8 They would use a rod with some sort of
9 feature on the end that they can turn from the surface
10 so you don't have to actually touch the valve. That's
11 what I'm saying. I don't know that they have to put
12 their hands on it.

13 There are very easy ways to do it and it's
14 done all over the place using a tool.

15 BY MS. FLANNERY:

16 Q. I'm just asking about the two options, putting a
17 person's hand on it versus using a tool. Of those
18 two, which is simpler?

19 MR. SZYPA: Objection to form.

20 A. It depends on how you define --

21 MR. SZYPA: Asked and answered.

22 THE WITNESS: Sorry.

23 MR. SZYPA: You can answer.

24 A. It depends on how you define "simpler." There are
25 advantages and disadvantages. They are both very

1 simple, in my mind.

2 BY MS. FLANNERY:

3 Q. A manual override, is that something that might be
4 used like in the case of an emergency?

5 A. It's possible.

6 Q. In the case of -- in the case of an emergency, would
7 it be simpler for someone to access something with
8 their hands or to have to look for the tool to actuate
9 the valve?

10 MR. SZYPA: Objection. Form.

11 A. Well, the way you ask the question, you assume the
12 tool is not already there attached to the valve. You
13 could have a tool attached to that valve so it was
14 accessible all the time without having to go look for
15 the tool, and then it's just equally simple and safe.

16 BY MS. FLANNERY:

17 Q. So in your view, it's equally -- it's equally
18 effective in that circumstance for someone to access a
19 tool that's there versus simply using their hands to
20 turn the manual valve?

21 A. Yes. Same either way.

22 Q. In that circumstance where you have the tool that's
23 there at the valve, the tool would need to be
24 accessible. Correct?

25 A. That's true.

1 Q. Let's see, let's flip back to your declaration on the
2 '955 patent IPR, Exhibit 2, and let's go to
3 paragraph 104.

4 A. Okay.

5 MR. SZYPA: I'm sorry, which one was that?

6 MS. FLANNERY: This is Exhibit 2,
7 paragraph 104.

8 BY MS. FLANNERY:

9 Q. Also discussing the Van Vliet reference that we were
10 just talking about. Correct?

11 A. Yes.

12 Q. Okay. And, I'm sorry, I'm going to skip to 105, which
13 is the immediately following paragraph. Still talking
14 about Figure 1 of Van Vliet. Correct?

15 A. Yes.

16 Q. Okay. And here you state that, "Figure 1 is a
17 simplified or symbolic representation."

18 Do you see that?

19 A. Right.

20 Q. Do you use those words kind of interchangeably with
21 "schematic"?

22 A. Meaning "symbolic representation" with "schematic"?

23 Q. Yes.

24 A. Yeah, I think that's fine.

25 Q. Okay. For any of those words, I guess the point is

1 that what's shown is not a physical representation
2 like a photograph or a physical -- you know, physical
3 rendering of what is shown here in Figure 1. Right?

4 A. That's right.

5 Q. Okay. Would you agree that Figure 1 shows connections
6 or relationships between the different number pieces
7 of equipment?

8 A. Yes.

9 Q. Would you agree that it shows fluid flow?

10 A. To some extent.

11 Q. It shows the path through which fluid flows through
12 the different pieces of equipment that are shown
13 there?

14 A. To some extent.

15 Q. Would you agree that the reels 30 -- well, let's just
16 take one of them so that I'm not asking my question in
17 a plural.

18 So the reel 30 that's all the way down on
19 the left, do you see that?

20 A. Yes.

21 Q. Okay. Do you agree that that reel is between the
22 hose 24 and the fluid outlet 22?

23 MR. SZYPA: Objection. Form.

24 A. I believe that in this symbolic representation or
25 schematic, it is physically drawn in-between that

1 box -- it's between 22 and 24, but I do not believe
2 that it's, you know, fluidically connected because
3 the -- Van Vliet specifically says it isn't, as I
4 wrote in my declaration.

5 BY MS. FLANNERY:

6 Q. So I just got a little bit confused. You said that it
7 is physically located between fluid outlet 22 and the
8 hose 24.

9 A. Yes, but --

10 MR. SZYPA: Objection. Hold on. I
11 don't -- is that even a question?

12 MS. FLANNERY: I just want to make sure he
13 said it.

14 MR. SZYPA: Okay, then, objection.
15 Mischaracterizes testimony.

16 Go ahead.

17 A. I used a poor word. I should have clarified. I
18 meant physically looking at this drawing on the page,
19 as in the ink on the page, not in 3D real space. I
20 mean, I'm talking in the two-dimensional paper.

21 BY MS. FLANNERY:

22 Q. Understood. So let me try to get at, perhaps, a
23 clearer question that you can agree with without
24 getting into what's in the ink.

25 Is the -- is the reel shown schematically

1 located between fluid outlet 22 and hose reel 24?

2 MR. SZYPA: Objection. Form.

3 A. Yeah, on the paper, it is between -- it is drawn
4 between 22 and 24. It is not an indication that it
5 is connected through the reel.

6 BY MS. FLANNERY:

7 Q. So in this schematic, what is the relationship between
8 those two? Between -- what is the relationship
9 between fluid outlet 22, reel 30, and hose 24?

10 A. Well, it is an indication that the hose is wrapped
11 around the reel. So you have to go from 22 to 24 by
12 wrapping the hose around the reel, but it does not
13 indicate a fluid passageway through the reel.

14 Q. Okay. What in Figure 1 indicates that the hose is
15 wrapped around the reel?

16 MR. SZYPA: Objection. Form.

17 A. Well, that comes from reading the specification.

18 BY MS. FLANNERY:

19 Q. Okay. Let's go to the specification. Can you point
20 me to what describes that?

21 A. Well, it's referring to my declaration, which refers
22 to paragraph 22, that says, "Each hose 24 is
23 connected to a fuel outlet 22 by a dry connection 60
24 and to a cap 26 by a dry connection 62."

25 So that quote tells me that the hose is

1 connected to the fuel outlet directly, not going
2 through the reel, which leaves the reel to simply be
3 a place to store a hose. It's wound around -- the
4 hose would be wound around the reel, but not
5 fluidically connected through the reel.

6 Q. Okay. So it's the description in paragraph 22 that
7 supports -- that you believe supports your reading
8 that the hose is wrapped around the reel.

9 A. Yes, I believe a POSITA would understand the reel is
10 there for a reason. It's not fluidically connected
11 but it's there so you can wind the hose around the
12 reel for storage.

13 Q. In building a refueling system like the one that's
14 shown in Van Vliet, do you agree that a POSITA would
15 try to minimize the cost in building it?

16 MR. SZYPA: Objection. Form.

17 A. There are so many factors involved, so many
18 tradeoffs, so I can't just say you minimize cost.
19 You have so many different variables that I can't
20 agree to that.

21 BY MS. FLANNERY:

22 Q. Okay, fair enough. All else being equal, other than
23 two different cost options, would you agree that a
24 POSITA building a system like Van Vliet would choose
25 the option that would provide a lower cost, all other

1 variables being equal?

2 MR. SZYPA: Objection. Form.

3 A. That's so hard to think about. There's a lot of
4 reasons you would go with more costly materials or
5 equipment because you want a better quality product,
6 you want something that the customers are going to
7 appreciate is better quality, so it's hard for me to
8 say.

9 All other factors being equal, I don't
10 really know what -- how to apply that. You don't just
11 pick the cheapest thing from Amazon and put it on your
12 diesel refueling trailer, right?

13 BY MS. FLANNERY:

14 Q. Understood.

15 A. That's what I'm saying.

16 Q. Cost would at least be one of the factors that a
17 person of skill in the art would consider in designing
18 a refueling system like Van Vliet. Is that fair to
19 say?

20 A. Yes.

21 Q. And in general, the consideration of that cost would
22 be -- for that one factor, they would prefer that the
23 cost would be lower than higher. True?

24 A. That's generally true.

25 Q. Okay. In building a system like Van Vliet's, do you

1 agree that a person of skill in the art would look to
2 literature to understand what kinds of hose reels
3 would be available to use as hose reel 30?

4 MR. SZYPA: One second.

5 Objection. Form.

6 A. A POSITA might go look for information on hose reels,
7 yes.

8 BY MS. FLANNERY:

9 Q. Okay. Do you think they would look at -- would a
10 person of skill in the art look at information about
11 commercially available hose reels in designing that
12 kind of system?

13 MR. SZYPA: Objection. Form.

14 A. I suppose they would, but I think the important point
15 here is that the petition relied on Coxreels. So I'm
16 not sure why we're talking about commercially
17 available reels other than Coxreels. That's what I
18 did my analysis on.

19 BY MS. FLANNERY:

20 Q. If a person of skill in the art who was designing the
21 Van Vliet system, if they decided that commercially
22 available hoses like Cox -- hose reels like the one in
23 Coxreels wasn't acceptable, would they look for other
24 possibilities or would they try to design the hose
25 reel from scratch?

1 MR. SZYPA: Objection. Form.

2 A. I don't know what they would do. They have many
3 options they could consider.

4 BY MS. FLANNERY:

5 Q. Designing a hose reel from scratch would be one
6 option?

7 A. I'm sure that's an option, yes.

8 Q. Okay. If they decided to do that, would a person of
9 skill in the art consider known reel designs in
10 putting together that design from scratch?

11 MR. SZYPA: Objection to form.

12 A. A POSITA could go and look at whatever they wanted
13 to. That would include other reels out there, sure.

14 BY MS. FLANNERY:

15 Q. So even though they were designing one from scratch,
16 it's not like they would ignore designs that exist in
17 putting that design together. Fair to say?

18 MR. SZYPA: Objection to form.

19 A. Yeah, this is just a hypothetical, nothing I've
20 analyzed, but I suppose a POSITA would hypothetically
21 go look and see what else is out there.

22 BY MS. FLANNERY:

23 Q. Would they consider -- in looking to see what else is
24 out there, would they consider looking at the types of
25 features or functionalities that other reels have?

1 MR. SZYPA: Objection. Form.

2 A. Hypothetically, they would certainly look at what
3 other types of reels are out there and what types of
4 features they had.

5 BY MS. FLANNERY:

6 Q. Would they look at sort of the size, the dimensions of
7 the reels that are out there, in considering how to
8 design their own?

9 MR. SZYPA: Objection. Form.

10 A. I don't know why they would need to, really.

11 BY MS. FLANNERY:

12 Q. So it's your opinion that a person of skill in the art
13 wouldn't need to look at the dimensions of existing
14 reels in designing their own?

15 A. I'm not sure why they would need to. They could look
16 if they wanted to. They don't -- they wouldn't need
17 to look. You would design it to whatever dimensions
18 you desired for your application.

19 Q. If you were designing or selecting -- well, not you.
20 Let me phrase it a little more broadly.

21 If a person of skill in the art was
22 designing or selecting a hose reel to use as hose 30
23 in the Van Vliet system, what are some of the features
24 that a person of skill in the art would have
25 considered important for that?

1 MR. SZYPA: Objection. Form.

2 A. I don't know. This is not any analysis I've done for
3 my declaration. I don't know how to answer that.
4 They would consider all features, whatever they
5 needed for the job. I don't know.

6 BY MS. FLANNERY:

7 Q. Okay. Would they consider the -- some sort of
8 functionality that allows the hose to wrap flat
9 without crimping?

10 MR. SZYPA: Objection to form.

11 A. I would say they would try to avoid crimping the
12 hose. I'm not sure about features for that purpose.
13 I'm not sure.

14 BY MS. FLANNERY:

15 Q. So you don't know if a person of skill in the art
16 would consider it important for the hose reel to have
17 some sort of way of making sure that the hose, the
18 coils -- the coil wraps lay flat?

19 A. I don't know that that's necessary because you could
20 just manually wind the hose on and make sure it lays
21 flat or you could have a feature that makes it lay
22 flat. There's no indication that a POSITA would go
23 one way or the other. They have many options.

24 Q. Having the wraps lay flat, that -- having that result
25 at least would be important in the hose reels of

1 Van Vliet. Do you agree with that?

2 MR. SZYPA: Objection to form.

3 A. It's generally a good idea not to crimp or damage
4 your hose. You want it to lay flat. I guess I'll
5 use the term, I guess it's a little -- I'll use the
6 term loosely, but I know what you mean.

7 BY MS. FLANNERY:

8 Q. Okay. And you said there are different ways that
9 someone could do that in the system of Van Vliet; they
10 could either have somebody make sure that happens
11 manually or there could be some functionality in the
12 reel itself that helps with that?

13 MR. SZYPA: Objection. Form.

14 A. That's nothing I've analyzed. I'd just assume a
15 POSITA would know that there are different ways to
16 achieve that.

17 BY MS. FLANNERY:

18 Q. Let's go to your declaration in the '805 patents,
19 Exhibit 1. It may be low in the stack. We haven't
20 talked about it in a while.

21 A. Exhibit 1, yes.

22 Q. And turn to paragraph 127.

23 A. Okay.

24 Q. Okay. And I'm looking at a sentence about two-thirds
25 of the way down that paragraph, it begins, "In order

1 for the hose to be properly directed onto the reel."

2 Do you see that?

3 A. Yes.

4 Q. Okay. And just to be clear, in this paragraph you're
5 talking about a system of Van Vliet as modified by
6 Cable; is that right?

7 A. That's right.

8 Q. And so you stated that, "In order for the hose to be
9 properly directed onto the reel, roller guides or
10 other features would need to force the hose entering
11 through the side wall to make at least one 90-degree
12 bend to turn into the reel."

13 Do you see that?

14 A. I do.

15 Q. And then a little -- if you want to read the sentence
16 in-between, that's fine, but I'm going to skip down to
17 the next sentence. It says, "As the 700 feet of hose
18 is wound onto the reel, it will become impossible for
19 the hose to wrap onto the drum in any controlled
20 manner as all the hose will tend to pile up on one
21 location."

22 Do you see that?

23 A. I do.

24 Q. So this is a concern that you believe a person of
25 skill in the art would have in using hose reels in

1 Van Vliet.

2 MR. SZYPA: Objection. Form.

3 A. This is a concern I have using the hose reels as
4 taught by Cable specifically.

5 BY MS. FLANNERY:

6 Q. Okay. So using a hose reel oriented in the way that
7 Cable describes, you believe a person of skill in the
8 art would have this concern that you've described.

9 MR. SZYPA: Objection to the form.

10 A. That's correct.

11 BY MS. FLANNERY:

12 Q. Okay. A couple of sentences down, you note that,
13 "This is particularly challenging given the 700-foot
14 length and small 3/8-inch diameter of the specified
15 hose."

16 Do you see that?

17 A. I do.

18 Q. In the next sentence you say, "The long small diameter
19 hose is much more difficult to wind onto the reel as
20 the settling of the coil wraps and effects of twist in
21 the hose will create a compounding challenge."

22 Do you see that?

23 A. I do.

24 Q. Could that happen regardless of the orientation of the
25 hose -- or regardless of the orientation of the reel?

1 Excuse me.

2 A. It's not as big a challenge -- of a challenge, but it
3 still could be a challenge.

4 Q. So this challenge that you've described here of having
5 this coil wrap settle, regardless of how the reels in
6 Van Vliet are oriented, that could be a potential
7 challenge.

8 MR. SZYPA: Objection. Form.

9 A. I'm saying it could be -- it could be a potential
10 challenge. It's certainly a much bigger challenge
11 using Cable's orientation.

12 BY MS. FLANNERY:

13 Q. And I think we talked about before, Van Vliet doesn't
14 actually say how those reels are oriented; is that
15 right?

16 A. I think that's where we left it, unless there's
17 something I'm not remembering in the specification.

18 Q. That's what I remembered as well.

19 And so in designing the system in
20 Van Vliet, regardless of how those reels are oriented,
21 this is at least one challenge that a person of skill
22 in the art would have considered.

23 MR. SZYPA: Objection to form.

24 A. Yeah, if a -- I disagree with the use of a 3/8 hose
25 at 700 feet long in general, so I don't think a

1 POSITA would choose that in the first place so it's
2 not as big an issue, but if a POSITA were -- if we
3 were to assume a POSITA wanted to use a 700 foot,
4 3/8 inch diameter hose, then a POSITA would know that
5 would be something to consider.

6 BY MS. FLANNERY:

7 Q. If you were using a shorter hose or a wider or a
8 larger diameter hose, are you saying this wouldn't be
9 a problem at all?

10 MR. SZYPA: Objection. Form.

11 A. I'm saying it's not necessarily no problem at all,
12 but the risk diminishes that you will have an issue.
13 The larger the diameter of the hose and probably the
14 shorter the length, the less risk there is of having
15 some sort of problem reeling it in.

16 BY MS. FLANNERY:

17 Q. So the risk is never zero no matter what size of hose
18 or length of hose you're using, right, in terms of
19 risk of coil wraps not settling.

20 A. Well, I'm sure we could find a zero case, but it
21 diminishes.

22 Q. Okay. A sliding scale, you would say?

23 A. Yes.

24 Q. Okay. And a POSITA would recognize that in designing
25 a system for Van Vliet?

1 A. They would recognize that there is risk and it
2 depends on the length and the diameter.

3 Q. The Van Vliet trailer is mobile, it's intended to be
4 towed from location to location. Right?

5 A. That's right.

6 Q. When you're transporting that trailer, would it be
7 problematic if the reels sort of turn on their own
8 while you're driving down the highway, different
9 forces are acting on those reels?

10 A. It seems like something you would want to avoid.

11 Q. Okay. You would avoid that in designing the Van Vliet
12 system.

13 A. If there was actually a risk of that, I would imagine
14 you would do something to mitigate that risk.

15 Q. What are some of the things you might do to mitigate
16 that risk?

17 A. I don't know. I can't remember if Van Vliet talks
18 about a specific method for that concern. I don't
19 recall.

20 Q. Are there ways of doing that that a POSITA would be
21 familiar with?

22 A. I'm sure a POSITA could come up with ways of
23 mitigating that.

24 Q. In 2016, the time that the patents were filed in this
25 case, were there known ways of preventing a hose reel

1 from spinning on its own like that?

2 A. Yes, I'm sure there are ways that you could keep a
3 hose reel from spinning.

4 Q. Can you give any examples of that?

5 MR. SZYPA: Objection. Form.

6 A. This is not something I've looked into, just
7 hypothetically you would put something on it to keep
8 it from rotating, some sort of a lock.

9 BY MS. FLANNERY:

10 Q. And so that's one thing a person of skill in the art
11 might consider doing in designing the system of
12 Van Vliet.

13 A. If that was a concern of theirs, then, yes, they
14 could consider something like that.

15 Q. Do you have any reason to think that that wouldn't
16 happen in the system of Van Vliet?

17 A. I don't know if there would be any forces that would
18 cause those reels to turn. I don't know. I don't
19 know that. It would depend on the reels and the
20 hoses and factors that I'm not familiar with.

21 Q. Okay. Even though the trailer is intended to be
22 driven down the highway? Or, I guess, attached to a
23 vehicle driven down the highway?

24 A. Yeah, it would depend on the design of the rest of
25 the system, see if there was a risk or not.

1 Q. Okay. I think we talked earlier when we were talking
2 about your declaration, the '955 patent, that you
3 talked about it was known that there were some hose
4 reels used for storing a hose that had fluid passages
5 in the reel, those were known in 2016. Correct?

6 MR. SZYPA: Objection. Form.

7 A. Yes, that was known.

8 BY MS. FLANNERY:

9 Q. And there were hose reels that were known as of that
10 time that did not have a fluid passage through the
11 reel. Correct?

12 A. That is correct.

13 Q. And are those two options, were those known in the
14 fueling context?

15 A. I mean, there's nothing in the petition that
16 describes that. Are you asking generally, or...

17 Q. I'm asking about your knowledge.

18 A. To my knowledge personally, I don't know. I don't
19 know that I thought about it before working on this
20 particular matter, whether there were or weren't in
21 2016. I don't know.

22 Q. Okay. So we have one category at least, as to the --
23 as to the fluid passage element, one category, hose
24 reels that have a fluid passage and hose reels that
25 don't have a fluid passage. Those are the two options

1 we've been talking about. Correct?

2 A. Yes.

3 Q. Is there a third option for a reel of some other
4 variation on that particular feature?

5 MR. SZYPA: Objection. Form.

6 A. I haven't thought about it. I don't know. I don't
7 know if there are other options. I don't know.

8 BY MS. FLANNERY:

9 Q. Not that you've considered in this case. Right?

10 A. I don't think so.

11 Q. Okay. Let's go back to the '955 patent. It's
12 Exhibit 5. There you go.

13 And if you could go to Figure 2, please.

14 And do you agree that this is a -- and you
15 can pick whichever the words, a schematic, symbolic
16 representation of the -- of the system that's shown?

17 A. It's a bit of a mix. Some of the -- some of the
18 depictions are, you know, rather physical, as far as
19 the layout goes, the physical placement of the
20 equipment and the size of the equipment. So it looks
21 to be a little bit of a mix of what I consider just
22 symbolic representations and actual physical
23 representations.

24 Q. So can you give me an example of something that you
25 know is a symbolic representation?

1 A. Let's see...

2 Q. And to be clear, I'm referring to Figure -- something
3 in Figure 2. Sorry if the question wasn't clear on
4 that.

5 A. Sure. For example, the pumps, number 30, in this --
6 they're shown as a circle with a triangle in it. You
7 know, it's not a physical representation of a pump,
8 it's more of a symbol representing the pump.

9 Q. Okay. And so what are the things that you think are
10 physical representations? Can you give me an example
11 of that in this figure?

12 A. Yeah, you can see the -- like, the walkway or
13 aisleway 24 running down the center, you know, it's
14 actually depicted as a floor grading that goes from
15 one end to the other. That's a pretty -- that's a
16 physical representation of how that would be laid
17 out, and the arrangement of the reels with the hoses
18 wrapping around on either side, you know, those are
19 physical depictions of how the trailer would be laid
20 out.

21 Q. So it's your view that Figure 2 shows a physical
22 depiction of a reel?

23 A. Well, it's not the exact -- like if you look at
24 Figure 3, for contrast, that is showing, you know, a
25 3D model, actual CAD model of the reels and the wrap.

1 Spectrophotometer system, so that has full
2 detail.

3 What they've done in Figure 2 is they've
4 used a more simplified representation. They haven't
5 shown the reels in full detail, but they've given you
6 enough information so that I can see the hose wraps
7 around the reels and the hose coming off the reels.

8 Q. All right. So it is -- it does not show the full
9 detail that is shown in Figure 3. Fair to say?

10 A. That's right.

11 Q. Okay. But it adds some details from what would be
12 just in a normal schematic.

13 A. Yeah, I'm just cautious of the use of the word
14 "schematic" because "schematic" doesn't mean any one
15 particular thing, at least as we've talked about it.
16 So it's, you know, somewhere between using just
17 representative symbols like circles with triangles in
18 them and the physical three-dimensional CAD. It's
19 somewhere between that.

20 Q. Okay. And for the reels, the detail that you're
21 saying is shown here that represents something more
22 than just circles or boxes, is what?

23 A. I'm seeing a rectangle -- rectangular depiction of a
24 reel, which gives me an idea of the width of the reel
25 and the diameter of the reel, and I can see the hose

1 wraps around the reel.

2 And let's see what else. Make sure I'm
3 quoting this correctly.

4 Yeah, that's -- I'll leave it there.

5 Q. Okay. Is Figure 2 drawn to scale, do you believe?

6 A. I don't know. I would have to read to see if it
7 referred to that figure as actual scale.

8 Q. Okay. Unless there's something in the patent, in the
9 specification that says it's to scale, is it your
10 understanding that it's not? I'm just trying to make
11 sure I understand your answer.

12 A. I wouldn't assume it's exactly to scale unless it
13 said it was.

14 Q. Understood. Fair enough.

15 In a schematic drawing, does a person of
16 skill in the art understand that the distances that
17 are shown in the schematic may not reflect the
18 physical distances in real-life?

19 MR. SZYPA: Objection to form.

20 A. That's generally true. A schematic doesn't
21 necessarily carry with it the physical distances,
22 that type of information.

23 BY MS. FLANNERY:

24 Q. Okay. So a person of skill in the art would
25 understand that the distance may be different from the

1 relative distance that's shown in the schematic?

2 A. I think, in general, that's true.

3 Q. Okay. So there in Figure 2, the reels are element 42
4 or 42a. Right?

5 A. It looks like 42 is pointing to the reel.

6 Q. Okay. And the hoses are 40 or 40a?

7 A. 40, yes, I see that.

8 Q. And in Figure 2, the fluid goes into those hoses from
9 manifolds 38 and -- well, I guess manifolds 38; is
10 that right?

11 MR. SZYPA: Objection. Form.

12 A. Let me verify.

13 Yeah, manifolds 38, that's correct.

14 BY MS. FLANNERY:

15 Q. All right. Is the reel -- are the reels 40 between
16 the manifolds 38 and the hoses 40?

17 Let me try that again. Sorry. I got the
18 numbers messed up.

19 Are the reels 42 between the manifolds 38
20 and the hoses 42?

21 MR. SZYPA: Objection. Form.

22 A. Well, you're looking at more of a physical
23 representation here. So from the top view, the
24 manifold is down below, so you see the reel above
25 because -- I'm using my arms, but the manifold is

1 down low, kind of in one line longitudinally along
2 the trailer, and then you are seeing the top row of
3 reels from the top view which sit above that and have
4 the hose spooling off out the side wall.

5 So this representation you would not be
6 able to see, necessarily see the connection to the
7 manifold below other than seeing that the control
8 valve -- and I believe that's 44 -- is sitting there
9 on the side, so you know the plumbing is coming up
10 through that.

11 BY MS. FLANNERY:

12 Q. So setting aside the physical relative positioning of
13 the manifold. So let's talk about the fluid flow.

14 So how does the fluid path -- how does the
15 fluid get to the reel from the manifold?

16 A. It's coming up through that control valve and
17 entering through the connection on the spindle of the
18 reel.

19 Q. And the control valve is 44?

20 A. Right. You can see it in Figure 4, the blowup of
21 that connection.

22 Q. 44 is just shown as a circle. Would you agree that
23 that's sort of a symbolic representation of a valve?

24 A. Yes, that's right.

25 Q. And so it's your understanding based on that symbolic

1 representation that fluid flows from the manifold 38
2 through that valve to the reel?

3 A. That's correct.

4 Q. How do you know from Figure 2 that it's flowing into
5 the reel 42 as opposed to the hose 40?

6 MR. SZYPA: Objection. Form.

7 A. Well, I've read the specification. I'm looking at
8 Figure 4 right now on the next page. I've read the
9 specification that explains how the flow goes from
10 the manifold through the valve into the spindle,
11 which is part of the reel. So the connection is from
12 manifold to control valve to the reel.

13 BY MS. FLANNERY:

14 Q. Okay. So let's talk about Figure 4, the one that you
15 just pointed to.

16 A. Right.

17 Q. So, again, we've got the symbolic representation of
18 the valve 44. Correct?

19 A. Yes.

20 Q. Okay. And from there, the fluid goes to which
21 element?

22 A. It's going into the reel at the -- on the center line
23 of the reel, the spindle.

24 Q. And when you say it's going into the center line, what
25 is it in Figure 4 that makes you think it's going into

1 the center line of the reel?

2 A. Well, that's the way it's depicted. It's depicted as
3 a -- you see the -- it's horizontal on the page. The
4 spindle, which is the axis about which the reel
5 spins, is depicted by this horizontal line that runs
6 right, you know, at the midpoint of that reel.
7 That's where the spindle is located, and it's
8 spinning about. That's where the connection is made
9 to 44.

10 Q. Okay. Are you familiar with hose reels -- I think you
11 said you are familiar with hose reels that don't have
12 a fluid passage in them. Correct?

13 A. Yes.

14 Q. Is the spindle of some such reels hollow still, even
15 though fluid doesn't flow through that spindle?

16 MR. SZYPA: Objection. Form.

17 A. I don't know. I haven't really analyzed any specific
18 reels.

19 BY MS. FLANNERY:

20 Q. Would it be possible to design a hose with a hollow
21 spindle where the hose is passed through the hollow
22 spindle?

23 A. Would it be possible hypothetically? Yeah, just
24 hypothetically, sure, you can make a hole and pass a
25 hose through it. I don't know why you would, but you

1 could.

2 Q. Is that possibly what's depicted here in Figure 4?

3 A. No.

4 Q. How do you know that?

5 A. Because this patent describes a hose reel that's
6 fluidically coupled between 44 the control valve and
7 the reel.

8 Q. Let's go to the description of Figure 4.

9 Do you see where the specification -- oh,
10 here we go. So this is column 4, around line 15. Do
11 you see that?

12 A. I'm there.

13 Q. And so what in that description of Figure 4 tells you
14 that it's the reel connected as opposed to the hose
15 passing through the reel spindle?

16 A. It says right here, in the -- starting on line 15,
17 "each hose 40 is connected to a respective one of the
18 reels 42."

19 And I've already -- well, we've already
20 discussed today "connected to" means fluidically
21 coupled.

22 Q. That's your -- you've given the opinion that that's
23 the construction of "connected"?

24 A. Yes.

25 Q. Going back to Figure 4. So if a hose was passed

1 through a hollow spindle -- let me rephrase that.

2 If the hose 40 was connected to the
3 valve 44 and then passed through the hollow spindle,
4 would the flow path be the same as what's depicted
5 here in Figure 4?

6 MR. SZYPA: Objection. Form.

7 A. Well, you're speaking hypothetically. If -- that's
8 not what's shown in the patent and I'm not sure how
9 you would do what you're saying. I'm not quite sure
10 what you're suggesting.

11 BY MS. FLANNERY:

12 Q. So if I had a hose 40, one end of the hose is shown
13 there at 40a. Right?

14 A. Right.

15 Q. Figure 4 doesn't show the other end, 40b, the other
16 end of hose 40. Right?

17 A. Right.

18 Q. Okay. If there was another end of that -- presumably,
19 though, hose 40 has another end. Fair to say?

20 A. Yeah, it's connected to the reel at the inside of
21 that coil.

22 Q. Okay. Is that shown in Figure 4?

23 A. I cannot see that in Figure 4.

24 Q. Okay. If instead of connecting that other end of
25 hose 40 to the reel, if that other end of hose 40 was

1 connected directly to the valve 44, but passed through
2 a hollow spindle of the reel, would the fluid flow
3 path be the same as what is depicted here in Figure 4,
4 that right angle into the middle of the reel that you
5 referred to?

6 MR. SZYPA: Objection to form.

7 A. Well, your hypothetical just doesn't make any sense
8 because you wouldn't be able to spin the reel without
9 damaging the hose. So I don't know why or how you
10 could do something like that.

11 BY MS. FLANNERY:

12 Q. So setting aside what might happen to the reel. Let's
13 set aside what's going on in the internal -- when you
14 actually spin the reel. Just the stationary reel.

15 Would a hose with the other end connected
16 to valve 44 and pass through a hollow spindle that
17 doesn't have a fluid passage, would the flow path be
18 the same as what's shown here in Figure 4?

19 MR. SZYPA: Objection. Asked and answered.

20 A. I mean, it's nothing a POSITA would ever consider as
21 feasible because it's impossible. Yes, in terms of
22 could it be done, yes, but a POSITA would never
23 interpret this patent to indicate that.

24 BY MS. FLANNERY:

25 Q. It's impossible.

1 A. It's impossible.

2 Q. So there's no way to connect the hose to that valve in
3 a way that would allow the spindle to rotate?

4 A. I'm saying a POSITA would never -- it's impossible to
5 the extent that a POSITA would consider it.

6 Physically, could you possibly do what you
7 described in routing a hose? Yes, you could route a
8 hose that way, but a POSITA would never interpret this
9 patent to indicate that.

10 Q. Okay. A POSITA could not interpret Figure 4 as
11 showing a hose connected to the valve and then passing
12 through a hollow spindle? You're saying that is not
13 possible.

14 MR. SZYPA: Objection. Asked and answered.

15 A. It's impossible for a POSITA to conclude that from
16 reading this patent.

17 BY MS. FLANNERY:

18 Q. Okay. And, I'm sorry, let me just ask one more
19 question about Figure 4.

20 A. Sure.

21 Q. Is Figure 4 a schematic?

22 A. Yes, again, I'm not going to pin down schematic to
23 mean any one thing in particular because we haven't
24 defined it. It has elements that are symbolic and it
25 has elements that are more physical. It's not a full

1 3D CAD, but yet it's not just symbols, so it's
2 somewhere in between.

3 Q. Okay. An example of something that is shown in
4 schematic is valve 44. Right?

5 A. Right.

6 Q. Okay. An example that -- where there is more physical
7 detail would be the hose 40 wrapped around the
8 reel 42.

9 A. That's right.

10 Q. Okay.

11 A. And the manifold as well.

12 Q. Okay. Is there any representation, physical or
13 schematic, of the connection between the reel and the
14 hose?

15 A. Well --

16 Q. In Figure 4. I'm specifically talking about Figure 4.
17 Sorry.

18 A. I cannot see that connection because it's inside the
19 coil. So from this illustration, I can't see that.

20 Q. Okay. There isn't a schematic representation of it
21 either.

22 A. Well, it is a schematic representation. That's what
23 we're looking at. The hose -- I'm sorry, the
24 connection is coming in through the spindle on the
25 side and that's what a POSITA would interpret as

1 connecting that spindle on the inside to that other
2 end of the hose that we can't see.

3 Q. So is there a schematic representation of the
4 connection between the hose and the reel in this
5 Figure 4?

6 A. That is -- that is what is indicated here. The line
7 going from 44 to the reel is a schematic
8 representation of that connection.

9 Q. And where does the connection occur there? I'm going
10 to give you a pen, it's red, so that everybody can see
11 it. If you can mark where the connection between the
12 reel and the hose is in Figure 4.

13 A. Well, again, I can't see it, so I can't draw it.
14 It's under the hose wraps.

15 Q. I'm asking for, in this figure -- I recognize that you
16 can't see it physically --

17 A. Right.

18 Q. -- in this. Is there any schematic representation of
19 that connection in Figure 4, whether or not you could
20 physically see the connection or not?

21 MR. SZYPA: Objection. Asked and answered.

22 A. I'm struggling to understand what you're asking for.
23 There's a schematic line. I don't want to start
24 drawing until we make sure I understand the question.

25 BY MS. FLANNERY:

1 Q. Uh-huh.

2 A. There's a schematic line here that's going from 44 up
3 into the spindle of the reel. That is the symbolic
4 representation of the connection that would go to the
5 inside of the reel and connect to the end of the hose
6 that we can't see on the inside of the coil.

7 Q. Okay, let's start with the spindle of the reel. Is
8 there a representation, schematic or physical, of the
9 spindle of the reel in Figure 4?

10 A. I don't think I see the spindles per se. I know that
11 the horizontal line where this connection is made is
12 representative of that center line about which the
13 spindle will rotate. The spindle itself is not shown
14 because, again, you can't see it. It's under the
15 hose. It's not visible.

16 Q. Okay. So based on that horizontal line, that's where
17 you believe -- you understand the spindle to be.

18 A. That's right. That's where a POSITA understands the
19 spindle would be on a reel.

20 Q. Okay. And the connection to -- well, the connection
21 to 44 on that reel, is there a schematic
22 representation of that connection in Figure 4?

23 A. That's the line. So the line that takes the right
24 angle turn from vertical to horizontal and goes into
25 the center of the reel halfway through, that's

1 indicative of the fluid connection from 44 to the
2 reel where the connection would be made to the end of
3 the hose.

4 Q. Okay. So the same line that is the representation of
5 the spindle, it's your testimony that that is also the
6 representation of the connection to the spindle?

7 A. Let me clarify.

8 I didn't say or I didn't intend to say that
9 the horizontal line represents the spindle. I said a
10 POSITA would understand the spindle is going to be
11 along that horizontal line because that's the center
12 line. We know that the reel is going to spin about
13 that center line, but it's not a representation of the
14 spindle itself. I can't see it because it's under the
15 hose. That's what I was intending to get across.

16 Q. So let me back up a couple questions, then.

17 A. Okay.

18 Q. In Figure 4, is there a schematic representation of
19 the spindle shown?

20 A. No, I cannot see the spindle, it is hidden under the
21 hose, but I know, a POSITA would understand, it's
22 along that horizontal line because that represents --
23 it is located on the center line about which the hose
24 reel would rotate.

25 Q. So there's no schematic representation of the spindle

1 but a POSITA would know that it's there. Is that your
2 testimony?

3 A. Yes.

4 Q. Okay.

5 MR. SZYPA: Sorry, objection.

6 THE WITNESS: Sorry.

7 BY MR. SZYPA:

8 Q. Same question with regard to the connection between
9 valve 44 and the spindle; is there a schematic
10 representation of that connection?

11 A. That is what the vertical, then horizontal line,
12 represents. It's the fluid connection from 44 into
13 the spindle of the reel.

14 Q. In Figure 4, there's no labeled port on the reel.
15 Right?

16 A. That's true.

17 Q. Okay. And then the connection between the reel 42 and
18 the hose 40, is there a representation in Figure 4 of
19 that connection?

20 A. I feel like I'm answering the same thing. That line
21 from 44 into the reel is representing the fluid
22 connection from 44 into the center spindle and where
23 it would terminate at the end of the hose that we
24 can't see. So that vertical, then horizontal line is
25 representing the fluid connection from 44 to the

1 other end of the hose.

2 Q. We can't see that connection in this figure. Right?

3 A. That's right. It's inside that coil.

4 Q. And there's no physical or schematic representation of
5 it neither.

6 MR. SZYPA: Objection. Form.

7 A. I interpret this as a symbolic schematic
8 representation, if we want to call it schematic. I
9 interpret that as the connection.

10 BY MS. FLANNERY:

11 Q. The connection between the hose and the reel?

12 A. The hose and the reel and the reel to the valve,
13 that's what a POSITA would interpret from this patent
14 taken as a whole, understanding that that's what
15 the -- that line is representing, that fluidic
16 connection from the control valve to the reel to the
17 hose.

18 Q. The point at which the reel -- according to your
19 interpretation, the point at which the reel is
20 connected to the valve and the point at which the hose
21 is connected to the reel, those are two separate
22 points in space; is that right?

23 A. If we're talking physically, well, they're different
24 components. We know that there are different
25 components often. There's going to be some sort of

1 swivel, for example, in between that allows for
2 rotation to occur on the reel without twisting up the
3 nonrotating part of the connection. So we know
4 there's something in between.

5 A POSITA understands that you can make a
6 reel -- sorry, that line, the vertical then horizontal
7 portion is representing that fluid connection that
8 goes through whatever swivel is necessary and into the
9 end of the hose.

10 Q. And Figure 4 doesn't describe any of the swivel or
11 other two pieces of equipment -- two connectors that
12 you just described, does it?

13 MR. SZYPA: Objection to form.

14 A. No, there's no specific physical representation of
15 the different pieces along the way that might or
16 might not be involved. We would have to, you know,
17 look to other portions of the patent to get more
18 detail on that specific information.

19 BY MS. FLANNERY:

20 Q. Okay. Do you believe that the patent contains
21 descriptions of those components?

22 A. I don't recall.

23 Q. You don't -- sitting here right now, there's nowhere
24 in the patent that you can point me to on that.
25 Right?

1 MR. SZYPA: Objection. Form.

2 A. I would be happy to look through the patent, but I
3 just can't recall off the top of my head if they
4 discuss the swivel or not.

5 BY MS. FLANNERY:

6 Q. You didn't discuss that in your declaration, did you?

7 A. I really don't remember. I would have to look
8 through it.

9 Q. Okay.

10 MR. SZYPA: We've been going for a while
11 now. Do you want to take a break soon, or...

12 THE WITNESS: I can go a little further if
13 you'd like. Whatever is convenient. If you're in the
14 middle of something --

15 MS. FLANNERY: Let me see if I'm -- I am
16 actually at a stopping point, so let's --

17 MR. SZYPA: Okay.

18 MS. FLANNERY: We can take a break.

19 MR. SZYPA: Okay.

20 (Off the record at 11:05 a.m.)

21 (Back on the record at 11:27 a.m.)

22 BY MS. FLANNERY:

23 Q. Dr. Rodgers, let's go to Exhibit 1, which is your
24 declaration on the '805 patent IPR. And go to
25 paragraph 193 of that.

1 A. All right.

2 Q. And this is in the section where you discuss the AFD
3 reference; do you recall that?

4 A. Yes.

5 MARKED FOR IDENTIFICATION:

6 EXHIBIT 7

7 11:28 a.m.

8 BY MS. FLANNERY:

9 Q. And you've been handed what has been marked as
10 Exhibit 7 to your deposition. Do you recognize this
11 as that AFD reference that you discuss here?

12 A. Yes, I do.

13 Q. Okay. Great.

14 So I want to ask you about your statements
15 in paragraph 193 of your declaration.

16 And you're referring to -- I guess, in this
17 section, you've referred to photos that are on
18 pages 2, 3, and 4 of Exhibit 7. Correct?

19 A. Yes.

20 Q. Okay. And with regard to the photo in -- on page 2,
21 it might be helpful to have that photo along with your
22 statement --

23 A. Okay.

24 Q. -- your statements in your declaration kind of
25 side-by-side.

1 In paragraph 192, I believe you're
2 referring to the photo that's on page 2, but can you
3 confirm that?

4 A. That's right.

5 Q. Okay. And you see in the photo, underneath the
6 trailer that's shown here in the foreground, that
7 there are hoses apparently on the ground somewhere
8 behind -- somewhere in the distance in the background
9 of that photo. Do you see that?

10 A. I see what you're referring to, yes.

11 Q. Okay. And you stated in paragraph 192 of your
12 declaration that it is not clear where those hoses are
13 being deployed from.

14 A. That's correct.

15 Q. And you stated there that it is clear that the photo
16 was modified, cropped to at least remove the
17 background, as is evident from the lack of any
18 background in the photo. Do you see that?

19 A. I do.

20 Q. Okay. Were you relying on any of your expertise in
21 giving that opinion?

22 A. I was making -- I guess it's my experience as an
23 engineer working for, you know, whatever, a long time
24 since I went to school, in doing a lot of figures for
25 a lot of reports, recognizing that that figure has

1 the background cropped out.

2 Q. Okay. And so your work has involved considering
3 whether or not photos that are given to you, not
4 work -- not photos that you cropped, but whether
5 somebody gives you a photo, you've applied your
6 expertise to determine whether or not a photo was
7 cropped?

8 A. Yes, it's come up many times.

9 Q. You've given that kind of opinion before?

10 A. I know this issue has come up before, sure, and other
11 issues --

12 Q. In what context --

13 A. Sorry.

14 Q. I'm sorry. I apologize.

15 In what context?

16 A. I can't remember exactly, you know, what case I was
17 working on or what have you, but certainly, first,
18 there's many times when I've done myself, I've
19 cropped out backgrounds of figures to use in some
20 sort of engineering report just because you want to
21 clean up the figure to make it more clear.

22 In the context of legal work, it's come up
23 several times where we're looking at figures and
24 trying -- or photographs or patent figures or whatever
25 and trying to figure out exactly what it is or is not

1 representing. So this kind of issue comes up a lot,
2 in my experience.

3 Q. In your work in the oil -- in this field -- well, let
4 me ask you this: Have you done that -- have you
5 performed that kind of analysis in the context of oil
6 and gas projects?

7 A. So analysis of a photograph to determine whether it
8 has or hasn't been cropped out, is that what you
9 mean?

10 Q. Yes.

11 A. I think so. I think it's come up before.

12 Q. Okay. You can't remember the circumstances of it,
13 though?

14 A. No, I can't exactly remember. I think it was -- boy,
15 I don't know, some downhole tool probably, but that's
16 pretty broad. I can't remember exactly where I've
17 seen it before.

18 Q. Okay. And did you offer an opinion, like in court,
19 based on that analysis?

20 A. I can't remember if that went all the way to, you
21 know, a trial situation. I don't recall.

22 Q. Or given that opinion in the context of like a
23 commercial consulting job?

24 A. I don't recall.

25 Q. Okay.

1 A. I don't remember.

2 Q. Not that you remember.

3 A. No, I just -- I know I've dealt with the issue
4 before.

5 Q. But you don't recall giving an opinion either in court
6 or for a consulting customer --

7 A. I don't --

8 Q. -- using that analysis?

9 A. I'm sorry, I don't recall one way or the other.

10 Q. Okay. Do you know what -- well, sorry, let me -- in
11 paragraph 193 you state that, "Since no background is
12 shown, it is plausible that there was another system
13 or piece of equipment behind the depicted trailer that
14 was removed when the photo was modified."

15 Do you see that?

16 A. I do.

17 Q. Okay. That's based on that analysis that you
18 described a second ago; because there's no background,
19 it must have been cropped?

20 A. Yeah, it's not really much of an analysis. It's kind
21 of obvious that it's all white, so unless they took
22 that thing into a special photo studio that created
23 no shadows and no background, it's going to always
24 have something behind it. So this has clearly been
25 cropped. It's not much of a stretch to conclude

1 that.

2 Q. Do you know what was in the background there?

3 A. There is nothing depicted in the background, it's
4 just blank white space, so nobody knows what's in the
5 background.

6 Q. Understand. So you're not expressing an opinion one
7 way or the other about what is in the background of
8 that photo?

9 A. I'm just expressing an opinion that we don't know
10 what's in the background so we can't conclude
11 anything about what's behind the truck.

12 Q. You don't know if there was any equipment back there
13 that had hoses.

14 A. I don't know one way or the other because it's been
15 cropped out.

16 Q. Okay. So the hoses that are depicted sort of on the
17 ground, you see what I'm -- that -- the same thing
18 that I was referring to earlier in my questions that
19 you said you saw?

20 A. Yes.

21 Q. You simply don't know where those were coming from.

22 A. That's correct.

23 Q. Okay. If it's not the AFD trailer that's shown in the
24 foreground here, then it's coming from something else.
25 Is that your testimony?

1 A. It could be coming from something else, it could be
2 another trailer behind it, I don't know.

3 Q. But it's some other equipment that has the same black
4 fuel hoses that are coming out of the AFD trailer.
5 Right?

6 A. Well, they're so -- they're so small, there's not a
7 lot of pixels there to even conclude that they're
8 quote, unquote the same hoses. It just looks similar
9 that there are hoses back there that are coming from
10 somewhere. Just don't know where.

11 Q. You don't see any differences in your analysis.

12 A. Differences in what?

13 Q. Between the hoses that are coming out of the front of
14 the trailer here and the hoses that are on the ground
15 there behind.

16 A. Well, my statement is that the resolution is not
17 great enough to draw great conclusions about if
18 they're the same hoses or not. They have
19 similarities in the way they look.

20 Q. Okay. And you may need your glasses for this as well
21 because I'm asking you about something very small. So
22 in those hoses that are in the background, can you see
23 that they are dangling or suspended in some way from
24 something above the ground?

25 A. Well, I can say that at least a few of them have

1 portions that are elevated above the ground, but then
2 it's obscured by the trailer in the foreground.

3 Q. And how many -- I see at least five places where that
4 seems to be happening with the hoses in the
5 background. Do you see that?

6 A. Yeah, at least five.

7 Q. So there are five locations sort of spaced along that
8 length where there are hoses coming down in the back.

9 A. Well, let me back up a question. I was counting
10 individual hoses, but you're asking, I think, about
11 groups of hoses?

12 Q. Yes, I am.

13 A. Let me roll back then and look again.

14 Q. That's fine.

15 A. The spacing is a little bit irregular on whatever's
16 behind there so it's hard for me to say, but I think
17 five is probably a fair number.

18 Q. And those are spaced perhaps not regularly across
19 what's shown here where they pass the bottom edge of
20 the trailer.

21 A. Yeah, they're not regularly spaced.

22 Q. Do you know if their point of origin further up is
23 equally spaced? Can you tell that from the photo?

24 A. No, I don't know anything about them.

25 Q. Okay. If those hoses are coming from another piece of

100

1 equipment, not the AFD trailer that's shown here, how
2 close would that equipment have to be to the AFD
3 trailer?

4 A. I don't know. It's in the background. It's not
5 clear how far away in the background they might be.

6 Q. Does it appear that those hoses are 50 feet away from
7 the AFD trailer shown here?

8 A. You know, it -- I would say that it would be
9 something that's close by. Not 50 feet away because
10 I think the hoses would be much smaller, relatively
11 speaking, assuming they are similar in size. We
12 don't know the diameter of the hoses, but it just
13 seems like if it was 50 feet away it would be a
14 little harder to see.

15 Q. So reasonably close, I think is what you would -- I'm
16 not trying to put words in your mouth. I just want to
17 confirm what you had said.

18 MR. SZYPA: Objection to form.

19 A. Yeah, I wouldn't disagree with "reasonably close."

20 BY MS. FLANNERY:

21 Q. Okay. Let's flip back to your declaration. And in
22 paragraphs 195 and 196, you discuss the windows along
23 the side of the AFD trailer with the hose guides. Do
24 you see that?

25 A. Yes.

101

1 Q. Okay. And it's your position -- and I think you have
2 a blown-up version of one of those windows on the
3 following page. Do you see that?

4 A. Yes.

5 Q. Okay. And so it's your position that each of the six
6 windows that's shown across the bottom of the AFD
7 trailer has -- well, let me step back.

8 For those six windows that are coming out
9 of the AFD trailer in the foreground, you'd agree
10 there are two hoses coming out of each of those.
11 Right?

12 A. Yes.

13 Q. Okay. And so -- and your position is that each window
14 has two more empty hose guides that don't have a hose
15 in them in this photo; is that right?

16 A. That's right.

17 Q. And so it's your position that AFD would deploy 24
18 hoses -- could deploy 24 hoses out of one side of this
19 trailer.

20 A. That's right.

21 Q. In any of the photos in Exhibit 7 here, the AFD
22 reference, are there four hoses coming out of any one
23 window in any of the photos shown there?

24 A. I don't see any example of that.

25 Q. So they only ever show a maximum of two hoses coming

1 out of each window?

2 A. Well, min and max, it's always two, in the three
3 examples I see.

4 Q. And Exhibit 7, this is a -- from a website of AFD.
5 Right?

6 MR. SZYPA: Objection. Form.

7 A. Yes.

8 BY MS. FLANNERY:

9 Q. Okay. Fair to say that this would be AFD's, sort of,
10 marketing material communicating to customers the
11 capabilities of their device, their system?

12 MR. SZYPA: Objection. Form.

13 A. Generally speaking, it looks like that kind of
14 information that you would expect to find on their
15 website.

16 BY MS. FLANNERY:

17 Q. All right, you can set Exhibit 7 aside. We're going
18 to go back to the declaration now, Exhibit 1. And if
19 you would go to paragraph 151 of the declaration,
20 please.

21 MR. SZYPA: Is this still Exhibit 1, you
22 said?

23 MS. FLANNERY: Yes. Paragraph 151.

24 A. Okay.

25 BY MS. FLANNERY:

1 Q. And this is in the section where you discuss the
2 Coxreels reference?

3 A. Yes.

4 Q. And there in paragraph 151, you stated that Coxreels
5 is not directed to -- let's go to paragraph 152
6 instead. Sorry. It's on the following page.

7 And in the second sentence there, you said,
8 "To the extent Coxreels suggested use of the 1125
9 Series in the oil industry, a POSITA would have
10 understood the oil industry is too broad to be of
11 practical use," is an opinion you gave?

12 A. Yes.

13 Q. Is the -- the term "oil and gas industry," would a
14 POSITA have an understanding of what that means?

15 A. Yes.

16 Q. Okay. What about the "oil field industry," would a
17 POSITA have an understanding as to what that means?

18 A. Yes.

19 Q. So despite that, the "oil industry," that's too broad,
20 that -- a POSITA wouldn't understand what that means?

21 MR. SZYPA: Objection to form.

22 A. Well, you've proposed two other -- I say the "oil
23 industry" in paragraph 152, if we call it the oil and
24 gas industry or...

25 BY MS. FLANNERY:

1 Q. Oil field?

2 A. Oil field. Thank you. Whichever term we use,
3 they're all very broad, and so the point is the same.
4 A POSITA would understand that it's too broad to be
5 of practical use. You wouldn't be able to determine
6 whether a particular Coxreels model would be
7 applicable in that industry because the industry is
8 so broad, whatever we call it.

9 Q. Okay. Is it your understanding that a POSITA would
10 have to be able to determine whether a Coxreels reel
11 is applicable in an industry in order to consider its
12 teachings?

13 MR. SZYPA: Objection to form.

14 A. I'm simply saying that just -- if something is
15 marketed as useful in the oil industry, that's not
16 enough to conclude that it's applicable in any
17 possible way it might be used in the oil industry.

18 BY MS. FLANNERY:

19 Q. It's not enough to conclude that.

20 A. That's right.

21 Q. Is it your understanding that stating that the
22 Coxreels reel is useful in the oil industry would be
23 enough for a POSITA to consider it in designing a
24 fueling system regardless of whether they would
25 conclude that it's applicable?

1 MR. SZYPA: Objection. Form.

2 A. I would think a POSITA would possibly come across it
3 if it was marketed in that particular industry. They
4 are more likely to have come across that Coxreels
5 publication if it's marketed for a certain industry.

6 BY MS. FLANNERY:

7 Q. And in designing a reel -- we talked earlier today
8 about how -- things a POSITA might consider in
9 designing or selecting a reel for a system like
10 Van Vliet's. Do you recall those questions?

11 A. Yes.

12 Q. And if a -- if a type of reel is marketed for the oil
13 industry such that they would have come across it,
14 would they have considered it in that design process
15 that we talked about earlier?

16 MR. SZYPA: Objection. Form.

17 A. I think it would be something where you might
18 consider it to the extent that you come across it in
19 a Google search and you say, okay, these reels are
20 marketing in the oil industry.

21 You would immediately go to the next level
22 of trying to determine if it was applicable to the
23 particular task that you needed it for, in this case
24 fuel delivery, so you -- and that's still very
25 high-level.

1 So just saying it's in the oil industry or
2 marketed in the oil industry, it's very weak as far as
3 giving you any indication that it's going to be useful
4 for your application. It's just going to get it in
5 front of you maybe just on your radar, but no further
6 than that.

7 BY MS. FLANNERY:

8 Q. When you say "no further than that," do you mean they
9 would not consider buying that reel and including it
10 in their system?

11 A. They would --

12 MR. SZYPA: Objection. Form.

13 A. They would not consider it. They would immediately
14 need more information to know whether to pursue and
15 even consider it at all.

16 BY MS. FLANNERY:

17 Q. What more information would they need?

18 A. They would need a lot more detail about the design
19 and construction, the specifications of the
20 particular reel, so that's not something that you
21 would get just from knowing it's marketed in the oil
22 industry.

23 Q. If a POSITA saw that something was marketed in the oil
24 industry, would they just stop looking for that
25 information?

1 MR. SZYPA: Objection. Form.

2 A. Well, as I said, it would -- it might get on their
3 radar and they might then request more information.

4 BY MS. FLANNERY:

5 Q. Okay. In paragraph 151, you've got a reproduction of
6 a portion of Coxreels that list the possible field of
7 application. Do you see that?

8 A. I do.

9 Q. You understand this list is not intended to be
10 exhaustive?

11 A. I don't understand that one way or the other. I have
12 no indication of that.

13 Q. Well, here, let's do this.

14 MARKED FOR IDENTIFICATION:

15 EXHIBIT 8

16 11:48 a.m.

17 BY MS. FLANNERY:

18 Q. I've handed you what's been marked as Exhibit 8 to
19 your deposition, which is the Coxreels reference that
20 we've been discussing.

21 A. Yes, I see it.

22 Q. Okay. And this has, in context, the possible fields
23 of application that you reproduced in your report.
24 Right?

25 A. Right.

1 Q. In this context, do you have an understanding as to
2 whether or not this list is intended to be exhaustive?

3 MR. SZYPA: Objection. Form.

4 A. Well, as is -- as this is a, you know, marketing type
5 document from their website, generally you want to
6 present the -- the Coxreels folks would want to
7 present it in as broad a way as possible to try to
8 attract as much -- or as many customers as possible,
9 so I would expect it to be a very broad list.

10 BY MS. FLANNERY:

11 Q. Would you expect it to be exhaustive?

12 MR. SZYPA: Objection. Form.

13 A. I don't know if it's exhaustive or not.

14 BY MS. FLANNERY:

15 Q. Okay. Do you have any experience putting together
16 marketing materials for systems such as this?

17 A. Well, not this particular system, but other designs
18 over the years.

19 Q. You've put together marketing documentation for that?

20 A. I have.

21 Q. Okay. What types of systems?

22 A. It would be related to, you know, examples of
23 downhole tools I would have used or would have been
24 part of the design team for or software systems. Or
25 even going back to my days before oil field, I was

109

1 working in aerospace and defense and I worked for a
2 small company where, you know, it was part of my job,
3 just we did everything.

4 So it's come up multiple times over the
5 years.

6 Q. And when you put those marketing materials together,
7 did you include an exhaustive list of the possible
8 applications for what you were advertising?

9 MR. SZYPA: Objection. Form.

10 A. The goal is to make it as broad as possible to reach
11 as wide a potential group of customers as possible,
12 so that's the intent of these marketings. You
13 certainly don't want to make it narrow. You want to
14 include everything you think it might be, you know,
15 something worth considering. That's the wrong words,
16 but I think you get my point.

17 BY MS. FLANNERY:

18 Q. Is there a reason you might leave some things off?

19 A. I can't think of why you would leave things off on
20 purpose. The intent is to sell it.

21 Q. Are there circumstances where you might leave off
22 certain applications because you didn't want to
23 suggest that it was suitable for certain things?

24 A. I'm having trouble thinking of why that might be the
25 case, where you wouldn't want to list something.

110

1 Q. If it was something you didn't have expertise in,
2 would you have wanted to suggest that it had
3 application in that particular area?

4 A. Like, say, putting it on a rocket or something? I
5 don't know. Something out -- yeah, way outside the
6 scope of reasonable.

7 Yes, that -- if it's a situation where it
8 certainly is not applicable, well, then you would
9 leave it off the list.

10 Q. If it's an area where you don't have the expertise to
11 say whether it's applicable or not, would you leave it
12 on or off?

13 MR. SZYPA: Objection to form.

14 A. I'm having trouble with the hypothetical, to think of
15 how you would not know, you would not have the
16 expertise to know. I don't know. I think you'd just
17 broadly say it's applicable to as many industries as
18 possible. You don't have to be an expert in a
19 particular industry to try to sell a product in that
20 industry when you're -- at least when you're starting
21 out with a product like this.

22 BY MS. FLANNERY:

23 Q. So you would be comfortable putting -- listing a
24 possible application for use in something that you're
25 not sure if it would work or not?

111

1 A. I think generally in terms of marketing you could
2 list things like that. Clearly we're talking about
3 "oil field" here, and "oil field" is a very, very
4 broad industry with many, many different types of
5 fluids that are pumped through hoses.

6 So just saying "oil field" is very broad.
7 I think that's the point.

8 Q. Suggesting that the Coxreels reel has application in
9 the oil field, do you understand that, as a
10 suggestion, that it has use in every possible
11 application in oil?

12 A. Well, my opinion is that it means it is -- it does
13 not necessarily mean that it is applicable to every
14 application in oil. It's only narrowly applicable in
15 certain areas. That's the point.

16 Q. And it's communicated to customers that it might be
17 applicable in their particular area.

18 MR. SZYPA: Objection. Form.

19 A. Well, this is not communicating to customers that
20 it's applicable to any particular job in the oil
21 industry, it's just generally -- I think the point of
22 the petition was that it's been -- I forget
23 exactly -- marketed in the oil industry, and I'm
24 saying it does not specify fuel delivery as an
25 application of this Coxreels model.

1 BY MS. FLANNERY:

2 Q. The Coxreels website does suggest that this model
3 is -- does suggest it could be used in the oil
4 industry. It's not on this page, but it was elsewhere
5 in the documentation. Correct?

6 A. That's what I was referring to --

7 MR. SZYPA: Objection. Form.

8 THE WITNESS: Sorry.

9 A. That's what I was referring to. There's some general
10 indication of applicability to the oil industry, but
11 that's not specific enough because the industry is so
12 broad.

13 BY MS. FLANNERY:

14 Q. And why would Coxreels have made that statement?

15 A. Because they want to sell reels.

16 Q. To people in that industry?

17 A. Yes.

18 Q. Okay. And a POSITA would understand that.

19 A. A POSITA would understand that that's why they're
20 marketing, to sell everywhere they can.

21 Q. Of the list of possible fields of application, again
22 in Exhibit 8 but also reproduced in your declaration,
23 are any of those -- do any of those categories involve
24 dispensing hydrocarbon liquids through the hose that's
25 stored on the reel?

1 A. I don't see any indication of that.

2 Q. You're not aware of circumstances in which a
3 construction site might use a hose to dispense a
4 hydrocarbon fluid?

5 A. Well, it's the same as talking about the oil
6 industry, construction industry. It's so broad.
7 There are so many different ways that fluid is moved
8 through hoses in the construction industry. Just
9 saying -- just seeing construction sites on a list
10 doesn't tell me that it's been used or could be used
11 with fuel.

12 Q. Are you aware of construction sites that need fuel?

13 A. Yes.

14 Q. A POSITA would be aware of those construction sites?

15 A. Yes. And from this list, they couldn't conclude that
16 it would be applicable.

17 Q. Let's go to paragraph 157, a little further down. And
18 in the heading just preceding that paragraph, you
19 said, "A POSITA would have recognized that the
20 Coxreels 1125 Series reel would have been susceptible
21 to reliability issues."

22 Do you see that?

23 A. I do.

24 Q. I assume that this is referring to "would have been
25 susceptible to reliability issues" if used in a

1 fueling system.

2 A. Yes.

3 Q. Now, in forming the opinions that you stated in this
4 paragraph, you've assumed that in combining Coxreels
5 with Van Vliet, you would either be using a reel that
6 has a brass inlet or a nickel-plated inlet with the
7 AFLAS seal; is that right?

8 A. That's right. And that's because that's what
9 Coxreels offers in its catalog.

10 Q. If we go to paragraph 160, the second sentence there,
11 you say, "Copper and copper-containing alloys, i.e.,
12 brass (copper-zinc alloy), should not be used with
13 fuel."

14 Do you see that?

15 A. I do.

16 Q. Is that true in all conditions, all temperature and
17 weather conditions?

18 A. Well, certainly these -- this application for
19 refueling requires a very large temperature range of
20 operation because it can be used from North Dakota to
21 the heat of -- in the winter, to the heat of Texas in
22 the summer. So it's a very large temperature range.

23 So whatever a POSITA is looking for, they
24 know that they've got to meet -- they've got to be
25 able to operate safely and reliably in that full range

1 of temperatures.

2 Q. So you've assumed that the POSITA is going to design
3 the system to operate in the full range of
4 temperatures? You've assumed that?

5 A. Well, that's what I've stated in my opinions. There
6 are reasons that you don't want to use AFLAS in cold
7 temperatures because it's not suitable, and there's
8 reasons you don't want to use copper at all with
9 fuel.

10 Q. Okay. So just to kind of close the loop, you've
11 assumed -- that is -- that statement that a POSITA
12 would be having to accommodate those cold
13 temperatures, you've assumed that the POSITA would be
14 designing the fuel delivery system for the entire
15 range of possible temperatures?

16 MR. SZYPA: Objection. Asked and answered.

17 A. It seems like it would be pretty silly to eliminate
18 such a large portion of the market if you're trying
19 to sell a product. If you're developing a product
20 for the oil industry in the United States, you're
21 going to want to develop it to work anywhere in the
22 United States any time of the year. Otherwise,
23 you're losing a lot of business.

24 So a POSITA is going to want to design for
25 the full range. It doesn't have to even just be North

1 Dakota. It could be Colorado, where you could see
2 temperatures that would exceed the capabilities of
3 AFLAS or even west Texas. So you have to be careful.

4 BY MS. FLANNERY:

5 Q. I'm just saying that those, that viewpoint that you
6 just described, that is a -- that is one of the
7 premises for your opinions that you've expressed here;
8 is that true?

9 MR. SZYPA: Objection. Asked and answered.

10 A. I'm not sure about it being an assumption or a
11 premise, I'm just stating the facts in my report.

12 BY MS. FLANNERY:

13 Q. Is that one of the reasons why a person of skill in
14 the art would not -- you believe a person of skill in
15 the art would not have considered the Coxreels reel
16 for use in the Van Vliet system?

17 MR. SZYPA: Objection. Asked and answered.

18 A. That is true. I would expect a POSITA to not
19 consider this model for the diesel refueling because
20 it would not meet the requirements of the
21 application; that includes temperature range.

22 BY MS. FLANNERY:

23 Q. If that turned out -- if that fact that you stated
24 turned out to not be true, if it was not true that a
25 POSITA had to design it for the entire full range of

1 temperatures, would your conclusion still apply?

2 MR. SZYPA: Objection to form.

3 A. If you wanted to design a system for a narrow
4 temperature range of operation, then you could make
5 different decisions and then maybe you could live
6 with a certain type of seal, but that's certainly not
7 what would be typical for the industry.

8 BY MS. FLANNERY:

9 Q. Okay. And at least the concerns that you raised about
10 the AFLAS seal would not apply to somebody who was not
11 designing a system for that cold temperature range
12 that you described.

13 MR. SZYPA: Objection. Form.

14 A. Yeah, I'm trying to think how you -- where in the
15 United States you could use it. Maybe if you were in
16 south Texas you could get by with a -- or Louisiana
17 or along the coast you could probably get by for a
18 large portion of the year. There wouldn't be much
19 opportunity for the temperature to drop below the
20 range of AFLAS. But it would be a very narrow window
21 where you could use that equipment year-round.

22 BY MS. FLANNERY:

23 Q. So there is a window where you could use that
24 equipment year-round.

25 MR. SZYPA: Objection. Form.

118

1 A. I don't know that there is. I'm saying that would be
2 the most extreme limitation. You certainly wouldn't
3 be able to run a business that way because you
4 wouldn't be able to be financially successful if you
5 had such limitations on your equipment. That's not
6 how oil field equipment is made.

7 BY MS. FLANNERY:

8 Q. Are the reels in the Van Vliet system used for fuel
9 storage?

10 A. Yes, to some extent they are.

11 Q. How long is fluid stored in one of those reels?

12 MR. SZYPA: Objection. Form.

13 A. I can't give you a specific answer of how long it's
14 stored, but it's certainly -- fluid is in the reels
15 for extended periods of time.

16 BY MS. FLANNERY:

17 Q. The same fluid sits in the reel for an extended period
18 of time. Is that what you're saying?

19 A. It could, sure.

20 Q. And when you say "an extended period of time," on
21 the -- I'm looking for a ballpark of the amount of
22 time you're talking about. Is this days? Weeks?

23 A. Yes, yes.

24 Q. And would that occur -- that wouldn't occur during
25 operation of the system; is that right?

1 A. It would depend on whether or not a particular reel
2 or hose was active during a particular job. If it's
3 inactive, then there's diesel fuel sitting there.

4 Q. So for an active hose reel, a reel where the hose is
5 being used to dispense fluid, that reel is not being
6 used for storage of diesel at that point in time.
7 Right?

8 A. Well, in that example, a hose reel that's got fluid
9 coming through it wouldn't have storage, it would
10 just be passing fluid through, but that's still not
11 desirable because you still don't want your diesel
12 exposed to brass even in a short period of time.

13 Q. And in the reels where you say fluid might -- diesel
14 might be stored for a longer period of time, days,
15 weeks, when that reel is connected to a fuel tank,
16 would there be any effort to flush the diesel that was
17 in that reel out before you start dispensing fluid?

18 A. I'm not familiar with that process or that procedure
19 being a requirement or part of anybody's operating
20 process. I've never heard that discussion before.

21 Q. Is that something a POSITA could do, would know how to
22 do?

23 A. I think it would be extremely inefficient to waste
24 all that fuel. You could have a job where you pull
25 up with a truck with ten reels but you only need

120

1 nine, and there's one reel that's not going to be
2 used for the entire job and may sit there in the yard
3 before it gets to the next job. So it could be a
4 very long time where that fluid is just sitting
5 there. You wouldn't want to waste that fuel and have
6 to dump fuel every time you restart the system and
7 you might need that hose again and that reel. It
8 just doesn't -- it's not an efficient process.

9 Q. Do you know if that's a practice that's used in the
10 field, or I guess I should say was used in the field
11 in 2016?

12 A. I'm not familiar with dumping fuel. That's generally
13 very inefficient and environmentally not a good idea.
14 You have to take precautions to dispose of that fuel
15 so it's an expense as well to get rid of it.

16 Q. I want to make sure I'm asking my question clearly.
17 Do you know for a fact that was not done, or you're
18 just not aware of it being done?

19 A. I'm not aware of it being done because it would be so
20 costly and inefficient to do such a thing. You want
21 to make sure you have a system that's reliable and
22 not going to cause any problems for your fuel. Why
23 would you want to add a risk and add a problem that
24 would cause you to take additional steps. It just
25 wouldn't make any sense.

1 Q. Are you familiar with the technique of putting
2 additives in diesel to improve its suitability for
3 long-term storage?

4 A. Yes.

5 Q. Are you familiar with those being effective?

6 A. Yes, but that's unrelated to the brass issue. I'm
7 not familiar with any additives that would counter
8 the effect of copper or brass exposure.

9 Q. Are you familiar with additives that are used to
10 deactivate copper that are -- that's dissolved in
11 diesel?

12 A. I can't recall specifically. I don't recall that,
13 seeing that in the petition or in Dr. Durham's
14 report.

15 MARKED FOR IDENTIFICATION:

16 EXHIBIT 9

17 12:05 p.m.

18 BY MS. FLANNERY:

19 Q. All right, you've been handed what's been marked as
20 Exhibit 9 to your deposition. Do you recognize this
21 as one of the documents that you cited in your
22 declaration?

23 A. I do.

24 Q. Okay. And if you turn to page 9 of Exhibit 9, sort of
25 a heading, two-thirds of the way down, X3. Storage and

1 Thermal Stability of Diesel Fuels.

2 A. Yes.

3 Q. And there in the definitions, under X3.2, there's an
4 X3.2.3.1. Do you see that?

5 A. Yes.

6 Q. And do you recall citing this portion in your
7 declaration for stating that brass would form
8 degradation products in diesel?

9 A. It looks familiar, yes.

10 Q. At the end of that paragraph -- later in that
11 paragraph on the next page, it talks about, "The
12 formation of degradation products can be catalyzed by
13 dissolved metals, especially copper salts."

14 Do you see that?

15 A. Yes, I see that.

16 Q. So this is what you were relying on for your
17 statements about copper should not be used to -- in
18 the storage of diesel fuel?

19 A. I would have to refer back to my report to see what I
20 refer to.

21 Q. Okay.

22 A. I believe there are several things. Certainly,
23 this -- okay, go ahead. Next question. Sorry.

24 Q. Okay, I didn't want to interrupt you.

25 A. I don't know where I was going.

1 Q. Okay. A little further up, so in the Scope section,
2 section X3.1.1. Do you see that?

3 A. Yes.

4 Q. It says, "This appendix provides guidance for
5 consumers of diesel fuels who may wish to store
6 quantities of fuels for extended periods or use the
7 fuel in severe service or high temperature
8 applications."

9 Do you see that?

10 A. I do.

11 Q. Do you know what temperatures are considered high
12 temperature applications here?

13 A. I don't remember. I would have to look.

14 Q. Okay. Do you know if fracturing operations are
15 performed in the kinds of high temperatures that are
16 referred to here?

17 A. I would have to look first. If you like, we can look
18 through and see if we can define the high temperature
19 applications.

20 Q. Is that something you -- I'm sorry.

21 A. I was just going to say, but that's -- it's an "or."
22 That first sentence we're reading in X3.1.1 talks
23 about fuel use in severe service or high
24 temperature -- sorry, let me back that up. I didn't
25 quote that quite right.

1 "Who may wish to store quantities of fuels
2 for extended periods or use the fuel in severe service
3 or high temperature [applications]."

4 So it's not required that it be a high
5 temperature application for this to apply, is what I'm
6 saying.

7 Q. Is that part of what you considered in your analysis?
8 I guess let me ask it a little bit differently.

9 Which of those possibilities does
10 fracturing operations apply to?

11 A. Well, in fracturing you certainly could store it for
12 an extended period. That's what I was interested in.
13 The storing the fuel for an extended period is what
14 creates the risk with exposure to copper.

15 Q. In a mobile refueling system like what's in Van Vliet,
16 is it your understanding that the diesel fuel is
17 actually stored on the truck -- on the trailer?
18 Sorry. In-between jobs, for example?

19 A. It is my understanding that the fuel remains in the
20 system. The system is filled with diesel fuel
21 between jobs.

22 Q. Between jobs, it would sit full of diesel fuel?

23 A. Yes, it could.

24 Q. Okay. That would be typical?

25 A. Well, I suppose it depends on what the practice is,

1 but like I said before when we discussed this, it
2 would be an expense to have to deal with getting rid
3 of a lot of unused fuel. You want to use that fuel
4 as best you can, so you want to store it in a safe
5 manner. You wouldn't want to create a risk by adding
6 copper to a system that has no need to have copper in
7 it. Zero reason to have copper in that system. You
8 would never do it.

9 Q. And do you know, if the fuel is stored between jobs,
10 do you know if it's stored -- you said you wouldn't
11 want to get rid of it. Would there be an option to,
12 instead of storing it in the mobile fueling system, to
13 put it in another storage tank?

14 A. That's yet another expense. You're making -- you're
15 doing things that you don't need to do. Why would
16 you make the system more complex, more costly to
17 operate, when you can simply not put brass in in the
18 first place because brass is not suitable for use
19 with diesel.

20 Q. So for the moment I'm not talking about including
21 brass. I'm just talking about the fuel that is stored
22 in the Van Vliet system between jobs --

23 A. Okay.

24 Q. -- okay? In that system. Those reels are made of
25 something. Right?

1 A. Yes.

2 Q. Okay. If there is fuel remaining in that system after
3 a fracturing job is performed, do you know what the
4 typical practice would have been in 2016 for either
5 storing that -- leaving that fuel on the mobile
6 trailer or putting it into another storage tank?

7 A. I'm not familiar with ever removing diesel fuel from
8 the system. The system is always going to contain
9 diesel fuel.

10 Q. And when you say you're not familiar with removing it,
11 are you aware that that never happened or are you just
12 not aware of it ever occurring?

13 A. I'm saying a POSITA would not expect that you would
14 have to remove all diesel from a system in 2016
15 between jobs.

16 Q. There would be no reason to do that.

17 A. I can't think of a good reason to do that because
18 it's an extra effort and expense to deal with that.
19 I'm not saying it's impossible. You could do it, but
20 it's going to be an extra expense and make the
21 operation less efficient.

22 Q. Are you aware of any safety regulations that would
23 require that?

24 A. I can't recall, but if there's something that you can
25 point me to, I'd be happy to look at it.

1 Q. If there are any, you didn't consider them in your
2 analysis.

3 A. Well, if I didn't cite it -- and you can remind me, I
4 don't remember everything I wrote in this report --
5 but if I didn't cite it, then I did not consider it.

6 Q. Okay. Let's go back to paragraph -- to your
7 declaration, Exhibit 1. And let's go to
8 paragraph 167.

9 And here you said that a POSITA would have
10 known that a mobile delivery -- mobile fuel delivery
11 station like the one disclosed in Van Vliet would be
12 used in extreme conditions, such as North Dakota where
13 the winter -- in the winter where well site
14 temperatures can fall below 30 degrees Fahrenheit, 34
15 degrees Celsius.

16 Do you see that?

17 A. I see it says "negative 30 degrees," important
18 negative sign.

19 Q. Thank you for the correction. I appreciate that. I'm
20 from Texas, I'm unfamiliar with that.

21 A. That's all right.

22 Q. And so it's your testimony that there would be some
23 fracturing operations that would be performed in
24 negative 34 degree Celsius temperatures?

25 A. Yes, that's possible.

1 Q. When you're talking about these temperatures in
2 North Dakota, you're talking about sort of the outside
3 temperature. Right?

4 A. That's right.

5 Q. Okay. Would you say that of the fracturing operations
6 performed in the United States, that a substantial
7 number of them are performed at temperatures below
8 negative 3 degrees Celsius?

9 A. Yes.

10 Q. About how -- what percentage of fracturing operations
11 in the country do you think are of that temperature?

12 A. I can't give you a number.

13 Q. Do you know if it's more than half?

14 A. I would say, ballpark, it could be a large portion,
15 maybe not as much as half.

16 Q. Do you think it would be a third?

17 A. Yeah, I don't know the distribution of how many jobs
18 are in Texas; you know, how many in North Dakota.
19 Certainly in the Bakken, yes. West Texas, there's
20 quite a lot of cold weather you get in the panhandle
21 and west Texas, so -- but I can't put a number on it.

22 Q. Do you know what -- is there anywhere in the
23 continental U.S. that in the summer the temperatures
24 are below negative 3?

25 A. Hopefully not.

1 Q. Anywhere in the spring where that occurs?

2 A. Certainly when you get up in the Bakken you could be
3 below freezing, you know, probably ten months of the
4 year. It could happen overnight at least.

5 Q. Do you know if temperatures in that range, negative 3
6 degree Celsius, do you know if that affects the
7 viscosity of diesel?

8 A. Certainly the viscosity is going to vary with
9 temperature.

10 Q. When the temperature goes down, the viscosity will
11 increase; is that generally the relationship?

12 A. Yes.

13 Q. You would agree that there are many fracturing
14 operations that were performed in the 2016 time period
15 at -- strike that. Let me just back up.

16 Everything we talked about in terms of the
17 temperature ranges in the U.S., global warming
18 notwithstanding, were those generally the same back in
19 2016 as what we were talking about a second ago?

20 A. Yes.

21 Q. Okay. In 2016, would you agree that there were many
22 fracturing operations that were performed in outdoor
23 climates that were above negative 3 degree Celsius?

24 A. Yes.

25 Q. Would you say it's more than half of the fracturing

1 operations in the continental U.S. at that time?

2 Would that be an unreasonable assumption?

3 MR. SZYPA: Objection to form.

4 A. I can't put a number on it.

5 BY MS. FLANNERY:

6 Q. Would it be unreasonable to think that -- would you be
7 surprised if it was more than half?

8 MR. SZYPA: Objection to form.

9 A. I wouldn't be surprised if it was half or around
10 half.

11 BY MS. FLANNERY:

12 Q. Let's see...

13 So in the next section of your declaration,
14 it starts around paragraph 170, but in the paragraphs
15 that follow, you did some calculations regarding flow
16 rates and pressures that would be required to deliver
17 the amount of fuel needed for frac jobs?

18 A. Yes.

19 Q. Have you ever done that sort of -- that sort of
20 analysis for any other system that you've built or
21 designed or considered in your prior work before this
22 case?

23 A. Sure.

24 Q. In what kinds of context?

25 A. Boy, I don't know. Fluid flow is such a basic

1 element of mechanical engineering. I've been doing
2 it since I was an undergraduate, doing fluid
3 calculations like that.

4 Q. Have you ever evaluated -- have you ever done those
5 calculations to evaluate whether a hose reel that
6 could be connected with a fluid connection would be
7 suitable in a particular system?

8 A. I don't recall analyzing a hose reel in the past, but
9 it's all the same analysis, it's all the same
10 equations behind it.

11 Q. Any analysis like that for hoses?

12 A. Probably, yes.

13 Q. Okay. In what context, would you say?

14 A. I can't remember. I know I've done hose flow
15 calculations before, I just can't remember where I
16 was doing that.

17 Q. Okay. Do you know if the calculations that you did
18 were the same as the ones you did here?

19 A. Yeah, they were the very -- the very same basic
20 equations and types of calculations that you learn as
21 an undergraduate.

22 Q. Okay. Let's go to paragraph 173 of your declaration.
23 Still on Exhibit 1. And here you state gallons of
24 diesel in a 12-hour period for small, large, and super
25 frac, fracturing operations. Right?

1 A. Right.

2 Q. Okay. And I think -- and I'm flipping back to your --
3 I don't know if it's an appendix -- yeah, Appendix A,
4 you had listed those -- those same volumes of fluid on
5 page 82. Do you see that? The 7,500, 12,000, and
6 24,000 gallons per 12 hours.

7 A. Yes.

8 Q. Okay. And it says "per information from FAS." Do you
9 see that?

10 A. Yes.

11 Q. So you got that information from the patent owner in
12 this case.

13 A. Yes, the general -- I asked questions about the
14 general size of the jobs that they would have
15 encountered during that time period.

16 Q. Who at FAS did you get that information from?

17 A. That would be talking to Mr. Shock.

18 Q. The inventor on the patents.

19 A. Yes.

20 Q. Do you know what Mr. Shock does today? Do you know
21 what his role is?

22 A. He's retired. He's a consultant to them in some
23 form.

24 Q. Do you know when he retired?

25 A. I don't know exactly. I think it was in a few --

1 within a few years. Not long ago.

2 Q. Was there anybody else from FAS that you got
3 information like this from or was it just Mr. Shock?

4 A. I think it was just Mr. Shock on that topic.

5 Q. Okay. Did you ask Mr. Shock when -- like what time
6 period these numbers would have been typical?

7 A. Yes, we've talked about time periods as well.

8 Q. And which time periods did he indicate that these were
9 representative?

10 A. When we were talking about the 2016 time frame in
11 particular, but certainly over time the portion of
12 jobs that are getting larger and larger is increasing
13 over time in the history of fracking from, let's just
14 say, 2010 through now. The jobs just keep getting
15 bigger and bigger. That's typically the way it goes.

16 Q. So Mr. Shock told you that these were typical ranges
17 for fracturing operations in 2016 or around 2016.

18 A. That's correct. This is a range of what you could
19 expect to find in a frac environment.

20 Q. In that time period.

21 A. That was the intent, yes.

22 Q. I understand that was the intent. Is that what he
23 told you?

24 A. Yeah, that's what he -- that's what we discussed.

25 Q. And, again, these are the numbers that would be

134

1 typical for the pumps that are powering -- I'm sorry,
2 for the diesel powering of the frac pumps themselves,
3 the pumps that are pumping the frac fluid into the
4 formation. Right?

5 A. Generally, yes, but there's other equipment as well
6 that's using diesel. It doesn't have to just be
7 those pumps.

8 Q. Okay. So the 7,500 gallons per 12 hours, is that
9 representing the entire frac spread -- is that
10 representing all of the equipment on the frac site or
11 is that just the pumps?

12 A. It could be any equipment on the frac site.

13 Q. Okay. So the pumps would be some subset of that?

14 A. That's right.

15 Q. Do you know what proportion of the -- of these numbers
16 would be the frac pumps?

17 A. No, I don't have that information.

18 Q. Do you know if it would be more than half?

19 A. I would think it probably would be.

20 Q. Do you know if it would be more than two-thirds?

21 A. I don't know specifically. It seems like it would be
22 a fairly large proportion, but I'm not sure.

23 Q. You haven't done that analysis.

24 A. I don't have any data to analyze on that breakdown.

25 Q. You didn't ask Mr. Shock or anybody at FAS about that.

1 A. I was only concerned with the total fuel consumption.
2 Basically, what are the FAS units outputting. That
3 was the basis for my analysis.

4 Q. Is it your understanding that the FAS units output all
5 of the diesel needed at the frac site regardless of
6 what equipment it's for?

7 A. Not necessarily.

8 Q. The frac pumps that consume some of this diesel at a
9 frac site, those are pumps that are pumping frac fluid
10 at high pressures that we talked about earlier.
11 Right?

12 A. Yes.

13 Q. And as we talked about, not all oil field
14 operations -- well, let me take a step back.

15 Drilling fluid, for example, doesn't get
16 pumped at that high of a pressure.

17 A. That's right.

18 Q. Would you expect the fuel consumption rates for
19 drilling for a mud pump in a drilling operation to be
20 higher or lower than those of a frac pump?

21 A. I don't have any data on that.

22 Q. Okay. Are you familiar with dual fuel pumps?

23 A. Generally, yes.

24 Q. What's your understanding of what a dual fuel pump
25 means?

1 A. It means you can use natural gas to power it or
2 diesel.

3 Q. Okay. Those would use, potentially, no diesel; is
4 that right?

5 A. Potentially. That's right.

6 Q. Is it more often a combination that's used with diesel
7 and natural gas in those circumstances?

8 A. I'm not sure I know the answer to that. If it's per
9 pump or piece of equipment, I'm not sure.

10 Q. I'm sorry, what do you mean by that?

11 A. There could be some pieces of equipment that are
12 running on diesel, some that are running on natural
13 gas. I'm saying they're different pieces of
14 equipment on the same frac site. They don't all have
15 to be running one or the other, is my point.

16 Q. Okay. So the concept of dual fuel, your understanding
17 would mean you might have one pump that is running --
18 that is a diesel pump and one pump that is a natural
19 gas powered pump.

20 A. I'm saying that's one possibility. Another
21 possibility is a pump could be designed to take
22 either fuel. Then you'd call that a dual fuel unit.
23 You're going to use either A or B. I'm not sure if
24 you would do A and B at the same time.

25 Q. Okay. Over the course of use, you might switch back

1 and forth between the diesel and the natural gas on
2 that one pump, is that --

3 A. I'm not familiar with whether or not that is the
4 practice or not.

5 Q. Okay. For whatever period of time that pump is using
6 natural gas, it would not be consuming at least as
7 much diesel as if it were being powered solely by the
8 diesel. Right?

9 A. That's right.

10 Q. Okay. Let's see. In paragraph 173, this is where you
11 had the -- again, the 7,500, 12,000, 24,000 gallons
12 numbers you cited. Let's see, you say -- just before
13 these numbers, you refer to a BJ Energy study.

14 A. Right.

15 Q. Okay.

16 MARKED FOR IDENTIFICATION:

17 EXHIBIT 10

18 12:26 p.m.

19 BY MS. FLANNERY:

20 Q. All right, you've been handed what's been marked as
21 Exhibit 10 to your deposition. Is this the BJ Energy
22 study that you were just talking about?

23 A. Yes.

24 Q. Okay. And can you tell me where in this study
25 supports the numbers that you describe here?

1 A. Yeah.

2 Q. You said -- if it helps you, it says, "For a Tier 2
3 emissions-standard diesel engine." You said that,
4 "The consumption ranged from 14,000 to 31,000 gallons
5 per 12 hours of operation."

6 A. Right. Generally -- give me one second. I want to
7 make sure I'm answering that correctly.

8 Q. Yeah.

9 A. So generally the odd-numbered tables, starting with
10 Table 11 which is on page 22 -- basically there was
11 an alternation of the table numbering, and the
12 odd-numbered ones are the ones that indicate the
13 diesel fuel consumption. So I was generally
14 referring to those tables.

15 Q. Okay. So I'm looking at Table 11 on page 22.

16 A. Right.

17 Q. Okay. And so the T4F and the T2 -- or I guess the T2
18 is what you're referring to.

19 A. I refer to T2. That's right.

20 Q. Okay. So that's within that range of 14 to 31,000.

21 A. Yeah. Unfortunately, the FAS units, they talk about
22 per shift, like every 12 hours how much fuel they
23 consume, so that's why those are in 12 hours.

24 Q. Ah.

25 A. And this BJ reference they talk per day, so I had to

1 put a factor of 2 in there.

2 Q. So you just divided those numbers by 2 to confirm that
3 they were in that range.

4 A. Yeah.

5 Q. Understood. Okay. And then you said it was the
6 odd-numbered tables, so then also Table 13?

7 A. Yeah, these are cases. You'll see Case 1 is
8 Table 11. Case 2 is Table 13. Case 3 is Table 15.
9 Case 4 is Table 17. Case 5 is Table 19.

10 Q. Ah, got it.

11 A. I think that's it.

12 Q. Okay. Great.

13 In each of those tables they list the T2,
14 which I guess is the T2 emissions-standard diesel
15 engine.

16 A. Right.

17 Q. And then they list T4F; do you know what that is?

18 A. It's a more recent emission standard. So, basically,
19 I think they're federal standards that tightened up
20 the emission controls on the T4 units, so newer
21 systems might be designed to that standard. But just
22 for uniformity and sticking with the 2016 time frame,
23 I stuck with the T2 units straight across the board.
24 But the numbers are similar anyway.

25 Q. Do you know if the T4 standards were in effect in

1 2016?

2 A. I can't remember. I think I did look at that, but I
3 can't remember exactly when they came in effect.

4 Q. Okay. And then the T4F dual fuel, do you see that?

5 A. Yeah.

6 Q. So is that one of the types of -- which flavor of dual
7 fuel is that? Is that one piece of equipment that can
8 run on either?

9 A. Yeah, as I said before, I would have to read to be
10 sure. I don't want to answer it incorrectly. So I'm
11 not positive if it's portion of time or if they
12 actually can mix it. They might be able to mix it,
13 but I'm not positive.

14 Q. Okay. In either event, this T4F dual fuel example
15 used some amount of natural gas and some amount of
16 diesel. Whether or not it was on the same piece of
17 equipment, that we don't know?

18 A. We might be able to find out, but it wasn't relevant
19 to me because I was only concerned with diesel,
20 diesel consumption on a diesel job.

21 Q. So you didn't consider instances where there might be
22 pumps that are operating using sometimes natural gas,
23 sometimes diesel?

24 A. I simply considered the ranges of consumption that I
25 quoted, 7,500, 12,000, or 24,000 gallons per 12-hour

141

1 shift. So wherever it's going, I don't know, it's
2 just that's how much fuel is leaving the trailer, the
3 distribution system.

4 Q. The amounts of diesel that are used in the dual fuel
5 example here, those are below the ranges that you gave
6 in your report. Correct?

7 A. I would have to look. I don't know.

8 Q. So, I mean -- well, let's look at Table 13. That's
9 the one I've got open here.

10 A. Okay.

11 Q. So the amount of diesel fuel, to use gallons per day,
12 is 6,451 gallons. Right?

13 A. Right.

14 Q. So in a 12-hour shift, that's about 3,200.

15 A. That's right.

16 Q. Okay. And so that is lower than the range -- that's
17 lower than any of the numbers that you gave even for a
18 small fracturing operation; is that right?

19 A. That is correct, for that dual fuel application.

20 Q. Okay. All right. Let's go back to your declaration.
21 And we'll go to, let's see, page 82.

22 MR. SZYPA: This is still Exhibit 1?

23 MS. FLANNERY: Yes, still Exhibit 1.

24 BY MS. FLANNERY:

25 Q. And this is that short table that we were referring to

142

1 just a second ago that had the peak fuel consumption
2 per 12-hour numbers that we were just talking about.

3 Do you see that?

4 A. I do.

5 Q. Okay. And then in the rows that are below there,
6 there are average duty cycle numbers. Do you see
7 that?

8 A. Yes.

9 Q. And you consider the average duty cycles as 90 percent
10 and 50 percent. Correct?

11 A. Yes.

12 Q. And so the total flow rate required under each of
13 those duty cycles -- so that's the -- so just as --
14 let's take one example.

15 So for the 90 percent average duty cycle,
16 the total flow required -- flow rate required to get
17 to 7,500 gallons per 12 hours is 12 gallons per
18 minute; is that right?

19 A. Yeah, you read that right.

20 Q. Okay. So you just calculated those total flow rates
21 based on the consumption and the duty cycle.

22 A. Right.

23 Q. Okay.

24 A. And that -- just to be clear, that page, that table,
25 I'm not sure that was specifically used in my

1 declaration -- sorry, in the body of it where I'm
2 discussing that, I forgot what paragraph that was, I
3 was mostly referring to calculations done on the
4 prior page, page 81 of this appendix.

5 Q. Understand.

6 A. That was just kind of a reference table.

7 Q. So this was a reference table in that these are the
8 flow rates. In order to achieve -- in our example, in
9 order to achieve 7,500 gallons per 12 hours at a
10 90 percent duty cycle, you would need to use a
11 12-gallon-per-minute flow rate.

12 A. Right. That's the total from all the hoses. So the
13 prior page of the Appendix A there is where I break
14 it down and look at what's flowing through the hoses,
15 and that's where I calculate the pressure losses
16 through the hoses.

17 Q. Understood. Yeah. Total flow rate means through all
18 the hoses.

19 A. Right. And I don't know that that's achievable, is
20 what I'm getting at. So this is just a reference
21 table on page 82, second page of Appendix A. It's
22 the prior page that I actually do the calculations to
23 determine what can be pumped through those hoses.

24 Q. Right. So -- and when you say "what can be pumped
25 through those hoses," you calculated the pressure that

1 comes out. Right?

2 A. Pressure and flow rate through each of the hoses.

3 Q. Okay. Okay. We'll come back to that in a second.

4 Let's go flip back in the declaration to
5 paragraph 171. And so based on Dr. Durham's
6 testimony, you dub what was called the "Durham
7 configuration" which refers to one of two models of
8 reels. Right?

9 A. Right.

10 Q. And based on -- in that configuration, you assumed
11 that the hose would be 700 feet long?

12 A. I believe that's what Dr. Durham specified, 700 foot
13 of -- 700 feet of hose.

14 Q. He said, "I think 700 feet would be a good number for
15 maximum length." He said that.

16 A. Oh, yeah, there it is, the quote, yes.

17 Q. Okay. Is it your understanding that a 700-foot hose
18 would be the minimum requirement for a refueling
19 system at a frac site?

20 A. No, that's not my understanding.

21 Q. Okay.

22 A. I was just quoting him.

23 Q. Understood. Do you know if 700-foot hoses are
24 required for all refueling systems at frac sites?

25 MR. SZYPA: Objection. Form.

1 A. It -- in my opinion, it is not. I was just, again,
2 referring to what Dr. Durham indicated.

3 BY MS. FLANNERY:

4 Q. Okay. You chose what he referred to as the maximum.

5 MR. SZYPA: Objection. Form.

6 A. Well, I believe in his deposition he was asked, you
7 know, which model, and this was his answer.

8 BY MR. FLANNERY:

9 Q. He said 700 feet would probably be a good number for
10 the maximum length.

11 A. So my understanding is he would pick the model,
12 specific model he interpreted from the table of
13 models available in the 1125 Series. He picked the
14 one that would be capable of 700 feet of hose
15 storage.

16 Q. Is that the hose reel that a POSITA would choose?

17 A. I'm not concerned with a POSITA. I'm concerned with
18 what Dr. Durham was putting forth in his deposition
19 which supported his declaration.

20 Q. In his declaration, did he say he was using a 700-foot
21 hose?

22 MR. SZYPA: Objection to form.

23 A. He did not indicate what he was using in his
24 declaration, which is why I believe he was asked that
25 question in his deposition, to try to figure out

1 which model he was talking about.

2 BY MS. FLANNERY:

3 Q. Let's see, going down to paragraph 178. And in this
4 paragraph you talk about the number of hoses that
5 would be used in a refueling system at one time?

6 A. Yes.

7 Q. Okay. And it was your testimony that a limit of three
8 simultaneous hoses would be considered safe.

9 A. Yes. Safe, and that's ideal.

10 Q. You said five or six hoses would also be considered
11 marginally safe.

12 A. Right. It's a sliding scale. The more hoses you
13 add, the more risk you add, the more potential for
14 problems.

15 Q. Okay. These numbers of three, five, and six hoses,
16 where -- what were you basing those numbers on?

17 A. It started with conversations with Mr. Shock in our
18 weekly calls over the past -- in the months I was
19 working on the report or declarations, plus the
20 inspection down in Victoria, so -- and seeing the
21 units in operation, seeing the control systems, and
22 just conversations with how these units are run in
23 the field.

24 So that gave me the foundation, you know,
25 plus my own experience in the industry and judgment to

1 come up with these numbers as being what would be
2 reasonable.

3 Q. Okay. So let's unpack some of what you said there.

4 A. Sure.

5 Q. The inspection down in Victoria, did you see -- I
6 think you had said that was being -- that the system
7 was being operated in a -- in a demonstration
8 facility?

9 A. Well, it was just inside their workshop building. I
10 think Dr. Durham saw the same thing.

11 Q. Okay. Not on an active frac site.

12 A. That's right.

13 Q. Okay. You said, conversations with Mr. Shock. Did
14 Mr. Shock tell you that these are the numbers of hoses
15 that would be safe to operate or marginally safe to
16 operate at once?

17 A. He did not. He did not specifically say, this is the
18 number. He told me about their operations, their
19 experience in operating units in the field, and I
20 got -- like I said, I got to see the operation.

21 I got to, you know, learn about the
22 technician on-site and how they monitor all the
23 operations from the control room and have to be
24 familiar with -- or maintain, as best possible, the
25 condition of all the hoses on the frac site, traveling

1 these distances to the different pieces of equipment
2 and making sure that they've got, you know, good
3 engagement at the fuel cap where they're -- the far
4 end of the hoses are located.

5 So the operator, the one person that's
6 operating that unit, has to be on top of all the stuff
7 that's going on.

8 And so the more hoses that you're running
9 simultaneously, the greater the workload you're
10 placing on that individual, so that has a lot to do
11 with how you constrain those numbers of hoses that you
12 can run simultaneously.

13 Q. And did anyone, whether it was Mr. Shock or somebody
14 else at FAS, tell you that six or seven was considered
15 marginally safe?

16 A. I believe, you know, discussions were along the lines
17 of, you know, three is what they're typically
18 comfortable doing. Sometimes they'll go higher than
19 three.

20 So I definitely had discussions about what
21 their experiences were, and I used that to make the
22 judgments about how far I thought you'd go before
23 you'd hit that line where it's just too much, too much
24 to handle with one person operating the unit.

25 Q. So when you say "they," who was this other than

1 Mr. Shock?

2 A. I'm just talking about FAS in general, when they're
3 operating their units and whoever the technician is
4 out there operating it in the field, wherever they
5 are.

6 Q. Someone communicated this to you?

7 A. Mr. Shock.

8 Q. Mr. Shock. Okay. So all of this information about
9 how many hoses would be -- they were -- they were
10 comfortable with, FAS was comfortable with, that came
11 from Mr. Shock to you?

12 A. Anything I got came from Mr. Shock. He would be the
13 only person I spoke to about that. But some of this
14 is coming from my own judgment after all the
15 information came in that I talked about,
16 conversations plus inspection and demonstration.

17 Q. Do you know if Mr. Shock has ever monitored hoses on a
18 refueling site -- or refueling system?

19 A. Yes.

20 MR. SZYPA: Objection. Form.

21 Go ahead.

22 BY MS. FLANNERY:

23 Q. Do you know if he has?

24 A. I believe he has, yes.

25 Q. Okay. Was that his job?

150

1 A. Mr. Shock did everything. I mean, I'm talking about
2 literally everything. He designed those units, built
3 them up from scratch. The first units were built and
4 operated with Mr. Shock involved. 100 percent.

5 MS. FLANNERY: I'll object to the lack of
6 foundation on that.

7 BY MS. FLANNERY:

8 Q. Just to be clear, you have not seen one of FAS's --
9 other than the demonstration location that you saw,
10 you have not seen FAS's refueling systems operating on
11 an active frac site. Right?

12 A. That's right.

13 Q. Do you know how many operators would be present to
14 monitor those systems at one time on a frac site?

15 A. You mean the FAS operator, the FAS unit operator?

16 Q. Or if somebody was operating one of these systems in
17 2016, like the Van Vliet system.

18 A. Well, I can tell you what's typical, and I believe
19 there is -- I can't remember which exhibit number
20 talks about the launch of the Frac Shack. There's an
21 article I cited in multiple of these declarations, I
22 think, and they talk about it.

23 To be cost-effective or cost-competitive,
24 you can't afford to have too many people out there on
25 the site, so you might have multiple individuals

151

1 temporarily or for the shorter time to get set up, to
2 speed it up, but then you're going to have one
3 individual you're going to rely on for one refueling
4 unit because you've got to be cost-competitive with
5 others.

6 Q. So is your testimony that operating those types of
7 systems -- oh, and so -- just let me back up.

8 The Frac Shack system, that existed before
9 2016. Right?

10 A. That's right.

11 Q. So in those systems, is it your understanding that
12 there was only one individual who was monitoring the
13 hoses on those sites?

14 A. I'm saying in my understanding of the experience from
15 FAS in particular and what I've learned all about
16 their operations, one individual is typical because,
17 just like for the reasons described in the Frac Shack
18 article, you need to minimize your costs. You can't
19 afford to have multiple individuals at the same time.
20 You have one per shift monitoring that trailer.
21 That's how the system is designed to be used.

22 Q. Let's see, paragraph 177. Let's see...

23 You noted for a given flow rate that there
24 would also be required pump pressure needed to achieve
25 that flow rate, and that for safety purposes the pump

1 pressure should be limited to approximately 60 psi.

2 A. That's right.

3 Q. Do you see that? Where did that 60 psi number come
4 from?

5 A. Well, specifically, that's the limit they set on the
6 units for the FAS, FAS units. They set it at 60.
7 But it's also my engineering judgment that that's a
8 safe level to operate when you're pumping fuels.

9 Q. And that's today, in 2024. Right?

10 A. That's at any time.

11 Q. It's your understanding that that was the limit in --
12 well, so let me -- let me take a step back.

13 FAS units weren't being sold in 2016.

14 Right? The refueling systems.

15 A. I'm trying to remember. I think they might have
16 started in 2017, but I'm not positive.

17 Q. Okay. For the refueling system like Van Vliet, would
18 there have been a 60 psi pump pressure limit?

19 A. I don't recall what their limit -- if I know what
20 their limit was at Van Vliet.

21 Q. Okay. Do you know what a -- what a pressure limit
22 would have been for the Frac Shack systems that we
23 talked about?

24 A. I don't know specifically. I'm saying based on my
25 engineering judgment, nothing has changed from any

153

1 point in time from 1900 till now that would say a
2 certain amount of pressure -- like it would have been
3 safe to use more pressure earlier, like earlier in
4 time.

5 Generally when you're dealing with high
6 pressure and you have any risk of a leak for any
7 reason, high pressure is bad because it can cause the
8 diesel to spew out or shoot out, spray out, which
9 could cause injury to people in the vicinity so
10 that -- the point is, the safety hasn't changed in
11 this time period. It's the same.

12 Q. Do you know if the pumps that were used in, for
13 example, the Frac Shack or the AFD systems, do you
14 know if those were operated at a pump pressure higher
15 than 60 psi?

16 A. I don't know that, but I would say that it would be
17 unsafe to operate -- you know, you're adding risk
18 when you operate higher than 60. It doesn't mean you
19 can't, it just means that you're adding risk.

20 So why would you add the risk if you don't
21 need to? You're adding -- it's a tradeoff. You don't
22 want risk. You avoid it.

23 Q. Last one before our break.

24 MARKED FOR IDENTIFICATION:

25 EXHIBIT 11

1 12:47 p.m.

2 BY MS. FLANNERY:

3 Q. And so in performing your calculations to determine if
4 the pressure in the system would exceed -- you
5 considered whether or not the pressure in the system
6 might exceed a hose pressure limit. Right?

7 A. That's right.

8 Q. Okay. And this is the document that you referred to
9 in determining what the pressure limits would be for
10 certain types of hoses.

11 A. Well, this is the hose that Dr. Durham specified.
12 That's why I used it. That's the reference.

13 Q. Is it your understanding that the combination of
14 Coxreels with the Van Vliet reference requires using
15 this hose?

16 MR. SZYPA: Objection. Form.

17 A. I'm just -- I'm not saying that. I'm saying that
18 this is the hose that Dr. Durham said he would use,
19 he would consider for the application, so I used that
20 as a reference for what a high-pressure limit might
21 be on a hose of this type.

22 BY MS. FLANNERY:

23 Q. There may be combinations of Coxreels and Van Vliet,
24 for example, that don't necessarily use the hose
25 that's shown in Exhibit 11; is that right?

1 MR. SZYPA: Objection. Form.

2 A. I am just referring to what is in Dr. Durham's
3 declaration and petition. I'm not speaking generally
4 as to all hoses on the market. That's too broad.
5 I'm just -- I'm responding to what Dr. Durham put
6 forth.

7 BY MS. FLANNERY:

8 Q. Did the petition cite this document -- or this hose?

9 A. I need to refer back to my declaration because now
10 I'm -- I can't remember.

11 Q. Okay.

12 A. I think this came from Dr. Durham. Well, I know this
13 particular brand hose came from Dr. Durham.

14 Q. I'm asking about the petition. You referred to the
15 petition.

16 A. I'm sorry. I don't know if it's in just the
17 declaration or if it made it to the petition. I
18 don't remember.

19 Q. And so in looking at the -- there's a couple of
20 different size hoses that are listed here. Right?
21 Different inside diameters, different outside
22 diameters?

23 A. Right.

24 Q. Okay. And you looked at the 10-millimeter -- I think
25 these are millimeter hose --

1 A. That's correct.

2 Q. -- ID hose?

3 A. Correct.

4 Q. And you noted that that one has a pressure limit psi
5 of 90 psi.

6 A. Yes.

7 Q. Okay. You know there are some other hoses that are
8 listed on this document that can withstand higher
9 pressures. Yes?

10 A. Yes, but they're even smaller. The -- yeah. They're
11 even smaller.

12 Q. Do you know if there were -- if there were larger ID
13 hoses that existed in 2016 that would have withstood
14 higher pressures?

15 MR. SZYPA: Objection. Form.

16 A. I haven't looked for a specific product. I was just
17 referring to what Dr. Durham cited. You can buy
18 high-pressure hoses. I don't recall -- I didn't look
19 for any specifically that I can recall.

20 MS. FLANNERY: Okay, now is a good time for
21 a break.

22 (Off the record at 12:50 p.m.)

23 (Back on the record at 1:26 p.m.)

24 BY MS. FLANNERY:

25 Q. I'm going to go back to Exhibit 1, which is still your

1 declaration in the '805 IPR.

2 A. Yes.

3 Q. All right. And go to the -- we'll go to page 81,
4 which is that first page of your appendix. And I
5 think -- this is the spreadsheet that you said you
6 used to perform the calculations that were reflected
7 in your report --

8 A. Yes.

9 Q. -- is that right? Okay.

10 I don't have the native version of this,
11 and so I'm going to be struggling a little to kind of
12 ask you what you remember. Well, do your best.

13 Do you have still a native version of this
14 document?

15 A. I do.

16 Q. Okay. Do you have it here today?

17 THE WITNESS: Do you have a copy of it? I
18 think I do.

19 MR. SZYPA: Do you want me to send it to
20 you and I can --

21 MS. FLANNERY: That is probably going to be
22 the easiest thing. I kind of -- I want to make it an
23 exhibit. I just don't want to be harder for him to
24 review this than what is necessary.

25 MR. SZYPA: Yeah.

1 MS. FLANNERY: And so I want to make it an
2 exhibit to the deposition.

3 MR. SZYPA: Let me look. If I don't, then
4 you could probably email it to me.

5 MS. FLANNERY: Right.

6 BY MS. FLANNERY:

7 Q. Let me ask some of my initial -- I think I do have
8 some questions that can be answered without that, and
9 then if we get to a point where we need that, we'll go
10 there.

11 A. All right.

12 Q. So looking at page 81 here, the, sort of, shaded
13 boxes, do I understand that those were sort of --
14 those were inputs that you would have put in, sort of
15 typed in the numbers as opposed to representing a
16 formula?

17 A. That's probably right, yes.

18 Q. Okay. All right. That would be my convention too.

19 And so if I'm looking at the conditions
20 that you have here shown, you were assuming for this
21 calculation shown running three hoses at a 50 percent
22 duty cycle.

23 A. That's right.

24 Q. With a flow rate of 11.5 gallons per minute.

25 A. Right.

1 Q. And that 11.5 gallons per minute is the total flow
2 rate.

3 A. Through all the hoses. That's right.

4 Q. Okay. So that's the total flow rate for all three
5 hoses in this calculation.

6 A. Yeah, if you added them up.

7 Q. Got it. Okay.

8 Why did you -- so you talked about a
9 50 percent duty cycle in your declaration as that
10 being a comfortable way to operate these systems. Do
11 you recall that?

12 A. Yes.

13 Q. What is that -- where did you obtain that information?

14 A. That's just engineering judgment. That's a nice,
15 safe place to operate. You're not taxing the system.
16 You have plenty of time to address issues that might
17 arise without threatening the operation of the frac.

18 Q. Is that something you discussed with Mr. Shock?

19 A. I don't recall specifically if I talked to him about
20 that number.

21 Q. Okay. Do you know if FAS or AFD or Frac Shack, any of
22 the companies that have operated mobile fuel -- mobile
23 refueling systems for frac sites, do you know if they
24 have operated those at higher duty cycles?

25 A. I don't have any data on that.

1 Q. Okay. And the 11.5 gallon total flow rate, so you
2 said that was the total flow rate through the three
3 hoses. Why did you select that rate as opposed to a
4 higher rate?

5 A. Well, yeah, so we're not looking at the native
6 document. This is -- well, even if we were, this is
7 just whatever state the file was left in when I
8 happened to email it to the attorneys. So you can
9 just change those input numbers that we discussed and
10 get a different condition.

11 So I tested many, many, many different
12 configurations in the development of my analysis that
13 went into the declaration. This is just something --
14 I'm not sure if this represents any particular
15 condition.

16 Q. Okay. So let's turn over the page to page 82.

17 MR. SZYPA: By the way, I think I have the
18 native here, if you want to -- can we go off the
19 record for --

20 MS. FLANNERY: Let me finish this set of
21 questions and then let's talk about it.

22 MR. SZYPA: Yeah, yeah, yeah.

23 BY MS. FLANNERY:

24 Q. So on the next page, page 82, you had here listed some
25 total flow rates corresponding to certain duty cycles.

1 Right?

2 A. Right.

3 Q. And so taking, for example, the 50 percent average
4 duty cycle for the 7,500 peak fuel consumption, the
5 flow rate -- the total flow rate corresponding to that
6 was 21 gallons per minute --

7 A. That's right.

8 Q. -- right?

9 A. So the -- maybe to clarify, the net table on the
10 second page is not coupled in any way to the table on
11 the first page. That was just a reference -- I was
12 trying to describe before lunch, just a reference
13 table. I wasn't using that in the calculations on
14 the first page.

15 Q. Okay. Is there any reason you wouldn't use a
16 21-gallon-per-minute total flow rate instead of the
17 11.5 that you -- that appears on page 81?

18 A. Page 81 is just a generic calculator, so I could put
19 in whatever I want. So if I wanted to test that
20 condition, yes, I would put in 21.5, if that's what I
21 was interested in.

22 Q. And would 21.5 gallons per minute -- I mean, it is
23 listed in -- on page 82. I assume that that is a --
24 that is a flow rate that would be acceptable to use
25 since you list it here.

1 A. So the table on 82, I just have the -- yeah, the
2 typical large and super frac designations for the
3 size of the job, and depending on the duty cycle,
4 this gives you an idea of what the total flow rate
5 needs to be. So, yeah, so for 7,500 and 50 percent,
6 21(g) p.m. total is how much fuel you would need to
7 pump.

8 Q. A POSITA would recognize that for that 50 percent duty
9 cycle to get to 7,500 psi, you would need to pump that
10 rate.

11 A. Right.

12 Q. And that's true of any -- no matter if you're using a
13 small hose or a large hose. Right?

14 A. That's right. I'm not analyzing hoses on that sheet.
15 That's the first sheet, page 81.

16 Q. The flow rate -- the total flow rate is determined
17 independently of how many hoses you're using.

18 A. Yeah, it's just volumetric calculation, how much
19 fluid is flowing.

20 Q. Understood. Okay. So looking at the calculation you
21 have here on page 81, this version -- and we'll get to
22 the native version -- the total fuel per 12 hours that
23 is delivered by this system is only 4,100 gallons per
24 12 hours; is that right?

25 A. Yeah, that's the current output of the spreadsheet on

1 that page only.

2 Q. Got it.

3 A. Yeah.

4 Q. Would that be enough for some fracturing operations?

5 A. It's possible. That's a very small job. It could
6 only require that much. So I probably should have
7 left the spreadsheet in a better state when I handed
8 it to the attorneys because I didn't intend to mean
9 anything. There's no -- nothing to take away from
10 those particular numbers. I'm not sure why, if
11 that's where the spreadsheet was left.

12 Q. Understood. Would that be enough -- 4,100 gallons per
13 12 hours, would that be enough for other types of oil
14 field operations, like powering a drilling pump?

15 A. That's not something I've analyzed. I don't know the
16 answer to that.

17 Q. And so Models, I guess, A and B that are listed here
18 on the left-hand side, those are two different models,
19 two different calculations that you did using these --
20 using whatever conditions you had plugged in at the
21 top; is that right?

22 A. That's right. A is -- A is more typical of a FAS
23 unit, whereas B is typically what I was looking at,
24 whatever Dr. Durham was configuring in his
25 declaration and plus testimony.

1 Q. Okay. So let's talk about Model B. And so in the
2 inner diameter, the ID, that's the 3/8 of an inch for
3 the hoses that's listed there?

4 A. Yes.

5 Q. And this is -- this shows a 200-foot length hose; is
6 that right?

7 A. That's right, in this particular set of inputs.

8 Q. Okay. And again, under A and B, the shaded numbers,
9 those would be numbers you directly input as opposed
10 to a calculation.

11 A. Yes.

12 Q. Okay. So you did run these numbers for a 700-foot
13 hose?

14 A. I ran, yeah, every possible set of numbers. I was
15 probably just playing with the numbers at the time
16 this got saved.

17 Q. Okay. Model A there you said was a -- that's typical
18 of an FAS unit. What did you mean by that?

19 A. As a reference, I looked at what the -- the FAS unit
20 that I inspected in Victoria, I put in numbers that
21 were, you know, roughly representing that unit.

22 Q. Okay. And the ID of the hose in that unit was 1 inch?

23 A. Yes.

24 Q. And its length was 200 feet?

25 A. Yes.

165

1 Q. How many hoses -- well, this model still assumes that
2 only three of those hoses on that model are being used
3 at any given time; is that right?

4 A. That's the input that we're looking at on that
5 particular snapshot.

6 Q. Got it. Okay.

7 A. Just, again, don't read anything into it. It's just
8 that's where it was at the time I left it.

9 Q. Okay.

10 A. It doesn't mean that all these numbers are
11 necessarily accurately representing the FAS unit
12 either, I was just playing with the numbers. I see
13 it as a generic calculation tool that I can do
14 whatever I need to.

15 Q. Okay. So let's go back to paragraph 180 of your
16 declaration, Exhibit 1.

17 A. All right.

18 Q. And so what you describe here, the Durham
19 configuration with three hoses delivered
20 simultaneously in a comfortable duty cycle of
21 50 percent, you calculated the estimated pressure
22 required to meet the fuel delivery for 7,500 gallons
23 for 12 hours would be 440 psi?

24 A. That's right.

25 Q. Okay. And the Durham configuration, again, that's the

1 3/8 of an inch diameter hose of 700 feet long?

2 A. That's right.

3 Q. Okay. And you got to that 440 psi using the
4 spreadsheet that we were just talking about on
5 page 81?

6 A. That's right.

7 Q. Do you know what flow rate you were using?

8 A. I would adjust the flow rate to get the total fuel
9 for 12 hours to be whatever I needed, 7,500 or -- you
10 know, I adjust the inputs until I get the -- yeah.

11 Total fuel per 12 hours, which is a white
12 cell, that's a calculated cell, which is currently
13 reading 4,100, I would adjust the total flow and duty
14 cycle to get that number to read 7,500 if that's what
15 I was trying to write about in my report.

16 Q. Okay. And do you know what flow rate you used?

17 A. Where?

18 Q. To get to the 44 -- 440 psi in that calculation.

19 A. I'd have to go back to 180, you said?

20 Q. Yes.

21 A. So it's three hoses, 50 percent duty cycle, 7,500
22 gallons in 12 hours. So we could look at page 82 and
23 I'll tell you what the input would have been. I
24 already forgot it. 50 percent, 2,500. So it would
25 have been 21-gallon-per-minute total flow. Am I

1 reading that -- yeah, that's what I'm reading.

2 So at 21 gallons per minute, that would
3 give me 7,500 gallons per 12 hours with a 50 percent
4 duty cycle.

5 Q. Okay. So going from the version of the spreadsheet
6 that you've got on page 81 here, in order to get to
7 the 440 psi as that max pressure, you would change the
8 total flow rate GPM from 11.5 to 21.5.

9 A. Yes.

10 Q. Okay.

11 A. And the hose length. And as printed here, I'm only
12 showing 200 feet of hose versus 700 feet.

13 Q. Okay. So it's your testimony that if you make those
14 two changes, the 21.5 flow rate -- total flow rate and
15 the 200 feet to 700 feet, you get a 440 psi pressure?

16 A. I think I need to just check my work here, make sure
17 I'm telling you the right thing. Give me one second.
18 Let me read my declaration.

19 Yes, that's -- that seems to be correct.

20 Q. Okay.

21 MS. FLANNERY: Let's go off the record.

22 (Off the record at 1:41 p.m.)

23 (Back on the record at 1:45 p.m.)

24 MARKED FOR IDENTIFICATION:

25 EXHIBIT 12

1 1:45 p.m.

2 BY MS. FLANNERY:

3 Q. Dr. Rodgers, I am bringing you my laptop which has an
4 Excel file on it entitled flow calcs -- the file name
5 is flowcalcs.xlsx. Do you see that?

6 A. I do.

7 Q. Okay. Is this -- and do whatever you need to do to
8 confirm, but is this the spreadsheet -- is this the
9 live version of the spreadsheet that you printed and
10 attached as the appendix to your declaration
11 Exhibit 1?

12 A. It is.

13 Q. Okay.

14 MS. FLANNERY: And for the record, I'm
15 going to immediately email this to the court reporter
16 and opposing counsel.

17 And this will be marked as Exhibit 12 to
18 your deposition.

19 BY MS. FLANNERY:

20 Q. Dr. Rodgers, what are the benefits of having a hose
21 reel that has a fluid passage that can be connected to
22 a hose as opposed to a hose reel that doesn't?

23 MR. SZYPA: Objection. Form.

24 A. Generally speaking, if you have the fluid passage
25 through, you can reel it in in a more automated or --

169

1 you can crank it in more easily than if you just have
2 to wrap a hose around a reel manually without having
3 the flow of passage through.

4 So it's different in that way.

5 BY MS. FLANNERY:

6 Q. Would a POSITA have known that in the 2016 time frame?

7 MR. SZYPA: Objection. Form.

8 A. I think we talked about that earlier, but in general,
9 a POSITA would be aware that some -- there are --
10 there exist reels with fluid passages that can be
11 reeled in in this way.

12 MARKED FOR IDENTIFICATION:

13 EXHIBIT 13

14 1:49 p.m.

15 BY MS. FLANNERY:

16 Q. All right, Dr. Rodgers, you've been handed what's been
17 marked as Exhibit 13 to your deposition. This is the
18 patent reference by Russell Cable that you reviewed in
19 this matter; is that correct?

20 A. Yes.

21 Q. I would direct you to Figure 2 of Exhibit 13. It's on
22 the second page.

23 A. Yes.

24 Q. And you see Figure 2 is a top view of the vehicle
25 that's shown in Figure 1. Do you agree with that?

1 A. Yes.

2 Q. Okay. And that top view shows, I believe, what the
3 specification calls spacing 22 between the two tanks
4 19 and 21?

5 A. Yes.

6 Q. Would that spacing 22 allow access to equipment in the
7 rear compartment of this vehicle?

8 A. It allowed the driver to move from the front of the
9 truck where the driver's seat is and go all the way
10 back through the back doors for servicing vehicles,
11 you know, through the back doors.

12 Q. Would it permit that person to access any portions of
13 tank 19 or 21 while walking through that space?

14 A. Well, the -- some portions. Not all portions. But
15 yes.

16 Q. For example, that person would be able to
17 access the -- let's see. Do you see plate 82?

18 A. I don't see it. Why am I missing 82?

19 Q. It wouldn't be the first patent that didn't label
20 something.

21 If you flip to column 4 of Cable, at the
22 very bottom -- I'm kind of looking at the portion that
23 bridges column 4 and column 5 on the following page --
24 do you see where it says, "To fill tanks 28 and 29, a
25 threaded plug is removed from the inlet opening of

1 plates 82 and 93"?

2 A. Yes.

3 Q. And it says, "For example, plug 85 may be removed from
4 plate 82"?

5 A. Yes.

6 Q. All right. And so flipping back to Figure 2, do you
7 see plug 85?

8 A. I do.

9 Q. And so if a person is walking back through spacing 22,
10 would they be able to access that area where plug 85
11 is?

12 A. Presumably. I don't know if it says that
13 specifically, but it's on the near side or the inner
14 side of the tank, so that might be possible.

15 Q. All right. And if you could look in your declaration,
16 again Exhibit 1, the same one we've been looking at
17 earlier, if you go to paragraph 97 of that, please.

18 And in the heading that precedes that
19 paragraph you stated that, "A POSITA would not have"
20 looked to modify -- "looked to Cable to modify
21 Van Vliet."

22 Do you see that?

23 A. I do.

24 Q. And you stated one of the reasons was that a POSITA
25 would have understood that the equipment in Cable's

1 truck is configured for fast service in a roadside
2 environment.

3 Do you see that?

4 A. I do.

5 Q. Is it your opinion that the configuration that's in
6 Cable is only useful for servicing road vehicles in a
7 fast service environment?

8 MR. SZYPA: Objection. Form.

9 A. I don't know. I haven't analyzed other reasons that
10 it might be useful, I think is the word you used. I
11 forget.

12 BY MS. FLANNERY:

13 Q. So you just haven't done the analysis to give that
14 opinion one way or the other?

15 A. I haven't thought about what other purposes -- it's
16 clear that they created an aisle walkway from the
17 driver's compartment through to the rear door so that
18 the driver could go right to the back and out the
19 back door. That's how it's designed. So that's the
20 point I made in my declaration.

21 Q. Okay. You weren't intending to say here that the
22 configuration in Cable is only useful for that
23 particular environment.

24 MR. SZYPA: Objection. Form.

25 A. I don't know. I haven't done an analysis on what

1 other reasons you might want to have that.

2 BY MS. FLANNERY:

3 Q. For example, you noted that the hoses in Cable are
4 deployed out the rear of the truck that's shown there.
5 Correct?

6 A. That's right.

7 Q. Is that something that would only be suitable in a
8 roadside environment?

9 A. Meaning could it be suitable in other environments?

10 Q. Correct.

11 A. I suppose it could be suitable in other environments.
12 You know, the points I made are based on the
13 Background section of Cable where they describe all
14 these different mobile fueling, you know, quick lube
15 kind of systems that Cable follows on.

16 Q. And I think you mentioned in your declaration that one
17 of the things that makes that so useful is that the
18 Cable -- the truck that's in Cable can be backed up to
19 be in front of whatever vehicle it's servicing.
20 Right?

21 A. That's right.

22 Q. Could that be done somewhere other than a roadside
23 environment?

24 A. Hypothetically, you could do that in another
25 environment, yes. It's well suited for that

1 particular application.

2 Q. Okay. Is it your opinion that a POSITA would not
3 consider Cable's teachings unless that POSITA was
4 looking to design a roadside service vehicle?

5 MR. SZYPA: Objection. Form.

6 A. My point was that it's not very closely related to
7 the refueling application that Van Vliet is
8 targeting. It's a very different application.

9 So my point is, yes, you would -- if you
10 are designing a diesel refueling system for frac
11 sites, looking at a van that's for use in, you know,
12 quick lube on vehicles is not necessarily relevant.
13 It's pretty far apart.

14 BY MS. FLANNERY:

15 Q. So it's your view that Cable is not relevant
16 because -- Cable is -- let me try it again.

17 It's your view that Cable would not be
18 relevant to a POSITA designed by the Van Vliet system,
19 for example, because Cable teaches something that is
20 useful for a roadside environment?

21 A. It's roadside, among other factors. That's just one
22 factor.

23 Q. Okay. And the other factor was the fast service, I
24 think you mentioned?

25 A. Fast service is another factor.

1 Q. Okay.

2 A. And there are other things in my declaration we can
3 go through in this section.

4 Q. All that's stated in your declaration. Right?

5 A. Yeah.

6 Q. Would you expect service vehicles that are used in a
7 roadside environment to need a desk in the vehicle?

8 A. I'm quite positive --

9 MR. SZYPA: I'm sorry, did you say a desk?
10 I'm sorry.

11 MS. FLANNERY: Desk.

12 MR. SZYPA: Desk.

13 A. Yeah, quite possibly.

14 BY MS. FLANNERY:

15 Q. What purpose would a desk serve in that kind of
16 vehicle?

17 A. Well, I guess -- I haven't read -- I can't recall
18 what I've read specifically in Cable, but my
19 estimation is they would use it for paperwork when
20 you're invoicing your customer. You're going out to
21 a remote environment, you're going to perform the
22 lube service, and there's going to be some sort of
23 invoicing receipt that has to be made, and having
24 that desk station provides that opportunity for the
25 technician, driver of the truck, to do that kind of

1 thing.

2 Q. Would a desk be useful in the Van Vliet system for an
3 operator using that system?

4 A. A desk? Yeah, totally different. The desk would be
5 perhaps more useful because you have operators
6 sitting there for the hours and days of the
7 continuous operation that that trailer would be
8 expected to be working in, so you have -- it's nice
9 to have a cabin where the operator of that equipment
10 can sit and spend many, many hours, 12-hour shifts.
11 So there might be other reasons to have a desk in
12 that environment.

13 Q. It would be safer for the person to be able to do that
14 in the trailer of Van Vliet, for example, than, say,
15 sitting outside the trailer?

16 A. Well, yeah, that has nothing to do with the desk.
17 You just have to have a cabin. That's more of a
18 cabin issue, control room/cabin that's safer for the
19 operator to be in.

20 Q. And in a roadside environment like Cable, it would be
21 safer for the person to be doing that work at a desk
22 in the van as opposed to sitting on the side of the
23 highway?

24 A. Generally speaking, that's such a small percentage of
25 the time that's being spent in the Cable operation

177

1 that -- it's all a manual operation in Cable. So the
2 technician/operator is going to be standing there at
3 the back of the truck manipulating hoses, valves,
4 putting fluid in, taking fluid out. Whatever they're
5 doing, it's all very manual operation and it happens
6 outside the vehicle.

7 So it's just not possible in Cable to
8 protect the operator by keeping them in a safe place
9 in the vehicle because it's a manual operation.
10 They're out there anyway.

11 So this is just a convenience to be able to
12 write up something, whatever they need to do, because
13 everything they're doing is quick on the outside and
14 then they're driving off to the next customer.

15 Q. Are you saying there's no reason to have a desk in
16 Cable?

17 A. I'm saying the reason is just to have it as an easy
18 way to have a convenient surface for writing on to
19 fill out an invoice or, you know, to provide a
20 receipt, that kind of thing.

21 Q. But that would be even more useful in the context of
22 Van Vliet?

23 MR. SZYPA: Objection. Form.

24 A. What I said was in Van Vliet, it's just important to
25 have a cabin/control room space. So there are

1 different reasons to have it. I'm not too concerned
2 with the five minutes it takes to fill out a form if
3 there's something like that in the Van Vliet
4 application. It's more the 12-hour shift where you
5 have an operator sitting there and needing a place to
6 go.

7 BY MS. FLANNERY:

8 Q. So in the Van Vliet system, you would expect that that
9 person would be sitting at the desk on the trailer
10 longer than they would be sitting -- than the operator
11 in Cable would be sitting at a desk there?

12 A. I don't expect the Cable operator to ever be sitting
13 at a desk.

14 Q. Ever. Okay.

15 A. It's a workstation, essentially, just to fill out a
16 form or something like that. You're not operating
17 the equipment from there. It's not a control room.

18 Q. If you could go back to Exhibit -- Cable, which is
19 Exhibit 13. And again, looking at -- well, looking at
20 Figure 1, which is on that same page as Figure 2,
21 Figure 1 shows that the van truck has both a driver
22 compartment and a rear compartment. Do you see that?

23 A. I don't see how they're two different compartments.

24 Q. Okay. Let's go to column 2 of Cable. Around line 48,
25 the paragraph begins, "Referring now more

1 particularly."

2 Do you see that?

3 A. Yes.

4 Q. It describes a mobile lubrication apparatus 15 with a
5 conventional van truck 16 having a driver compartment
6 as well as a rear compartment.

7 A. I see that.

8 Q. Okay. And would you agree that Figure 2 shows the
9 layout for that rear compartment?

10 A. Yes.

11 Q. Would you agree that the equipment that's used to
12 perform that -- to deliver lubricant is in the rear
13 compartment?

14 A. Yes.

15 Q. You'd agree that the rear compartment of Cable is
16 generally rectangular in shape?

17 MR. SZYPA: Objection. Form.

18 A. In Figure 2, it appears to be rectangular.

19 BY MS. FLANNERY:

20 Q. It has side walls and a rear wall with rear doors?

21 MR. SZYPA: Objection. Form.

22 A. That's generally true. I don't -- well, Figure 2
23 doesn't show the doors, but I know from Figure 1 that
24 they're there.

25 BY MS. FLANNERY:

1 Q. Okay. Would you agree that the equipment -- some of
2 the equipment that's shown in the rear compartment of
3 Cable, some of that -- some of that equipment is
4 also -- let me try that again.

5 As to the equipment that's shown in the
6 rear compartment of Cable, would you agree that that
7 overlaps with some of the equipment that's shown in
8 Van Vliet's trailer?

9 MR. SZYPA: Objection. Form.

10 A. There are some components that may be the same or
11 similar and there are several that are very
12 different. In general, the layout is very different.

13 BY MS. FLANNERY:

14 Q. Does Cable indicate that the existence of the front
15 compartment, the driver compartment, is relevant to
16 how the rear compartment is configured?

17 A. I'm not sure how to answer that. Can you rephrase,
18 maybe?

19 Q. Sure. So I think we just talked about how Figure 2 of
20 Cable shows the layout for how the equipment in the
21 rear compartment is arranged --

22 A. Right.

23 Q. -- fair? Does Cable say anything to indicate that
24 that particular arrangement of equipment that's shown
25 for that rear compartment is related to the existence

1 of the driver compartment?

2 A. I'm still struggling with that, I'm sorry. The
3 driver compartment connects to the rear
4 compartment... I'm not sure I can answer that, sorry.

5 Q. Yeah, let me ask that a little bit differently.

6 Is there something about the positioning of
7 the driver compartment that requires, for example, the
8 tanks to be where they are in the rear compartment?

9 A. Well, certainly you need the tanks in the rear, then
10 there's reasons to put it where they did, ahead of
11 the front -- or the rear axle so the weight is
12 carried between the two axles. It's the heavy
13 component of a system. So that's why the tanks are
14 where they are.

15 The driver obviously is going to sit in
16 front. The tanks are going to be behind him.

17 Q. Okay. If the driver compartment didn't exist, if this
18 was -- if Cable was converted to a trailer as opposed
19 to a driver compartment --

20 A. Right.

21 Q. -- would you be able to use the same layout of
22 equipment for the rear compartment that's shown here?

23 MR. SZYPA: Objection. Form.

24 A. I mean, that's not what Cable describes, so that's
25 just hypothetical. I don't know.

1 BY MS. FLANNERY:

2 Q. You don't know if taking the driver compartment away
3 and converting this to just a standing trailer that
4 has to be towed, you don't know if that would mean
5 that you'd have to make changes to the rear
6 compartment?

7 MR. SZYPA: Objection. Form. Asked and
8 answered.

9 A. It's a hypothetical. It has different design
10 requirements to turn this into a towed trailer, for
11 example, so I don't know how that would change the
12 distribution of the equipment.

13 BY MS. FLANNERY:

14 Q. You just didn't consider that.

15 A. No.

16 Q. The three patents that are involved in these IPRs, the
17 '805, the '955, and the '118, do those patents cover
18 fast service vehicles?

19 MR. SZYPA: Objection. Form.

20 A. Fast service vehicles. No, I don't believe they
21 cover -- you know, fast service vehicles in the
22 context of what we've been talking about with the
23 Cable van. These are automated refueling systems
24 that sit and operate for a long period of time in a
25 stable configuration.

1 BY MS. FLANNERY:

2 Q. What in the specification of the Shock patents makes
3 you think that it could not cover a fast service
4 vehicle?

5 A. It just wouldn't make any sense. A POSITA would know
6 that you have this huge piece of equipment with all
7 these big hoses and reels, and the capacity to pump
8 so much diesel fuel, that's not -- you don't need to
9 pump diesel fuel for a short period of time in a
10 quick service environment. You don't just go, you
11 know, to refuel one piece of equipment quickly.

12 It's made for a frac site where you have
13 many pieces of equipment sitting here for days or more
14 at a time, and you're continually refueling,
15 refueling, refueling, in an almost continuous process.

16 Q. Would a system of the -- of one of those patents, the
17 three patents that we talked about, would any of those
18 systems ever have a driver compartment?

19 MR. SZYPA: Objection. Form.

20 A. No, they don't have driver compartments. They're
21 trailers. Or I guess they could be a container.

22 BY MS. FLANNERY:

23 Q. Do those three patents that we just mentioned, do they
24 cover a drivable road vehicle?

25 MR. SZYPA: Objection. Form.

1 A. They are just trailers. They're not -- they don't
2 have an engine or a driver compartment.

3 BY MS. FLANNERY:

4 Q. Would you agree that the Cable and the Van Vliet
5 references that we've talked about today, they are
6 both mobile fluid delivery systems?

7 MR. SZYPA: Objection to form.

8 A. Mobile, meaning you can move them. Right?

9 BY MS. FLANNERY:

10 Q. I'm okay with that understanding.

11 A. Okay. Mobile fluid delivery... I'm sorry, I forgot
12 the words.

13 Q. No, that's okay. Mobile fluid delivery systems.

14 A. Mobile fluid delivery. So they are mobile and they
15 are delivering fluid. So I would say, yes, they are
16 fluid delivery, just not fuel, not frac. Not, you
17 know, all that other stuff we talked about.

18 Q. Is it your understanding that the Cable system is only
19 used for delivering lubricant?

20 A. No.

21 Q. You agree it is used to deliver fluids other than
22 lubricant?

23 A. Yes. Just not fuel.

24 Q. You agree Cable doesn't mention, in the four corners
25 of the document, delivering fuel.

1 A. I agree.

2 Q. Is there anything about the Cable system that
3 indicates it could not be used to deliver fuel?

4 MR. SZYPA: Objection. Form.

5 A. There's nothing in that reference that describes fuel
6 because fuel is something that's required more
7 regularly in larger volumes and they have -- there's
8 different types of tankers that would deliver fuel
9 remotely to other vehicles.

10 This type of vehicle is not suited to that
11 particular application because fuel requires so much
12 of a greater volume than the types of fluids that
13 they're delivering in Cable. You wouldn't deliver it
14 with those small tanks that they have configured in
15 the back of the Figure 2 of Cable, for example. It's
16 just not suited to that type of application.

17 BY MS. FLANNERY:

18 Q. So it's your understanding that Cable is not suited to
19 deliver fuel because the tanks aren't big enough?

20 A. Well, that's one reason. You'd have -- you'd have a
21 different type of vehicle. A POSITA would understand
22 that refueling trucks are very common in the industry
23 and multiple industries, and you don't design
24 refueling trucks like this because you wouldn't be
25 able to refuel very much at all because there's not

1 enough capacity in that truck.

2 Q. Does Cable talk about the volume of its tanks?

3 A. I don't recall.

4 Q. Okay.

5 A. I'm just familiar with the types of fluids that they
6 do describe and the volumes involved, which are
7 small.

8 Q. Do you know the -- what is the volume of lubricant
9 that would be used in a roadside vehicle? Is that
10 something that you have experience with?

11 A. Well, yeah.

12 Q. And what is your experience with that?

13 A. Changing oil in a lot of different types of vehicles
14 and --

15 Q. Uh-huh.

16 A. -- coolant and lubricants. So, yeah, doing all those
17 kind of things.

18 Q. Is that part of your professional experience or is
19 that just life?

20 A. Professional and personal.

21 Q. In your professional experience, you've changed oil?
22 Really?

23 A. Okay, I'll be... that's good. Let me clarify.

24 As an engineer designing these systems and
25 working with systems, I would be familiar with that.

1 Q. I was about to be really surprised.

2 When you say "as an engineer designing
3 these systems," what kind of systems are you talking
4 about?

5 A. Just working around different types of, you know,
6 vehicles, say, that are used in the oil industry and
7 service equipment. Not particularly a Cable van,
8 per se, but other types of equipment. Other, you
9 know, large engines, that sort of thing.

10 Q. So in your work in the oil field industry, you've been
11 around vehicles that are used to refuel equipment used
12 in those industries.

13 A. Yes.

14 Q. Have you been around equipment used to deliver
15 lubricant in a roadside environment?

16 A. No. I think Cable would be the -- I'm most familiar
17 with Cable for an example of a lubricant delivery
18 vehicle.

19 Q. Or any of the other fluids that Cable talks about
20 delivering to roadside vehicles?

21 A. Right. Those are small-volume maintenance fluids
22 that you do once every, you know, 5,000, 10,000
23 miles, that kind of thing, or number of service
24 hours. Not something that you would do regularly
25 like refueling every, you know, day or two depending

1 on how fast you consume the fuel.

2 Q. And your experience with those small-volume
3 maintenance fluids, your professional experience with
4 those is what?

5 A. Not actually changing the fluids myself, but
6 understanding the types of engines that are used and
7 the types of fluids that they need in their regular
8 maintenance schedule, and knowing that those things
9 have to be changed every so often, number of service
10 hours, number of miles.

11 So it's the -- my experience would be with
12 the -- that type of equipment.

13 Q. And that's your professional experience with roadside
14 vehicles.

15 A. Yes.

16 Q. And what -- what work have you done with roadside
17 vehicles in your professional experience?

18 A. I'm saying working with -- experience in the oil
19 industry, working with different types of operations
20 on a well site where you have different types of
21 vehicles, familiarity with different types of
22 vehicles and the types of engines and the types of
23 maintenance they would require --

24 Q. So your --

25 A. -- that's what I'm saying.

1 Q. I'm sorry. I didn't mean to interrupt you.

2 Your experience with roadside vehicles is
3 in the context of your work in the oil field -- in the
4 oil industry?

5 A. Yes.

6 Q. Okay.

7 A. Professionally.

8 Q. Right. That's assumed.

9 Let's go back to your declaration,
10 paragraph 114, if you would, please.

11 And let's see, paragraph 114, you list a
12 number of items of equipment that's in Cable. Do you
13 see that?

14 A. I do.

15 Q. And at the end of that, you say, "None of these pieces
16 of equipment are found in Van Vliet."

17 Do you see that?

18 A. Right.

19 Q. And then paragraph 115, you do the reverse, mention
20 equipment that's in Van Vliet but is not found in
21 Cable. Correct?

22 A. Yes.

23 Q. Is it your understanding in combining Van Vliet with
24 Cable that a POSITA would have to accommodate every
25 piece of equipment from Cable into the Van Vliet

1 trailer?

2 MR. SZYPA: Objection. Form.

3 A. No, that's not my understanding.

4 BY MS. FLANNERY:

5 Q. It would be possible for a POSITA to pick certain
6 aspects of the equipment or the design of Cable to
7 incorporate.

8 A. Yeah, it's --

9 MR. SZYPA: Objection to form.

10 A. -- it's possible. And my point is just that the
11 equipment is different, so you can't learn much from
12 the layout of one when you're applying it to the
13 other. So you can't learn much from the layout in
14 Cable when you're dealing with different equipment in
15 Van Vliet. Very different equipment. So there's not
16 a lot to be gained there.

17 BY MS. FLANNERY:

18 Q. So is it your opinion that a POSITA would only look to
19 other references that has completely identical
20 equipment in figuring out how to design a system?

21 A. Well, if they were looking for something to replicate
22 or learn from, they would want to pick something that
23 better matched the application and the equipment
24 involved. Cable is just not it.

25 Q. Does that require that the equipment be identical

1 between the two systems?

2 MR. SZYPA: Objection. Form.

3 A. It doesn't require it to be identical, but at least
4 similar would be good.

5 BY MS. FLANNERY:

6 Q. Let's go back to Exhibit 13, Figure 2 of Cable.

7 Sorry, keep flipping back.

8 And notice the hose assembly is 25 and 26,
9 the back of the rear compartment?

10 A. Yes.

11 Q. You agree those were arranged on either side of the
12 compartment?

13 MR. SZYPA: Objection. Form.

14 A. They're arranged at the back adjacent to rear doors,
15 so they're split left and right side from those rear
16 doors.

17 BY MS. FLANNERY:

18 Q. And again, there's the spacing 22 that we talked about
19 between the two tanks, there's at least that same
20 amount of spacing between the hose assemblies 25 and
21 26. Do you agree with that?

22 A. Yeah. Assuming this is to scale, it's approximately
23 the same.

24 Q. Okay. Let's flip back to page -- paragraph 118 of
25 your declaration.

1 A. Okay.

2 Q. And talking about Cable's arrangement here in
3 paragraph 118, you state a little further down in that
4 paragraph that that arrangement would impede access to
5 the reels for maintenance and repairs because only one
6 side of the reels facing the aisle would be
7 inaccessible -- would be accessible, since the other
8 side would be back to the trailer side wall and thus
9 be inaccessible.

10 Do you see that?

11 A. I do.

12 Q. Is it your understanding that in combining Van Vliet
13 with Cable, that the spindles of the reels would have
14 to be oriented perpendicular to the aisle in the
15 combined system?

16 MR. SZYPA: Objection to form.

17 A. I'm basing that on the petition and Dr. Durham's
18 declaration, that there's no discussion of anything
19 other than that, using Cable's reels as Cable defined
20 them.

21 BY MS. FLANNERY:

22 Q. So you believe that the petition states that in the
23 combination, the reel -- the spindles of the reels
24 have to be oriented perpendicularly to the middle
25 walkway?

1 MR. SZYPA: Objection. Asked and answered.

2 A. Yes, same as Cable. It's basically Cable's
3 orientation inserted into Van Vliet, is what I
4 understand it to be.

5 BY MS. FLANNERY:

6 Q. Are you assuming that the reels would be placed up
7 against the side walls with no space in-between the
8 side wall and the reel?

9 MR. SZYPA: Objection. Form.

10 A. Well, there's only so much space across the 96-inch
11 width of the trailer or the road vehicle, we know.

12 So if you're going to use the Cable
13 arrangement with an aisle down the middle, it
14 necessarily means that the reels are going to be
15 placed pretty close to the walls, to the side walls,
16 as they've done here in Cable, and you're just not
17 going to have walkway access or much of any access to
18 what's on the wall side, the outer edge there.

19 BY MS. FLANNERY:

20 Q. Is it your view that if there isn't a walkway, you
21 can't access the reels?

22 A. It certainly makes it harder to access the reels. It
23 would depend on, you know, how much space. But
24 certainly if you had one row of reels in the vehicle,
25 that gives you a lot more -- much more space in that

1 96 inches to work with so that you can design it so
2 you can have access all the way around.

3 So it's preferable for access purposes to
4 not have an aisle walkway down the middle.

5 Q. Wouldn't it be just as easy if you had a tool that
6 could be used to access those reels?

7 A. No.

8 MR. SZYPA: Objection.

9 A. Sorry, no.

10 BY MS. FLANNERY:

11 Q. No? Why is that?

12 A. Because for assembly and maintenance,
13 troubleshooting, whatever, you need to be able to
14 access all sides. Ideally, you would want to be able
15 to get all the way around and access anything.

16 Q. Is that true just of reels?

17 A. That's generally true of equipment in general.

18 Q. Is it your understanding that the reels in Cable are
19 permanently welded or installed in place or are they
20 removable?

21 A. I don't recall if Cable describes that or not.

22 Q. Did you make an assumption about that one way or the
23 other in your analysis?

24 A. I didn't make -- need to make an assumption. I know
25 for installation, assembly, maintenance, any of that,

195

1 it's going to be easier if you can access all sides,
2 and in Cable they can easily do that. They just open
3 up the rear doors, which you can't do that in
4 Van Vliet.

5 Q. In combining -- so in using the Cable configuration in
6 Van Vliet, would the reels be permanently welded in
7 the trailer?

8 A. I don't know if they would or wouldn't be, but either
9 way it's going to be very hard to access. It would
10 be very hard to weld them. It would be very hard to
11 assemble them if you didn't have access as compared
12 with a situation where you did not have a center
13 aisle and you only had, say, one row front to back of
14 reels. So it's relative.

15 Q. Let's go a couple of paragraphs down to paragraph 121
16 in the declaration.

17 A. Okay.

18 Q. And in that paragraph there's one sentence, I guess,
19 and then 122 and 123 you do some analysis as to what
20 the width of the walkway would be if there was going
21 to be a walkway in Van Vliet with the Coxreels reels?

22 A. Right.

23 Q. And it's -- you gave the opinion here that the -- that
24 the aisleway in the proposed combination would be too
25 narrow.

1 A. That's right.

2 Q. And so in your calculation, you assumed -- and I'm
3 looking at paragraph 122 here -- that the Coxreels
4 reels would take up at least 83 inches of trailer
5 width total if you had two of them.

6 A. Correct.

7 Q. Would you agree that a POSITA would find a walkway
8 sufficiently wide for the Van Vliet system if it
9 complies with OSHA standards?

10 A. There could be other factors besides just OSHA
11 standards, I would imagine.

12 Q. Okay.

13 A. That would be one thing they would consider.

14 Q. What else would they consider?

15 A. Their operations and what they felt they needed to
16 have an efficient and reliable operation.

17 Q. Okay. On page 42 there of your declaration, I think
18 you have a reproduction of Coxreels size charts; is
19 that right --

20 A. Yes.

21 Q. -- dimensions charts? And the 41-1/2 inch width, I
22 think you sort of put it in a box here, that
23 corresponds to the reel width. Correct?

24 A. Yes.

25 Q. Okay. If you look at -- kind of at the top, that's

1 the W column.

2 A. Right.

3 Q. Let's look back at Coxreels, is one of the exhibits in
4 your stack, I think, Exhibit 8.

5 A. Okay.

6 Q. All right. And Exhibit 8, on the front page there, in
7 addition to that chart that you reproduced it has,
8 sort of, little thumbnail sketches of the reels
9 indicating where those different measurements are?

10 A. Yes.

11 Q. And I know it's very small, but the W that's in the
12 thumbnail on the left-hand side, do you see that spans
13 the distance from the left -- the left end of that
14 spout on the left to the end of the hand crank on the
15 right? Do you see that?

16 A. I see that.

17 Q. In your analysis, when you were using these dimensions
18 to calculate the width of the aisle, you assumed that
19 this reel would be facing the rear of the trailer.
20 Right?

21 A. The Cable orientation. So spindle axis perpendicular
22 to the aisle.

23 Q. I think as we talked about earlier, Van Vliet didn't
24 require that orientation. Right?

25 MR. SZYPA: Objection to form.

1 A. Yeah, I'm referring to the orientation that
2 Dr. Durham described in the combination, basically
3 taking Cable's reel and putting it into Van Vliet.

4 BY MS. FLANNERY:

5 Q. That orientation didn't come from Van Vliet, though,
6 is my point.

7 A. It's Cable's orientation.

8 Q. Not Van Vliet's.

9 MR. SZYPA: Objection. Asked and answered.

10 A. Van Vliet didn't specify orientation, as I recall.

11 BY MS. FLANNERY:

12 Q. There you go. Okay.

13 If the reels were rotated to face the side
14 walls of the trailer in Van Vliet, the dimension that
15 would be perpendicular to the aisleway would be the L
16 dimension that's showing here in Coxreels. Right?
17 It's in the other thumbnail.

18 A. Yes, in that hypothetical situation, the other
19 dimension of the reel is more the diameter of the
20 reel itself, is the L dimension.

21 Q. Okay. And the L value for all of the models that are
22 shown here in Exhibit 8 is 17-5/8 inches. Right?

23 A. That's right.

24 Q. So two of those reels would occupy a total of 36
25 inches.

1 A. Roughly.

2 Q. A little less than that, actually. Right? Okay.

3 A. Details.

4 Q. And so with a 96-foot -- if that was incorporated into
5 a 96-foot trailer, just the math, that would leave 60
6 inches of open space not occupied by the reels.

7 Correct?

8 MR. SZYPA: Objection. Form.

9 A. Yes, 96 inches. You said feet, but I know you mean
10 inches, so...

11 BY MS. FLANNERY:

12 Q. I appreciate that.

13 A. So, yeah, in this hypothetical, if you turn the reels
14 the other direction then that would leave you closer
15 to, yeah, I think what you said, 60.

16 Q. Would 60 inches, would that be wide enough for a
17 walkway in the system of Van Vliet?

18 MR. SZYPA: Objection to form.

19 A. Hypothetically speaking, it could be. That's
20 certainly, yeah, not what I read from Dr. Durham's
21 declaration.

22 BY MS. FLANNERY:

23 Q. I'm sorry, you said that's not what -- what did you
24 say just a second ago? I'm sorry.

25 A. Specifically, I said that's not what I read from

1 Dr. Durham's declaration.

2 Q. And when you said that's not what you read, that's not
3 what you read from Dr. Durham's declaration, are you
4 saying he said 60 inches wouldn't be wide enough?

5 A. Sorry. This configuration is not something he
6 described, is what I mean.

7 Q. I'm just asking, would 60 inches be wide enough -- a
8 wide enough walkway? That's all I'm asking.

9 A. Yes. And I'm just --

10 Q. That's all I'm asking.

11 MR. SZYPA: Objection. Asked and answered.

12 A. I'm just qualifying my answer to say that's nothing
13 that I was rebutting because that's not in
14 Dr. Durham's declaration.

15 BY MS. FLANNERY:

16 Q. Okay. Fair enough.

17 In paragraph 123, the next paragraph of
18 your declaration here, Exhibit 1, you noted that the
19 least wide Coxreels 1125 model is 19.5 inches wide.
20 Do you see that?

21 A. Yes.

22 Q. Ah, so that's like the first one that's listed, the
23 1125-4-100?

24 A. Correct.

25 Q. Okay. And so if that one was placed in the

1 configuration depicted in Cable, facing out the rear,
2 you noted that that would leave 57 inches for an
3 aisleway plus any other spacing that was needed, not
4 occupied by the reels.

5 A. Correct.

6 Q. Would a POSITA consider 57 inches sufficiently wide
7 for an aisleway in the system of Van Vliet?

8 A. Yeah, that's sufficient for an aisleway.
9 Unfortunately, not sufficient for -- the reels
10 wouldn't be big enough to hold enough hose to be
11 useful.

12 Q. In the orientation of Cable, where the reels are
13 deployed out the back, what's the benefit of doing
14 that, of deploying them out the rear as opposed to
15 somewhere else?

16 A. Well, you have those two large van doors in Cable, so
17 you can open up those doors, all the reels are right
18 in front of you, and you can pull your hoses straight
19 out off those reels to service the vehicle that you
20 need to change the oil on or whatever.

21 Q. Okay. So -- let's make it easier with the figures.
22 So looking at Cable Figure 2 again --

23 A. Yes.

24 Q. -- so when I'm looking at the reels -- which are, in
25 part, hose assemblies 25 and 26. Right?

1 A. Right.

2 Q. I'm going to be pulling them -- the hoses off those
3 reels in a direction that is parallel to the
4 orientation of the spacing 22. Do you agree with
5 that?

6 A. Yes.

7 Q. Okay. So you agree that Cable teaches -- and -- well,
8 let me back up.

9 And so the spindle -- in this orientation,
10 the spindles of those reels are transverse or
11 perpendicular to that spacing 22. Right?

12 A. Yeah. Just to be clear, when you say spacing 22, you
13 just mean the access of the aisleway down the middle?

14 Q. There you go. Thank you.

15 A. Got it. Just wanted to be clear. I agree.

16 Q. Okay. And so Cable teaches orienting the spindles
17 perpendicular to that direction, that direction of
18 that axis.

19 A. Yes.

20 Q. The direction in which the hoses are going to be
21 pulled out is also in that same direction as that
22 axis. Right?

23 A. Generally. It doesn't have to be directly straight.
24 I don't know exactly. But probably. Because you
25 think it's servicing the vehicle that it's going to

1 back up to right behind it.

2 Q. Generally parallel to that axis.

3 A. Yes.

4 Q. Okay. And so you agree that Cable teaches orienting
5 the spindle perpendicular to the axis or the direction
6 in which those hoses are going to be deployed.

7 A. Yes.

8 MR. SZYPA: Objection. Form.

9 A. Cable does, but it has very different requirements.

10 BY MS. FLANNERY:

11 Q. Okay.

12 A. It's not the same set of requirements.

13 Q. Let's go to paragraph 127. And in this paragraph --
14 this is that long one that goes over to the next
15 page -- you talked about several issues that could
16 occur if the hoses in Van Vliet were deployed out the
17 side wall if the reels face the rear of the
18 compartment. Right?

19 A. Right.

20 Q. Okay. Would you agree that these issues would be at
21 least lessened if the hoses were deployed out the side
22 wall with the reels facing the side wall?

23 MR. SZYPA: Objection. Form.

24 A. So hypothetically speaking that's not something I saw
25 in Dr. Durham's declaration, but, yes, theoretically,

1 you could alleviate some of these concerns by
2 reorienting those reels.

3 BY MS. FLANNERY:

4 Q. With the spindle parallel to the side wall.

5 MR. SZYPA: Objection. Form.

6 A. Spindle parallel to the side wall is what we're
7 talking about, yes.

8 BY MS. FLANNERY:

9 Q. Yeah. And just to be clear, spindle perpendicular to
10 the direction in which they're being deployed.

11 A. Yes.

12 Q. I know it's a lot of directions, a lot of geometry. I
13 appreciate you bearing with me.

14 A. No, sure. Just want to make sure I'm being accurate.

15 Q. Me too. Me too.

16 Let's see. Let's go to paragraph 137.

17 And here, in this paragraph, you talk about
18 how Cable's teaching of a walkway and a storage area
19 would not be complying -- I think what you're saying
20 is it wouldn't be complying with OSHA standards; is
21 that right?

22 A. That's right.

23 Q. Okay. So is spacing 22 -- spacing -- just to be
24 clear, spacing 22 is that area that it discusses as
25 being an aisleway or a walkway and a storage area.

1 A. Yes.

2 Q. Okay. Would you say that Figure 2 in Cable is a
3 schematic representation?

4 MR. SZYPA: Objection. Form.

5 A. It looks more to be of a physical layout than a
6 schematic.

7 BY MS. FLANNERY:

8 Q. Would you assume that it's to scale?

9 A. I can't assume it's exactly to scale, no, but
10 hopefully it's representative.

11 Q. Okay. So that spacing 22 that forms the walkway and
12 the storage area, if the truck in Cable was driving
13 down the highway to go service a vehicle, would it be
14 safe for somebody walking through -- to be walking
15 through that walkway?

16 MR. SZYPA: Objection. Form.

17 A. You know, generally you don't want to be walking
18 around when you're driving down the highway, if
19 that's what you mean. You want to be seated.

20 BY MS. FLANNERY:

21 Q. This is a good point. If one person was driving that
22 truck, they're probably not going to be walking in
23 that walkway. Fair to say?

24 A. I wasn't even thinking of that, but yes.

25 Q. It wouldn't be possible.

1 A. I was thinking of a second person.

2 Q. Well, let's first ask the first one. If there's one
3 person in the vehicle, they can't possibly be walking
4 down that walkway. Right?

5 A. Not if they're driving.

6 Q. Yes. And if there are two people that are in that
7 vehicle, while the first person is driving, the second
8 person probably shouldn't be walking in that walkway.
9 Fair to say?

10 A. Fair.

11 Q. Okay. And a POSITA would have recognized that back in
12 2016.

13 A. Yes.

14 Q. That's not new.

15 A. That not new.

16 Q. Okay. So when would people be walking through the
17 walkway?

18 A. Well, when the vehicle is parked for servicing,
19 probably.

20 Q. Okay. So that would be during a time when the vehicle
21 is being used to service some other vehicle that it's
22 parked near.

23 A. Right.

24 Q. And the equipment would be being used for that
25 servicing.

1 A. Right.

2 Q. Okay. And the equipment -- that's all the equipment
3 that would have been transported to that site using
4 the van.

5 A. Yes.

6 Q. Let's see. Paragraph 140, just a little bit further
7 down.

8 Let's see, you stated that Cable has eight
9 reels that all feed hoses out the rear of the truck.
10 Van Vliet has ten. And so a POSITA would have
11 recognized that Cable's arrangement would have
12 required adding two more reels?

13 A. At least, yes.

14 Q. Does Van Vliet require ten reels?

15 A. Well, Van Vliet is designed to service fracs, so they
16 design -- the embodiment of Van Vliet at least has
17 ten reels, and a POSITA would understand that for the
18 frac refueling operation you need at least ten reels
19 in 2016, if not more.

20 Q. Why would you need ten reels -- at least ten reels, if
21 not more?

22 A. Because you want to be able to service all the diesel
23 equipment on the well site for the frac.

24 Q. And that requires ten reels?

25 A. Correct. If you don't have ten reels, then you can't

1 handle the full -- you wouldn't be able to handle as
2 many jobs because you -- like if you had a job that
3 required 12 and you only have 10, well, then you
4 can't handle that job, so it reduces your market.

5 Q. What is it that dictates how many reels you would need
6 at a frac site?

7 MR. SZYPA: Objection. Form.

8 A. The number of pieces of equipment you wish to refuel
9 in the automated refueling system.

10 BY MS. FLANNERY:

11 Q. So is that a 1:1, basically, one reel to one piece of
12 equipment?

13 A. Ideally, it is.

14 Q. Would you expect the operator to be able to monitor
15 all ten reels at the same time?

16 MR. SZYPA: Objection. Form.

17 A. In Van Vliet, are you referring to?

18 BY MS. FLANNERY:

19 Q. Yes.

20 A. Yes, it's an automated refueling system, so you've
21 got to be able to monitor those reels, like we talked
22 about earlier. I wouldn't expect them to operate all
23 ten simultaneously because of the operational
24 considerations we discussed earlier. So there's that
25 caveat to your question.

1 Q. So you're saying that ten reels would be needed in the
2 system of Van Vliet, but they wouldn't all operate
3 simultaneously?

4 A. Yeah. Let me just make the distinction, you know,
5 when you set up one of these refueling systems, you
6 want to lock the hoses in to each piece of equipment
7 that you're going to service, that you're going to be
8 refueling. That's a separate discussion from how
9 many of those hoses are actively pumping diesel at
10 any one time.

11 So that's what I was referring back to,
12 that latter point, referring back to my discussion
13 that, you know, three hoses is ideal, seven is too
14 many, or that's at the redline. That's what I'm
15 referring to.

16 Q. So do the Shock patents require operating in that
17 manner to attach a hose to one piece of equipment and
18 then not moving it until the frac site -- the frac
19 operation is done? Is that required?

20 MR. SZYPA: Objection to form.

21 A. I don't recall that limitation. I would have to look
22 at it. It certainly would be inefficient to do that.
23 It requires manpower to go out and change those,
24 connect to a different tank, for example.

25 BY MS. FLANNERY:

210

1 Q. Not optimal.

2 A. It just takes more time, more manpower, or a second
3 unit to cover more pieces of equipment than your one
4 unit can handle.

5 Q. And is that undesirable?

6 A. Well, it's going to cost more.

7 Q. Okay. And to be clear, would at least ten hoses have
8 been required in 2016 as opposed to today? I just
9 want to make sure that everything we talked about is
10 applicable to 2016.

11 A. I was referring to 2016.

12 Q. Okay.

13 MS. FLANNERY: Can we take a quick break?
14 Let's go off the record.

15 (Off the record at 2:43 p.m.)

16 (Back on the record at 2:54 p.m.)

17 BY MS. FLANNERY:

18 Q. I'm going to ask you to dig into your pile. Going
19 back to the '955 patent, which is Exhibit 5. And
20 while you're digging, if you wouldn't mind pulling out
21 Van Vliet as well.

22 A. Okay.

23 Q. They were Exhibits 5 and 6.

24 A. Got it.

25 Q. All right. So if you could go in Exhibit 5, which is

1 the '955 patent, to Claim 19, which is at the very
2 end.

3 A. All right.

4 Q. And the fourth element after the preamble is "a
5 register between the at least one pump and the at
6 least one manifold."

7 Do you see that?

8 A. Yes.

9 Q. Okay. What is the function of the register there?

10 A. It's counting or tracking how much fuel is passing
11 through it.

12 Q. In the context of the system, why is that information
13 useful?

14 A. You need to know how much fuel you're delivering so
15 that you can charge your customers for the fuel that
16 they consume.

17 Q. Can those registers -- would they measure a flow rate
18 or a -- would they directly measure a volume? How
19 would that measurement occur?

20 A. Well, technically, they're probably measuring a flow
21 rate and then integrating or accumulating it to get a
22 total.

23 Q. Okay. So to measure that flow rate, where does the
24 flow meter have to be located to measure -- to do that
25 measurement?

1 MR. SZYPA: Objection. Form.

2 A. Anywhere in the flow lines that go through the
3 system.

4 BY MS. FLANNERY:

5 Q. And so is that register, the register between the pump
6 and the manifold, is that capable of measuring the
7 flow rate out of the pump?

8 A. Just to be clear, are we talking about the
9 configuration in the '955 patent?

10 Q. Yeah, I'm talking about Exhibit 5.

11 A. Okay. So -- well, I'm picturing a figure in my
12 report, which is Van Vliet, not the '955. That's why
13 my brain was processing that.

14 Okay. So your question was --

15 Q. Yeah, is -- I'm sorry, go ahead. You want me to
16 repeat it?

17 A. Would you repeat it, please?

18 Q. Probably be better.

19 A. I lost it.

20 Q. Yeah, that's fine. So the register between the pump
21 and the manifold, is that capable of measuring the
22 flow rate out of the pump?

23 A. Well, it depends on what else the pump is connected
24 to. If one pump is driving one manifold, then -- and
25 you put a register between it, it's going to tell you

213

1 how much flow is going through that pipe.

2 Q. And the manifold is located upstream of the hoses and
3 the tanks that are receiving the diesel that are being
4 fueled; is that right?

5 A. That's right.

6 Q. So let's look at Van Vliet now. Figure 1 I think
7 probably is just as good as any.

8 And so if a fluid register was installed
9 upstream -- so -- well, let me back up.

10 The pumps in Van Vliet are labeled 32 and
11 34. Right?

12 A. Right.

13 Q. If a register was installed upstream of those pumps,
14 so, for example, for 34, if it was installed sort of
15 where that indicator line for 42 is pointing --

16 A. I see, yes.

17 Q. -- you understand that location?

18 A. Yes.

19 Q. Would it be able to measure the flow of fluid coming
20 out of the pump?

21 A. Yes. Because the flow going into the pump is the
22 same as the flow going out of the pump.

23 Q. That would be true as long as there's nothing wrong
24 with the pump. Correct?

25 A. Wrong with the pump, as in it's leaking?

1 Q. Right.

2 A. I think if the pump were leaking that much to make a
3 difference in the flow measurement, you would have a
4 bigger problem which is a big safety issue. So
5 generally these systems are sealed so that you can
6 measure anywhere along that line and you're going to
7 get the same measurement.

8 Q. So a POSITA would understand, looking at the system of
9 Van Vliet, that you're going to get the same
10 measurement regardless of where that pump is --
11 regardless of where your register is located. Excuse
12 me.

13 A. Yeah, anywhere along that flow system. I mean, by
14 your argument, you would have to have the flow meter
15 right at the delivery, the tank you're delivering to,
16 the customer delivery, because you could have a leak
17 anywhere along the way. So it's arbitrary where you
18 put it.

19 Q. Do you believe it's arbitrary where you put the
20 register?

21 A. I think you need to put the register anywhere along
22 the flow lines that makes it convenient within the
23 bounds of the trailer. You could have a register at
24 the customer site if you wanted to, if you wanted to
25 track each one out there and the customer believed

1 that was a good idea. It just makes it a little bit
2 harder because you've got to wire it out there and
3 bring the cables back with the rest of your cables,
4 your level sensor, but it can be done. So you can
5 put that register anywhere you want.

6 Q. Would a POSITA, for purposes of measuring the amount
7 of -- the amount of fuel being delivered by the
8 system, would a POSITA consider all of -- any location
9 along the line sufficient?

10 MR. SZYPA: Objection. Form.

11 A. You could pick anywhere along the lines depending on
12 what you wanted to do. If you wanted to break it
13 down by different hoses to track different potential
14 customers on a rig site, you could do that. You
15 could have multiple LCRs and add them together and
16 still get a total for one customer.

17 It's any way you want to configure it.
18 There's no reason a POSITA would think you had to put
19 it in any one particular location.

20 BY MS. FLANNERY:

21 Q. A POSITA would consider those locations equivalent for
22 purposes of measuring the amount of fluid?

23 A. Depending on what their goals were for that
24 measurement and how they wanted to invoice their
25 customers, yes, they could put it anywhere in that

1 system.

2 Q. Would you agree that a system that has multiple --
3 well, okay, let me take the example that you provided.

4 Would it be possible to install multiple
5 fluid registers in multiple different locations in the
6 system of Van Vliet?

7 A. Yes, and you would have to if you put it downstream
8 of the branches on the manifold. You would obviously
9 need more than -- well, there's two manifolds, right,
10 in Figure 1 that we're looking at, so you would at
11 least need two if you're downstream of the pumps.

12 If you go into the branches, where the
13 manifold branches off to the different reels and
14 hoses, well, then you would need one, two, three,
15 four -- five on each manifold to hit each one of the
16 ten total hoses.

17 So it's just a question of what the POSITA
18 was desiring or what the designer was desiring in a
19 configuration.

20 Q. So in the system of Van Vliet, tank 1 is in
21 communication with pump 32. Right?

22 A. Right.

23 Q. And then tank 2 is in communication with pump 34.
24 Right?

25 A. Right.

1 Q. And 34 services the manifold on the right, and 32
2 services the manifold on the left. Right?

3 A. Right.

4 Q. Okay. So in this system, is there anywhere that you
5 could place just one register and measure the fluid
6 for the entire system?

7 A. No, you would need at least two in this embodiment in
8 Figure 1.

9 Q. Okay. And so using at least two -- and just so I
10 understand, these fluid registers that are used for
11 this type of -- this type of use, how expensive are
12 they?

13 A. I don't know the price on one of those units. I'm
14 not sure what they're using exactly.

15 Q. Is it sufficient enough to where it would be
16 non-negligible to have five or six of the registers?

17 A. Yeah, I don't think that's going to change the -- in
18 the big picture, the cost of the entire unit, no.

19 Q. Would a person of skill in the art, if you have
20 multiple -- the more of those registers you have,
21 there are more that could possibly break. Fair to
22 say?

23 A. Sure.

24 Q. Okay. And so if a POSITA only wanted to use two
25 registers to measure the fluid for all of Van Vliet --

1 A. Right.

2 Q. -- they could place those registers on lines 40 or
3 42 -- 40 and 42, excuse me. Correct?

4 A. Correct.

5 Q. Okay. Are there any other locations that they could
6 place them in?

7 A. Well, between the pumps -- the respective pumps and
8 the manifolds. Is it downstream of the pump or
9 upstream of the pump before it branches off.

10 Q. For any other location where you would install, you
11 would need more than two registers to measure the
12 fluid flow for the entire system. Fair to say?

13 A. That's correct.

14 MS. FLANNERY: All right, I don't think I
15 have any further questions. I pass the witness at
16 this time.

17 EXAMINATION

18 BY MR. SZYPA:

19 Q. Dr. Rodgers, I'm going to point you to Exhibit 1,
20 starting at page 77.

21 A. Okay.

22 Q. On pages 77 and 78, you link to a YouTube video in
23 your declaration. Do you see that?

24 A. I do.

25 Q. Did you watch that video?

1 A. Yes.

2 Q. Let's look at Exhibit 5 now, which is the '955 patent.

3 A. Okay.

4 Q. Do you recall some discussions about whether Figure 4
5 showed the spindle today?

6 A. Yes.

7 Q. I want to point you to Figure 3. Let me know when
8 you're there.

9 A. I'm looking. Yes.

10 Q. Does Figure 3 show any reel spindles?

11 A. Yes.

12 Q. And a POSITA would interpret Figure 3 to show reel
13 spindles?

14 A. Yes.

15 Q. Do you recall being asked whether certain things were
16 fast service vehicles today?

17 A. Yes.

18 Q. And the system shown in Van Vliet, which is Exhibit 6,
19 is that a fast service vehicle?

20 A. No, it is not.

21 Q. Do you recall some discussion today during your
22 deposition about diesel being stored on the reels of a
23 system like Van Vliet in-between jobs?

24 A. Yes.

25 Q. Are there other periods of time other than in-between

220

1 jobs where diesel might be stored within a particular
2 reel for a prolonged period of time?

3 A. Well, it can be stored in that reel during a job if a
4 particular hose and reel are not in use on that
5 particular job. So if you're using 11 out of the 12,
6 the 12th one is not active for that particular job --
7 just making up numbers here -- or 9 out of 10 or
8 whatever. One of the -- one of the reels may not be
9 in use for the entire job and then you could still
10 have diesel sitting in that.

11 Q. Okay. Thank you.

12 Let's look at the Cable reference, which is
13 Exhibit 13.

14 A. Okay.

15 Q. And I think you testified today that the reels are
16 oriented to let the hoses out of the back of a truck
17 in Cable; is that correct?

18 A. Yes.

19 Q. And the spindles, if you will, are perpendicular to
20 the length of the aisle.

21 A. Right.

22 Q. Are you aware of any other prior art cited by the
23 petitioner that teaches a reel orientation in a
24 trailer or a truck?

25 A. I am not aware.

221

1 MR. SZYPA: So I'd like to mark this as 14.

2 MARKED FOR IDENTIFICATION:

3 EXHIBIT 14

4 3:10 p.m.

5 BY MR. SZYPA:

6 Q. Dr. Rodgers, you mentioned an inspection that you
7 conducted in Victoria, Texas, of the Fuel Automation
8 Station unit; is that correct?

9 A. Yes.

10 Q. Okay. And this Exhibit 14 that I'm showing you, do
11 you recall when I showed you these photographs last
12 week?

13 MS. FLANNERY: Object to form. Outside the
14 scope.

15 BY MR. SZYPA:

16 Q. You can go ahead and answer.

17 A. I can answer?

18 Q. Yes.

19 A. Yes, I recall.

20 Q. And what is your understanding of how these
21 photographs were obtained?

22 MS. FLANNERY: Same objection.

23 A. These photographs, I believe, were obtained during
24 the inspection of the F-A-S, FAS unit.

25 BY MR. SZYPA:

1 Q. And whose inspection was that?

2 MS. FLANNERY: Same objection.

3 A. I believe this is when Petitioner inspected the unit.

4 BY MR. SZYPA:

5 Q. And by "Petitioner," do you mean Dr. Durham?

6 MS. FLANNERY: Same objection.

7 A. Yes.

8 BY MR. SZYPA:

9 Q. And you were present at that inspection. Right?

10 A. Correct.

11 Q. You inspected the Fuel Automation Station unit on a
12 different day.

13 A. That's right.

14 Q. And that was also in Victoria?

15 A. It was.

16 Q. And that was a different unit from the Unit 1022
17 that's shown here?

18 A. I understand it was a different unit. I can't
19 remember exactly. I'd have to look back at
20 photographs to remember what the number was on the
21 other unit. But it looks like it's in the same
22 facility.

23 Q. Sure. Let's go back to Exhibit 1, at paragraph 179.

24 A. Okay.

25 Q. And on here, you conclude that "the FAS Unit includes

1 each and every limitation of at least the independent
2 claims...of the '118 patent."

3 Sorry, I'm looking at Exhibit 3. I'm
4 sorry. Let's start that over.

5 Well, okay, in Exhibit 3, at paragraph 179,
6 you conclude that. Correct?

7 MS. FLANNERY: Objection to form. Outside
8 the scope.

9 MR. SZYPA: Sure.

10 A. Yes.

11 BY MR. SZYPA:

12 Q. And in Exhibit 1 you make a similar conclusion based
13 on the independent claims of the '805 patent at
14 paragraph 214; is that correct?

15 MS. FLANNERY: Object to form. Outside the
16 scope.

17 A. That's correct.

18 BY MR. SZYPA:

19 Q. And in Exhibit 2, you make a similar conclusion with
20 regard to the independent claims of the '955 patent at
21 Exhibit [sic] 219; is that correct?

22 MS. FLANNERY: Object to form. Outside the
23 scope.

24 A. After I read 219, yes.

25 BY MR. SZYPA:

1 Q. And for all of those conclusions, you say that's at
2 least partially based on your physical inspection of a
3 FAS unit. Correct?

4 A. Yes.

5 Q. Seeing these pictures in Exhibit 14 that you've looked
6 at today, do you see anything that changes those
7 conclusions that we just discussed?

8 MS. FLANNERY: Object to form. Outside the
9 scope.

10 A. No, I do not.

11 MR. SZYPA: No further questions.

12 MS. FLANNERY: Okay. Just a couple of
13 quick followups, Dr. Rodgers.

14 RE-EXAMINATION

15 BY MS. FLANNERY:

16 Q. Patent owner's counsel asked you about having watched
17 a YouTube video showing the FAS unit?

18 A. Yes.

19 Q. And you testified that you watched that video?

20 A. That's my recollection, yes.

21 Q. When did you watch it?

22 A. I mean, sometime between March and May of 2024.

23 Q. You watched it before executing your declaration.

24 A. Yes.

25 Q. Okay. The photos that were marked as Exhibit 14 that

225

1 were shown to you, were you present when those photos
2 were taken?

3 A. I was not.

4 Q. Do you know when those photos were taken? The date?

5 A. I knew at one point but I can't remember right now.

6 Q. And you said you're not sure if this is the same unit
7 that you inspected?

8 A. I would have to compare against my own photos to try
9 to see what, if anything, was different, but my
10 understanding is it wasn't the same unit.

11 Q. Okay.

12 A. And, yeah, going back to the previous question, I do
13 remember because I was on vacation when that
14 inspection occurred, which I think was -- what was
15 the date? I think it was the week of July 25th, so a
16 couple weeks ago.

17 Q. And you don't know if there are any differences
18 between the unit that is depicted in Exhibit 14 and
19 the unit that you inspected sometime before May?

20 A. I was there in March, I believe. Everything looks
21 like it's configured the same way as what I recall
22 when I inspected the unit in that same facility.

23 Q. Have you considered whether there are any differences
24 between the unit that you saw and the unit that's
25 depicted in Exhibit 14?

1 A. I have not done any analysis to try to ascertain
2 whether a specific difference exists.

3 Q. Did you speak with your counsel today about the
4 subject matter of your testimony during the
5 deposition? And I'm just asking for a yes or no right
6 now.

7 A. No.

8 Q. So during the breaks, you didn't have any
9 conversations with patent owner's counsel about the
10 substance of your testimony?

11 A. I did not.

12 MS. FLANNERY: Okay, I don't have any
13 further questions.

14 (Proceedings concluded at 3:18 p.m.)
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF NOTARY

STATE OF MICHIGAN)

) SS

COUNTY OF OAKLAND)

I, ALISON WEBSTER, certify that the
deposition of JOHN RODGERS, Ph.D., P.E. was taken
before me via videoconference on the date
hereinbefore set forth; that the foregoing
questions and answers were recorded by me
stenographically and reduced to computer
transcription; that this is a true, full, and
correct transcript of my stenographic notes so
taken; and that I am not related to, nor of counsel
to, either party nor interested in the event of
this cause.



ALISON C. WEBSTER, CSR-6266, RPR, RMR, CRR, RDR
Notary Public, Oakland County, Michigan
My commission expires: May 1, 2029

<p>084.004953 2:11</p> <hr/> <p>1</p> <hr/> <p>1 4:19 10:1,2,14,15 24:4 38:22 40:9, 23 43:17 44:10,11,18 45:15 46:4,23 47:23,24 54:14,16 55:3,5 57:14 64:19,21 91:23 102:18,21 127:7 131:23 139:7 141:22,23 156:25 164:22 165:16 168:11 169:25 171:16 178:20,21 179:23 200:18 213:6 216:10,20 217:8 218:19 222:23</p> <p>1-3 9:15</p> <p>10 6:3 10:15 11:2 137:17,21 208:3 220:7</p> <p>10,000 187:22</p> <p>10,974,955 5:9</p> <p>10-millimeter 155:24</p> <p>100 150:4</p> <p>1022 222:16</p> <p>104 54:3,7</p> <p>105 54:12</p> <p>107 5:16</p> <p>10:01 43:1</p> <p>11 6:8 38:22 138:10,15 139:8 153:25 154:25 220:5</p> <p>11.5 158:24 159:1 160:1 161:17 167:8</p> <p>1125 5:17 103:8 113:20 145:13 200:19</p> <p>1125-4-100 200:23</p> <p>114 189:10,11</p> <p>115 189:19</p> <p>118 10:6,11 182:17 191:24 192:3</p> <p>11:05 91:20</p> <p>11:27 91:21</p> <p>11:28 92:7</p> <p>11:48 107:16</p> <p>11E 41:9</p> <p>12 6:11 12:5 132:6 134:8 138:5,22,23 142:17 143:9 162:22,24 163:13 165:23 166:9,11,22 167:3,25 168:17 208:3 220:5</p> <p>12,000 132:5 137:11 140:25</p>	<p>12-gallon-per-minute 143:11</p> <p>12-hour 131:24 140:25 141:14 142:2 176:10 178:4</p> <p>12-point 9:23</p> <p>121 5:19 195:15</p> <p>122 195:19 196:3</p> <p>123 195:19 200:17</p> <p>127 64:22 203:13</p> <p>12:05 121:17</p> <p>12:26 137:18</p> <p>12:47 154:1</p> <p>12:50 156:22</p> <p>12th 220:6</p> <p>13 6:14 139:6,8 141:8 169:13,17,21 178:19 191:6 220:13</p> <p>137 6:3 204:16</p> <p>14 6:16 138:20 221:1,3,10</p> <p>14,000 138:4</p> <p>14-point 9:24</p> <p>140 207:6</p> <p>14559 2:10</p> <p>15 80:10,16 139:8 179:4</p> <p>151 102:19,23 103:4 107:5</p> <p>152 103:5,23</p> <p>153 6:8</p> <p>157 113:17</p> <p>16 47:10 179:5</p> <p>160 114:10</p> <p>167 6:11 127:8</p> <p>169 6:14</p> <p>17 139:9</p> <p>17-5/8 198:22</p> <p>170 130:14</p> <p>171 144:5</p> <p>173 131:22 137:10</p> <p>177 151:22</p> <p>178 146:3</p> <p>179 222:23</p> <p>180 165:15 166:19</p>	<p>19 38:23 139:9 170:4,13 211:1</p> <p>19.5 200:19</p> <p>1900 153:1</p> <p>192 93:1,11</p> <p>193 91:25 92:15 96:11</p> <p>195 100:22</p> <p>196 100:22</p> <p>19E 41:10</p> <p>19F 41:11</p> <p>1:1 208:11</p> <p>1:26 156:23</p> <p>1:41 167:22</p> <p>1:45 167:23 168:1</p> <p>1:49 169:14</p> <p>1E 41:9</p> <hr/> <p>2</p> <hr/> <p>2 4:22 10:1,5,7 34:14 38:18 43:12,13 47:21 54:2,6 72:13 73:3,21 74:3 75:5 76:3,8 78:4 92:18,20 93:2 138:2 139:1,2,8 169:21,24 171:6 178:20,24 179:8,18,22 180:19 185:15 191:6 201:22 205:2 216:23</p> <p>2,500 166:24</p> <p>20 8:3 13:11 50:5,23</p> <p>20-teens 31:16</p> <p>200 164:24 167:12,15</p> <p>200-foot 164:5</p> <p>2000 21:18</p> <p>2003 16:4 21:6,12</p> <p>2007 21:18</p> <p>2008 35:25 36:1</p> <p>2010 133:14</p> <p>2011/0197988 5:11</p> <p>2016 16:2 25:16 30:10 31:10 32:6,15, 23 33:1,14 69:24 71:5,21 120:11 126:4,14 129:14,19,21 133:10,17 139:22 140:1 150:17 151:9 152:13 156:13 169:6 206:12 207:19 210:8, 10,11</p> <p>2017 152:16</p> <p>2024 2:8 7:2 8:8 152:9</p>
--	---	---

<p>21 161:6 167:2 170:4,13</p> <p>21(g) 162:6</p> <p>21-gallon-per-minute 161:16 166:25</p> <p>21.5 161:20,22 167:8,14</p> <p>218 4:9</p> <p>22 47:13,15,17 49:14 55:22 56:1,7 57:1,4,9,11,22,23 58:6 138:10,15 170:3,6 171:9 191:18 202:4,11,12 204:23,24 205:11</p> <p>221 6:16</p> <p>224 4:11</p> <p>23001848 2:11</p> <p>24 43:23,25 45:15 55:22 56:1,8 57:1, 4,9,11,22 73:13 101:17,18</p> <p>24,000 132:6 137:11 140:25</p> <p>248.283.0736 3:17</p> <p>25 191:8,20 201:25</p> <p>26 57:24 191:8,21 201:25</p> <p>28 47:18,21 48:9 49:24 50:1,15,23 51:5 170:24</p> <p>29 170:24</p> <p>2:43 210:15</p> <p>2:54 210:16</p> <hr/> <p style="text-align: center;">3</p> <hr/> <p>3 5:3 10:1,10 73:24 74:9 92:18 128:8, 24 129:5,23 139:8 219:7,10,12</p> <p>3,200 141:14</p> <p>3,810,487 6:15</p> <p>3,826,275 5:7</p> <p>3/8 67:24 68:4 164:2 166:1</p> <p>3/8-inch 66:14</p> <p>30 44:1,3 55:15,18 57:9 60:3 62:22 73:5 127:14,17</p> <p>31,000 138:4,20</p> <p>32 213:10 216:21 217:1</p> <p>34 127:14,24 213:11,14 216:23 217:1</p> <p>350 2:13 3:15</p> <p>36 5:6 47:16 198:24</p> <p>38 47:16 76:9,13,16,19 78:1</p>	<p>3:10 221:4</p> <p>3:18 2:8</p> <p>3D 56:19 73:25 84:1</p> <hr/> <p style="text-align: center;">4</p> <hr/> <p>4 5:6 36:7 77:20 78:8,14,25 80:2,8,10, 13,25 81:5,15,22,23 82:3,18 83:10, 19,21 84:16 85:5,12,19 86:9,22 87:18 88:14,18 90:10 92:18 139:9 170:21, 23 219:4</p> <p>4,100 162:23 163:12 166:13</p> <p>40 5:8 76:6,7,15,16 78:5 80:17 81:2, 12,16,19,25 84:7 88:18 218:2,3</p> <p>400 2:13 3:14</p> <p>40a 76:6 81:13</p> <p>40b 81:15</p> <p>41-1/2 196:21</p> <p>42 5:10 76:3,5,19,20 78:5 80:18 84:8 88:17 196:17 213:15 218:3</p> <p>42a 76:4</p> <p>44 77:8,19,22 78:18 79:9 80:6 81:3 82:1,16 84:4 85:7 86:2,21 87:1 88:9, 12,21,22,25 166:18</p> <p>440 165:23 166:3,18 167:7,15</p> <p>48 178:24</p> <p>48009 3:16</p> <hr/> <p style="text-align: center;">5</p> <hr/> <p>5 5:8 40:1,5,24 72:12 139:9 170:23 210:19,23,25 212:10 219:2</p> <p>5,000 187:22</p> <p>5-28-2024 6:13</p> <p>50 40:17 100:6,9,13 142:10 158:21 159:9 161:3 162:5,8 165:21 166:21, 24 167:3</p> <p>57 201:2,6</p> <p>58 49:22 50:3,19</p> <hr/> <p style="text-align: center;">6</p> <hr/> <p>6 2:8 5:10 7:2 42:25 43:4 47:9 210:23 219:18</p> <p>6,451 141:12</p>	<p>60 57:23 152:1,3,6,18 153:15,18 199:5,15,16 200:4,7</p> <p>62 57:24</p> <p>65 34:18,23</p> <p>68 24:6 28:10</p> <hr/> <p style="text-align: center;">7</p> <hr/> <p>7 4:7 5:13 92:6,10,18 101:21 102:4,17</p> <p>7,500 132:5 134:8 137:11 140:25 142:17 143:9 161:4 162:5,9 165:22 166:9,14,21 167:3</p> <p>700 65:17 67:25 68:3 144:11,12,13, 14 145:9,14 166:1 167:12,15</p> <p>700-foot 66:13 144:17,23 145:20 164:12</p> <p>71 38:19 39:1</p> <p>713.229.2104 3:8</p> <p>77 218:20,22</p> <p>77002 3:7</p> <p>78 218:22</p> <hr/> <p style="text-align: center;">8</p> <hr/> <p>8 5:16 107:15,18 112:22 197:4,6 198:22</p> <p>805 10:3 24:5 64:18 91:24 157:1 182:17</p> <p>81 143:4 157:3 158:12 161:17,18 162:15,21 166:5 167:6</p> <p>82 132:5 141:21 143:21 160:16,24 161:23 162:1 166:22 170:17,18 171:1,4</p> <p>83 196:4</p> <p>85 171:3,7,10</p> <p>87 43:14,16</p> <hr/> <p style="text-align: center;">9</p> <hr/> <p>9 4:19,22 5:3,19 121:16,20,24 220:7</p> <p>90 142:9,15 143:10 156:5</p> <p>90-degree 65:11</p> <p>910 3:6</p> <p>92 5:13</p> <p>93 171:1</p>
--	--	--

955 10:8 34:15 38:18 40:5,23 43:11
54:2 71:2 72:11 182:17 210:19 211:1
212:9,12 219:2

96 194:1 199:9

96-foot 199:4,5

96-inch 193:10

97 171:17

9:03 2:8

9:06 9:16

9:42 36:8

9:48 40:2,20

9:58 40:21

A

a.m. 2:8 9:16 36:8 40:2,20,21 43:1
91:20,21 92:7 107:16

A1 5:11

above-styled 2:7

academic 24:18

acceptable 8:15,22 60:23 161:24

access 27:20 48:2,9,12,15,20 49:2,
25 50:25 51:5,7,11,18 53:7,18 170:6,
12,17 171:10 192:4 193:17,21,22
194:2,3,6,14,15 195:1,9,11 202:13

accessed 49:9,10 51:8

accessible 49:6 51:14 53:14,24
192:7

accessing 51:22

accommodate 8:18 115:12 189:24

accumulating 211:21

accurate 204:14

accurately 165:11

achievable 143:19

achieve 64:16 143:8,9 151:24

acting 69:9

activate 29:7,18

active 119:2,4 147:11 150:11 220:6

actively 209:9

actual 15:17 17:17 72:22 73:25 75:7

actuate 53:8

actuation 11:4

add 18:25 23:20 120:23 146:13
153:20 215:15

added 159:6

adding 125:5 153:17,19,21 207:12

addition 197:7

additional 120:24

additives 121:2,7,9

address 159:16

adds 74:11

adjacent 191:14

adjust 166:8,10,13

advantages 52:25

advertising 109:8

aerospace 109:1

AFD 5:14 92:2,11 97:23 98:4 100:1,2,
7,23 101:6,9,17,21 102:4 153:13
159:21

AFD's 102:9

affects 129:6

affirm 7:6

afford 150:24 151:19

AFLAS 114:7 115:6 116:3 117:10,20

aggravating 8:13

agree 33:15 42:17 43:19,22,25 44:2
45:21 46:23 47:5 48:1 55:5,9,15,21
56:23 58:14,20,23 60:1 64:1 72:14
77:22 101:9 129:13,21 169:25 179:8,
11,15 180:1,6 184:4,21,24 185:1
191:11,21 196:7 202:4,7,15 203:4,20
216:2

ahead 8:19 19:18 56:16 122:23
149:21 181:10 212:15 221:16

aisle 172:16 192:6,14 193:13 194:4
195:13 197:18,22 220:20

aisleway 73:13 195:24 198:15 201:3,
7,8 202:13 204:25

Alex 3:12 23:16,18

Alex's 9:18

Alison 2:9

alleviate 204:1

allowed 170:8

alloy 114:12

alloys 114:11

alternation 138:11

Amazon 59:11

amount 18:2 19:12 118:21 130:17
140:15 141:11 153:2 191:20 215:6,7,
22

amounts 141:4

analysis 6:5 60:18 63:2 95:5,7,19
96:8,17,20 98:11 124:7 127:2 130:20
131:9,11 134:23 135:3 160:12
172:13,25 194:23 195:19 197:17

analyze 134:24

analyzed 61:20 64:14 79:17 163:15
172:9

analyzing 131:8 162:14

angle 82:4 86:24

annulus 29:19

answering 19:17 88:20 138:7

anybody's 119:19

apologies 8:8

apologize 94:14

apparatus 179:4

apparently 93:7

appears 36:13 47:8 161:17 179:18

appendix 123:4 132:3 143:4,13,21
157:4 168:10

applicability 112:10

applicable 104:7,11,16,25 105:22
110:8,11,17 111:13,14,17,20 113:16
210:10

application 12:10 62:18 106:4
107:7,23 110:3,24 111:8,11,14,25
112:21 114:18 116:21 124:5 141:19
154:19 174:1,7,8 178:4 185:11,16
190:23

applications 22:25 31:5 109:8,22
123:8,12,19

applications] 124:3

applied 11:17,23 13:3 94:5

applies 35:15,17

apply 59:10 117:1,10 124:5,10

applying 29:25 190:12

approximately 152:1 191:22

arbitrary 214:17,19

area 110:3,10 111:17 171:10 204:18, 24,25 205:12

areas 111:15

argument 214:14

arise 159:17

arm 27:2

arms 76:25

arranged 48:8 180:21 191:11,14

arrangement 73:17 180:24 192:2,4 193:13 207:11

array 12:21

art 23:15 24:12 33:13 35:9 36:20,24 37:2,19 44:22 48:5 59:17 60:1,10,20 61:9 62:12,21,24 63:15 65:25 66:8 67:22 70:10 75:16,24 116:14,15 217:19 220:22

article 150:21 151:18

artificial 26:24

aspects 11:24 190:6

assemble 195:11

assemblies 191:20 201:25

assembly 191:8 194:12,25

assume 35:22 46:13 53:11 64:14 68:3 75:12 113:24 161:23 205:8,9

assumed 114:4 115:2,4,11,13 144:10 189:8 196:2 197:18

assumes 165:1

assuming 100:11 158:20 191:22 193:6

assumption 116:10 130:2 194:22,24

ASTM 5:20

aszypa@cgolaw.com 3:18

attach 209:17

attached 2:15 4:17 53:12,13 70:22 168:10

attorney-client 19:16

attorneys 160:8 163:8

attract 108:8

August 2:8 7:2

automated 168:25 182:23 208:9,20

automatic 49:23 50:19

automatically 50:3

Automation 221:7 222:11

average 142:6,9,15 161:3

avoid 8:13 63:11 69:10,11 153:22

aware 36:21 37:5,19 38:6 44:17 113:2,12,14 120:18,19 126:11,12,22 169:9 220:22,25

axis 79:4 197:21 202:18,22 203:2,5

axle 181:11

axles 181:12

B

B2 5:9

bachelor 24:15

back 24:4 25:15 26:8 28:10,18 30:10 31:10 36:23 40:21 54:1 72:11 80:25 87:16 91:21 97:12 98:9 99:8,9,13 100:21 101:7 102:18 108:25 122:19 123:24 127:6 129:15,18 132:2 135:14 136:25 141:20 144:3,4 151:7 152:12 155:9 156:23,25 165:15 166:19 167:23 170:10,11 171:6,9 172:18,19 177:3 178:18 185:15 189:9 191:6,7,9, 14,24 192:8 195:13 197:3 201:13 202:8 203:1 206:11 209:11,12 210:16,19 213:9 215:3 220:16 222:19,23

backed 173:18

background 11:11,17 12:25 13:3,6 19:6,20 20:7 34:21 93:8,17,18 94:1 96:11,18,23 97:2,3,5,7,10 98:22 99:5 100:4,5 173:13

backgrounds 94:19

bad 153:7

Baker 3:5

Bakken 128:19 129:2

ballpark 8:3,6 118:21 128:14

based 20:1,18,21 50:17 77:25 86:16 95:19 96:17 142:21 144:5,10 152:24 173:12

basic 130:25 131:19

basically 135:2 138:10 139:18 193:2 198:2 208:11

basing 146:16 192:17

basis 135:3

beam 26:22,25 27:17

bearing 204:13

begins 47:12 50:8 64:25 178:25

BEHALF 3:3,11

believed 214:25

bend 65:12

benefit 201:13

benefits 168:20

big 18:12 20:12 31:19 36:2 67:2 68:2 183:7 185:19 201:10 214:4 217:18

bigger 67:10 133:15 214:4

binder 9:3,5

Birmingham 2:13 3:16

bit 17:23 18:8 25:9,12,15 26:10 52:2 56:6 72:17,21 99:15 124:8 181:5 207:6 215:1

BJ 137:13,21 138:25

black 98:3

blank 13:21 97:4

blown-up 101:2

blowup 77:20

board 139:23

body 143:1

bore 25:2 29:9

bores 22:21,23

bottom 28:2 50:7 99:19 101:6 170:22

Botts 3:5

bounds 214:23

box 56:1 196:22

boxes 74:22 158:13

boy 13:18 14:25 95:14 130:25

brain 21:2 212:13

branches 216:8,12,13 218:9

brand 155:13

brass 114:6,12 119:12 121:6,8 122:7 125:17,18,21

break 8:17,20 40:18,19,24 91:11,18 143:13 153:23 156:21 210:13 215:12 217:21

breakdown 134:24

bridges 170:23

briefly 23:22
bring 215:3
bringing 168:3
broad 12:20 17:25 18:10 20:11 95:16
103:10,19 104:3,4,8 108:7,9 109:10
111:4,6 112:12 113:6 155:4
broadly 62:20 110:17
brought 9:3
building 58:13,15,24 59:25 147:9
built 11:12 14:5,9 130:20 150:2,3
business 11:13 15:2 115:23 118:3
buy 156:17
buying 106:9

C

cabin 176:9,17,18
cabin/control 177:25
Cable 6:15 65:6 66:4,7 169:18
170:21 171:20 172:6,22 173:3,13,15,
18 174:15,16,17,19 175:18 176:20,25
177:1,7,16 178:11,12,18,24 179:15
180:3,6,14,20,23 181:18,24 182:23
184:4,18,24 185:2,13,15,18 186:2
187:7,16,17,19 189:12,21,24,25
190:6,14,24 191:6 192:13,19 193:2,
12,16 194:18,21 195:2,5 197:21
201:1,12,16,22 202:7,16 203:4,9
205:2,12 207:8 220:12,17
Cable's 67:11 171:25 174:3 192:2,19
193:2 198:3,7 204:18 207:11
cables 215:3
CAD 73:25 74:18 84:1
calcs 168:4
calculate 143:15 197:18
calculated 142:20 143:25 165:21
166:12
calculation 158:21 159:5 162:18,20
164:10 165:13 166:18 196:2
calculations 6:13 130:15 131:3,5,
15,17,20 143:3,22 154:3 157:6
161:13 163:19
calculator 161:18
California 2:10
call 29:17 89:8 103:23 104:8 136:22

called 7:15 144:6
calls 19:1,2,14 146:18 170:3
cantilevered 27:1
cap 57:24 148:3
capabilities 102:11 116:2
capable 145:14 212:6,21
capacity 183:7 186:1
career 8:1 16:3 21:11
careful 116:3
Carlson 3:13
carried 181:12
carry 23:7 75:21
case 4:21,24 5:5 9:7 10:23 11:9,18
12:14,18 13:4,15,16 37:10 43:8 53:4,
6 68:20 69:25 72:9 94:16 105:23
109:25 130:22 132:12 139:7,8,9
cases 139:7
casing 28:16,24 29:25
catalog 114:9
catalyzed 122:12
categories 112:23
category 71:22,23
caution 19:15
cautious 74:13
caveat 45:24 208:25
cell 166:12
Celsius 127:15,24 128:8 129:6,23
center 73:13 78:22,24 79:1 86:12,25
87:11,13,23 88:22 195:12
challenge 66:21 67:2,3,4,7,10,21
challenges 19:7
challenging 66:13
change 30:4 51:19 160:9 167:7
182:11 201:20 209:23 217:17
changed 152:25 153:10 186:21
188:9
changing 186:13 188:5
charge 211:15
chart 197:7
charts 196:18,21
chase 36:3

cheapest 59:11
check 167:16
choose 58:24 68:1 145:16
chose 145:4
circle 73:6 77:22
circles 74:17,22
circumstance 53:18,22
circumstances 95:12 109:21 113:2
136:7
cite 127:3,5 155:8
cited 23:15 36:14 121:21 137:12
150:21 156:17 220:22
cities 31:19 52:3
citing 122:6
civil 2:14 52:3
claim 38:22 40:9,23 211:1
claims 11:21 38:22 40:8
clarified 56:17
clarify 33:4 87:7 161:9 186:23
class 22:10
clean 9:6 94:21
clear 14:12 65:4 73:2,3 93:12,15
94:21 100:5 142:24 150:8 172:16
202:12,15 204:9,24 210:7 212:8
clearer 56:23
climates 129:23
close 100:2,9,15,19 115:10 193:15
closed 51:19
closely 174:6
closer 199:14
coast 117:17
coil 63:18 66:20 67:5 68:19 81:21
84:19 86:6 89:3
coils 63:18
cold 115:6,12 117:11 128:20
Colorado 116:1
column 80:10 170:21,23 178:24
197:1
combination 136:6 154:13 192:23
195:24 198:2
combinations 154:23

<p>combined 192:15</p> <p>combining 114:4 189:23 192:12 195:5</p> <p>comfortable 20:18 110:23 148:18 149:10 159:10 165:20</p> <p>comfortably 31:22</p> <p>commercial 95:23</p> <p>commercially 60:11,16,21</p> <p>common 26:21 30:2,13 31:1,13 32:18 185:22</p> <p>commonly 28:21</p> <p>communicated 111:16 149:6</p> <p>communicating 30:3 102:10 111:19</p> <p>communication 216:21,23</p> <p>communications 19:16</p> <p>companies 13:8,11 16:12 159:22</p> <p>company 109:2</p> <p>compared 195:11</p> <p>compares 25:25 26:5 27:24</p> <p>compartment 170:7 172:17 178:22 179:5,6,9,13,15 180:2,6,15,16,21,25 181:1,3,4,7,8,17,19,22 182:2,6 183:18 184:2 191:9,12 203:18</p> <p>compartments 178:23 183:20</p> <p>Competitor 5:18</p> <p>completely 190:19</p> <p>completing 28:15</p> <p>completion 24:20 28:11,19,22,24 29:2,6,15,17</p> <p>complex 125:16</p> <p>complies 196:9</p> <p>complying 204:19,20</p> <p>component 181:13</p> <p>components 89:24,25 90:21 180:10</p> <p>compounding 66:21</p> <p>concept 136:16</p> <p>concern 65:24 66:3,8 69:18 70:13</p> <p>concerned 135:1 140:19 145:17 178:1</p> <p>concerns 117:9 204:1</p> <p>conclude 83:15 96:25 97:10 98:7</p>	<p>104:16,19,25 113:15 222:25</p> <p>conclusion 117:1</p> <p>conclusions 48:24 98:17</p> <p>condition 147:25 160:10,15 161:20</p> <p>conditions 114:16,17 127:12 158:19 163:20</p> <p>conducted 221:7</p> <p>confess 35:25</p> <p>CONFIDENTIAL 6:17</p> <p>configuration 144:7,10 165:19,25 172:5,22 182:25 195:5 200:5 201:1 212:9 216:19</p> <p>configurations 160:12</p> <p>configure 215:17</p> <p>configured 172:1 180:16 185:14</p> <p>configuring 163:24</p> <p>confirm 10:2 32:14 36:4,11 40:6 93:3 100:17 139:2 168:8</p> <p>confused 56:6</p> <p>confusing 32:14</p> <p>connect 36:15 83:2 86:5 209:24</p> <p>connected 17:14,16 38:23 39:11,12, 17,24 40:12 41:4,20 42:2,13,21 56:2 57:5,23 58:1,5,10 80:14,17,20,23 81:2,20 82:1,15 83:11 89:20,21 119:15 131:6 168:21 212:23</p> <p>Connecticut 7:24</p> <p>connecting 81:24 85:1</p> <p>connection 39:7,10,14,19 42:5,7,8, 11,15,16,18,22 57:23,24 77:6,17,21 78:11 79:8 84:13,18,24 85:4,8,9,11, 19,20 86:4,11,20,22 87:1,2,6 88:8,10, 12,17,19,22,25 89:2,9,11,16 90:3,7 131:6</p> <p>connections 55:5</p> <p>connectors 90:11</p> <p>connects 181:3</p> <p>consideration 59:21</p> <p>considerations 208:24</p> <p>considered 35:9 62:25 67:22 72:9 105:14 116:15 123:11 124:7 130:21 140:24 146:8,10 148:14 154:5</p> <p>consistent 14:15</p> <p>constrain 148:11</p>	<p>construction 39:22,23 80:23 106:19 113:3,6,8,9,12,14</p> <p>construed 39:2</p> <p>consultant 132:22</p> <p>consulting 11:13 15:2 95:23 96:6</p> <p>consume 135:8 138:23 188:1 211:16</p> <p>consumers 123:5</p> <p>consuming 137:6</p> <p>consumption 26:4,5 135:1,18 138:4,13 140:20,24 142:1,21 161:4</p> <p>contained 38:4</p> <p>container 183:21</p> <p>context 71:14 94:12,15,22 95:5,22 107:22 108:1 130:24 131:13 177:21 182:22 189:3 211:12</p> <p>continental 128:23 130:1</p> <p>continually 183:14</p> <p>continuous 176:7 183:15</p> <p>contrast 73:24</p> <p>control 11:5,22 12:1 50:2,3 51:9 77:7,16,19 78:12 80:6 89:16 146:21 147:23 176:18 178:17</p> <p>controlled 47:17 49:14,18,23 50:19 65:19</p> <p>controls 139:20</p> <p>convenience 177:11</p> <p>convenient 91:13 177:18 214:22</p> <p>convention 158:18</p> <p>conventional 179:5</p> <p>conversations 146:17,22 147:13 149:16</p> <p>converse 37:1</p> <p>converted 181:18</p> <p>converting 182:3</p> <p>coolant 186:16</p> <p>copies 9:6,8</p> <p>copper 114:11 115:8 121:8,10 122:13,17 124:14 125:6,7</p> <p>copper-containing 114:11</p> <p>copper-zinc 114:12</p> <p>copy 40:5 43:4 157:17</p>
--	---	--

PERMIAN

GLOBAL, INC. AND MANTICORE FUELS, LLC EXHIBIT 1049, Page 233
Permian Global, Inc. et al. v. Fuel Automation Station, LLC et al.

IPR2023-01237

corners 184:24

correct 12:15 17:16 20:4,20 22:4
24:12 33:7 35:18 41:10 46:24,25
47:8,25 48:4 49:15,16,19 51:6 53:24
54:10,14 66:10 71:5,11,12 72:1 76:13
78:3,18 79:12 92:18 93:14 97:22
112:5 133:18 141:6,19 142:10 156:1,
3 167:19 169:19 173:5,10 189:21
196:6,23 199:7 200:24 201:5 207:25
213:24 218:3,4,13 220:17 221:8
222:10

correction 127:19

correctly 75:3 138:7

corresponds 196:23

cost 58:15,18,23,25 59:16,21,23
210:6 217:18

cost-competitive 150:23 151:4

cost-effective 150:23

costly 59:4 120:20 125:16

costs 151:18

counsel 168:16

counter 121:7

counting 99:9 211:10

country 128:11

couple 19:1 23:16 28:23 66:12 87:16
155:19 195:15

coupled 39:3,13 80:6,21 161:10

court 8:14 95:18 96:5 168:15

Courtright 5:7

cover 182:17,21 183:3,24 210:3

Cox 60:22

Coxreels 5:17 37:9,15,25 38:7
60:15,17,23 103:2,4,8 104:6,10,22
105:4 107:6,19 108:6 111:8,25 112:2,
14 113:20 114:4,9 116:15 154:14,23
195:21 196:3,18 197:3 198:16 200:19

crank 5:18 169:1 197:14

create 66:21 125:5

created 96:22 172:16

creates 124:14

crimp 64:3

crimping 63:9,11

cropped 93:16 94:1,4,7,19 95:8
96:19,25 97:15

CRR 2:9

CSR-12432 2:12

CSR-6266 2:9

current 162:25

customer 13:10 50:11 96:6 175:20
177:14 214:16,24,25 215:16

customers 59:6 102:10 108:8
109:11 111:16,19 211:15 215:14,25

cut 51:1

cycle 142:6,15,21 143:10 158:22
159:9 161:4 162:3,9 165:20 166:14,
21 167:4

cycles 142:9,13 159:24 160:25

D

D975-13 5:20

Dakota 114:20 116:1 127:12 128:2,
18

damage 64:3

damaging 18:3,4 82:9

dangling 98:23

data 134:24 135:21 159:25

date 16:1

day 18:13 138:25 141:11 187:25
222:12

days 18:11,19 20:3 108:25 118:22
119:14 176:6 183:13

deactivate 121:10

deal 125:2 126:18

dealing 153:5 190:14

dealt 96:3

decided 60:21 61:8

decisions 117:5

declaration 4:20,23 5:4 10:3,5,7,10
12:5 34:15,20 36:15 38:18 41:10
43:11 54:1 56:4 57:21 63:3 64:18
71:2 91:6,24 92:15,24 93:12 100:21
102:18,19 112:22 121:22 122:7 127:7
130:13 131:22 141:20 143:1 144:4
145:19,20,24 155:3,9,17 157:1 159:9
160:13 163:25 165:16 167:18 168:10
171:15 172:20 173:16 175:2,4 189:9
191:25 192:18 195:16 196:17 199:21
200:1,3,14,18 203:25 218:23

declarations 9:6,8 23:14,16 146:19
150:21

dedicate 17:24

defense 109:1

define 52:20,24 123:18

defined 83:24 192:19

defines 24:14

definition 24:9,12 26:8 28:10

definitions 122:3

degradation 122:8,12

degree 127:24 129:6,23

degrees 127:14,15,17 128:8

deliver 130:16 179:12 184:21 185:3,
8,13,19 187:14

delivered 47:5,7 162:23 165:19
215:7

delivering 184:15,19,25 185:13
187:20 211:14 214:15

delivery 105:24 111:24 115:14
127:10 165:22 184:6,11,13,14,16
187:17 214:15,16

demonstration 17:12 147:7 149:16
150:9

depend 70:19,24 119:1 193:23

depending 20:11,15 162:3 187:25
215:11,23

depends 17:21 18:11 20:12 48:21
52:20,24 69:2 124:25 212:23

depicted 73:14 79:2,5 80:2 81:4 82:3
96:13 97:3,16 201:1

depiction 73:22 74:23

depictions 72:18 73:19

deploy 101:17,18

deployed 45:21 93:13 173:4 201:13
203:6,16,21 204:10

deploying 201:14

deposed 7:25 8:2,4,12

deposition 2:5 7:1 10:1 23:12 43:4
92:10 107:19 121:20 137:21 145:6,
18,25 158:2 168:18 169:17 219:22

describe 41:24 42:5 90:10 137:25
161:12 165:18 173:13 186:6

describes 39:6,7,9 46:8 57:20 66:7
71:16 80:5 179:4 181:24 185:5

<p>194:21</p> <p>description 20:1 58:6 80:8,13</p> <p>descriptions 90:21</p> <p>design 48:14 49:1 60:24 61:10,17 62:8,17 70:24 79:20 105:14 106:18 108:24 115:2,24 116:25 117:3 174:4 182:9 185:23 190:6,20 194:1 207:16</p> <p>Designation 5:20 6:9</p> <p>designations 162:2</p> <p>designed 14:5,8 130:21 136:21 139:21 150:2 151:21 172:19 174:18 207:15</p> <p>designer 216:18</p> <p>designing 35:9 36:20 59:17 60:11, 20 61:5,15 62:14,19,22 67:19 68:24 69:11 70:11 104:23 105:7,9 115:14 117:11 174:10 186:24 187:2</p> <p>designs 22:25 61:9,16 108:17</p> <p>desirable 34:5 119:11</p> <p>desired 62:18</p> <p>desiring 216:18</p> <p>desk 175:7,9,11,12,15,24 176:2,4,11, 16,21 177:15 178:9,11,13</p> <p>detail 74:2,5,9,20 84:7 90:18 106:18</p> <p>detailed 45:25</p> <p>details 22:2 32:11 74:11 199:3</p> <p>determine 94:6 95:7 104:5,10 105:22 143:23 154:3</p> <p>determined 162:16</p> <p>determining 45:1 154:9</p> <p>develop 115:21</p> <p>developing 115:19</p> <p>development 11:4 12:9 160:12</p> <p>device 102:11</p> <p>diagram 44:3,23,25</p> <p>diameter 66:14,18 68:4,8,13 69:2 74:25 100:12 164:2 166:1 198:19</p> <p>diameters 155:21,22</p> <p>dictates 208:5</p> <p>diesel 5:21 11:21,25 25:22,23 27:13, 17 30:12,15,25 31:3,4 32:7,8,9,15,20 33:1,3,11 59:12 116:19 119:3,6,11, 13,16 121:2,11 122:1,8,18 123:5 124:16,20,22 125:19 126:7,9,14</p>	<p>129:7 131:24 134:2,6 135:5,8 136:2, 3,6,12,18 137:1,7,8 138:3,13 139:14 140:16,19,20,23 141:4,11 153:8 174:10 183:8,9 207:22 209:9 213:3 219:22 220:1,10</p> <p>difference 214:3</p> <p>differences 98:11,12</p> <p>differently 20:24 124:8 181:5</p> <p>difficult 66:19</p> <p>dig 210:18</p> <p>digging 210:20</p> <p>dimension 198:14,16,19,20</p> <p>dimensions 62:6,13,17 196:21 197:17</p> <p>diminishes 68:12,21</p> <p>direct 169:21</p> <p>directed 65:1,9 103:5</p> <p>direction 199:14 202:3,17,20,21 203:5 204:10</p> <p>directional 12:9,24</p> <p>directions 204:12</p> <p>directly 48:2 49:3 58:1 82:1 164:9 202:23 211:18</p> <p>disadvantages 52:25</p> <p>disagree 67:24 100:19</p> <p>disclose 19:16</p> <p>disclosed 127:11</p> <p>discloses 43:19 46:23</p> <p>discuss 91:4,6 92:2,11 100:22 103:1</p> <p>discussed 49:8 80:20 125:1 133:24 159:18 160:9 208:24</p> <p>discusses 204:24</p> <p>discussing 54:9 107:20 143:2</p> <p>discussion 49:7 119:20 192:18 209:8,12 219:21</p> <p>discussions 18:24 19:3 148:16,20 219:4</p> <p>dispense 8:10 49:18 113:3 119:5</p> <p>dispensing 112:24 119:17</p> <p>dispose 120:14</p> <p>dissolved 121:10 122:13</p> <p>distance 51:17 75:25 76:1 93:8</p>	<p>distances 17:21 18:1 75:16,18,21 148:1</p> <p>distinction 209:4</p> <p>distribution 128:17 141:3 182:12</p> <p>divided 139:2</p> <p>document 6:5,12 108:5 154:8 155:8 156:8 157:14 160:6 184:25</p> <p>documentation 108:19 112:5</p> <p>documents 121:21</p> <p>donkeys 27:4</p> <p>door 172:17,19</p> <p>doors 170:10,11 179:20,23 191:14, 16 195:3 201:16,17</p> <p>dotting 26:22</p> <p>downhole 11:13 12:10,14 28:4 29:16 95:15 108:23</p> <p>downstream 216:7,11 218:8</p> <p>draw 48:23 85:13 98:17</p> <p>drawing 13:21 35:22 46:1 56:18 75:15 85:24</p> <p>drawn 55:25 57:3 75:5</p> <p>drill 25:12</p> <p>drilling 12:9,24 22:9 23:5 24:19,25 25:2,5,6,7,10,25 26:9 135:15,19 163:14</p> <p>drivable 183:24</p> <p>driven 70:22,23</p> <p>driver 170:8 172:18 175:25 178:21 179:5 180:15 181:1,3,7,15,17,19 182:2 183:18,20 184:2</p> <p>driver's 170:9 172:17</p> <p>drives 21:14</p> <p>driving 69:8 177:14 205:12,18,21 206:5,7 212:24</p> <p>drop 117:19</p> <p>drum 65:19</p> <p>dry 57:23,24</p> <p>dual 135:22,24 136:16,22 140:4,6,14 141:4,19</p> <p>dub 144:6</p> <p>duly 2:6 7:16</p> <p>dump 120:6</p>
---	---	--

<p>dumping 120:12</p> <p>Durham 3:4 37:24 144:6,12 145:2,18 147:10 154:11,18 155:5,12,13 156:17 163:24 165:18,25 198:2 222:5</p> <p>Durham's 121:13 144:5 155:2 192:17 199:20 200:1,3,14 203:25</p> <p>duty 142:6,9,13,15,21 143:10 158:22 159:9,24 160:25 161:4 162:3,8 165:20 166:13,21 167:4</p> <hr/> <p style="text-align: center;">E</p> <hr/> <p>earlier 21:4 25:5 71:1 97:18 105:7,15 135:10 153:3 169:8 171:17 197:23 208:22,24</p> <p>early 21:10,12,16</p> <p>easier 27:16 195:1 201:21</p> <p>easiest 157:22</p> <p>easily 169:1 195:2</p> <p>Eastern 2:8</p> <p>easy 18:1 52:13 177:17 194:5</p> <p>edge 40:15 99:19 193:18</p> <p>educational 11:11,16</p> <p>effect 121:8 139:25 140:3</p> <p>effective 53:18 121:5</p> <p>effects 66:20</p> <p>efficient 120:8 126:21 196:16</p> <p>effort 119:16 126:18</p> <p>electric 27:11,12,17,20</p> <p>electrical 24:16</p> <p>electrically 49:23</p> <p>electricity 31:21,23</p> <p>electronically 50:2</p> <p>element 71:23 76:3 78:21 131:1 211:4</p> <p>elements 41:9 83:24,25</p> <p>elevated 99:1</p> <p>eliminate 115:17</p> <p>ELIZABETH 3:4</p> <p>email 158:4 160:8 168:15</p> <p>embodiment 207:16 217:7</p> <p>emergency 53:4,6</p>	<p>emission 139:18,20</p> <p>Emissions 6:5</p> <p>emissions-standard 138:3 139:14</p> <p>empty 101:14</p> <p>encountered 132:15</p> <p>end 24:24 25:6 26:11,19 27:18 39:3, 12 52:9 73:15 81:12,15,16,18,19,24, 25 82:15 85:2 86:5 87:2 88:23 89:1 90:9 122:10 148:4 189:15 197:13,14 211:2</p> <p>energy 13:22,25 30:3 137:13,21</p> <p>engage 29:7</p> <p>engagement 148:3</p> <p>engine 6:6 138:3 139:15 184:2</p> <p>engineer 19:22 93:23 186:24 187:2</p> <p>engineering 24:16,17 94:20 131:1 152:7,25 159:14</p> <p>engines 187:9 188:6,22</p> <p>entering 65:10 77:17</p> <p>entire 16:3 115:14 116:25 120:2 134:9 217:6,18 218:12 220:9</p> <p>entitled 168:4</p> <p>environment 133:19 172:2,7,23 173:8,23,25 174:20 175:7,21 176:12, 20 183:10 187:15</p> <p>environmentally 120:13</p> <p>environments 173:9,11</p> <p>equal 58:22 59:1,9</p> <p>equally 53:15,17 99:23</p> <p>equations 131:10,20</p> <p>equipment 5:15 11:14 15:16,24 16:8,9 17:7 18:2,15 21:9,10,19,21,24 27:7 30:21 31:2,8 33:3,5,19,24 34:1 55:7,12 59:5 72:20 90:11 96:13 97:12 98:3 100:1,2 117:21,24 118:5,6 134:5,10,12 135:6 136:9,11,14 140:7, 17 148:1 170:6 171:25 176:9 178:17 179:11 180:1,2,3,5,7,20,24 181:22 182:12 183:6,11,13 187:7,8,11,14 188:12 189:12,16,20,25 190:6,11,14, 15,20,23,25 194:17 206:24 207:2,23 208:8,12 209:6,17 210:3</p> <p>equivalent 24:17 215:21</p> <p>ESQUIRE 3:4,12</p> <p>essentially 25:10 178:15</p>	<p>estimate 19:12 20:2</p> <p>estimated 165:21</p> <p>estimates 20:8</p> <p>estimation 27:15 46:11,13 175:19</p> <p>evaluate 131:5</p> <p>evaluated 131:4</p> <p>event 140:14</p> <p>evident 93:17</p> <p>exact 73:23</p> <p>EXAMINATION 4:6,8 7:19 218:17</p> <p>examined 7:18</p> <p>examples 28:24 37:18 38:3 70:4 102:3 108:22</p> <p>exceed 116:2 154:4,6</p> <p>Excel 6:12 168:4</p> <p>Excellent 10:13</p> <p>exception 34:11</p> <p>excluding 35:19</p> <p>excuse 12:23 37:6 67:1 214:11 218:3</p> <p>exhaustive 13:12 107:10 108:2,11, 13 109:7</p> <p>exhibit 4:15,19,22 5:3,6,8,10,13,16, 19 6:3,8,11,14,16 10:2,5,7,10,14,15 24:4 34:14 35:25 36:1,7 38:18 40:1,5, 24 42:25 43:4,12,13 47:9 54:2,6 64:19,21 72:12 91:23 92:6,10,18 101:21 102:4,17,18,21 107:15,18 112:22 121:16,20,24 127:7 131:23 137:17,21 141:22,23 150:19 153:25 154:25 156:25 157:23 158:2 165:16 167:25 168:11,17 169:13,17,21 171:16 178:18,19 191:6 197:4,6 198:22 200:18 210:19,25 212:10 218:19 219:2,18 220:13 221:3,10 222:23</p> <p>exhibits 4:17 9:15 10:1 23:15 197:3 210:23</p> <p>exist 61:16 169:10 181:17</p> <p>existed 151:8 156:13</p> <p>existence 180:14,25</p> <p>existing 62:13</p> <p>expect 102:14 108:9,11 116:18 126:13 133:19 135:18 175:6 178:8,12 208:14,22</p>
---	---	---

expected 176:8
expense 120:15 125:2,14 126:18,20
expensive 217:11
experience 10:20,22 11:8 12:8,17,
21,23 13:4,7 14:20 15:1,2,5 22:18
24:18 31:7 38:6,10 93:22 95:2 108:15
146:25 147:19 151:14 186:10,12,18,
21 188:2,3,11,13,17,18 189:2
experiences 148:21
expert 110:18
expertise 93:20 94:6 110:1,10,16
explained 21:1
explains 78:9
exposed 22:20 119:12
exposure 21:10,16 22:12 121:8
124:14
expressed 116:7
expressing 97:6,9
extended 118:15,17,20 123:6 124:2,
12,13
extensive 12:8
extent 55:10,14 83:5 103:8 105:18
118:10
extra 126:18,20
extreme 118:2 127:12
extremely 20:10 119:23
eyeglasses 9:18

F

F-A-S 221:24
face 198:13 203:17
facility 147:8 222:22
facing 192:6 197:19 201:1 203:22
fact 116:23 120:17
factor 59:22 139:1 174:22,23,25
factors 20:11,13 49:11 58:17 59:9,16
70:20 174:21 196:10
facts 116:11
Fahrenheit 127:14
fair 12:3 26:14 32:13 34:2 36:22
37:20 58:22 59:18 61:17 74:9 75:14
81:19 99:17 102:9 180:23 200:16

205:23 206:9,10 217:21 218:12
fairly 134:22
fall 127:14
familiar 21:12 29:3 37:9 69:21 70:20
79:10,11 119:18 120:12 121:1,5,7,9
122:9 126:7,10 135:22 137:3 147:24
186:5,25 187:16
familiarity 188:21
FAS 17:6 132:8,16 133:2 134:25
135:2,4 138:21 148:14 149:2,10
150:15 151:15 152:6,13 159:21
163:22 164:18,19 165:11 221:24
222:25
FAS's 150:8,10
fast 172:1,7 174:23,25 182:18,20,21
183:3 188:1 219:16,19
feasible 82:21
feature 52:9 63:21 72:4
features 61:25 62:4,23 63:4,12
65:10
fed 41:21 42:2,13,21,23
federal 2:14 139:19
feed 207:9
feel 11:8 12:17 20:24 88:20
feet 65:17 67:25 100:6,9,13 144:11,
13,14 145:9,14 164:24 166:1 167:12,
15 199:9
felt 10:22 196:15
field 11:13 12:21 13:7 16:4 21:11
22:17 24:17 33:13 34:9 95:3 103:16
104:1,2 107:6 108:25 111:3,6,9 118:6
120:10 135:13 146:23 147:19 149:4
163:14 187:10 189:3
fields 107:22 112:21
figure 36:2 43:17,19,23 44:10,11,18
45:15,22 46:4,23 47:21,23,24 54:14,
16 55:3,5 57:14 72:13 73:2,3,11,21,
24 74:3,9 75:5,7 76:3,8 77:20 78:4,8,
14,25 80:2,8,13,25 81:5,15,22,23
82:3,18 83:10,19,21 84:16 85:5,12,
15,19 86:9,22 87:18 88:14,18 89:2
90:10 93:25 94:21,25 145:25 169:21,
24,25 171:6 178:20,21 179:8,18,22,
23 180:19 185:15 191:6 201:22 205:2
212:11 213:6 216:10 217:8 219:4,7,
10,12
figures 93:24 94:19,23,24 201:21

figuring 190:20
file 160:7 168:4
filed 69:24
fill 170:24 177:19 178:2,15
filled 124:20
financially 118:4
find 15:8 36:1 68:20 102:14 133:19
140:18 196:7
fine 9:23 54:24 65:16 99:14 212:20
finish 160:20
FLANNERY 3:4 4:7,11 7:12,20 9:21
11:15 13:2,23 14:11 15:4 16:21 19:25
20:17 21:3 23:1 28:9 30:20 32:21
33:12,18 34:10 35:16 36:9 37:17
38:5,11,16 40:22 41:16 42:4 43:2
44:16 45:3,14 46:3,12,18 48:13,18
49:12 50:4 51:3,21 52:15 53:2,16
54:6,8 56:5,12,21 57:6,18 58:21
59:13 60:8,19 61:4,14,22 62:5,11
63:6,14 64:7,17 66:5,11 67:12 68:6,
16 70:9 71:8 72:8 75:23 76:14 77:11
78:13 79:19 81:11 82:11,24 83:17
85:25 89:10 90:19 91:5,15,18,22 92:8
100:20 102:8,16,23,25 103:25 104:18
105:6 106:7,16 107:4,17 108:10,14
109:17 110:22 112:1,13 116:4,12,22
117:8,22 118:7,16 121:18 130:5,11
137:19 141:23,24 145:3,8 146:2
149:22 150:5,7 154:2,22 155:7
156:20,24 157:21 158:1,5,6 160:20,
23 167:21 168:2,14,19 169:5,15
172:12 173:2 174:14 175:11,14 178:7
179:19,25 180:13 182:1,13 183:1,22
184:3,9 185:17 190:4,17 191:5,17
192:21 193:5,19 194:10 198:4,11
199:11,22 200:15 203:10 204:3,8
205:7,20 208:10,18 209:25 210:13,17
212:4 215:20 218:14 221:13,22
222:2,6
flat 63:8,18,21,22,24 64:4
flavor 140:6
flip 47:9 54:1 100:21 144:4 170:21
191:24
flipping 132:2 171:6 191:7
floor 73:14
flow 6:12 28:18 47:16 49:14,17 50:3,
18 51:1 55:9 77:13 78:9 79:15 81:4
82:2,17 130:15,25 131:14 142:12,16,
20 143:8,11,17 144:2 151:23,25
158:24 159:1,4 160:1,2,25 161:5,16,
24 162:4,16 166:7,8,13,16,25 167:8,
24 168:1,16 169:1,16 170:1,16 171:1,16
172:1,16 173:1,16 174:1,16 175:1,16 176:1,16
177:1,16 178:1,16 179:1,16 180:1,16 181:1,16
182:1,16 183:1,16 184:1,16 185:1,16 186:1,16
187:1,16 188:1,16 189:1,16 190:1,16 191:1,16
192:1,16 193:1,16 194:1,16 195:1,16 196:1,16
197:1,16 198:1,16 199:1,16 200:1,16 201:1,16
202:1,16 203:1,16 204:1,16 205:1,16 206:1,16
207:1,16 208:1,16 209:1,16 210:1,16 211:1,16
212:1,16 213:1,16 214:1,16 215:1,16 216:1,16
217:1,16 218:1,16 219:1,16 220:1,16 221:1,16
222:1,16

14 168:4 169:3 211:17,20,23,24
212:2,7,22 213:1,19,21,22 214:3,13,
14,22 218:12

flowcalcs.xlsx. 168:5

flowing 78:4 143:14 162:19

flows 55:11 78:1

fluid 17:15 21:14 22:6 23:7 25:12,25
26:1 27:22,23,25 29:4,9,13,14,22
30:6 34:25 35:13 36:16,25 37:3,7,13,
16,20 38:7 42:18,21,23 47:5,16 49:17
50:18 55:9,11,22 56:7 57:1,9,13 71:4,
10,23,24,25 76:8 77:13,14,15 78:1,20
79:12,15 82:2,17 87:1 88:12,21,25
90:7 113:4,7 118:11,14,17 119:5,8,
10,13,17 120:4 130:25 131:2,6 132:4
134:3 135:9,15 162:19 168:21,24
169:10 177:4 184:6,11,13,14,15,16
213:8,19 215:22 216:5 217:5,10,25
218:12

fluidic 89:15

fluidically 39:13 56:2 58:5,10 80:6,
20

fluids 22:21,23 23:10 25:6,7 111:5
184:21 185:12 186:5 187:19,21
188:3,5,7

flush 119:16

focus 37:22

focused 37:25 38:4

folks 108:6

follow 130:15

font 9:24

foot 68:3 144:12

force 65:10

forces 69:9 70:17

foreground 93:6 97:24 99:2 101:9

forget 111:22 172:11

forgot 143:2 166:24 184:11

form 11:10 12:19 13:17 14:7,24
16:19 20:5 22:15 28:1 30:18 32:19
33:8,17 34:8 35:11 37:14,21 38:9,14
39:20 42:1 44:9,24 45:10,23 46:16
48:10,17 49:4,20 50:21 51:12,24
52:19 53:10 55:23 57:2,16 58:16 59:2
60:5,13 61:1,11,18 62:1,9 63:1,10
64:2,13 66:2,9 67:8,23 68:10 70:5
71:6 72:5 75:19 76:11,21 78:6 79:16
81:6 82:6 89:6 90:13 91:1 100:18
102:6,12 103:21 104:13 105:1,16

106:12 107:1 108:3,12 109:9 110:13
111:18 112:7 117:2,13,25 118:12
122:7 130:3,8 132:23 144:25 145:5,
22 149:20 154:16 155:1 156:15
168:23 169:7 172:8,24 174:5 177:23
178:2,16 179:17,21 180:9 181:23
182:7,19 183:19,25 184:7 185:4
190:2,9 191:2,13 192:16 193:9
197:25 199:8,18 203:8,23 204:5
205:4,16 208:7,16 209:20 212:1
215:10 221:13

formation 122:12 134:4

forming 25:2 114:3

forms 205:11

formula 158:16

found 11:16 36:3 189:16,20

foundation 11:12 146:24 150:6

fourth 211:4

frac 14:21 15:14 16:12,18,24 17:2,14,
15,17,19,21 18:12 20:13 21:14,19,21,
24 29:24 31:8,21 33:3 130:17 131:25
133:19 134:2,3,9,10,12,16 135:5,8,9,
20 136:14 144:19,24 147:11,25
150:11,14,20 151:8,17 152:22 153:13
159:17,21,23 162:2 174:10 183:12
184:16 207:18,23 208:6 209:18

fracking 16:7 22:10 23:6,7 26:3
29:11 133:13

fracs 207:15

fracture 21:15

fracturing 6:6 14:19 15:13,18,20,23,
24 21:9 22:6 23:3 26:1,6 27:25 28:19,
21 29:3,15 30:7,23 31:11,18 32:17
33:6,15 34:1,5,11 123:14 124:10,11
126:3 127:23 128:5,10 129:13,22,25
131:25 133:17 141:18 163:4

frame 133:10 139:22 169:6

freezing 129:3

friends 27:3

front 98:13 106:5 170:8 173:19
180:14 181:11,16 195:13 197:6
201:18

fuel 5:21 6:10 17:16 25:20 26:4,5
27:10,14 32:3 33:11 47:12,15,17
49:13,14,18 50:3 57:23 58:1 98:4
105:24 111:24 113:11,12 114:13
115:9,14 118:8 119:3,15,24 120:5,6,
12,14,22 122:18 123:7,23 124:2,13,
16,19,20,22 125:3,9,21 126:2,5,7,9
127:10 130:17 135:1 18 22 24

136:16,22 138:13,22 140:4,7,14
141:2,4,11,19 142:1 148:3 159:22
161:4 162:6,22 165:22 166:8,11
183:8,9 184:16,23,25 185:3,5,6,8,11,
19 188:1 211:10,14,15 215:7 221:7
222:11

fuel-like 30:12

fueled 213:4

fueling 14:5,9,13,18,21 16:17,23
17:2,19 35:4,10,17 36:20 71:14
104:24 114:1 125:12 173:14

fuels 30:15 32:15 122:1 123:5,6
124:1 152:8

full 7:21 9:1 74:1,5,8 83:25 114:25
115:3,25 116:25 124:22 208:1

function 48:21 211:9

functionalities 61:25

functionality 63:8 64:11

G

gained 190:16

gallon 160:1

gallons 131:23 132:6 134:8 137:11
138:4 140:25 141:11,12 142:17 143:9
158:24 159:1 161:6,22 162:23 163:12
165:22 166:22 167:2,3

gas 13:8 14:14 21:5 24:19 26:14,24
31:10,12,14,25 32:1,3,7,11,25 33:11
95:6 103:13,24 136:1,7,13,19 137:1,6
140:15,22

Gaskey 3:13

gasoline 30:25 31:2,5

gasoline-powered 31:8

gave 103:11 141:5,17 146:24 195:23

general 10:24 12:25 13:3,6 19:20
20:7 22:10,18 28:7,15 33:9 35:6,12,
15,19 48:24 59:21 67:25 76:2 112:9
132:13,14 149:2 169:8 180:12 194:17

generally 12:20 23:4 25:1 26:4 28:6,
12 31:4 34:3,9 48:23 49:5 59:24 64:3
71:16 75:20 102:13 108:5 111:1,21
120:12 129:11,18 134:5 135:23
138:6,9,13 153:5 155:3 168:24
176:24 179:16,22 194:17 202:23
203:2 205:17 214:5

generate 31:21 32:16

generated 31:23

generating 32:4
generation 33:10
generators 33:6
generic 161:18 165:13
geometry 204:12
get all 194:15
give 7:7 8:25 18:10 29:12 39:1 45:18
70:4 72:24 73:10 85:10 118:13
128:12 138:6 167:3,17 172:13
giving 11:18 93:21 96:5 106:3
glasses 40:16 41:14 98:20
global 129:17
goal 109:10
goals 215:23
good 7:12,13 8:24 64:3 120:13
126:17 144:14 145:9 148:2 156:20
186:23 191:4 205:21 213:7 215:1
Google 105:19
goose 36:2
GPM 167:8
grab 9:20
grading 73:14
great 92:13 98:17 139:12
greater 148:9 185:12
ground 25:3,7 52:3,4,6 93:7 97:17
98:14,24 99:1
group 109:11
groups 99:11
guess 13:14 23:4,8 25:14 28:4 38:22
47:12 54:25 64:4,5 70:22 76:9 92:16
93:22 120:10 124:8 138:17 139:14
163:17 175:17 183:21 195:18
guidance 123:4
guides 65:9 100:23 101:14

H

half 128:13,15 129:25 130:7,9,10
134:18
halfway 86:25
Halliburton 13:10 22:4
Halliburton's 21:13

hand 5:18 7:5 40:4 51:11 52:17
197:14
handed 9:25 43:3 92:9 107:18
121:19 137:20 163:7 169:16
handful 8:9
handle 51:16 52:5 148:24 208:1,4
210:4
handling 22:18,19
hands 51:15,22 52:12 53:8,19
happen 66:24 70:16 82:12 129:4
happened 126:11 160:8
happening 99:4
happy 8:18 9:9 91:2 126:25
hard 8:5 13:18 59:3,7 99:16 195:9,10
harder 100:14 157:23 193:22 215:2
head 91:3
heading 10:17,19 24:6 38:21 113:18
121:25 171:18
heard 119:20
hearing 19:5
heat 114:21
heavy 181:12
helicopter 11:5
helicopters 11:23
helpful 92:21
helps 64:12 138:2
hereto 2:15
hidden 87:20
high 123:7,11,15,18,23 124:3,4
135:10,16 153:5,7
high-level 105:25
high-pressure 21:14 22:8,21 29:22
154:20 156:18
higher 26:2,3 28:5 30:7 59:23 135:20
148:18 153:14,18 156:8,14 159:24
160:4
highway 69:8 70:22,23 176:23
205:13,18
history 133:13
hit 148:23 216:15
hold 56:10 201:10
hole 17:15 23:10 79:24

hollow 79:14,20,21 81:1,3 82:2,16
83:12
horizontal 79:3,5 86:11,16,24 87:9,
11,22 88:11,24 90:6
horsepower 21:13
hose 6:10 14:23 15:3,5,7 34:24,25
35:3,4,8,13,14 36:15,24,25 37:3,4,12,
18 38:6,23 39:3,8,10,12,16 40:12
41:4,25 42:14 45:15 55:22 56:8 57:1,
9,10,12,14,22,25 58:3,4,8,11 60:2,3,
6,11,22,24 61:5 62:22 63:8,12,16,17,
20,25 64:4 65:1,8,10,17,19,20,25
66:3,6,15,19,21,25 67:24 68:4,7,8,13,
17,18 69:25 70:3 71:3,4,9,23,24 74:6,
7,25 77:4 78:5 79:10,11,20,21,25
80:5,14,17,25 81:2,12,16,19,25 82:9,
15 83:2,7,8,11 84:7,14,23 85:2,4,12,
14 86:5,15 87:3,15,21,23 88:18,23
89:1,11,12,17,20 90:9 100:23 101:14
112:24 113:3 119:2,4,8 120:7 131:5,
8,14 144:11,13,17 145:14,16,21
154:6,11,15,18,21,24 155:8,13,25
156:2 162:13 164:5,13,22 166:1
167:11,12 168:20,22 169:2 191:8,20
201:10,25 209:17 220:4
hoses 17:22 18:4 20:13 37:6 40:11
41:4,25 42:6,11 43:20,22,25 45:21
46:2 47:2,7 60:22 70:20 73:17 76:6,8,
16,20 93:7,12 97:13,16 98:4,8,9,13,
14,18,22 99:4,8,10,11,25 100:6,10,12
101:10,18,22,25 111:5 113:8 131:11
143:12,14,16,18,23,25 144:2,23
146:4,8,10,12,15 147:14,25 148:4,8,
11 149:9,17 151:13 154:10 155:4,20
156:7,13,18 158:21 159:3,5 160:3
162:14,17 164:3 165:1,2,19 166:21
173:3 177:3 183:7 201:18 202:2,20
203:6,16,21 207:9 209:6,9,13 210:7
213:2 215:13 216:14,16 220:16
hour 19:12 20:19
hours 18:10,13,19,24 20:3,15 23:20
132:6 134:8 138:5,22,23 142:17
143:9 162:22,24 163:13 165:23
166:9,11,22 167:3 176:6,10 187:24
188:10
Houston 3:7
huge 183:6
human 51:4,7,10
hydraulic 6:6 21:9
hydrocarbon 112:24 113:4
hydrostatic 28:3
hypothetical 61:19 82:7 110:14

<p>181:25 182:9 198:18 199:13</p> <p>hypothetically 61:20 62:2 70:7 79:23,24 81:7 173:24 199:19 203:24</p> <hr/> <p>I</p> <hr/> <p>i.e. 114:11</p> <p>ID 156:2,12 164:2,22</p> <p>idea 64:3 74:24 120:13 162:4 215:1</p> <p>ideal 146:9 209:13</p> <p>Ideally 194:14 208:13</p> <p>identical 190:19,25 191:3</p> <p>IDENTIFICATION 9:14 36:6 39:25 42:24 92:5 107:14 121:15 137:16 153:24 167:24 169:12 221:2</p> <p>idle 33:24</p> <p>ignore 61:16</p> <p>Illinois 2:11</p> <p>illustration 84:19</p> <p>imagine 30:25 45:11 69:13 196:11</p> <p>immediately 54:13 105:21 106:13 168:15</p> <p>impede 192:4</p> <p>important 50:11 60:14 62:25 63:16, 25 127:17 177:24</p> <p>impossible 65:18 82:21,25 83:1,4, 15 126:19</p> <p>improve 121:2</p> <p>in-between 55:25 65:16 124:18 193:7 219:23,25</p> <p>inaccessible 192:7,9</p> <p>inactive 119:3</p> <p>inch 68:4 164:2,22 166:1 196:21</p> <p>inches 194:1 196:4 198:22,25 199:6, 9,10,16 200:4,7,19 201:2,6</p> <p>include 35:4,12 61:13 109:7,14</p> <p>included 14:22 33:6</p> <p>includes 35:6 116:21 222:25</p> <p>including 24:19,24 33:14 35:17,19 106:9 125:20</p> <p>incorporate 190:7</p> <p>incorporated 199:4</p>	<p>incorrectly 140:10</p> <p>increase 129:11</p> <p>increasing 133:12</p> <p>independently 162:17</p> <p>indicating 27:1 197:9</p> <p>indication 45:18 46:9 57:4,10 63:22 106:3 107:12 112:10 113:1</p> <p>indicative 87:1</p> <p>indicator 213:15</p> <p>individual 99:10 148:10 151:3,12,16</p> <p>individuals 150:25 151:19</p> <p>industries 110:17 185:23 187:12</p> <p>industry 14:14 21:6 22:9 23:10 24:18,19 103:9,10,13,16,19,23,24 104:7,11,15,17,22 105:3,5,13,20 106:1,2,22,24 110:19,20 111:4,21,23 112:4,10,11,16 113:6,8 115:20 117:7 146:25 185:22 187:6,10 188:19 189:4</p> <p>inefficient 119:23 120:13,20 209:22</p> <p>information 18:19 20:18,22 21:1 46:5 60:6,10 74:6 75:22 90:18 102:14 106:14,17,25 107:3 132:8,11,16 133:3 134:17 149:8,15 159:13 211:12</p> <p>informed 17:8 19:9 20:7</p> <p>informs 19:22</p> <p>initial 158:7</p> <p>initiate 51:5</p> <p>injury 153:9</p> <p>ink 56:19,24</p> <p>inlet 114:6 170:25</p> <p>Innovex 13:19 21:19</p> <p>input 160:9 164:9 165:4 166:23</p> <p>inputs 158:14 164:7 166:10</p> <p>inserted 193:3</p> <p>inside 17:13 81:20 84:18 85:1 86:5,6 89:3 147:9 155:21</p> <p>inspected 17:6,11 164:20 222:3,11</p> <p>inspection 17:5 146:20 147:5 149:16 221:6,24 222:1,9</p> <p>install 216:4 218:10</p> <p>installation 194:25</p> <p>installed 194:19 213:8,13,14</p>	<p>instance 2:6</p> <p>instances 140:21</p> <p>integrating 211:21</p> <p>intend 87:8 163:8</p> <p>intended 69:3 70:21 107:9 108:2</p> <p>intending 14:12,13 87:15 172:21</p> <p>intent 46:10 109:12,20 133:21,22</p> <p>interchangeably 54:20</p> <p>interested 124:12 161:21</p> <p>interfering 18:3,4</p> <p>interior 29:20 49:2</p> <p>internal 20:8 82:13</p> <p>International 5:20</p> <p>interpret 82:23 83:8,10 84:25 89:7,9, 13 219:12</p> <p>interpretation 39:22 89:19</p> <p>interpreted 145:12</p> <p>interrupt 122:24 189:1</p> <p>intervention 27:19</p> <p>inventor 19:2 132:18</p> <p>invoice 177:19 215:24</p> <p>invoicing 175:20,23</p> <p>involve 29:3 112:23</p> <p>involved 15:16 17:22 29:8 34:21 58:17 90:16 94:2 150:4 182:16 186:6 190:24</p> <p>involving 11:4</p> <p>IPR 10:3,5,7,10 24:4 34:15 38:18 54:2 91:24 157:1</p> <p>IPR2023-01236 5:5</p> <p>IPR2023-01237 4:21</p> <p>IPR2023-01238 4:24</p> <p>IPRS 182:16</p> <p>irregular 99:15</p> <p>issue 68:2,12 94:10 95:1 96:3 121:6 176:18 214:4</p> <p>issues 94:11 113:21,25 159:16 203:15,20</p> <p>items 18:5 189:12</p>
--	--	--

<hr/> <p style="text-align: center;">J</p> <hr/> <p>job 17:21 33:25 63:5 95:23 109:2 111:20 119:2,24 120:2,3 126:3 140:20 149:25 162:3 163:5 208:2,4 220:3,5,6,9</p> <p>jobs 124:18,21,22 125:9,22 126:15 128:17 130:17 132:14 133:12,14 208:2 219:23 220:1</p> <p>John 2:5 4:4,20,23 5:4 7:1,14,22</p> <p>judgment 19:23 146:25 149:14 152:7,25 159:14</p> <p>judgments 148:22</p>	<p>layout 46:1 72:19 179:9 180:12,20 181:21 190:12,13 205:5</p> <p>lays 63:20</p> <p>LCRS 215:15</p> <p>leak 153:6 214:16</p> <p>leaking 213:25 214:2</p> <p>learn 131:20 147:21 190:11,13,22</p> <p>learned 11:22 151:15</p> <p>leave 75:4 109:18,19,21 110:9,11 199:5,14 201:2</p> <p>leaves 58:2</p> <p>leaving 126:5 141:2</p>	<p>literally 150:2</p> <p>literature 60:2</p> <p>live 7:24 117:5 168:9</p> <p>liz.flannery@bakerbotts.com 3:9</p> <p>located 2:12 47:15 56:7 57:1 79:7 87:23 148:4 211:24 213:2 214:11</p> <p>location 65:21 69:4 150:9 213:17 215:8,19 218:10</p> <p>locations 31:13 99:7 215:21 216:5 218:5</p> <p>lock 70:8 209:6</p> <p>logical 46:15,17</p>
<hr/> <p style="text-align: center;">K</p> <hr/> <p>K-L-X 13:25</p> <p>keeping 177:8</p> <p>kind 11:14 19:10 34:20 54:20 60:12 77:1 92:24 94:9 95:1,5 96:20 102:13 115:10 143:6 157:11,22 170:22 173:15 175:15,25 177:20 186:17 187:3,23 196:25</p> <p>kinds 15:5 60:2 123:15 130:24</p> <p>KLX 13:22</p> <p>knowing 106:21 188:8</p> <p>knowledge 13:6 71:17,18</p> <p>Koziarz 23:22</p>	<p>left 9:17 41:19 55:19 67:16 160:7 163:7,11 165:8 191:15 197:13,14 217:2</p> <p>left-hand 163:18 197:12</p> <p>legal 94:22</p> <p>length 66:14 68:14,18 69:2 99:8 144:15 145:10 164:5,24 167:11 220:20</p> <p>lessened 203:21</p> <p>level 24:6 26:8 46:5 105:21 152:8 215:4</p> <p>License 2:10,11</p> <p>life 186:19</p> <p>lift 26:24</p> <p>limit 14:13 146:7 152:5,11,18,19,20, 21 154:6,20 156:4</p> <p>limitation 41:2,3,19 118:2 209:21</p> <p>limitations 40:10,11 118:5</p> <p>limited 152:1</p> <p>limits 154:9</p> <p>lines 47:13 148:16 212:2 214:22 215:11 218:2</p> <p>link 218:22</p> <p>liquid 27:13 30:15 32:15</p> <p>liquids 112:24</p> <p>list 13:12 107:6,9 108:2,9 109:7,25 110:9 111:2 112:21 113:9,15 139:13, 17 161:25 189:11</p> <p>listed 10:19 132:4 155:20 156:8 160:24 161:23 163:17 164:3 200:22</p> <p>listing 110:23</p>	<p>long 17:18 18:7 19:4,9,23 20:9 50:1 66:18 67:25 93:23 118:11,13 120:4 133:1 144:11 166:1 182:24 203:14 213:23</p> <p>long-term 121:3</p> <p>longer 32:9 119:14 178:10</p> <p>longitudinally 77:1</p> <p>looked 36:1 38:3 70:6 155:24 156:16 164:19 171:20</p> <p>loop 115:10</p> <p>loosely 64:6</p> <p>losing 115:23</p> <p>losses 143:15</p> <p>lost 212:19</p> <p>lot 15:3,15,16 16:11 17:8 19:6 20:11 27:16 33:3 59:3 93:24,25 95:1 98:7 106:18 115:23 125:3 128:20 148:10 186:13 190:16 193:25 204:12</p> <p>Lots 20:13</p> <p>Louisiana 3:6 117:16</p> <p>low 28:8 64:19 77:1</p> <p>lower 26:2 28:7 30:7,8 58:25 59:23 135:20 141:16,17</p> <p>lube 173:14 174:12 175:22</p> <p>lubricant 179:12 184:19,22 186:8 187:15,17</p> <p>lubricants 186:16</p> <p>lubrication 179:4</p> <p>lunch 161:12</p>
<hr/> <p style="text-align: center;">L</p> <hr/> <p>label 170:19</p> <p>labeled 43:23 88:14 213:10</p> <p>lack 93:17 150:5</p> <p>laid 73:16,19</p> <p>land 26:22</p> <p>language 38:22 41:8 42:17</p> <p>laptop 168:3</p> <p>large 22:11,20 23:9 114:19,22 115:18 117:18 128:14 131:24 134:22 162:2,13 187:9 201:16</p> <p>larger 68:8,13 133:12 156:12 185:7</p> <p>launch 150:20</p> <p>lay 63:18,21,24 64:4</p>		

M		
<p>Machine 5:14,17 6:9</p> <p>made 20:2 79:8 86:11 87:2 112:14 118:6 125:24 155:17 172:20 173:12 175:23 183:12</p> <p>maintain 147:24</p> <p>maintenance 187:21 188:3,8,23 192:5 194:12,25</p> <p>major 23:14</p> <p>make 9:10 19:23 20:8 36:2 48:14 56:12 63:20 64:10 65:11 75:2,10 79:24 82:7 85:24 90:5 94:21 109:10, 13 117:4 120:16,21,25 125:16 126:20 138:7 148:21 157:22 158:1 167:13,16 182:5 183:5 194:22,24 201:21 204:14 209:4 210:9 214:2</p> <p>makes 63:21 78:25 173:17 183:2 193:22 214:22 215:1</p> <p>making 18:3 51:20 63:17 93:22 125:14 148:2 220:7</p> <p>man 52:4,6</p> <p>manage 8:21</p> <p>manifold 41:21 42:8,13,15,19,22 47:1,2,7 76:24,25 77:7,13,15 78:1,10, 12 84:11 211:6 212:6,21,24 213:2 216:8,13,15 217:1,2</p> <p>manifolds 46:24 47:16 76:9,13,16, 19 216:9 218:8</p> <p>manipulating 177:3</p> <p>manner 65:20 125:5 209:17</p> <p>manpower 209:23 210:2</p> <p>manual 47:18 48:2,9,12 49:5,9,15 50:8,10,15,24 51:1,6,9,18 53:3,20 177:1,5,9</p> <p>manually 51:2 63:20 64:11 169:2</p> <p>Maple 2:13 3:14</p> <p>marginally 146:11 147:15 148:15</p> <p>mark 9:8 85:11 221:1</p> <p>marked 9:14 10:1 36:6 39:25 40:4 42:24 43:3 92:5,9 107:14,18 121:15, 19 137:16,20 153:24 167:24 168:17 169:12,17 221:2</p> <p>market 115:18 155:4 208:4</p> <p>marketed 104:15 105:3,5,12 106:2, 21,23 111:23</p>	<p>marketing 16:11 102:10 105:20 108:4,16,19 109:6 111:1 112:20</p> <p>marketings 109:12</p> <p>matched 190:23</p> <p>material 102:10</p> <p>materials 59:4 108:16 109:6</p> <p>math 199:5</p> <p>Matt 23:22</p> <p>matter 7:7 68:17 71:20 162:12 169:19</p> <p>max 102:2 167:7</p> <p>maximum 101:25 144:15 145:4,10</p> <p>meaning 54:22 173:9 184:8</p> <p>means 39:11 80:20 103:14,17,20 111:12 135:25 136:1 143:17 153:19 193:14</p> <p>meant 56:18</p> <p>measure 211:17,18,23,24 213:19 214:6 217:5,25 218:11</p> <p>measurement 211:19,25 214:3,7,10 215:24</p> <p>measurements 197:9</p> <p>measuring 211:20 212:6,21 215:6, 22</p> <p>mechanical 19:22 24:15 131:1</p> <p>mechanism 29:23</p> <p>meet 114:24 116:20 165:22</p> <p>meeting 23:22</p> <p>meetings 23:18,19,21,24 24:2</p> <p>mention 184:24 189:19</p> <p>mentioned 13:7 22:3 25:5 28:23 38:13 173:16 174:24 183:23 221:6</p> <p>messed 76:18</p> <p>met 23:16</p> <p>metals 122:13</p> <p>meter 211:24 214:14</p> <p>method 2:12 69:18</p> <p>Michigan 2:10,13 3:16</p> <p>middle 31:15 82:4 91:14 192:24 193:13 194:4 202:13</p> <p>midpoint 79:6</p> <p>miles 187:23 188:10</p>	<p>millimeter 155:25</p> <p>min 102:2</p> <p>mind 53:1 210:20</p> <p>minimal 27:18</p> <p>minimize 58:15,18 151:18</p> <p>minimum 144:18</p> <p>minute 142:18 158:24 159:1 161:6, 22 167:2</p> <p>minutes 40:17 178:2</p> <p>Mischaracterizes 46:6 56:15</p> <p>missing 170:18</p> <p>mitigate 69:14,15</p> <p>mitigating 69:23</p> <p>mix 72:17,21 140:12</p> <p>mobile 69:3 124:15 125:12 126:5 127:10 159:22 173:14 179:4 184:6,8, 11,13,14</p> <p>model 73:25 104:6 111:25 112:2 116:19 145:7,11,12 146:1 164:1,17 165:1,2 200:19</p> <p>models 144:7 145:13 163:17,18 198:21</p> <p>modified 65:5 93:16 96:14</p> <p>modify 171:20</p> <p>moment 21:22 22:2 125:20</p> <p>money 33:15</p> <p>monitor 147:22 150:14 208:14,21</p> <p>monitored 149:17</p> <p>monitoring 151:12,20</p> <p>months 19:1 129:3 146:18</p> <p>morning 7:12,13</p> <p>mouth 100:16</p> <p>move 170:8 184:8</p> <p>moved 113:7</p> <p>moving 209:18</p> <p>mud 21:13 22:3,6,13 23:2,4,5 25:11, 19 26:5 135:19</p> <p>multiple 19:3 109:4 150:21,25 151:19 185:23 215:15 216:2,4,5 217:20</p>

N	O	
narrow 109:13 117:3,20 195:25	object 150:5 221:13	112:3,10 113:5 115:20 118:6 135:13 163:13 186:13,21 187:6,10 188:18 189:3,4 201:20
narrowly 111:14	objection 11:10 12:19 13:17 14:7,24 16:19 20:5,23 22:15 28:1 30:18 32:19 33:8,17 34:8 35:11 37:14,21 38:9,14 39:20 42:1 44:9,24 45:10,23 46:6,16 48:10,17 49:4,20 50:21 51:12,24 52:19 53:10 55:23 56:10,14 57:2,16 58:16 59:2 60:5,13 61:1,11,18 62:1,9 63:1,10 64:2,13 66:2,9 67:8,23 68:10 70:5 71:6 72:5 75:19 76:11,21 78:6 79:16 81:6 82:6,19 83:14 85:21 88:5 89:6 90:13 91:1 100:18 102:6,12 103:21 104:13 105:1,16 106:12 107:1 108:3,12 109:9 110:13 111:18 112:7 115:16 116:9,17 117:2,13,25 118:12 130:3,8 144:25 145:5,22 149:20 154:16 155:1 156:15 168:23 169:7 172:8,24 174:5 177:23 179:17,21 180:9 181:23 182:7,19 183:19,25 184:7 185:4 190:2,9 191:2,13 192:16 193:1,9 194:8 197:25 198:9 199:8,18 200:11 203:8,23 204:5 205:4,16 208:7,16 209:20 212:1 215:10 221:22 222:2,6	Oils 5:22
native 6:12 157:10,13 160:5,18 162:22	obscured 99:2	Olds 3:13
natural 31:10,12,14,25 32:1,3,6,11, 25 33:11 136:1,7,12,18 137:1,6 140:15,22	obtain 159:13	on-site 15:14 147:22
necessarily 11:20 27:22 32:16 45:20 68:11 75:21 77:6 111:13 135:7 154:24 165:11 174:12 193:14	obtained 221:21,23	Onsite 5:14
needed 63:5 105:23 130:17 135:5 151:24 166:9 196:15 201:3 209:1	obvious 96:21	open 29:23,24,25 49:22,24 50:2 51:19 141:9 195:2 199:6 201:17
needing 178:5	occupied 199:6 201:4	opening 170:25
negative 127:17,18,24 128:8,24 129:5,23	occupy 198:24	operate 17:9 29:5 48:2,12 114:25 115:3 125:17 147:15,16 152:8 153:17,18 159:10,15 182:24 208:22 209:2
net 161:9	occur 20:2 85:9 90:2 118:24 203:16 211:19	operated 147:7 150:4 153:14 159:22,24
newer 139:20	occurred 32:12	operating 17:10,12 19:8 119:19 140:22 147:19 148:6,24 149:3,4 150:10,16 151:6 178:16 209:16
nice 27:6 159:14 176:8	occurring 126:12	operation 15:14,17,19 16:20,24 19:4 29:15 30:7 34:1,5 114:20 117:4 118:25 126:21 135:19 138:5 141:18 146:21 147:20 159:17 176:7,25 177:1,5,9 196:16 207:18 209:19
nickel-plated 114:6	occurs 129:1	operational 208:23
nodding 27:4	odd-numbered 138:9,12 139:6	operations 12:22,25 15:13,23,24 16:5,6,9 23:3,5 25:6 26:16 28:12 29:2,13 30:5,9,23 32:2 33:15 35:5 123:14 124:10 127:23 128:5,10 129:14,22 130:1 131:25 133:17 135:14 147:18,23 151:16 163:4,14 188:19 196:15
non-negligible 217:16	offer 95:18	operator 148:5 150:15 176:3,9,19 177:8 178:5,10,12 208:14
nonrotating 90:3	offering 16:12	operators 18:16,20 150:13 176:5
normal 30:22 74:12	offers 114:9	opinion 13:5 39:1,6,16 48:25 62:12 80:22 93:21 94:9 95:18,22 96:5 97:6, 9 103:11 111:12 145:1 172:5,14 174:2 190:18 195:23
North 114:20 115:25 127:12 128:2, 18	offhand 14:3	opinions 11:18 13:4 114:3 115:5 116:7
note 9:17 66:12	office 9:18	opportunity 31:24 117:19 175:24
noted 151:23 156:4 173:3 200:18 201:2	oil 11:13 12:21 13:7,8,20 14:13 16:4 21:5,11 22:17 23:10 24:19 26:13,24 34:9 95:3,5 103:9,10,13,16,19,22,23 104:1,2,15,17,22 105:12,20 106:1,2, 21,23 108:25 111:3,6,9,11,14,20,23	opposed 27:13 31:3 78:5 80:14 158:15 160:3 164:9 168:22 176:22 181:18 201:14 210:8
notice 191:8		opposing 168:16
notwithstanding 129:18		optimal 210:1
number 17:23 18:23 19:5,20 55:6 73:5 99:17 128:7,12,21 130:4 144:14 145:9 146:4 147:18 150:19 152:3 159:20 166:14 187:23 188:9,10 189:12 208:8 222:20		
numbered 2:7		
numbering 138:11		
numbers 76:18 133:6,25 134:15 137:12,13,25 139:2,24 141:17 142:2, 6 146:15,16 147:1,14 148:11 158:15 160:9 163:10 164:8,9,12,14,15,20 165:10,12 220:7		

optimizations 22:24
optimized 22:16 23:2,4,6
option 58:25 61:6,7 72:3 125:11
options 52:16 58:23 61:3 63:23 71:13,25 72:7
order 26:10 32:13 49:6,18 51:1,18 64:25 65:8 104:11 143:8,9 167:6
ordinary 24:7,11,14 26:8 37:5
orient 45:11 46:20
orientated 45:7
orientation 44:15,19 45:2 46:15 66:24,25 67:11 193:3 197:21,24 198:1,5,7,10 201:12 202:4,9 220:23
orientations 44:21 45:5,8
oriented 44:4 45:19 66:6 67:6,14,20 192:14,24 220:16
orienting 202:16 203:4
origin 99:22
OSHA 196:9,10 204:20
outdoor 129:22
outer 193:18
outlet 55:22 56:7 57:1,9,23 58:1
outlets 47:13,15,17 49:13,14,17 50:18
output 135:4 162:25
outputting 135:2
overlaps 180:7
overnight 129:4
override 50:8,10,24 51:1,6 53:3
owner 3:11 132:11

P

P.C. 3:13
P.E. 2:5 4:4,21,24 5:5 7:1,14
p.m. 2:8 121:17 137:18 154:1 156:22, 23 162:6 167:22,23 168:1 169:14 210:15,16 221:4
packer 29:23
pages 92:18 218:22
paid 33:20,25
panhandle 128:20

paper 56:20 57:3
paperwork 175:19
paragraph 10:15 11:2 12:5,8 24:6 28:10 34:18,23 35:21 38:19 39:1 43:14,16 47:10 50:5,23 54:3,7,13 57:22 58:6 64:22,25 65:4 91:25 92:15 93:1,11 96:11 102:19,23 103:4,5,23 107:5 113:17,18 114:4,10 122:10,11 127:6,8 130:14 131:22 137:10 143:2 144:5 146:3,4 151:22 165:15 171:17, 19 178:25 189:10,11,19 191:24 192:3,4 195:15,18 196:3 200:17 203:13 204:16,17 207:6 222:23
paragraphs 100:22 130:14 195:15
parallel 45:12 46:21 202:3 203:2 204:4,6
parked 206:18,22
part 11:12,19,20 18:17 29:16 78:11 90:3 108:24 109:2 119:19 124:7 186:18 201:25
pass 47:6 79:24 82:16 99:19 218:15
passage 35:14 36:16 37:3,13,16 38:8 71:10,23,24,25 79:12 82:17 168:21,24 169:3
passages 34:25 36:25 37:7,20 71:4 169:10
passageway 57:13
passed 79:21 80:25 81:3 82:1
passing 80:15 83:11 119:10 211:10
past 131:8 146:18
patent 3:11 5:7,9 6:15 10:3,6,8,11 24:5 34:15 35:23 36:3,4,11,14,18,19 38:18 40:5,24 43:12 54:2 71:2 72:11 75:8 80:5 81:8 82:23 83:9,16 89:13 90:17,20,24 91:2,24 94:24 132:11 169:18 170:19 210:19 211:1 212:9 219:2
patents 11:9 12:14,18 25:17 64:18 69:24 132:18 182:16,17 183:2,16,17, 23 209:16
path 55:11 77:14 81:4 82:3,17
peak 142:1 161:4
pen 85:10
pending 8:19
people 17:1 18:11 20:12 31:22 112:16 150:24 153:9 206:6,16
percent 142:9,10,15 143:10 150:4 158:21 159:9,16,17 162:5,8 165:21

166:21,24 167:3
percentage 128:10 176:24
perforating 28:17
perform 34:1,5,6 157:6 175:21 179:12
performed 15:13,19,21 95:5 123:15 126:3 127:23 128:6,7 129:14,22
performing 154:3
period 19:4 21:2 25:16,19 30:11 31:10,16 118:17,20 119:12,14 124:12,13 129:14 131:24 132:15 133:6,20 137:5 153:11 182:24 183:9 220:2
periods 118:15 123:6 124:2 133:7,8 219:25
permanently 194:19 195:6
permit 170:12
perpendicular 45:12 192:14 197:21 198:15 202:11,17 203:5 204:9 220:19
perpendicularly 192:24
person 23:23,25 24:11,14 35:8 36:19,23 37:2,5,18 44:21 48:1,5,9 49:2 51:4 59:17 60:1,10,20 61:8 62:12,21,24 63:15 65:24 66:7 67:21 70:10 75:15,24 116:13,14 148:5,24 149:13 170:12,16 171:9 176:13,21 178:9 205:21 206:1,3,7,8 217:19
person's 52:17
personal 15:1,5 186:20
personally 71:18
personnel 17:18,23
persons 33:13
petition 37:23 38:4 60:15 71:15 111:22 121:13 155:3,8,14,15,17 192:17,22
petitioner 2:6 220:23 222:3,5
PETITIONERS 3:3
petroleum 24:16
Ph.d. 2:5 4:4,20,23 5:4 7:1,14
phone 19:1,14
photo 43:23 92:20,21 93:2,5,9,15,18 94:5,6 96:14,22 97:8 99:23 101:15
photograph 55:2 95:7
photographs 94:24 221:11,21,23 222:20

photos 6:17 15:23 92:17 94:3,4
101:21,23

phrase 39:2,7 41:24 42:12,20 62:20

physical 46:1 55:1,2 72:18,19,22
73:7,10,16,19,21 74:18 75:18,21
76:22 77:12 83:25 84:6,12 86:8 89:4
90:14 205:5

physically 55:25 56:7,18 83:6 85:16,
20 89:23

pick 59:11 72:15 145:11 190:5,22
215:11

picked 145:13

pickup 30:22

picture 217:18

picturing 212:11

piece 23:14 96:13 99:25 136:9 140:7,
16 183:6,11 189:25 208:11 209:6,17

pieces 55:6,12 90:11,15 136:11,13
148:1 183:13 189:15 208:8 210:3

pile 65:20 210:18

pin 83:22

pipe 213:1

pixels 98:7

place 8:5 22:2 52:14 58:3 68:1
125:18 159:15 177:8 178:5 194:19
217:5 218:2,6

placement 72:19

places 29:8 99:3

placing 148:10

plate 170:17 171:4

plates 171:1

plausible 96:12

playing 164:15 165:12

plays 13:5 31:15

plenty 159:16

plug 170:25 171:3,7,10

plugged 163:20

plumbing 77:9

plural 55:17

plurality 40:11 41:3 43:20

point 9:19 23:8 33:4 49:25 51:20
54:25 57:19 60:14 89:18,19,20 90:24
91:16 99:22 104:3 109:16 111:7 15,

21 119:6 126:25 136:15 153:1,10
158:9 172:20 174:6,9 190:10 198:6
205:21 209:12 218:19 219:7

pointed 78:15

pointing 76:5 213:15

points 89:22 173:12

poor 16:22 56:17

popular 31:17

port 88:14

portion 41:20 42:2,12,18,20 47:1,3
90:7 107:6 115:18 117:18 122:6
128:14 133:11 140:11 170:22

portions 10:20,22 90:17 99:1
170:12,14

ports 29:24 30:1

POSITA 34:24 45:1,4,6 46:17,20
48:11,14 49:11 50:17 58:9,14,24 60:6
61:12,20 63:22 64:15 68:1,2,3,4,24
69:20,22 82:20,22 83:4,5,8,10,15
84:25 86:18 87:10,21 88:1 89:13 90:5
103:9,14,17,20 104:4,9,23 105:2,8
106:23 112:18,19 113:14,19 114:23
115:2,11,13,24 116:18,25 119:21
126:13 127:9 145:16,17 162:8 169:6,
9 171:19,24 174:2,3,18 183:5 185:21
189:24 190:5,18 196:7 201:6 206:11
207:10,17 214:8 215:6,8,18,21
216:17 217:24 219:12

position 18:14 42:10 101:1,5,13,17

positioning 77:12 181:6

positive 140:11,13 152:16 175:8

possibilities 60:24 124:9

possibility 136:20,21

possibly 80:2 83:6 105:2 175:13
206:3 217:21

potential 67:6,9 109:11 146:13
215:13

potentially 136:3,5

power 27:20 30:16 31:10 32:4,16
33:6,9,10 136:1

powered 25:20 27:10,11,12,13 30:11
31:3 136:19 137:7

powering 134:1,2 163:14

practical 103:11 104:5

practice 32:18 120:9 124:25 126:4
137:4

preamble 211:4

precautions 120:14

precedes 171:18

preceding 113:18

prefer 9:9 59:22

preferable 194:3

preferably 47:17

preferred 31:4,6

premise 116:11

premises 116:7

prepare 23:12 28:14

present 23:21 24:2 108:6,7 150:13
222:9

pressure 26:1,3 27:23,25 28:2,3,4,5,
8 29:7,19,21,25 30:6 135:16 143:15,
25 144:2 151:24 152:1,18,21 153:2,3,
6,7,14 154:4,5,6,9 156:4 165:21
167:7,15

pressures 25:24 28:7 130:16 135:10
156:9,14

pretty 51:25 73:15 95:16 115:17
174:13 193:15

prevalence 31:14

prevalent 31:25 32:8,10,24 33:2

preventing 69:25

price 217:13

printed 167:11 168:9

printout 5:14,17 6:9

prior 23:15 130:21 143:4,13,22
220:22

problem 68:9,11,15 120:23 214:4

problematic 69:7

problems 120:22 146:14

procedure 2:14 119:18

proceed 7:11

process 19:21 25:2 26:13 28:22
29:6,11 105:14 119:18,20 120:8
183:15

processing 212:13

produce 28:18

produced 2:5

product 59:5 110:19,21 115:19

production 24:20 26:11,13,19 28:15
products 122:8,12
professional 186:18,20,21 188:3,13,17
Professionally 189:7
Profiles 6:6
projects 16:5 95:6
prolonged 220:2
prong 24:14
properly 65:1,9
proportion 134:15,22
proposed 103:22 195:24
protect 177:8
provide 58:25 177:19
provided 38:1 216:3
providing 13:4 30:3
provisions 2:15
psi 152:1,3,18 153:15 156:4,5 162:9 165:23 166:3,18 167:7,15
Pub 5:11
publication 105:5
Publishing 6:4
pull 119:24 201:18
pulled 35:23 202:21
pulling 202:2 210:20
pump 17:14 22:6,14,25 25:12 26:6 29:9,14 73:7,8 135:19,20,24 136:9,17,18,19,21 137:2,5 151:24,25 152:18 153:14 162:7,9 163:14 183:7,9 211:5 212:5,7,20,22,23,24 213:20,21,22,24,25 214:2,10 216:21,23 218:8,9
pumped 26:1 30:7 111:5 135:16 143:23,24
pumping 17:15 23:4,10 25:6,24,25 27:22 29:4,14,19 134:3 135:9 152:8 209:9
pumps 14:19,21 17:17 21:13 22:3,6,7,8,11,13,14,16,20 23:2,4,5,9 25:11,12,19 26:5,16,19,22,25 27:9,17,18,21 29:8 30:9,11,16 31:11 32:17 33:6 73:5 134:1,2,3,7,11,13,16 135:8,9,22 140:22 153:12 213:10,13 216:11 218:7

purpose 12:10 49:8 50:24 63:12 109:20 175:15
purposes 22:17 29:10 32:7 52:3 151:25 172:15 194:3 215:6,22
pursuant 2:14
pursue 106:14
pursued 31:15
push 22:20,23,24
pushed 29:22
put 51:15 52:11 59:11 70:7 100:16 108:19 109:6 125:13,17 128:21 130:4 139:1 155:5 158:14 161:18,20 164:20 181:10 196:22 212:25 214:18,19,21 215:5,18,25 216:7
putting 28:16 51:11 52:16 61:10,17 108:15 110:4,23 121:1 126:6 145:18 177:4 198:3

Q

Qualifications 10:17,19
qualify 24:11 49:21
qualifying 200:12
quality 59:5,7
quantities 123:6 124:1
question 8:18,20 14:16 16:22 18:9,22 20:9 36:10 38:3 53:11 55:16 56:11,23 73:3 83:19 85:24 88:8 99:9 120:16 122:23 145:25 208:25 212:14 216:17
questions 9:13 25:14 32:13 43:11 87:16 97:18 105:10 132:13 158:8 160:21 218:15
quick 8:11 173:14 174:12 177:13 183:10 210:13
quickly 34:6 183:11
quote 57:25 98:8 123:25 144:16
quoted 140:25
quoting 75:3 144:22

R

radar 106:5 107:3
raise 7:5
raised 117:9

ran 164:14
range 17:25 18:10 20:11,14 22:8 114:19,22,25 115:3,15,25 116:21,25 117:4,11,20 129:5 133:18 138:20 139:3 141:16
ranged 138:4
ranges 129:17 133:16 140:24 141:5
rate 142:12,16 143:11,17 144:2 151:23,25 158:24 159:2,4 160:1,2,3,4 161:5,16,24 162:4,10,16 166:7,8,16 167:8,14 211:17,21,23 212:7,22
rates 130:16 135:18 142:20 143:8 160:25
RDR 2:9
RE-EXAMINATION 4:10
reach 9:19 28:7 51:17 52:5 109:10
read 9:19,23 46:8 65:15 75:6 78:7,8 140:9 142:19 165:7 166:14 167:18 175:17,18 199:20,25 200:2,3
reading 57:17 58:7 83:16 123:22 166:13 167:1
ready 28:17
real 56:19
real-life 75:18
rear 170:7 172:17 173:4 178:22 179:6,9,12,15,20 180:2,6,16,21,25 181:3,8,9,11,22 182:5 191:9,14,15 195:3 197:19 201:1,14 203:17 207:9
reason 8:25 16:7 33:22 58:10 70:15 109:18 125:7 126:16,17 153:7 161:15 177:15,17 185:20 215:18
reasonable 110:6 147:2
reasons 22:22 59:4 115:6,8 116:13 151:17 171:24 172:9 173:1 176:11 178:1 181:10
rebutting 37:23 200:13
recall 14:8 16:25 17:4 19:5 21:8,21 30:19 31:5,9 38:15 40:25 44:10,11,12 46:22 69:19 90:22 91:3 92:3 95:21,24 96:5,9 105:10 121:12 122:6 126:24 131:8 152:19 156:18,19 159:11,19 175:17 186:3 194:21 198:10 209:21 219:4,15,21 221:11,19
receipt 175:23 177:20
receiving 213:3
recent 139:18

recently 8:11 13:14 14:2

recognize 19:11 27:21 68:24 69:1
85:15 92:10 121:20 162:8

recognized 113:19 206:11 207:11

recognizing 93:25

record 2:15 7:21 40:20,21 91:20,21
156:22,23 160:19 167:21,22,23
168:14 210:14,15,16

rectangle 74:23

rectangular 74:23 179:16,18

red 85:10

redline 209:14

reduces 208:4

reel 36:15 37:15 39:3,8,13,17,19,23,
24 41:25 42:16,22,23 44:1 45:7,16,19
46:15 55:18,21 56:25 57:1,5,9,11,12,
13,15 58:2,4,5,8,9,12 60:3,25 61:5,9
62:22 63:16 64:12 65:1,9,12,18 66:6,
19,25 69:25 70:3 71:5,11 72:3 73:22
74:24,25 75:1 76:5,15,24 77:15,18
78:2,5,11,12,22,23 79:1,4,6 80:5,7,
14,15 81:20,25 82:2,4,8,12,14 84:8,
13 85:4,7,12 86:3,5,7,9,19,21,25
87:2,12,24 88:13,14,17,21 89:11,12,
16,18,19,21 90:2,6 104:10,22 105:7,
9,12 106:9,20 111:8 112:25 113:20
114:5 116:15 118:17 119:1,4,5,8,15,
17 120:1,7 131:5,8 145:16 168:21,22,
25 169:2 192:23 193:8 196:23 197:19
198:3,19,20 208:11 219:10,12 220:2,
3,4,23

reeled 169:11

reeling 68:15

reels 14:23 15:3,5,7 34:24 35:3,4,8,
13 36:24 37:3,7,12,18 38:6,10,24
39:11 40:13 41:5,20 42:2,3,6,12,18,
20 44:3,4,19 47:22 55:15 60:2,6,11,
17,22 61:13,25 62:3,7,14 63:25 65:25
66:3 67:5,14,20 69:7,9 70:18,19 71:4,
9,24 73:17,25 74:5,7,20 76:3,15,19
77:3 79:10,11,14,18 80:18 105:19
112:15 118:8,11,14 119:13,25 125:24
144:8 169:10 183:7 192:5,6,13,19,23
193:6,14,21,22,24 194:6,16,18 195:6,
14,21 196:4 197:8 198:13,24 199:6,
13 201:4,9,12,17,19,24 202:3,10
203:17,22 204:2 207:9,12,14,17,18,
20,24,25 208:5,15,21 209:1 216:13
219:22 220:8,15

refer 27:3 38:21 40:10 44:6,13
122:19,20 137:13 138:19 155:9

reference 37:9,10 43:5,7 54:9 92:3,
11 101:22 103:2 107:19 138:25
143:6,7,20 154:12,14,20 161:11,12
164:19 169:18 185:5 220:12

references 184:5 190:19

referred 25:13 35:25 41:9 50:22 75:7
82:5 92:17 123:16 145:4 154:8
155:14

referring 15:6,7 16:20 22:11,18
39:14 40:23 41:8 42:3,7,10,14,15,21
47:23 57:21 73:2 92:16 93:2,10 97:18
112:6,9 113:24 138:14,18 141:25
143:3 145:2 155:2 156:17 178:25
198:1 208:17 209:11,12,15 210:11

refers 25:1 26:10,13 28:15 57:21
144:7

reflect 75:17

reflected 157:6

refuel 183:11 185:25 187:11 208:8

refueling 5:15 11:21 12:1,13 27:16
58:13 59:12,18 114:19 116:19 124:15
144:18,24 146:5 149:18 150:10 151:3
152:14,17 159:23 174:7,10 182:23
183:14,15 185:22,24 187:25 207:18
208:9,20 209:5,8

regard 88:8 92:20

register 211:5,9 212:5,20,25 213:8,
13 214:11,20,21,23 215:5 217:5

registers 211:17 216:5 217:10,16,
20,25 218:2,11

regular 188:7

regularly 99:18,21 185:7 187:24

regulations 126:22

relate 43:12

related 16:5 108:22 174:6 180:25

relates 12:25

relating 25:16

relationship 41:24 57:7,8 129:11

relationships 55:6

relative 44:4 45:9 76:1 77:12 195:14

relevant 10:23,25 11:8,11 12:17,20
13:15,16 140:18 174:12,15,18 180:15

reliability 113:21,25

reliable 120:21 196:16

reliably 114:25

relied 60:15

rely 151:3

relying 93:20 122:16

remaining 126:2

remains 124:19

remember 8:7 11:20 13:13,18 14:1,
2,25 19:11,19 21:17 44:14 69:17 91:7
94:16 95:12,14,16,20 96:1,2 123:13
127:4 131:14,15 140:2,3 150:19
152:15 155:10,18 157:12 222:19,20

remembered 67:18

remembering 67:17

remind 44:14 127:3

reminder 8:11,12

remote 31:18 175:21

remotely 185:9

removable 194:20

remove 93:16 126:14

removed 96:14 170:25 171:3

removing 126:7,10

rendering 55:3

reorienting 204:2

repairs 192:5

repeat 212:16,17

rephrase 81:1 180:17

replicate 190:21

report 37:24 44:14 94:20 107:23
116:11 121:14 122:19 127:4 141:6
146:19 157:7 166:15 212:12

reported 2:12

reporter 8:14 168:15

reports 93:25

representation 54:17,22 55:1,24
72:16,25 73:7,16 74:4 76:23 77:5,23
78:1,17 84:12,20,22 85:3,8,18 86:4,8,
22 87:4,6,13,18,25 88:10,18 89:4,8
90:14 205:3

representations 72:22,23 73:10

representative 74:17 86:12 133:9
205:10

representing 73:8 88:21,25 89:15
90:7 95:1 134:9,10 158:15 164:21
165:11

represents 74:21 87:9,22 88:12 160:14

reproduced 107:23 112:22 197:7

reproduction 43:16 107:5 196:18

request 107:3

require 29:6 126:23 163:6 188:23 190:25 191:3 197:24 207:14 209:16

required 27:19 29:18 124:4 130:16 142:12,16 144:24 151:24 165:22 185:6 207:12 208:3 209:19 210:8

requirement 119:19 144:18

requirements 116:20 182:10 203:9, 12

requires 26:3 42:17 114:19 154:14 181:7 185:11 207:24 209:23

Research 6:4

reside 7:23

residential 15:8 38:12

resolution 98:16

respective 80:17 218:7

responding 155:5

rest 70:24 215:3

restart 120:6

result 63:24

retired 132:22,24

reverse 189:19

review 157:24

reviewed 23:14,15 24:9 43:7 169:18

rid 120:15 125:2,11

rig 12:22 17:19 19:13 20:3 215:14

rigging 17:2

risk 68:12,14,17,19 69:1,13,14,16 70:25 120:23 124:14 125:5 146:13 153:6,17,19,20,22

risks 19:7

RMR 2:9

road 2:13 3:14 172:6 183:24 193:11

roadside 172:1 173:8,22 174:4,20, 21 175:7 176:20 186:9 187:15,20 188:13,16 189:2

rock 21:15

rocket 110:4

rod 52:8

Rodgers 2:5 4:4,20,23 5:4 7:1,4,9, 14,22,23,25 9:3,25 40:23 91:23 168:3,20 169:16 218:19 221:6

role 132:21

roll 99:13

roller 65:9

room 147:23 177:25 178:17

room/cabin 176:18

rotate 83:3 86:13 87:24

rotated 198:13

rotating 70:8

rotation 90:2

roughly 8:1 164:21 199:1

route 83:7

routing 83:7

row 77:2 193:24 195:13

rows 142:5

RPR 2:9

rules 2:14 8:10

run 9:20 17:22 27:18 30:24 118:3 140:8 146:22 148:12 164:12

running 28:24 73:13 136:12,15,17 148:8 158:21

runs 79:5

Russell 169:18

S

sacrificing 34:7

safe 53:15 125:4 146:8,9,11 147:15 148:15 152:8 153:3 159:15 177:8 205:14

safely 114:25

safer 176:13,18,21

safety 34:7 126:22 151:25 153:10 214:4

salts 122:13

saved 164:16

scale 68:22 75:5,7,9,12 146:12 191:22 205:8,9

schedule 188:8

schematic 45:25 46:4 54:21,22 55:25 57:7 72:15 74:12,14 75:15,17, 20 76:1 83:21,22 84:4,13,20,22 85:3, 7,18,23 86:2,8,21 87:18,25 88:9 89:4, 7,8 205:3,6

schematically 56:25

school 93:24

science 24:15

Scientific 6:4

scope 110:6 123:1 221:14

scratch 60:25 61:5,10,15 150:3

seal 114:7 117:6,10

sealed 214:5

search 105:19

seat 170:9

seated 205:19

section 34:19 38:21 92:2,17 103:1 123:1,2 130:13 173:13 175:3

select 160:3

selecting 62:19,22 105:9

sell 109:20 110:19 112:15,20 115:19

send 52:4 157:19

sense 30:5 48:14 82:7 120:25 183:5

sensor 215:4

sentence 11:2,3 12:7 24:24 47:12 50:7,14,22 64:24 65:15,17 66:18 103:7 114:10 123:22 195:18

sentences 66:12

separate 89:21 209:8

series 5:18 25:14 103:9 113:20 145:13

serve 175:15

service 123:7,23 124:2 172:1,7 174:4,23,25 175:6,22 182:18,20,21 183:3,10 187:7,23 188:9 201:19 205:13 206:21 207:15,22 209:7 219:16,19

services 16:12 217:1,2

servicing 170:10 172:6 173:19 202:25 206:18,25

serving 47:1,2

set 18:11 29:23 82:13 102:17 151:1 152:5,6 160:20 164:7,14 203:12 209:5

<p>setting 15:8 19:7 77:12 82:12</p> <p>settle 67:5</p> <p>settling 66:20 68:19</p> <p>severe 123:7,23 124:2</p> <p>Shack 150:20 151:8,17 152:22 153:13 159:21</p> <p>shaded 158:12 164:8</p> <p>shadows 96:23</p> <p>shale 31:15</p> <p>shape 179:16</p> <p>sheet 162:14,15</p> <p>Shell 13:10</p> <p>shift 29:22 30:4 32:11 138:22 141:1, 14 151:20 178:4</p> <p>shifts 176:10</p> <p>Shock 5:9 19:2,13 132:17,20 133:3, 4,5,16 134:25 146:17 147:13,14 148:13 149:1,7,8,11,12,17 150:1,4 159:18 183:2 209:16</p> <p>shoot 153:8</p> <p>short 119:12 141:25 183:9</p> <p>shorter 68:7,14 151:1</p> <p>show 46:10 74:8 81:15 101:25 179:23 219:10,12</p> <p>showed 219:5 221:11</p> <p>showing 73:24 83:11 167:12 198:16 221:10</p> <p>shown 38:7 44:5 47:6,21,22 55:1,3, 12 56:25 58:14 72:16 73:6 74:5,9,21 75:17 76:1 77:22 81:8,12,22 82:18 84:3 86:13 87:19 93:6 96:12 97:23 99:19 100:1,7 101:6,23 154:25 158:20,21 169:25 173:4 180:2,5,7,24 181:22 198:22 219:18 222:17</p> <p>shows 47:1 55:5,9,11 73:21 164:5 170:2 178:21 179:8 180:20</p> <p>side 9:17 42:14,15 44:5 45:9,16,22 46:2,11 50:6 65:11 73:18 77:4,9 84:25 100:23 101:18 163:18 171:13, 14 176:22 179:20 191:11,15 192:6,8 193:7,8,15,18 197:12 198:13 203:17, 21,22 204:4,6</p> <p>side-by-side 92:25</p> <p>sides 194:14 195:1</p> <p>sign 127:18</p>	<p>silly 115:17</p> <p>similar 98:8 100:11 139:24 180:11 191:4</p> <p>similarities 98:19</p> <p>simple 51:25 53:1,15</p> <p>simpler 52:18,24 53:7</p> <p>simplest 51:23</p> <p>simplified 54:17 74:4</p> <p>simply 53:19 58:2 97:21 104:14 125:17 140:24</p> <p>simultaneous 146:8</p> <p>simultaneously 148:9,12 165:20 208:23 209:3</p> <p>sit 77:3 120:2 124:22 176:10 181:15 182:24</p> <p>site 12:22,23 13:1 15:12,17,18,20 16:18,24 17:1,3,19 18:6,12 20:13 30:21,23 31:8,21,22 32:16 33:10,20 113:3 127:13 134:10,12 135:5,9 136:14 144:19 147:11,25 149:18 150:11,14,25 183:12 188:20 207:3,23 208:6 209:18 214:24 215:14</p> <p>sites 31:18 113:9,12,14 144:24 151:13 159:23 174:11</p> <p>sits 118:17</p> <p>sitting 33:21,24 77:8 90:23 119:3 120:4 176:6,15,22 178:5,9,10,11,12 183:13 220:10</p> <p>situation 95:21 110:7 195:12 198:18</p> <p>size 17:21 20:16 62:6 68:17 72:20 100:11 132:14 155:20 162:3 196:18</p> <p>sketches 197:8</p> <p>skill 24:7,11,15 26:9 33:13 35:9 36:19,23 37:2,5,19 44:22 48:5 59:17 60:1,10,20 61:9 62:12,21,24 63:15 65:25 66:7 67:21 70:10 75:16,24 116:13,14 217:19</p> <p>skip 54:12 65:16</p> <p>sliding 68:22 146:12</p> <p>small 66:14,18 98:6,21 109:2 131:24 141:18 162:13 163:5 176:24 185:14 186:7 197:11</p> <p>small-volume 187:21 188:2</p> <p>smaller 100:10 156:10,11</p> <p>snapshot 165:5</p>	<p>software 108:24</p> <p>sold 152:13</p> <p>solely 50:19 137:7</p> <p>sort 25:20 26:23 50:7 52:8 62:6 63:7, 17 68:15 69:7 70:8 77:23 89:25 94:20 97:16 99:7 102:9 121:24 128:2 130:19 158:12,13,14 175:22 187:9 196:22 197:8 213:14</p> <p>sorts 32:4</p> <p>source 18:19</p> <p>south 117:16</p> <p>space 13:9 17:13 56:19 89:22 97:4 170:13 177:25 193:7,10,23,25 199:6</p> <p>spaced 99:7,18,21,23</p> <p>spacing 99:15 170:3,6 171:9 191:18, 20 201:3 202:4,11,12 204:23,24 205:11</p> <p>spans 197:12</p> <p>speaking 25:1 28:6 49:5 81:7 100:11 102:13 155:3 168:24 176:24 199:19 203:24</p> <p>special 96:22</p> <p>specific 13:5 14:20 16:1 18:22,23 19:20 22:16 49:8 69:18 79:17 90:14, 18 112:11 118:13 145:12 156:16</p> <p>specifically 10:24 12:24 16:1,12 18:9 19:5 37:22,25 38:15 40:8 46:9 56:3 66:4 84:16 121:12 134:21 142:25 147:17 152:5,24 156:19 159:19 171:13 175:18 199:25</p> <p>specification 5:21 47:10 57:17,19 67:17 75:9 78:7,9 80:9 170:3 183:2</p> <p>specifications 106:19</p> <p>specifies 44:12,15</p> <p>Spectrophotometer 74:1</p> <p>speed 151:2</p> <p>spell 13:24</p> <p>spend 176:10</p> <p>spent 23:19 176:25</p> <p>spew 153:8</p> <p>spin 25:12 82:8,14 87:12</p> <p>spindle 45:11,12,19 46:20 77:17 78:10,23 79:4,7,14,15,21,22 80:15 81:1,3 82:2,16 83:3,12 84:24 85:1 86:3,7,9,13,17,19 87:5,6,9,10,14,19, 20,25 88:9,13,21 197:25 202:9 203:5</p>
---	--	--

<p>204:4,6,9 219:5</p> <p>spindles 44:4 46:15 86:10 192:13,23 202:10,16 219:10,13 220:19</p> <p>spinning 70:1,3 79:8</p> <p>spins 25:9 79:5</p> <p>split 191:15</p> <p>spoke 149:13</p> <p>spooling 77:4</p> <p>spout 197:14</p> <p>spray 153:8</p> <p>spread 134:9</p> <p>spreadsheet 157:5 162:25 163:7,11 166:4 167:5 168:8,9</p> <p>spring 129:1</p> <p>Stability 122:1</p> <p>stable 182:25</p> <p>stack 64:19 197:4</p> <p>standard 5:21 139:18,21</p> <p>standards 139:19,25 196:9,11 204:20</p> <p>standing 177:2 182:3</p> <p>start 10:14 85:23 86:7 119:17</p> <p>started 21:19 31:12 146:17 152:16</p> <p>starting 21:11 80:16 110:20 138:9 218:20</p> <p>starts 41:3 130:14</p> <p>state 7:21 12:8 30:4 34:23 51:19 54:16 96:11 131:23 160:7 163:7 192:3</p> <p>stated 2:15 46:22 65:8 93:11,15 103:4 114:3 115:5 116:23 171:19,24 175:4 207:8</p> <p>statement 35:6,12,15 36:23 37:1 92:22 98:16 112:14 115:11</p> <p>statements 92:14,24 122:17</p> <p>states 26:23 49:13 115:20,22 117:15 128:6 192:22</p> <p>stating 104:21 116:11 122:7</p> <p>station 127:11 175:24 221:8 222:11</p> <p>stationary 82:14</p> <p>STENOGRAPHER 7:4,10</p> <p>stenographic 2:12</p>	<p>step 28:21 101:7 135:14 152:12</p> <p>steps 19:21 20:1 34:6 120:24</p> <p>stick 38:17</p> <p>sticking 139:22</p> <p>stop 106:24</p> <p>stopping 91:16</p> <p>storage 58:12 118:9 119:6,9 121:3, 25 122:18 125:13 126:6 145:15 204:18,25 205:12</p> <p>store 58:3 123:5 124:1,11 125:4</p> <p>stored 112:25 118:11,14 119:14 124:17 125:9,10,21 219:22 220:1,3</p> <p>storing 71:4 124:13 125:12 126:5</p> <p>straight 139:23 201:18 202:23</p> <p>Street 3:6</p> <p>stretch 96:25</p> <p>strike 10:6 129:15</p> <p>string 29:21</p> <p>strings 29:17</p> <p>struggling 85:22 157:11 181:2</p> <p>stuck 139:23</p> <p>studio 96:22</p> <p>study 137:13,22,24</p> <p>stuff 148:6 184:17</p> <p>subject 12:13</p> <p>subset 134:13</p> <p>substantial 128:6</p> <p>successful 118:4</p> <p>sucking 27:23</p> <p>sufficient 201:8,9 215:9 217:15</p> <p>sufficiently 196:8 201:6</p> <p>suggest 109:23 110:2 112:2,3</p> <p>suggested 103:8</p> <p>suggesting 81:10 111:8</p> <p>suggestion 111:10</p> <p>suitability 121:2</p> <p>suitable 109:23 115:7 125:18 131:7 173:7,9,11</p> <p>Suite 2:13 3:15</p> <p>suited 173:25 185:10,16,18</p>	<p>summer 114:22 128:23</p> <p>super 131:24 162:2</p> <p>supported 145:19</p> <p>supports 58:7 137:25</p> <p>suppose 48:20 60:14 61:20 124:25 173:11</p> <p>surface 28:5,8 52:9 177:18</p> <p>surprised 130:7,9 187:1</p> <p>susceptible 113:20,25</p> <p>suspended 98:23</p> <p>swear 7:6</p> <p>switch 136:25</p> <p>swivel 90:1,8,10 91:4</p> <p>sworn 2:6 7:16</p> <p>symbol 73:8</p> <p>symbolic 54:17,22 55:24 72:15,22, 25 77:23,25 78:17 83:24 86:3 89:7</p> <p>symbols 74:17 84:1</p> <p>system 12:1 14:6,9,22 16:17,24 17:2,10,19 20:3 36:20 43:20 47:6 49:18 58:13,24 59:18,25 60:12,21 62:23 64:9 65:5 67:19 68:25 69:12 70:11,16,25 72:16 74:1 96:12 102:11 104:24 105:9 106:10 108:17 114:1 115:3,14 116:16 117:3,11 118:8,25 120:6,21 124:15,20 125:6,7,12,16,22, 24 126:2,8,14 130:20 131:7 141:3 144:19 146:5 147:6 149:18 150:17 151:8,21 152:17 154:4,5 159:15 162:23 174:10,18 176:2,3 178:8 181:13 183:16 184:18 185:2 190:20 192:15 196:8 199:17 201:7 208:9,20 209:2 211:12 212:3 214:8,13 215:8 216:1,2,6,20 217:4,6 218:12 219:18, 23</p> <p>systems 11:5,21,22 12:13 14:10,13, 18,21 17:8 19:4,7,8 35:10,17 108:16, 21,24 139:21 144:24 146:21 150:10, 14,16 151:7,11 152:14,22 153:13 159:10,23 173:15 182:23 183:18 184:6,13 186:24,25 187:3 191:1 209:5 214:5</p> <p>SZYPA 3:12 4:9 11:10 12:19 13:17 14:7,24 16:19 19:15 20:5,23 22:15 28:1 30:18 32:19 33:8,17 34:8 35:11 37:14,21 38:9,14 39:20 40:3,19 41:14 42:1 44:9,24 45:10,23 46:6,16 48:10, 17 49:4,20 50:21 51:12,24 52:19,21, 23 53:10 54:5 55:23 56:10,14 57:2,16</p>
---	--	--

<p>58:16 59:2 60:4,13 61:1,11,18 62:1,9 63:1,10 64:2,13 66:2,9 67:8,23 68:10 70:5 71:6 72:5 75:19 76:11,21 78:6 79:16 81:6 82:6,19 83:14 85:21 88:5, 7 89:6 90:13 91:1,10,17,19 100:18 102:6,12,21 103:21 104:13 105:1,16 106:12 107:1 108:3,12 109:9 110:13 111:18 112:7 115:16 116:9,17 117:2, 13,25 118:12 130:3,8 141:22 144:25 145:5,22 149:20 154:16 155:1 156:15 157:19,25 158:3 160:17,22 168:23 169:7 172:8,24 174:5 175:9,12 177:23 179:17,21 180:9 181:23 182:7,19 183:19,25 184:7 185:4 190:2,9 191:2,13 192:16 193:1,9 194:8 197:25 198:9 199:8,18 200:11 203:8,23 204:5 205:4,16 208:7,16 209:20 212:1 215:10 218:18 221:1,5, 15,25 222:4,8</p> <hr/> <p style="text-align: center;">T</p> <hr/> <p>T2 138:17,19 139:13,14,23</p> <p>T4 139:20,25</p> <p>T4f 138:17 139:17 140:4,14</p> <p>table 138:10,11,15 139:6,8,9 141:8, 25 142:24 143:6,7,21 145:12 161:9, 10,13 162:1</p> <p>tables 138:9,14 139:6,13</p> <p>takes 17:18,20 18:7,10,18,23 19:13 86:23 178:2 210:2</p> <p>taking 20:2 161:3 177:4 182:2 198:3</p> <p>talk 34:20 77:13 78:14 138:21,25 146:4 150:22 160:21 164:1 186:2 204:17</p> <p>talked 11:3 64:20 67:13 71:1,3 74:15 105:7,15 129:16 133:7 135:10,13 149:15 152:23 159:8,19 169:8 180:19 183:17 184:5,17 191:18 197:23 203:15 208:21 210:9</p> <p>talking 8:13 18:15,20,25 22:14 27:5, 7 28:6 33:20 35:3 50:15 54:10,13 56:20 60:16 65:5 71:1 72:1 84:16 89:23 111:2 113:5 118:22 125:20,21 128:1,2 129:19 132:17 133:10 137:22 142:2 146:1 149:2 150:1 166:4 182:22 187:3 192:2 204:7 212:8,10</p> <p>talks 69:17 122:11 123:22 150:20 187:19</p> <p>tank 27:17 119:15 125:13 126:6 170:13 171:14 209:24 214:15 216:20, 23</p>	<p>tankers 185:8</p> <p>tanks 17:16 170:3,24 181:8,9,13,16 185:14,19 186:2 191:19 213:3</p> <p>targeting 174:8</p> <p>task 17:24 105:23</p> <p>taught 66:4</p> <p>taxing 159:15</p> <p>teaches 174:19 202:7,16 203:4 220:23</p> <p>teaching 204:18</p> <p>teachings 104:12 174:3</p> <p>team 13:20 108:24</p> <p>technical 11:24</p> <p>technically 211:20</p> <p>technician 147:22 149:3 175:25</p> <p>technician/operator 177:2</p> <p>technique 121:1</p> <p>Technologies 6:7</p> <p>technology 11:24 34:21</p> <p>telling 167:17</p> <p>tells 57:25 80:13</p> <p>temperature 114:16,19,22 116:21 117:4,11,19 123:7,12,18,24 124:3,5 128:3,11 129:9,10,17</p> <p>temperatures 115:1,4,7,13,15 116:2 117:1 123:11,15 127:14,24 128:1,7, 23 129:5</p> <p>temporarily 151:1</p> <p>ten 119:25 129:3 207:10,14,17,18,20, 24,25 208:15,23 209:1 210:7 216:16</p> <p>tend 27:11 65:20</p> <p>term 28:12 64:5,6 103:13 104:2</p> <p>terminate 88:23</p> <p>terms 68:18 82:21 111:1 129:16</p> <p>test 17:12 161:19</p> <p>tested 160:11</p> <p>testified 7:18 220:15</p> <p>testify 7:16</p> <p>testimony 7:6 9:1 46:7 56:15 87:5 88:2 97:25 127:22 144:6 146:7 151:6 163:25 167:13</p> <p>Texas 2:12 3:7 17:5 114:21 116:3</p>	<p>117:16 127:20 128:18,19,21 221:7</p> <p>theoretically 203:25</p> <p>Thermal 122:1</p> <p>thing 11:14 18:1 19:10 59:11 70:10 74:15 83:23 88:20 96:22 97:17 120:20 147:10 157:22 167:17 176:1 177:20 187:9,23 196:13</p> <p>things 19:21 32:4,17 69:15 73:9 105:8 109:18,19,23 111:2 122:22 125:15 173:17 175:2 186:17 188:8 219:15</p> <p>thinking 20:6 109:24 205:24 206:1</p> <p>thought 9:17 71:19 72:6 148:22 172:15</p> <p>threaded 170:25</p> <p>threatening 159:17</p> <p>three-dimensional 74:18</p> <p>thumbnail 197:8,12 198:17</p> <p>Tier 138:2</p> <p>tightened 139:19</p> <p>till 153:1</p> <p>time 2:8 8:4,17 16:7,8 19:12 20:15 21:2,8,25 23:18 25:15,16,19 28:7 30:10,11 31:16 33:15,25 49:22,24 53:14 69:24 71:10 93:23 115:22 118:15,18,20,22 119:6,12,14 120:4,6 129:14 130:1 132:15 133:5,7,8,10,11, 13,20 136:24 137:5 139:22 140:11 146:5 150:14 151:1,19 152:10 153:1, 4,11 156:20 159:16 164:15 165:3,8 169:6 176:25 182:24 183:9,14 206:20 208:15 209:10 210:2 218:16 219:25 220:2</p> <p>times 8:1,10 23:16 94:8,18,23 109:4</p> <p>timing 32:2</p> <p>today 9:1,4 23:13 80:20 105:7 132:20 152:9 157:16 184:5 210:8 219:5,16,21 220:15</p> <p>told 133:16,23 147:18</p> <p>tool 29:17,18,21 51:16,25 52:5,14,17 53:8,12,13,15,19,22,23 95:15 165:13 194:5</p> <p>tools 11:13 12:10,14 13:20 29:5,16, 18,20,24 108:23</p> <p>top 76:23 77:2,3 91:3 148:6 163:21 169:24 170:2 196:25</p> <p>topic 133:4</p>
---	--	---

total 23:18 135:1 142:12,16,20
143:12,17 159:1,4 160:1,2,25 161:5,
16 162:4,6,16,22 166:8,11,13,25
167:8,14 196:5 198:24 211:22 215:16
216:16

totally 176:4

touch 52:10

towed 69:4 182:4,10

track 214:25 215:13

tracking 211:10

tradeoff 153:21

tradeoffs 58:18

trailer 44:5 45:9,22 46:1 48:8,14 49:2
59:12 69:3,6 70:21 73:19 77:2 93:6
96:13 97:23 98:2,4,14 99:2,20 100:1,
3,7,23 101:7,9,19 124:17 126:6 141:2
151:20 176:7,14,15 178:9 180:8
181:18 182:3,10 190:1 192:8 193:11
195:7 196:4 197:19 198:14 199:5
214:23 220:24

trailers 183:21 184:1

transcript 4:17

transported 207:3

transporting 69:6

transverse 202:10

traveling 147:25

trial 95:21

triangle 73:6

triangles 74:17

trouble 109:24 110:14

troubleshooting 194:13

truck 97:11 119:25 124:17 170:9
172:1 173:4,18 175:25 177:3 178:21
179:5 186:1 205:12,22 207:9 220:16,
24

trucks 30:22,24 185:22,24

true 33:14 34:3,9 35:8 46:14 53:25
59:23,24 75:20 76:2 88:16 114:16
116:8,18,24 162:12 179:22 194:16,17
213:23

truth 7:7,8,16,17

truthful 9:1

Tuesday 2:7 7:2

turn 10:15 24:5 40:8 51:15 52:4,7,9
53:20 64:22 65:12 69:7 70:18 86:24

121:24 160:16 182:10 199:13

turned 116:23,24

twist 66:20

twisting 90:2

two-dimensional 56:20

two-thirds 64:24 121:25 134:20

type 21:16 22:14 23:2,7 28:19 32:3
39:7,9 75:22 105:12 108:4 117:6
154:21 185:10,16,21 188:12 217:11

typed 158:15

types 21:24 22:7,13,16,23 23:9 26:19
27:9 29:12 30:5,9 31:2 37:12 61:24
62:3 108:21 111:4 131:20 140:6
151:6 154:10 163:13 185:8,12 186:5,
13 187:5,8 188:6,7,19,20,21,22

typical 117:7 124:24 126:4 133:6,16
134:1 150:18 151:16 162:2 163:22
164:17

typically 25:22 30:11 133:15 148:17
163:23

U

U.S. 5:7,9,11 6:15 128:23 129:17
130:1

Uh-huh 86:1 186:15

UK 27:3

undergraduate 131:2,21

underneath 93:5

understand 14:9 24:9 25:17 28:13
44:22 48:5,11 50:14,17 58:9 60:2
75:11,16,25 85:22,24 86:17 87:10,21
97:6 103:20 104:4 107:9,11 111:9
112:18,19 133:22 143:5 158:13
185:21 193:4 207:17 213:17 214:8
217:10 222:18

understanding 25:3 44:18 45:4
75:10 77:25 89:14 103:14,17 104:9,
21 108:1 124:16,19 135:4,24 136:16
144:17,20 145:11 151:11,14 152:11
154:13 184:10,18 185:18 188:6
189:23 190:3 192:12 194:18 221:20

understands 86:18 90:5

understood 14:4,16 15:11 56:22
59:14 75:14 103:10 139:5 143:17
144:23 162:20 163:12 171:25

undesirable 210:5

unfamiliar 127:20

uniformity 139:22

unit 136:22 148:6,24 150:15 151:4
163:23 164:18,19,21,22 165:11
210:3,4 217:18 221:8,24 222:3,11,16,
18,21,25

United 26:22 115:20,22 117:15 128:6

units 17:6 135:2,4 138:21 139:20,23
146:21,22 147:19 149:3 150:2,3
152:6,13 217:13

unpack 147:3

unquote 98:8

unreasonable 130:2,6

unrelated 121:6

unsafe 153:17

unused 125:3

upstairs 9:20

upstream 47:22 213:2,9,13 218:9

utility 52:2

V

valve 48:12,20,21,22 49:5,9,22,24
50:1 51:9,18 52:5,7,10 53:9,12,13,20,
23 77:8,16,19,23 78:2,10,12,18 80:6
81:3 82:1,16 83:2,11 84:4 88:9 89:12,
16,20

valves 47:18,21 48:2,9,16 49:3,15,
21,23,24 50:15,19,23 51:5 52:2 177:3

van 5:12 43:5,17 44:7,15 46:8,14,23
47:6,9 48:8,15 49:1,13 54:9,14 56:3
58:14,24 59:18,25 60:21 62:23 64:1,9
65:5 66:1 67:6,13,20 68:25 69:3,11,
17 70:12,16 105:10 114:5 116:16
118:8 124:15 125:22 127:11 150:17
152:17,20 154:14,23 171:21 174:7,
11,18 176:2,14,22 177:22,24 178:3,8,
21 179:5 180:8 182:23 184:4 187:7
189:16,20,23,25 190:15 192:12 193:3
195:4,6,21 196:8 197:23 198:3,5,8,
10,14 199:17 201:7,16 203:16 207:4,
10,14,15,16 208:17 209:2 210:21
212:12 213:6,10 214:9 216:6,20
217:25 219:18,23

variables 20:16 58:19 59:1

variation 72:4

varies 18:8

vary 17:23 129:8

vehicle 70:23 169:24 170:7 173:19
174:4 175:7,16 177:6,9 183:4,24
185:10,21 186:9 187:18 193:11,24
201:19 202:25 205:13 206:3,7,18,20,
21 219:19

vehicles 170:10 172:6 174:12 175:6
182:18,20,21 185:9 186:13 187:6,11,
20 188:14,17,21,22 189:2 219:16

verify 76:12

version 101:2 157:10,13 162:21,22
167:5 168:9

versus 52:17 53:19 167:12

vertical 86:24 88:11,24 90:6

vibration 11:5

vicinity 153:9

Victoria 17:5 18:16,21,25 146:20
147:5 164:20 221:7 222:14

video 16:17,23 17:1,4 218:22,25

videos 15:15,23 16:11,13

view 36:18 53:17 73:21 76:23 77:3
169:24 170:2 174:15,17 193:20

viewpoint 116:5

viscosity 129:7,8,10

visible 86:15

Vliet 5:12 43:5,17 44:7,15 46:8,14,23
47:6,9 48:15 49:1,13 54:9,14 56:3
58:14,24 59:18 60:21 62:23 64:1,9
65:5 66:1 67:6,13,20 68:25 69:3,11,
17 70:12,16 114:5 116:16 118:8
124:15 125:22 127:11 150:17 152:17,
20 154:14,23 171:21 174:7,18 176:2,
14 177:22,24 178:3,8 184:4 189:16,
20,23,25 190:15 192:12 193:3 195:4,
6,21 196:8 197:23 198:3,5,10,14
199:17 201:7 203:16 207:10,14,15,16
208:17 209:2 210:21 212:12 213:6,10
214:9 216:6,20 217:25 219:18,23

Vliet's 48:8 59:25 105:10 180:8
198:8

volume 185:12 186:2,8 211:18

volumes 132:4 185:7 186:6

volumetric 162:18

W

walking 170:13 171:9 205:14,17,22
206:3,8,16

walkway 73:12 172:16 192:25
193:17,20 194:4 195:20,21 196:7
199:17 200:8 204:18,25 205:11,15,23
206:4,8,17

wall 44:5 45:9,12,13,16,22 46:2,11,
21 65:11 77:4 179:20 192:8 193:8,18
203:17,22 204:4,6

walls 179:20 193:7,15 198:14

wanted 43:10 51:2 61:12 62:16 68:3
110:2 117:3 161:19 202:15 214:24
215:12,24 217:24

warming 129:17

Washington 2:11

waste 119:23 120:5

watch 218:25

water 15:7

Wayback 5:14,17 6:9

ways 45:7 48:20 51:10,18 52:13
64:8,15 69:20,22,25 70:2 113:7

weak 106:2

weather 114:17 128:20

website 102:4,15 108:5 112:2

Webster 2:9

week 23:17 221:12

weekly 19:1 146:18

weeks 118:22 119:15

weight 181:11

weld 195:10

welded 194:19 195:6

west 2:13 3:14 116:3 128:19,21

whatever's 99:15

whichever 72:15 104:2

white 96:21 97:4 166:11

wide 109:11 196:8 199:16 200:4,7,8,
19 201:6

wider 68:7

width 74:24 193:11 195:20 196:5,21,
23 197:18

wind 58:11 63:20 66:19

window 101:13,23 102:1 117:20,23

windows 100:22 101:2,6,8

winter 114:21 127:13

wire 215:2

withstand 156:8

withstood 156:13

word 22:19 56:17 74:13 172:10

words 54:20,25 72:15 100:16 109:15
184:12

work 11:3,23,25 14:10,20 15:1 17:13
18:2,5 19:7 21:5,8 43:8 94:2,4,22
95:3 110:25 115:21 130:21 167:16
176:21 187:10 188:16 189:3 194:1

worked 13:8 14:18,22 15:15 21:21,
23 22:3 109:1

working 12:21 16:4 21:5,19 71:19
93:23 94:17 109:1 146:19 176:8
186:25 187:5 188:18,19

workload 148:9

workshop 147:9

workstation 178:15

worth 109:15

wound 39:13,17,23 58:3,4 65:18

Wow 8:5

wrap 63:8 65:19 67:5 73:25 169:2

wrapped 57:10,15 58:8 84:7

wrapping 57:12 73:18

wraps 63:18,24 66:20 68:19 74:6
75:1 85:14

write 166:15 177:12

writing 177:18

written 37:24

wrong 10:6 22:19 109:15 213:23,25

wrote 56:4 127:4

X

X3 121:25

X3.1.1 123:22

X3.1.1. 123:2

X3.2 122:3

X3.2.3.1. 122:4

Y

yard 120:2

year 8:7 21:17 31:16 115:22 117:18
129:4

year-round 117:21,24

years 16:13,16 21:24 24:18 27:18
108:18 109:5 133:1

yesterday 23:17,22,24

Youtube 16:10 218:22